

IN THE SUPERIOR COURT OF TOOMBS COUNTY
STATE OF GEORGIA

JAMES CHUPP,

Plaintiff

v.

Docket No. 12-CV-1057

PHILLIP FLEXON, M.D.,

Defendant

DEPOSITION OF JOHN BOGDASARIAN, M.D.

Friday, February 7, 2014, 2:29 p.m.

Farmer Arsenault Brock LLC

50 Congress Street, Suite 350

Boston, Massachusetts

Reporter: Kathleen Mullen Silva, RPR, CRR

.

Page 2

1 APPEARANCES:

2

3 Kenneth S. Nugent, P.C.

4 Matthew C. Richardson, Esq.

5 4227 Pleasant Hill Road

6 Building Eleven, Suite 300

7 Duluth, Georgia 30096

8 404.253.5895

9 mrichardson@attorneykennugent.com

10 for Plaintiff

11

12 Oliver Maner LLP

13 Christopher L. Ray, Esq.

14 Post Office Box 10186

15 218 West State Street

16 Savannah, Georgia 31401

17 912.236.3311

18 cray@olivermaner.com

19 for Defendant

20

21

22

23

24

25

Page 3

1 I N D E X

2

3 EXAMINATIONS

4 JOHN BOGDASARIAN, M.D.

5 BY MR. RAY 4

6

7

8 EXHIBITS

9 Exhibit #1 deposition notice 7

10 Exhibit #2 invoice of fee for review 11

11 Exhibit #3 curriculum vitae 14

12 Exhibit #4 affidavit 24

13 Exhibit #5 disk containing medial records 95

14

15

16

17 Original Exhibits returned to Christopher Ray, Esq.

18

19

20

21

22

23

24

25

Page 4

1 P R O C E E D I N G S

2 JOHN BOGDASARIAN, M.D., sworn

3 MR. RAY: This will be the deposition of

4 Dr. John Bogdasarian in the matter of James Chupp,

5 plaintiff, versus Phillip Flexon, M.D., defendant, a

6 matter currently pending in the Superior Court of

7 Toombs County, Georgia, Civil Action No. 12-CV-1057.

8 This deposition is being taken pursuant to notice

9 and agreement of counsel for any and all purposes

10 permitted under the Georgia Civil Practice Act.

11 Consistent with the act, I would propose

12 that we reserve all objections, except as to form

13 and responsiveness, until first use, if that's

14 agreeable.

15 MR. RICHARDSON: That is agreeable.

16 EXAMINATION

17 BY MR. RAY:

18 Q. Doctor, you have a right under Georgia law

19 to be provided a copy of your transcript and to

20 review it for changes, or in the alternative, you

21 may waive that right. I don't care what your

22 preference is, but I'd like to know what you'd like

23 to do.

24 **A. I'd just as soon reserve the right to read**

25 **and sign it.**

Page 5

1 MR. RAY: Dr. Bogdasarian will reserve

2 his right to read and sign his deposition, and I

3 will stipulate that he can sign his errata sheet

4 utilizing a copy of the deposition in the presence

5 of any notary public. If he would then just return

6 it to the court reporter.

7 Q. At the outset, Dr. Bogdasarian, good

8 afternoon. My name is Chris Ray, and I represent

9 Dr. Phillip Flexon in this case. And as I am sure

10 you have given a deposition before, I know you know

11 the basic ground rules, but I always like to review

12 a few at the outset if I may.

13 **A. All right.**

14 Q. Obviously the purpose of this deposition is

15 for me to ask you a series of questions under oath

16 and for you to answer those questions truthfully and

17 to the best of your ability. As we go through this

18 process today, if at any time I ask you a question

19 which is unclear or you simply do not get my

20 meaning, I would ask that you please stop me and

21 tell me that you do not understand my question and

22 afford me an opportunity to clarify. Is that

23 acceptable?

24 **A. Yes.**

25 Q. And the reason I ask you to do that, sir,

Page 6

1 is because if I do ask a question and you do answer
2 the question, I'm going to assume that you
3 understood my question and that the answer you gave
4 was the answer you intended to give. So if I am the
5 least bit unclear, please do let me know.
6 **A. All right.**
7 Q. Also you know this as well, but as you're
8 doing right now, please make sure that all your
9 answers are out loud in a nice clear voice for the
10 benefit of our court reporter. I will try very hard
11 not to speak over you, and I would ask if you would
12 please extend me the same courtesy so she can take
13 down one voice at a time.
14 **A. Yes.**
15 Q. Lastly, if you need a break at any time for
16 any reason, please do not hesitate to ask for one.
17 I'll be happy to call a brief recess to the
18 deposition and we can pick up again when you are
19 ready.
20 If you could, please, sir, please state
21 your full name for the record.
22 **A. My full name is John Robert Bogdasarian.**
23 Q. What is your current professional address,
24 sir?
25 **A. It's 33 Electric Avenue in Fitchburg,**

Page 7

1 **Massachusetts 01420.**
2 Q. My office was told that you requested
3 prepayment for your deposition today. I brought a
4 check in the amount of \$1,750. Is that correct?
5 **A. Yes. Thank you. That's my tough**
6 **secretary.**
7 Q. Does that represent a particular period of
8 time today, that figure?
9 **A. That represents the time for the deposition**
10 **this afternoon. It's my fee for a half day**
11 **deposition.**
12 Q. Okay. Dr. Bogdasarian, do you suffer from
13 any physical or mental impediment or impairment of
14 any kind that would in any way interfere with your
15 ability to hear, understand and respond to my
16 questions?
17 **A. No.**
18 Q. Similarly, have you consumed any medicines
19 or substances that would in any way obtund you?
20 **A. No.**
21 Q. I'm going to mark as Exhibit 1 to your
22 deposition a copy of the deposition notice that was
23 issued in this case.
24 (Marked, Exhibit 1, deposition notice.)
25 Q. I just want you to look over to the second

Page 8

1 page. Were you supplied with a copy of this notice
2 prior to today?
3 **A. Yes, I was.**
4 Q. Did you see it requested you to bring
5 certain categories of materials which you may or may
6 not have that are responsive to these questions?
7 **A. Yes.**
8 Q. What I'd like to do, if I could briefly,
9 sir, is just run through these topics with you if I
10 may.
11 **A. All right.**
12 Q. Letter a) asked for all material provided
13 to you or reviewed by you in connection with this
14 case, including but not limited to, file records,
15 depositions, reports from other attorneys or
16 individuals and any other materials relevant to this
17 case. I do see that you've brought maybe a four-
18 inch stack of paper with you today. Is that the
19 totality of your file in this case?
20 **A. Yes, it is.**
21 Q. At some point I'm going to ask to have an
22 opportunity to go through that, if I may.
23 **A. Sure.**
24 Q. Some of these topics probably overlap with
25 one another. Letter b) asks for all correspondence,

Page 9

1 tapes, memoranda, notes, reports and other documents
2 received or generated by you in connection with this
3 case. Would anything responsive be in that stack?
4 **A. Yes, it would.**
5 Q. Do you have any formal reports that you've
6 generated of any kind other than the affidavit which
7 you signed?
8 **A. No.**
9 Q. Have you maintained any kind of written
10 correspondence with Mr. Cohen's office or
11 Mr. Richardson's office that involved anything more
12 than just cover letters saying, "Here's what I'm
13 sending you"?
14 **A. No, I think that's all that I have.**
15 Q. Have you communicated with Mr. Cohen or
16 Mr. Richardson's office by email at all on this
17 case?
18 **A. No. There may have been an email that just**
19 **told me where this matter was going to be held, but**
20 **beyond that nothing.**
21 Q. No substantive communication -- I'm sorry.
22 No communication of substance by email; is that
23 correct?
24 **A. Correct.**
25 Q. Letter c) asks for the results of all

Page 10

1 research done by you or for you relative to this
2 case. Have you conducted any specific research in
3 connection with this case?
4 **A. Nothing specific. I have been involved in**
5 **similar reviews previously, and I perform surgery in**
6 **this area so that I think I stay current with**
7 **literature, but I haven't done any specific research**
8 **with regard to this.**
9 Q. If I hear you correctly, what you're
10 telling me is that you may have in the past as part
11 of your general practice read literature related to
12 this subject, but there's no specific article, text
13 or treatise that you went and consulted as part of
14 your review in this case; is that correct?
15 **A. That's correct.**
16 Q. Letter d) asks for an accurate accounting
17 of the time you've spent in review of this case,
18 including your prep time and your hourly rate. Do
19 you have a written accounting of your time in the
20 case thus far?
21 **A. Yes, I do.**
22 Q. Is that among the materials you have there?
23 **A. Yes.**
24 Q. Why don't we pause for a second and maybe
25 I'll take a look through this stack.

Page 11

1 **A. Actually, what you just asked for is right**
2 **on the bottom. I just stopped and picked that up at**
3 **my office on the way here.**
4 Q. Excellent.
5 **A. That is not inclusive of just the last, for**
6 **instance, two days, where I had received some**
7 **additional depositions to review. But I think until**
8 **that time it should be current.**
9 Q. Is this a set I can mark and keep, Doctor?
10 **A. Yes, you may have that.**
11 MR. RAY: Then I'm going to mark as
12 collective Exhibit 2 a series of invoices. And I'll
13 give you the exact number for the record. One, two,
14 three, four, five invoices, the first dated October
15 31 of 2011 for \$975; the second 11/9/11 for \$150;
16 the third February 1 of '02 for \$100. The next,
17 March 28 of '02, \$125. And the last, February 13 of
18 2013, \$225. Does this represent, Exhibit 2, all of
19 the monies which you have been paid thus far in
20 connection with this case?
21 **A. Frankly, I haven't even paid attention**
22 **whether they've been paid or not, but those were**
23 **invoices.**
24 Q. Is this all that you have invoiced for your
25 work in this case thus far?

Page 12

1 **A. I believe it is, yes.**
2 Q. Have you performed certain additional work
3 in recent days or weeks in preparation for your
4 deposition that's not recounted in Exhibit 2?
5 **A. I have, yes.**
6 Q. How much additional time would you say you
7 have in the case file?
8 **A. I think it was -- I'm going to say around**
9 **nine and a half hours more of work, just because I**
10 **received, I think, three depositions and reviewed**
11 **the records again prior to coming here today.**
12 Q. So nine and a half hours of work within the
13 last few weeks; is that correct?
14 **A. I think within the last two days probably.**
15 Q. These particular invoices have dollar
16 amounts, but they don't happen to reflect total time
17 that was spent. Do you know how much time you had
18 in the case before the nine and a half recent hours?
19 **A. Well, my charge is \$300 an hour for record**
20 **review. So whatever that would total, divided by**
21 **\$300 would give the number of hours I think that I**
22 **had spent.**
23 Q. All right. Thank you, sir.
24 Letter e): I think if we go back to
25 Exhibit A of Exhibit 1, letter e) was all invoices,

Page 13

1 statements, et cetera. That's what we just marked
2 as Exhibit 2.
3 **A. Yes.**
4 Q. Letter f) asks for a listing of all
5 background sources and other persons, if any, who
6 you have consulted in connection with review of this
7 case. I guess -- let me simplify that question.
8 Other than the materials that are in your stack that
9 you've brought today and any conversations you may
10 have had with Mr. Cohen, Mr. Richardson or anyone
11 else from that office, is there any other source of
12 information that you have concerning this case?
13 **A. No. Again, nothing with respect to this**
14 **specific matter. That's correct.**
15 Q. Have you consulted with any colleagues, run
16 this fact pattern by anyone, talked to anybody about
17 your review of this case?
18 **A. No, I haven't.**
19 Q. Letter g) asked for an up-to-date copy of
20 your CV. Were you able to bring a CV with you
21 today?
22 **A. Yes. I have one in this pile somewhere.**
23 **Can I...**
24 Q. Sure.
25 A. I knew I had one somewhere. (Witness

Page 14

1 handing document.)

2 Q. Thank you, sir.

3 MR. RAY: I'm going to mark as Exhibit 3

4 the copy of the CV which you brought today and just

5 handed to me.

6 (Marked, Exhibit 3, curriculum vitae.)

7 Q. Let me ask you, does Exhibit 3 to your

8 deposition, Exhibit 3 fairly and accurately set

9 forth your educational background and training as a

10 physician?

11 **A. Yes.**

12 Q. Does it also accurately list your

13 employment history, your licensure, your board

14 certifications?

15 **A. Yes.**

16 Q. Does it accurately list --

17 **A. Let me back up. I don't know that it has**

18 **my license number and licensure on it. I don't**

19 **think I ever thought to include that, but -- I will**

20 **in the future, but I don't believe it has it on it.**

21 Q. Does it include the professional societies

22 in which you hold or have held membership?

23 **A. Yes.**

24 Q. Does it include a list of any and all

25 publications that you've ever done in the

Page 15

1 professional literature?

2 **A. Yes.**

3 Q. Is that particular version current and up

4 to date?

5 **A. It is.**

6 Q. Thank you, sir.

7 Item h) asked for any Rule 26 report

8 which you may have prepared within the last 12

9 months. I don't know if that term is familiar to

10 you, but if you ever had occasion to serve as an

11 expert witness in a case which pended in a federal

12 court, you would have been required to generate a

13 formal report as an expert, including a list of

14 prior cases in which you had been involved. Have

15 you generated any such reports within the last year?

16 **A. No, I haven't.**

17 Q. Item i) asks for a list of any and all

18 cases in which you have been involved as an expert

19 witness in a ten-year window, from 2004 to the

20 present. Do you maintain any list, whether complete

21 or incomplete, but do you maintain any lists of the

22 work you have done as an expert witness?

23 **A. No, I don't maintain a list. I have done**

24 **work as an expert witness, but I have not maintained**

25 **a list of it. Later on if you ask, I can give you**

Page 16

1 **an estimate of the number of times, but I don't have**

2 **any formal list of it, I'm afraid.**

3 Q. All right. Item j) asks for a copy of the

4 last deposition that you gave as an expert witness.

5 Have you retained copies of your prior depositions

6 as an expert?

7 **A. Actually, if I don't -- after cases have**

8 **resolved, I don't maintain copies of them. I did do**

9 **a deposition last week, actually, but I haven't**

10 **received a copy of that as yet.**

11 Q. Would I be correct in assuming that you

12 probably do have several cases under open review in

13 your office at present?

14 **A. Yes.**

15 Q. How many would you estimate you have that

16 are currently under open review?

17 **A. All together probably within the range of**

18 **75, I would think.**

19 Q. Of those 75 that are in your office

20 currently would some of them be instances in which

21 you've given your deposition testimony already?

22 **A. Yes.**

23 Q. Would those depositions be within your

24 possession at your office because those cases are

25 still open?

Page 17

1 **A. They would be, yes.**

2 Q. Would you be willing to, at my expense,

3 supply me with copies of the deposition transcripts

4 which you do still have in your possession?

5 **A. Yes. I have no idea how many there are,**

6 **but if you want me to do that, I can do it.**

7 Q. I would make that request, and here's my

8 card.

9 **A. All right.**

10 Q. I'll be happy to cover the copying costs,

11 shipping costs, et cetera, for that.

12 **A. Okay.**

13 Q. Thank you, sir.

14 Item k) asked for copies of any and all

15 correspondence, emails, faxes, letters, et cetera,

16 between you and Mr. Chupp or his attorneys. Would

17 any of those materials, with I guess the possible

18 exception of emails, but would any other such

19 correspondence be in the stack you brought with you

20 today?

21 **A. Well, I have nothing from Mr. Chupp. There**

22 **are a couple of cover letters, I believe -- maybe**

23 **two, I think, all together -- that came from**

24 **Mr. Chupp's attorneys.**

25 Q. Anything that you do have is in your stack?

Page 18

1 **A. Yes.**
2 Q. And lastly, item l) asked for copies of all
3 medical records, either generated by you or others,
4 related to your evaluation, care and treatment of
5 Mr. Chupp. Obviously you did not care for and treat
6 him. What I mean is related to your assessment of
7 the evaluation, care and treatment of Mr. Chupp. Do
8 you have all the records that were supplied to you?
9 **A. I have everything here, yes.**
10 Q. I'm going to have to rewrite that question.
11 That's poorly phrased.
12 MR. RAY: Let's go off the record for a
13 moment.
14 (Discussion held off the record.)
15 MR. RAY: Let's go back on the record if
16 we may.
17 Q. At the break I had the opportunity to pick
18 through the pile of documents you brought with you
19 today, Dr. Bogdasarian, and I just want to read them
20 into the record.
21 **A. All right.**
22 Q. The first is a transmittal letter from
23 paralegal Kathy Agee in Ken Nugent's office just
24 sending Dr. Bogdasarian the depositions of James Chupp,
25 Janice Gray and Phillip Flexon. That was dated

Page 19

1 February 4 of 2014. So did you just receive these
2 depositions a few days ago?
3 **A. Yes, I did.**
4 Q. And that was the first time you had seen
5 them?
6 **A. Yes.**
7 Q. Did you read all three depositions?
8 **A. I did, yes.**
9 Q. The next item is just a Tiffany Alley
10 confirmation for the deposition today.
11 The next item is a copy of your
12 affidavit which you executed in this case.
13 **A. Yes.**
14 Q. The next item is Mr. Chupp's deposition
15 transcript. I notice that throughout you've made
16 some purple underlining. Other than this purple
17 underlining, is there any other notation or note
18 taking that you did in any of these depositions?
19 **A. I don't believe I wrote anything in any of**
20 **them. I think I wrote that there was the word**
21 **"Kholi," and I think it was misspelled in one**
22 **deposition. I might have corrected that just so I**
23 **knew what they were talking about. But beyond that,**
24 **there's nothing.**
25 Q. Did you generate any notes outside of the

Page 20

1 four corners of the depositions themselves?
2 **A. No.**
3 Q. And those passages that you chose to
4 underline, what would lead you to underline a
5 passage as opposed to not?
6 **A. Well, I think the passages that were**
7 **perhaps most influential in forming an opinion --**
8 **there are other things that don't seem to have such**
9 **importance, and it just makes it easier if I need to**
10 **go back and review it to review the underlinings**
11 **rather than read the whole deposition again.**
12 Q. So that includes all three depositions,
13 Mr. Chupp, Dr. Flexon and Ms. Gray?
14 **A. Yes.**
15 Q. The next item was a faxed copy to you of
16 the deposition notice we've already marked as
17 Exhibit 1.
18 **A. Yes.**
19 Q. Then I think we're moving back in time
20 perhaps to when you were first contacted. There's a
21 letter from Mr. Richardson when he and Mr. Cohen
22 were still at McConnell, Sneed & Cohen, dated
23 October 6 of 2011, indicating that, "Enclosed please
24 find the records of Mr. Chupp," and I assume this
25 letter just included the disk.

Page 21

1 **A. I believe that's what it did, yes.**
2 Q. Then we also have this disk, which then the
3 rest of what's printed out here are medical records
4 that you printed from this disk?
5 **A. Correct.**
6 Q. Is it a complete set of all of the records
7 that were on the disk that are printed out here?
8 **A. I believe so, yes.**
9 Q. All right. The first set of records appear
10 to be a functional capacity evaluation of Mr. Chupp
11 from Coastal Therapy, dated, it looks like, on or
12 about July 21 of 2001.
13 **A. Yes, I believe that's correct.**
14 Q. There's then a subsequent Coastal Therapy
15 note that appears to be July 26 of 2001.
16 **A. Yes.**
17 Q. There's a note from Dr. Howington's office
18 dated April 7 of 2011, a two-page note.
19 **A. If I could just interrupt you. It might**
20 **help. I think there's stickies with each of the new**
21 **sets of records. Unfortunately they're not sticking**
22 **out very well, but I think that --**
23 Q. I'll look for them as I go.
24 **A. Yeah, that might help a little bit.**
25 Q. I don't think we skipped any thus far.

Page 22

1 **A. No.**
2 Q. I said the note of Dr. Howington, 4/7/11; a
3 subsequent note of Dr. Howington -- actually a
4 letter -- of June 7, 2011. Some pages from the
5 Hughston Clinic note for Mr. Chupp. It looks like
6 the date of service is December 14, 2010. This
7 would be Dr. Waldrop's note.
8 **A. Yes.**
9 Q. Then a note from -- well, actually, let me
10 back up. It's a fax cover sheet from Weinstock &
11 Scavo. Is that Scavo [SKAY/VOE] or Scavo [SKA/VOE]?
12 MR. RICHARDSON: Scavo [SKA/VOE].
13 Q. Weinstock & Scavo. And it's to
14 Dr. Harvinder Kholi, who's a neurologist in Hilton
15 Head, and it includes in it what appears to be EMG
16 findings of Dr. Kholi dated October 29, 2007.
17 **A. Yes.**
18 Q. Then we have notes from the J.C. Lewis Care
19 Center in Savannah, multiple dates of service.
20 **A. Yes.**
21 Q. Then we have what appear to be some billing
22 records from Memorial Health University Medical
23 Center, including, it looks like, records of
24 medication, testing and other itemized bills of some
25 sort from Memorial.

Page 23

1 **A. Yes.**
2 Q. And then lastly, the largest single set of
3 records appears to be Mr. Chupp's Memorial chart
4 probably covering -- yes, covering multiple
5 admissions at Memorial, including many subsequent to
6 the events in this case.
7 **A. Correct.**
8 Q. Okay.
9 **A. Just -- I believe there's the admission for**
10 **the surgery of July 26, and then subsequent a lot of**
11 **those are emergency department visits, I think, that**
12 **he made after the surgery.**
13 Q. One thing I did not come across in here and
14 I was going to ask is, have you been provided with a
15 copy of Dr. Flexon's office chart?
16 **A. No, I have not.**
17 Q. Okay. Did you at any time ask to see
18 Dr. Flexon's office chart?
19 **A. No, I didn't.**
20 Q. Did you know it existed?
21 **A. Well, I would assume there would be one**
22 **that existed, yes.**
23 Q. Is there any particular reason that you
24 didn't ask to see his office chart?
25 **A. No. I think that -- I think the details of**

Page 24

1 **what I needed to know were covered in records that**
2 **were present otherwise in the deposition, and I had**
3 **the operative note and the hospital admission, I**
4 **think, that summarized it well.**
5 Q. Okay. This question is not intended to be
6 facetious. I'm asking it genuinely. You would
7 agree with me, of course not having seen
8 Dr. Flexon's office chart, in fairness you have no
9 idea what may or may not be in it, correct?
10 **A. That's true.**
11 Q. Have we now accurately gone through and
12 recounted all of the materials that you have
13 reviewed in connection with this case and which you
14 have brought with you to the deposition today?
15 **A. Yes, we have.**
16 MR. RAY: I'm going to mark as Exhibit 4
17 a copy of the affidavit which you prepared in this
18 case. I know there's a copy in the stack, but I'm
19 going to give you that one.
20 (Marked, Exhibit 4, affidavit.)
21 Q. Just a few housekeeping questions if I
22 could, Dr. Bogdasarian. Just at first, do you
23 recognize this four-page document to, in fact, be
24 the affidavit which you gave in this case?
25 **A. Yes, I believe that I do.**

Page 25

1 Q. If you'd go over to the fourth page where
2 there's a signature line for John R. Bogdasarian,
3 M.D. and it's dated the 31st of January 2012, can
4 you verify for me that that is, in fact, your
5 signature?
6 **A. It is, yes.**
7 Q. And it appears that this affidavit has been
8 notarized by a gentleman named Francis Landry. Do
9 you know who Mr. Landry is?
10 **A. Yes.**
11 Q. Who is he?
12 **A. He is the former librarian at**
13 **HealthAlliance Hospital, who also has a commission**
14 **as a notary public, and does a lot of notarization**
15 **for people who need it at that hospital.**
16 Q. Is he --
17 **A. Um --**
18 Q. I'm sorry. Go ahead, sir. I cut you off.
19 **A. He no longer works there, and I'm not**
20 **certain where he is at this point, but I know he**
21 **left the hospital about six months ago.**
22 Q. Did Mr. Landry, in fact, witness your
23 signature and notarize it?
24 **A. He did, yes.**
25 Q. Is he someone who was known to you and you

Page 26

1 known to him before the affidavit was executed?

2 **A. Yes.**

3 Q. Let me ask you a few questions about the

4 affidavit, if I may. First, I just wanted to ask

5 how it came into being. What I mean by that is, is

6 this something you typed up yourself, or is this

7 something which came to you in a draft typed-up

8 format from Mr. Cohen and Mr. Richardson, or how did

9 you receive it?

10 **A. You know, I didn't type it up myself, I'm**

11 **certain of that. I believe that it was sent to me**

12 **for me to look over and to agree with or disagree**

13 **with, and my recollection is that I agreed with it.**

14 Q. Was it the product of some telephonic

15 conversations that you had beforehand?

16 **A. I can only say it may have been. I don't**

17 **recollect specifically if -- I don't keep records of**

18 **telephonic conversations. So I don't want to say**

19 **one way or the other whether it may have been. I**

20 **don't recollect.**

21 MR. RICHARDSON: I believe so, just...

22 MR. RAY: Thank you.

23 Q. Doctor, do you know if there were prior

24 working drafts, if you made any revisions of any

25 kind to the first version that was presented to you?

Page 27

1 **A. I can only say there may have been. I**

2 **don't recollect specifically. I'm sorry.**

3 Q. I notice that Dr. Flexon's name is

4 misspelled throughout this affidavit. He's listed

5 as Dr. Flexion, F-l-e-x-i-o-n instead of Dr. Flexon.

6 **A. So it is.**

7 Q. Had you noticed that typo before you signed

8 this?

9 **A. I may not have.**

10 Q. Let's go over to the second page, please.

11 Paragraph 4 gives a listing of materials that you

12 had consulted before signing your affidavit. And

13 the first one that's listed there is the medical

14 records of Dr. Flexon. I assume based on what you

15 told me previously, you mean the hospital medical

16 records of Dr. Flexon, not his office chart; is that

17 correct?

18 **A. Correct.**

19 Q. Then you've also seen the records of

20 Dr. Kholi, Dr. Waldrop, Tobin Bone & Joint,

21 Memorial, Myra Pope, the Hughston Clinic and Hilton

22 Head Neurology; is that correct?

23 **A. Yes.**

24 Q. That appears to me to be pretty much the

25 same list of medical records that we just went

Page 28

1 through in your stack; is that correct?

2 **A. It is, yes.**

3 Q. Am I correct in understanding that all of

4 the medical records which you have received and

5 reviewed in this case you had available to you at

6 the time you signed the affidavit; is that correct?

7 **A. Yes.**

8 Q. And you have not been supplied with any

9 new, different or additional medical records since

10 the time you signed your affidavit; is that correct?

11 **A. I believe that's correct.**

12 Q. Would you go over, please, sir, to the

13 third page, specifically to paragraph 6, and we will

14 probably get into this in more detail a little later

15 in the deposition, but I wanted to ask you about

16 this. In paragraph 6 you identify two -- you call

17 them deviations or violations of the standard of

18 care that you attribute to Dr. Flexon in this case.

19 Sub 1 says, "Failing to find the spinal accessory

20 nerve and remove the lipoma while in view." And

21 number 2 is, "Failing to separate and protect the

22 nerve." Did I read that correctly?

23 **A. Yes.**

24 Q. As you sit here today, are those the only

25 two violations of the standard of care which you

Page 29

1 attribute to Dr. Flexon in connection with his care

2 of Mr. Chupp?

3 **A. I think, as I've had an opportunity to**

4 **review the depositions since this was put out, I**

5 **think that there would be two other potential**

6 **deviations that I was concerned about.**

7 Q. All right. We'll come back to them in a

8 little more detail later, but can you tell me just

9 right now -- so in other words, a third and fourth

10 potential violation of the standard of care?

11 **A. Yes.**

12 Q. What would be the third?

13 **A. The third I think would be the failure to**

14 **perform a needle aspiration of the right neck mass**

15 **in Mr. Chupp prior to the open surgery.**

16 Q. And what would be the fourth?

17 **A. And the fourth, I don't want to say that it**

18 **was a deviation. I think I would just say that I**

19 **think there are -- that the standard of care prior**

20 **to and as part of the performance of the surgery**

21 **that was done by Dr. Flexon would be to inform the**

22 **patient of the potential complications of the**

23 **surgery; namely, in this particular surgery, injury**

24 **to the spinal accessory nerve.**

25 **I know there are two sides to the story**

1 as to what happened in the discussion of that
2 complication. So I won't say that it's a deviation
3 on the part of Dr. Flexon, because I know there are
4 different testimonies with regard to that, but I
5 will just say I think the standard of care would
6 include that and it should -- if it wasn't done, it
7 would be a deficiency. If it had been done, it
8 would not be.

9 Q. Let me, if I may, paraphrase back what I
10 think you've told me. If I misstate it in any
11 respect, please correct me.

12 You have articulated at least three
13 violations of the standard of care which you
14 attribute to Dr. Flexon in this case, plus a fourth
15 topic which may or may not be a violation of the
16 standard of care; is that correct?

17 A. Yes.

18 Q. Let's talk about the first three. The
19 first violation of the standard of care which you
20 attribute to Dr. Flexon in this case is failing to
21 find the spinal accessory nerve and remove the
22 lipoma while in view, correct?

23 A. Yes. If I can interrupt. I know it wasn't
24 a lipoma that was removed. It appeared to be a
25 different sort of problem.

1 A. Yes.

2 Q. And you believe such an educational process
3 in a case like this would require that Dr. Flexon or
4 someone at his direction or on his behalf expressly
5 apprise the patient of the risk of injury to the
6 spinal accessory nerve in this type of surgery,
7 correct?

8 A. Yes.

9 Q. And you don't know one way or the other
10 whether that happened in this case, correct?

11 A. Correct.

12 Q. At this point you're not capable of saying
13 that that was a violation of the standard of care,
14 true?

15 A. That's correct.

16 Q. And we'll come back to these in much
17 greater detail, but those are the only violations of
18 the standard of care which you attribute to
19 Dr. Flexon in this case?

20 A. Yes.

21 Q. All right. Thank you, sir.

22 Do you recall when you were first
23 contacted in this case, Dr. Bogdasarian?

24 A. I think it would have been at a time likely
25 just prior to the date on this cover letter, which

1 Q. Even if I separated the word "growth,"
2 would that be more accurate?

3 A. Correct.

4 Q. Number two, the second violation of the
5 standard of care which you attribute to Dr. Flexon
6 in this case is failing to separate and protect the
7 nerve. Did I read that correctly?

8 A. Yes.

9 Q. The third violation of the standard of care
10 in this case is that you believe it was a violation
11 of the standard of care for Dr. Flexon to not
12 perform an FNA or fine needle aspiration biopsy of
13 the mass prior to the excision surgery; is that
14 correct?

15 A. Yes.

16 Q. And those are the only violations of the
17 standard of care which you can state to any
18 reasonable degree of medical probability you
19 attribute to Dr. Flexon, correct?

20 A. Yes.

21 Q. The fourth topic that you touch on is you
22 believe that the standard of care in a case of this
23 type would require that the patient be taken through
24 an educational process leading up to an informed
25 consent; is that true?

1 is October 6, 2011. Likely, and, again, I don't
2 have recollection of it, but likely I would have
3 received a telephone call as to whether I'd be
4 willing to review the records.

5 Q. Do you recall who made initial contact with
6 you?

7 A. I don't. I'm sorry.

8 Q. Had you ever had any prior dealings with
9 either Mr. Cohen or Mr. Richardson before this case?

10 A. I don't recollect that I have.

11 Q. Had you ever had any prior dealings with
12 the law firms with which they have been associated?
13 They've actually been with three firms, I think,
14 during the pendency of this case, the McConnell --

15 MR. RICHARDSON: Sneed & Cohen.

16 Q. -- Sneed & Cohen firm, the Weinstock &
17 Scavo firm and now the Ken Nugent firm. Had you
18 ever had any dealings with any of those law firms
19 before this case?

20 A. The name Ken Nugent may be familiar to me
21 or it rings a bell, we'll put it that way. But I
22 don't have any specific recollection of a matter
23 that I worked with him on.

24 Q. Do you know specifically how it is that
25 Mr. Cohen and Mr. Richardson came to find you as an

Page 34

1 expert in this case?

2 **A. I don't know.**

3 Q. Have you had occasion to serve as an expert

4 witness in cases in the past involving injury to the

5 spinal accessory nerve?

6 **A. Yes, I have.**

7 Q. Do you know how many cases you've been

8 involved in in the past in which you've been

9 consulted where one of the issues at issue was an

10 injury to the spinal accessory nerve?

11 **A. I haven't counted them, but I'm certain**

12 **it's more than five.**

13 Q. In all of the cases in which you have been

14 involved as an expert in which one of the medical

15 issues was the spinal accessory nerve, have you ever

16 been an expert on behalf of the defendant physician?

17 **A. I believe that on one occasion I -- and I**

18 **may be confusing the nerve, but I believe on one**

19 **occasion I may have been asked to serve as an expert**

20 **on behalf of a physician.**

21 Q. Have you ever given testimony under oath,

22 either at a deposition or at a trial, where you

23 offered an opinion that a physician's inadvertent

24 injury to the spinal accessory nerve was not a

25 violation of the standard of care?

Page 35

1 **A. No. The matter that I recollect that I was**

2 **involved in or was asked to review the matter on by**

3 **the firm representing the physician, I believe that**

4 **the situation was one of a so-called schwannoma or a**

5 **tumor itself of the --**

6 Q. Of the neural sheath?

7 **A. Yes, of the spinal accessory nerve. And I**

8 **think it was not so much inadvertent removal as**

9 **necessary removal of the tumor, which then, of**

10 **course, compromised the function of the nerve.**

11 Q. That would be a very factually different

12 case than the one we're here talking about, correct?

13 **A. Yes.**

14 Q. Because in the case of a schwannoma, the

15 growth that needed to come out would be so

16 intermixed and interlocked with the nerve that it

17 may be impossible to remove the schwannoma without

18 also sacrificing the nerve?

19 **A. Correct.**

20 Q. Would I be correct in assuming then all of

21 the rest of the time that you have offered testimony

22 involving an inadvertent injury to the spinal

23 accessory nerve, you have offered a sworn opinion

24 that the healthcare provider fell below the standard

25 of care?

Page 36

1 MR. RICHARDSON: I'll object to form,

2 but you can answer.

3 **A. I think in all the situations in which I've**

4 **been asked to render testimony, that has been the**

5 **situation, I believe.**

6 Q. What I said is correct?

7 **A. Yes.**

8 Q. Would I also be correct in assuming that

9 most of the cases in which you have been involved

10 which have involved an inadvertent injury to the

11 spinal accessory nerve arose in the context of lymph

12 node removal in the posterior triangle?

13 **A. I would think yes, in one fashion or**

14 **another, one type of surgery or another, yes.**

15 Q. Have you ever served as an expert witness

16 involving the specific fact pattern in this case of

17 a growth, a hemangioma or other growth, completely

18 encapsulated within the sternocleidomastoid muscle?

19 **A. I don't believe that I have, no.**

20 Q. In your own practice as a physician have

21 you ever personally encountered a hemangioma fully

22 encapsulated within the sternocleidomastoid muscle?

23 **A. I don't believe that I have.**

24 Q. Have you ever -- well, strike that. Then

25 you would not have performed surgery on one either,

Page 37

1 true?

2 **A. That's correct.**

3 Q. Dr. Bogdasarian, would you agree with me

4 that it's a very serious matter for a physician to

5 testify as an expert witness in a medical

6 malpractice case?

7 **A. Yes.**

8 Q. Would you also agree with me that it's a

9 very serious matter for a physician to testify in a

10 medical malpractice case that another physician has

11 violated the standard of care and committed

12 malpractice?

13 **A. Yes.**

14 Q. Would you agree with me that an expert

15 witness in a medical malpractice case owes a duty of

16 thoroughness, fairness and objectivity to the

17 plaintiff patient, the defendant physician, the

18 court and the jury no matter who may be paying that

19 expert for his time?

20 **A. I would, yes.**

21 Q. Do you agree that an expert witness in a

22 medical malpractice case should have current

23 hands-on experience concerning the matter to which

24 he is testifying?

25 **A. Yes.**

Page 38

1 Q. Would you agree with me that physicians do
2 not guarantee perfect outcomes to their patients?
3 **A. Yes.**
4 Q. Would you agree with me that patients can
5 and do have bad outcomes while under a physician's
6 care, absent any negligence on the part of that
7 physician?
8 **A. That can happen, yes.**
9 Q. Would you agree with me that the best
10 physician in the world -- let's be more specific.
11 Would you agree with me that the best head and neck
12 surgeon in the world, if we could agree who that
13 person was, that the best head and neck surgeon in
14 the world could provide what appears to be a near
15 perfect course of care to a patient and the patient
16 still could have a bad outcome?
17 MR. RICHARDSON: I'll object to form.
18 You can answer.
19 **A. I'm not certain I can understand that**
20 **question. I'm not sure what "near perfect" is. I'm**
21 **not sure I can answer that.**
22 Q. Let me ask a different question. Would you
23 agree with me that the best head and neck surgeon in
24 the world could provide a course of care to a
25 patient that fully complies with the standard of

Page 39

1 care and yet that patient still have a bad outcome?
2 **A. Yes, I would.**
3 Q. Do you agree with me that an expert witness
4 in a medical malpractice case should be fully
5 informed as to the facts of the case?
6 **A. Yes.**
7 Q. Do you believe that you have taken all of
8 the time needed to become fully informed in
9 connection with this case and to provide full and
10 complete opinions here today?
11 **A. I believe I have, yes.**
12 Q. I guess phrased differently, have you done
13 everything you think you need to do in order to be
14 ready to testify today?
15 **A. Yes.**
16 Q. Are you confident in the opinions you
17 intend to express here today?
18 **A. Yes.**
19 Q. Have you had adequate time to prepare for
20 your deposition today?
21 **A. Yes.**
22 Q. Did you at any time in the course of your
23 review of this case request any materials for review
24 that were not provided to you?
25 **A. No.**

Page 40

1 Q. And all of the materials that have been
2 provided to you in this case were provided to you by
3 Mr. Cohen and Mr. Richardson, correct?
4 **A. I believe so, yes.**
5 Q. I assume I know the answer to this
6 question, but I need to ask it anyway. Would I be
7 correct in assuming that you have never had a
8 physician/patient relationship with Mr. James Chupp?
9 **A. That's correct. I have not.**
10 Q. Would I also be correct in assuming that
11 you've never met the man?
12 **A. Correct.**
13 Q. Have you ever communicated with any of
14 Mr. Chupp's treating physicians about his condition?
15 **A. No.**
16 Q. Where do you currently hold licensure as a
17 physician, Dr. Bogdasarian?
18 **A. In Massachusetts.**
19 Q. How long has it been since you have held
20 licensure anywhere else, if ever?
21 **A. I've not held licensure anywhere else.**
22 Q. You did all of your training as a physician
23 in Massachusetts?
24 **A. I did, yes. Well, except for medical**
25 **school, but all of my post medical graduate has been**

Page 41

1 **here, yes.**
2 Q. Where do you currently hold hospital
3 privileges?
4 **A. I hold privileges at HealthAlliance**
5 **Hospital, which is a combination of two campuses,**
6 **Burbank and Leominster Hospital, and at the Nashoba**
7 **Valley Medical Center in Ayer, Massachusetts.**
8 Q. And your office is in Fitchburg; is that
9 correct?
10 **A. Yes.**
11 Q. I told the folks before we started today, I
12 was grateful you agreed to come to Boston, but my
13 wife, who has friends in Hubbardston, was very
14 disappointed we didn't get to go to Fitchburg.
15 **A. It's about 20 miles west of Fitchburg, yes,**
16 **nice little town.**
17 Q. Can you give me sort of a thumbnail sketch
18 of your practice, which I think is Central
19 Massachusetts Otolaryngology. Tell me, who are the
20 physicians in that group? Is it a solo practice?
21 Let me start with that.
22 **A. Currently it's a solo practice. I'm the**
23 **only physician in the practice, and it's -- I**
24 **practice general otolaryngology. Shall I continue?**
25 Q. Please.

Page 42

1 A. I take care of both sexes and all ages of
2 patients. I perform surgery related to the ear,
3 nose and throat, head and neck area, ordinarily two
4 to three mornings a week in the operating room and
5 two mornings and five afternoons in my office
6 setting. I see consultations at the hospital and
7 provide emergency department coverage at the two
8 hospitals.

9 Q. I had used the term "head and neck surgeon"
10 a little while ago. I wanted to make sure that for
11 purposes of your qualification and your profession
12 and also Dr. Flexon's qualification and his
13 profession, if I refer to someone as either an
14 otolaryngologist or an ENT or a head and neck
15 surgeon, within the context of Mr. Chupp's case, are
16 those all appropriately interchangeable terms?

17 A. I think, yes.

18 Q. I asked you also a little while ago if you
19 ever encountered and operated upon a hemangioma
20 fully encapsulated within the sternocleidomastoid
21 and you told me you had not. I want to ask a
22 slightly broader question.

23 Have you ever encountered a tumor of any
24 type, which is to say it could be a lipoma, it could
25 be a sarcoma fully encapsulated in the

Page 43

1 sternocleidomastoid?

2 A. I'm going to have to answer that fairly by
3 saying I may have. Certainly I've done many
4 surgeries that involve dissection of the spinal
5 accessory nerve through the sternocleidomastoid
6 muscle. I've been in practice for 35 years and had
7 two residencies before that. I can only say I can't
8 remember a specific instance. I can only say I may
9 or may not have.

10 Q. Certainly as you sit here today, there's no
11 specific recollection of a specific case that comes
12 to mind?

13 A. That's correct.

14 Q. Other than the hospitals which you have
15 already identified, have you ever held privileges at
16 any other hospital facility since the time of your
17 completion of your training?

18 A. Yes.

19 Q. Where else have you held privileges in the
20 past?

21 A. I've had courtesy staff privileges at the
22 Heywood Hospital in Gardner, Massachusetts; the
23 Athol Hospital in Athol, Massachusetts; Clinton
24 Hospital in Clinton, Massachusetts; Cutler Army
25 Hospital in Devens, Massachusetts now. I think that

Page 44

1 would represent all of the hospitals that I've
2 worked at.

3 Q. Have your hospital privileges at any
4 institution ever been revoked, denied, restricted or
5 suspended?

6 A. No.

7 Q. Have you ever had an application for
8 privileges rejected?

9 A. No.

10 Q. Has your medical license in the State of
11 Massachusetts ever been revoked, censured,
12 disciplined or received any other kind of negative
13 treatment?

14 A. No.

15 Q. Have you ever voluntarily withdrawn an
16 application for either licensure or privileges
17 before action?

18 A. No.

19 Q. Do you consider any particular journals to
20 be standard and authoritative in the field of head
21 and neck surgery?

22 A. Well, when you say "standard and
23 authoritative," I think that there are journals
24 within ear, nose and throat, or otolaryngology, head
25 and neck surgery, that are read frequently. Whether

Page 45

1 everybody always agrees with everything in them, I'm
2 not certain, but they are journals we all read.

3 Q. Let me ask a different question then. Are
4 there any journals in the field of otolaryngology
5 that you know of to be widely read and widely relied
6 upon and which you yourself consider to be leading
7 journals?

8 A. Yes. There would be Otolaryngology: Head
9 and Neck Surgery.

10 Q. Is that one or two?

11 A. That's one. Some people term it the White
12 Journal because of its color. Laryngoscope; the
13 Archives of Otolaryngology. I think those would be
14 three main ones that I think many otolaryngologists
15 receive. The Otolaryngologic Clinics of North
16 America is a quarterly hardbound journal that comes
17 out. There's a non-peer-reviewed journal called
18 ENT, which I think most of us get.

19 Q. And all of the ones that you've listed, are
20 those widely read in your field?

21 A. Yes.

22 Q. In a similar vein, I'd like to talk to you
23 about textbooks, if I may.

24 A. All right.

25 Q. Are there any particular textbooks which

<p style="text-align: right;">Page 46</p> <p>1 you consider to be widely read and widely relied 2 upon concerning the subjects of the anatomy and 3 surgery of the neck? 4 A. Concerning anatomy and surgery? 5 Q. Of the neck. 6 A. Well, I think John Lore's, L-o-r-e, Atlas 7 of Head and Neck Surgery is the one I like. 8 Q. How about Cummings's text? 9 A. Yeah, Cummings is not so much an anatomy 10 and surgery text as a large multi-volume textbook 11 about laryngology, so I have that. Frankly, I don't 12 get textbooks too much anymore because they seem to 13 outdate pretty quickly and there's so much available 14 online now to look up. But that's one. Paparella 15 is another textbook. And then there are many kind 16 of subspecialty texts as well. 17 Q. I had a physician tell me recently that it 18 takes five years to put a textbook together and it's 19 outdated the day they roll off the printing press. 20 A. In all truth I don't buy textbooks anymore 21 for that reason, because -- unless there's some very 22 special topic that I'm interested in, but by and 23 large through many of the member search engines now, 24 I think you get very up-to-date information and it 25 clutters your office a lot less and it's less</p>	<p style="text-align: right;">Page 48</p> <p>1 range. 2 Q. These clinical academic appointments, you 3 were not part of the full-time academic faculty of 4 these institutions? 5 A. That's correct. 6 Q. And you were also, I assume, not 7 remunerated for your service? 8 A. You know, I think initially I might have 9 been on a very kind of token remuneration. I 10 believe it was -- I've forgotten even which one it 11 was. I think it might have been Boston University. 12 But for most of the time I was not, that's correct. 13 Q. Mostly an unpaid position? 14 A. Yes. 15 Q. In what fields are you board-certified? 16 A. Well, I'm board-certified in otolaryngology 17 head and neck surgery and I've been so since 1978. 18 I was board-certified in general surgery from 1976 19 to '86. It required recertification in '86, but I 20 was -- I wasn't a general surgeon. I didn't 21 practice that specialty. So I didn't really think 22 it was necessary to take that examination again. 23 Q. Did you complete a full general surgery 24 residency? 25 A. I did, yes.</p>
<p style="text-align: right;">Page 47</p> <p>1 expensive too. 2 Q. Doctor, have you ever published 3 professional literature on any of the subjects that 4 are at issue in this particular case? 5 A. No. 6 Q. Have you ever submitted an article for 7 publication in the professional literature that was 8 rejected? 9 A. No. 10 Q. I don't know if I saw it on your CV, but 11 have you from time to time held clinical academic 12 appointments? 13 A. Yes. 14 Q. Do you currently hold a clinical academic 15 appointment? 16 A. Yes. I believe that I'm still listed in 17 two medical schools as -- one is now termed clinical 18 associate professor. The other is called a clinical 19 affiliate. I have not, though -- at Boston 20 University, which was the clinical associate 21 professorship, I used to teach in the operating room 22 setting, but I have not done that for about ten 23 years or so. And the clinical affiliate through the 24 University of Massachusetts has been inactive 25 probably for four or five years, somewhere in that</p>	<p style="text-align: right;">Page 49</p> <p>1 Q. Is that common or uncommon for 2 otolaryngology? 3 A. It's uncommon. 4 Q. Tell me a little bit about that career 5 pathway if you would. 6 A. Well, after graduating from medical school, 7 I determined that I wanted to be a general surgeon. 8 I did my training in Boston. My father was an 9 otolaryngologist. I have a brother who's an 10 otolaryngologist. And they pestered me, I guess, 11 constantly about it being a better career path. 12 And I finally did make -- and based on 13 need, too, I think at the time there was kind of a 14 surplus of general surgeons and a need for 15 otolaryngologists. Actually, the part of general 16 surgery I enjoyed the most was head and neck 17 surgery. So I thought I'd make the change. Because 18 of some personal commitments, I wanted to stay in 19 the Boston area. And when I made the decision, I 20 was going to be through with four years of general 21 surgery, so I thought since I'd gone that far, I 22 might as well do the good year, the chief residency 23 year. So I completed that and then switched over in 24 1975 to otolaryngology and completed that residency. 25 Q. If a medical student at that time had</p>

1 wanted to go straight into an otolaryngology
 2 residency, was that an option?
 3 **A. Yes.**
 4 Q. With an intern year?
 5 **A. I think at the time there the requirement**
 6 **was a year of internship and I think one year of**
 7 **residency and then a three-year otolaryngology**
 8 **residency.**
 9 Q. So you got the full constructive credit of
 10 your surgery years and you just had to do the three
 11 years of otolaryngology to complete the training?
 12 **A. Yes.**
 13 Q. Do you have to recertify periodically for
 14 your otolaryngology board, or are you grandfathered?
 15 **A. I'm grandfathered. I don't have to**
 16 **recertify.**
 17 Q. When did you first start doing medical
 18 legal case review work?
 19 **A. I don't recollect specifically the year,**
 20 **but when I'm asked that question, I say 1981 because**
 21 **that's the one I think I started in. I think it was**
 22 **about then.**
 23 Q. Do you know how many cases in toto you have
 24 been consulted in since 1981 as a medical legal
 25 expert?

1 **A. I think in that 35 years or so, I think**
 2 **that -- well, it's not 35, is it? It's 33 years. I**
 3 **think somewhere -- I haven't counted them, I will**
 4 **say. I'll estimate probably 400 to 500 that I've**
 5 **been asked to review in that period of time.**
 6 Q. Do you know how many depositions in toto
 7 you have given over the course of your career?
 8 **A. Again, I haven't counted them, but I would**
 9 **estimate around 150. It may be more; it may be**
 10 **less.**
 11 Q. Do you know how many trial appearances you
 12 have made?
 13 **A. I think a similar number.**
 14 Q. So about 150 depositions, give or take,
 15 about 150 trial appearances, give or take?
 16 **A. I think so.**
 17 Q. In some of your prior depositions you've
 18 indicated that your review work has broken down
 19 somewhere in the vicinity of 90 to 95 percent of the
 20 plaintiff and five to 10 percent to the defendant.
 21 Does that hold true?
 22 **A. Yes.**
 23 Q. My preliminary research suggests that
 24 you've been involved in medical legal cases that
 25 have originated in a number of states. What I'd

1 like to do, if I could, I'm just going to run
 2 through the list and I'm going to read off the
 3 states. If you could just say yes, no or I don't
 4 know with regard to each state whether you have been
 5 involved in a case which originated there.
 6 **A. When you say "involved," do you mean have I**
 7 **reviewed a matter?**
 8 Q. That's right.
 9 **A. Okay.**
 10 Q. Maryland?
 11 **A. Yes.**
 12 Q. New York?
 13 **A. Yes.**
 14 Q. Pennsylvania?
 15 **A. Yes.**
 16 Q. Florida?
 17 **A. Yes.**
 18 Q. Michigan?
 19 **A. Yes.**
 20 Q. Illinois?
 21 **A. Yes.**
 22 Q. North Carolina?
 23 **A. Yes.**
 24 Q. Rhode Island?
 25 **A. Yes.**

1 Q. Texas?
 2 **A. Yes.**
 3 Q. Iowa?
 4 **A. Yes.**
 5 Q. Delaware?
 6 **A. Yes.**
 7 Q. Ohio?
 8 **A. Yes.**
 9 Q. Virginia?
 10 **A. Yes.**
 11 Q. South Carolina?
 12 **A. Yes.**
 13 Q. Connecticut?
 14 **A. Yes.**
 15 Q. New Jersey?
 16 **A. Yes.**
 17 Q. Minnesota?
 18 **A. Yes.**
 19 Q. Georgia?
 20 **A. Yes.**
 21 Q. The District of Columbia?
 22 **A. Yes.**
 23 Q. Louisiana?
 24 **A. Yes.**
 25 Q. Massachusetts?

<p style="text-align: right;">Page 54</p> <p>1 A. Yes. 2 Q. Missouri? 3 A. Yes. 4 Q. Vermont? 5 A. Yes. 6 Q. West Virginia? 7 A. Yes. 8 Q. Mississippi? 9 A. I don't recollect Mississippi, but I may 10 have. 11 Q. Colorado? 12 A. Yes. 13 Q. Kentucky? 14 A. Yes. 15 Q. Nevada? 16 A. Yes. 17 Q. The State of Washington? 18 A. Again, I may have reviewed a matter there. 19 I don't recollect specifically, but I may have. 20 Q. Utah? 21 A. Again, I don't remember specifically. I 22 may have. If you found it, I probably did, but I 23 don't remember specifically. 24 Q. Have you ever reviewed a case in 25 California?</p>	<p style="text-align: right;">Page 56</p> <p>1 we did with the states. I want to read through a 2 list of medical topics, and I want you to confirm 3 yes, no or I don't know whether you've ever been 4 involved in a case that included that particular 5 medical issue. Nasal polyps? 6 A. Yes. 7 Q. Sinus surgery? 8 A. Yes. 9 Q. Suffocation during tracheostomy reversal? 10 A. Yes. 11 Q. Hearing loss? 12 A. Yes. 13 Q. Meningitis? 14 A. Yes. 15 Q. A belated breast cancer diagnosis? 16 A. Breast cancer? 17 Q. Yes. 18 A. Gee, I don't recollect that. 19 Q. Seizures following tonsillectomy? 20 A. Again, I don't recollect specifically. I 21 may have. 22 Q. Cervical fracture and decubitus ulcer? 23 A. I don't recall that either. 24 Q. Mantle cell lymphoma? 25 A. Yes.</p>
<p style="text-align: right;">Page 55</p> <p>1 A. I don't believe that I have. 2 Q. Now, I know that was a lengthy list. But 3 are there any other states off the top of your head 4 that you recall that you have been consulted in a 5 case that arose there that we haven't talked about? 6 A. I can't -- I don't think so. I think 7 you've covered them. 8 Q. Have you ever been consulted as an expert 9 -- 10 A. Wait a minute. I think North Dakota 11 perhaps. 12 Q. As far as you know then, including North 13 Dakota, would that be a complete list? 14 A. As far as I know, yes. 15 Q. Have you ever been consulted as an expert 16 witness for a case pending in Canada? 17 A. I have been consulted I think on one 18 occasion, yes. 19 Q. Any other foreign countries? 20 A. No, I don't think so. 21 Q. It also appears to me that you have been 22 engaged in cases in which a variety of different 23 medical conditions and subjects were at issue in the 24 case. I apologize for the length of this list, but 25 I'd like you to do the same thing, if you could, as</p>	<p style="text-align: right;">Page 57</p> <p>1 Q. Facial paralysis? 2 A. Yes. 3 Q. Loss of vision? 4 A. Yes. 5 Q. Myocardial infarction? 6 A. I don't remember that specifically. Some 7 of those that I'm not sure of may have been -- 8 Q. Incidental? 9 A. Well, incidental, or I may have been asked 10 to look at some otolaryngologic consequence of the 11 problem, but I don't think that I would have been 12 the specific expert with regard to some of those 13 subjects. 14 Q. Mercury chloride overdose? 15 A. Again, I don't remember that. 16 Q. Esophageal perforation during discectomy? 17 A. Yes. 18 Q. Vocal chord paralysis? 19 A. Yes. 20 Q. Failure to perform a pre-op cardiac 21 work-up? 22 A. I may have been. 23 Q. Operating room fire? 24 A. Yes. 25 Q. Facial fractures?</p>

Page 58

1 **A. Again, I don't remember specifically, but I**
2 **may have been.**
3 Q. Failure to diagnose diabetes?
4 **A. Yes.**
5 Q. Blepharoplasty, eyelid surgery?
6 **A. Again, I may have been.**
7 Q. Misdiagnosis of lung cancer?
8 **A. Again, I don't recollect specifically. I**
9 **may have been.**
10 Q. Anoxic brain injury?
11 **A. Yes.**
12 Q. Damages to blood vessels?
13 **A. Yes.**
14 Q. Proper ascent and descent in a hyperbaric
15 chamber?
16 **A. Yes. Again, I think I was tangentially**
17 **involved in that. But yes, I think I was asked to**
18 **look at a portion of that.**
19 Q. Retained objects or retained foreign
20 bodies?
21 **A. Yes.**
22 Q. Horner's syndrome?
23 **A. Well, again, I think that would have been**
24 **one of the symptoms someone may have had, yes.**
25 Q. Diagnosis and treatment of squamous cell

Page 59

1 cancer?
2 **A. Yes.**
3 Q. Failure to diagnose aneurysm?
4 **A. Yes.**
5 Q. Negligent prescription of narcotics
6 following sinus surgery?
7 **A. I believe so, yes.**
8 Q. Failure to diagnose osteomyelitis?
9 **A. I believe so.**
10 Q. A case involving the prescription of
11 Beconase to a pregnant woman causing birth defect?
12 **A. I don't recollect that, but it's possible.**
13 Q. Is Beconase a nasal agent?
14 **A. Yes, a steroid nasal spray.**
15 Q. Diphtheria?
16 **A. I believe that I may have been involved in**
17 **one matter such as that -- regarding that some years**
18 **ago.**
19 Q. Fungal infections?
20 **A. Yes.**
21 Q. Intraoperative burns to the patient from an
22 electrocautery device?
23 **A. Yes.**
24 Q. Brachial plexus birthing injury?
25 **A. I don't recollect that. I'm sorry.**

Page 60

1 Q. No, no. Trust me, I just want to know what
2 you do recall.
3 Failure to treat infected dental work?
4 **A. I don't recollect that one either.**
5 Q. Failure to diagnose Hodgkin's?
6 **A. I may have.**
7 MR. RAY: We've probably been going
8 about an hour. Why don't we take a short break and
9 we'll pick up again, if that's all right.
10 **THE WITNESS: All right. Sure.**
11 (A recess was taken.)
12 MR. RAY: Let's go back on the record.
13 Q. Dr. Bogdasarian, I understand that over the
14 years you have had relationships or encounters with
15 several referral businesses which are in the
16 business of helping lawyers find experts. Is that
17 correct?
18 **A. Yes.**
19 Q. You have had cases sent to you by Medical
20 Advisors in Philadelphia; is that right?
21 **A. Yes.**
22 Q. You've had cases sent to you by MedQuest in
23 New York City; is that true?
24 **A. Actually, Medical Advisors is now in I**
25 **think Bala Cynwyd, Pennsylvania. They used to be in**

Page 61

1 **Philadelphia.**
2 Q. You've had cases from MedQuest in New York?
3 **A. Yes.**
4 Q. From Technical Assistance Bureau in
5 Pittsburgh?
6 **A. Many years ago. I think two or three**
7 **matters, perhaps.**
8 Q. ExpertNet in Chicago?
9 **A. Again, years ago.**
10 Q. Are there any other agencies of that type
11 that you've had dealings with that you can recall?
12 **A. There's one called Caseworks, which is in**
13 **Newtown, Pennsylvania. I'm sorry. Newtown,**
14 **Connecticut, I'm sorry. It's some years ago. They**
15 **sent me a few matters for review. And one called**
16 **Saponaro, I think in -- I believe they're in**
17 **Pennsylvania, who had asked me to look at two or**
18 **three matters as well.**
19 Q. Do you advertise your services as an expert
20 witness in any manner?
21 **A. No.**
22 Q. Have you ever?
23 **A. No.**
24 Q. What portion of your professional time
25 would you estimate is dedicated to medical legal

Page 62

1 case review?

2 **A. I think of the time that I work, about**

3 **fifteen percent.**

4 Q. Last year for 2013, do you know how much

5 money you made from medical legal case review work?

6 **A. No. I don't really keep specific track of**

7 **it.**

8 Q. You have no idea at all?

9 **A. I think I could just tell you that probably**

10 **about 15 percent of my income is related to medical/**

11 **legal work because I think that the time that I**

12 **spend in terms of charge is commensurate with the**

13 **medical work that I do, but I don't -- I can't give**

14 **you specific figures.**

15 Q. What's your billing structure, your charges

16 for medical legal case review work?

17 **A. I charge \$300 an hour for record reviews,**

18 **and meetings, reports, that sort of thing. \$1,750**

19 **for a half day deposition. I don't think I've ever**

20 **done a full day's deposition. I think my charge for**

21 **that is \$2,500, but, again, I don't think I've ever**

22 **done one. And for a trial appearance it's \$3,500**

23 **for a day away from the office and reasonable**

24 **charges for expenses.**

25 Q. So for example -- I'm sorry. I didn't mean

Page 63

1 to interrupt you.

2 **A. Go ahead.**

3 Q. So for example, this case pends in rural

4 Georgia. You'd probably have to have an airline

5 flight and drive for some distance. Would you bill

6 for expense attendant to air fare, car, hotel, that

7 sort of thing?

8 **A. Yes.**

9 Q. What about your time in transit, do you

10 bill for that?

11 **A. I don't ordinarily unless it means taking a**

12 **day -- if it meant taking a day off from my office**

13 **work, then I probably would, but if I could travel**

14 **without impacting that, then I would not.**

15 Q. How many depositions do you give in a

16 typical year?

17 **A. I think somewhere around ten on average.**

18 Q. Has that held true say over the last three

19 or four years?

20 **A. I think so.**

21 Q. How many trial appearances in a typical

22 year over the last three or four years?

23 **A. I think probably five to ten.**

24 Q. Doctor, have you yourself ever been sued

25 for malpractice?

Page 64

1 **A. No.**

2 Q. That takes out a whole page.

3 **A. Glad about that, both parts of that.**

4 Q. Have you ever been party to any other kind

5 of lawsuit, that is, suing someone or being sued

6 over money, a business dispute, anything like that?

7 **A. No.**

8 Q. Dr. Bogdasarian, this next series of

9 questions is not intended to offend you. I ask it

10 of almost every witness in every case.

11 Have you ever been arrested, sir?

12 **A. No.**

13 Q. Have you ever been charged with any crimes?

14 **A. No.**

15 Q. Have you ever been convicted of any crimes

16 or pled guilty to any crimes?

17 **A. No.**

18 Q. Have you ever been treated for substance

19 abuse?

20 **A. No.**

21 Q. Have you ever participated in an impaired

22 physician program as an impaired physician?

23 **A. No.**

24 Q. Have you ever filed a bankruptcy petition?

25 **A. No.**

Page 65

1 Q. Since 1978 when you completed your training

2 as a physician, has there ever been a period of

3 longer than 30 days when you have not been actively

4 engaged in the practice of medicine?

5 **A. Yes.**

6 Q. Okay. Can you tell me about that, please.

7 **A. I had a surgery in 2012.**

8 Q. Stop right there. Is it a personal medical

9 issue?

10 **A. Yes.**

11 Q. Does it have any bearing on your ability to

12 be a witness in this case?

13 **A. No.**

14 Q. I don't need to ask you about that, and I'm

15 not going to.

16 **A. All right.**

17 Q. Other than a personal medical leave that

18 you needed, have you ever had an interruption of

19 longer than a month in your practice?

20 **A. No.**

21 Q. As far as you know, have any negative

22 reports concerning you ever been made to the

23 Massachusetts medical licensing authorities?

24 **A. I'm not aware of any.**

25 Q. As far as you know, have any negative

Page 66

1 reports concerning you ever been made to the
2 National Practitioner Databank?
3 **A. Not that I'm aware of.**
4 Q. I believe you told me earlier that you have
5 never personally encountered the particular type of
6 mass -- at least you could not recall the specific
7 instance of when you encountered the particular type
8 of mass with which Mr. Chupp presented to
9 Dr. Flexon, correct?
10 MR. RICHARDSON: I'll object to form.
11 **A. I don't believe that I -- again, I'll say I**
12 **don't recollect having a hemangioma of the**
13 **sternocleidomastoid muscle or certainly one that was**
14 **completely encapsulated or contained within that**
15 **muscle.**
16 Q. Have you ever heard of such a lesion from
17 one of your colleagues who may have encountered
18 that?
19 **A. I don't believe that I've heard of one**
20 **through a colleague. I believe I may have read of**
21 **them, but I have not heard of it.**
22 Q. Are you under the impression that it's a
23 rare presentation?
24 **A. I think it's pretty unusual, yes.**
25 Q. Do you have an opinion in this particular

Page 67

1 case as to what caused the hemangioma to grow in
2 Mr. Chupp's sternocleidomastoid?
3 **A. Well, I don't. Hemangiomas are benign**
4 **tumors of blood vessels. So I don't know if anyone**
5 **knows why those occur.**
6 **He had had certainly some incidences of**
7 **trauma to his neck and some surgery to his neck.**
8 **Whether that had any influence or not, I'm not**
9 **certain, but I think the fair answer would be I**
10 **don't know why it started.**
11 Q. Would I be correct in saying that it is
12 possibly post traumatic in origin?
13 **A. I would say it's possible, yes.**
14 Q. Would you agree with me that the preferred
15 course of treatment for a growth of this type in the
16 muscle would be complete excision?
17 **A. No. I don't think I'd agree with that.**
18 Q. What do you believe would be the preferred
19 course of treatment for a growth of this type in the
20 sternocleidomastoid muscle?
21 **A. I think the preferred course of treatment**
22 **would be thorough evaluation of the nature of the**
23 **mass and then a discussion with the patient as to**
24 **the pros and cons of surgical removal or of some**
25 **other treatment.**

Page 68

1 Q. Have you ever seen any photographs of the
2 mass on Mr. Chupp before it was removed?
3 **A. No.**
4 Q. Have you been provided with and reviewed
5 any radiographic studies that might depict the mass?
6 **A. Not the images themselves. I've seen, of**
7 **course, reports regarding imaging studies, but have**
8 **not seen the studies themselves.**
9 Q. But, for example, the ultrasound and the CT
10 that were taken preoperatively, you have not
11 reviewed those images?
12 **A. No, I haven't.**
13 Q. That's correct?
14 **A. Correct.**
15 Q. Would you agree from your review of the
16 written record that this was a large growth in the
17 sternocleidomastoid muscle?
18 **A. Well, I think the greatest extent of it was**
19 **five centimeters in a vertical dimension. That's a**
20 **good size, yes.**
21 Q. Did you understand from your review of the
22 records that it had had a recent rapid increase in
23 size according to the patient?
24 **A. Yes.**
25 Q. Did you understand from the record that

Page 69

1 according to the patient it was a painful mass?
2 **A. Yes.**
3 Q. Adding that data to the question I asked
4 you earlier, you've told me that you do not believe
5 that a wide and complete excision was the preferred
6 course. Would you agree with me that a wide and
7 complete excision was a reasonable treatment
8 choice --
9 **A. Yes.**
10 Q. -- for a patient in that condition?
11 **A. Yes. Just to clarify. I think certainly**
12 **it was an option. I just wasn't certain about the**
13 **word "preferred." I think that comes down to a**
14 **decision between patient and doctor.**
15 Q. I guess what I'm getting at is it was a
16 reasonable treatment choice and not a violation of
17 the standard of care to recommend complete excision,
18 correct?
19 **A. Correct. As one of the options, yes.**
20 Q. I'd like to talk to you about your personal
21 experience with injury to the spinal accessory
22 nerve, and I'm not talking about in your testimonial
23 capacity. I'm talking about your capacity as a
24 surgeon.
25 **A. Yes.**

Page 70

1 Q. Would I be correct in assuming that you
2 have on many occasions in your career intentionally
3 severed and removed the spinal accessory nerve as
4 part of a radical neck dissection?

5 **A. Yes.**

6 Q. Can you estimate for me the number of times
7 you've treated a patient where the course of care
8 required the deliberate transection of the spinal
9 accessory nerve?

10 **A. Well, when I was a resident in general
11 surgery and otolaryngology, the standard surgery for
12 removal of lymph nodes in the neck was a so-called
13 radical neck dissection, and as part of that,
14 removal of the spinal accessory nerve was the
15 standard treatment.**

16 **At some point after I went into
17 practice, we started doing different sorts of neck
18 dissections, but I would say during the residency
19 and the early part of my training, I probably did
20 over 100 neck dissections in which we sacrificed the
21 spinal accessory nerve purposely.**

22 Q. Let's use that round number of
23 approximately 100, if I may. So then it would be
24 fair to say that you also had an opportunity to
25 follow and be involved in the care of many of those

Page 71

1 patients post-operatively; is that correct?

2 **A. Definitely, yes.**

3 Q. So in the approximate hundred occasions in
4 which you deliberately cut and removed the spinal
5 accessory nerve, I presume that you or someone
6 working with you explained to those patients in
7 advance of the procedure the role of the spinal
8 accessory blade in innervating the
9 sternocleidomastoid and the trapezius.

10 **A. Correct.**

11 Q. You would have explained to those patients
12 the expected or anticipated effect of no longer
13 having innervation of the SCM and trapezius; is that
14 correct?

15 **A. Just as a little quibble, actually, as part
16 of the radical neck dissection, the
17 sternocleidomastoid muscle got taken out --**

18 Q. Is taken out.

19 **A. -- so that really had no --**

20 Q. I noticed the weakness in my question. I'm
21 sorry.

22 **A. No, not a problem. But yes, it was
23 important to discuss that.**

24 Q. Let's just focus on the trapezius for a
25 moment. You would have expressed and explained to

Page 72

1 these patients that they were going to have a
2 functional change in their shoulder as a result of
3 no longer having a functional trapezius muscle; is
4 that correct?

5 **A. Correct.**

6 Q. Of those approximately 100 patients upon
7 whom you deliberately removed the SAN, spinal
8 accessory nerve, did all of those patients go on to
9 develop pain in the neck and shoulder on that side?

10 **A. Boy, I don't know if I would want to say
11 all. Obviously I can't remember every specific
12 patient. Without getting too far afield on your
13 question, I think that what always struck me about
14 radical neck dissections was -- in conjunction with
15 other major resections in the head and neck area,
16 people complained about their shoulders more than
17 the other things. That was what seemed to strike
18 them or hurt the most. But I don't think I could
19 say every one. I just can't recollect every
20 specific case.**

21 Q. Would it be fair to say most of the
22 patients in whom you removed the spinal accessory
23 nerve suffered some form of atrophy of the trapezius
24 muscle?

25 **A. I think almost all of them, yes.**

Page 73

1 Q. To varying degrees, correct?

2 **A. Yes.**

3 Q. Would you agree with me that that process
4 of atrophy was not typically an immediate change in
5 the appearance of the muscle right after the
6 surgery, but a change that could be chronicled over
7 a period of months?

8 **A. Yes.**

9 Q. Would you also agree with me that typically
10 the pain that was associated with the removal of the
11 spinal accessory nerve was not always present
12 immediately following the surgery either?

13 **A. I think that's true, yes.**

14 Q. Rather the pain usually would come about as
15 a result of the muscle atrophy and the consequent
16 shoulder droop stretching and straining on the other
17 muscles and ligaments, correct?

18 **A. I believe that's what happens, yes.**

19 Q. And the pain comes in basically insofar as
20 the other muscle groups are having to work to
21 replace the function of the trapezius as best they
22 can; is that correct?

23 **A. The pain comes from that. I think that's a
24 lot of it. I think probably some ligament stretch
25 has other components or adds other components.**

Page 74

1 Q. In the patients whom you treated where you
2 deliberately cut the spinal accessory nerve, were
3 those patients routinely referred for physical
4 therapy?
5 **A. Yes.**
6 Q. In your experience as a head and neck
7 surgeon, do you believe that physical therapy
8 conferred a benefit on most of those patients?
9 **A. I think it conferred some benefit. It**
10 **certainly didn't completely relieve their symptoms.**
11 **So I think it was a slight benefit. I'll put it**
12 **that way.**
13 Q. Would you agree that in your experience the
14 patients who successfully completed their physical
15 therapy regimen on the whole did better than those
16 patients who did not?
17 **A. That's probably fair to say.**
18 Q. Have you yourself ever had an inadvertent,
19 unintentional injury to the spinal accessory nerve
20 during a surgical procedure which you have
21 performed?
22 **A. Not that I recollect.**
23 Q. Have you ever had any of your professional
24 colleagues, either folks who used to be in practice
25 with you or other members of the same service at a

Page 75

1 hospital where you practice, have an inadvertent,
2 unintentional injury to the spinal accessory nerve?
3 **A. I really -- among those who have practiced**
4 **with me before, I can't -- nothing comes to mind of**
5 **anyone mentioning that to me. I'm not -- sometimes**
6 **we did surgeries together. I never -- I don't**
7 **recollect having seen it. When we're not together,**
8 **I don't recollect anyone telling me about it. And I**
9 **really can't speak for other otolaryngologists or**
10 **surgeons in the community. Nothing comes to mind.**
11 Q. In your capacity as a member of the
12 clinical faculty at either B.U. or the University of
13 Massachusetts, did you ever have occasion to
14 supervise the training of surgeons in head and neck
15 procedures in the vicinity of the spinal accessory
16 nerve?
17 **A. Quite -- that was probably the most common**
18 **operation that I did with them, was some neck**
19 **dissection in association with another head and neck**
20 **resection of some sort.**
21 Q. Were these typically general surgery
22 residents or were they typically otolaryngology
23 residents?
24 **A. At Boston University otolaryngology**
25 **residents.**

Page 76

1 Q. Did any of the residents who were ever
2 under your supervision, as far as you know, ever
3 have an unintentional or inadvertent injury to the
4 spinal accessory nerve during a surgery on the neck?
5 **A. Again, I don't recollect that. I can**
6 **recollect a hypoglossal nerve injury some years ago,**
7 **but not -- actually, it wasn't under my supervision.**
8 **I was just observing a different -- another surgeon**
9 **with a resident. But I've not -- I don't recollect**
10 **a spinal accessory nerve injury.**
11 Q. Dr. Bogdasarian, would you agree that
12 injury to the spinal accessory nerve is a recognized
13 risk and complication of surgery in the vicinity of
14 the sternocleidomastoid muscle and the posterior
15 triangle of the neck?
16 **A. I know that I've been asked this question**
17 **before and sometimes attorneys mean different things**
18 **by that than what physicians do, but I think from a**
19 **medical standpoint, without stating whether it's --**
20 **by what means it occurs or for what reason it**
21 **occurs, yes, I would agree that it's a recognized**
22 **complication.**
23 Q. Well let's move on to the more precise
24 questions then. Would you agree that as such, an
25 injury to the spinal accessory nerve during surgery

Page 77

1 in the region of the sternocleidomastoid muscle can
2 occur without any negligence on the part of the
3 operating surgeon?
4 **A. Yes.**
5 Q. So, in other words, surgery in the neck can
6 be carried out by a surgeon in a manner which fully
7 complies with the standard of care and yet the
8 patient can still have an injury to the spinal
9 accessory nerve?
10 **A. Under certain circumstances, yes.**
11 Q. Let's talk about which circumstances in
12 your view would constitute such an example.
13 **A. Go ahead.**
14 Q. I'm asking you.
15 **A. All right. Well, I think that certainly**
16 **with revision surgery, if someone had operated in an**
17 **area before in that vicinity and caused scar tissue**
18 **that made it difficult to isolate or find the nerve**
19 **or to protect the nerve appropriately, that would be**
20 **one situation. We've talked about schwannoma**
21 **before, which certainly would be another situation**
22 **that that could happen. Malignant tumors that are**
23 **intimately associated with the nerve so that removal**
24 **of the malignant tumor required removal of the**
25 **spinal accessory nerve or resulted in removal of the**

**1 spinal accessory nerve in an attempt to remove
2 cancer.**

**3 I think those would be the main
4 situations in which I think it would happen with a
5 surgeon doing things properly.**

6 Q. What about a patient with a spinal
7 accessory nerve with a particularly unusual or
8 eccentric course out of the anatomical norm?

9 MR. RICHARDSON: I'll object to form,
10 but you can answer.

**11 A. Well, I'm not -- I think that's very
12 hypothetical because I don't -- aside from some
13 neoplasm or something causing it to happen, I don't
14 think that there's that kind of variation in the
15 course of the spinal accessory nerve. But -- so I
16 think I'd just have to say I'd have to consider any
17 individual presentation individually with respect to
18 that.**

19 Q. Am I to understand then that the only
20 circumstances under which you believe it would not
21 be a violation of the standard of care for a surgeon
22 operating in the vicinity of the sternocleidomastoid
23 and posterior triangle of the neck to injure the
24 spinal accessory nerve would be in the presence of
25 either scarring, a schwannoma or a malignant tumor?

**1 A. Well, I don't think that's completely fair,
2 because I think obviously there might be situations
3 that I'm not thinking of where that could happen and
4 I'd have to consider those if I was asked about
5 them.**

6 Q. As -- I'm sorry. Go ahead.

**7 A. No. I was just going to say obviously I'm
8 concentrating on this particular case and the
9 circumstances that existed when I made my decision
10 as to whether or not the standard of care was
11 complied with.**

12 Q. Would I be correct in stating that as you
13 sit here right now, however, there's no other
14 specific condition other than scar, schwannoma or
15 malignant tumor that you would point to as a
16 specific circumstance in which injuring the spinal
17 accessory nerve during surgery in the vicinity of
18 the sternocleidomastoid muscle and the posterior
19 triangle of the neck would not be a violation of the
20 standard of care?

**21 A. I might add some other kind of tumor that
22 somehow was involving the nerve that required the
23 nerve to be removed in order to remove that tumor.
24 Again, that would require the judgment of the
25 surgeon at the time whether the sacrifice of the**

**1 nerve was warranted given the problem that he was
2 dealing with. And, of course, it involves knowing
3 where the nerve is and dealing with it
4 prospectively. But, again, those circumstances I
5 gave you are the ones that come to mind. There may
6 be others I'm just not thinking of right now.**

7 Q. In this particular case, based upon your
8 full understanding of the medical records and the
9 history of the patient, do you have any reason to
10 believe he had a schwannoma?

11 A. No.

12 Q. Do you have any reason to believe Mr. Chupp
13 was suffering from a malignant tumor in the vicinity
14 of the spinal accessory nerve?

15 A. No.

16 Q. Let me ask you this question: Did you
17 understand that Mr. Chupp did have a history of
18 repeated traumas to the neck and right shoulder?

19 A. Yes.

20 Q. Do you have an opinion as to whether any of
21 those traumas to the neck and to the right shoulder
22 which Mr. Chupp had suffered prior to his surgery
23 may have resulted in any scarring or anatomical
24 alteration of the spinal accessory nerve in him?

25 A. I don't believe that it did. I know he had

**1 a cervical fusion procedure. I'm not -- I believe
2 it was likely done from a posterior approach. I say
3 that because certainly in Dr. Flexon's operative
4 note there's no mention of any scarring or any
5 aberrant course to the spinal accessory nerve.**

6 Q. Let's talk a little bit about -- since you
7 bring it up, let's talk a little bit about the
8 spinal accessory nerve in general. Not so much in
9 Mr. Chupp. Can you and I agree that in general from
10 the point the spinal accessory nerve exits the
11 skull, it moves generally in a posterior and caudal
12 direction?

13 A. Posterior and caudal being inferior, yes.

14 Q. Let me use plainer English. Can we agree
15 that it is generally moving from a more forward
16 position in the body to a more backward position in
17 the body and also moving from a higher position in
18 the body to a lower position in the body?

19 A. Yes.

20 Q. Until it terminates in the trapezius
21 muscle, correct?

22 A. Correct.

23 Q. Now, is it true that in some patients the
24 spinal accessory nerve will run along the underside
25 of the sternocleidomastoid without actually being

Page 82

1 enmeshed in the fibers of the sternocleidomastoid?
2 **A. Yes.**
3 Q. Do you know if that is the more common or
4 less common presentation?
5 **A. I think that's probably the less common --**
6 **well, I'm sorry. Well, in my experience, I would**
7 **say it's the less common presentation.**
8 Q. Another sizable portion of the population,
9 and probably the more common portion of the
10 population are those in which the spinal accessory
11 nerve actually passes through the body of the
12 sternocleidomastoid before entering the posterior
13 triangle and moving downward towards the trapezius,
14 correct?
15 **A. Correct.**
16 Q. Even the ones that don't actually pass
17 through the sternocleidomastoid still throw off some
18 motor branches into the sternocleidomastoid?
19 **A. Yes.**
20 Q. Based upon everything you know about this
21 case, can you state within a reasonable degree of
22 medical probability one way or the other what the
23 course of Mr. Chupp's spinal accessory nerve was?
24 **A. My recollection is that Dr. Flexon said**
25 **that it went through the body or at least partway**

Page 83

1 **through. I think he was talking about the posterior**
2 **third of the muscle was pierced by the spinal**
3 **accessory, if I'm recollecting. I think that's what**
4 **he said.**
5 Q. Where do you believe you saw that?
6 **A. I believe he referenced it in his**
7 **deposition, and I think he did -- I'm not certain**
8 **it's mentioned in the operative report. I'd have to**
9 **look again, but I'm quite sure it was in his**
10 **deposition.**
11 Q. I'd like you to assume that Dr. Flexon
12 testified that he visualized the spinal accessory
13 nerve at the posterior margin of the
14 sternocleidomastoid muscle.
15 **A. I recollect that, yes.**
16 Q. Just so I make sure we're speaking the same
17 English here. His use of "posterior" in that
18 context is not -- correct me if I'm wrong -- it's
19 not used to refer to the underside of the
20 sternocleidomastoid muscle. It's meant to refer to
21 the side of the muscle closer to the back of the
22 patient, correct?
23 **A. Yes. And I think that would be the only**
24 **proper way to interpret that. I think deep or**
25 **medial would be the other term that -- it refers to**

Page 84

1 **deep to the sternocleidomastoid muscle.**
2 Q. Well, do you know if Dr. Flexon is
3 describing a spinal accessory nerve which is
4 emerging out of the sternocleidomastoid or emerging
5 from under the sternocleidomastoid when he said he
6 saw it along the posterior margin?
7 **A. My understanding was that -- I recollect**
8 **that he said in his deposition, and I'd have to look**
9 **at his operative report briefly to recollect what he**
10 **said there, but my recollection about his deposition**
11 **was that it did go through and come through the**
12 **muscle fibers of the back third of the posterior**
13 **third of the sternocleidomastoid muscle.**
14 Q. And, again, just so we have our language
15 clear, your use of the term "posterior" in that
16 context is a little different than the use of the
17 "posterior" I was using a moment ago from posterior
18 edge, correct?
19 **A. No, no. I'm talking about the same thing.**
20 **I think -- my understanding was that the nerve went**
21 **deep to the sternocleidomastoid muscle and then**
22 **entered the sternocleidomastoid muscle about**
23 **two-thirds of the way back or posterior so that**
24 **there was one-third of the posterior margin left,**
25 **and the nerve went through that last third.**

Page 85

1 Q. Can I ask you to take out that depo and
2 just tell me what language you're relying on, if you
3 would.
4 **A. Sure.**
5 Q. We can go off the record for a minute if
6 you want.
7 **A. Yeah, I might just need a minute.**
8 (Discussion held off the record.)
9 Q. If you'd tell us what page and line you're
10 looking at, Doctor.
11 **A. Just give me one more second. Well, I may**
12 **not have found the best part of it, but I can give**
13 **you some areas where I think he at least is saying**
14 **that it did do that. So it would be page 71.**
15 Q. Okay.
16 **A. Do you want me to read it?**
17 Q. Let me take a peek and I may ask you to
18 read it.
19 **A. (Witness handing document.)**
20 Q. We'll go back to your transcript in a
21 moment. Was there anything in the operative note
22 that helped illuminate your opinion on the subject
23 of whether the spinal accessory nerve actually
24 passed through the muscle fibers of the
25 sternocleidomastoid?

Page 86

1 **A. No. I don't think that it does. I think**
2 **he's referring to dividing the sternocleidomastoid**
3 **muscle over the mass that was in it, but he doesn't**
4 **really mention the spinal accessory nerve, other**
5 **than posteriorly material, which, again, I take to**
6 **mean at the back edge of the sternocleidomastoid**
7 **muscle.**
8 Q. Again, when you say "back edge," are we
9 talking about back in the sense of deep or are we
10 talking about back in the sense of anterior, more
11 towards the back of the body?
12 **A. Posterior, more towards the back of the**
13 **body.**
14 Q. Posterior, more towards the back of the
15 body?
16 **A. Yes.**
17 Q. I'll take the operative report back. I
18 still have pages 70 and 71 of Dr. Flexon's
19 deposition. I think what you may have been pointing
20 to was a question posed to him at line 11 which
21 said, quote, "And were you able to determine whether
22 or not the spinal accessory nerve was running
23 through the muscle?"
24 "ANSWER: In the way I cut to the muscle
25 I believe I was. In the way I go through the muscle

Page 87

1 I believe I was. Let me explain."
2 Then Mr. Cohen asked a question. "I
3 don't understand. I'm sorry." I interposed an
4 objection. And then Dr. Flexon picked up again.
5 "In the manner in which I go through the
6 muscle, I believe that you can. Now, let me explain
7 up to."
8 Mr. Cohen said, "And again you can
9 determine where the spinal accessory nerve is?"
10 "ANSWER: Yes. Let me just say you
11 visualize and see the mass. You then go up and you
12 identify on the posterior border where the nerve
13 comes out. So you get an approximate level of where
14 you believe it would be in the muscle."
15 **A. Yes.**
16 Q. Now, would you agree with me that -- and I
17 realize you're interpreting what he said here. But
18 when it says, "The posterior border where the nerve
19 comes out," that could refer either to where the
20 nerve actually emerges from muscle tissue or it
21 could also refer to where the nerve emerges into
22 visualization but deep to or underneath the
23 sternocleidomastoid?
24 **A. Yes.**
25 Q. As you sit here right now do you really

Page 88

1 know one way or the other whether this spinal
2 accessory nerve was or was not in the
3 sternocleidomastoid?
4 **A. Well, as I say, I just received this**
5 **deposition I think it was a couple of days ago. So**
6 **I read it yesterday, and my recollection is that --**
7 **at least my understanding, what I came away with was**
8 **the sense that it went through the muscle. You've**
9 **asked me to go through a 150-page deposition now and**
10 **pick that out, and I'm happy to do it, but I don't**
11 **think it's fair to ask me to do it in two minutes to**
12 **find the passages. But if you want me to do it,**
13 **I'll look again and I will admit that I could be**
14 **wrong. I think the important point is not so much**
15 **where it comes out but knowing where it is, wherever**
16 **it comes out or wherever it goes. So to me it's not**
17 **hugely important, but if you want me to look --**
18 Q. The transcript is what the transcript is.
19 It says what it says.
20 **A. Exactly.**
21 Q. But if I'm hearing your testimony
22 correctly, your sense or takeaway from reading the
23 transcript, your perception is that the nerve was in
24 the sternocleidomastoid muscle?
25 **A. For a part. Again, it's not terribly**

Page 89

1 **important to my opinion, but that's the recollection**
2 **I have.**
3 Q. You acknowledge that it is possible that
4 Dr. Flexon saw it to be otherwise, though, correct?
5 **A. It's possible, yes.**
6 Q. Let's talk about the -- were you supplied
7 with any of the exhibits to Dr. Flexon's deposition?
8 **A. No.**
9 Q. Mr. Cohen provided Dr. Flexon with a
10 drawing, a human anatomical drawing that he was
11 asked to mark upon. Have you ever seen that?
12 **A. No.**
13 Q. You saw it described, though, in the
14 testimony?
15 **A. Yes.**
16 Q. Did you ever ask to see that drawing?
17 **A. No.**
18 Q. I will tell you that in the drawing
19 Dr. Flexon was asked to mark the point where the
20 spinal accessory nerve came out past the posterior
21 margin of the sternocleidomastoid, and my
22 recollection is it was perhaps marked in the context
23 of being asked where he had placed his stimulator,
24 but there's an X that's marked on the nerve there.
25 **A. Yes.**

1 Q. He was also asked to draw crudely a circle
2 to depict where the mass was. Do you have any
3 reason -- I know you haven't seen the drawing, but
4 insofar as you were not at the surgery that day and
5 Dr. Flexon was, I would assume you would have no
6 reason to disagree with his recollection and the
7 depiction of the relative positions of the mass and
8 the nerve, would you?

9 **A. No.**

10 Q. In a typical patient --
11 (Telephone interruption.)

12 Q. I wanted to speak just generally, and I
13 realize that the course of the spinal accessory
14 nerve can vary, just as any structure can vary
15 anatomically from person to person. But am I
16 correct in my understanding that generally the point
17 at which the spinal accessory nerve passes the
18 posterior border of the sternocleidomastoid is
19 usually at the more superior end of the
20 sternocleidomastoid in level 2 or maybe the high end
21 of level 3 of the neck?

22 **A. Yes.**

23 Q. Do you know where the mass was located in
24 terms of level of the neck in this case?

25 **A. My recollection of the description of the**

1 violations of the standard of care which you have
2 attributed to Dr. Flexon in this case and a fourth
3 topic which we need to speak of perhaps separately.

4 **A. All right.**

5 Q. Let's talk about the fourth topic first if
6 we could. The fourth topic, as I understand it, is
7 something which you have a suspicion could or could
8 not have been a violation of the standard of care in
9 this case, but it depends upon the facts of what
10 transpired. Is that correct?

11 **A. Exactly, yes.**

12 Q. As you sit here right now, based on all of
13 the evidence which has been made available to you,
14 including the medical records and the testimony of
15 Dr. Flexon and the testimony of Mr. Chupp, you're
16 incapable of coming down on one side or the other on
17 that topic; is that correct?

18 **A. Yeah. I would add testimony of Ms. Gray as**
19 **well.**

20 Q. Ms. Gray as well.

21 **A. She had some comments about that, but**
22 **that's correct. I wasn't there. So I'm not willing**
23 **to say who said what at the time.**

24 Q. So if we were before the jury today trying
25 this case and you were under oath, you would not be

1 **drawing was that it was below that. That's my**
2 **recollection.**

3 Q. Do you know what the actual physical
4 distance would have been between the mass and the
5 visible portion of the spinal accessory nerve at the
6 posterior margin during the case?

7 **A. I'm sorry. The difference between --**

8 Q. Do you know what the actual distance would
9 have been between, say, the nearest edge of the mass
10 and the visible portion of the spinal accessory
11 nerve where it sat at the posterior border during
12 the case?

13 **A. I seem to recollect, just having looked,**
14 **that there was something like two centimeters**
15 **difference, but I could be wrong about that.**

16 Q. Would you, again, agree that Dr. Flexon as
17 the person who was actually present there that day
18 is in the best position to opine about the relative
19 distance of those structures?

20 **A. Yes.**

21 Q. Let's, as I said we would, circle back to
22 your standard of care violation opinions, which we
23 touched on briefly early in the deposition, and I'd
24 like to go back to in a little more detail. I
25 believe we have established that there are three

1 capable of raising your right hand and swearing to
2 within a reasonable degree of medical probability
3 that there is, in fact, this fourth violation of the
4 standard of care for failure to get -- I guess it's
5 proper informed consent; is that correct?

6 **A. Correct.**

7 Q. I know that you have not seen Dr. Flexon's
8 office chart, but I do want to show you a note from
9 a preoperative visit, if you'll bear with me just a
10 moment.

11 **A. It's good to see somebody else looking**
12 **through records for once.**

13 Q. I'm going to show you the second page of a
14 two-page note dated July 13 of 2010 from Mr. Chupp's
15 preoperative office visit with Dr. Flexon in this
16 case. And specifically, I would point your
17 attention to the next to the last sentence, which
18 begins with the words "I also." Could I ask you to
19 just read the rest of that paragraph aloud into the
20 record, please.

21 **A. "I also explained to him that his spinal**
22 **accessory nerve is at risk, but hopefully that**
23 **should not be a problem." Actually, okay. "He has**
24 **a shrug at the present and hopefully this could be**
25 **maintained." You know, I actually have this in my**

Page 94

1 records as well.
2 Q. Okay.
3 **A. Now that you show it to me.**
4 Q. Would you agree with me that if Dr. Flexon
5 did specifically warn Mr. Chupp about the potential
6 risk to the spinal accessory nerve during -- I'm
7 sorry -- before the surgery, then there would not be
8 a basis for this hypothetical fourth violation of
9 the standard of care?
10 **A. I think that's correct. I think --**
11 **obviously the patient has to understand a little bit**
12 **what spinal accessory nerve means and what it does,**
13 **but if he did that, then I think there would be no**
14 **violation or deviation from the standard of care.**
15 Q. Let's talk about the three topics which you
16 have articulated as violation of the standard of
17 care. Actually, before we do that, your comment
18 that you thought you had seen that before, I did not
19 see Dr. Flexon's office chart in your materials.
20 Had a page of it perhaps been contained in another
21 chart?
22 **A. I know I've seen that note. And so it's in**
23 **here somewhere. It might be -- I can look toward**
24 **the bottom if you want me to help.**
25 Q. I can tell you the note was discussed in

Page 95

1 the deposition. Is it possible you saw it in that
2 context?
3 **A. I'm quite sure I've seen it. I looked**
4 **through the records this morning, and I'm quite sure**
5 **I saw it in there.**
6 Q. All right.
7 **A. Now that you've shown it to me, I'm pretty**
8 **sure. I think it would be -- I can look for you**
9 **too. I'm pretty sure it would be toward the bottom.**
10 Q. Let's do this...
11 MR. RAY: Matt, I'd like to mark the
12 disk if you could and ask the court reporter to have
13 a copy of the disk made -- printed out and marked as
14 an exhibit.
15 Q. Doctor, I'll split the file with you.
16 **A. Yeah. I'll take the lower half.**
17 Q. Sure. Let me find a breaking point. I
18 don't want to break on one of your stickies.
19 MR. RAY: I'm going to mark the disk as
20 Exhibit 5.
21 (Marked, Exhibit 5, disk containing
22 medial records.)
23 Q. Doctor, there are no medical records in the
24 paper stack that would not be on the disk, correct?
25 **A. No...?**

Page 96

1 Q. Medical records.
2 **A. That's correct. Everything on the disk is**
3 **here.**
4 MR. RAY: Why don't we take a short
5 break while he looks for that.
6 (Discussion held off the record.)
7 Q. Doctor, we've taken about five or ten
8 minutes to afford you an opportunity to look through
9 the paper printed out chart. Up to this point you
10 have not been able to locate a copy of Dr. Flexon's
11 office notes concerning Mr. Chupp, true?
12 **A. Correct.**
13 Q. All right. I also understand, as
14 Mr. Richardson, suggested, that we can look at
15 what's on this disk that I've asked the court
16 reporter to print out. It should be a complete copy
17 of the records supplied to you. If the records were
18 on there, you may have seen it. If they're not, you
19 would not have?
20 **A. Correct.**
21 Q. All right. We were in the midst of
22 discussing the three standard of care violations
23 that you have in this case. The first was failing
24 to find the spinal accessory nerve and remove the
25 lipoma, which we've already agreed was actually a

Page 97

1 hemangioma, while in view. I'd like you to, if I
2 could ask you to, put a little more meat on the
3 bone, so to speak, of that first violation of the
4 standard of care. What specifically is it that you
5 contend that Dr. Flexon should have done in the
6 course of the surgery that he did not do?
7 **A. Well, you know, I think frankly the two**
8 **standard of care criticisms that are there outside**
9 **of the needle aspiration one are very much**
10 **intertwined, and essentially I think basically the**
11 **obligation of the surgeon in this particular surgery**
12 **is to locate, protect and preserve the spinal**
13 **accessory nerve in the course of the procedure.**
14 **How would he have done that? I think**
15 **that he would have done that by finding the nerve on**
16 **the posterior margin of the sternocleidomastoid**
17 **muscle, and then there are different techniques, but**
18 **you follow the nerve from posterior to anterior**
19 **through the muscle or behind the muscle, wherever it**
20 **goes, keeping the nerve in view the entire time and**
21 **essentially divide the fibers of the**
22 **sternocleidomastoid muscle and remove the tumor away**
23 **from the nerve keeping the nerve in view at all**
24 **times. I believe that if one does that, that the**
25 **function of the spinal accessory nerve will be**

1 preserved.

2 Q. Can we agree that Dr. Flexon did locate the
3 spinal accessory nerve during the surgery?

4 **A. He saw it in one location, I think at the
5 back edge or the posterior edge of the SCM muscle.**

6 Q. Explain to me how if the patient presents
7 with a spinal accessory nerve that does not, in
8 fact, run through the sternocleidomastoid but only
9 runs deep to it, how does one gain visual access to
10 that nerve on the reverse side of the intact
11 sternocleidomastoid muscle?

12 **A. Well, you have to find the nerve either in
13 front of the muscle, anterior to it or posterior to
14 it, usually easier to find it posterior to it,
15 because it's usually more superficial and there's
16 less anatomy in the way. And I think that's what he
17 did. He said he saw it there and stimulated it.**

18 **Once you have that, then the easiest way
19 to do it is take a hemostat or forceps and slide it
20 over the nerve, keep sliding it and spreading it
21 open. If you need to, you can cut through. As you
22 look at the nerve underneath your forceps, you can
23 cut through the muscle fibers, knowing that they're
24 away from that, from the nerve, and just visualize
25 it as you go forward through the muscle. If the**

1 muscle is small enough, you could lift the whole
2 muscle up off of the nerve without cutting through
3 it and just look at it through a tunnel from front
4 and from back, but in most people, especially males,
5 the muscle is pretty big and you're likely going to
6 have to cut through it.

7 Q. In a 6-foot-2, 200-plus-pound weight lifter
8 and construction worker, would you expect it to be a
9 thin sternocleidomastoid that could be easily
10 lifted?

11 **A. No. I think you would have to go through
12 it.**

13 Q. Again, I may not be visualizing the anatomy
14 properly here. If the muscle is in your field and
15 you can only see a piece of the nerve where it
16 emerges from behind the muscle, how do you get to
17 visualize the nerve which is behind the muscle and
18 deep to your field of view without cutting into the
19 muscle?

20 **A. Well, you may not be able to. You may have
21 to cut into the muscle or cut through it in order to
22 follow the nerve through and underneath it. Then
23 you have to be very careful when you come to where
24 the nerve branches to send a branch to the
25 sternocleidomastoid. Otherwise you can cut that.**

1 **You can either do that, or as I said, in a thinner
2 person, female, you might be able to lift the whole
3 muscle up and look under it.**

4 Q. In this case since we're talking about
5 Mr. Chupp, let's leave that option off the table,
6 if we may.

7 **A. Right.**

8 Q. So what happens in the circumstance where
9 you have the sternocleidomastoid muscle in your
10 field of view, you're able to visualize where the
11 nerve emerges at the posterior margin, but that
12 nerve is completely deep to the sternocleidomastoid.
13 It does not involve it or run through it at all.

14 **A. Mm-hmm.**

15 Q. Are you suggesting that you should
16 completely transect the sternocleidomastoid to get
17 down to the nerve beneath it?

18 **A. If that's the situation, yes. Yeah, you
19 can do it and just sew it back together at the end.
20 I mean, it's not -- we do that a lot in exposing
21 structures in the neck. Muscles can be taken apart
22 and put back together. I think it's better than
23 guessing as to where the nerve might be, and
24 especially if there's a tumor that could potentially
25 distort the anatomy and just trusting to luck that**

1 **you're not going to injure it.**

2 Q. Did you understand in this case that
3 Dr. Flexon felt that he was able to keep the deep
4 wall of the sternocleidomastoid muscle completely
5 intact at the close of his case?

6 **A. Mm-hmm.**

7 Q. Is that a yes?

8 **A. Yes. Yes. I'm sorry. Yes.**

9 Q. Did you also understand that Dr. Flexon is
10 of the view that he did not encounter the nerve at
11 any point in the body of the sternocleidomastoid
12 muscle while he was excising the tumor?

13 **A. I believe that was his impression, yes.**

14 Q. If that's true, if it is true that
15 Dr. Flexon was able to fully excise the hemangioma
16 leaving some layer of thickness on the back wall of
17 the sternocleidomastoid muscle intact and he did not
18 encounter the spinal accessory nerve at any time in
19 that excision, would he be correct then that the
20 spinal accessory nerve either passed completely deep
21 to the muscle or passed in a layer of the muscle
22 that still had not been reached?

23 **A. No. I don't think he can make that
24 assumption, because he didn't see the nerve anyplace
25 else other than at the very posterior or back margin**

1 of the muscle. So I don't think he knew where it
 2 was. I think -- frankly I think the results of the
 3 procedure speaks for itself. I think the nerve was
 4 injured in the course of moving it, and...

5 Q. Let's talk a little bit about that then.

6 Perhaps that will help with this first topic. I
 7 guess in fairness you said this. Topics 1 and 2
 8 really do sort of collapse in on one another.

9 A. They're essentially the same thing, yes.

10 Q. So the first violation of standard of care
 11 and the second violation of the standard of care are
 12 really related to the same process in the surgery;
 13 is that fair?

14 A. Correct.

15 Q. And that process is one of finding the
 16 nerve, identifying it and protecting it from injury?

17 A. Yes.

18 Q. That's what 1 and 2 encompass, and that's
 19 what you say Dr. Flexon failed to do?

20 A. Right.

21 Q. You had an interesting word choice in your
 22 affidavit that I wanted to ask you about. I don't
 23 need to look it up. I remember it. You said the
 24 nerve was "diminished," which is an interesting word
 25 choice, and I assume was a deliberate word choice.

1 Let me ask you this: Can you tell me
 2 the precise form of mechanism of injury which this
 3 nerve suffered? For example, can you tell me if it
 4 was stretched? Can you tell me if it was stunned?
 5 Can you tell me if it was burned? Can you tell me
 6 if it was partially transected? Can you tell me if
 7 it was completely transected? Can you tell me if
 8 its blood supply was cut off? Of course that's
 9 compound, but can you tell me specifically what the
 10 mechanism of injury is in this case?

11 A. Let me back up and say I don't think the
 12 word "diminished" was actually my choice of words,
 13 but I didn't strongly disagree with it, so I didn't
 14 -- again, I don't recollect specific conversations
 15 about this, but obviously I didn't change it if it
 16 weren't mine to begin with. I think "diminished"
 17 can mean anything from a little bit to no function
 18 at all. So I think I didn't argue with that.
 19 Specifically can I say what happened? I think I
 20 could say more likely than not what happened, but I
 21 cannot say, based on the fact that Dr. Flexon didn't
 22 tell us all the instruments were used, every
 23 manipulation that he did and also didn't see the
 24 nerve anywhere other than the posterior border of
 25 the sternocleidomastoid muscle, specifically what

1 happened to it. But I think I can say more likely
 2 than not, just based on how we did the operation
 3 what it would have been.

4 Q. And what is that?

5 A. I think it would have been damaged with the
 6 cautery instrument or the harmonic scalpel that he
 7 was using.

8 Let me just say, I think stretch
 9 injuries are -- unless they're extremely violent,
 10 are not prone to give permanent injuries such as
 11 this. Blood supply, I am very doubtful, cutting off
 12 the blood supply would be harmful because it wasn't
 13 really along that kind of stretch of nerve, and
 14 Dr. Flexon didn't discuss or describe dissecting off
 15 the -- dissecting the nerve out and stripping its
 16 vascular supply. So I think, I would say more
 17 likely than not, it would be the cautery instrument,
 18 the cutting instrument that was utilized to go
 19 through the muscle.

20 Q. You said cautery, and you also said a
 21 harmonic scalpel. Are those two different things?

22 A. They are different, yes. The harmonic
 23 scalpel I think works more of a different kind of
 24 energy than heat.

25 Q. At what stages in the case did Dr. Flexon

1 use electrocautery?

2 A. I'm not certain he used it much at all. I
 3 think the harmonic scalpel, I think he referred to
 4 as being what he used the most. They might have
 5 used cautery to stop bleeding, raising flaps and so
 6 on, but I'm not certain.

7 Q. Forgive me because a moment ago you just
 8 told me that you could say to a reasonable degree of
 9 medical probability, which is to say more likely
 10 than not, that the damage was done either by cautery
 11 or harmonic scalpel; is that correct?

12 A. Yeah, I think that -- perhaps I misspoke.
 13 He was quite clear, I think, in stating that he used
 14 the harmonic scalpel to cut the CM muscle and didn't
 15 use cautery in that area.

16 Q. So does that change your opinion? Don't
 17 take my word for it. You change it if I'm wrong.
 18 Is your opinion then that you believe more likely
 19 than not, which is to say within a reasonable degree
 20 of medical probability, that was the use of the
 21 harmonic scalpel in this case that caused the injury
 22 to the nerve?

23 A. I think I would say that, because I don't
 24 think there were other instruments utilized, at
 25 least according to his description of the procedure

Page 106

1 in the area that would cause that kind of damage,
2 and that is dysfunction or diminished function, if
3 you want to call it that, of the spinal accessory
4 nerve.
5 Q. Can you -- I'm sorry. I didn't mean to cut
6 you off. Go ahead.
7 A. And I don't think stretch injury or cutting
8 off the blood supply would be reasonable assumptions
9 with regard to that.
10 Q. What about a crushing injury of the nerve?
11 Could this have been a crushing injury of the nerve?
12 A. It could be. It's a possibility. I think
13 it's less likely. Usually crush means you would use
14 a hemostat or a clamp to crush it. Again, I don't
15 think that would represent very good technique.
16 It's a possibility, but I don't think it's the most
17 likely.
18 Q. Can you tell me, to within a reasonable
19 degree of medical probability, specifically what
20 type of injury you believe the harmonic scalpel did
21 to the spinal accessory nerve in Mr. Chupp?
22 A. I think I can only say that whatever injury
23 it was, it was enough to cause Mr. Chupp to
24 experience symptoms of dysfunction of his trapezius
25 muscle, fairly classic symptoms of denervation or

Page 107

1 significantly diminished function of the trapezius.
2 Also, findings on the EMGs that were
3 done by Dr. Kholi, if I'm saying his name right.
4 Q. I think it's Kholi, but...
5 A. Kholi, I'm sorry. So it was something to
6 the extent that it interfered with the conduction of
7 electrical impulses down the nerve.
8 Q. But to be clear, you don't know if it
9 rendered the nerve in two or if the nerve was still
10 intact but simply lost the ability to transmit; is
11 that correct?
12 A. Right. I think the bottom line is the
13 same. The patient ends up in the same way. But
14 whether it was actually transected or cut in two or
15 heat energy or energy from the scalpel injured it to
16 the extent that it didn't cut in two but couldn't
17 function anymore I don't know.
18 Q. Can you state within a reasonable degree of
19 medical probability if you believe the harmonic
20 scalpel that was manipulated by Dr. Flexon actually
21 came into direct contact with the spinal accessory
22 nerve?
23 A. I couldn't rule that out certainly because
24 he didn't really see the nerve, other than at the
25 posterior edge of the SCM muscle, but I can only go

Page 108

1 by what he said he did in the operation and how the
2 patient ended up to know that something interfered
3 with the function.
4 Q. I'm going to object to the responsiveness.
5 Let me ask that question again if I may.
6 Can you state to within a reasonable
7 degree of medical probability, which is to say can
8 you say more likely than not, that Dr. Flexon's
9 harmonic scalpel, in fact, actually came into
10 physical contact with the spinal accessory nerve?
11 A. No. I don't think I can say that to a
12 reasonable degree of medical certainty.
13 Q. If the harmonic scalpel did not come into
14 direct contact with the spinal accessory nerve, by
15 what mechanism do you believe the energy from the
16 scalpel would have or could have caused injury to
17 the spinal accessory nerve?
18 A. Well, I think that it can be -- without
19 absolutely contacting it, my understanding is that
20 it can contact -- I mean, come close enough, that
21 there's still a little diffusion of energy. It
22 doesn't go very far, but my understanding is it
23 doesn't have to touch the structure absolutely. And
24 I've said also that I keep in mind that there could
25 be other potential causes of injury to the nerve. I

Page 109

1 only know that the nerve was injured and...
2 Q. But all of the other potential causes that
3 you point to, you have already said you cannot say
4 more likely than not that they happen to be true?
5 A. I think those are less likely, yes.
6 Q. So the only cause you can say more likely
7 than not you believe did happen is the use of the
8 harmonic scalpel, true?
9 A. I think that is the most likely of the
10 causes. So I think more likely than not it was
11 that. Whether it was by specific actual contact
12 with the nerve or coming very close to it, I can't
13 say.
14 Q. Can you tell me at what stage in the
15 procedure and at what firing of the harmonic scalpel
16 you believe this injury occurred to the spinal
17 accessory nerve?
18 A. No, I couldn't say that.
19 Q. Do you know the particular make and model
20 of the harmonic scalpel that was employed by
21 Dr. Flexon in this case?
22 A. I think he called it an ACI, but beyond
23 that I'm not familiar with it.
24 Q. Do you use harmonic scalpels in your own
25 performance of head and neck insuring?

Page 110

1 **A. No, I don't.**
2 Q. Have you ever used a harmonic scalpel in
3 the course of your career?
4 **A. I don't think I have.**
5 Q. Have you ever done any research or
6 published any papers on harmonic scalpels and their
7 potential for injury intraoperatively?
8 **A. I've read certainly the fliers. I've been**
9 **shown the instruments. I happen to like what I use.**
10 **I'm happy with the way I do it, so I haven't chosen**
11 **to make a change. But beyond that, in terms of --**
12 **I've read about the instrument, and certainly**
13 **companies who sell them have sent me literature on**
14 **them and asked me to use them. But beyond that, I**
15 **haven't --**
16 Q. If you had been performing this surgery or
17 a surgery similar to this surgery, what device would
18 you have used for the primary excision and
19 separation of the muscle nerve fibers?
20 **A. I ordinarily do it -- we utilize this a lot**
21 **in various neck dissections. I ordinarily do it by**
22 **putting a hemostat -- just a clamp over the nerve**
23 **and lifting anything above it that I want to cut,**
24 **and I tend to use a cautery.**
25 Q. Can you tell me where along the course of

Page 111

1 the spinal accessory nerve you believe more likely
2 than not this injury occurred?
3 **A. Well, my understanding is that both the**
4 **sternocleidomastoid muscle and the trapezius muscle**
5 **were denervated. I looked at that quite a bit. Not**
6 **everybody talked about the SCM muscle. But I think**
7 **-- it seemed to me the nerve conduction studies**
8 **seemed to indicate that both those muscles were not**
9 **functioning. And so it would be on the upside or**
10 **proximal side or cephalic side of the nerve.**
11 Q. At some point proximal to where it could be
12 visualized leaving the posterior margin of the
13 spinal accessory nerve?
14 **A. Yes.**
15 Q. I'm sorry. Of the sternocleidomastoid
16 muscle?
17 **A. Yes.**
18 Q. Now, you know that Dr. Flexon stimulated
19 the spinal accessory nerve intraoperatively at or
20 about the point where it passed the posterior margin
21 of the sternocleidomastoid, correct?
22 **A. Yes.**
23 Q. And you know that as of the end of the year
24 when he did that stimulation, that he did see a
25 shrug before closing, correct?

Page 112

1 **A. Yes.**
2 Q. And I assume your point or position on that
3 would be that to the extent the lesion or injury was
4 more proximal, a distal stimulation doesn't tell you
5 anything about what the condition of the nerve may
6 have been proximal to the point of stimulation.
7 **A. At that point, that's correct, yes.**
8 Q. The portion of the spinal accessory nerve
9 which you believe was injured intraoperatively, do
10 you believe it was a portion that was either inside
11 the sternocleidomastoid or deep to the
12 sternocleidomastoid, depending upon what its pathway
13 was?
14 **A. I think more likely than not, yes.**
15 Q. I think we established earlier, you don't
16 know one way or the other whether this particular
17 spinal accessory nerve in Mr. Chupp either runs
18 through the sternocleidomastoid or deep to it, true?
19 **A. I'd have to look through the records again.**
20 **I think at most only the -- the nerve only went**
21 **through the posterior part of the muscle. So**
22 **anterior to that or in front of that, it apparently**
23 **was deep to the muscle.**
24 Q. Is it possible that Dr. Flexon -- strike
25 that. Let me ask it a different way.

Page 113

1 I asked you a little earlier about
2 Dr. Flexon's description of how he was able to
3 maintain the continuity of a partial thickness of
4 the back wall of the sternocleidomastoid. You
5 recall that discussion?
6 **A. Yes.**
7 Q. Is it possible in your view that the spinal
8 accessory nerve could have passed through the
9 posterior -- strike that -- the back wall of the
10 spinal accessory? Strike that. I'm a little tired.
11 Is it possible that the spinal accessory
12 nerve could have passed through the back wall of the
13 sternocleidomastoid muscle at a depth that
14 Dr. Flexon never actually reached it visually, but
15 it was close enough to it that when the harmonic
16 scalpel was being used that it could pass through
17 those muscle fibers and cause injury to the spinal
18 accessory nerve?
19 MR. RICHARDSON: I'll object to form.
20 You can answer.
21 **A. I just want to understand. You're talking**
22 **about now -- we're assuming that the nerve is medial**
23 **to or deep to on the inside of the muscle?**
24 Q. Yes, sir. I guess what I'm saying is that
25 we know that Dr. Flexon carved out a portion of the

1 sternocleidomastoid, but the back wall was left
2 intact.

3 My question is, is it possible that the
4 spinal accessory nerve could have passed through
5 that thinned-out back wall, that that's where it
6 engaged the muscle, would still, therefore, not be
7 visualized by Dr. Flexon as he got as deep as he
8 did, but he could still injure it with a scalpel
9 unwittingly because of the thinness of the wall that
10 was left between it and the nerve? Does that
11 question make sense?

12 **A. I think it does. And I'll say yes, I think**
13 **that's possible. And that's really where my**
14 **criticism is. You shouldn't cut anything in this**
15 **location without having the nerve in direct view.**
16 **So I think your question is assuming that he doesn't**
17 **see it and he's just cutting somewhere and he**
18 **happens to get the nerve. I think the important**
19 **part of this procedure is that you see the nerve at**
20 **all times and anything that you cut or get close to**
21 **is not the nerve. That's my point.**

22 Q. Not to beat a dead horse, but to circle
23 back to a question I asked you earlier then. Are
24 you saying that the standard of care would require
25 in a patient like Mr. Chupp with a large, thick,

1 has gotten beneath the lesion and he's able to fully
2 excise the lesion and he still has not encountered
3 the spinal accessory nerve at any point, are you
4 saying that the standard of care requires him to
5 keep excising below that level until he finds the
6 spinal accessory nerve?

7 **A. No. But I think your question is assuming**
8 **something that probably isn't true, and that is that**
9 **he didn't encounter the spinal accessory nerve. I**
10 **think if you can be absolutely sure that you are not**
11 **encountering it, then it probably is a reasonable**
12 **way to do the operation. But I don't think that was**
13 **accomplished in this case. So I think the nerve was**
14 **encountered. I think he just didn't recognize it.**

15 Q. But the only way in which you are able to
16 have that opinion, I assume, is because you know the
17 outcome, by which I mean you know the end state
18 condition in which Mr. Chupp found himself, true?

19 **A. No. I mean, I think that -- in this**
20 **particular type of surgery and in many types of**
21 **surgeries that otolaryngologists do, carotid surgery**
22 **with the facial nerve, thyroid surgery with the**
23 **recurrent laryngeal nerve, you have to see the nerve**
24 **at all times when you're removing tissue. You have**
25 **to start in a place where you can see it, find it**

1 sternocleidomastoid muscle, that in every instance
2 in a surgery like that, you would have to cut
3 entirely through that muscle to make sure you
4 visualize the nerve?

5 **A. No, not in every instance. I think you**
6 **have to go as far as where you have to go in any**
7 **particular person to see the nerve. If the nerve**
8 **goes straight halfway through in terms of the depth**
9 **of the muscle, then you only have to go halfway**
10 **through. If it goes all the way through, then you**
11 **either have to go all the way through and see it --**
12 **as I said, you can put it back together -- or if**
13 **it's thin enough, you can lift it up and look under**
14 **it.**

15 Q. Maybe I'm dense, and I apologize.

16 **A. You're not.**

17 Q. Let me try to get at this a different way.

18 So are you telling me that if a surgeon
19 like Dr. Flexon approaches a case like this and he
20 is able to slowly and carefully dissect the muscle
21 fibers down around this lesion as he goes, going
22 through careful slow planes with careful slow
23 dissections, and at no layer or level does he
24 encounter the spinal accessory nerve as he
25 progresses and he gets even to the point where he

1 **without injuring things and follow it through there**
2 **through places where you can't see it by exposing**
3 **and removing things so that you can see it. So you**
4 **have to have it in view at all times. I think**
5 **that's really the key to any kind of nerve**
6 **preservation in surgery in the head and neck. If**
7 **you don't do that, then injuries come about.**

8 Q. What specifically -- well, strike that.
9 Let's do this two ways. Let's assume for purposes
10 of this question that somehow you as the operating
11 surgeon on a case like Mr. Chupp's could simply know
12 that the spinal accessory nerve was deep to the
13 sternocleidomastoid. If you could just know that
14 going into the case.

15 **A. Mm-hmm.**

16 Q. How would you approach Mr. Chupp's case so
17 as to protect the nerve?

18 **A. Well, I mean, if I had some -- I don't**
19 **think it's feasible, but if I had some God-given**
20 **guarantee that the nerve was nowhere near where I**
21 **was working, then I suppose I wouldn't have to worry**
22 **about it. I could just carve out the mass, but I**
23 **wish it were that way.**

24 Q. Let's ask the question a second way. Let's
25 assume that you knew -- again, with certainty --

Page 118

1 that the spinal accessory nerve passed through the
2 sternocleidomastoid muscle in this patient like
3 Mr. Chupp. And you even have some sense at what
4 level or depth that passage takes place. What steps
5 would you as the operating surgeon have to take
6 consistent with the standard of care to protect that
7 nerve?

8 **A. I think one has to start usually at the**
9 **posterior or back edge of the muscle where you can**
10 **see the nerve, and then you -- again, the way --**
11 **there may be other techniques that people use that**
12 **are different than mine, but the one I do and the**
13 **one I've seen most people do is slide a hemostat**
14 **over the nerve and spread it so that you can see the**
15 **nerve underneath where you are and then cut**
16 **everything above it knowing that your instruments**
17 **aren't touching the nerve or damaging it.**

18 Q. And this is a nerve in the body of the
19 sternocleidomastoid muscle that you're talking
20 about?

21 **A. Yes. You know, in neck dissections, at**
22 **least my experience, is that that's what it is most**
23 **of the time.**

24 Q. You and I had a conversation earlier about
25 the general course of the spinal accessory nerve and

Page 119

1 we agreed that its movement generally from the skull
2 is that it moves to a more anterior and inferior
3 position as it leaves the skull -- I'm sorry -- a
4 more posterior and more inferior position as it
5 leaves the skull, correct?

6 **A. Yes.**

7 Q. I know you have not seen the drawing that
8 was attached to Dr. Flexon's deposition, but I'd
9 like you to assume that in that drawing there is a
10 substantial distance along the sternocleidomastoid
11 from interior to superior between where the mass is
12 drawn and where the spinal accessory nerve is
13 visible at the posterior margin of the
14 sternocleidomastoid.

15 **A. At the posterior margin?**

16 Q. At the posterior margin. Where it's
17 visible at the posterior margin. In your
18 experience, at the point at which the spinal
19 accessory nerve becomes visible at the posterior
20 margin of the sternocleidomastoid, isn't it true
21 that the spinal accessory nerve's course through the
22 sternocleidomastoid is generally more superior to
23 that point?

24 **A. Yes. In other words, it's directed upward**
25 **from that point, and it tends to kind of flatten out**

Page 120

1 **or plateau out as it goes more posteriorly, yes.**

2 Q. That being the case, when a surgeon feels
3 that he's operating on a mass that is measurably
4 inferior or lower along the sternocleidomastoid,
5 does that surgeon have a reasonable expectation that
6 the spinal accessory nerve's pathway is above or
7 beyond the surgical field?

8 **A. Well, I think, you know, generally that**
9 **could be an expectation, but I don't think anything**
10 **can replace actual visualization of the nerve. I**
11 **don't think that one can make assumptions.**

12 Q. Bear with me a moment, if you would. Did
13 you see any signs of nerve tissue being present on
14 the pathology specimen?

15 **A. No.**

16 Q. If Dr. Flexon had actually cut or taken
17 away a piece of the spinal accessory nerve during
18 the surgery, would you have expected the pathology
19 department to have identified that?

20 **A. Well, if a section were taken out of the**
21 **nerve and it was attached to the specimen and sent**
22 **with the specimen, I would expect the pathology**
23 **department to mention that. The nerve is a good**
24 **size nerve, about the size of that rubber band**
25 **there, the smaller rubber band.**

Page 121

1 Q. About the size of a thin piece of
2 spaghetti?

3 **A. Yes, about that size.**

4 Q. About that color too?

5 **A. Maybe a little whiter, but similar.**

6 Q. The color of spaghetti.

7 **A. Oh, yes, the color of spaghetti, yes.**

8 MR. RAY: Off the record.
9 (Discussion held off the record.)

10 Q. Have we fully explored the first and second
11 violations of the standard of care which you
12 attribute to Dr. Flexon in this case?

13 **A. I think we have, yes.**

14 Q. Let's turn our attention then to the third
15 violation of the standard of care which you
16 attribute to Dr. Flexon. And I know I wrote it down
17 somewhere. Hold on.

18 **A. Needle aspiration.**

19 Q. So the third violation of the standard of
20 care that you attribute to Dr. Flexon in this case
21 is failure to perform a fine needle aspiration on
22 the mass, I guess, before proceeding with his
23 surgery; is that correct?

24 **A. Yes.**

25 Q. All right. Let's talk a little bit about

Page 122

1 FNAs and FNA technique and that sort of thing.
 2 First off, would you agree with me that an FNA
 3 involves sticking a hypodermic needle into a mass
 4 drawing off a comparatively small quantity of cells
 5 and making those available for a smear cytology type
 6 examination?
 7 **A. Yes.**
 8 Q. Would you agree with me that FNAs in all
 9 masses of all types carry with them known rates of
 10 false negative results?
 11 **A. Yes.**
 12 Q. Would you agree with me that FNAs as
 13 applied to sarcomas -- strike that.
 14 Do you happen to know what the false
 15 negative error rate is for FNA testing in sarcomas?
 16 **A. I don't think I could give you a percent.**
 17 **I don't know the answer to that.**
 18 Q. Would you know if FNA sensitivity is lesser
 19 in sarcomas than other lesions?
 20 **A. Well, I don't know it as a fact, but I**
 21 **would assume that it is, because the more solid a**
 22 **tumor, the harder it is to draw cells out. So the**
 23 **more liquid a tumor is, the more cells one can**
 24 **usually get. But there's no question with any**
 25 **needle aspiration there is a rate of false**

Page 123

1 **negativity.**
 2 Q. You believe Dr. Flexon should have done an
 3 FNA as an intermediate step in this case; is that
 4 correct?
 5 **A. As a preoperative step, yes.**
 6 Q. All right. Is that an FNA that you would
 7 have expected him to perform himself?
 8 **A. Well, I do them myself unless I can't**
 9 **palpate a mass, in which case I ask a radiologist to**
 10 **do it. But radiologists do it or surgeons do it.**
 11 Q. Is this an FNA that you would envision
 12 being transdermal or an FNA in which you would
 13 envision a surgical excision being made and then the
 14 cells taken off?
 15 **A. No. One could do this right in the office**
 16 **setting, put a little xylocaine or Novocain in.**
 17 **Apparently this was a very easily and palpable and**
 18 **visible mass. So I think it would be very easy to**
 19 **get a needle into it.**
 20 Q. Would you agree with me that neither you
 21 nor I have any way of knowing for certain what the
 22 pathological interpretation of that FNA biopsy would
 23 have been had one been done?
 24 **A. Correct. I mean, I think you would have**
 25 **had to do it to see for certain what it was going to**

Page 124

1 **be, but that's correct.**
 2 Q. Can you and I agree that one of the working
 3 suspicions that Dr. Flexon had when he first met
 4 with Mr. Chupp and assessed this mass was the
 5 possibility that it was a sarcoma?
 6 **A. Yes.**
 7 Q. I think in fairness we could also agree
 8 that it was probably fairly low in Dr. Flexon's
 9 differential based on his dictation at the time?
 10 **A. Yes.**
 11 Q. Is that also true?
 12 **A. Yes. But it was even mentioned in the**
 13 **differential diagnosis by the radiologist who did**
 14 **the CT scan of the neck.**
 15 Q. Would you agree with me that even if
 16 something has a low index of suspicion in the
 17 differential, if it is something as potentially
 18 life-threatening as sarcoma, that it is still
 19 entitled to serious consideration and work?
 20 **A. Yes.**
 21 Q. In this case, we know that the mass
 22 eventually turned out to be a hemangioma, correct?
 23 **A. Yes.**
 24 Q. Do you have an opinion, to a reasonable
 25 degree of medical probability, as to if an FNA had

Page 125

1 been done as to what you think the pathological
 2 findings would have been?
 3 **A. Yes.**
 4 Q. And what is that?
 5 **A. I think they would have found blood**
 6 **primarily in this. But -- well, yes, they would**
 7 **have found blood.**
 8 Q. Would you agree with me that an FNA finding
 9 of blood would not completely rule out the
 10 possibility of sarcoma or other tumor cells still
 11 being present in the balance of the body of that
 12 mass?
 13 **A. I think that -- I think it would -- if the**
 14 **needle aspiration were done properly, I think on one**
 15 **pass or I think on one section, I think it would be**
 16 **fair to say that it would not one hundred percent or**
 17 **completely rule out the presence of a more ominous**
 18 **problem.**
 19 Q. That being the case, if Dr. Flexon had
 20 performed an FNA as an intermediate step and those
 21 FNA results had come back, as you suggest, perhaps
 22 with principally being blood cells, it would still
 23 be within his professional judgment as a surgeon at
 24 that point to recommend additional surgical
 25 exploration of the mass; would it not?

1 **A. That would be within his judgment, yes.**

2 Q. And it would not be a violation of the
3 standard of care for him to offer that as a
4 treatment option to the patient, true?

5 **A. Correct. No, I don't think at any time it
6 would have been a violation of a standard of care to
7 offer it as an option.**

8 **Without going beyond your question, I
9 think the reason I'm stating that this is a
10 violation of a standard of care is I think it is
11 what a reasonably trained physician in these
12 circumstances would have done as a first test.**

13 Q. Can you and I agree that even if it is a
14 violation of the standard of care in your view for
15 the FNA not to have been done, that if it was still
16 Dr. Flexon's recommendation that the surgery go
17 forward and Mr. Chupp were still willing to go
18 forward with the surgery, then would you agree that
19 the failure to do the FNA, in fact, caused no harm
20 to Mr. Chupp?

21 **A. If the results came back as blood, you
22 mean, or indeterminate?**

23 Q. Yes, sir.

24 MR. RICHARDSON: I'll object to the
25 form, but you can answer.

1 **A. I'm just trying to think how to answer
2 that. I think that a reasonable approach, if that
3 had been done, and if that had been the case, would
4 have been to repeat it. I think if it had been done
5 on a second occasion and it was benign, I think you
6 could say to a reasonable degree of medical
7 certainty that you were dealing with benign disease.
8 In fact, the original diagnosis of the radiologist
9 who read the CT scan was that it was bleeding into
10 the muscle or hemangioma.**

11 Q. Let's --

12 **A. I'm sorry to be carrying on, but I think in
13 that situation one sits down with a patient, tells
14 him percentages and pros and cons of what you're
15 dealing with, and you let the patient by informed
16 consent make a decision with you.**

17 Q. Well, let me ask it this way then: Would
18 you agree with me that although you -- strike that.

19 Let's assume just hypothetically that on
20 some date between when Dr. Flexon first met
21 Mr. Chupp and the day on which he performed the
22 surgery the two of them got together and had an FNA
23 done on some intervening date. Let's just assume
24 hypothetically that that happened.

25 **A. All right.**

1 Q. And let's further assume that after that
2 FNA it came back with an inconclusive negative or
3 non-frightening finding. Let's even assume there
4 was a second pass with the same result. And even
5 after both of those it was still Dr. Flexon's
6 recommendation that Mr. Chupp proceed with the
7 surgery and Mr. Chupp were willing to proceed with
8 the surgery.

9 Assuming all of that to be true, would
10 you agree with me that in that hypothetical
11 scenario, whether the FNA happened or didn't happen,
12 did not cause any harm to Mr. Chupp?

13 **A. The end point would be the same, I think,
14 that he would have gone through with the surgery.**

15 Q. And I understand that you're saying it's
16 possible that the FNA would have resulted in new
17 data that might have led to new decision-making
18 between the provider and the patient, correct?

19 **A. I'll go farther than say "possible." I
20 think it's probable, but with that small change.**

21 Q. But just to be clear here, you think you
22 can have an opinion more likely than not as to what
23 the surgeon's recommendation would have been and
24 what the patient's decision-making process would
25 have been?

1 **A. Well, I think -- and I'm putting myself in
2 Dr. Flexon's shoes here -- I think given the fact
3 this mass had been present for a year and a half,
4 granted it did increase in size, given the findings
5 on CT scan, given the diagnosis of the radiologist
6 who read the CT scan as favoring blood, given the
7 rarity of sarcomas, and given a discussion of
8 potential complications of this surgery, I think
9 it's very reasonable that a patient would have said,
10 "Let's wait a few weeks and see what happens with
11 this. Is there some other way to treat it?"**

12 Q. You would agree with me, though, in
13 fairness, because you're dealing with the mental
14 process of a human being who isn't you, anything you
15 offer about what you think they might do is somewhat
16 speculative on your part, true?

17 **A. I think that's always the case. But just
18 to finish that, I've dealt with thousands and
19 thousands of patients in my career, and I think I've
20 learned something in that time about how people
21 think, and I don't think it's unreasonable that he
22 would have said "Let's wait," with an understanding
23 of what the situation was.**

24 Q. Again, you would agree with me, though,
25 that surgical excision of a, say, roughly two-inch

Page 130

1 by two-and-somewhat-inch painful hemangioma in a
2 large muscle of the neck is not an unreasonable
3 treatment recommendation, true?
4 **A. No. Another fact was that he was told he**
5 **had cancer. Maybe they changed it to say 50/50**
6 **chance you have cancer, he's sent to a cancer center**
7 **to talk to a surgeon to get surgery, I think very**
8 **few people in that situation are going to refuse to**
9 **have something done. I mean, I think if you think**
10 **you have cancer, then obviously you're not going to**
11 **let it sit there. Frankly, I think the chance that**
12 **he had cancer based on all of the history, physical**
13 **findings and if a needle aspiration had been done,**
14 **the radiographic studies, I think it was much less**
15 **than 50/50 chance that he had cancer.**
16 Q. We'll move on from this subject in just a
17 minute. Let me ask one capstone question if I may.
18 I think you answered this implicitly.
19 Would you agree with me that it's
20 entirely possible, even if the FNA testing had been
21 done, that the surgery may have proceeded and the
22 outcome still have been the same?
23 **A. I think it's possible, yes.**
24 Q. Have we fully and fairly explored this
25 third violation of the standard of care which you

Page 131

1 attribute to Dr. Flexon in this case which you
2 captioned as failure to perform an FNA, fine needle
3 aspiration, biopsy?
4 **A. Yes, sir, I think we have.**
5 Q. Dr. Bogdasarian, would you agree with me
6 that Mr. Chupp suffers from a large number of
7 serious neck and right shoulder problems which are
8 completely unrelated to the claimed injury to the
9 spinal accessory nerve on July 26 of 2010?
10 **A. Well, I know that he had had apparently a**
11 **fracture of his neck from an accident when he was a**
12 **teenager. He'd had surgery. I think Ms. Gray**
13 **indicates that at times he would twist his neck or**
14 **stretch it. So I think he had some discomfort**
15 **there. He had a fracture of his right scapula, I**
16 **think, in an altercation. I don't know that that**
17 **had been a problem, but I think he did have**
18 **certainly some injuries before that may have caused**
19 **him some difficulties.**
20 Q. You've mentioned some. Let's run through a
21 few of them in detail, if we could. You would agree
22 he had a neck fracture in the 1980s which led to a
23 fusion at C4-5?
24 **A. Yes.**
25 Q. Were you aware that as of January 10, 2007,

Page 132

1 about three years before the events in this case, he
2 was also found to have spondylosis at C5-6 and C6-7?
3 **A. I believe so, yes.**
4 Q. Were you aware that in October of 2009 --
5 strike that. Were you ever provided with any of
6 Mr. Chupp's medical records from the Gwinnett
7 Medical Center? I did not see them in your file.
8 **A. I think they were referred to, but I did**
9 **not see them.**
10 Q. I'd like you to assume then in October of
11 2009 he had a presentation to the emergency
12 department at that facility, which is an Atlanta
13 area hospital, and told them that he had chronic
14 pain in both shoulders at that time. Keep that fact
15 in mind if you would. You were aware that he had a
16 fracture of his right scapula in 1996?
17 **A. Yes.**
18 Q. And you were aware that he had -- well,
19 strike that.
20 Let me come at it this way: Were you
21 aware or are you of the opinion -- well, strike
22 that.
23 You have not seen any of Dr. Flexon's
24 post-operative office visits with the patient, have
25 you?

Page 133

1 **A. No. I've only seen them referenced in the**
2 **various depositions.**
3 Q. Okay. Do you have an opinion as to whether
4 the scope of the pain and the weakness and the
5 limitations which Mr. Chupp presented with to
6 Dr. Flexon in some of the post-operative visits
7 represented dysfunction or incapacity that was
8 broader than what one would expect from loss of
9 trapezius and sternocleidomastoid function?
10 **A. Because of his previous problems?**
11 Q. Yes, sir.
12 **A. I'm going to plead that I don't know the**
13 **answer to that. I probably am going to need him to**
14 **testify to that. I'm sorry.**
15 Q. Okay. Have you ever seen the MRI findings
16 of October 24, 2010 where Mr. Chupp was found to
17 have a partial thickness tear of the supraspinatus
18 tendon?
19 **A. I saw that report, yes.**
20 Q. Can you and I agree that the partial
21 thickness tear to the supraspinatus tendon is in no
22 way related to the surgery that Dr. Flexon
23 performed?
24 **A. I would agree.**
25 Q. Did you see an assessment that was made by

Page 134

1 Dr. Tobin on October 29 of 2010 where he detected
2 atrophy of Mr. Chupp's supraspinatus and
3 infraspinatus muscle?
4 **A. Yes.**
5 Q. Would you agree with me that any
6 denervation or atrophy of the supraspinatus and
7 infraspinatus are not in any way related to
8 Dr. Flexon's surgery?
9 **A. I looked at that, and I -- the spinal**
10 **accessory, as far as I know, has nothing to do with**
11 **those muscles. So I don't know how I could explain**
12 **that unless it was some disuse atrophy just from not**
13 **using them because of discomfort. To that extent it**
14 **could be related, I suppose, but I don't know of any**
15 **other way.**
16 Q. Let me phrase it this way: You cannot say
17 more likely than not that the infraspinatus or
18 supraspinatus atrophy was related to Dr. Flexon's
19 surgery, true?
20 **A. That's true.**
21 Q. Dr. Tobin believed in his note that this
22 was actually related to a suprascapular nerve
23 injury. Are you familiar with the function and
24 pathway of the suprascapular nerve?
25 **A. I've looked it up before, because it's come**

Page 135

1 **up before in other reviews that I've done, but**
2 **beyond looking it up, I don't have any personal**
3 **experience with it.**
4 Q. Well, if I told you I looked it up and
5 here's what I found: If I told you that the
6 innervation of the supraspinatus and the
7 infraspinatus arises through the suprascapular nerve
8 through the upper trunk of the brachial plexus
9 deriving from C5 and C6, does that sound reasonable
10 to you?
11 **A. Yes, I think that's correct.**
12 Q. Any lack of nerve function along that
13 pathway would not be in any way related to
14 Dr. Flexon's surgery, true?
15 **A. That's true. The spinal accessory nerve is**
16 **called that because there's the accessory branch**
17 **that comes from the brain and then the spinal parts**
18 **that come I think through C2, 3 and 4, if I have**
19 **that correct, but I don't think that there was any**
20 **sign of injury to those, as far as I know, to those**
21 **branches.**
22 Q. In fact, just so we're clear, you believe
23 the injury site -- the only injury site that's
24 related to Dr. Flexon's care is you believe there
25 was a harmonic scalpel injury to the spinal

Page 136

1 accessory nerve proximate to or in the
2 sternocleidomastoid, correct?
3 **A. Yes.**
4 Q. And these other neural pathways and muscles
5 that I've mentioned do not relate to that anatomy?
6 **A. Correct.**
7 Q. Did you see the MRI finding of the November
8 28, 2010 visit to Memorial where the radiologists
9 found severe diffuse fatty atrophy of the entirety
10 of the right teres minor and infraspinatus
11 consistent with chronic denervation?
12 **A. I believe I did see that as well, yes.**
13 Q. All of these muscles I mentioned, the
14 infraspinatus, the supraspinatus, the teres minor,
15 these are part of the rotator cuff, are they not?
16 **A. I think so.**
17 Q. Injury in those muscles and the rotator
18 cuff would not bear any relationship to the surgery
19 of Dr. Flexon, correct?
20 **A. Correct.**
21 Q. Do you know if Mr. Chupp had ever had a
22 rotator cuff injury on the opposite side?
23 **A. I believe he did.**
24 Q. Do you know if rotator cuff injuries of
25 this type are common in weight lifters?

Page 137

1 **A. I'm not an orthopedist. So I could not say**
2 **how common they are. I do believe they happen,**
3 **though.**
4 Q. In light of all of these other limitations
5 on Mr. Chupp's ability to make use of his right arm
6 and shoulder, wouldn't you agree with me that it's
7 entirely possible that a significant portion of the
8 functional limitations and the pain from which he
9 suffers are attributable to these conditions other
10 than the surgery?
11 **A. I don't think so, because he -- I think he**
12 **had all of those things prior to -- I know they**
13 **weren't all documented by MRI, but I believe he had**
14 **those conditions and injuries well prior to the**
15 **surgery by Dr. Flexon, and I think he's testified**
16 **that his symptoms got a lot worse and it was**
17 **witnessed by Ms. Gray as well. I know that -- well,**
18 **I'll leave it at that.**
19 Q. If I were to tell you that the Social
20 Security Administration had made a determination
21 that Mr. Chupp --
22 **A. That's why I left it at that.**
23 Q. -- was already completely disabled two
24 weeks before the surgery, I assume you would have no
25 basis to disagree with their determination?

Page 138

1 **A. Correct. And that was something I was**
2 **going to mention, but I think up until then he had**
3 **been working, so...**
4 Q. You believe he was still working up until
5 2009 -- I'm sorry -- 2010?
6 **A. No, I think he stopped around 2008, 2009,**
7 **something like that.**
8 Q. Do you know if it was any of these physical
9 limitations that he was suffering in the neck and
10 right arm and shoulder that pre-existed the surgery
11 of July 26, 2010 that had led to his stopping work?
12 **A. I can't remember specifically if there was**
13 **something there that caused it. I'm sorry, I'm just**
14 **not recollecting, but I do agree I think he stopped**
15 **working around 2008 or so.**
16 MR. RAY: Matt, are you going to offer
17 Dr. Bogdasarian on issues of damages, long-term
18 prognosis? It would seem to be out of his area of
19 expertise, but I just want to find out before I --
20 MR. RICHARDSON: I don't believe so at
21 this time. I'd have to...
22 MR. RAY: Let me ask a few questions, if
23 I may.
24 Q. You do not routinely, in the course of your
25 practice, treat patients who have suffered a spinal

Page 139

1 accessory nerve injury for that injury, correct?
2 **A. That's correct. I mean, I might refer them**
3 **to an appropriate place.**
4 Q. So someone like a neurologist or a
5 neurosurgeon or an orthopedist might be a person in
6 a better discipline to talk about issues of what the
7 future might hold for Mr. Chupp; is that fair?
8 **A. Well, I mean, I followed many patients with**
9 **spinal accessory nerve injuries and watched their**
10 **courses because, again, we used to remove a lot of**
11 **those nerves with radical neck dissections, but in**
12 **terms of appropriate treatments and remedies and**
13 **perhaps specific disabilities, I would defer to**
14 **those specialists.**
15 Q. At present would I be correct in assuming
16 that the expert testimony that you would envision
17 yourself coming to Toombs County, Lyons and Vidalia,
18 Georgia, to testify about would be those violations
19 of the standard of care that we have already talked
20 about concerning Dr. Flexon; is that correct?
21 **A. That's correct. And I will say that there**
22 **were certain problems that Ms. Gray, for instance,**
23 **observed and Mr. Chupp's, you know, inability to**
24 **reach -- you know, lift a bag of flour above his**
25 **head and that sort of thing, I guess as an**

Page 140

1 **otolaryngologist, from an otolaryngologist's**
2 **perspective, I would agree that those are certainly**
3 **things that can happen with spinal accessory nerve**
4 **injuries, but to get more specific than that,**
5 **probably I wouldn't.**
6 Q. This is an appallingly obvious question,
7 but I need to ask it anyway.
8 What is the evidence that you rely on in
9 this case to support the conclusion that Mr. Chupp,
10 in fact, did suffer a spinal accessory nerve injury?
11 What is it about his current condition? What
12 evidence do you rely on to support that conclusion?
13 **A. Gee, after you preface that, I think I'm**
14 **going to be the person who misses the question who's**
15 **buried in Grant's Tomb.**
16 **I think that I would rely on the medical**
17 **records, which includes certainly the EMG studies,**
18 **the findings of the numerous physicians, the**
19 **emergency department physicians and orthopedists,**
20 **neurologists who followed him afterwards and on**
21 **deposition testimony.**
22 Q. Specifically with regard to the EMG testing
23 that was done by Dr. Kholi in this case, are you an
24 expert in reading and interpreting that EMG report
25 data?

Page 141

1 **A. No. I would have to rely on the**
2 **conclusions of Dr. Kholi.**
3 Q. So you would defer to a neurologist in the
4 interpretation of that data?
5 **A. If I were asked to read that study with**
6 **someone else interpreting it, yes.**
7 Q. Have we fully and fairly explored all of
8 the criticisms and violations of the standard of
9 care which you intend to attribute to Dr. Flexon and
10 testify to at the trial of this case?
11 **A. Yes, I believe we have.**
12 Q. So it would be an accurate statement then
13 for me to say that in all other aspects of his care,
14 evaluation and treatment of Mr. Chupp, you would
15 agree that Dr. Flexon met the standard of care?
16 **A. I guess that's the converse of what you**
17 **asked me.**
18 Q. It is.
19 **A. I think that would be -- yes, I think that**
20 **would be fair to say.**
21 Q. Doctor, would you agree with me that two
22 prudent well-trained physicians in the same
23 discipline could have a good faith difference of
24 opinion as to what the standard of care may require
25 in a given case?

Page 142

1 **A. Yes.**
2 Q. I assume you would not contend that a
3 colleague who disagrees with you on a matter of
4 professional judgment is necessarily wrong simply
5 because they disagree with you?
6 **A. Correct.**
7 Q. You may, in fact, think they're mistaken on
8 a principle reason.
9 **A. I understand, yes.**
10 Q. I assume that you're not suggesting that
11 Dr. Flexon is a poor physician and surgeon in
12 general, true?
13 **A. No, not at all.**
14 Q. You're not suggesting and won't tell the
15 ladies and gentlemen of this jury that he was
16 inadequately or improperly trained, true?
17 **A. No. He trained at a very good place.**
18 Q. And you won't tell the jury that he
19 shouldn't be practicing medicine, correct?
20 **A. Correct.**
21 Q. You just believe in the context of this
22 particular case that he made an error?
23 **A. Correct.**
24 Q. Have you ever had a patient come into your
25 office and make a surreptitious audio recording of

Page 143

1 that patient's office visit with you?
2 **A. I'll say not to my knowledge. I've never**
3 **-- if it's been done, I've not ever had that happen.**
4 Q. You saw the testimony about that in the
5 deposition?
6 **A. I did.**
7 Q. Did that strike you as at all odd?
8 **A. Yes. I think -- I mean, as I look at this**
9 **as a completely objective observer, I would say if I**
10 **was the doctor, I would want to know. Patients**
11 **often come in with someone else who takes notes**
12 **about what you say, and I think it's just so they**
13 **can remember and understand and perhaps look things**
14 **up. I think that's what was contended here, but I**
15 **think it's different.**
16 Q. You would agree with me that having a tape
17 recorder hidden in a foldover magazine is not quite
18 the same thing as having a note taker with you,
19 true?
20 **A. That's true, I think.**
21 Q. As a physician if that were to happen to
22 you, how would you feel about it?
23 **A. Well, I'm sure I wouldn't like it. I think**
24 **it would say something about my relationship with**
25 **the patient, I think. Hopefully I would not say**

Page 144

1 anything that would bother me, but on the other
2 hand, I would prefer -- I would agree that if
3 someone were doing that, that he tells me. I
4 wouldn't object to it if someone wants to record
5 what I said, but it would be nice to know.
6 Q. Have you ever been supplied with a copy of
7 the audio recording from that encounter between
8 Ms. Gray, Mr. Chupp and Dr. Flexon?
9 A. No. I only learned of it within the last
10 24 hours when I read these depositions. Obviously
11 I'm curious about it, but I have not gotten a copy.
12 Q. Have you ever been to Lyons, Georgia or
13 Vidalia, Georgia?
14 A. No.
15 Q. Do you know where those cities are located?
16 A. No. I've been to Georgia to other parts,
17 but not to those particular areas.
18 Q. Have you ever been to Savannah, Georgia
19 where the care in this particular case was rendered?
20 A. No.
21 Q. Do you know Dr. Phillip Flexon or have you
22 ever heard of him?
23 A. No, I don't think so.
24 Q. It's possible you and he may attend the
25 same meetings, I assume?

Page 145

1 A. It's possible.
2 Q. National meetings?
3 A. Yes, it's possible.
4 Q. But he's not someone you've ever come
5 across, correct?
6 A. Correct.
7 Q. In reviewing the medical records and the
8 depositions, you have likely seen the names of other
9 doctors and providers who were involved in the
10 patient's care. Did you recognize any of those
11 other healthcare providers?
12 A. No, I don't know any of them.
13 Q. As you sit here right now, do you intend to
14 do any additional specific work in connection with
15 this case prior to trial?
16 A. No, I don't have any intent. It probably
17 would be nice if I got the records of Dr. Flexon,
18 just to look at them. They were so referred to in
19 the depositions that I would be very doubtful
20 they're going to change anything, but for
21 completeness' sake, I think it would be nice to have
22 those.
23 Q. I recognize this is difficult to predict as
24 you're sitting here right now, but do you anticipate
25 forming any new opinions concerning Dr. Flexon's

Page 146

1 care in connection with this case?
2 A. I don't anticipate that.
3 Q. Would you agree with me that fairness would
4 dictate that if you review new or different
5 materials, or if you develop any new or different
6 opinions that arise from those materials that are
7 different from what you told me here today that you
8 would alert Mr. Cohen and Mr. Richardson to that
9 fact and perhaps afford me an opportunity to come
10 back and ask some follow-up questions?
11 A. Yes.
12 MR. RAY: Let's take a short break. I'm
13 probably finished. I just want to look through my
14 notes.
15 THE WITNESS: Sure.
16 (Discussion held off the record.)
17 Q. Dr. Bogdasarian mentioned he had something
18 he wanted to add. So go ahead, sir.
19 A. Just that you had mentioned in the
20 beginning that you wanted copies of all the
21 depositions that I could find amongst my records.
22 I'm willing to do that, but...
23 Q. Yes, sir. All the depositions which you
24 have given. Obviously I don't want other
25 depositions in your possession, but any deposition

Page 147

1 transcripts that you have that you've given. I'll
2 be happy to pay for the copying costs.
3 A. I understand that. I'm just -- you've
4 obviously been very thorough. You've gone through
5 pretty much everything that's going to be said in
6 those. I'm happy to do it, but I'm just trying to
7 save you some money.
8 Q. I appreciate it, but if you don't mind, I
9 would like to get it.
10 A. All right. I'd be happy to do it.
11 Q. You do have my contact information?
12 A. I do.
13 Q. Dr. Bogdasarian, have you understood all my
14 questions as I put them to you today? And on those
15 occasions where I was not clear, did you give me an
16 opportunity to clarify?
17 A. Unless you've confused me to the point that
18 I don't know I'm confused, then I have understood
19 them.
20 Q. Have we fully and fairly explored all of
21 your opinions in this case?
22 A. Yes.
23 Q. Have I treated you professionally and
24 courteously in your deposition today?
25 A. You have, yes.

Page 148

1 MR. RAY: All right. I believe that's
2 all I have, sir. Thank you for your time.
3 MR. RICHARDSON: No questions.
4 (Discussion held off the record.)
5 MR. RAY: We had said on the record
6 earlier that Exhibit 5, a copy of the disk would be
7 printed. I think it would be easier if we just ask
8 for the CD to be copied, and we'll have a copy of
9 the CD attached to the exhibits and I guess the
10 original should go back to Dr. Bogdasarian.
11 (5:52 p.m.)
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page 149

1 CERTIFICATE OF COURT REPORTER
2 I, Kathleen Mullen Silva, Registered
3 Professional Reporter, do certify that the
4 deposition of JOHN BOGDASARIAN, M.D., in the matter
5 of James Chupp v. Phillip Flexon, M.D., on February
6 7, 2014, was stenographically recorded by me; that
7 the witness provided satisfactory evidence of
8 identification, as prescribed by Executive Order 455
9 (03-13) issued by the Governor of the Commonwealth
10 of Massachusetts, before being sworn by me, a Notary
11 Public in and for the Commonwealth of Massachusetts;
12 that the transcript produced by me is a true and
13 accurate record of the proceedings to the best of my
14 ability; that I am neither counsel for, related to,
15 nor employed by any of the parties to the above
16 action; and further that I am not a relative or
17 employee of any attorney or counsel employed by the
18 parties thereto, nor financially or otherwise
19 interested in the outcome of the action.
20 Transcript review was requested of the reporter.
21
22
23 
24 February 18, 2014
25 Kathleen Mullen Silva, RPR, CRR

Page 150

1 TO: Matthew Richardson
 2 Re: Signature of Deponent John Bogdasarian, M.D.
 3 Date Errata due back at our offices: 03/20/2014
 4
 5 Greetings:
 6 The deponent has reserved the right to read and sign.
 Please have the deponent review the attached PDF
 7 transcript, noting any changes or corrections on the
 attached PDF Errata. The deponent may fill out the
 8 Errata electronically or print and fill out manually.
 9
 10 Once the Errata is signed by the deponent and notarized,
 please mail it to the offices of Tiffany Alley (below).
 11
 12 When the signed Errata is returned to us, we will seal
 and forward to the taking attorney to file with the
 original transcript. We will also send copies of the
 13 Errata to all ordering parties.
 14
 15 If the signed Errata is not returned within the time
 above, the original transcript may be filed with the
 court without the signature of the deponent.
 16
 17
 18 Please send completed Errata to:
 19 Tiffany Alley Global Reporting & Video
 20 3348 Peachtree Rd NE, Tower 200, Ste 700
 21 Atlanta, GA 30326
 22 (770) 343-9696
 23
 24
 25

Page 151

1 ERRATA
 2 I, the undersigned, do hereby certify that I have read the
 transcript of my testimony, and that
 3
 4 ___ There are no changes noted.
 5 ___ The following changes are noted:
 6
 Pursuant to Rule 30(7)(e) of the Federal Rules of Civil
 7 Procedure and/or OCGA 9-11-30(e), any changes in form or
 substance which you desire to make to your testimony shall
 8 be entered upon the deposition with a statement of the
 reasons given for making them. To assist you in making any
 9 such corrections, please use the form below. If additional
 pages are necessary, please furnish same and attach.
 10
 11 Page ____ Line ____ Change _____
 12 _____
 13 Reason for change _____
 14 Page ____ Line ____ Change _____
 15 _____
 16 Reason for change _____
 17 Page ____ Line ____ Change _____
 18 _____
 19 Reason for change _____
 20 Page ____ Line ____ Change _____
 21 _____
 22 Reason for change _____
 23 Page ____ Line ____ Change _____
 24 _____
 25 Reason for change _____

Page 152

1 Page ____ Line ____ Change _____
 2 _____
 3 Reason for change _____
 4 Page ____ Line ____ Change _____
 5 _____
 6 Reason for change _____
 7 Page ____ Line ____ Change _____
 8 _____
 9 Reason for change _____
 10 Page ____ Line ____ Change _____
 11 _____
 12 Reason for change _____
 13 Page ____ Line ____ Change _____
 14 _____
 15 Reason for change _____
 16 Page ____ Line ____ Change _____
 17 _____
 18 Reason for change _____
 19 _____
 20 _____
 21
 DEPONENT'S SIGNATURE
 22
 Sworn to and subscribed before me this ____ day of
 23 _____, _____.
 24
 25 NOTARY PUBLIC
 My Commission Expires: _____

26

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--

--	--	--	--