

1 IN THE CIRCUIT COURT OF THE 17TH  
2 JUDICIAL CIRCUIT, IN AND FOR  
3 BROWARD COUNTY, FLORIDA  
4 CASE NO.: 01005548/08

5

6 ALAN R. WRASE and DIANA L. WRASE, :

7 his wife, :

8 Plaintiffs, :

9 -vs- :

10 JAMES L. CIMERA, M.D., and JAMES L.:

11 CIMERA, M.D., P.A., and DICKENS, :

12 SCHNELL, PERRY GOLDBERG, THAKER, :

13 KRAMPAT, P.A., d/b/a MEDICAL :

14 NEUROLOGY HOLY CROSS HOSPITAL, :

15 INC. d/b/a HOLY CROSS HOSPITAL, :

16 Defendants. :

17

18 DEPOSITION OF: DR. STEVEN IRA BECKER, M.D.

19 FRIDAY, JANUARY 24, 2003

20

21

22 Q & A COURT REPORTING SERVICE

23 425 EAGLE ROCK AVENUE

24 ROSELAND, NEW JERSEY 07068

25 (732) 549-8878

1           Deposition of DR. STEVEN IRA BECKER,  
2 M.D., taken in the above-entitled matter before  
3 Maria L. Gigliuto, a Certified Shorthand  
4 Reporter (License No. XIO2086) and Notary  
5 Public of the State of New Jersey, taken at the  
6 offices of Dr. Steven Ira Becker, 33-00  
7 Broadway, Suite 204, Fair Lawn, New Jersey, on  
8 Friday, January 24, 2003, commencing at 2:00.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 A P P E A R A N C E S:

2

3 FREIDIN & BROWN, P.A.

4 One Biscayne Tower, Suite 3100

5 2 Biscayne Boulevard

6 Miami, Florida 33131

7 (305) 371-3666

8 BY: SUSAN B. ROGERS, ESQ.

9 Attorneys for the Plaintiffs

10

11 BUNNELL, WOULFE, KIRSCHBAUM, KELLER,

12 MCINTYRE & GREGOIRE, P.A.

13 888 East Las Olas Boulevard, Suite 400

14 Ft. Lauderdale, Florida 33301

15 (954) 761-8600

16 BY: ROBERT J. BERMAN, ESQ.

17 Attorneys for the Defendant, James Cimera, M.D.

18 and James L. Cimera, M.D., P.A.

19

20

21

22

23

24

25

1 A P P E A R A N C E S (CONTINUED):

2

3 VIA TELECOMMUNICATIONS:

4 BILLING, COCHRAN, HEATH, LYLES & MAURO, P.A.

5 Suite 301, 888 S.E. Third Avenue

6 Fort Lauderdale, Florida 33316

7 BY: KENNETH MORGAN, ESQ.

8 Attorneys for the Defendant, Holy Cross Hospital

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 INDEX

2 WITNESS EXAMINATION BY PAGE

3 DR. STEVEN IRA BECKER, M.D.

4 MR. BERMAN 6

5 MR. MORGAN 102

6

7

8 EXHIBITS

9 NUMBER DESCRIPTION PAGE

10 Defendant's 1 Correspondence 104

11 Defendant's 2 Billing records 104

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 DR. STEVEN IRA BECKER, M.D.,  
2 with offices at 33-00 Broadway, Suite 204, Fair  
3 Lawn, New Jersey, having been first duly sworn,  
4 is examined and testifies as follows:

5

6 EXAMINATION

7 BY MR. BERMAN:

8 Q. State your name, please.

9 A. Steven I. Becker, B-e-c-k-e-r.

10 Q. Your professional address?

11 A. 33-00 Broadway, Fair Lawn, New  
12 Jersey.

13 Q. And this is your office that we're  
14 at, correct?

15 A. That's correct.

16 Q. Now, I want to thank you, Doctor,  
17 for agreeing to do the deposition, in spite of  
18 the fact that you had not received the \$2,000  
19 which you had indicated was your minimum. Am I  
20 correct, sir, that before you generally do a  
21 deposition, you require payment in advance of a  
22 \$2,000 deposition fee?

23 A. For depositions we set aside an  
24 afternoon, which is four hours, so that we're  
25 uninterrupted, and it's \$500 an hour.

## S. BECKER - MR. BERMAN

1 Q. But you require a minimum of four  
2 hours?

3 A. Yes, yes, we set aside either a  
4 morning or an afternoon; it's a four-hour  
5 period.

6 Q. And that leads me to my next  
7 question.

8 Your rates for deposition are what,  
9 sir?

10 A. \$500 an hour. Review of charts are  
11 \$400 an hour, and a day outside the office is  
12 \$6,000.

13 Q. So, in other words, if you were to  
14 come to Florida, you would charge \$6,000?

15 A. For the day, yes.

16 Q. For the day. Would the day start  
17 when you left, let's say, you left the night  
18 before?

19 A. No, it would start the day of. If  
20 I had come up the night before, I usually leave  
21 after the testimony, so it's for one day. If  
22 the day extends to two days of testimony,  
23 that's a different story.

24 Q. Now, at present, how many active  
25 cases do you have that you're acting as a

## S. BECKER - MR. BERMAN

1 medical legal consultant?

2 A. I can't give you an exact number

3 which are active. I've done cases for many

4 years, I have cases I don't know if they're

5 active or not. I have about two dozen cases

6 where I'm pretty sure are active. Some of them

7 date back many years.

8 Q. And the reason why some of them

9 date back many years is you may have been

10 consulted, but they just haven't reached the

11 trial stage yet?

12 A. Either that, but I don't know

13 whether they've moved forward, but we have not

14 been instructed to throw away the chart. So

15 they're in storage or whatever until they pop

16 up again.

17 Q. Do you have a list of cases that

18 you have testified in or reviewed indicating

19 the names of the attorneys by whom you were

20 retained, names of opposing counsel --

21 A. No.

22 Q. -- and dates of testimony?

23 A. No, I don't.

24 Q. Okay. Have you ever testified in a

25 federal court?

## S. BECKER - MR. BERMAN

1 A. Many years ago. And the list  
2 you're referring to would be old by several  
3 years.

4 Q. Do you have that list?

5 A. I would have to dig it up. The  
6 answer is, I don't know. It's been a while,  
7 but for federal court we had to do a list.

8 Q. You haven't testified in federal  
9 court for how long, sir?

10 A. I've never testified in federal  
11 court.

12 Q. Okay. Could you give me an  
13 approximation of the number of depositions  
14 you've given in the last five years?

15 A. I'm going to say, and it's just a  
16 guesstimate, maybe 45 to 50 in the past five  
17 years. I don't know. In the many years I know  
18 it's over 100, but that's over 18 years.

19 Q. That would be another question I'm  
20 going to ask you which is, when did you start  
21 doing medical legal work?

22 A. About 18, 19 years.

23 Q. Approximately how many trials have  
24 you testified in in the last five years?

25 A. Oh, I can't even give you five

S. BECKER - MR. BERMAN

1 years. I've known through my 18 years, 19  
2 years, I've done about 50, low 50 trials. Not  
3 all of them are necessarily medical  
4 negligence. Majority are. But they're related  
5 to medical legal issues.

6 Q. Okay. You said the majority are  
7 medical negligence.

8 Approximately what percentage?

9 A. I would say of the times I've been  
10 in court, probably, I would say, about 90  
11 percent.

12 Q. And just so that I understand you,  
13 you testified in the last 18 or 19 years in  
14 approximately 50 to 55 trials?

15 A. Thereabouts.

16 Q. Okay. And of those of the times  
17 that you've testified at trial, what percentage  
18 has been for patient or plaintiff, as opposed  
19 to a health care provider?

20 A. Trial testimony has been for  
21 plaintiffs. The times I've been in court.  
22 I've given deposition testimony, and that has  
23 been plaintiff and defense.

24 Q. What percentage of depositions you  
25 have given are plaintiffs or patients, as

## S. BECKER - MR. BERMAN

1 opposed to for defendants or health care  
2 providers?

3 A. Again, that's a figure I can't  
4 really give you. I can only tell you of the  
5 active cases I review now, 25 percent are  
6 defendant work, 75 percent are plaintiff work.  
7 I've given both sided depositions throughout  
8 the years.

9 Q. Have you ever evaluated a case for  
10 a defense firm in Florida and, if so, who?

11 A. No, I can't recall in Florida. I  
12 have in other states. Florida, I may have, but  
13 I can't recall.

14 Q. What states are you licensed to  
15 practice medicine in?

16 A. New York and New Jersey.

17 Q. And I have to ask this question.  
18 Have you ever had your privileges suspended or  
19 revoked in any of your hospitals?

20 A. No.

21 Q. Have you ever had your license  
22 suspended or revoked in any state?

23 A. No.

24 Q. Have you ever had any disciplinary  
25 hearings of any kind?

## S. BECKER - MR. BERMAN

1 A. Yes, in New Jersey I took a  
2 reprimand for doing nothing wrong, and it was  
3 sent to New York, and we went into an Appellate  
4 Division, they reviewed the consent order, and  
5 they agreed the consent order said there was no  
6 wrongdoing. The New York issue was, I did take  
7 a reprimand to have the agreement was to have  
8 x-ray techniques reviewed by our radiologist on  
9 a periodic basis.

10 Q. As part of the consent decree, did  
11 you acknowledge you had filed false statements  
12 for an application in privileges for New  
13 Jersey?

14 A. No, I acknowledged I made  
15 statements that were true and accurate as of  
16 the time.

17 Q. The consent order did not  
18 acknowledge you had filed false statements for  
19 an application in privileges for New Jersey?

20 A. It says I made the statements. We  
21 agreed we made the statements, the statements  
22 were correct at the time. These things were in  
23 court at the time, so what they claimed --  
24 these were in court, and the decisions had not  
25 been made. These were court actions, yes,

## S. BECKER - MR. BERMAN

1 there is an acknowledgment, not that they were  
2 wrong since, you know, it says clearly there  
3 was no wrongdoing, no liability, no violation,  
4 of statutes, standards or codes.

5 If I made a misstatement on that, I  
6 would have violated a code or a standard, it  
7 says that right in there, so if they  
8 acknowledge I didn't do that, then I didn't  
9 make a misstatement.

10 Q. My understanding is that in that  
11 consent decree you also acknowledge you did not  
12 talk about a fee dispute you had over some  
13 issues with some provider.

14 A. No. They claim that they made  
15 inquiries about fees. We answered all the  
16 inquiries, and these dated back eight years.  
17 They said an inquiry is an investigation, and  
18 we said no, it's not an investigation. And the  
19 judge, this was before a judge, said to him, if  
20 the IRS looks at you and sends an inquiry about  
21 your 1099, are you in violation, are you  
22 fraudulently doing your taxes, they said no.  
23 Well, the investigation and inquiry are two  
24 different animals. They inquired, I responded,  
25 heard nothing for years, and then they said we

## S. BECKER - MR. BERMAN

1 have an investigation. And what they basically  
2 said was no, you didn't have an investigation.

3 Q. And then, to be fair, the consent  
4 decree was sent to New York?

5 A. Correct.

6 Q. And New York then instituted a  
7 proceeding, which I believe you -- where the  
8 Board of Professional Medicine revoked your  
9 license.

10 A. No. The Board of Professional  
11 Medicine looked at the consent order and said I  
12 had done something wrong; therefore, they had  
13 the right to inflict the punishment. The first  
14 punishment was a state suspension. They wanted  
15 to. It was never enacted and they went to an  
16 internal review board. You have no idea. We  
17 went into the internal review board, no, we  
18 don't agree with them. They should revoke his  
19 license and went up to the Appellate Division  
20 of the Supreme Court in New York.

21 Q. It's not the intermediate Appellate  
22 Court?

23 A. In a unanimous decision they said  
24 that there was no wrongdoing because -- you  
25 read that. If they annulled their decision,

S. BECKER - MR. BERMAN

1 made it non -- it doesn't exist, and enjoined  
2 them from ever bringing this up again and  
3 criticized them because they had done this  
4 before, which we didn't know they were going to  
5 do, that they should have never brought this up  
6 because this was unfair. And the other issue  
7 they brought up was there I had no right to  
8 appeal a decision.

9 Their other argument was I had no  
10 right to appeal a decision before they made a  
11 decision; in other words, I would have -- in  
12 other words, I would have had to inform them,  
13 and if I didn't like their decision, I would  
14 have appealed them. The court didn't find that  
15 funny.

16 MS. ROGERS: I wonder why.

17 Q. In any event, after there was a  
18 proceeding by the Board of Professional  
19 Medicine, you disputed what is known as Article  
20 27, and that determination was reversed, and  
21 your license was in full force and effect?

22 A. No, there was an immediate stay.  
23 An immediate stay. That was immediate. So  
24 there was no interim period, that was immediate  
25 where I was allowed to practice. And the

## S. BECKER - MR. BERMAN

1 reason I got an immediate stay, they looked at  
2 the thing, and the stay was not going to a  
3 permanent injunction against the state, until  
4 they finally filed the appeal. And the opinion  
5 was this number is annulled number one and two,  
6 this should never be brought up again, and  
7 number three that document that they said  
8 someone implies wrongdoing says quite the  
9 opposite; says there is no wrongdoing in that  
10 document and, therefore, any implication of  
11 wrongdoing is a misinterpretation of that  
12 document on Appellate Court decision.

13 Q. Right.

14 A. The long and short of it, the New  
15 York is gone.

16 New Jersey I have an opinion what I  
17 say is true, there is no wrongdoing in that  
18 opinion, and I stand by that there is no  
19 wrongdoing. Here we did take a reprimand for  
20 doing nothing wrong. We paid a fee for their  
21 expert, and there was something about x-rays.

22 Q. And then you, for a period of time,  
23 were required to read x-rays under supervision?

24 A. Wrong. No.

25 Q. At least that was what I read.

## S. BECKER - MR. BERMAN

1 A. No, it says monitor/trainer, we  
2 would not accept a monitor, but a trainer who  
3 was or radiologist basically reviewed our  
4 techniques. There was no problem with the  
5 x-rays. It was techniques. He reviewed the  
6 x-rays over a year, report the report, and that  
7 was the end of it.

8 As a matter of fact, I had to do  
9 one x-ray to meet their requirement. We did a  
10 little bit more than we had to do. We no  
11 longer had our centers anymore by that time, so  
12 the x-rays we took were minimum.

13 Q. Was that dispute recording  
14 interpretation of x-rays or whatever?

15 A. Techniques.

16 Q. Techniques. That's fine.

17 A. They had no question about the  
18 medicine. No interpretation.

19 Q. Was that with regard to general  
20 surgery x-rays or vascular surgery x-rays?

21 A. No, it had nothing to do with  
22 x-rays. No, this was for emergency center. I  
23 took 11 x-rays of thousands, I took 11 x-rays.  
24 They looked at my 11. The other were doctors,  
25 and they found fault with every x-ray, but only

S. BECKER - MR. BERMAN

1 went after me, not the other guys that took the  
2 other x-rays.

3 MS. ROGERS: Let me just interpose  
4 an objection for the purpose of the record on  
5 extent now, I'm just going to object to its  
6 relevancy and the admissibility of this line of  
7 questions just for the record. Just for the  
8 record.

9 MR. BERMAN: That's fine.

10 Q. Doctor, the information that I have  
11 is that you have testified or been listed as  
12 reviewing cases in Michigan, West Virginia,  
13 Massachusetts, New York, Kansas, Pennsylvania,  
14 New Jersey, Ohio, Georgia, Illinois, Texas,  
15 Connecticut, North Carolina, Indiana, Utah,  
16 Missouri, Rhode Island, and I may have missed  
17 one or two.

18 And is that a fair statement?

19 A. Yeah, I'm not so sure about Rhode  
20 Island, but the others. Rhode Island maybe  
21 I've seen a case. I don't remember.

22 Q. Any other states that you reviewed  
23 matters where there are proceedings where you  
24 reviewed matters that I haven't mentioned?

25 A. Not that I can recall.

## S. BECKER - MR. BERMAN

1 Q. Okay. And I think you said earlier  
2 that approximately 25 percent of your cases now  
3 are defense and 75 are plaintiff.

4 When did that change, if at all?

5 A. It became more defense over the  
6 past. I'm going to say two, three, four, five  
7 years. I got more defense work than I had  
8 before.

9 Q. Do you know the number of defense  
10 cases you've done over the last 18 or 19 years?

11 A. No, I don't. Right now of the  
12 active cases I have, I have about eight defense  
13 cases that are defense that I reviewed for the  
14 defense. So that brings it up to about, it's a  
15 third, but it's not an accurate number, but I  
16 have defense cases seem to hang around longer  
17 than plaintiff cases, so I have more defense  
18 cases than my general average, only because  
19 they're hanging around more. So if they ever  
20 get settled or whatever happens to them, that  
21 number will go down.

22 Q. What percentage of your income is  
23 derived from medical legal work?

24 A. Five to seven percent, depending on  
25 the year. That varies from year to year.

## S. BECKER - MR. BERMAN

1 Q. What percentage of your time is  
2 spent on medical legal matters?

3 A. I can't give you time because I  
4 usually don't -- my day is not involved for  
5 medical legal except for when I do a deposition  
6 I have to set time aside. Most of the reading  
7 I do is in the evening, it's not part of  
8 professional time, it's no way to tell you how  
9 much time I spent on reading.

10 Q. Do you know how many depositions  
11 you gave last year?

12 A. I have no idea.

13 Q. Have you ever been sued for medical  
14 malpractice?

15 A. Yes, I've had eight suits over my  
16 18 years. Three of them have never come to  
17 deposition. They disappeared. Two were  
18 settled, two we went into court, and one -- and  
19 we lost one in court.

20 Q. Where were these cases, were they  
21 in New York or New Jersey or both?

22 A. All New Jersey.

23 Q. And do you know the names of the  
24 cases that you settled?

25 A. One was Noche, N-o-c-h-e. And the

## S. BECKER - MR. BERMAN

1 other one was Maybe, M-a-y-b-e, I think it is.

2 Q. And in what county were those?

3 A. I'm not sure. They were not in

4 Bergen or Passaic. That, I know.

5 Q. The case that you lost at trial,

6 where was that?

7 A. That was in Passaic County.

8 Q. What was the name of that case?

9 A. Chestnut.

10 Q. Chestnut?

11 A. Chestnut, like the chestnut.

12 Pseudo memberous intero colitis that perforated

13 at the level, and she eventually died.

14 Q. The cases that settled?

15 A. One was what postoperative lead

16 that required a transfusion, and the other one

17 was, it settled on inform consent, he claims he

18 didn't know he was going to have scars.

19 Q. What percentage of your practice is

20 general surgery, as opposed to vascular

21 surgery?

22 A. About 80 percent is general

23 surgery. 20 percent is vascular, and vascular

24 is arterial and veins.

25 Q. I'm sorry?

S. BECKER - MR. BERMAN

1 A. Artery and veins.

2 Q. When you say "artery and veins,"

3 what do you mean?

4 A. Venous surgery is things like

5 stripping, radio frequency closures. Arterial

6 would be bypass, corroded bypass aortal femoral

7 bypass work.

8 Q. What percentage of your vascular

9 practice deals with endarterectomies?

10 A. Lately over the past two to three

11 years I do two to three endarterectomies a

12 year. Previously I did more, but it varies.

13 Q. What percentage of your vascular

14 practice involves operating on stroke patients?

15 A. Most of the people I operated had

16 what's called a TIA, which is what you referred

17 to as a mini stroke or a rind reversible inter

18 cerebral neurovascular deficit. They're like

19 mini strokes, irreversible strokes. Most of

20 the carotid work, either one of those. Usually

21 full-blown prolonged strokes we don't get

22 involved with, I don't get involved with

23 carotid surgery.

24 Q. Why is that?

25 A. Because when they've already had a

## S. BECKER - MR. BERMAN

1 full-blown stroke and have a complete loss,  
2 there is very little you can do. It doesn't  
3 help to revascularize them. In fact, it can  
4 make them worse.

5 Q. In what way can it make them worse?

6 A. If they've had a bleed, and you  
7 supply them with more blood, you can extend the  
8 stroke to a larger area. You only operate on  
9 people who have reversed neurological deficit  
10 and don't have major cerebrovascular vascular  
11 bleeds.

12 Q. Reperfusion syndrome?

13 A. Correct.

14 Q. What you're talking about is that  
15 the reperfusion syndrome?

16 A. Correct.

17 Q. And do you have an opinion as to  
18 whether Mr. Wrase in this case had a TIA or a  
19 rind?

20 A. He had a rind. The difference was  
21 the length of time it lasts. A TIA is usually  
22 within 24 hours you reverse. A rind is longer.

23 Q. And I'm sorry, I didn't hear you  
24 before, a rind is a reversible what?

25 A. Intercerebral neurological deficit.

## S. BECKER - MR. BERMAN

1 Q. How does one diagnose a rind as  
2 opposed to a stroke?

3 A. Well, they all are strokes. They  
4 all are strokes. The difference is the length  
5 of time and the reversibility. There is a  
6 stroke which is nonreversible total loss. No  
7 return in a short period of time. What I mean  
8 by that is one you are left with total hemo  
9 paralysis, you have no significant  
10 improvement. You have a TIA, which is a  
11 stroke, but it reverses very rapidly, usually  
12 24 hours that's reversible. A rind is in  
13 between the two, it reverses over a period of  
14 time shorter than a month, but it's reversed.  
15 Most of that is a reversement of rind.

16 Q. What is the basis for your  
17 determination that Mr. Wrase had a rind?

18 A. He regained 99 percent of his  
19 neurological function rapidly and was left with  
20 some speech impediment.

21 Q. Can you cite to me where in the  
22 records there is an indication that he regained  
23 99 percent of his neurological function and, if  
24 so, what is it?

25 A. Somewhere in there someone made a

## S. BECKER - MR. BERMAN

1 comment that he regained 99 percent of his  
2 function. It's in a note of 6/4/99, Dr.  
3 Carcasco, he basically regained 99 percent of  
4 his strength. And there were further notes,  
5 "Speech therapy." He had some speech residual  
6 impediment that he was being treated for, but  
7 his strength basically came back.

8 Q. That's the note where he says that  
9 he wants to give him another two weeks of  
10 speech therapy?

11 A. Yeah, I believe it is.

12 Q. And when you talk about his  
13 strength came back, you're talking about his  
14 motor component?

15 A. Correct, motor function.

16 Q. And is there any indication as to  
17 whether he still had a sensory deficit in that  
18 note?

19 A. Well, when we talk about stroke, we  
20 talk about motor deficit, not sensory deficit.  
21 It's not relevant. Stroke would be.

22 Q. Do you know how Mr. Freidin's  
23 office got your name?

24 A. No.

25 Q. When were you first retained, sir?

S. BECKER - MR. BERMAN

1 A. I'm trying to see if I have the  
2 original letter. I don't see an original  
3 letter. It was a while ago. I don't have the  
4 original letter, so I can't give you a specific  
5 date, but it was a while ago. I don't have a  
6 specific date for you.

7 Q. Okay. Do you know what materials  
8 you were provided with?

9 A. Yes. I was originally provided  
10 with the medical. I have medical records  
11 related to the first stroke and second stroke.  
12 I also have medical records that don't relate  
13 to stroke at all. I have previous medical  
14 records, and I have received after that a  
15 deposition from Dr. Cimera.

16 Q. Okay. Have you reviewed the  
17 depositions of Dr. Sze or Dr. Levine?

18 A. No, I have not.

19 Q. How many operations on rinds a year  
20 do you do, sir, with regard to carotid  
21 arteries?

22 A. A limited amount I do now all have  
23 had TIAs. They've had mini strokes. Whether  
24 they've had a rind or not may be an arguable  
25 issue. TIA and a rind are very similar. All

S. BECKER - MR. BERMAN

1 of them have had a mini stroke. That's one of  
2 the criteria are they're referred to me, and  
3 once they've had a stroke, that's usually when  
4 I --

5 Q. So what you're telling me, though,  
6 in your experience and in your practice now  
7 with regard to carotid endarterectomy is  
8 exclusively with TIAs?

9 MS. ROGERS: Form.

10 A. No. In other words, most of the  
11 people I see now, as opposed to two years ago,  
12 we're dealing with HMOs, so they go to primary,  
13 so the primary sees them, and once they have a  
14 TIA, that's when they refer them out. Years  
15 ago you used to see them for stenosis and an  
16 evaluation. Now the evaluation is done by the  
17 primary, so he sees the evaluation, and then he  
18 does whatever he wants. Once he has the TIA,  
19 and they get worried, they send him to a  
20 vascular surgeon. That's how we get involved.  
21 Now the pattern has changed.

22 Q. What percentage of patients do you  
23 operate on now that have stenosis less than 50  
24 percent of the carotid artery?

25 A. I have not operated on someone with

## S. BECKER - MR. BERMAN

1 less than 50 percent in a long time. So  
2 recently none. All have over 50 percent  
3 stenosis.

4 Q. When was the last time you operated  
5 on somebody with less than 50 percent stenosis  
6 of the carotid artery?

7 A. Many years ago.

8 Q. Certainly it was before 1991,  
9 correct?

10 A. I can't say that with certainty,  
11 but there are other issues that get involved  
12 with the stenosis bilaterally and things like  
13 that. I have operated with less than 50  
14 percent, but that's a rare end.

15 Q. Okay. With what frequency have you  
16 operated on patients with carotid stenosis of  
17 less than 50 percent?

18 A. Again, it's rare. I can't tell you  
19 how many.

20 Q. When you say "rare" --

21 A. Of all the carotid  
22 endarterectomies, most of them have had a  
23 stenosis of over 50 percent. Again, there are  
24 other factors, have they had a stroke, is it  
25 bilaterally involved, is it left side, right

S. BECKER - MR. BERMAN

1 side. There are other issues that get  
2 involved, but the majority of patients I've  
3 taken care of have over 50 when you're dealing  
4 with stenosis, over 50 percent.

5 Q. How many patients have you operated  
6 on with carotid endarterectomies that had  
7 ulcerated plaques only?

8 A. There are several over the years.  
9 Plaque is a different issue. Plaque has  
10 nothing to do with stenosis. Plaque you  
11 operate on.

12 Q. Every case, sir?

13 A. Symptomatic, every case.

14 Q. Every case?

15 A. Every case.

16 Q. Every case you operate on? Tell me  
17 a study that you can --

18 A. I can't state you a study on that.

19 Q. I know you can't Doctor.  
20 How about with ulcerative plaque?

21 A. On ulcerative plaque, no.

22 On stenosis, over 50 percent.

23 There are a lot of studies on that. Plaque,  
24 unfortunately, is a rarer entity, but the fact  
25 is plaque is more dangerous, the fact that it

S. BECKER - MR. BERMAN

1 causes a turbulence, and the people stroke out.

2 Q. The fact remains, sir, you cannot

3 cite me one study that says that you operate on

4 ulcerated plaque alone, can you?

5 A. I haven't done a review, so I can't

6 tell you there isn't a study out there. I

7 can't quote you one from the top of my head.

8 But the fact is plaque is known to cause stroke

9 due to the fact of the turbulence and the

10 platelet aggregation.

11 Q. And how is platelet aggregation

12 treated, sir? Tell me all the ways that you

13 treat platelet aggregation.

14 MS. ROGERS: Form.

15 A. Aspirin and an anti platelet Flavic

16 (ph) which is probably the more common one now,

17 but they treat it with a medication, or they

18 try to treat it with medication that prevents

19 the platelets from adhering to one another.

20 Aspirin being the main stay. They changed to

21 other drugs over the years.

22 Q. Does not the literature indicate

23 that as far as anti-platelet efficacy that

24 aspirin and Flavic (ph) have the same degree of

25 efficacy?

S. BECKER - MR. BERMAN

1 MS. ROGERS: Form.

2 A. Now you're quoting. There are so  
3 many studies. Not all the studies,  
4 particularly studies done by the drug  
5 companies, will not bear you out. That is the  
6 main stay, whether it works or not is the main  
7 issue. In stenosis that's the main treatment,  
8 anti-platelet and therapy aspirin being the  
9 main stay, and then they had an anti-platelet  
10 drug.

11 Q. Would you agree with me that with  
12 stenosis of less than 50 percent, aspirin is a  
13 recognized modality of anti-platelet therapy?

14 A. Yes.

15 Q. Now, when you first received  
16 materials, how were you contacted, did somebody  
17 call you, or did you just receive a package out  
18 of the blue?

19 A. I'm positive someone called me.

20 Q. Do you recall who that was?

21 A. No.

22 Q. Now, I know that you were going to  
23 speak with Mr. Freidin yesterday afternoon.

24 A. I did.

25 Q. How much time did you speak with

## S. BECKER - MR. BERMAN

1 him?

2 A. About ten, 15 minutes.

3 Q. And I know you met with Ms. Rogers

4 today.

5 How much time did you spend with

6 her?

7 A. Before you came, about an hour.

8 Q. Before speaking with Mr. Freidin

9 yesterday and Ms. Rogers today, had you ever

10 spoken with any member of Mr. Freidin's firm

11 before relative to issues in this case?

12 A. Yes, yes. I can't tell you who,

13 but I have spoken on a periodic basis with Mr.

14 Freidin. I believe I spoke to somebody else.

15 Let me just correct one other thing. I did get

16 a videotape of the testing at some point also.

17 Q. Of the carotid ultrasound?

18 A. Yes, yes, I did get this in the

19 package, yeah, I did get it, it just crossed my

20 mind, and I'm trying to remember conversations,

21 what the conversation was about that, so, yes,

22 I did get that.

23 (A discussion takes place off the

24 record.)

25 Q. Doctor, you'll find, and you'll be

## S. BECKER - MR. BERMAN

1 interested to know, that I try to be thorough.  
2 So I know that you were given the -- you just  
3 were nice enough to tell me you were given the  
4 carotid ultrasound, and I assume that was the  
5 carotid ultrasound of May 27th, it's either  
6 1999 to 2000.

7 A. '99.

8 Q. And were you given the angiograms  
9 of July 12th, 2000?

10 A. I believe I had a report, I think  
11 I've seen a picture of one of the shots of the  
12 angiogram showing the ulceration.

13 Q. Did you review the actual angiogram  
14 or a copy of the angiogram itself?

15 A. No, I didn't do a full study of  
16 that.

17 Q. As part of your practice, do you  
18 regularly review angiograms?

19 A. On people I'm potentially operating  
20 on, yes.

21 Q. As part of your practice, though,  
22 do you not routinely interpret carotid  
23 ultrasounds?

24 A. That's not true. We do carotid  
25 ultrasounds in the office. I do read carotid

S. BECKER - MR. BERMAN

1 ultrasounds that are done in the office. Not  
2 done in the hospital, but in the office.

3 Q. You do not, though, issue a formal  
4 report on a normal carotid ultrasound done in a  
5 hospital, though, do you?

6 A. No, no.

7 Q. In the hospitals you practice at,  
8 who would review a carotid ultrasound normally  
9 would it be a neurologist, a radiologist, or  
10 both?

11 A. Radiologist.

12 Q. And again you would not be  
13 considered for a hospital base carotid  
14 ultrasound competent to render a formal report  
15 on that?

16 A. I wouldn't agree with incompetent.  
17 We don't do it because the radiology  
18 departments have done ultrasound, and every  
19 hospital I'm in they do it. I do have some  
20 training in vascular testing and ultrasound and  
21 in carotid, and I'm sure if I credentialed  
22 myself and they allowed us to do it, I'm sure I  
23 would be credentialed.

24 Q. You are not formally credentialed  
25 as of the present time; is that correct?

S. BECKER - MR. BERMAN

1 A. That's correct with the hospital,  
2 yes.

3 Q. Approximately -- have you issued --  
4 strike that.

5 Have you issued a report in this  
6 case?

7 A. No, I have no written report.

8 Q. And approximately how many hours  
9 have you put into the case so far?

10 A. Six. I just, off the top of my  
11 head, six, seven. I don't have an exact  
12 number.

13 Q. And have you rendered any billing  
14 referable to the case so far?

15 A. Yes.

16 Q. Do you have that here?

17 A. I'm sure you can have it when you  
18 leave, whatever was done.

19 Q. Okay. Now, as part of the  
20 materials that we asked for in connection with  
21 this deposition -- do you have your entire file  
22 referable to this case?

23 A. Yes.

24 Q. Do you have it here?

25 A. Right in front of me.

## S. BECKER - MR. BERMAN

1 Q. Good. And could you tell me any  
2 way you want to, give me a list of everything  
3 that you were provided with relative to this  
4 case.

5 A. As I explained, I have the  
6 deposition that we discussed, I have the  
7 videotape, I have medical records related to  
8 multiple hospitalizations. There are Holy  
9 Cross Hospital, there are ultrasounds,  
10 operative notes, there's again, the two  
11 hospitalizations of the stroke. I then have  
12 hospitalizations of things not related to the  
13 stroke which date back several years.  
14 Admissions in July of '96, which are unrelated  
15 issues. I'm trying to give you the hospital  
16 records I have.

17 Q. That's fine.

18 A. Things previous which don't relate  
19 to this and have no bearing on this, but which  
20 date back to, I think '96 is the most recent,  
21 because the past one I have, the rest are  
22 current. Like the '99 issue. So I have Holy  
23 Cross Hospital records through the  
24 hospitalizations, and then I have some earlier  
25 ones.

S. BECKER - MR. BERMAN

1 Q. Do you have all the correspondence  
2 from Mr. Freidin's office? You indicated you  
3 believe you didn't have the initial transmittal  
4 letter.

5 A. I have some letters here.

6 Q. Can I see?

7 A. Sure.

8 MS. ROGERS: Let me just go off the  
9 record for a second.

10 (A discussion takes place off the  
11 record.)

12 Q. Did you give a pre suit affidavit  
13 in this case?

14 A. You know, I'm not sure. I don't  
15 see it in my file here, but I may have. I  
16 don't know.

17 MS. ROGERS: But just so the record  
18 reflects the off the record discussion, it was  
19 related to the pre suit privilege.

20 MR. BERMAN: Right, right. I  
21 understand. And the objection is preserved.

22 MS. ROGERS: Thank you.

23 Q. Doctor, you've given me basically  
24 three letters dated January 10, 2003, July 10,  
25 2002, and a notice of taking deposition duces

S. BECKER - MR. BERMAN

1 tecum.

2 A. Do you have any other

3 correspondence from Mr. Freidin's office in

4 your possession?

5 A. No, no, that's the only thing I

6 have.

7 Q. What did you do with the

8 transmittal letter initially that you don't

9 have?

10 A. I don't know.

11 Q. Okay. Wait a minute. I think that

12 notice is my notice. I'm sorry.

13 A. No, no.

14 Q. That's yours?

15 A. Yes.

16 Q. Did you do any literature search in

17 connection with this case?

18 A. No, no.

19 Q. Did you make any notes?

20 A. No.

21 MS. ROGERS: We've got a CV here

22 for you.

23 Q. I'll take a look at it if you don't

24 mind.

25 A. No problem. It's here somewhere.

S. BECKER - MR. BERMAN

1 MR. BERMAN: Off the record.

2 (A discussion takes place off the  
3 record.)

4 A. Here's my CV.

5 Q. Thank you.

6 Do any of the publications listed  
7 on your curriculum vitae have any relevance to  
8 the issues in this case?

9 A. Some of the courses I've taken  
10 relate to vascular testing and vascular  
11 studies, and they relate to the case in the  
12 fact that --

13 MR. BERMAN: Do you need to take  
14 that?

15 MS. ROGERS: Yes.

16 MR. BERMAN: Let's stop for a  
17 second.

18 (A discussion takes place off the  
19 record.)

20 Q. Doctor, in regard to my last  
21 question, you indicated that some of the  
22 courses you've taken relate to the issues in  
23 this case.

24 Have you ever taught any courses  
25 that relate to the issues in this case?

S. BECKER - MR. BERMAN

1 A. No. Well, let me correct that. I  
2 did run the vascular lab at one of the  
3 hospitals. In the process we had residents  
4 that rotated through for non-evasive vascular  
5 testing. In that aspect I was a teaching at  
6 Saddle Brook Vascular Hospital. As they  
7 rotated through, they had to be educated in  
8 non-evasive vascular testing. So there was  
9 teaching non-evasive formal lecture series.

10 Q. As part of supervision of  
11 residents?

12 A. Correct.

13 Q. But that wasn't formal  
14 certifications of carotid ultrasounds?

15 A. No, no, that was registered and  
16 training.

17 MR. BERMAN: Off the record.

18 (A discussion takes place off the  
19 record.)

20 Q. Now, Doctor, what were you asked to  
21 do upon your retention?

22 A. Reviewed the medical records  
23 related to the stroke, the evaluation of the  
24 carotid artery, and eventual treatment and re  
25 stroke.

S. BECKER - MR. BERMAN

1 Q. I apologize. I have to write it  
2 now.

3 MS. ROGERS: And his opinions, Ken  
4 Morgan, are limited to Dr. Cimeria.

5 MR. MORGAN: I appreciate that,  
6 Susan. I was unsure. I appreciate that.

7 Q. You were asked to do what?

8 A. Evaluate the medical records as  
9 related to the treatment of or evaluation of  
10 the carotid arteries and eventual re stroke and  
11 eventual surgery of the carotid arteries.

12 Q. What are the topics of the opinions  
13 that you intend to offer at trial?

14 A. First that after the first stroke  
15 patient's evaluation of his carotid artery was  
16 inadequate. The ultrasound was sub optimal,  
17 and no further investigation was done. And  
18 since the evaluation was not done  
19 appropriately, he was denied the opportunity of  
20 being treated, which would involve surgery and  
21 carotid endarterectomy, and the result of which  
22 he re stroked.

23 Q. So I take it you intend to offer  
24 opinions on standard of care and causation.

25 A. Correct.

S. BECKER - MR. BERMAN

1 Q. You're not a neurologist, are you?

2 A. Absolutely not.

3 Q. Now, you said in the first -- after

4 the first stroke you believe that the work-up

5 was inadequate.

6 A. Sub optimal, yes.

7 Q. What do you mean by the term "sub

8 optimal"?

9 A. The carotid ultrasound is of very

10 poor quality. It was not on the sounding,

11 Doppler soundings. There was some suspicion in

12 the internal carotid that was investigated. No

13 MRI was done or arteriogram was done, and the

14 ulcerated plaque went undiagnosed, and the

15 patient re stroked.

16 Q. What was the suspicion in the

17 internal carotid artery that you're talking

18 about?

19 A. First of all, the view of the

20 internal carotid was definitely sub optimal. I

21 would not have attempted to read that. I would

22 have had it repeated on the Doppler soundings.

23 There was a high pitch as soon as you leave the

24 common carotid and go into the internal,

25 there's a high pitch sounding, which is

## S. BECKER - MR. BERMAN

1 indicative of turbulent flow, which is really a  
2 narrowing or a stenosis or a plaque or an  
3 ulcerative plaque. And when you look at the  
4 pictures of that area, it's very obscure, so  
5 you can't really see it. The definition there  
6 is not enough, and it should have been  
7 repeated. If it was still sub optimal, it  
8 should have had a further study. My preference  
9 being an MRA or an arteriogram. An MRA being  
10 safer to evaluate that area.

11 Q. Is a MRA designed to evaluate  
12 ulcerative plaques and stenosis?

13 A. Yes. And stenosis.

14 Q. Ulcerative plaques?

15 A. No. Angiography would be used.

16 Q. Angiography would be used to find?

17 A. Everything, emboli. Arteriogram is  
18 emboli, ulcerative plaques, stenosis, there are  
19 other abnormalities, but those are the main  
20 ones.

21 Q. Are you familiar -- strike that.

22 Is a Doppler ultrasound designed to  
23 diagnose ulcerative plaques?

24 A. Yes.

25 Q. In what way is a Doppler ultrasound

S. BECKER - MR. BERMAN

1 designed to diagnose ulcerative --

2 A. The ultrasound portion, the picture  
3 portion, can identify and visualize ultrapleuro  
4 plaque. The Doppler is a sounding which  
5 creates high-pitched soundwaves due to  
6 turbulent flow or disruption of flow. It can  
7 happen in stenosis, or it can happen in  
8 ulcerative plaque. It recites a turbulence or  
9 high-pitched sounding a Doppler, where we had  
10 ultrasound and found some abnormalities as to  
11 flow in that area this is the pinpoint where  
12 you can visualize the area, helps you visualize  
13 the area.

14 Q. Is an angiogram the best way to  
15 show stenosis or the degree of stenosis?

16 A. The best way to evaluate stenosis  
17 is an operation. The next best way,  
18 arteriogram is definitely excellent, definitely  
19 shows stenosis, as does an MRA, as does a  
20 digital sub traction angiography. They all  
21 will show stenosis.

22 Q. Are patients with any degree of  
23 stenosis routinely operated on with carotid and  
24 the endarterectomy?

25 MS. ROGERS: Form.

S. BECKER - MR. BERMAN

1 A. Any degree of stenosis?

2 Q. Yes.

3 A. No, no, that would be depending on  
4 symptoms. Symptoms would be very important.  
5 Asymptomatic the answer is no.

6 Q. Was Mr. Wrase a symptomatic or  
7 asymptomatic patient?

8 A. He was a symptomatic patient of an  
9 ulcerative plaque.

10 Q. How do you know that?

11 A. He was operated on. He had no  
12 stenosis confirmed at operation. He had an  
13 ulcerative plaque confirmed at operation. He  
14 had no stenosis that the ultrasound could see,  
15 and the arteriogram which was done did not show  
16 stenosis, it does show ulcerative plaque.

17 Q. Did the arteriogram show ulcerative  
18 plaque distal to the bifurcation of the carotid  
19 artery?

20 A. That was in the internal carotid  
21 after the bifurcation.

22 Q. It was distal to the bifurcation,  
23 correct?

24 A. Yes, it was in the interim report.

25 Q. How far?

S. BECKER - MR. BERMAN

1 A. I would have to have the x-rays to  
2 measure.

3 Q. But you've never done a  
4 measurement, have you?

5 A. No.

6 Q. On your review of the carotid  
7 ultrasound, did you see a stenosis in excess of  
8 70 percent?

9 A. Again, on the ultrasound done,  
10 which was sub optimal, I couldn't say I did not  
11 see a stenosis on that, but that would be sub  
12 optimal. I would go by the Doppler soundings,  
13 which didn't suggest that, and the arteriogram,  
14 which definitely showed no stenosis.

15 Q. The arteriogram showed no stenosis  
16 or minimal stenosis?

17 A. Minimal maybe, but no significant,  
18 no 70 percent stenosis on the arteriogram.

19 Q. Can we agree, Doctor, that  
20 according to the literature, according to  
21 stenosis, one needs 70 percent stenosis to have  
22 a clear-cut indication for endarterectomy?

23 MS. ROGERS: Form.

24 Q. Just talking about stenosis now.

25 A. No, I don't agree with you.

S. BECKER - MR. BERMAN

1 MS. ROGERS: Form.

2 A. Articles vary. The one thing they  
3 do have is over 50 percent occludes the artery  
4 by 75 percent, if you have 50 percent stenosis  
5 that decreases because it circumferentially  
6 decreases flow rate by 70, 75 percent if the  
7 patient is symptomatic at that point what  
8 you're saying to me is not true. You would  
9 operate on them if they had an over 50 percent  
10 and symptomatic. If they were asymptomatic,  
11 then you normally would not operate on them  
12 under 15 percent.

13 Q. Can we agree there Mr. Wrase did  
14 not have stenosis in excess of 50 percent?

15 A. We can agree.

16 Q. Okay. I take it your position is  
17 that every ulcerative plaque should be operated  
18 on.

19 MS. ROGERS: Form.

20 A. Every ulcerated plaque, someone who  
21 is presented with symptoms which is a TIA, a  
22 mini stroke or a stroke, reversible stroke, and  
23 they have plaque, should be operated.

24 Q. Why?

25 A. Because if they don't, they re

S. BECKER - MR. BERMAN

1 stroke.

2 Q. In every case?

3 A. In a high number of cases.

4 MS. ROGERS: Form.

5 Q. In what number of cases?

6 A. 25 to 50 percent.

7 Q. With ulcerative plaque?

8 A. Untreated ulcerative plaque and  
9 stenosis which would be medications as high as  
10 50 percent of stroke.

11 Q. Can you cite me to any study that  
12 gives those statistics?

13 A. I can't cite you a study because I  
14 haven't done a review, but I'm just telling you  
15 from my experience as a vascular surgeon, a  
16 vascular surgeon who sees stroke and ulcerative  
17 plaque operates on it.

18 Q. In every case?

19 A. Not every surgeon may do it  
20 properly, but every surgeon that I know who  
21 deals with ulcerative plaque and is part of my  
22 training and is symptomatic and a TIA or rind  
23 or stroke that is reversible in some manner or  
24 form that has an ulcerative plaque can be  
25 treated.

S. BECKER - MR. BERMAN

1 Q. Can we agree this patient did not  
2 have a cardiac source of emboli?

3 A. The cardiac source did not bear  
4 that. I see no likelihood that the emboli came  
5 from the heart.

6 Q. Can we agree there was no  
7 indication for Heparin?

8 MS. ROGERS: Form.

9 A. That's a hard question to answer,  
10 and I'm into other studies. There are studies  
11 that people would have heparinized in cases  
12 with ulcerative plaque and first stroke usually  
13 as an interim measure, and some would have even  
14 gone to Coumadin. There are studies that do,  
15 so I don't want to get into unless you really  
16 want to.

17 Q. I just want to know what your  
18 opinions are.

19 A. My opinion is that in certain cases  
20 Heparin is very helpful in this situation.  
21 Anticoagulation is a modality used in some  
22 cases.

23 Q. Is it your position that Heparin or  
24 Coumadin is more efficacious in this setting  
25 than aspirin?

## S. BECKER - MR. BERMAN

1 A. No. It is very often used gingerly  
2 in conjunction with because we're in an area  
3 where even in the case of anti-platelet therapy  
4 people still have platelet coagulation and  
5 stroke and use that more as an interim measure.

6 MS. ROGERS: Let me just state  
7 something for the record.

8 MR. BERMAN: He has no opinion on  
9 it.

10 MS. ROGERS: It is my understanding  
11 Dr. Becker will be offering testimony initially  
12 to surgical management of this issue more than  
13 anything as opposed to Heparinizing (ph) and  
14 things that of point, I'm not going to opine  
15 that antibiotics in this case is  
16 anticoagulation.

17 Q. He doesn't have an opinion? Let me  
18 just get it straight.

19 Is it fair to say that you have no  
20 opinion on whether or not either Heparin or  
21 Coumadin should have been used with respect to  
22 this patient?

23 A. I have no opinion that there was  
24 any issue here with anticoagulation of any  
25 deviation in nature.

S. BECKER - MR. BERMAN

1 Q. On angiogram, what was the  
2 percentage of stenosis in your opinion in Mr.  
3 Wrase?

4 A. I would have to look at the --  
5 MS. ROGERS: We know he said it was  
6 less than 50 percent.

7 MR. BERMAN: I know that, but I  
8 want to know if he can pin it down any more.

9 A. From what the arteriogram says,  
10 they use the word "significant." I don't know  
11 what their level of significant means, so you  
12 have to defer to them. They don't give me  
13 anything here other than -- they say plaque,  
14 which is not causing significant stenosis.

15 Q. They say there is plaque which is  
16 not causing significant stenosis?

17 A. Again you're asking the percentage  
18 of stenosis. In some cases you could say 50  
19 percent is significant, but I think here from  
20 what I saw it's less than 50 percent, but he  
21 doesn't give a figure here.

22 Q. Did you come up with any figure on  
23 your own?

24 A. No.

25 Q. Do you intend to?

S. BECKER - MR. BERMAN

1 A. No.

2 Q. So in other words, it's less than  
3 50 percent, but you can't quantify it any  
4 greater?

5 A. To me I'm just reading the word  
6 "significant." To me, in my opinion would be  
7 less than 50 percent, but that doesn't mean  
8 that's the number he chose to use for  
9 significant.

10 Q. Okay. Now, what's your definition  
11 of the term "ulcerative plaques"?

12 A. It is a plaque which is basically  
13 arterial sclerotic, which has a cavity, an  
14 indentation, a little pocket.

15 Q. Or an irregularity?

16 A. It's meant to mean a pocket. It  
17 has a cavity in it. That creates a whirlpool  
18 type of effect.

19 Q. And how does one prove an  
20 ulcerative plaque? I think you indicated MRA,  
21 angiogram.

22 A. Ultrasound, Doppler ultrasound,  
23 color Doppler, MRA, digital subtraction,  
24 arteriography and operation.

25 Q. Do you recognize any textbooks as

S. BECKER - MR. BERMAN

1 being authoritative?

2 A. Informative, yes; authoritative,

3 no.

4 Q. Do you recognize any journals being

5 authoritative, or studies?

6 A. No. Studies are mainly

7 informative, and they change very, very

8 radically.

9 Q. Has the NACET study been accepted

10 by neurologists and vascular surgeons with

11 regard to carotid and/or endarterectomies with

12 regard to asymptomatic and symptomatic

13 patients?

14 MS. ROGERS: Form.

15 A. It was basically more for

16 asymptomatic patients following them over a

17 period of many, many years. It does talk about

18 both, but the answer is it's an informative

19 study. It's not, I don't believe it's 100

20 percent. I don't believe it relates to a lot

21 of people that become symptomatic. It mainly

22 is an asymptomatic study over a long period of

23 time with anti-platelet therapy. It's not the

24 definitive study. It is one of the studies

25 that have been done which is --

S. BECKER - MR. BERMAN

1 Q. What is the definitive studies?

2 A. As a matter of fact, studies that  
3 contradict the studies that we have are  
4 numerous.

5 Q. What studies, if any, contradict  
6 the studies of the NACET study?

7 A. Again I didn't do a literature  
8 search, but I'm sure I could find many.

9 Q. "Many" meaning how many?

10 A. More than two.

11 Q. "More than two" meaning how many,  
12 but you haven't bothered to do any?

13 MS. ROGERS: Form.

14 Q. When there is an ulcerative plaque,  
15 is there any criteria that you use to define  
16 the degree of ulceration that you would operate  
17 on?

18 A. No. Ulcerative plaque relates  
19 to -- is more related to symptomatology. If  
20 you have an ulcerative plaque and you have  
21 symptoms, in other words, the TIA or whatever,  
22 and you can find no other cause, credible  
23 cause, it's caused by the ulcerative plaque and  
24 should be removed.

25 Q. What are the ways in which one

## S. BECKER - MR. BERMAN

1 determines whether or not there is another  
2 credible cause of the TIA, TIA or the kind of  
3 the stroke?

4 A. Well, by a process of elimination  
5 as you referred to, cardiac you want to make  
6 sure there's no mixnoma, you want to make sure  
7 the patient doesn't have arrhythmias that would  
8 relate to embolic phenomena which you would  
9 treat for and then you evaluate the carotid.  
10 And you do it in a sequential study. It would  
11 be unlikely to have all the criteria at the  
12 same time. So if you found the one, you would  
13 operate on the it and the arrhythmia, you would  
14 correct the arrhythmia and the anticoagulant.

15 Q. What do you mean by the term  
16 "mixnoma" (ph)?

17 A. Mixnoma is a tumor in the heart  
18 which is noted to produce emboli which can  
19 produce emboli to cause stroke and other things  
20 too.

21 Q. Can we agree, sir, that an  
22 important part of management decision is the  
23 degree of stenosis in the carotid artery?

24 MS. ROGERS: Form.

25 A. In what type of -- in this patient,

S. BECKER - MR. BERMAN

1 no. In other situations you have to give me  
2 the situation.

3 Q. Why is the degree of stenosis not  
4 an important part of the management decision  
5 with respect to this patient?

6 A. Because he had an ulcerative  
7 plaque, and his stroke was caused by the  
8 turbulent flow and platelet coagulation due to  
9 the ulcerative plaque, not due to stenosis.

10 Q. How do you know it was caused by  
11 the turbulent flow due to an ulcerative plaque  
12 in this case?

13 A. Because there are no other causes  
14 for it. The ulcerative plaque causes that,  
15 it's noted to cause that, and since you can  
16 find no other cause of it, it would, with  
17 reasonable medical probability, the ulcerative  
18 plaque was the cause of his stroke in the  
19 process of platelet coagulation. Since you  
20 cannot see it and you said so the heart has  
21 been eliminated, there is no stenotic process,  
22 there is no other process other than an  
23 ulcerative plaque.

24 Q. What percentage of strokes are  
25 caused by unknown origin, sir?

S. BECKER - MR. BERMAN

1 A. I can't give you that.

2 Q. But there are strokes that are  
3 caused by unknown origin?

4 A. Yes, yes, but I can't give you  
5 that.

6 Q. There are a significant percentage  
7 of strokes that are caused by unknown origin,  
8 are there not, sir?

9 MS. ROGERS: Form.

10 A. There are strokes where we may not  
11 be able to find the cause or hasn't been  
12 evaluated, but yes, there are cases of these  
13 people also have major, major other problems as  
14 well.

15 Q. I didn't mean to cut you off.

16 A. No, go ahead.

17 Q. Did not the NACET study  
18 specifically exclude ulcerative plaque?

19 MS. ROGERS: Form.

20 A. I don't remember that, quite  
21 frankly. I don't know if it included it or  
22 extruded it, but the fact of the matter is  
23 there are other studies that deal with  
24 ulcerative plaque other than the NACET study.  
25 I don't have it in front of me.

S. BECKER - MR. BERMAN

1 Q. Those are the studies that you  
2 can't cite me to, correct?

3 A. I haven't looked for them, but  
4 ulcerative plaque is a known entity.

5 Q. Is there any articles which state  
6 an asymptomatic ulcerative plaque without  
7 stenosis of greater than 70 percent should be  
8 operated on in all cases?

9 MS. ROGERS: Form.

10 A. Asymptomatic?

11 Q. Yes.

12 A. I don't know, sir, I haven't done  
13 research, so I don't know if there's a study  
14 out there that says that should be done.

15 Q. Is there any study that says you  
16 operate on a symptomatic ulcerative plaque  
17 without stenosis greater than 70 percent?

18 A. I can't --

19 Q. In all cases?

20 A. I can't quote the study because I  
21 haven't done a literature search, but that  
22 would be my training.

23 Q. And you're saying it's standard of  
24 care to operate on non-stenosing ulcerative  
25 plaque in all cases?

S. BECKER - MR. BERMAN

1 A. With symptoms.

2 Q. What are the symptoms that you're  
3 referring to?

4 A. Stroke.

5 Q. What kind of stroke symptoms?

6 A. TIA, rind, reversible strokes.

7 Q. You're talking about processes,  
8 you're not talking about symptoms. I want to  
9 know what symptoms you're talking about, sir.

10 A. The symptoms of a stroke can be  
11 numerous. They can be anywhere from weakness  
12 on one side to total paralysis on one side.  
13 There can be loss of vision involved with that,  
14 which is also called a mini stroke. There is  
15 on one side in this case speech impediment, and  
16 nothing else. You can have memory loss, you  
17 can have dizziness. And probably if I go  
18 through different strokes probably others given  
19 a long enough period of time I can figure these  
20 all can be a process of stroke. We use stroke  
21 as a one term, but stroke can mean many, many  
22 things, even loss of vision in one eye can be  
23 called a stroke.

24 Q. What symptoms did Mr. Wrase have?

25 A. He had a hemi paralysis, and he had

## S. BECKER - MR. BERMAN

1 a speech impediment.

2 Q. And the speech impediment had not  
3 resolved as of the time of the second stroke,  
4 correct?

5 MS. ROGERS: Form. Overly broad.

6 A. It hadn't resolved, it had  
7 improved.

8 Q. It hadn't resolved?

9 MS. ROGERS: Form. Completely.

10 MR. BERMAN: Please don't make  
11 speaking objections.

12 MS. ROGERS: Sorry. Your question  
13 was misleading, so that was the basis of my  
14 former objection.

15 MR. BERMAN: You can do it on  
16 redirect.

17 MS. ROGERS: No, I don't need to  
18 redirect this guy.

19 Q. Now, what was the cause of the  
20 ulcerative plaque that this man suffered?

21 A. To a reasonable degree of medical  
22 probability, platelet aggregation and emboli.

23 Q. From where?

24 A. From the ulcerative plaque. And it  
25 went up the middle cerebral artery.

S. BECKER - MR. BERMAN

1 THE WITNESS: Excuse me.

2 (A discussion takes place off the  
3 record.)

4 Q. Doctor --

5 (Brief recess.)

6 MS. ROGERS: Are you there, Ken?

7 MR. MORGAN: Present.

8 Q. Doctor, is arterio sclerosis  
9 disease a potential cause of stroke?

10 A. It can be.

11 Q. Do you have an opinion on whether  
12 or not it was a potential cause of stroke in  
13 this case?

14 A. In this case I do not believe it  
15 was a cause of stroke.

16 Q. Why do you not believe that?

17 A. Because it was not specific.

18 Q. What degree of arteriosclerotic  
19 disease do you need to have to be a cause of  
20 stroke?

21 A. Depending which level vessel and  
22 what have you it adheres to greater than 50  
23 percent rule in most of the arteries, and  
24 depending on where usually. This didn't exist  
25 here.

S. BECKER - MR. BERMAN

1 Q. Assuming that there is an  
2 arteriosclerotic disease here, is anti-platelet  
3 therapy alone a recognized modality of  
4 treatment assuming it's less than 50 percent?

5 MS. ROGERS: Form.

6 A. In many of the aortic  
7 arteriosclerotic disease it's not necessarily  
8 treated. Again, it's a hard question to  
9 answer, depending on where you're talking about  
10 and what have you. But is anti-platelet  
11 therapy used in certain cases of significant  
12 stenosis, yes, it's used.

13 Q. Also, if there is less than 50  
14 percent stenosis, platelet therapy alone is  
15 used?

16 A. Again, less than 50 percent you're  
17 getting questionable. If it's asymptomatic and  
18 less than 50 percent, the majority would not be  
19 treated.

20 Q. Not treated operatively?

21 A. Not treated with anti-platelet  
22 therapy and aspirin and what have you.

23 Q. No treatment at all?

24 A. No treatment at all.

25 Q. I may have asked you this already,

## S. BECKER - MR. BERMAN

1 but I just want to make sure. Can we agree  
2 that Mr. Wrase did not have a high grade  
3 carotid stenosis in excess of 70 percent?

4 A. Yes, we agreed.

5 Q. Okay.

6 MS. ROGERS: There's lots of red  
7 check marks going on there, Ken.

8 MR. MORGAN: That's that.

9 MS. ROGERS: Never mind.

10 Q. After a stroke occurs, can a second  
11 stroke occur with treatment?

12 A. Well, what do you mean by  
13 "treatment"?

14 Q. Assuming that one does a carotid  
15 endarterectomy after a first stroke --

16 A. Right.

17 Q. -- can there not be another stroke  
18 after that?

19 A. Postoperative strokes, and I'll  
20 answer it this way, postoperative strokes after  
21 surgery, depending on who you read, most  
22 material in this case decreases the interval of  
23 two percent. Decreases the interval of re  
24 stroke additionally it hits a stenosis or  
25 another vascular problem occurs without

## S. BECKER - MR. BERMAN

1 treatment, the chance of stroke is very, very  
2 high. Re stroke is very high, and it's related  
3 to time. Within a year, it's as high as, I  
4 told you, 25 to 50 percent. If you go over  
5 that, it becomes higher.

6 Q. If it's under a year, what's the  
7 degree of re stroke or re stenosis?

8 A. 25 to 50 percent. Not re  
9 stenosis. Re stroke, not re stenosis. Re  
10 stroke, 25 to 50 percent will re stroke.

11 Q. That's without treatment?

12 A. That's re stroke in all. When you  
13 put them on anti-platelet therapy or not, they  
14 will re stroke in that period of time.

15 Q. With carotid endarterectomy?

16 A. No, no, we're talking about  
17 without. No treatment.

18 Q. That's what I want to make sure.

19 A. With carotid endarterectomy you  
20 increase the interval free stroke period, it  
21 goes down after you take the plaque out to  
22 almost a normal period of time it goes to about  
23 a five percent a year after stroke, but it's  
24 treated. If you decrease the stenosis and do  
25 an endarterectomy and decrease the stenosis

## S. BECKER - MR. BERMAN

1 without re stenosis, if you re stenosis in a  
2 year, then your symptoms change. So there's a  
3 top, there is an issue of re stenosis which we  
4 can't get into here. As far as we know we're  
5 not dealing with re stenosis. There's certain  
6 people who re stenosis very rapidly, but that's  
7 not a common occurrence. So I'm just covering  
8 all bases when you say that.

9       If you have a normal carotid study  
10 first year, second year, third year, your  
11 instance of re stroke goes down every year, but  
12 it's about five percent it actually goes down  
13 as long as you don't re stenosis. If you re  
14 stenosis, the incidence goes up.

15    Q.   With an ulcerative plaque that you  
16 have a recurrence of stroke, what is the  
17 mechanism by which the recurrence of stroke  
18 appears?

19    A.   Well, most of the time the  
20 mechanism is against emboli, embolic phenomenon  
21 and platelet emboli, but that usually occurs  
22 when there's further disease of the valve.

23    Q.   When you say further disease of the  
24 valve, what do you mean, sir?

25    A.   It shows an ongoing period. An

S. BECKER - MR. BERMAN

1 endarterectomy does not stop arterial stenosis,  
2 it just removes the plaque or the narrowing.  
3 As you get older you can re stenosis, more  
4 plaque will form. There are people who re  
5 stenosis very rapidly, and there are people who  
6 take a long, long time to form plaque and re  
7 stenosis or develop another ulcerative plaque.  
8 If they don't develop a re stenosis, their  
9 chance of stroke is minimal.

10 Q. When we talk about re stenosis, are  
11 we talking about re stenosing of plaque?

12 A. Well, plaque causes stenosis. So  
13 all stenosis is due to alternate stenosis. I'm  
14 not talking about mechanical like a  
15 misoperation. In all stenosis we have a  
16 arterial sclerotic progressive disease.  
17 Because you do an endarterectomy, you don't  
18 stop the process of the disease, you only  
19 decrease the narrowing in that area. You can  
20 reform plaque there and re stenosis.

21 Q. Assuming there is a ulcerative  
22 plaque present and you do a carotid  
23 endarterectomy --

24 A. Right.

25 Q. -- can you reform additional

## S. BECKER - MR. BERMAN

1 ulcerative plaque?

2 A. Over time, yes, you can.

3 Q. What is the degree of time it takes

4 to reform ulcerative plaque?

5 A. Several years it can be. First you

6 have to form plaque, and then it has to

7 ulcerate. So it can be years.

8 Q. Can it be less than years?

9 MS. ROGERS: Form.

10 A. I would say reulceration of plaque

11 can be at least over a year, and one exclusion

12 there are people who re stenosis, which is

13 another topic, very rapidly. We don't know

14 why, but there are a certain number that you

15 just clean out the artery, and within a year

16 they've significantly re stenosed. We don't

17 know why. It's a very small group. It does

18 happen. We don't know why.

19 Q. We don't know why and who that will

20 occur in?

21 A. Until they re stenosis you can't

22 tell who those people will be, and you can't

23 predict that, yes.

24 Q. So it's possible if a carotid

25 endarterectomy were done with Mr. Wrase --

S. BECKER - MR. BERMAN

1 A. You can't restinose if you don't  
2 have stinosis.

3 Q. It's possible he could have  
4 reformed plaque within a year?

5 MS. ROGERS: Form.

6 A. Right. Re stenosis? A different  
7 issue. Re stenosis is a circumference re  
8 accumulation of plaque and reforming, it's not  
9 just a reformation of plaque, it's a certain  
10 entity that forms a circulation of plaque which  
11 narrows very rapidly again. Nobody knows why.

12 Q. I know that, and I appreciate it.  
13 What I'm asking you, is it possible that Mr.  
14 Wrase, even assuming a carotid endarterectomy  
15 had been done, could have reformed a plaque or  
16 an ulcerative plaque within a year?

17 A. I agree with ulcerative plaque. I  
18 disagree with plaque.

19 Q. That's impossible?

20 A. Nothing is impossible. It's  
21 improbable.

22 Q. How do you define "mild stenosis"?

23 A. I don't use the term "mild  
24 stenosis." To me significant stenosis is 50  
25 percent. Less than 50 percent is not

## S. BECKER - MR. BERMAN

1 significant. So once it goes over 50 percent,  
2 we deal with significance. Most of the time we  
3 try to encourage radiologists not to use the  
4 word significant, but to give us a number how  
5 stenosed it is because sometimes they find that  
6 they call it not significant, and it's over 50  
7 percent, and they just miscalculate it.

8 Q. Or not hemodynamically significant?

9 MS. ROGERS: Form.

10 A. Hemodynamic stenosis carries  
11 another thing. It's a flow rate. 50 percent  
12 is a 70 percent decrease in 50/50 stenosis, but  
13 turbulence can also be a significant decrease  
14 in flow, so when you're saying "flow," you're  
15 talking about a lot of factors.

16 Q. Well, when we're talking about flow  
17 we're talking about what factors?

18 A. Speed and turbulence.

19 Q. And when the term "lack of  
20 hemodynamic instability" is used, what is meant  
21 by that?

22 MS. ROGERS: Form.

23 A. Well, it's not used in this term  
24 hemodynamic stenosis. It's like a shock  
25 patient using hemodynamic stenosis here if

## S. BECKER - MR. BERMAN

1 you're dealing with a lamina flow rate, you're  
2 looking for a stenosis, an increase in flow  
3 rate with a lamina flow. If you're dealing  
4 with ulcerative plaque, you're not talking  
5 lamina flow, you're talking a flow which would  
6 slow the flow rate, but cause like a whirlpool  
7 effect, and it could not be lamina, it would be  
8 circular around the ulcerative plaque. That's  
9 the problem you're dealing with. Also  
10 ulcerative plaque, lack of lamina flow.

11 Q. I'm sorry. Lack of lamina flow?

12 A. Lamina flow would be a straight  
13 flow. In stenosis you narrow the area so the  
14 speed of the flow would be going in one  
15 direction. A directional op tells you it's  
16 going in one direction and speeding up to get  
17 through this narrow area. With an ulcerative  
18 plaque you get a turbulence; in other words,  
19 the flow is actually slowed but not increased,  
20 but it's not lamina, it's not going up and  
21 down. Let's say it's spinning.

22 Q. What was the mechanism of  
23 turbulence in this case that you believe  
24 occurred?

25 A. Ulcerative plaque.

S. BECKER - MR. BERMAN

1 Q. And in what way did that manifest  
2 itself on the carotid ultrasound?

3 A. Well, you can't see it on the  
4 carotid ultrasound, you can't see it, but what  
5 it does is, you can hear it and you can measure  
6 speed factors, and you hear it by disruption of  
7 the flow. Lamina flow has a certain sound.  
8 Disruption of that sound is indicative of  
9 either increased rate or irregular spinning or  
10 irregular flow, like turbulence.

11 Q. And that's manifested by the  
12 high-pitched sound that you heard?

13 A. Yes.

14 Q. Is it manifested by anything else  
15 on this carotid ultrasound?

16 A. No, the picture part of this  
17 ultrasound is sub optimal. I can't tell you  
18 for sure I don't see something, but I can't  
19 tell you for sure I do see something on this.  
20 I don't read this. This picture I would say is  
21 not optimal. Get a better one.

22 Q. Assuming that there is either a  
23 vascular surgeon or a neurologist that is  
24 trained and certified in this area that has a  
25 different opinion, would you leave room for the

S. BECKER - MR. BERMAN

1 possibility of the validity of that opinion?

2 MS. ROGERS: Form.

3 A. Again, I don't know if someone has  
4 a different opinion or not, I'm simply saying  
5 as far as a vascular surgeon goes, I would  
6 assume that a vascular surgeon knows what  
7 ulcerative plaque is, knows what it does and  
8 has been trained to operate on it, so I believe  
9 his opinion would be similar to mine, and his  
10 reasoning would be indeed similar to mine.

11 Q. Assuming that a vascular surgeon in  
12 looking at the facts of this case, the carotid  
13 ultrasound, the angiogram and any other  
14 diagnostic test that was done in this case,  
15 came to a different conclusion than you, would  
16 it be your position that that opinion would be  
17 invalid and contrary to the standard of care?

18 MS. ROGERS: Form.

19 A. I would say that I would not agree  
20 with that opinion.

21 Q. But would you make, assuming that  
22 there was some valid reasoning behind it, would  
23 you entertain the possibility that that opinion  
24 could exist side by side with yours?

25 A. If someone gives the opinion it

S. BECKER - MR. BERMAN

1 exists, I don't agree with that opinion, and I  
2 don't think it would be founded on good sound  
3 vascular flow rates.

4 Q. So anybody that disagrees with your  
5 opinion, you would think their reasoning would  
6 not be founded on good sound medical judgment  
7 and principles?

8 MS. ROGERS: Form.

9 A. Again, on the basic knowledge of  
10 the subject and the flow rates, I would, yes, I  
11 would disagree with them.

12 Q. And the subject of ulcerative  
13 plaque in particular?

14 MS. ROGERS: Form.

15 A. What we're talking about, we're not  
16 dealing with stenosis. There's no stenosis  
17 here.

18 Q. I understand.

19 A. If he then agreed that there was a  
20 stenosis here, I would strongly disagree with  
21 him.

22 Q. We agree that there was not  
23 stenosis in this case of sufficient quantity to  
24 indicate an operation in and of itself, true?

25 MS. ROGERS: Form.

S. BECKER - MR. BERMAN

1 A. The answer is I would agree with  
2 you that there was no significant stenosis so  
3 that, therefore, I would agree with you in  
4 principle, yes, stenosis was not the problem in  
5 this case.

6 Q. On the angiogram you already agreed  
7 with me that the ulcerative plaque was distal  
8 to the bifurcation.

9 Was it distal to the bifurcation to  
10 the left side?

11 A. We're dealing with the left side.

12 Q. I just want to make sure.

13 A. The left side, yes, the right side  
14 was --

15 MS. ROGERS: We can stipulate the  
16 issue is the left side, I think.

17 A. And also one other thing, that the  
18 surgeon that operated, I think, agreed with me  
19 too.

20 Q. And you have no criticism of the  
21 surgery to the carotid endarterectomy after the  
22 second stroke, I take it.

23 A. No. None whatsoever, none  
24 whatsoever.

25 Q. Can you cite me any literature that

## S. BECKER - MR. BERMAN

1 supports angiography of lesions that are not  
2 hemodynamically significant?

3 MS. ROGERS: Form.

4 A. I don't know what that means.

5 Q. You used the term "hemodynamic  
6 significance" in interpreting or in evaluating  
7 carotid ultrasounds.

8 A. No.

9 Q. Are you familiar with that term?

10 A. I'm familiar with the term.

11 Q. What is your understanding of it?

12 A. It's a vague term.

13 Q. So you have no understanding of it?

14 A. My understanding of hemodynamic is  
15 flow. Speed of flow, direction of flow,  
16 turbulent flow, again, what directional flow,  
17 by directional flow, reversible flow, turbulent  
18 flow. All of those fall into that category,  
19 they have different significance for different  
20 issues. Arteriogram is not done on that  
21 arteriogram is purely a road map, you want to  
22 get a picture and you want to get the best  
23 picture you can. You want to get arteriogram.  
24 There is multiple ways of doing it. An MRA  
25 gives you an excellent thing, it is a road map

S. BECKER - MR. BERMAN

1 of what's going on. We do that to see more  
2 definitively what we're dealing with.

3 Q. Can we agree you don't do an  
4 arteriogram unless you intend to operate?

5 MS. ROGERS: Form.

6 A. I would agree with you as to my  
7 preference not to do it. However, that is not  
8 always standard, and there are places that do  
9 MRAs even if operation is not indicated, or  
10 there is investigational studies and things  
11 like that that are done, and there is no  
12 intention of operating. As far as my patients,  
13 I would not consider doing an arteriogram.

14 Q. We're not talking a particular  
15 emergency arteriogram now, not MRA?

16 A. Right.

17 Q. Unless one intended to operate,  
18 correct?

19 MS. ROGERS: Form.

20 A. True. But you've discounted MRA.  
21 I might do an MRA and not do an arteriogram.

22 Q. Under what circumstances would you  
23 do an MRA?

24 A. We do MRAs pretty at a drop of a  
25 hat now. They're safe, and if the center that

## S. BECKER - MR. BERMAN

1 we have has a good track record, we do MRAs and  
2 with no intention -- not no intention, but  
3 little intention of operating, just to be  
4 definitively sure.

5 Q. Getting back to this term, no  
6 evidence of hemodynamic stenosis. I think  
7 there is -- this report of the carotid  
8 ultrasound said there was no hemodynamic  
9 instability. Is that what he used?

10 A. I have to pull it out.

11 Q. I'm sorry. He said "No evidence of  
12 hemodynamically significant stenosis."

13 A. Okay.

14 Q. Do you ever use that term?

15 A. Yes.

16 Q. What is your understanding of that  
17 term?

18 A. That basically means that the flow  
19 rate is not indicative of significant narrowing  
20 of the vessel.

21 Q. Do you agree with that  
22 interpretation?

23 A. Yes.

24 Q. You take issue, though, with the  
25 high-pitched sound and turbulence?

S. BECKER - MR. BERMAN

1 A. We're talking two different  
2 issues. The issue he's dealing with is flow  
3 rates mainly. His flow rates that he has there  
4 is not indicative of a significant increase in  
5 flow rate, which would indicate an increase in  
6 stenosis. The high-pitched sound is indicative  
7 of disruption of flow, et cetera, not specific  
8 in the fact that I can't tell you is it an  
9 ulcerative plaque the size of the ulcerative  
10 plaque, or is there something in the stenosis  
11 that is causing this high-pitched sound.

12 Okay. But if your flow rate is normal, then  
13 all of a sudden you say, "Well, it's not due to  
14 a narrowing, it has to be due to something  
15 else."

16 What else could it be done to, and  
17 the next thing that comes up is ulcerative  
18 plaque. So I agree there is no stenosis.  
19 There is no sign hemodynamically of stenosis,  
20 but there is sign hemodynamically of plaque.

21 Q. Are you familiar with the term  
22 cryptogenic stroke?

23 A. Cryptogenic means there's a  
24 stroke. Nobody knows.

25 Q. Of idiopathic origin, correct?

S. BECKER - MR. BERMAN

1 A. Yes.

2 Q. Can we agree that with or without  
3 treatment the risk of recurrent stroke in a  
4 patient who is symptomatic is approximately  
5 five to eight percent?

6 MS. ROGERS: Form.

7 A. Five to eight percent?

8 Q. In the first year after stroke.

9 A. Without any cause.

10 MS. ROGERS: Form.

11 Q. Any type of stroke?

12 A. No. Without any cause. In other  
13 words, if there is no cause found, there is no  
14 reason to stroke. In other words, you can't  
15 find a reason, there's no stenosis, there's no  
16 ulcerative plaque, there's no narrowing of the  
17 arch, no heart problem, no nothing, no this, no  
18 that, I agree.

19 Q. Okay. What is the rate of  
20 recurrent stroke for ulcerative plaque without  
21 treatment?

22 MS. ROGERS: Form.

23 A. 25 to 50 percent in the first  
24 year. It goes up higher each year you don't  
25 treat it.

S. BECKER - MR. BERMAN

1 Q. What is the rate of recurrent  
2 stroke that is treated with carotid  
3 endarterectomy?

4 A. Are you talking about immediately  
5 post op?

6 Q. Let's take within a month, within  
7 six weeks, eight weeks.

8 A. Usually what they talk about is  
9 postoperative stroke of two to five percent.  
10 That's usually within the peri operative  
11 period, and different studies define peri  
12 operative in different terms. One can be a  
13 week, one can be two weeks, one can be a month,  
14 but peri operative stroke is about two to five  
15 percent.

16 So I've seen studies that go to a  
17 month. So if you want to include that, that  
18 would be basically my answer. Same thing first  
19 24, 48 hours, it's about the same.

20 Q. Do you -- after an initial stroke,  
21 is there any amount of waiting time that one  
22 would use in the performance of a carotid  
23 endarterectomy, assuming one was considering it  
24 and it was indicated?

25 A. If you're talking about a TIA with

## S. BECKER - MR. BERMAN

1 no CAT scan area of hemorrhage, the answer is

2 rapidly.

3 Q. What do you mean by "rapidly"?

4 A. As soon as possible.

5 Q. Okay.

6 A. Which would mean in that

7 hospitalization you would try to do it to

8 decrease the possibility of re stroke. If they

9 had a significant hemorrhagic area and they

10 reversed in the first month, then you would

11 schedule them again as soon as possible after

12 they recover.

13 Q. Would you want to wait to see if

14 there was some resolution of symptoms?

15 A. You look for resolution, but not

16 in -- you would look for resolution not to 100

17 percent. You would look for the process of

18 resolution. The patient is getting better, his

19 weakness has gone down, he's improving again

20 dramatically, his functions are coming back,

21 that's why you don't want him to re stroke. If

22 he remains with hemiparesis, what's the use of

23 re operating, you already lost. You want him

24 to return to function.

25 Q. Would you wait until he returned to

S. BECKER - MR. BERMAN

1 function for the most part before you would  
2 operate or consider operating?

3 A. You would again wait for him. You  
4 would want the process to be improving, and  
5 that would be rapid improvement. Not over six  
6 months, but in the first month improves, yes.

7 Q. Can we agree you would want to wait  
8 approximately four to six weeks to see if there  
9 was some resolution or improvement?

10 MS. ROGERS: Form.

11 A. Not necessarily. If there was  
12 improvement within the first week, and the CAT  
13 scan showed no hemorrhagic area, I would be  
14 inclined to operate on this guy pretty rapidly.

15 Q. "Rapidly" being?

16 A. A week, two weeks, ten days. I  
17 would try to do it as quickly as physically  
18 possible being done, barring any medical  
19 problems.

20 Q. Would you want to wait until the  
21 motor deficits had resolved?

22 A. Not resolved. Improved,  
23 significantly improved.

24 Q. Improved. Are you aware of any  
25 studies that take the position that with

S. BECKER - MR. BERMAN

1 minimal stenosis or stenosis of less than 50  
2 percent that there can be, the risk of  
3 endarterectomy can outweigh the benefit of it?

4 MS. ROGERS: Form.

5 A. In minimal stenosis within  
6 stenosis, without symptoms, without stroke or  
7 TIA, the answer is yes, you risk two to five  
8 percent of having a stroke with the operation  
9 and why it outweighs it is because the patient  
10 does not have a significant stenosis;  
11 therefore, his chances of stroke is probably  
12 that or a little bit less, so you wouldn't do  
13 it.

14 Q. What about symptomatic patients?

15 A. That's a different story.

16 Q. I know, that's why I'm asking the  
17 question.

18 A. Again, with minimal stenosis, and  
19 you can -- basically that's the cause of it,  
20 and usually that's a hard thing to find, but if  
21 that's the cause of it, then you might consider  
22 doing it in the face of less than 50 percent.

23 Q. But there are studies that say that  
24 with symptomatic patients with minimal stenosis  
25 of less than 50 percent, the risks of

S. BECKER - MR. BERMAN

1 endarterectomy outweigh the benefits, and there  
2 is no clear indication of an endarterectomy.

3 MS. ROGERS: Form.

4 A. You have to cite the articles,  
5 because the articles say if a patient had a  
6 stroke and is symptomatic and it's attributable  
7 to that, that's a patient you would consider  
8 doing an endarterectomy.

9 Q. How about the NACET study symptom  
10 plaque patients with less than 50 percent  
11 stenosis, there's no appreciable benefit for  
12 endarterectomy, and the benefits would not  
13 outweigh the risks?

14 MS. ROGERS: Form.

15 A. With previous stroke?

16 Q. With previous stroke.

17 A. That terminology I'm not familiar  
18 with, but as I said, I have not done a  
19 literature search, and in order to joust with  
20 you, I would be more than happy to do one.

21 Q. Are there certain things to  
22 indicate endarterectomy, sir?

23 A. Of course.

24 Q. What are they?

25 A. Bleeding. Bleeding, and of course

S. BECKER - MR. BERMAN

1 ultimately infection, there's stroke, there's  
2 emboli, there's damage to peripheral areas.  
3 Again, these are normally sick people. They  
4 carry a risk of operation in general. Arterial  
5 is usually not confined to their heart, there  
6 are other complications that can occur, but the  
7 main complication can be bleeding and stroke.

8 Q. You can have recurrent stroke with  
9 a carotid endarterectomy?

10 A. Again postoperative peri operative  
11 stroke you can have a peri operative stroke,  
12 that's true.

13 Q. The peri operative stroke is what?

14 A. Again it's ill-defined by a lot of  
15 people. Usually they stay within the first  
16 couple days, first week. I've seen it within a  
17 month, they call it peri operative.

18 Q. What do you usually call it?

19 A. Peri operative, usually within a  
20 week.

21 Q. Are you familiar with any study  
22 that says that the benefit of endarterectomy  
23 outweighs the risk in symptomatic patients with  
24 less than 50 percent stenosis?

25 MS. ROGERS: Form.

## S. BECKER - MR. BERMAN

1 A. Again I haven't gone through the  
2 literature, so I can't make comments if a study  
3 like that exists. I haven't done any research,  
4 so I can't cite one.

5 (A discussion takes place off the  
6 record.)

7 Q. What was the degree of ulceration  
8 in this case?

9 A. I don't think they gave a degree.  
10 Let me take a look. They only mentioned  
11 ulcerative plaque.

12 MS. ROGERS: Can I show him?

13 MR. BERMAN: Sure.

14 MS. ROGERS: If this helps you.

15 THE WITNESS: I have it. Thank  
16 you?

17 A. It only says that the operative  
18 report, an endarterectomy of a calcified  
19 arterial plaque, ulcerative plaque with large  
20 calcification in the containing blood clots and  
21 platelet aggregation was carried out.

22 Q. What does the pathology report say  
23 about ulceration, if any?

24 A. Do you have this available?

25 MS. ROGERS: I've got it right

S. BECKER - MR. BERMAN

1 here.

2 Q. Does the pathology report indicate  
3 any presence of ulceration?

4 MS. ROGERS: Form.

5 A. Other than saying irregular  
6 fragments of yellow tissue measuring two by one  
7 by a half, it doesn't mention specifically  
8 ulceration, but it does say irregular  
9 fragments. So it doesn't appear to be one  
10 piece. So I don't think you can possibly say  
11 you can tell exactly what.

12 Q. What does irregular fragments mean,  
13 irregular in size and shape that the  
14 pathologist was looking for?

15 MS. ROGERS: Form.

16 A. Pathologist means pieces. I don't  
17 know if this was taken out in pieces. He was  
18 just describing the fragments he got that were  
19 irregular, and also ulcerative plaque is  
20 definitely irregular. It's an indentation  
21 that's irregular.

22 Q. But there's no indication in the  
23 pathology report that there's a indentation  
24 or --

25 A. No, true. This was taken out of

S. BECKER - MR. BERMAN

1 one piece, so I don't think that's really fair.

2 (A discussion takes place off the  
3 record.)

4 Q. Assume, Doctor, I want you to  
5 assume that there was a carotid endarterectomy  
6 after the first stroke. What would be the risk  
7 of morbidity or mortality from the first stroke  
8 alone?

9 MS. ROGERS: Form.

10 A. The morbidity of the stroke, two to  
11 five percent. For this patient mortality would  
12 be very low.

13 Q. And are you including in that the  
14 risk of recurrent stroke?

15 A. Yes.

16 Q. I just want to make sure I  
17 understood you.

18 A. Yes.

19 Q. Was Mr. Wrase hypertensive?

20 A. Yes.

21 Q. Did he have hyperlipidemia as well?

22 A. Yes. And hyper cholesterol.

23 Q. Does that increase his risk of  
24 recurrent stroke?

25 A. That increases the risk of arterial

## S. BECKER - MR. BERMAN

1 sclerosis, not a stroke or not plaque in the  
2 same place. You have a higher disposition with  
3 these factors to develop plaque all over your  
4 body with these.

5 Q. Do you have a higher predisposition  
6 to redevelop plaque with these conditions?

7 A. Not necessarily develop, but you  
8 have a higher definition of plaque formation  
9 with arterial sclerosis.

10 Q. With these factors you have a  
11 higher likelihood of having atherosclerotic  
12 disease?

13 A. Absolutely.

14 Q. Doctor, can we agree that  
15 angiography can be insensitive to detecting  
16 angiography for plaque?

17 A. No.

18 MS. ROGERS: I didn't hear the  
19 answer.

20 A. No, I don't agree with that. If  
21 it's done properly with multiple views, like it  
22 should, if there's plaque there and ulceration,  
23 you should see it.

24 Q. Are you aware of the fact that  
25 ulcerations were excluded from the NACET study?

S. BECKER - MR. BERMAN

1 A. Again, I don't have the NACET study  
2 in front of me, and I didn't do it. I don't  
3 have intimate knowledge of it in front of me,  
4 so I can't tell you.

5 Q. Don't you think you should have  
6 intimate knowledge of it since it's directly  
7 related to the --

8 MS. ROGERS: Form. Since it's  
9 related to the form.

10 A. No. You're telling me a study most  
11 of which are different than this, most of which  
12 have some evidence.

13 Q. Do you practice evidence-based  
14 medicine, sir?

15 A. I don't know what that means.

16 Q. You don't know what?

17 A. Medicine is not legal. Medicine is  
18 an art.

19 Q. How about evidence-based in terms  
20 of statistical data to support what treatment  
21 modalities are effective as opposed to  
22 anecdotal evidence?

23 MS. ROGERS: Form.

24 A. Again I can't even answer that  
25 question because what you call anecdotal seems

## S. BECKER - MR. BERMAN

1 factually correct, there are many studies out  
2 there that are based on many falsehoods, and  
3 you can treat patients wrong by listening to a  
4 study which may not have any bearing on that or  
5 even be factual.

6 Q. Do you use any literature in  
7 connection with the way you treat patients?

8 MS. ROGERS: Form.

9 A. The answer is yes.

10 Q. And how do you use that?

11 A. Simple. I've trained, I have  
12 knowledge of the field. If I need to do some  
13 update of something unusual, I will do an  
14 update, and I will read accurate things and  
15 current treatments, and we have changed.

16 Q. What do you look at to keep current  
17 or to find out what are the new thoughts in the  
18 practice of medicine as you practice?

19 A. The British Journal of Surgery I  
20 use; American Journal of Surgery I'll use.  
21 I'll go through other journals, laser journals,  
22 laparoscopic journals I go through. Those are  
23 the ones I see when I go to the library I look  
24 at.

25 Q. What are the journals you refer to

## S. BECKER - MR. BERMAN

1 in terms of what's going on with the decision  
2 to use carotid endarterectomy versus not use  
3 corticosteroids?

4 A. Well, the vascular journals that  
5 are out there, I look at those, and also  
6 articles in other journals about vascular  
7 surgery. There are a number of articles out  
8 there, not all of them, as I said, are  
9 definitive articles. Some are mainly opinions,  
10 some are descriptive techniques, some are --  
11 some of them pertinent findings, which we may  
12 not agree with.

13 Q. But you look at them to keep an  
14 open mind and see what is going on in your  
15 field, don't you?

16 A. I read them with a very, very  
17 skeptic eye, and I don't believe every -- no, I  
18 don't believe every article that is written. I  
19 believe many articles are written for the  
20 purpose of writing an article, and they may not  
21 be based on significant factual basis.

22 Q. You would agree you would have to  
23 look at each article in particular to make that  
24 determination, true?

25 A. In general you would have to look

## S. BECKER - MR. BERMAN

1 at the article to determine whether you believe  
2 they have some credibility or not, but again,  
3 you take every article with a jaundiced eye  
4 because we know generally what's worked for a  
5 number of many years, and when someone comes  
6 along with some new ideas which may not work or  
7 they may work, you want to be very conservative  
8 in changing.

9 Q. Why were there studies in regard to  
10 the risks of carotid endarterectomy versus the  
11 benefit? Why did they have those studies, sir?

12 A. They've been having those studies  
13 for many years. And many of the articles were  
14 written with the expressed intent to try and  
15 get away from surgery because surgery is  
16 relatively more expensive, and so a lot of the  
17 drug companies write articles showing that  
18 their drugs were very, very efficient. Even  
19 the aspirin companies ran studies, because if  
20 they use their drug, they make a ton of money.

21 So when those studies are financed  
22 by people who have a vested interest in people  
23 who push medication, I take that with a great  
24 deal of skepticism. Many doctors on these are  
25 being paid by them to run these studies.

S. BECKER - MR. BERMAN

1 Q. Do you have any opinion whether the  
2 NACET study was funded by the drug companies  
3 and has the bias?

4 A. I know a lot of people don't agree  
5 with a lot of portions of it. The answer is I  
6 don't know if it has a bias or not. I mean,  
7 I'm sure we can find out.

8 MS. ROGERS: Ken, are you going to  
9 have any questions?

10 MR. MORGAN: I don't know. Am I  
11 going to have any questions.

12 MS. ROGERS: No. We can stipulate,  
13 if I haven't already done so.

14 MR. MORGAN: You have. I think we  
15 can just say it in the end. I think that's the  
16 end of the day.

17 MS. ROGERS: I'm not going to have  
18 any questions, but we need to give Bob a chance  
19 to go over his notes, and certainly he's  
20 entitled to ask.

21 MR. MORGAN: He is.

22 MS. ROGERS: The court reporter  
23 needs a break.

24 MR. BERMAN: That's fine.

25 (Brief recess.)

## S. BECKER - MR. BERMAN

1 Q. Doctor, was Mr. Wrase a diabetic?

2 A. I believe he was. I would have to  
3 check that.

4 MS. ROGERS: Do you want him to  
5 check?

6 MR. BERMAN: Yeah, I don't mind. I  
7 think he was, but I just want to make sure.

8 Q. Generally, I don't see diabetic. I  
9 thought somewhere I might have it.

10 A. I thought there might be some.

11 MS. ROGERS: I thought so too.

12 A. I don't see that he's on any  
13 medications.

14 MS. ROGERS: No Glucophage or  
15 insulin?

16 THE WITNESS: I don't see it.

17 Q. Okay. Doctor, have you now told me  
18 all of the opinions that you have relative to  
19 standard of care and causation with regard to  
20 Mr. Wrase?

21 A. I have.

22 Q. Have you been asked to create any  
23 demonstrative aids?

24 A. Not at this point, no.

25 Q. As I understand it, you talked

## S. BECKER - MR. BERMAN

1 about the quality of the carotid ultrasound,  
2 you've talked about whether or not there was a  
3 reordering of the carotid ultrasound or an  
4 angiography and/or MRA. Now, I assume that  
5 you're also saying, you may have said it, that  
6 a vascular surgery consult was indicated as  
7 well.

8 A. If they found the ulcerative  
9 plaque, I would have called a vascular surgeon  
10 in, which they did at the end, and my  
11 assumption would be that he did the same thing  
12 he did in the end, only earlier.

13 Q. What do you base that assumption  
14 on?

15 A. My training, knowledge and  
16 experience.

17 Q. Anything in the records that you  
18 base it on more than your training, knowledge  
19 and experience?

20 A. Also, when they saw an ulcerative  
21 plaque they called in a vascular surgeon.

22 Q. The guy was there for two strokes,  
23 wasn't he?

24 A. I don't think he was there for the  
25 two strokes. I think he was there because it

S. BECKER - MR. BERMAN

1 was generated by the fact they found something  
2 the surgeon could do. It's not the same as one  
3 stroke.

4 Q. Are you critical of him waiting  
5 approximately six or eight weeks to operate?

6 A. Not after the second stroke, no.

7 Q. Okay. Is there any study that  
8 you're aware of that talks about recurrence of  
9 stroke with carotid endarterectomy?

10 A. I haven't done a literature search,  
11 but I'm sure he was cognizant of the fact the  
12 man had two strokes, and that we're in another  
13 realm. He needed surgery and more caution. He  
14 already had two strokes, and you want to make  
15 sure he's improving, number one, and you also  
16 have to make sure there's no hemorrhagic areas  
17 for vascularization.

18 Q. And he was now in a different  
19 subset of patients because he had had two  
20 strokes, correct?

21 MS. ROGERS: Form.

22 A. Correct, right. And he was also  
23 one of the few that makes it through two  
24 strokes without significant loss. And without  
25 significant loss the question of surgery

S. BECKER - MR. BERMAN

1 becomes more difficult.

2 Q. Because of the risk of re profusion

3 injury?

4 MS. ROGERS: Form.

5 A. The fact that after the second one

6 you have a higher incidence of re stroke. You

7 have two strokes already, there's decreased

8 intra cerebral flow and vascular trauma. After

9 two injuries the re profusion of the vessels,

10 they don't respond very well. We're in totally

11 different areas at this point. The fact is I

12 don't object to his waiting. The fact is I

13 would agree with him, what he did was perfectly

14 fine. The wait was not inordinate, and the re

15 operation in this man's condition would have

16 been appropriate.

17 Q. Have you reviewed the records of

18 Naples Community Hospital or Collier Hospital?

19 A. I don't believe I have.

20 Q. Are you aware that he had a

21 seizure, I believe, in November of 2000?

22 A. I don't think I have those

23 records. It doesn't sound familiar.

24 Q. Assuming that he had a subsequent

25 seizure, say, in November of 2000, what would

## S. BECKER - MR. BERMAN

1 be the potential causes of that?

2 A. Seizures.

3 MS. ROGERS: Form.

4 A. A lot of reasons not necessarily  
5 related to this stroke. Seizure is when there  
6 is a stroke right where is his findings right  
7 side, left side, there can be other factors. I  
8 can't answer.

9 Q. So you have no opinion?

10 A. I have no opinion, and I have no  
11 information.

12 Q. Have you asked for any materials  
13 that haven't been provided to you?

14 A. Not at this point.

15 Q. Do you intend to do anything else  
16 with regard to this case?

17 A. Nothing unless I'm asked.

18 Q. Okay. Assuming that you're asked,  
19 and you develop additional opinions, I assume  
20 Mr. Freidin's office will advise us, and we  
21 will have the opportunity to redepose you,  
22 correct?

23 MS. ROGERS: I think that's a fair  
24 assumption on your part, Mr. Berman.

25 MR. BERMAN: Thank you.

S. BECKER - MR. BERMAN

1 MS. ROGERS: But it appears he has  
2 stated his opinions at this time, and that  
3 they're final at this time.

4 MR. BERMAN: Okay.

5 MS. ROGERS: And I don't anticipate  
6 us giving him anything else unless someone  
7 wants to come up with a demonstrative exhibit,  
8 but we'll let you know if something changes.

9 MR. BERMAN: That's fine. That's  
10 all I have. Thank you.

11 MS. ROGERS: Mr. Morgan.

12 EXAMINATION BY MR. MORGAN:

13 MR. MORGAN: Doc, I'm Ken Morgan.  
14 I represent Holy Cross in this case, and,  
15 Susan, quite frankly, if you want, you can  
16 stipulate with me.

17 Doctor, it's my understanding that  
18 you have no opinions with respect to the  
19 standard of care or whether there were any  
20 departures from the standard of care of any of  
21 the agents, servants or employees of Holy Care  
22 Hospital in the --

23 A. I would agree with that as long as  
24 the doctor wasn't employed by the hospital, and  
25 it's my understanding he wasn't.

S. BECKER - MR. MORGAN

1 Q. Fair enough. And as I understand  
2 it, your opinions are limited to Dr. Cimera in  
3 this case; is that accurate?

4 A. That's correct.

5 MR. MORGAN: Is that correct,  
6 Susan?

7 MS. ROGERS: Yes, it is.

8 MR. MORGAN: Fair enough. In that  
9 case, I have no questions.

10 MS. ROGERS: Do you have anything  
11 else, Rob?

12 MR. BERMAN: Just one thing, I just  
13 want to think.

14 MS. ROGERS: Read or waive?

15 THE WITNESS: Read.

16 MR. BERMAN: I would like the  
17 correspondence attached as Defendant's Exhibit  
18 1. I would also like the billing records --

19 MS. ROGERS: Sure.

20 MR. BERMAN: -- as Defendant's  
21 Exhibit 2. I don't need the other stuff.

22 Oh, did you make any notes?

23 THE WITNESS: No.

24 MS. ROGERS: He said no.

25 MR. BERMAN: Did you highlight any

S. BECKER - MR. MORGAN

1 portions of the depositions?

2 THE WITNESS: No.

3 MS. ROGERS: He just read

4 summaries, I think. Thank you, Dr. Becker.

5 THE WITNESS: You're welcome.

6 (Whereupon, the above-referred to

7 correspondence was received and marked Exhibit

8 Defendant's 1 for identification.)

9 (Whereupon, the above-referred to

10 billing records was received and marked Exhibit

11 Defendant's 2 for identification.)

12 (Deposition concluded at 4:45 p.m.)

13

14

15

16

17

18

19

20

21

22

23

24

25

1           C E R T I F I C A T E

2

3       I, MARIA L. GIGLIUTO, a Notary Public and  
4 Certified Shorthand Reporter of the State of  
5 New Jersey, do hereby certify that prior to the  
6 commencement of the examination, DR. STEVEN IRA  
7 BECKER was duly sworn to testify to the truth,  
8 the whole truth and nothing but the truth.

9       I DO FURTHER CERTIFY that the foregoing is  
10 a true and accurate transcript of the testimony  
11 as taken stenographically by and before me at  
12 the time, place and on the date hereinbefore  
13 set forth, to the best of my ability.

14       I DO FURTHER CERTIFY that I am neither a  
15 relative nor employee nor attorney nor counsel  
16 of any of the parties to this action, and that  
17 I am neither a relative nor employee of such  
18 attorney or counsel, and that I am not  
19 financially interested in the action.

20

21

\_\_\_\_\_  
MARIA L. GIGLIUTO, CSR  
Notary Public of the  
State of New Jersey  
License No. XI02086

22

23

24 Commission Expires April 6, 2004

25