

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

STATE OF NEW HAMPSHIRE
SUPERIOR COURT

ORIGINAL

GEORGE LEPAGE AS EXECUTOR
OF THE ESTATE OF LORRAINE
LEPAGE,

Plaintiff,

vs.

LAWRENCE M. HOEPP, M.D.
AND GENERAL SURGICAL
SPECIALISTS OF NEW ENGLAND,
Defendants.

TRANSCRIPT of testimony in the
deposition of Stephen I. Becker M.D. taken
by and before Adrienne E. Tisdale-Scott, a
Certified Shorthand Reporter and Notary
Public of the State of New Jersey, at the
offices of DR. STEPHEN I. BECKER, M.D.,
33-00 Broadway, Suite 204, Fair Lawn, New
Jersey, on Friday, October 29, 2004,
commencing at 10:01 in the forenoon.

1 A P P E A R A N C E S :

2

3 Attorneys Representing Plaintiff:

4

5 CROWE & MULVEY, LLP

6 141 Tremont Street

7 Boston, Massachusetts 02111

8 (617) 426-4488

9 BY: DAVID SUCHECKI, ESQ.

10

11

12 Attorneys Representing Defendant:

13

14 SULLOWAY & HOLLIS, P.L.L.C.

15 9 Capitol Street

16 P.O. Box 1256

17 Concord, New Hampshire 03302-1256

18 (603) 223-2831

19 BY: ROBERT J. LANNEY, ESQ.

20

21

22

23

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I N D E X

WITNESS: STEVEN I. BECKER, M.D.

PAGE

DIRECT EXAMINATION BY MR. LANNEY 4

E X H I B I T S

NUMBER	DESCRIPTION	PAGE
1	Curriculum Vitae	5
2	Letter, 3/14/03	29
3A	Notes	30
3B	Notes	30
4	Expert Disclosure	35

1 STEVEN I. BECKER, M.D.,
2 33-00 Broadway, Suite 204, Fair Lawn,
3 New Jersey, having been duly sworn
4 according to law, testifies as follows:
5

6 MR. LANNEY: This deposition will
7 be taken under oath, usual New Hampshire
8 stips, all objections as to the form and
9 motions to strike are preserved to the
10 time of trial, notice and signings are
11 waived 30 days or signatures are deemed
12 waived.

13 MR. SUCHECKI: We'll waive it.

14 MR. LANNEY: I think that's it.

15 MR. SUCHECKI: Yes.
16

17 DIRECT EXAMINATION

18 BY MR. LANNEY:

19 Q. Good morning, my name is Rob Lanney, we
20 met a few moments ago. I represent
21 Dr. Lawrence Hoepp in his professional
22 association. I'm going to ask you some
23 questions this morning about your
24 opinions and background. If I ask you a

1 question that you do not understand,
2 would you ask me to repeat or rephrase
3 it?

4 A. I will.

5 Q. Please state your name and residence
6 address.

7 A. Stephen I. Becker, B-E-C-K-E-R, 35 Wendy
8 Lane, and that's Closter, New Jersey.

9 MR. LANNEY: We are going to be
10 marking as Exhibit 1 your curriculum
11 vitae.

12 (Whereupon, Exhibit 1,
13 Curriculum Vitae, was received and
14 marked for identification.)

15 Q. Let me show you Exhibit 1.

16 Is this an accurate and
17 up-to-date curriculum vitae?

18 A. I believe it is, yes.

19 Q. Am I correct in understanding that you
20 have not authored any publications?

21 A. Correct.

22 Q. I ask you some questions about your
23 curriculum vitae, I understand you went
24 to the University of Brussels for your

1 medical degree?

2 A. I did.

3 Q. I believe you applied to approximately
4 20 medical schools in the United States
5 and were not accepted; is that correct?

6 A. Right.

7 Q. That is because your credentials in
8 applying to those medical schools were
9 not as strong as others; true?

10 A. I would assume so.

11 Q. Looking at your curriculum vitae, in
12 1980 to 1981 you were a Clinical
13 Instructor in surgery; is that correct?

14 A. Correct.

15 Q. Was that your third year of your
16 residency?

17 A. No, my 5th year chief residency.
18 St. Vincent's and NYU, shared another
19 medical facility called Beekman and I
20 was given a teaching appointment at NYU
21 to teach the people who rotated through
22 Beekman as well as St. Vincent's. So I
23 had a clinical instructorship from NYU
24 as well as being chief resident from St.

1 Vincent's.

2 Q. Did you have an academic appointment
3 during that year?

4 A. That's an academic appointment.

5 Q. I assume what you are doing, as
6 residents were coming through clinical,
7 you supervised and --

8 A. Supervised residents, medical students
9 that rotated as well. There were teams
10 and there were lectures. There was
11 practical work, patient work. It's a
12 full day's worth of work.

13 Q. Since 1981 you have not held any
14 academic appointments or faculty
15 appointments; is that correct?

16 A. That's correct.

17 Q. Now, Englewood Hospital and Medical
18 Center, fellow of vascular surgery 1981.
19 You did not complete that fellowship;
20 correct?

21 A. It was not a fellowship, not approved
22 fellowship, right. I found out it
23 wasn't going to be one and was asked to
24 join another man's group and I told them

1 I was leaving.

2 Q. You were actually fired from that
3 position?

4 A. After I told them I was leaving, yes.

5 Q. You did not complete that position?

6 A. Correct.

7 Q. You still have not received any
8 certification in vascular surgery?

9 A. I have not received a certificate;
10 correct.

11 Q. The physicians you worked under at
12 Englewood were Herb and Irving Dardick?

13 A. Correct.

14 Q. Do you know where they are currently
15 located?

16 A. Well, Herb Dardick, I think retired and
17 was in hospital administration and
18 Irving Dardick is in jail.

19 Q. Where?

20 A. Someplace in New Jersey for fraud.

21 Q. Did you actually bring a lawsuit against
22 them?

23 A. Yes. I brought a lawsuit against the
24 hospital.

1 Q. They were in conjunction because they
2 prevented the hospital from reviewing my
3 applications for a year and a half to
4 two years, and a lawsuit had to be brung
5 to review the application, you know, it
6 went into court. They first said I
7 didn't have an office in the area and
8 they changed it. Then the hospital
9 changed it, since I was fired from there
10 they wouldn't give me privileges?

11 Was there a lawsuit
12 against them successful?

13 A. Ultimately, no.

14 Q. You mentioned you applied for privileges
15 at, I believe, two hospitals and were
16 denied those privileges.

17 A. Englewood and St. Joseph's.

18 Q. Have you ever received privileges at
19 either hospital?

20 A. Not at Englewood. St. Joseph's took
21 over which is called St. Joseph's Wayne,
22 that's one of their hospitals.

23 Q. Under employment there is a reference to
24 a preceptorship, Hands on Training with

1 Mark Taylor in Salt Lake City in 1996.

2 What is that?

3 A. It really shouldn't be under employment.

4 Certain type of laser procedure, a

5 photoderm laser came into being. I

6 guess it should be certifications, but I

7 worked with him for a couple days in

8 Utah.

9 Q. Similarly the College of Surgeons

10 Proctor at International Section on 3D

11 Virtual Reality.

12 A. That was done because I was doing work

13 for a company that created three

14 dimensional laparoscopic viewing I gave

15 with their instrument. Their lecture,

16 and I did get paid for that. So they

17 put it under employment. But I

18 consulted with them for several years.

19 Q. You have been an attending at Barnert

20 Memorial Hospital?

21 A. I have.

22 Q. Have you been on any committees?

23 A. Over the years, yeah.

24 Q. What leadership positions have you held

1 at Barnert, if any?

2 A. None. I have never been chief of the
3 surgeries.

4 Q. Are you currently on any committees at
5 Barnert?

6 A. I probably am. We are only required to
7 take one committee and many of them are
8 once a year, and the last one I believe
9 I was on was the Library Committee.

10 Q. At the current time, which is 2004, do
11 you know what committee you are on?

12 A. Offhand, no, I'd have to look.

13 Q. At Pascack Valley Hospital?

14 A. Yes.

15 Q. You've been there on staff since '82?

16 A. Correct.

17 Q. Have you held any leadership positions
18 there?

19 A. No.

20 Q. Have you served on committees over the
21 years there?

22 A. I have.

23 Q. Do you know if you are currently on any
24 committees at Pascack?

1 A. Basic same response as before, last time
2 I remember I was on the Laser Committee,
3 but I haven't been informed, you know,
4 it's not an active committee. If they
5 have a meeting they tell me about it.
6 If not, I don't know about it.

7 Q. Have your privileges to practice been
8 suspended, curtailed or in any way
9 limited at any time?

10 A. No.

11 Q. Under your certifications and awards
12 section. Am I correct in understanding
13 that the only award is the New Jersey
14 Assembly Resolution?

15 A. Correct.

16 Q. What is that?

17 A. I had mentioned I worked with a company
18 three dimensional viewing for
19 laparoscopic surgery. I started doing
20 laparoscopic surgery in '89, '90 era,
21 and made The Next Step in the Discovery
22 Channel, and apparently the local
23 congressman saw it and recommended
24 because it was so innovative that I

1 be -- he nominated me for the award for
2 the this innovative technology, and I
3 got this in the mail and he called me.

4 Q. So the resolution passed?

5 A. I guess it did. I got the certificate.

6 Q. The rest of the materials cited under
7 certifications and awards are in classes
8 that you have taken over the years on
9 various medical procedures?

10 A. Courses, certification, yes.

11 Q. Do any of those certifications deal with
12 the diagnosis and treatment of wound
13 infection?

14 A. I don't believe so.

15 Q. Have you used physician assistants in
16 your practice?

17 A. No. Jersey has limited physician
18 assistants.

19 Q. The Endoscopic Vein Harvesting Ethicon
20 Endosurgery?

21 A. Right.

22 Q. Do you use that in surgeries currently?

23 A. No.

24 Q. Can we agree that's Ethicon System is

1 within the standard of care?

2 A. Sure.

3 Q. At the current time, are you still
4 practicing vascular surgeries,
5 approximately 20 percent of your time?

6 A. Yeah, 15, 20, 25. Depends on the number
7 of cases.

8 Q. I will pick the middle and say 20
9 percent?

10 A. Fine.

11 Q. What percent of that practice would
12 involve fem-pop?

13 A. Probably within the 20 percent. I'd be
14 guessing. Seven, eight, nine, ten,
15 depending on if you are talking about
16 all the distal bypasses.

17 Q. Yes, sir?

18 A. More than fem-pop distal bypasses, about
19 7 to 10 percent.

20 Q. Of the 20 percent of total practice?

21 A. No. No. The 20 percent.

22 Q. How many distal bypasses do you think
23 you do on a regular basis,
24 approximately?

1 A. 10, 15. Depending on the year.

2 Q. Do you use the same saphenous vein that
3 was the technique that was used by
4 Dr. Hoepp in this case?

5 A. I don't use the same technique but
6 there's nothing --

7 Q. Do you have any criticism of the
8 surgical technique or surgical technique
9 performed by Dr. Hoepp?

10 A. None at all. It was fine. It was
11 indicated there was no problem with
12 that.

13 Q. Do you have a specialty within your
14 field of practice?

15 A. I -- in general surgery, I do more
16 endoscopic laparoscopic work than open
17 work now. I have been doing that since
18 about 1990.

19 Q. What is the national infection rate for
20 distal bypass surgeries such as the one
21 performed on Mrs. Lepage?

22 A. I don't have a specific number. Depends
23 on who you read and what times.

24 Q. Do you know the range that's quoted?

1 A. I'm going to say usually it's 1 to 2
2 percent that I'm aware of, but each
3 institution has their own statistics on
4 specifics because they have their own
5 bacterial environments, so that's --
6 that was the number I would generally
7 accept it.

8 Q. Do you know what your rate is for
9 infection on distal surgery?

10 A. To tell you the truth, I have not had an
11 infection in quite a while. I mean, I
12 can't say I have never had an infection,
13 but I can't remember my last one.

14 Q. Can we agree that wound infection is a
15 known risk of distal bypass surgery?

16 A. Absolutely, sure.

17 Q. Now, I wanted to talk to you about
18 medical legal review for a moment.

19 A. Sure.

20 Q. Are you currently still reviewing about
21 three cases a month?

22 A. I get three to four cases a month on
23 average. Some months more, some months
24 less. General average.

1 Q. That has been the case since at least
2 1995, I believe; is that right?

3 A. Thereabouts. Thereabouts.

4 Q. Your work as a medical legal consultant
5 began in 1981 when you were a chief
6 resident?

7 A. No, it started in 1981 when I worked for
8 a group. It actually started probably
9 1982, '83, when I worked for a group
10 that did mainly workmen's comp and
11 liability. I came in contact with a
12 number of attorneys and then
13 periodically they'd have me review a
14 chart, and for many years it was just an
15 occasional review that I would do.

16 Q. But didn't that review for attorneys
17 start in 1981?

18 A. I started working for the group in 1981.
19 I don't know if the original charts of
20 negligence cases rather than liability
21 cases but I worked for a group. They
22 only did liability and compensation work
23 in New Jersey.

24 Q. Over the years 90 to 95 percent of cases

1 you have reviewed have been on behalf of
2 plaintiffs; is that correct?

3 A. Earlier in my career of doing that, yes.
4 Over the course of five years, I see
5 about 25 percent defense work. Again,
6 it varies a little bit, and 5 percent
7 plaintiff work, but prior to that, yes,
8 it was 90, 95 percent, but there were
9 less cases, too.

10 Q. Do you think that you estimate the total
11 number of cases you reviewed to be
12 hundreds; true?

13 A. True.

14 Q. Does that mean 200, 300, 400, can you
15 narrow it down for me?

16 A. If you ask me to be over, I can't give
17 you the exact number. Probably over 20
18 years over 200, I wouldn't argue.

19 Q. You've given over 100 depositions?

20 A. Yes.

21 Q. Do you think it's opened up to a 150 by
22 now?

23 A. Probably with this one.

24 Q. Am I correct in understanding that --

1 what percentage of your depositions have
2 been on behalf of plaintiffs?

3 A. Probably the places that have
4 depositions you're asking for a number,
5 I can't tell you. The majority would be
6 plaintiff depositions. I would say
7 probably 85 to 90 percent would be
8 plaintiff depositions, but you do
9 realize they don't have depositions such
10 as New York. If you do defense work
11 there, I would be deposed.

12 Q. But it's fair to say approximately 90
13 percent of the depositions you've given
14 have been on behalf of plaintiffs?

15 A. I'll agree with that.

16 Q. You've testified over 60 trials?

17 A. 60, sure.

18 Q. Any on behalf of defendants?

19 A. No, I have never done a defendant trial.

20 Q. I want to ask you about the states in
21 which you have provided expert
22 testimony, either by deposition or in
23 court. I'm going to give you a list of
24 those states and ask if that's correct.

1 Missouri?

2 A. I have.

3 Q. Florida?

4 A. I have.

5 Q. West Virginia?

6 A. I have.

7 Q. Virginia?

8 A. I have.

9 Q. Massachusetts?

10 A. Have.

11 Q. Pennsylvania?

12 A. Excuse me. Massachusetts, trial
13 testimony, no depositions. In talking
14 trial.

15 Q. Trial or deposition any testimony under
16 oath.

17 A. Okay. Sure.

18 Q. Pennsylvania?

19 A. Yes.

20 Q. New York?

21 A. Yes.

22 Q. Kansas?

23 A. Don't remember. I may have given a
24 deposition, I don't remember.

1 Q. New Jersey?

2 A. Yes.

3 Q. Ohio?

4 A. Yes.

5 Q. Georgia?

6 A. Yes.

7 Q. Louisiana?

8 A. Deposition maybe, never been in
9 Louisiana for trial.

10 Q. Illinois?

11 A. Yes, deposition there.

12 Q. Texas?

13 A. Yes.

14 Q. Connecticut?

15 A. Yes.

16 Q. North Carolina?

17 A. I'm going to say yes. I think so.

18 Q. Iowa?

19 A. Yes.

20 Q. Montana?

21 A. Don't remember ever doing anything in
22 Montana.

23 Q. Utah?

24 A. Yes.

1 Q. Missouri?

2 A. Yes.

3 Q. Rhode Island?

4 A. I don't remember.

5 Q. If we had a transcript from a Rhode
6 Island deposition?

7 A. No, but Rhode Island is a very
8 unusual -- I don't remember seeing
9 something from Rhode Island but I may,
10 in deed, have.

11 Q. Colorado?

12 A. Yes.

13 Q. Indiana?

14 A. Yes, I think so.

15 Q. Can you think of any others that I left
16 out?

17 A. Michigan.

18 Q. Any others?

19 A. That's about it. And now New Hampshire
20 is the first one.

21 Q. Have you worked with Mr. Suchecki's law
22 firm in the past?

23 A. Not to my recollection, no.

24 Q. Some of this partners are Liz Mulvey or

1 Phil Crowe?

2 A. I don't know if it's the same Mulvey.
3 Mulvey rings a bell.

4 Q. How about the firm of Lubic & Meyer?

5 A. Yes.

6 Q. They used to be somehow related?

7 A. Lubic & Meyer I remembered seeing a case
8 from a while back.

9 Q. Now I understand that over the years you
10 have been affiliated with expert witness
11 services?

12 A. I have occasionally been, they've called
13 me to review cases. The two services
14 mainly have been Tasa and -- which is no
15 longer in existence, and Andel which has
16 split up. They have used me more
17 consistently over the years. But some
18 review organizations would have me
19 review cases, yes.

20 Q. Over the years, Tasa and Andel?

21 A. Yes.

22 Q. Medical expert services in Florida?

23 A. As I said, years ago I think that's what
24 it was called. I had seen a couple

1 cases for them. You're talking maybe 15
2 years ago.

3 Q. Okay.

4 A. And I never heard from them afterwards.

5 Q. I don't believe that you received this
6 case through one of these services.

7 Rather I think you were contacted
8 directly?

9 A. I probably was.

10 Q. Do you advertise your services to review
11 medical legal cases at all?

12 A. I don't advertise in the strict sense of
13 advertising. I have a Web site which I
14 don't think it's working anymore. It
15 did ask if you did anything in the
16 office. I've also occasionally seen
17 lawyers within sites and have e-mailed
18 them if they do what I do basically.

19 Q. What would you e-mail them?

20 A. That I do medical review if they're
21 interested. The areas I do it in, and I
22 sometimes get a response. Most times
23 don't.

24 Q. Would you bring me up-to-date on your

1 hourly rates.

2 A. 450 an hour for review time. Deposition
3 is \$500 and hour, four hour minimum, and
4 my testimony is \$6,000 a day.

5 Q. Plus expenses?

6 A. Plus expenses, sure.

7 Q. Do you have any records of the bills you
8 have made to date on this case?

9 A. Not with me, but I can get it.

10 Q. Is that something you can get for us?

11 A. Yes.

12 Q. I don't need it today.

13 A. Sure.

14 Q. Are you able to estimate for me, for
15 example, over the past five years how
16 much income you earn a year in medical
17 legal?

18 A. I can only give you percentage. It's
19 been 5 to 7 percent over the past
20 several years.

21 Q. As of the last transcript, I believe
22 there have been eight malpractice claims
23 brought against --

24 A. Over 20 years three never went anywhere,

1 two we tried, I won. Two were settled
2 and one was lost.

3 Q. Did any of those cases involve issues
4 surrounding infection?

5 A. One involved the one that we -- one had
6 an appendix and had an infection after
7 an abscess after an appendicitis.

8 Q. That was the one you succeeded at trial?

9 A. Yes.

10 Q. Do you remember the name of that
11 patient?

12 A. No.

13 Q. Do you know where the case was brought?

14 A. It would be Paterson so that would be
15 Passaic County.

16 Q. State court?

17 A. Yes.

18 Q. When was that filed, do you know,
19 approximately?

20 A. I'm going to say '92, but I don't know
21 exactly.

22 Q. Do you have any records that would tell
23 you the name of the patient?

24 A. Not accessible right now. I'd have to

1 go back to some old records if I have
2 them.

3 Q. Would you be willing to look for us?

4 A. I'd be more than happy to, if I can find
5 it easily, if it becomes a problem.

6 Q. Yeah?

7 A. Coleman.

8 Q. C-O-L-E-M-A-N?

9 A. I don't know the first name.

10 Q. Thank you. Are there any journals that
11 you subscribe to?

12 A. At this point I don't subscribe to
13 journals. I go to the hospitals and use
14 them. Pascack Valley has a very good
15 library and Wayne General has a very
16 good library. So I use them as --

17 Q. You personally don't subscribe to
18 journals?

19 A. No.

20 Q. Are there textbooks in medicine that you
21 consider authoritative on the issues
22 surrounding this ^{CASE} ~~question~~?

23 A. No, but let me go back to the previous
24 question. I'm a member of Geriatric

1 Surgical Society, and they have a
2 journal that is sent to me, it's not a
3 subscription, it's part of the
4 membership.

5 Q. Are you available to come to trial in
6 this case in January?

7 A. Sure.

8 Q. In New Hampshire?

9 A. Depends, arrangements and stuff like
10 that.

11 Q. Have you been in New Hampshire?

12 A. Yes.

13 Q. Practice medicine?

14 A. No.

15 Q. Do you know Dr. Lawrence Hoepp?

16 A. No.

17 Q. Do you know his physician/assistant
18 Mr. Massey?

19 A. No.

20 MR. LANNEY: I want to mark as our
21 next exhibit a three-page letter from
22 Crowe & Mulvey to you. I do not know
23 who sent the letter because the
24 signature page doesn't appear to be

1 here, but it's dated March 14, 2003,
2 Exhibit 2.

3 (Whereupon, Exhibit 2,
4 Letter, dated 3/14/03, was received and
5 marked for identification.)

6 Q. Could you read into the record for me
7 the handwriting? First of all, is that
8 your handwriting?

9 A. Some of it is, some is not.

10 Q. The "3/24/02 Spoke " is that your
11 handwriting?

12 A. Yes.

13 Q. Below that "Limit CR to 1-2 hours?"

14 A. That's not my handwriting.

15 Q. Do you know whose it is?

16 A. I have two people in the office, one
17 Barbara, one Beth. That would be their
18 handwriting. It's too neat.

19 Q. That was you were supposed to limit your
20 casework one to two hours?

21 A. They obviously had a conversation when
22 this came.

23 Q. The handwriting?

24 A. Says, graft. Next to it it says, in

1 situ; next, sign of infection, no
2 evaluation WBC. I can't read after
3 that. Infected graft, bled, low flow,
4 bowel infarction, death. No sign
5 indication. I can't read it. Something
6 bloody. Just high. That's what it
7 says.

8 Q. Where it says "no sign, " do you know
9 what that means?

10 A. No. Those are just random marks.

11 MR. LANNEY: I want to mark as my
12 next Exhibit 3-A and 3B, two pages of
13 handwritten notes actually three pages,
14 two on one page.

15 Q. But we made copies and you had in your
16 original files.

17 A. Right. I should have three.

18 (Whereupon, Exhibits 3A
19 and 3B, Notes, were received and marked
20 for identification.)

21 Q. Let's start with this one here. Could
22 you read into the record Exhibit 3A
23 starting with the 4/27. Read it all in
24 verbatim.

- 1 A. Okay. Arterial ulcer. Right -- I think
2 it says, right fem done, ABP 70 over
3 150, 5/02 need arterial bypass, 5/23
4 popliteal bypass, knee area open.
5 5/26/, no overt infection, lower wound
6 open, serous drainage, assess,
7 Dr. Hoepf, probed with exclamation
8 points, admitted 5/9, 5/14 arteriogram
9 5/9, on that 5/10/02 -- 5/10/00. A
10 small, AAA, 2.8 sonometer infrarenal, OP
11 note in situ, arteriogram, AAA left
12 renal stenosis, right occlusion
13 superficial femoral artery.
- 14 Q. What is the significance on 5/26/00 of
15 the notation, quote, no overt infection?
- 16 A. That's a quote from the note that day.
- 17 Q. And you have probed with two exclamation
18 points. Explain why?
- 19 A. It's not in the note. But it's in the
20 deposition that it was probed.
- 21 Q. Does it also appear in any of the
22 medical records from the subsequent
23 admission to Catholic Medical Center?
- 24 A. That I don't remember by heart. I know

1 it was mentioned specifically on
2 deposition, and I made two exclamation
3 points because it's an important issue.

4 Q. Why?

5 A. Because the wound is able to be probed
6 when it's opened, any open wounds by
7 definition is infected and any probing
8 would necessitate culture.

9 Q. Even if there's no depth to the wound?

10 A. If you can probe the wound there's
11 depth.

12 Q. Do you believe in this case there was
13 depth to the wound?

14 A. Yes, and drainage.

15 Q. I'm asking about depth right now. Was
16 it your opinion there was depth from the
17 wound?

18 A. Yes. Yes.

19 Q. Still on Exhibit 3A there's a note for
20 5/26/00. What does that say?

21 A. Open serous drainage, not sure if she
22 was seen by M.D., probed, Q-tip, no sign
23 of infection.

24 Q. Why did you write no sign of infection?

- 1 A. This is probably from a deposition.
- 2 Q. Okay. Then 5/30 to 6/2?
- 3 A. It just says unresponsive.
- 4 Q. Finally Exhibit 3B, if you could just
5 read that into the record?
- 6 A. 5/30 to 6/2 ER. Bled out from fem-pop
7 bypass, infected wound engrafted. Lower
8 and mid portion blow out. Next, below,
9 it just says 5, right leg ischemia,
10 abdominal pain, CT. Not sure what the
11 next word is. Probably executed or
12 assumption like that. Says CT ischemia.
13 Sorry. OR 6/2, abdominal exploration,
14 bolus ischemia small bowel. 5/30, a
15 white count is 10.50. It says H&H and
16 5/31 says tense pneumo.
- 17 Q. Do you have any criticisms of the
18 management of the patient during her
19 5/30 to 6/2 hospitalization?
- 20 A. No.
- 21 Q. Everything that Dr. Hoepp did was
22 appropriate?
- 23 A. Correct.
- 24 Q. Good quality care?

1 A. Good quality care in the face of a
2 devastating injury.

3 Q. I next want to just put on the record,
4 Dave, if you look over my shoulder to
5 make sure I do this accurately, put on
6 the record what is contained in your
7 file. First, let me ask you, is this
8 your complete file?

9 A. Yes.

10 Q. Nothing has been removed?

11 A. Not by me.

12 Q. Plaintiff's expert disclosure. Have you
13 reviewed all these materials?

14 A. I have reviewed all the materials.

15 Q. Dr. Hoepp's Answers to Interrogatories
16 propounded by the plaintiff, I take it,
17 informing your -- strike that.

18 Mr. Lepage, George
19 Lepage's Answers to Interrogatories
20 propounded by the defendant. I take it,
21 in rendering your opinions in this case
22 you relied upon the opinions contained
23 in this file as well as your education
24 and background and training?

1 A. Correct.

2 Q. Records from Dr. Hoepf's office; records
3 of Dr. Docken; general surgical
4 specialist's answers to plaintiff's
5 interrogatories; supplemental answers to
6 Answers to Interrogatories by Dr. Hoepf;
7 General Surgical Specialists of New
8 England response to plaintiff's second
9 request for documents; plaintiff's
10 answers to Dr. Hoepf's interrogatories,
11 again, by Mr. Lepage; report of Michael
12 Murphy, M.D.; prescription records from
13 Brook Pharmacy; Deaconess and Catholic
14 Medical Center records. Depositions of
15 Dorothy Loy, Larry Hoepf, Bob Massey,
16 Dawn Lepage and George Lepage. And that
17 is your complete file, Doctor?

18 A. Yes.

19 MR. LANNEY: I will let you take
20 those and put them wherever you'd like.

21 Let's mark as the next
22 Exhibit 4, the Plaintiff's Expert
23 Disclosure.

24 (Whereupon, Exhibit P-4,

1 Plaintiff's Expert Disclosure, was
2 received and marked for identification.)

3 Q. I will show you Exhibit 4. Have you
4 seen this document before?

5 A. Yes, I have briefly read through it.

6 Q. When was the first time you saw that?

7 A. In my review probably about a week ago.

8 Q. Does that accurately summarize your
9 opinions in this case?

10 A. In general, yes. In general, yes.

11 Q. Is there some hesitancy?

12 A. Certain things that are factual in here,
13 they're not really opinions, they're
14 factual statements, but, in any event,
15 in general, yes.

16 Q. If you look to Page 3, I believe the
17 third paragraph from the bottom, in
18 essence, starts to set forth your
19 opinions in this case. It runs from
20 Page 3 to Page 4?

21 A. Right.

22 Q. Are those are your opinions in this case
23 accurately summarized in those
24 paragraphs?

1 A. As I said here, it's a little bit of a
2 statement more than a fact. It's a
3 little complicated. Goes along with the
4 whole process. In other words, again,
5 this is an individual statement, it has
6 to be taken -- some of these have
7 entwined in itself.

8 Q. I'll take you through it specifically in
9 a moment?

10 A. Okay.

11 Q. A few moments. Again, you stated any
12 open wound by definition is infected, it
13 needs to be cultured.

14 A. The statement is any open wound is
15 infected, not every open wound has to be
16 cultured, but every open wound is
17 infected.

18 Q. What is the basis for making that
19 statement?

20 A. That is standard medical opinion that
21 any open wound is infected, infected
22 means there's growth of bacteria. The
23 issue that you may say is it colonized
24 superficially or seminanted, every wound

1 is infected if it's open.

2 Q. Because of the growth of bacteria?

3 A. Correct, which is the definition of
4 infection.

5 Q. When you say "any open wound by
6 definition is infected," does that mean
7 there is, in fact, infection in the
8 wound?

9 A. Yes.

10 Q. We have gone through your entire file in
11 this case. Have you asked for any
12 additional materials?

13 A. No.

14 MR. LANNEY: Dave, can we agree if
15 he reviews any additional materials
16 you'd let us know?

17 MR. SUCHECKI: Certainly.

18 MR. LANNEY: Off the record.

19 (Whereupon, a brief recess
20 was taken.)

21 Q. Is it your opinion that Mrs. Lepage had
22 an infection in the incision at the
23 level of the knee at some period of
24 time?

1 A. Yes.

2 Q. Do you believe that she had an infection
3 at the knee on 5/23 when she was seen by
4 Dr. Hoepp in his office?

5 A. I do not.

6 Q. You do not have an opinion one way or
7 the other?

8 A. Right.

9 Q. Do you believe that she -- I know the
10 answer that you do believe she had an
11 infection at the knee on 5/26?

12 A. Absolutely.

13 Q. Do you have an opinion on how long that
14 infection was present prior to 5/23 --
15 I'm sorry, 5/26?

16 A. I know that, first of all, an infection
17 had to be there several days prior to
18 that. I do know from depositions that
19 the day before, I believe the family had
20 called and said there was an open
21 draining material from the lower wound.
22 So it was infected at least 24 hours
23 before and maybe earlier, since it takes
24 a while for infection to be there.

1 Q. So your best estimate between --
2 somewhere between the 24th and 25th you
3 of may it became infected?

4 A. There was no question when the bacteria
5 seated there. I can't tell you how it
6 seated there. Nobody can.

7 Q. Right. It may, in deed, have been
8 infected on the 23rd, but there's
9 nothing for me to say that I could tell
10 that it was at that point. You know,
11 possibilities and probabilities?

12 A. Right.

13 Q. So what you could say, it probably
14 became infected sometime between the
15 24th and 25th of May, before that you
16 can't say in probability?

17 A. I'd say more likely than not it infected
18 before. But there was no way of telling
19 that it was, but by the time of the
20 25th.

21 Q. There was no question it was infected,
22 that's an absolute, the 25th?

23 A. And, of course, the 26th.

24 Q. So where are you comfortable in going

1 back in terms of probabilities in terms
2 of when it was infected?

3 A. It takes at least 48 to 72 hours at the
4 fastest -- but the bacteria we are
5 talking about to start becoming
6 colonized into an infection. Some
7 bacteria take longer, but anyway it's at
8 least 72 hours before that the bacteria
9 had to have been present and infected
10 the area. Signs were definitely there
11 on the 25th with the drainage that the
12 family had noted.

13 Q. Do you accept as truthful and correct in
14 this case that the patient had pus
15 draining from that wound on the 25th?

16 A. It's a family member. Okay. And when I
17 review what a family member says to me,
18 they know what pus is, they may not
19 know, I accept it. There was drainage
20 at that particular point in time and
21 they recognize the smell at that
22 particular point in time. Pus has a
23 specific color, specific consistency. I
24 don't know if they know what pus is, but

1 they know what drainage is. So I'm
2 accepting it is a foul-smelling
3 drainage. I can't tell. I wasn't
4 there. It may, in deed, have been a pus
5 they saw but, again, I can't tell. If
6 medical person says pus, I know what
7 they are talking about. If a layman,
8 I'm not sure if they are talking about
9 what I was talking about. I accept that
10 there was drainage and foul smelling.

11 Q. You accept foul smelling?

12 A. Yes, had an odor.

13 Q. Family testify?

14 A. Yes.

15 Q. Mr. Massey testified there was no odor.
16 Do you discount that testimony?

17 A. I don't discount it. I take it he noted
18 a drainage, he probed the wound at that
19 particular point in time, his deposition
20 and note, I think he authored, basically
21 said there was no foul-smelling. It's a
22 statement that they made. Family says
23 it was. I'm not going to argue the two.
24 I'm going to say there was. There's no

1 question there's drainage 25th and 26th.

2 Q. I want to just to make sure we are going
3 to be using the same term together to
4 describe this wound infection. It's a
5 surgical wound infection, cellulitis
6 surgical wound infection. What do you
7 want to name it?

8 A. Not cellulitis. It's defined as an
9 infection. It's an open draining wound,
10 which is obviously deep enough to be
11 probed, not swabbed which is another
12 term used in superficial probe, means
13 sticks probe into a cavity and at that
14 point it was draining material.

15 Q. Just looking for a term we can use
16 moving forward. Would we call it a
17 wound infection?

18 A. Definitely a wound infection.

19 Q. What are the signs and symptoms of wound
20 infection?

21 A. Open draining wound. You can have
22 redness to the wound, you can have --
23 depending on the fluid, can smell.
24 Doesn't have to smell, depends on

1 bacteria that creates it. There are
2 bacteria that form pus, there are
3 bacteria that don't form pus. There are
4 bacteria that smell, some don't. Many
5 times there are mixed bacteria, you can
6 have a fever, you can have an elevated
7 white count, you can have pain.

8 Q. As of the 26th, was there any redness,
9 any evidence of redness to this wound?

10 A. There's nothing in the note.

11 Q. And Mr. Massey has testified there was
12 no redness; correct?

13 A. Correct.

14 Q. Wouldn't expect to see redness or
15 erythema in an infected wound?

16 A. Not necessarily, you can.

17 Q. Is it most commonly present if the wound
18 is infected?

19 A. Many times the wound depends on the
20 bacteria, many times the edges are
21 infected or the skin around it is red,
22 yes.

23 Q. That is true in the majority of cases of
24 infection; is it not?

1 A. Probably majority, they are red to some
2 extent.

3 Q. Fever is generally present with
4 infection; is it not?

5 A. Not necessarily.

6 Q. Majority?

7 A. No majority if systemic, if locally
8 infected there is no elevation of fever.

9 Q. What about swelling?

10 A. Yes. There is usually swelling around
11 the area of the wound.

12 Q. In this case there was no swelling?

13 A. There's no mention of it.

14 Q. Mrs. Lepage did not complain of pain,
15 did she?

16 A. Not according to the note.

17 Q. So, therefore, for you the key here is
18 that you've got an opening in the wound
19 with drainage, that's the key -- strike
20 that.

21 You base your opinion that
22 there was a wound infection upon two
23 findings; number one, there is an
24 opening in the wound, and number two,

1 there was drainage; correct?

2 A. Correct.

3 Q. In terms of the drainage, to you it does
4 not matter whether it was pus or serous
5 fluid the mere fact it is infection?

6 A. Mere fact the wound is open. It's
7 infected and mere fact the drainage is
8 infected, it has to grow infection.
9 Patient was on an antibiotic so you may
10 not get pus. The antibiotic may not
11 control infection but may infect it to
12 the point where you wouldn't get the
13 clinical signs of pus but an open wound
14 infected the drainage from that is
15 infected drainage.

16 Q. Those are the two keys opening and
17 drainage; correct?

18 A. Correct.

19 Q. How does the surgeon evaluate for wound
20 infection?

21 A. Well, first of all, they -- you mean a
22 wound that's open and draining?

23 Q. Correct.

24 A. An open and draining. I just want to

1 clarify in this case or in general?

2 Q. In general.

3 A. In general an open draining wound is you
4 cultured. The probe that's used to swab
5 is usually you have a culture taken at
6 that particular point in time. They may
7 debride the area, take some tissue for
8 culture as well. The wound is then
9 irrigated. It may be packed. If it's a
10 wound, it's explored to see its depths,
11 and then usually wound care is started
12 at that particular point in time, which
13 is local and systemic, usually an
14 antibiotic is given and culture comes
15 back narrowed down, specified as to what
16 we are covering.

17 Q. How large was the opening that
18 Mrs. Lepage experienced on the 23rd and
19 the 26th?

20 A. I don't see a dimension that I can
21 remember. I do remember there was a
22 probe able to be placed into the wound.

23 Q. Does the size of the opening make any
24 difference to you?

1 A. No. Opening is an infection, means you
2 have an infection going on.

3 Q. Would it make a difference if there was
4 no depth to the opening or to the wound?

5 A. No.

6 Q. So even if it's a superficial opening
7 with no depth, you still believe it
8 would be infected by definition?

9 A. Yes.

10 Q. So even if there is no clinical evidence
11 of infection based upon the examination,
12 meaning there's no redness, no swelling,
13 fever, chills, pain, you still believe
14 the standard of care would require a
15 culture because of the opening and the
16 drainage; correct?

17 A. First of all, when you say no sign of
18 infection, that's not true. An open
19 wound draining is sign of infection,
20 that's number one. Number two, culture
21 is only one step, we do culture the
22 wound, culture a draining wound, yes.
23 There's more to this. But an open
24 draining wound would be cultured, yes.

1 Q. Even in the absence of any other signs
2 and symptoms of infection?

3 A. An open draining wound is cultured.

4 Q. Right. And an open draining wound
5 requires antibiotics at the time?

6 A. Requires antibiotic as one part of it,
7 yes.

8 Q. What else?

9 A. It needs to be -- you need local care.
10 That wound has to be -- you want to
11 control, controlling the infection
12 involves local care and systemic care.
13 So local care would be more, such as
14 irrigation, peroxide, betadine, solution
15 and there are a hundred ways you could
16 do care. I do it form packing, plain
17 packing, but there's local care,
18 systemic care. So local draining wound
19 needs to be treated locally and
20 systemically.

21 Q. On the 26th, there was local care to
22 that wound; correct?

23 A. In what?

24 Q. In Mr. Massey's care?

1 A. I saw a probe. We have to go to his
2 note. I saw a probing of the wound.

3 Q. I can read it for you. It says the
4 wound is dressed with bacitracin
5 ointment and dry sterile dressings?

6 A. He probed the wound. Local care.
7 That's simple. That's just on the
8 edges. You need to control the deep
9 infection. That doesn't control, that
10 is, put bacitracin and dressing to catch
11 the drainage. That's not local control.

12 Q. What is your definition of serous
13 drainage?

14 A. Usually serous drainage, that is
15 yellowish to clear, it's thick and it's
16 meant to mean serum and blood. You can
17 have blood but usually serous drainage
18 is not bloody. You can have bloody,
19 that's a term that's used. Serous
20 usually means the term when you separate
21 blood cells from the fluid, you get a
22 serum. It can be milky, it can be
23 slightly orangy, it can be clear, it can
24 be cloudy. All those are serous

1 drainage. Normally serous means no
2 blood in it.

3 Q. Did you say serous drainage indicates
4 drainage is thick?

5 A. It can be. Again, it varies with the
6 thickness of the serum, but it's usually
7 sticky, it's usually. I told you it can
8 be clear, it could be cloudy, it can be
9 thick. Varying thickness of serous
10 drainage.

11 Q. Is it your testimony that in any patient
12 with a postoperative wound that if there
13 is serous drainage from that wound, it
14 requires a culture and treatment?

15 A. In any wound that has drainage that
16 hasn't had a culture, yes.

17 Q. Even in the absence of other clinical
18 signs and symptoms of infection, if you
19 have got a postoperative wound and
20 draining any fluid whatsoever, the
21 standard of care requires a culture of
22 that fluid and appropriate treatment?

23 A. Correct.

24 Q. Let's talk about vital signs for a

1 moment.

2 A. All right.

3 Q. Do you believe the standard of care
4 requires every patient who has a
5 surgical wound with some form of
6 drainage to have their vital signs
7 obtained?

8 A. Only part of vital signs that's
9 important is temperature. It's routine
10 to have the blood pressure and pulse
11 checked and temperature, but in wound
12 infection, drainage of wound,
13 temperature becomes more important
14 because you want to know if there's
15 systemic involvement of infection.

16 Q. So is it your testimony that in any
17 patient with a surgical wound that is
18 draining, regardless of what the
19 drainage is, any patient with a surgical
20 wound that has drainage, the standard of
21 care requires the patient's vital signs
22 to be obtained?

23 A. I'm not saying standard of care. I'm
24 saying routine evaluations in an

1 infection, is temperature one of the
2 indications so you know the extent from
3 being simply local or systemic. No, I'm
4 not saying standard of care, I'm saying
5 this is routine that we do in part of
6 evaluation to see how extensive.

7 Q. So in this case you are not prepared to
8 testify that the failure to obtain the
9 patient's temperature on the 23rd or
10 26th violated the standard of reasonable
11 care?

12 A. Correct.

13 Q. Do you keep a thermometer in your
14 office?

15 A. Yes.

16 Q. Do you think that that is -- do most
17 surgeons do?

18 A. I think most doctors do. I think we
19 have stethoscopes, thermometers, not
20 one, we have several.

21 Q. You do know that both thermometer and
22 blood pressure and other vital sign --
23 you are aware that -- do you know the
24 proximity of Dr. Hoepp's office to the

1 Elliot Hospital and Catholic Medical
2 Center?

3 A. I have no idea.

4 Q. Do you know that instruments are
5 available for completing vital signs in
6 Dr. Hoepp's office?

7 A. I hope so.

8 Q. Do you agree that a small opening of an
9 incision line is not uncommon
10 postoperatively?

11 A. You have to define uncommon. It's not
12 something I want to see. I mean if the
13 question is have I seen it, yes. I have
14 had wound infections. Most of the time,
15 no. Most of the time the majority of
16 wound that I've seen and done, no, there
17 is no opening.

18 Q. What percentage of cases that you have
19 done to patients experience some small
20 opening of an incision line?

21 A. You mean just separation so you could
22 see subcutaneous tissue.

23 Q. Yes?

24 A. Maybe 10 percent.

1 Q. That can be treated successfully only
2 with Neosporin; correct?

3 A. Depends on where, but, yes, you can.

4 Q. I think I may have asked you this. You
5 do not have a memory or opinion as to
6 what the size of this opening for
7 Mrs. Lepage?

8 A. Correct.

9 Q. Do you use Neosporin in your practice?

10 A. I have.

11 Q. When do you?

12 A. I use it on a superficial wound mainly
13 ulcers, ulcerations of the skin as a
14 bacterial control over, you know, with a
15 dressing over it most of the time.

16 Q. If Mrs. Lepage simply had a superficial
17 opening with the serous drainage that
18 Mr. Massey records, do you still believe
19 that cultures and antibiotics were
20 required by the standard?

21 A. In open wound cultures, yes.

22 Q. Regardless of whether any depth surface
23 opening or depth to it?

24 A. With drainage, yes. Yes.

1 Q. I'm coming back to that issue of probing
2 really doesn't matter to you whether
3 depth to that wound or not because of
4 the mere fact of an opening plus
5 drainage equal culture and antibiotics?

6 A. Yes. I want to make sure there's
7 another part but the fact as far as
8 culture alone, yes, the fact that it's
9 draining it requires culture, yes. That
10 requires culture, requires in this case
11 more, but you haven't asked that
12 question yet.

13 Q. What do you --

14 A. Well, this is a wound that's probed over
15 a graft which is a little different than
16 you are asking general wound care.

17 Q. Okay.

18 A. This is much more different. Infected
19 wound over graft, not only the wound can
20 be infected, the graft as well, which
21 makes it a devastating complication for
22 the graft because a graft that's
23 infected, it does one of two things, it
24 will close down or in this case disrupt

1 and bleed. What you want to do is
2 initiate care, if it is a superficial
3 wound or small wound that's a minimal
4 cavity, you want to make sure it stays a
5 minimal, so that's why you need to put
6 people in the hospital, take cultures.
7 That's why you have to initiate
8 aggressive wound care and systemic and
9 the cultures eventually lead you to
10 maybe narrow down your antibiotic rather
11 than what we call shotgun or broad
12 spectrum antibiotic.

13 Q. Now, you understand that Mrs. Lepage
14 developed a mid graft perforation or
15 disruption?

16 A. Disruption.

17 Q. Would you like to use disruption?

18 A. It was described disruption more than
19 perforation, the graft opens up
20 basically.

21 Q. Do you have an opinion as to the
22 probable cause of that mid graft
23 disruption?

24 A. Yes.

1 Q. What is that?

2 A. Infection caused by what? The wound,
3 being it was infected, the wound
4 infection progressed to infect the
5 graft, the graft was infected and
6 disrupted.

7 Q. When you say the wound infection, we are
8 talking about the wound infected that
9 you believe existed at the knee?

10 A. Correct.

11 Q. You believe that infection at the knee
12 spread to the mid graft?

13 A. It spread to the graft.

14 Q. If spread to the mid graft?

15 A. Well, right. On exploration it was in
16 the mid graft. It extended to the lower
17 part of the graft which was infected and
18 the graft disrupted.

19 Q. Perhaps you could draw for me the leg?

20 A. Yeah. I can draw the leg but, again,
21 from what I saw and again from what I
22 gathered.

23 Q. What I want you to be able to show me
24 the knee incision.

1 A. I need the operative report. That was
2 there because I have to use this
3 landmark because I don't know from
4 memory.

5 Q. You want the operative report --

6 A. I think for your question I need the
7 first operative report and second
8 operative report when they determined
9 there was a mid section graft.

10 Q. Let me ask you this question first. In
11 general terms, it is your opinion there
12 was an infection of the incision at the
13 knee?

14 A. Of the wound at the knee, yes.

15 Q. You believe there was an infection of
16 the wound at the knee; true?

17 A. True.

18 Q. And you believe that that infection
19 spread north, if you will, to the mid
20 graft; correct?

21 A. To the graft -- I'm going to say to the
22 lower part of the graft. I don't know
23 what point got infected first. From the
24 operative report I remember they said

1 the infection was up to the mid graft,
2 had not extended above that. So the
3 lower part of the graft is infected,
4 too. So the whole lower graft was
5 infected. At some point there was a
6 disruption of that graft and the
7 disruption bled.

8 Q. So it would be your opinion as of time
9 Dr. Hoepp went in to do the second
10 surgery what he would have necessarily
11 found infection at the level of the knee
12 spreading up toward the mid graft;
13 correct?

14 A. Yes.

15 Q. What if Dr. Hoepp found that there was
16 completely normal tissue surrounding the
17 incision at the knee, would you then
18 agree with me that it is unlikely that
19 what you believe was a wound infection
20 at the knee spread to the mid graft?

21 A. The graft was infected in its own note
22 most of the time we consider the graft
23 totally infected. If infected anywhere,
24 what he was talking about was adhesions

1 of surrounding tissue and he said it was
2 adhesions and he called it up to the
3 middle graft that means the lower graft
4 infected. Let me just -- the infection
5 came no matter where it was. As I said,
6 I have to go to the records to pinpoint
7 it. The infection inflamed the graft.
8 The graft infection caused disruption
9 and bleed exactly where it was. It's in
10 his note the
11 graft was infected up to the mid
12 portion.

13 Q. I'm asking a different question.

14 A. Okay.

15 Q. Let me try to break it down. I'm going
16 to go to those records in a moment.

17 A. We'll talk from the records.

18 Q. In lay terms what you are saying is that
19 this infection at the knee level, the
20 wound?

21 A. Right.

22 Q. Spread up to into the graft causing the
23 perforation of the knee graft level?

24 A. Causing the graft to disrupt, yes.

1 Q. Therefore, when Dr. Hoepf performed his
2 surgery on the 30th, you would have
3 expected him to find infection from the
4 knee level up to the area of the
5 disruption; correct?

6 A. Lower part of the graft up to the mid
7 graft, yes.

8 Q. But you would expect him to find
9 infection from the knee wound up to the
10 lower left of the graft?

11 A. Correct.

12 Q. It would be throughout that entire area?

13 A. Well, it may be track. In other words,
14 you could have an area you could have
15 tracking infection doesn't necessarily
16 grow out like a balloon, there are
17 pathways it finds. In other words, the
18 bacteria made it's way to the graft.

19 Q. Right. But the track would extend from
20 the wound at the knee up to the
21 disruption?

22 A. It might be microscopically, yes.

23 Bacteria very small. Your track up
24 there might be microscopic. You might

1 not see it visually but the bacteria
2 started from the wound and tracked up to
3 the graft. Whether milliliter opening
4 or micro, it went up there. It made its
5 way to the graft. Graft was infected
6 there, but there would be evidence of
7 infected tissue from the knee up through
8 the track to the disruption. Again --

9 Q. True. True.

10 A. Yes. If you culture that tissue when
11 you say infected tissue, in order to
12 determine that, you would have to take
13 cultures periodically along and send it
14 to the bacterial lab, and they would
15 culture it and they would tell you there
16 was a track up there all the way up. It
17 is not -- what I'm trying to say, I
18 don't know what you mean by infected.
19 You know how it got there. It got there
20 through an infected track, true. The
21 infected track may be very small. They
22 didn't do wound cultures all the way up,
23 so you don't know that wound wasn't
24 infected.

1 Q. In that case would you expect the area
2 distally near the popliteal incision to
3 be well encased in normal tissue, or
4 would you expect to see evidence of
5 infection?

6 A. Again, I would expect it to be infected,
7 and from another point, also just from
8 what I remember from the emergency room
9 record, they clamped this graft so it
10 would have to be exposed which means
11 exposed, exposed to the wound.

12 Q. I think you answered my question. Just
13 to make sure it's clear. You would not
14 expect the area distally at a popliteal?

15 A. I would expect it to be infected.

16 Q. Thank you.

17 A. Again, but you -- well-encased in normal
18 tissue. I'm not sure what they mean by
19 well-encased since cultures weren't
20 taken. It could be well-encased
21 infected material.

22 Q. You would expect not normal tissue?

23 A. Again, since no determination of
24 infection -- there are no tissue

1 cultures, so you don't know if normal or
2 not. You only know it was inherent
3 tissue. Not infected would have to be
4 the determination made on culture.

5 Let me just -- I want to
6 add to your question from the operative
7 note so that we're perfectly clear.

8 Distally The graft was dissected free,
9 all infected area was removed.

10 So he acknowledges there's
11 infected area there.

12 Q. At the mid graft?

13 A. Doesn't say it means distally. It means
14 farther down distally. The graft was
15 also dissected free, all infected
16 material was removed, so there was
17 infected material around the graft that
18 they did note. Now, it says the groin
19 was totally -- when is the upper part of
20 the graft was fine so the upper part of
21 the graft he didn't see infection.

22 Q. All right. Keep going. Are we looking
23 at the report of operation?

24 A. 5/30/00.

1 Q. It goes on to state, quote, surprisingly
2 the area in the groin and at the knee
3 anastomosis area was totally in tact and
4 not in any way infected, close quote.

5 A. That's what I'm saying. My point is --
6 he says in that area he did remove
7 infected material.

8 Q. From the mid graft?

9 A. Yes, but --

10 Q. Hang on. Let me ask the question.

11 A. Okay.

12 Q. He removed the infected material from
13 the mid graft?

14 A. Correct.

15 Q. There was no evidence of infection at
16 the knee?

17 A. At the lowering part of the incision he
18 says it was encased basically inherent
19 material neither at the groin site nor
20 at the distal area was tissue cultures
21 made.

22 Q. Right.

23 A. I'm not saying they should have been
24 made. I'm saying, as a matter of fact,

1 I understand what he's saying it's
2 inherent. What I'm saying to you the
3 graft that is infected is totally
4 infected. There is no such thing as
5 it's only infected one inch. The whole
6 graft is infected. The graft would not
7 be used again. He is making note
8 infected material in the mid graft which
9 is where the disruption occurred.

10 Q. That's fine.

11 A. It doesn't matter whether the tissue
12 around that graft had become infected
13 enough to be noticed as infection, but
14 I'm saying you can't tell me there was
15 no infection without showing me a tissue
16 culture and the microbiology saying this
17 doesn't grow anything which, in reality,
18 doesn't exist.

19 Q. Do you know anything about Larry Hoepp's
20 background?

21 A. I have no idea.

22 Q. Do you think that if you've opened a
23 wound you will be able to visually tell
24 me if there's evidence of infection?

1 A. Again, sometimes you can tell if the
2 tissue is infected, but you can't say
3 that the tissue is not infected because
4 it seems to be in tact. In order to be
5 that -- infection means bacteria growing
6 in that tissue. In order for me to say
7 that with absolute certainty, he's
8 making an observation in his report, I'm
9 not, not obvious is incorrect. I'm
10 saying there's no proof here that the
11 tissue cultures of that area would come
12 back perfectly normal.

13 Q. You don't have any proof to the
14 contrary, do you?

15 A. Correct. I'm not arguing what he says
16 in the report. My point, there was
17 infected material around the graft and
18 by definition the graft is totally
19 infected. The fact the upper part was
20 inherent and lower part inherent. Does
21 not mean -- I understand. He says here
22 doesn't appear to be infected. If he
23 doesn't do tissue culture, that's not an
24 absolute statement.

1 Q. You've made your point perfectly clear.
2 I understand it. You have no evidence
3 in this case that the area around the
4 knee anastomosis was, in fact, infected,
5 do you?

6 A. No.

7 Q. Number two, if there was infection at
8 the knee sufficient to cause a
9 disruption of the mid graft, isn't it
10 likely you're going to be able to see
11 that clinically when you open up that
12 wound?

13 A. Not necessarily. Not necessarily. As
14 you see here, he says he removed
15 infected material after the graft -- the
16 graft was infected. It was clamped off.
17 So the graft was exposed and infected.

18 Q. I want to go to your report now.

19 A. Sure.

20 Q. What I'd like to do now is go to your
21 report. What I'd like to do is to get a
22 list from you of all of the violations
23 of the standard of care that you believe
24 occurred in this case. Then I can come

1 back and talk to you about them.

2 A. Sure.

3 Q. I don't know if you want to do it by the
4 report. Let's try it this way. Let me
5 just start. I think we can agree up
6 until May 23rd that the care was
7 perfectly appropriate and consistent and
8 always with the standard of care?

9 A. Absolutely.

10 Q. Let's start with the 23rd. Dr. Hoepf
11 saw Mrs. Lepage in his office.

12 A. Right.

13 Q. Do you believe that Dr. Hoepf violated
14 the standard of care in any way on 5/23?

15 A. No.

16 Q. Next, there was a phone call on the
17 25th, we're not quite sure what time,
18 and the patient was asked to come into
19 the office the next morning on the 26th
20 to be evaluated. Was that appropriate?

21 A. Yes.

22 Q. So there's no violation of the standard
23 of care on the 25th. Is my statement;
24 correct?

1 A. Yes, it is.

2 Q. First occurs 26th?

3 A. Yes.

4 Q. Would you list for me all violations?

5 A. Patient had an open and draining wound
6 over a graft site. Patient should have
7 been admitted to the hospital,
8 aggressive local wound care should have
9 been started, cultures should have been
10 taken. I'm not giving to the exact
11 order, but, antibiotics should have been
12 started and obviously changed from what
13 she was on, and it should have been
14 evaluated basically in the hospital.
15 That's the issues in this case on the
16 26th.

17 Q. So on the 26th because of the opened and
18 draining wound over the graft,
19 Mrs. Lepage should have been admitted to
20 the hospital?

21 A. Correct.

22 Q. Aggressive wound care should have been
23 instituted?

24 A. Correct.

1 Q. Cultures obtained?

2 A. Correct.

3 Q. Antibiotics started and changed and
4 evaluated in the hospital, that needed
5 to occur?

6 A. Correct.

7 Q. Are there any other items of the
8 standard of care that occurred,
9 violations?

10 A. No.

11 Q. Any that occurred after the 26th?

12 A. No.

13 Q. When you say cultures should have been
14 obtained, what type of culture?

15 A. Wound cultures to find out -- again,
16 this patient was on antibiotic, had a
17 draining wound. You want to at least
18 start to find out what type of bacteria
19 you are dealing with, so a wound culture
20 should have been taken.

21 Q. How long would it take those wound
22 cultures to grow out bacteria?

23 A. 24 to 48 hours with culture and
24 sensitivity.

1 Q. What antibiotic do you believe should
2 have been started pending those culture
3 results?

4 A. I would have believed since she was on a
5 Keflex, if I remember correctly, it
6 wasn't controlling or probably wasn't
7 controlling the infection, a more broad
8 antibiotic.

9 Q. Such as?

10 A. There's like a hundred. You could
11 change it to a carbenicillin (Ph) type
12 empypenum (Phonetic). You can go to a
13 more aggressive vancomycin type of staff
14 drug. It would have changed to a much
15 more broad spectrum, stronger
16 antibiotic. But that's not the key.
17 The key is she needed to be in the
18 hospital and local wound care had to be
19 started to control the infection, the
20 cultures would have come back in two
21 days with an idea what the bacteria was,
22 and then you can narrow down your
23 systemic treatment.

24 Q. I understand. My first question while

1 waiting for the culture antibiotic, all
2 you can tell me is broad spectrum?

3 A. Yes, because he has choices, not just
4 one choice. Choice would be if a
5 patient who has that I'd be worried
6 about staff, I'd go to vancomycin.

7 Q. Would that antibiotic be sensitive to
8 the infection that Mrs. Lepage
9 eventually had?

10 A. Yes.

11 Q. Would that have been given IV, oral?

12 A. IV.

13 Q. So we've now covered all of your claims
14 of the violation of standard of care?

15 A. Yes.

16 MR. LANNEY: Dave, if additional
17 claims you'll let us know?

18 MR. SUCHECKI: Sure thing.

19 Q. Let's start with the standard of care
20 for a minute. What the standard of care
21 requires in terms of specific
22 antibiotics that would have been given
23 to this patient.

24 A. Again, there's no -- standard didn't

1 specify. What I'm trying to say most
2 important part is place in the hospital.
3 Number two, local wound care after
4 cultures taken, local wound care and
5 controlled, patient should be put on
6 broad spectrum antibiotics.

7 If asking my opinion I
8 would be very worried about staff
9 infection, and I would go to a
10 vancomycin type of drug, and vancomycin
11 would be my first choice. I'm not
12 saying he's stuck to one thing as a
13 standard of care in the hospital. Local
14 wound care started, IV and evaluation
15 done plus observations. She's in the
16 hospital. The problem you are dealing
17 with here when the wound is infected the
18 graft can be infected. If these graphs
19 get infected at minimum you lose the
20 graft, which creates limb loss. So you
21 want to protect. You want to limit that
22 infection as quickly as possible and you
23 go to the hospital, and then evaluation
24 to try to limit and control infection.

1 That's what I'm saying. You can't go to
2 any one antibiotic. I'm sure infectious
3 disease would probably had five he could
4 have chosen if it was a broad staff
5 infection.

6 Q. Broad spectrum staff antibiotic,
7 appropriate antibiotics?

8 A. Right. I'm going to say -- right.

9 Q. Is it your opinion aggressive wound
10 care, if appropriate antibiotics started
11 on the 26th, that it probably would have
12 prevented the disruption of that graft?

13 A. Yes, more likely than not.

14 Q. What is the basis for that?

15 A. Apparently from what I see, the wound
16 infection if it was probed and
17 apparently was not deep enough to
18 concern him that he had gone to the
19 graft, so it was limited at that
20 particular point in time. If you take
21 care of local wound care, which is
22 basically irrigation and local
23 medication you may have kept that graft
24 from becoming infected. More likely

1 than not, if local wound care was
2 started, you would have controlled the
3 local infection and the graft wouldn't
4 have been infected.

5 Q. How can you say that in probability,
6 certainly if possible that aggressive
7 wound care and antibiotics would have
8 prevented disruption of the graft. How
9 could you say more likely than not?

10 A. In my experience we are very aggressive
11 in controlling that wound because we
12 don't want to penetrate down to the
13 area, we open up the wound more, we
14 irrigate it, we pack it, we try to keep
15 the infection moving out as opposed to
16 moving in because we know what's under
17 there.

18 Q. This is based on your personal
19 experience?

20 A. My experience and training is to control
21 the local wound from progressing.

22 Q. That's not what I'm asking. What is the
23 basis for your opinion, stating that
24 controlling the local wound in this case

1 by aggressive wound care and antibiotics
2 would probably have prevented this
3 obstruction?

4 A. Training and experience.

5 Q. Can you point to any medical literature?

6 A. I haven't done research on this
7 disruption and infection, but I do know
8 that infected wound can lead to infected
9 grafts and infected grafts can become
10 disruptive, so if you controlled and
11 didn't penetrate down and you won't have
12 a disrupted graft and it won't be
13 disrupted.

14 Q. Do you know how this infection occurred?

15 A. I specifically -- nobody knows. We do
16 know resistant staff is a bacteria found
17 in a hospital. We don't find it outside
18 the hospital. So it occurred, and this
19 bacteria is a hospital grown bacteria.

20 Q. Nosocomial infection?

21 A. Yes, but let's talk about the bacteria
22 itself, lives in the hospital because
23 you don't find this type of bacteria
24 outside the hospitals.

1 MR. LANNEY: Off the record.

2 (Whereupon, a brief recess
3 was taken.)

4 Q. Going back to vital signs for a moment.
5 From what you told me, and I take it
6 that even if a temperature had been
7 taken on the patient, either the 23rd or
8 the 26th, that you cannot state whether
9 there would have been evidence of fever
10 because some infections have fever and
11 some don't?

12 A. Correct. Correct.

13 Q. Let's see -- off the record.

14 (Whereupon, a brief recess
15 was taken.)

16 Q. Did you accept as accurate, Mr. Massey's
17 testimony that when he examined this
18 wound on the 26th there was no purulent
19 drainage?

20 A. The statement is there. I can't argue
21 with the statement.

22 Q. You did not dispute the accuracy?

23 A. No.

24 Q. Do you accept as accurate Mr. Massey's

1 observation that there was no erythema?

2 A. Again the statement he made, I'm not
3 disputing. I wasn't there.

4 Q. There was no swelling, ^{No}~~this~~ statement, ^{of}~~no~~
5 fever or shaking, ~~had~~ chills; correct?

6 A. Correct.

7 Q. And no complaints of pain?

8 A. Correct.

9 Q. I think we have agreed there's no
10 evidence of cellulitis?

11 A. Correct.

12 Q. Do you know what a tunnel hematoma is?

13 A. Sure.

14 Q. What is it?

15 A. When you tunnel down usually for where
16 you do your grafts where you, in situ,
17 you go along to clip the vessels or you
18 do a saphenous graft, there's a tunnel
19 created, very often blood in the tunnel
20 and it's tunnel hematoma.

21 Q. Do you know if there was a tunnel
22 hematoma in this case?

23 A. I believe there wasn't, just from
24 memory, but I have to look at the

1 records.

2 Q. Let me ask you, hypothetically speaking,
3 if there was a tunnel hematoma following
4 surgery that was noted while the patient
5 was still in the hospital, is it likely
6 that the drainage that Mr. Massey saw on
7 the 26th was secondary to that tunnel
8 hematoma?

9 A. No, because tunnel hematoma you have
10 blood in there, you have old blood in
11 there. The drainage from that is at
12 least zero sanguinous, has some blood in
13 or old blood type of thing. So tunnel
14 hematoma would drain more bloody type of
15 fluid but not a serous.

16 Q. And I take it that little bit of eschar
17 that Dr. Hoepf noticed would be
18 expected?

19 A. I wouldn't use the word "expected," but
20 I would say not unusual, especially if a
21 little crusting of blood in the area.

22 Q. That's not an abnormal finding?

23 A. Well, it's not a normal, you don't want
24 to find it, but it's usually very

1 limited. It's not something that you'd
2 necessarily jump on to do anything with.

3 Q. It is not evidence of infection?

4 A. No.

5 Q. I may have asked you this and I
6 apologize. I think you told me that you
7 cannot testify one way or the other
8 whether Mrs. Lepage had an infection at
9 that knee incision on the 23rd; correct?

10 A. Correct.

11 Q. Dr. Hoepf pointed out that there was no
12 complaint of fever, no drainage, the
13 wound appeared to be clean with no signs
14 of thickness, redness over this
15 induration or purulent drainage based
16 upon that observation. Can we agree the
17 wound was probably not infected as of
18 the 23rd?

19 A. I don't really want to go to probably or
20 possibly. All I can say, the
21 observation at that point, there didn't
22 appear to be any infection at that
23 point, there may in deed, whether the
24 bacteria were there already working,

1 more probably than not they were already
2 there but there were no signs until at
3 least the 25th.

4 Q. I want to read to you a portion of
5 Dr. Hoepp's deposition from Page 85,
6 Line 5. The question was "What can
7 cause drainage of a wound? " And the
8 answer, and I will ask whether you agree
9 or disagree with this answer. "Drainage
10 of the wound can be caused by just a
11 splitting of the skin because the
12 internal milieu in the body is liquid
13 and there are significant amounts of
14 fluids in the tissue which can leak out.
15 It can also be related to an injury of a
16 channel that carries fluids and also
17 eventually in some ways be related to
18 infection."

19 Do you agree with that
20 answer?

21 A. Yes.

22 Q. He goes on to state how would drainage
23 from an infection appear typically and
24 his answer was, "In most cases it would

1 be a thick odorous drainage."

2 Do you agree?

3 A. No. I don't disagree that it can be but
4 in all cases, no.

5 Q. In most cases?

6 A. I don't know the percentage but not all
7 bacteria smells. Not all bacteria
8 creates pus. So the only thing I'll say
9 to that is not in all cases does it
10 create that situation. I have taken a
11 51, 50 count, but not all wounds that
12 are infected show that.

13 Q. Dr. Hoepf defines serous fluid as,
14 quote, very clear, thin and watery,
15 close quote.

16 Do you agree with that
17 definition?

18 A. No. Serous relates to serum. Serum is
19 the separation of red cells from the
20 rest of the blood and -- but it comes
21 out of there as serous and serous can
22 have a color, cannot always be clear, it
23 can be colored. It can be clear. The
24 thickness varies with what's in it. So

1 serous is not one -- the only thing
2 serous does mean no blood in it.

3 Q. Would you agree with me that very clear,
4 thin and watery drainage is usually not
5 the type of drainage that is seen with
6 infection?

7 A. No, I don't agree with that. Infection
8 and I'll just explain. It's a bacteria
9 that's in that which determines it's
10 infected, not it's cause.

11 Q. If a wound is probed and there is a
12 determination that there is, quote, no
13 depth to the wound, does that indicate
14 that none of the fluid or drainage has
15 entered a deep space?

16 A. I don't understand the question because
17 probed by definition is not superficial,
18 means you probe the cavity, so you have
19 a cavity, so it means -- superficial
20 means just that you wouldn't probe, you
21 would swab a superficial wound. And I'm
22 showing you'd swab superficially a
23 cavity, you put a probe into the --
24 probing means depth. There's a cavity,

1 a pocket or something in that order.

2 Q. What was the others word you used?

3 A. Swab.

4 Q. So let's assume for a moment that a
5 wound is swabbed and there is no depth
6 to the wound.

7 A. Correct.

8 Q. If a wound is swabbed and there is no
9 depth to the wound, does that indicate
10 that none of the drainage or fluid has
11 entered a deep space?

12 A. In general, I would agree with that.

13 Q. Now, if no fluid has entered the deep
14 space that would point away from
15 infection?

16 A. No.

17 Q. In this case if there was no depth to
18 this wound, would it be possible for the
19 infection to track along the graft to
20 the mid graft level?

21 A. If there was no depths -- if it was
22 superficial and closed superficial
23 couldn't get to the graft.

24 Q. Sorry.

- 1 A. Couldn't get to the graft.
- 2 Q. So if there's no depth to the wound,
3 there's no way that the infection could
4 track down into the graft; correct?
- 5 A. Well, yeah, that way but -- this is not
6 the case. But it can track the other
7 way. You can have a graft infected and
8 eventually get a wound infection and
9 track the other way.
- 10 Q. That didn't happen here?
- 11 A. No.
- 12 Q. My question, if there's no depth to the
13 wound there's no way that the bacteria
14 can track down into the saphenous vein?
- 15 A. Right. It would have to eat its way
16 down there and create depth, then you
17 get to the graft.
- 18 Q. What is seroma?
- 19 A. Cavity filled with serum. Like you
20 would have an abscess it's been, you
21 know, open cavity filled with serum. It
22 occurs around moons.
- 23 Q. Is that indicative of infection?
- 24 A. No. Seroma by definition are not open

1 to the outside, they basically a cavity
2 filled with serum. They are not
3 infected per se unless they are
4 violated. In most cases they are
5 drained in some cases and then become
6 infected but seroma can be an --

7 Q. If there's an opening and it starts to
8 coming out?

9 A. No. That's infected most of the time.

10 Q. Do you have an opinion as to how long a
11 period of time that mid graft area,
12 ultimately became disrupted, how long it
13 was infected?

14 A. No.

15 Q. I take it you have no criticism of the
16 record keeping of Dr. Hoeppe or
17 Mr. Massey?

18 A. No.

19 Q. Mr. Massey was asked about his
20 procedure, if you will, about examining
21 a wound, and he indicates -- the
22 question is can you describe how you
23 would have examined Mrs. Lepage's wound.
24 His answer was, in regards to this by

1 observation, by palpation along the
2 wound edge to make sure there was no
3 further opening, checking of all the
4 distal pulses, observing for any signs
5 of infection, that would be the normal
6 course.

7 Is that a reasonable
8 approach to observation and assessments
9 of a wound?

10 A. Well, there's a little bit more to
11 assessment to where an assessed patient
12 has a fever.

13 Q. I'm talking about examination.

14 A. I understand but a portion of the
15 examination is fine, portion of
16 examination is not for wound infection,
17 checking pulses is not a wound infection
18 evaluation, checking wound seeing
19 swollen seeing red, yes, that's all part
20 of it, as is there a temperature, is
21 there a blood count drainage.

22 Q. I'm asking. I understand there's a big
23 picture. I'm focusing on one piece
24 which is the actual visual examination

1 is palpating looking for the opening.

2 Is that what is appropriate?

3 A. In part, sure. In part, sure.

4 Q. Asking about wound cultures, what
5 percentage of wound cultures -- let me
6 try to be more specific.

7 What percentage of wound
8 cultures are going to be contaminated
9 simply by the bacteria in the skin so
10 that you get a false positive? Do you
11 know what I'm asking?

12 A. I know what you are asking but the
13 assumption is wrong. All drainage is
14 infected. All open wounds are infected.
15 I think you mean is what can you -- you
16 have cross-contaminants from the skin.
17 Can you show skin contaminants as well,
18 of course, but bacteria goes both ways.
19 Your skin contaminants can be a
20 pathogen. So you can get mixed
21 cultures. I can get a bacteroid disease
22 which is nonsmelling, clear, being my
23 main pathogen. Yet I'll have staff
24 begin growing on there coming from the

1 skin, then you'll figure out which one
2 is your more virulent, but it's still
3 contaminated still infected.

4 Q. You had mentioned antibiotics that you
5 believe indicated here to include a
6 broad spectrum staff sensitive
7 antibiotic?

8 A. Correct.

9 Q. Are there risks associated with given?

10 A. Yes.

11 Q. What?

12 A. Vancomycin can create autoxicity renal
13 toxicity if very, very high doses are
14 not monitored, true.

15 Q. Even -- actually that drug even in
16 appropriate doses with appropriate
17 monitoring you can still suffer
18 autoxicity and renal toxicities?

19 A. Absolutely. Every drug we give has
20 risks and side effects that are weighed
21 against the ultimate problem. I'm not
22 saying the drugs don't have problems.
23 I'm saying I would cover with heavily
24 staff drugs. As I said, the hospital

1 may have been -- the hospital knows what
2 their staff drugs are, some hospitals,
3 they might not use vancomycin so the
4 hospital might change that to something
5 they know more effective to staff.

6 Q. In addition to the renal toxicity and
7 autoxicity are there other risks
8 associated with a broad spectrum
9 anti-staff antibiotic?

10 A. I'm sure the answer is yes. I mean,
11 there are other growths of other
12 bacteria in the body as well.

13 Q. Now, when you first reviewed this case,
14 you knew that Mrs. Lepage had suffered a
15 mid graft disruption/infection?

16 A. Correct.

17 Q. You knew that from the very first piece
18 of paper that you reviewed in this case,
19 which was of the letter that we had
20 marked as Exhibit 2.

21 A. Yes.

22 Q. I am going to ask you about the risk of
23 infection for this patient. As of the
24 26th, can we agree that she was at low

1 risk of infection on the 26th?

2 A. No. No.

3 Q. I know that you believe she had
4 infection because of the drainage and
5 the opening but let me try it this way.
6 As of the 23rd was this patient at low
7 risk for infection?

8 A. No.

9 Q. Why not?

10 A. This is a vascular patient. Vascular
11 patients by definition have decreased
12 blood flow, makes them high risk. She
13 already had an ulcer of the foot. She
14 had ulceration. She is not a low risk
15 for infection. She is a high risk
16 infected patient.

17 Q. Can we agree that there was no clinical
18 evidence of infection at the time that
19 she was discharged from the hospital on
20 May 9th after her surgery?

21 A. Of the wound, yes.

22 Q. And are you familiar with the antibiotic
23 therapy that was provided to this
24 patient preoperatively,

1 intraoperatively, postoperatively and at
2 discharge?

3 A. I have read it. I don't know it by
4 heart. I know she was on Cefazolin.

5 Q. She received Cefazolin,
6 C-E-F-A-Z-O-L-I-N, preoperatively,
7 Keflin solution intraoperatively an
8 Cefazolin intraoperatively Keflex and
9 Cefazolin postoperatively and Keflex at
10 discharge. Was that an appropriate
11 antibiotic therapy for this patient?

12 A. The answer is yes, not knowing the
13 ultimate bacteria. Yes, it's one of the
14 appropriate regimes. There's no
15 problems with that.

16 Q. All of the doses and the length of time
17 of those various antibiotics were also
18 appropriate?

19 A. Also from recollection I have no
20 criticism of the drug or how
21 administered.

22 Q. Nothing Dr. Hoepf did or failed to do
23 caused this unfortunate infection; true?

24 A. Correct.

1 Q. Does the fem-pop bypass procedure in and
2 of itself change blood flow in the
3 lymphatic system which causes swelling
4 of the leg?

5 A. It's more than lymphatic system, but it
6 causes swelling of the leg, yes.

7 Q. The swelling of the leg, it can on
8 occasion lead to serous drainage?

9 A. Correct.

10 Q. And that is, in fact, a fairly common
11 finding in patients postoperatively?

12 A. Serous drainage or edema?

13 Q. Serous drainage.

14 A. No. No. Wound drainage no swelling.
15 You can see swelling.

16 Q. I want to go back to your report,
17 Exhibit 4.

18 A. Yes.

19 Q. I'm looking on Page 2, the second
20 paragraph. Dr. Hoepp diagnosed
21 Mrs. Lepage with bacterial ulceration.
22 Do you agree with that diagnosis?

23 A. Yes.

24 Q. Was it appropriate to place her on Cipro

1 to see if that would help?

2 A. Sure.

3 Q. Which she continued to have pain. Was
4 it appropriate to schedule her for an
5 arteriogram?

6 A. Absolutely.

7 Q. As a result of the arteriogram, you
8 would agree surgery is indicated and
9 necessary?

10 A. Without a doubt.

11 Q. I think you already told me the surgical
12 technique was just fine.

13 A. No problem.

14 Q. Mrs. Lepage received excellent care
15 while in the hospital for her surgery?

16 A. No problem with care. Care was fine.

17 Q. Pain control and antibiotic therapy was
18 appropriate?

19 A. It's just -- I have no problems with any
20 part of the hospitalization, the first
21 or the second hospitalizations at all.

22 Q. Do most patients have incisional pain
23 following this procedure?

24 A. Yes.

1 Q. How long does it tend to last, variable?

2 A. Pain is very real. I have patients, big
3 incision, no pain. Also depends on
4 diabetics, less pain because less pain
5 sensation. So if you have a diabetic,
6 they may have no pain whatsoever.

7 Q. In your experience have you had patients
8 who have had fem-pop bypass who start to
9 experience some pain in their incisions
10 as they become more active?

11 A. Yes. Yes. That does happen. That does
12 happen with every incision in most
13 cases.

14 Q. I'm going to shift gears a little bit.
15 Some questions about your background.
16 You discussed with us briefly that you
17 had done some worker's compensation and
18 liability evaluations in the early
19 1980s.

20 A. Yes. I still do some liability
21 evaluations, IMEs to this date, but,
22 yes, I started that years ago.

23 Q. In your CV you have both Barnert
24 Memorial Hospital and Pascack Valley

1 Hospital 1982 to the present. Am I
2 correct in understanding that you
3 obtained privileges at both those
4 facilities in 1982?

5 A. Correct.

6 Q. There were two hospitals where you were
7 not successful in obtaining those
8 privileges?

9 A. Englewood and St. Joseph's in Paterson.
10 Just one hospital closed. I think it's
11 on there.

12 Q. Kennedy?

13 A. Kennedy, right.

14 Q. Now, am I correct in understanding that
15 you are Board Certified in surgery?

16 A. Correct.

17 Q. And you passed the written examination
18 on your third attempt?

19 A. Correct, and the board exam, the oral on
20 first.

21 Q. You failed the first and second attempt
22 at the written examination?

23 A. Correct.

24 Q. Am I correct in understanding that you

1 do not qualify for a certificate in
2 vascular surgery because you did not
3 complete a fellowship?

4 A. Yes.

5 Q. And I am aware of the disciplinary
6 proceeding that was brought against you
7 in New Jersey and then subsequently in
8 New York related to New Jersey?

9 A. Right, and the Appellate decision there
10 was no wrong doing.

11 Q. I know all about that. There was some
12 reference in the deposition of yours,
13 one of the issues there was a fee
14 dispute, some testimony about that fee
15 dispute is still pending. Has that been
16 resolved?

17 A. Well, no, that finally -- I got
18 disgusted with their issues and brought
19 them before the Judge, and in New Jersey
20 they have the right to tell the Judge we
21 have no issue at this time, and the
22 Judge tells them if you have an issue,
23 you bring it up with me before you bring
24 it up with anybody else, and that's been

1 eight years ago. And I haven't heard
2 anything of it. So they haven't
3 resolved it, but in order for them to
4 resolve it, it has to be brought before
5 the Judge.

6 The answer is they don't
7 resolve issues. There's no issue that's
8 resolved. The fact is, as far as I'm
9 concerned, there's no issue. If there
10 was an issue they'd have to tell the
11 Judge, and it's eight years, so if they
12 bring it up before I die, maybe we'll
13 get it resolved. I can't resolve it
14 even going to the Court, so that was
15 brought in a deposition saying just to
16 cover all bases. As far as I'm
17 concerned there is no issues.

18 Q. I want to ask you about the areas in
19 which you have offered expert testimony
20 in the past in terms of medical
21 disciplines.

22 A. Sure.

23 Q. You have offered criticisms in terms of
24 violations in the standard of care in

1 general surgery cases.

2 A. Correct.

3 Q. Vascular?

4 A. Correct.

5 Q. Obstetrics?

6 A. Only when general surgery is involved
7 with it.

8 Q. Obstetrical cases involving surgery?

9 A. When there's crossovers to certain
10 things, obstetricians may do surgical
11 procedures that I do, and that I may get
12 involved with.

13 Q. Failure to diagnose breast cancer?

14 A. Yes.

15 Q. Neurology?

16 A. From what, neurology to general surgery
17 and preservations of the nerve, not on
18 diagnosis of neurological injuries.

19 Q. Emergency medicine?

20 A. Yes, also relate to surgical patients.

21 Q. Failure to diagnose breast cancer for
22 malignancy?

23 A. Yes.

24 Q. Orthopedic surgery?

1 A. Not unless general surgery issues are
2 involved, I don't get involved in saying
3 a fracture is handled wrong, but there's
4 an occasion where there may be an
5 arterial injury related to an orthopedic
6 injury.

7 Q. Gunshot wound?

8 A. Yes, that's general.

9 Q. Have you testified in a case about the
10 negligence of an obstetrician during
11 labor and delivery resulting in the need
12 for caesarian section?

13 A. Not to my recollection.

14 Q. I'm trying to determine what other
15 disciplines you may have offered expert
16 testimony in. We've covered several.
17 How about oncology?

18 A. Not oncology as to medications. I don't
19 believe I have -- what I'm saying,
20 everything would have to be related to
21 surgery. I have -- there are general
22 practitioners who do surgery, remove
23 lesions, have problems. The discipline
24 of the doctor is not the issue what he

1 does. If he does -- I do general
2 vascular surgery in relation to it, so
3 if a physician does that, then he falls
4 within my realm of possibility.

5 Q. So, again, I understand, surgical
6 related matters?

7 A. Right.

8 Q. They involve several. They have
9 involved several disciplines, we've
10 covered a few, emergency medicine,
11 obstetrics?

12 A. Again, I don't remember the issue and
13 the case, and I don't think it was the
14 obstetric issue I made comments on. It
15 was some related issue done at the time.

16 Q. Family practice?

17 A. Yes.

18 Q. Internal medicine?

19 A. Again, if it's surgical related issues,
20 yes.

21 Q. Pediatrics?

22 A. Not to my knowledge. Not to my
23 knowledge.

24 Q. Cardiology?

1 A. Not to my knowledge.

2 Q. Plastic surgery?

3 A. Not to my recollection, but there may
4 have been plastic surgeons who did
5 general surgery.

6 Q. Oral surgeons?

7 A. Possible. Possibly.

8 Q. In the New Jersey disciplinary action
9 matter, did you pay a \$700 fine?

10 A. No, I paid costs. That was the cost of
11 their expert. There's no fine.

12 Q. You have advertised your services as a
13 physician in local papers, shoppers
14 local magazines?

15 A. Sure. Over the years, sure.

16 Q. The New Jersey disciplinary action, you
17 did accept reprimand?

18 A. For doing nothing wrong.

19 Q. But you did accept the reprimand?

20 A. Without violation, without liability,
21 within violating those statutes,
22 standards or codes, yes.

23 Q. There's a special certificate created by
24 the American Board of Surgery for a

1 competency in the field of vascular
2 surgery.

3 A. Yes, we discussed that.

4 Q. You do not have that certificate?

5 A. Right.

6 Q. Have you ever been the chief of surgery
7 at any hospital?

8 A. No.

9 Q. Have you ever served as an editor of a
10 peer review journal?

11 A. No.

12 Q. Have you ever been an officer in any of
13 the vascular or general surgical
14 societies?

15 A. No.

16 Q. One of the cases that you were a
17 defendant in is the Jerome Bell (Ph)
18 case. Do you recall that?

19 A. Yes.

20 Q. What was the outcome of that case?

21 A. That was a settled case.

22 Q. What did that involve?

23 A. Lady who have a laparoscopic
24 cholecystotomy, I went into the hospital

1 and had a transmission of 100 units.

2 Q. I apologize, I think I may have asked
3 you this. I did. I did. I remember.

4 (Whereupon, a brief recess
5 was taken.)

6 Q. As part of the Consent Order with the
7 State of New Jersey, you agreed to a
8 plan for monitoring X-rays.

9 A. We had trainer and we had our
10 radiologist review the techniques and
11 give an in-service and basically looked
12 at the X-rays. I think there were 40 or
13 something for the year. He wrote a
14 report, that was the end of it.

15 Q. You agreed to that plan?

16 A. Yes.

17 Q. By doing so, the board agreed not to
18 pursue license suspension issues against
19 you?

20 A. They agreed, basically the resolution is
21 as it states, only requirement we agreed
22 upon, and they -- that was it. That was
23 the resolution of the whole case. There
24 was no mention of any other issues other

1 than the X-rays and the Consent Order.

2 Q. The statements you agree truthfully?

3 A. True and accurate at the time and
4 circumstances. There is no admission in
5 that statement that I did or said
6 anything inappropriate at the time, so
7 it's not a statement that I said things
8 wrong, statement was I did nothing
9 wrong. That's what they said in the
10 Consent Order, that they agreed.

11 Q. There is a sentence in the consent
12 agreement that says, quote, a
13 consideration of settlement of the
14 charges of the complaint after
15 completion of Discovery and prior to
16 trial respondent, which is you,
17 acknowledges the following as true.
18 Then it goes on to list several
19 paragraphs.

20 A. Right. As I said, if you read the
21 statements in there, they are true, and
22 a lot of statements are
23 something/something else, and that was
24 the language in that report was to

1 satisfy both parties. I did not agree
2 with some of the language. They didn't
3 agree with some of the language I used.
4 It was accepted by both parties. There
5 was nothing wrong.

6 Q. Let me ask a full question and see if
7 you can answer it.

8 A. Okay.

9 Q. The Consent Order, Page 2, contains a
10 statement, quote, in consideration of
11 settlement of the charges of the
12 complaint after completion of Discovery
13 and prior to trial, respondent
14 acknowledges the following as true. And
15 it lists Paragraphs 1 through 5.

16 And, am I correct in
17 understanding that you do, in fact,
18 acknowledge those Paragraphs 1 through 5
19 as true?

20 A. Yes. Those statements were made.

21 MR. LANNEY: Those are all the
22 questions I have. Thank you.

23 MR. SUCHECKI: No questions.

24 (Whereupon, the deposition

1 is concluded at 12:15 p.m.)

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

AGENCY CERTIFICATE

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

We hereby certify that a Notary Public, in and for the State of New Jersey, duly commissioned and qualified to administer oaths, swore in STEPHEN I. BECKER, M.D., witness.

We further certify that the foregoing deposition was taken stenographically by a representative of our firm in the presence of counsel and reduced to typewriting under our direction, and the foregoing is a true and accurate transcript of the testimony.

We further certify that we are neither of counsel nor attorney to either of the parties to said suit, nor are we an employee of either party to said suit, nor are we interested in the outcome of said cause.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

Witness my hand as Notary Public

this 24 day of November, 2004.

Braxton Reporting

BRAXTON REPORTING