

IN THE SUPERIOR COURT OF THE STATE OF DELAWARE  
IN AND FOR KENT COUNTY

SHARON JOHNSON, Administrator of	)	ID No. 08C-10-044
the Estate of THEODORE JOHNSON,	)	
Deceased, CHEZELLA THELMA	)	
JOHNSON HANKINS, JACQUETTA EVELYN	)	
JOHNSON HANKINS,	)	
	)	Testimony of Dr. Steven
Plaintiffs,	)	Becker, M.D.
	)	
v.	)	
	)	
THOMAS P. BARNETT, M.D., and	)	
SURGICAL ASSOCIATES, P.A.	)	
	)	
Defendants.	)	February 22, 2011

\* \* \* \* \*

BEFORE: THE HONORABLE ROBERT B. YOUNG, JUDGE

\* \* \* \* \*

APPEARANCES:

GILBERT F. SHELSBY, JR., ESQUIRE  
and ROBERT J. LEONI, ESQUIRE  
Shelsby & Leoni  
on behalf of the Plaintiffs.

DENNIS D. FERRI, ESQUIRE  
Morris James, LLP  
on behalf of the Defendants

COURT REPORTER: Andrea M. Saatman, RPR

INDEX TO WITNESSES

DR. STEVEN I BECKER, M.D.

02222011Johnson excerpt -- Test. of Dr. Becker

Direct Examination by Mr. Shelsby	3
Cross-Examination by Mr. Ferri	61
Redirect Examination by Mr. Shelsby	104
Recross-Examination by Mr. Ferri	111

INDEX TO EXHIBITS

PLAINTIFF'S EXHIBITS	ADMITTED
1	6
2	58
3	60

ANDREA M. SAATMAN  
Official Court Reporter

3

Dr. Becker -- Direct

1 (This matter commences at 1:45 p.m.)

2 THE COURT: Okay.

3 Mr. Shelsby.

4 MR. SHELSBY: Thank you, Your Honor. At this  
5 time, we would call Dr. Becker as the Plaintiffs' next  
6 witness.

7 \* \* \*

8 (DR. STEVEN BECKER, having been called as a  
9 witness before the Court and Jury, is duly sworn, examined  
Page 2

10 and testifies as follows:)

11 \* \* \*

12 DIRECT EXAMINATION

13 BY MR. SHELSBY:

14 Q. Good afternoon, Doctor.

15 A. Good afternoon.

16 Q. Would you tell the members of the jury what your  
17 medical specialty is?

18 A. I do general and vascular surgery, and in general  
19 surgery, most of my cases are laparoscopic.

20 Q. All right. We heard from Dr. Barnett about his  
21 education, his training and his experience and background.  
22 Is that similar to yours?

23 A. In certain parts, yes. Laparoscopically, we started

ANDREA M. SAATMAN  
Official Court Reporter

4

Dr. Becker -- Direct

1 about the same time. I started in 1989 doing  
2 laparoscopic -- training laparoscopically and did the first  
3 laparoscopic cholecystectomy in 1990.

4 Q. Okay.

5 A. I then helped develop instruments for a company called  
6 Davis & Geck. I developed some graspers that are still used  
7 today.

8 Q. Are you talking about instruments that are used in  
9 laparoscopic surgery?

10 A. Correct. I was a consultant. They asked me to consult  
11 when I started doing laparoscopic surgery, and we designed  
12 some instruments and also a needle that my name is on the  
13 patent. But I did some work with them, but I have been

02222011Johnson excerpt -- Test. of Dr. Becker  
14 doing laparoscopic cases since 1990.

15 Q. And included in the laparoscopic cases that you have  
16 done, have they included gallbladder surgeries?

17 A. That was the first type of laparoscopic cases that were  
18 done, laparoscopic gallbladder, then laparoscopic common  
19 bile duct, then hernia, then appendectomy, then others,  
20 Nissen fundoplication.

21 Q. Are you still doing laparoscopic gallbladder procedures  
22 currently?

23 A. Yes.

ANDREA M. SAATMAN  
Official Court Reporter

5

Dr. Becker -- Direct

1 Q. All right. And let me talk a little bit about your  
2 education. Can you tell us where you went to medical  
3 school? Take us through your training up until the time you  
4 went into private practice.

5 A. I did my medical school at the University of Brussels  
6 in Belgium. I finished there. I did a clinical clerkship  
7 in, it was Long Island Jewish and it was also Queens  
8 General. It was a combination. It fulfilled my requirement  
9 at Belgium for an internship.

10 I then did a straight medical internship at  
11 Mount Sinai's affiliate in Queens, New York. And then, I  
12 did two years at Long Island Jewish Surgery and finished my  
13 last three years at St. Vincent's in New York City. I did  
14 my chief residency at St. Vincent's, which was my fifth  
15 year. I then went to work with a group who was supposedly  
16 mainly doing vascular surgery. I didn't complete that. I  
17 then went into private practice in 1981, '82. I have been  
18 in private practice since that time.

02222011Johnson excerpt -- Test. of Dr. Becker

19 Q. Okay. And the private practice that you are in, would  
20 you describe that as general surgery private practice?

21 A. I do -- 80 percent is general surgery. I do vascular  
22 surgery too, but 80 percent is general surgery. And since  
23 1990 and after, most of the cases that I do are

ANDREA M. SAATMAN  
Official Court Reporter

6

Dr. Becker -- Direct

1 laparoscopic.

2 Q. All right. Are you board certified?

3 A. Board certified in 1984. I'm certified till 2016.

4 Q. And you're board certified in what medical specialty?

5 A. General surgery.

6 Q. Okay. Doctor, I have a copy of your curriculum vitae  
7 which sets forth your education, your training, some  
8 additional information regarding your certifications; is  
9 that correct?

10 A. Yes.

11 MR. SHELSBY: Your Honor, I'd like to offer  
12 this as Plaintiff's Exhibit No. 1.

13 MR. FERRI: No objection, Your Honor.

14 THE COURT: All right. Without objection,  
15 that can be admitted.

16 THE CLERK: It is so marked as Plaintiff's  
17 Exhibit No. 1, Your Honor.

18 THE COURT: Thank you.

19 (Plaintiff's Exhibit No. 1 is received in  
20 evidence.)

21 BY MR. SHELSBY:

22 Q. Doctor, have you been called upon in the past to review

02222011Johnson excerpt -- Test. of Dr. Becker  
23 medical negligence cases at the request of lawyers?

ANDREA M. SAATMAN  
Official Court Reporter

7

Dr. Becker -- Direct

- 1 A. I have for the past almost 26, 27 years.  
2 Q. All right. And have you been qualified in  
3 jurisdictions to be an expert in the area of general  
4 surgery?  
5 A. Yes.  
6 Q. Have you been qualified by courts as an expert in the  
7 area of general surgery as it relates to gallbladder  
8 surgery?  
9 A. Yes.  
10 Q. All right. You charge for your time in reviewing  
11 medical malpractice cases?  
12 A. I do.  
13 Q. And you've charged for your time in being here today;  
14 is that correct?  
15 A. That's correct.  
16 Q. Now, your office is located where?  
17 A. Well, I have two, but my main location is in Fair Lawn,  
18 New Jersey. I have a smaller satellite office in New York  
19 in Queens in Forest Hills.  
20 Q. And you're taking a day off from your general surgery  
21 practice, from your practice, to be here today?  
22 A. I am.  
23 Q. What do you charge to appear in court here today?

ANDREA M. SAATMAN  
Official Court Reporter

8

Dr. Becker -- Direct  
Page 6

02222011Johnson excerpt -- Test. of Dr. Becker

- 1 A. \$6,000 a day plus expenses for court testimony.  
2 Q. Okay. Now, at my request, you reviewed a number of the  
3 medical records in this case; is that correct?  
4 A. Yes.  
5 Q. Those medical records are fairly voluminous?  
6 A. There's multiple binders, yes.  
7 Q. They include the records from Kent General Hospital?  
8 A. They do.  
9 Q. Records from the University of Maryland and the  
10 follow-up care from Mr. Johnson after he was discharged from  
11 Kent General Hospital?  
12 A. That's correct.  
13 Q. All right. And you had other records as well relating  
14 to his medical background and medical history; is that  
15 correct?  
16 A. I did.  
17 Q. All right. You have also had an opportunity to review  
18 depositions in this case?  
19 A. I have.  
20 Q. And you, in addition to reviewing the medical records  
21 and the depositions, also gave a deposition in this case  
22 yourself, correct?  
23 A. I did.

ANDREA M. SAATMAN  
Official Court Reporter

9

Dr. Becker -- Direct

- 1 Q. All right. You understand, Doctor, that in order to  
2 express an opinion before a jury, you have to do so within a  
3 reasonable degree of medical probability. Do you understand  
4 what the standard is?

02222011Johnson excerpt -- Test. of Dr. Becker

5 A. I do.  
6 Q. All right. I'm going to ask you some questions today  
7 about your opinions, and I'm going to ask that all those  
8 opinions be expressed within a reasonable degree of medical  
9 probability. You let me know if you cannot do so within  
10 that standard. Is that fair enough?  
11 A. Fair.  
12 Q. All right. Based on your experience, your background,  
13 your review of the medical records, the depositions in this  
14 case, did you formulate an opinion as to whether Dr. Barnett  
15 breached the applicable standard of care in his treatment of  
16 Mr. Johnson?  
17 A. I did.  
18 Q. All right. And what was your opinion?  
19 A. Well, there are two opinions, two different areas.  
20 First, that the anatomy of Mr. Johnson wasn't adequately  
21 defined prior to cutting any duct structure, and as a  
22 result, the right and left common hepatic ducts were severed  
23 in the process, creating a bile leak.

ANDREA M. SAATMAN  
Official Court Reporter

10

Dr. Becker -- Direct

1 And the second opinion is that in the face of  
2 Mr. Johnson's lack of progression and particularly at the  
3 point in time when he had an elevated white count and  
4 elevated bilirubin, elevated liver functions and other  
5 factors, there was no exploration, creating a delay.

6 There was also a delay in recognizing the  
7 injury, as it wasn't recognized at surgery. So the delay  
8 isn't recognized in surgery, and then faced with the fact of  
9 his condition and significant findings that would suggest an

10 injury, there was no evaluation or treatment for an extended  
11 period of time creating a delay.

12 Q. I want to separate those two opinions. The first one  
13 you said related to his cutting of ducts and the way in  
14 which he performed the operative procedure, and I want to  
15 focus in on that.

16 A. Yes.

17 Q. In the circumstance where you're going to do a  
18 gallbladder surgery, what does the standard of care require  
19 with regard to identifying anatomy before one starts to cut  
20 any tissue or ducts that are in the area?

21 A. Well, let's take a step back. All gallbladder that we  
22 do is either chronic or acute. In other words, they have  
23 disease that also leads to adhesions, that always leads to

ANDREA M. SAATMAN  
Official Court Reporter

11

Dr. Becker -- Direct

1 tissues sticking together. It depends on how long they have  
2 had it or how acute the gallbladder is.

3 The first thing you have to do is you have to  
4 identify your structures. The only structures in this  
5 operation that should be cut are the cystic duct and the  
6 cystic artery, and the gallbladder should be removed from  
7 the liver bed. That's the surgery.

8 The problem you have is you have other  
9 structures in the area that are very important. You have  
10 the common bile and the common hepatic ducts. If you go  
11 high enough, then you have the right and left hepatic ducts,  
12 and if you go to the left side, you have even more  
13 structures such as the hepatic artery, the portal system,

02222011Johnson excerpt -- Test. of Dr. Becker  
14 all which must be preserved. Injury to those structures are  
15 devastating, so you have to identify your anatomy. You have  
16 to confirm your anatomy before you clip or cut. And once  
17 you do that, you've met the standard.

18 Q. Okay. And how is it -- when you go in to do a  
19 gallbladder surgery, how is it that you identify the anatomy  
20 before you start to cut? How do you do that?

21 A. Well, once you enter the abdomen, of course, you look  
22 around and you enter with a scope, a telescope that sees  
23 inside the body. You lift up the liver, you identify the

ANDREA M. SAATMAN  
Official Court Reporter

12

Dr. Becker -- Direct

1 gallbladder, you grab it. Very often, there are adhesions,  
2 tissue that's stuck to it. You first have to take those  
3 off. Those are taken off either bluntly or with scissors  
4 and cut. It depends on how thick they are and how much  
5 difficulty they give you.

6 At that point, you have to track down and  
7 identify the cystic and gallbladder junction, and then you  
8 have to follow the cystic duct down till it meets another  
9 structure. The other structure it meets is the common bile  
10 duct. Once you identify that junction, all you have to do  
11 is encircle the cystic duct and clip it, and the next step  
12 once that's done is to identify the cystic artery which  
13 passes higher up and encircle it and cut it.

14 And so, we look for three structures that  
15 form a triangle, and we mentioned earlier today the name of  
16 that triangle is the triangle of Calot. Originally, it was  
17 described with the three sides being the cystic duct, the  
18 common bile duct and the liver. Over the years, that's

02222011Johnson excerpt -- Test. of Dr. Becker

19 changed as a teaching method. The cystic artery travels  
20 very close to the liver, so if you identify the common bile  
21 duct, the cystic duct and the cystic artery, that forms a  
22 triangle, and two of those structures have to be identified  
23 and then transected to remove the gallbladder.

ANDREA M. SAATMAN  
Official Court Reporter

13

Dr. Becker -- Direct

1 Q. Okay. You just described that, but I want to see if I  
2 can draw a diagram or have you draw a diagram so that we can  
3 understand what structure it is that you're trying to  
4 identify.

5 MR. SHELSBY: Your Honor, may I have the  
6 witness step down?

7 THE COURT: Sure. While he's doing that,  
8 could I see counsel for a second?

9 MR. SHELSBY: Sure.

10 (A brief scheduling discussion is held.)

11 BY MR. SHELSBY:

12 Q. All right. Then you've just drawn a diagram. Can you  
13 show us on the diagram what the structures are that you've  
14 depicted and what their significance is?

15 A. Yes. Well, first, the liver, which is the structure  
16 which creates the bile. From the liver, the bile goes down  
17 through the left hepatic duct and the right hepatic duct,  
18 and this is the right. These two ducts form what is called  
19 the common hepatic duct. Right hepatic. Left hepatic.  
20 This is called the common hepatic duct. The gallbladder  
21 sits under the right lobe of the liver, and there's a little  
22 pocket called a fascia.

23 02222011Johnson excerpt -- Test. of Dr. Becker  
The cystic duct comes out of the gallbladder,

ANDREA M. SAATMAN  
Official Court Reporter

14

Dr. Becker -- Direct

1 travels to join the common hepatic duct forming what is  
2 called below is the common bile duct. So basically, it  
3 changes its name because the cystic duct joins it and it  
4 joins lower on as it goes down into the small intestine  
5 called the duodenum. And that's the small intestine.

6 Q. Okay.

7 A. So you have the duct system, the gallbladder forming  
8 with the cystic duct. The red structure is the cystic  
9 artery which travels to the gallbladder very close to the  
10 liver, and this forms the triangle they were talking about  
11 between the common bile duct, the cystic duct and the  
12 artery, which is called Calot's triangle, the triangle of  
13 Calot.

14 Q. All right.

15 A. That's where your structures that you have to pay  
16 attention to are all located.

17 Q. All right. And when you are identifying the structures  
18 in that area, why is that important to a surgeon that he or  
19 she knows where the structures are when they are in this  
20 triangle?

21 A. For several reasons. First of all, all these areas are  
22 either adhered or inflamed when you do the surgery. What  
23 you don't want to injure is the common bile duct, the common

ANDREA M. SAATMAN  
Official Court Reporter

15

Dr. Becker -- Direct  
Page 12

1 hepatic duct or the right and left hepatic ducts. They are  
2 very small. Reconstruction of these ducts is very difficult  
3 and has a lot of complications afterwards.

4           You don't want to injure the common bile duct  
5 if you don't have to, but you want to identify it in case  
6 you have to work by it because very often, you're dealing  
7 with adhesions. Tissue gets stuck and you are very often  
8 working by it, so you want to identify where it is so you  
9 can see it. You don't have to dissect it free. You just  
10 have to know where it is. And if you're working by it, you  
11 have to know it's there.

12 Q. Okay. Lets' go to another page and do a diagram. Just  
13 show us when you're trying to take the gallbladder out,  
14 explain to us just sort of what anatomy you're looking for  
15 and how you would take the gallbladder out so that you do so  
16 without causing any injury to a structure that you're not  
17 intending to.

18 A. And this is very schematic. When you first go in  
19 there, as I mentioned, the gallbladder is sitting under the  
20 liver, so you actually have to lift it up. We have graspers  
21 like pliers that grasp the structure. We pull it whichever  
22 direction we want, up and lateral, to try to expose this  
23 area.

ANDREA M. SAATMAN  
Official Court Reporter

16

Dr. Becker -- Direct

1           Another grasper is taken over here and it  
2 also is held out. So we try to create as much of a straight  
3 line as we can. We then start our dissection along the  
4 anterior surface to identify which is the cystic duct. We

02222011Johnson excerpt -- Test. of Dr. Becker  
5 follow the cystic duct down until we reach another structure  
6 called the common bile duct.

7 Q. Okay.

8 A. We're looking for two structure. We are looking for A  
9 and we're looking for B, and we want to know where these two  
10 structures come in contact with one another. Once we see  
11 these two structures, we know that this is cystic and we  
12 know this is common. If we have any question, we have two  
13 options. We can put a catheter in and take a picture or if  
14 we're really confused, we can open, okay?

15 But you have to know where these two  
16 structures are because if you injure this one, you have a  
17 devastating complication. You don't want to do that. And,  
18 again, there are different types of complications. You can  
19 put a hole in it and repair it. That's not that bad. But  
20 transection, usually, you can't put the two ends back  
21 together. Sometimes you can, sometimes you can't depending  
22 on the size, but transection creates problems.

23 You go down, you understand where your

ANDREA M. SAATMAN  
Official Court Reporter

17

Dr. Becker -- Direct

1 junction is, and at some point, you place what are called  
2 clips. You can put two, you can put three. It really  
3 doesn't matter. You then transect the duct. In this case,  
4 it's the cystic duct.

5 Once you've done that -- and, again, you have  
6 to look for the next structure, which is the artery. The  
7 cystic artery comes out most of the time behind the common  
8 bile duct and goes to the gallbladder very often in two  
9 branches. You have to identify the cystic artery and you

02222011Johnson excerpt -- Test. of Dr. Becker

10 have to put clips on it and cut it. You can put two clips.  
11 It doesn't matter how many clips you put on it. You have to  
12 clip it and cut it between, and that frees up the cystic  
13 duct, the cystic artery.

14                   Once those are freed up, the gallbladder can  
15 be removed. And then it's removed from the liver bed with  
16 instruments. There are different types of instruments that  
17 can be used. Cautery, which is an electric device, can be  
18 used. There are devices that work on vibration, Harmonic  
19 scalpels. There's ligature devices which are also  
20 electric-type currents. It doesn't matter how. You have to  
21 remove the gallbladder from the liver bed. That's your next  
22 step. And then you remove the gallbladder from the abdomen.  
23 Q. You were present in the courtroom when Dr. Barnett was

ANDREA M. SAATMAN  
Official Court Reporter

18

Dr. Becker -- Direct

1 giving testimony?  
2 A. Yes.  
3 Q. And he testified that he does not, as part of doing the  
4 gallbladder procedure, identify the common bile duct. Do  
5 you have any opinion as to whether that is a breach in the  
6 standard of care?  
7 A. Yes. And if you remember what he said, "When we did it  
8 open." There's no difference between open and closed in  
9 this procedure. Laparoscopic only is how you go in after  
10 it. You use puncture wounds instead of a big incision,  
11 which is an advantage.  
12                   Same surgery. You have to know the same  
13 structures. If you want to know and you want to be safe,

02222011Johnson excerpt -- Test. of Dr. Becker  
14 you have to know where the three structures are, one, two  
15 and three. And that's classical teaching. It's not taught  
16 laparoscopically different than open. Open or  
17 laparoscopically, you take out the same thing. You have the  
18 same complications. There's no difference in complications.  
19 Q. Is it, then, your opinion that it's a breach in the  
20 standard of care to not identify the cystic duct before you  
21 cut a duct in an effort to remove the gallbladder?  
22 A. The cystic and common bile duct.  
23 Q. The common bile duct. I'm sorry.

ANDREA M. SAATMAN  
Official Court Reporter

19

Dr. Becker -- Direct

1 A. Both have to be identified.  
2 Q. Okay.  
3 A. Again, not dissected out. The words "skeletonized" was  
4 used and "dissecting out." Not dissecting out. When you  
5 see your junction -- and you can see it pretty clearly. A  
6 small tube goes into a big tube -- you don't have to dissect  
7 the big tube. You just have to, at that point, encircle  
8 your small tube, put your clips, and that's your cystic  
9 duct.  
10 Q. Okay. Let's talk about what Dr. Barnett did when he  
11 did this procedure. And I'm going to ask you if you can  
12 explain to the members of the jury what structures that he  
13 stapled and he cut when he actually did the gallbladder  
14 procedure.  
15 A. Okay. Let me take a step back. The reason we know a  
16 lot of this is because in the hospital, subsequent tests  
17 were done. They were called cholangiograms; they were  
18 called ERCPs, which is a cholangiogram done to the duodenum.

02222011Johnson excerpt -- Test. of Dr. Becker

19 Pictures were done, and from the pictures --

20 Q. why don't you use another page.

21 A. Okay. From the pictures and -- well, from the  
22 pictures, from the fact that he was operated on in April and  
23 from the autopsy, we have an idea of basically what happened

ANDREA M. SAATMAN  
Official Court Reporter

20

Dr. Becker -- Direct

1 or where things happened.

2 Q. Let me stop you there. When you say the pictures,  
3 we're talking about the ERCPs. Those with the dye studies  
4 that were done?

5 A. There's ERCP's. There's percutaneous cholangiograms.  
6 There was an operation and there was an autopsy.

7 Q. Right. The operation was an operation done at the  
8 University of Maryland?

9 A. Correct.

10 Q. Then there was the autopsy?

11 A. Right.

12 Q. They describe what's in the anatomy as well. So  
13 looking at all three of those factors, you're able to go  
14 back and reconstruct where the injures were?

15 A. Right. From where they say things were cut and what  
16 they found.

17 Q. Okay. would you explain to the members of the jury  
18 what the medical records show with regard to where the  
19 injures were sustained?

20 A. Okay. This is the right hepatic duct. We know from  
21 the percutaneous transhepatic that a catheter was put in  
22 here, that this duct structure was cut and was leaking bile

23 02222011Johnson excerpt -- Test. of Dr. Becker  
into the abdomen.

ANDREA M. SAATMAN  
Official Court Reporter

21

Dr. Becker -- Direct

1 Q. Okay. Can you tell us where the liver is in relation  
2 to that?

3 A. The liver is up here.

4 Q. Okay.

5 A. I'm trying to make just the areas that we're working  
6 in.

7 Q. Right.

8 A. They put a catheter in. They went through the skin.  
9 They put a catheter in mainly to drain dye -- mainly to  
10 drain bile, but when they injected dye, they noticed that  
11 this duct was open and was draining into the abdomen. So it  
12 was a bile leak.

13 Q. Okay.

14 A. Okay? They also noted that on the other side, which is  
15 the left hepatic duct, there was a clip. Again, they found  
16 that when they operated on April 9th, they found a clip and  
17 they found an open duct. On the ERCPS that were done and  
18 the percutaneous, they read that the common hepatic duct,  
19 the duct over here, the common hepatic duct, had a clip  
20 across and was transected.

21 And the radiologist said it was transected  
22 mid to distal common hepatic duct. So that would be  
23 somewhere -- it was before the bifurcation. They also said

ANDREA M. SAATMAN  
Official Court Reporter

22

Dr. Becker -- Direct  
Page 18

02222011Johnson excerpt -- Test. of Dr. Becker

1 that the transection -- I'm just trying to show a "Y" here.  
2 I'm not doing a good job. They also said transection of  
3 these two ducts was above the bifurcation. So in other  
4 words, the bifurcation would be somewhere here. These were  
5 transected across.

6 So you have the right and left hepatic ducts  
7 that were free, one with a clip on it. They also made  
8 mention of an accessory duct that connected the two. That's  
9 just an anatomical thing. You have accessory ducts.

10 Q. Okay.

11 A. It has no function. I mean, it has minor function, but  
12 it's not a major duct.

13 Q. Okay. I want to make sure we orient us to where the  
14 surgery was done and where the cuts were. Let's start over  
15 here on the left hepatic duct. This was cut?

16 A. Yes.

17 Q. All right.

18 A. Right hepatic.

19 Q. Right hepatic?

20 A. Right hepatic was cut.

21 Q. Can you just put a red --

22 A. Let's do this.

23 Q. Okay. And that was cut and open?

ANDREA M. SAATMAN  
Official Court Reporter

23

Dr. Becker -- Direct

1 A. That was cut and bile was freely draining out.

2 Q. All right. Now, on the left hepatic duct, there were  
3 clips?

4 A. There was a clip, yes.

02222011Johnson excerpt -- Test. of Dr. Becker  
5 Q. And what does the clip do to that hepatic duct?  
6 A. It stops the bile from going down. And it was also  
7 noted that the duct was dilated, bigger than normal.  
8 Q. And what would cause it to get dilated? Does dilate  
9 mean it got bigger?  
10 A. Got bigger because as the bile comes out, it comes out  
11 with a pressure and it's pushing against the clips and  
12 backing up. And so, this blows up like a little balloon,  
13 makes it bigger.  
14 Q. Okay. Now, down here are the common hepatic duct and  
15 the common bile duct?  
16 A. Right.  
17 Q. Which is down in this area. There were clips found on  
18 the top of that?  
19 A. Yes. The common hepatic duct is transected. It was  
20 cut.  
21 Q. All right. And it also had clips on it?  
22 A. It had a clip on it, yes.  
23 Q. All right. Now, in terms of where the gallbladder

ANDREA M. SAATMAN  
Official Court Reporter

24

Dr. Becker -- Direct

1 surgery should have been done, can you describe for us where  
2 the cuts should have occurred in relation to where they  
3 actually found structures cut?  
4 A. I'll do that. Let me just add one other point. On the  
5 autopsy, we know the distance from the ampular to where it  
6 was cut was seven centimeters, the pathologist.  
7 Q. Okay.  
8 A. What you need to do is what I described before. The  
9 cystic duct is what you need to cut which is over here. You

02222011Johnson excerpt -- Test. of Dr. Becker

10 need to cut the cystic duct, identify it, cut it, transect  
11 it, and the cystic artery needs to be transected. So you're  
12 working to the right of the common bile duct.

13 Q. Okay. So in terms of what the standard of care would  
14 have required in the removal of the gallbladder, it was to  
15 have staples and cuts at this location?

16 A. Cystic duct and cystic artery are the only two  
17 structures you want to cut.

18 Q. Okay. Do you have an opinion within a reasonable  
19 degree of medical probability as to whether it was a breach  
20 in the standard of care in this operation to have transected  
21 the right hepatic duct?

22 A. Yes.

23 Q. And what is your opinion?

ANDREA M. SAATMAN  
Official Court Reporter

25

Dr. Becker -- Direct

1 A. Yes.

2 Q. All right.

3 A. It's a breach.

4 Q. And do you have any opinion within a reasonable degree  
5 of medical probability as to whether it was a breach in the  
6 standard of care in this operation to have clipped and cut  
7 the left hepatic duct?

8 A. I do.

9 Q. And what is your opinion?

10 A. Same opinion. It fell below the standard of care.

11 Q. And do you have an opinion within a reasonable degree  
12 of medical probability as to whether it was a breach in the  
13 standard of care to have cut the common hepatic duct and to

02222011Johnson excerpt -- Test. of Dr. Becker  
14 have clipped the common hepatic duct in this operation?  
15 A. I do.  
16 Q. And what is your opinion?  
17 A. That it fell below the standard of care.  
18 Q. All right. Now, do you have an opinion as to how  
19 many -- I want to say -- let me use the word "cuts," but how  
20 many cuts were involved in removing the gallbladder in this  
21 surgery? Do you have an opinion on that?  
22 A. From everything I see, I would say three cuts. You  
23 have to cut one duct, two ducts and three ducts.

ANDREA M. SAATMAN  
Official Court Reporter

26

Dr. Becker -- Direct

1 Q. Okay. And in terms of the operation itself in terms of  
2 the number of ducts you need to cut, if you do it in  
3 conformance with the standard of care, it requires how many?  
4 A. Well, one duct, but the different one than these.  
5 Q. Right.  
6 A. The issue is these ducts. You don't need to cut these  
7 ducts to remove your gallbladder.  
8 Q. All right. In fact, the only cut that you need to make  
9 is right here?  
10 MR. FERRI: Objection. Leading, Your Honor.  
11 MR. SHELSBY: I'll rephrase it.  
12 BY MR. SHELSBY:  
13 Q. Tell us what the one cut is or the one structure you  
14 need to cut in order to do this operation in conformance  
15 with the standard of care?  
16 A. One ductal structure is the cystic duct. The two  
17 structures, you need the cystic artery and the cystic duct.  
18 They are two different structures. But the only ductal

02222011Johnson excerpt -- Test. of Dr. Becker

19 structure you have to cut is the cystic.

20 Q. Okay. I meant to ask you this earlier. You've drawn a  
21 diagram of the cystic duct. How long is that cystic duct  
22 usually in most individuals?

23 A. The cystic duct varies in size. It's usually between

ANDREA M. SAATMAN  
Official Court Reporter

27

Dr. Becker -- Direct

1 one and two sonometers, but it can be longer. It can be  
2 shorter. In this case, the pathologist tells us in the path  
3 report that he sees a cystic duct that's 1.1 sonometers  
4 long. So it's not the shortest cystic duct; it's not the  
5 longest cystic duct.

6 Q. Somewhere in the middle?

7 A. Correct.

8 Q. You used the word sonometer, but for 1.2 sonometers,  
9 that's a centimeter; correct?

10 A. 1.1 centimeters.

11 Q. 1.1 centimeters.

12 A. Mm-hmm.

13 Q. Which is about a third of an inch?

14 A. No. That would be about a half inch.

15 Q. A half inch?

16 A. 2.2 is an inch.

17 Q. Okay.

18 A. So about a half an inch.

19 Q. So when you're doing the surgery and you've got the  
20 gallbladder and you've got a duct that's here, you're trying  
21 to identify the structures that are in that area, do you  
22 have to do a lot of dissection or moving things around in

02222011Johnson excerpt -- Test. of Dr. Becker  
23 order to get to the triangle to identify the structures?

ANDREA M. SAATMAN  
Official Court Reporter

28

Dr. Becker -- Direct

1 A. Depends on how much inflammation you have. It depends  
2 on how long the cystic duct is. Sometimes, yes. Sometimes  
3 there's a lot of dissection that has to be done. Sometimes  
4 there isn't a lot of dissection that has to be done.

5 Again, you're not trying to dissect out your  
6 common. You're only trying to track your cystic down. And  
7 most of the time, you don't encircle it. You're not getting  
8 rid of all the adhesions until you see where your connection  
9 is. So you go anterior to it until you can see where your  
10 junction is. And then, at that point, you pick to the right  
11 of the junction, you encircle it, clip it, and then you work  
12 backwards. In other words, you want to work the cystic duct  
13 to your right.

14 Q. Okay. Thank you, Doctor. If a doctor has a question  
15 in their mind about the structures that they are  
16 encountering maybe because of the operative field or the  
17 extent of the disease, is there any way in which they can  
18 assure themselves that they are looking at a structure that  
19 they think is the cystic duct?

20 A. Well, you have two ways of doing that. One, you can  
21 take a picture which is called a cholangiogram. You put a  
22 catheter into the cystic duct and take a picture. Or  
23 sometimes, you actually put a catheter into the common bile

ANDREA M. SAATMAN  
Official Court Reporter

29

Dr. Becker -- Direct  
Page 24

02222011Johnson excerpt -- Test. of Dr. Becker

1 duct or a little needle into the common bile duct and inject  
2 dye and take a picture.

3 Or you have the option of opening up if your  
4 anatomy is that distorted. When you open up, you have a  
5 bigger field to work in and you track the cystic duct to its  
6 junction, and that's how you're sure which is where.

7 Q. Did you have an opinion to a reasonable degree of  
8 medical probability if Dr. Barnett had done what you just  
9 described in terms of identifying those structures whether  
10 he would have caused the multiple injures that were found  
11 subsequent to his surgery?

12 A. I do.

13 Q. What's your opinion?

14 A. If he had identified the two structures either with  
15 cholangiogram or open, he wouldn't have injured the  
16 structures.

17 Q. Okay. Let's talk about the second opinion that you  
18 raised with regard to the following up of the patient and  
19 Mr. Johnson's symptoms and your opinion with regard to him  
20 making a diagnosis of the leak. Let's start with explain to  
21 us once a duct is cut like the hepatic duct or the kind of  
22 injures that were suffered as a result of the surgery, what  
23 happens to a patient, what's going on in their body, and

ANDREA M. SAATMAN  
Official Court Reporter

30

Dr. Becker -- Direct

1 what kinds of things occur as a result of that?

2 A. Well, there's two types of injures. There is an  
3 obstructive injury where the clip actually obstructs the  
4 duct and no bile can get out of the ductal structures.

02222011Johnson excerpt -- Test. of Dr. Becker  
5 That's called obstructive jaundice where the bile backs up  
6 into the liver and the patient's bilirubin elevates. He may  
7 become jaundice, yellow. That's one type of injury.

8           The second type of injury is where the duct  
9 is not closed and it leaks into the abdomen. Bile is  
10 abrasive. It's a caustic material. It goes into the  
11 abdomen and causes an inflammation. It's called bile  
12 peritonitis, inflammation of the abdominal cavity by bile.  
13 That usually causes pain. It effects the bowel function so  
14 it doesn't function that well. You may have ileuses, you  
15 may have nausea, you may have vomiting, but it causes  
16 symptomatology in the abdomen. You may have some  
17 tenderness. Eventually, you may have some distension.

18           But bile in itself, when it goes into the  
19 abdomen over a period of time, gets infected because it  
20 inflames the intestines and there are bacteria in the  
21 intestines. It's called translocation. With inflammation,  
22 bacteria can move back and forth. So bile usually gets  
23 infected over a period of time, so you don't want to leave

ANDREA M. SAATMAN  
Official Court Reporter

31

Dr. Becker -- Direct

1 it in the abdomen.

2 Q. And the fact that bile is left in somebody's abdomen  
3 for an extended time period, what can happen to them?

4 A. They become septic. It's an infection, an inflammation  
5 that affects their whole body. It's a systemic infection  
6 that affects their whole system. So they can become -- at  
7 some point, have fevers. They can have elevated white  
8 count. They can go on to develop shock, which is a  
9 decreased profusion. That's, I mean, basically a

02222011Johnson excerpt -- Test. of Dr. Becker

10 peritonitis. Just like a perforated appendix would cause a  
11 peritonitis. If untreated, if the infection continues,  
12 eventually you abscesses, which takes a while, and the  
13 patient becomes very, very sick.

14 Q. Is that what happened in Mr. Johnson's case?

15 A. Pretty much so.

16 Q. All right. Let's talk about the postoperative, sort of  
17 the course of Mr. Johnson. But before I ask that, when most  
18 folks have their gallbladder taken out laparoscopically,  
19 what's the expectation with regard to when they will go home  
20 and what kind of clinical course they'll have?

21 A. Well, there's two types of gallbladders. There's acute  
22 cholecystitis which is inflammation of the gallbladder,  
23 acute inflammation of the gallbladder. This is not this

ANDREA M. SAATMAN  
Official Court Reporter

32

Dr. Becker -- Direct

1 case. Those people can stay several days. They usually  
2 come in very, very sick.

3 They have an elevated white count. They may  
4 have fever. They may have nausea and vomiting. They  
5 definitely have pain which usually lasts more than 12 hours.  
6 They may even have an obstructed cystic duct, in other  
7 words, a stone that is trying to get out and it's  
8 obstructing the duct. They have a dilated gallbladder, a  
9 thick-walled gallbladder, and a lot of inflammation and  
10 adhesions. These people are usually very sick. That  
11 usually stays one to two days post-op.

12 Elective cholecystectomies, some go home the  
13 same day, some stay overnight, and some people have to stay

02222011Johnson excerpt -- Test. of Dr. Becker  
14 a couple of days. It depends on how they do  
15 postoperatively. Some people have no problem at all and  
16 they go home very quickly.  
17 Q. In Mr. Johnson's case, did he have an acute  
18 cholecystitis or did he have a chronic cholecystitis?  
19 A. He had a chronic cholecystitis. He had no acute  
20 changes in his gallbladder which was -- that was diagnosed  
21 on sonogram and on the pathology. He had a stone in the  
22 gallbladder. He had a .3 sonometer wall which is not acute,  
23 and he had no edematous changes they were seen. So it's a

ANDREA M. SAATMAN  
Official Court Reporter

33

Dr. Becker -- Direct

1 chronic -- the pathologist describes it as chronic change.  
2 Q. I was going to show that to you. This is the pathology  
3 report of the gallbladder which was done after it was  
4 removed and it indicates that it was chronic?  
5 A. It's chronic cholecystectomy.  
6 Q. Okay. And the pathologist makes the distinction  
7 between something that's acute and chronic?  
8 A. Yes. They use certain criteria, but yes, they  
9 distinguish acute and chronic.  
10 Q. Okay. Now, Doctor, postoperatively in Mr. Johnson's  
11 case, did he start to exhibit any signs and symptoms that  
12 would cause a reasonably competent general surgeon to be  
13 concerned about his clinical course?  
14 A. Yes. First of all, this turned out within the first  
15 24/48 hours. He still was having pain. He had confusion.  
16 His white count was elevated. And he wasn't progressing  
17 like you would normally expect a patient with this type of  
18 condition and a laparoscopic cholecystectomy to perform at.

02222011Johnson excerpt -- Test. of Dr. Becker

19 In other words, you would expect him to be better than he  
20 was.

21 And so he wasn't progressing adequately  
22 immediately post-op. The first and second day, he had the  
23 pain, he had the confusion, and as I said, he had an

ANDREA M. SAATMAN  
Official Court Reporter

34

Dr. Becker -- Direct

1 elevated white count. It eventually got to a point where  
2 approximately four days postoperatively, he had a lot of  
3 other things as well. He had an elevated white count.

4 He had bilirubin that I mentioned to you that  
5 you could see if there's a problem, it's elevated. In other  
6 words, it's an enzyme that comes from the liver. In other  
7 words, we worked on the liver. We worked on the bile  
8 system. The two enzymes we look for are bilirubin and  
9 alkaline phosphatase. That's an enzyme that's found in the  
10 bile ducts. So if there's any bile duct injury or problems  
11 with the bile duct, the alkaline phosphatase will elevate.

12 You also have liver enzymes which are  
13 slightly elevated. He wasn't eating. His liver enzymes  
14 were elevated, as I mentioned, and he was developing  
15 tenderness at that point in time. There was something going  
16 on. In other words, this is not a course that should be  
17 expected from this type of operation and from this type of  
18 patient. At that particular point in time, some type of  
19 study should be done to see if you have bile duct injury or  
20 leak.

21 Q. Okay. Let me make sure that I understand all of the  
22 things that you told us, the signs and symptoms. You said

02222011Johnson excerpt -- Test. of Dr. Becker  
23 four days postoperatively, so that would be on December

ANDREA M. SAATMAN  
Official Court Reporter

35

Dr. Becker -- Direct

1 17th?  
2 A. On the 17th, correct.  
3 Q. In my opening statement, I said to the members of the  
4 jury that one of the signs and symptoms of an injury to the  
5 bile duct system is abdominal pain. Did he have that on the  
6 17th?  
7 A. Yes.  
8 Q. All right.  
9 A. He's had it post-op continuously.  
10 Q. All right. And is poor appetite a sign of an injury to  
11 that system?  
12 A. It can be, yes.  
13 Q. And did he have that and exhibit that as well?  
14 A. Yes.  
15 Q. All right. Now, I mentioned a distended belly. Is  
16 this a sign or symptom that's associated with an injury to  
17 the common bile duct or the bile duct system?  
18 A. It can go along with that. It can go along with an  
19 irritation of the abdomen, yes.  
20 Q. All right. And I also mentioned abnormal liver  
21 studies. Would that be the bilirubin?  
22 A. Bilirubin, alkaline phosphatase, and there are other  
23 enzymes that are affected by the liver, the AST/ALTs.

ANDREA M. SAATMAN  
Official Court Reporter

36

Dr. Becker -- Direct  
Page 30

1 Q. All right.

2 A. Those are liver enzymes. Those are all enzymes that  
3 when you work in that area, you have to look it.

4 Q. Now, preoperatively, there was a workup and there had  
5 been workups done of Mr. Johnson. Preoperatively, before  
6 the surgery on December 13, had he ever exhibited any  
7 elevation in his bilirubin?

8 A. No. His bilirubin was actually taken right after  
9 surgery. It was taken on the 14th and it was .5 which is  
10 well within the normal range. So one day post-op, his  
11 bilirubin was not elevated. Four days later, it's four  
12 times the amount. It's elevated.

13 Q. Now, it's gone up four times. Explain to us why it is  
14 that the bilirubin level is normal one day postoperatively  
15 and as four days go by, that bilirubin level goes up.  
16 Explain to us why that happens.

17 A. Elevated bilirubins postsurgery, particularly this type  
18 of surgery, are due either to obstructions, which is either  
19 an injury to the duct like a transection or like a clip  
20 placed across it or a retained stone in the system. Those  
21 are obstructive processes. Bile leaks, however, the  
22 bilirubin goes up but much slower and doesn't go up to the  
23 extent that you would see with an obstruction. So

ANDREA M. SAATMAN  
Official Court Reporter

37

Dr. Becker -- Direct

1 basically, the bile is going into the abdomen and it's being  
2 reabsorbed and that's not a normal process, so your  
3 bilirubin in your blood and your urine will be higher.

4 Q. Okay. And the other sign or symptom that you mentioned

02222011Johnson excerpt -- Test. of Dr. Becker  
5 that you would be looking for that may be indicating an  
6 injury to the bile duct or the bile duct system would be an  
7 elevated white blood count. Explain to us what the  
8 significance is of that finding.

9 A. Elevated white blood count is an indication of  
10 inflammation and infection, so it means that there is an  
11 infectious-type process going on which if you had no  
12 complications, you would not expect in a postoperative  
13 gallbladder.

14 And if the white count is up, you have to  
15 investigate where it's coming from. And since you have been  
16 in the abdomen and I think you know that one of the  
17 possibilities is a problem with the bile duct system, that's  
18 one of the areas you have to investigate. So white count  
19 alone doesn't tell you it's a bile duct leak. It just tells  
20 you you have an infection or inflammatory process going on.

21 Q. All right. But in a case like this where we're talking  
22 about on the 17th, which is four days postoperatively --  
23 first of all, four days postoperatively for this procedure,

ANDREA M. SAATMAN  
Official Court Reporter

38

Dr. Becker -- Direct

1 what would be your expectation as to what his condition  
2 would be if there had not been an injury to the common bile  
3 duct?

4 A. He should be home.

5 Q. Okay. So four days postoperatively, he's still in the  
6 hospital. He's got abdominal pain, poor appetite, abnormal  
7 liver studies, elevated white blood count. All those things  
8 are present on the 17th of December. What would the  
9 standard of care require of a reasonably competent general

10 surgeon in that circumstance?

11 A. You have to run several tests. First, a test to see if  
12 you have a bile leak. It could be something called a HIDA  
13 scan. That's a study where a contrast is injected. It's a  
14 radioactive contrast. And it goes through the liver,  
15 through the duct system, and it's supposed to go into the  
16 small intestine. If it goes outside the duct system, it's a  
17 leak. So that checks for leak.

18 The second thing is a CT scan. CT scan tells  
19 you do you have fluid in the abdomen that's abnormal called  
20 ascites? Are the ducts in the liver dilated? A sign of  
21 obstruction. Those are the two basic tests initially you  
22 need to do.

23 Q. And do you have an opinion within a reasonable degree

ANDREA M. SAATMAN  
Official Court Reporter

39

Dr. Becker -- Direct

1 of medical probability on what day the standard of care  
2 requires those tests to be done on Mr. Johnson?

3 A. They should have been ordered by the 17th.

4 Q. If those tests had been ordered on the 17th of December  
5 for Mr. Johnson, do you have any opinion as to what they  
6 would have showed?

7 A. I do.

8 Q. Four days postoperatively?

9 A. I do.

10 Q. What's your opinion, Doctor?

11 A. They would have shown a leak from the bile duct, and  
12 they would have shown a fluid collection in the abdomen.

13 Q. If the diagnosis of the leak and the injuries to the

02222011Johnson excerpt -- Test. of Dr. Becker  
14 bile duct had been made on December 17th, do you have an  
15 opinion as to what effect that would have had on the  
16 sequence of events that occurred thereafter?  
17 A. well, the whole process of draining that bile would  
18 have happened earlier. He would not have been sick. He  
19 wouldn't have gone on for almost nine days getting worse.  
20 He wouldn't have become septic. He wouldn't have gone into  
21 shock, and the process would have been treated earlier.  
22 Q. Okay. And you answered my next question which was:  
23 The diagnosis of the leak did not occur for another nine

ANDREA M. SAATMAN  
Official Court Reporter

40

Dr. Becker -- Direct

1 days, correct?  
2 A. That's correct.  
3 Q. All right. Now, the 17th was not the only day that  
4 there were signs and symptoms which were -- well, I don't  
5 want to lead you. Let me ask it this way: Let's go to the  
6 18th. If we go to the 18th of December --  
7 A. Let me just make one correction. The HIDA scan was  
8 done, from what I have here, on the 25th, so it would be  
9 eight days.  
10 Q. Eight days. Okay. So the HIDA scan was done eight  
11 days later than it should have been?  
12 A. Yes, more or less.  
13 Q. All right. And the CT scan was done on the 24th?  
14 A. Yes.  
15 Q. Okay. And that would have been seven days later than  
16 it should have been?  
17 A. Correct.  
18 Q. All right.

19 MR. SHELSBY: The Court's indulgence for just  
20 a moment.

21 BY MR. SHELSBY:

22 Q. Let me show you a note which is from the 17th and  
23 actually, it says "abdomen STD," which I would proffer to

ANDREA M. SAATMAN  
Official Court Reporter

41

Dr. Becker -- Direct

1 you -- assume that that means soft, tender and distended.  
2 Do you have an opinion as to what would be the significance  
3 of the fact that the abdomen is showing up at that point in  
4 time to be distended?

5 A. A distension usually means some dilation of bowel,  
6 whether that's an ileus of some type, you need an x-ray to  
7 really tell you.

8 Q. Okay. Let's go to the 18th and let's talk about how he  
9 was doing on the 18th. The note on the 18th which I have,  
10 the nursing note says, "the patient is moaning in  
11 discomfort. He's asked if he's having pain. He said yes.  
12 He grimaces when they palpate the abdomen. He's confused  
13 and unable to use the pain scale."

14 what significance does that have to you about  
15 what was going on with him at that point in time?

16 A. There's some type of interabdominal process happening.

17 Q. And if Dr. Barnett was aware of what was going on with  
18 the patient, aware of the medical records on the 18th, do  
19 you have an opinion as to what he should have done?

20 A. You need an evaluation. He needed a CT scan, a HIDA  
21 scan. That's the minimum. There are other tests that you  
22 can do too. There's MRCPS that can be done instead of the

02222011Johnson excerpt -- Test. of Dr. Becker  
23 CT scan, but he needed an evaluation of what was going on in

ANDREA M. SAATMAN  
Official Court Reporter

42

Dr. Becker -- Direct

1 the abdomen and to evaluate for a possible leak or  
2 obstruction.

3 Q. Okay. Let's just look at the next note on the 18th  
4 when he's being evaluated. It says that he "has generalized  
5 discomfort. He moans even when his head or his arms are  
6 moved and his abdomen is firm."

7 what's the significance of that description  
8 of what's going on with him at that time with regard to his  
9 pain level and what's going on with his abdomen? What's the  
10 significance of that, Doctor?

11 A. He, again, has an interabdominal process going on  
12 producing pain.

13 Q. And what would the standard of care have required of a  
14 physician who was aware of those findings and those  
15 observations by medical personnel about Mr. Johnson?

16 A. He would need an evaluation, the same answer I gave  
17 before. Some type of study, CT scan, HIDA scan.

18 Q. Now, we're not going to go through each one of the  
19 days. On the 19th, the 20th and the 21st, did he continue  
20 to have similar kinds of complaints that are described here  
21 on the 17th and the 18th?

22 A. He had similar types of complaints and his blood count  
23 started going up.

ANDREA M. SAATMAN  
Official Court Reporter

43

Dr. Becker -- Direct  
Page 36

02222011Johnson excerpt -- Test. of Dr. Becker

1 Q. Okay. And that was his white blood cell count?

2 A. Correct.

3 Q. All right. And what does it tell you when, as the days  
4 are going by, the white blood cell count is going up?

5 A. It means you have an infection process that's  
6 continuing to be there.

7 Q. Okay. And does that mean the infection's getting  
8 worse?

9 A. Yes.

10 Q. Okay. Now, there was some discussion in the medical  
11 records about actually sending Mr. Johnson home on December  
12 18th. Do you have an opinion as to whether it would have  
13 been appropriate to send him home at that point in time?

14 A. Well, there were notes there. It wouldn't have been  
15 appropriate. He was having problems. He wasn't able -- he  
16 wasn't a dischargeable candidate, and they didn't discharge  
17 him. The only issue is if they didn't discharge him and  
18 they were expecting to is why didn't they evaluate him?

19 Q. Yes. I wanted to ask you that. If you're thinking  
20 about discharging somebody and you're making plans to  
21 discharge them and then you change your mind, what goes  
22 through a surgeon's mind as to why they would change their  
23 mind about discharging?

ANDREA M. SAATMAN  
Official Court Reporter

44

Dr. Becker -- Direct

1 A. Well, we change our mind because the patient isn't able  
2 to go home, but the question is why isn't he able to go  
3 home? What am I missing? You know, this is a patient, as I  
4 said, who should be home two days later. He's not home two

02222011Johnson excerpt -- Test. of Dr. Becker  
5 days later. And we've worked in the abdomen and if there's  
6 a problem, the money's in the abdomen, so that's the place  
7 I'd start the evaluation. But you got to explain why he's  
8 not ready to go home.

9 Q. Did Dr. Barnett do any re-evaluation by ordering CT  
10 scans or HIDA scans to evaluate his abdomen at that point in  
11 time?

12 A. No.

13 Q. Okay. And was that a breach in the standard of care?

14 A. Yes. It was a delay, lack of evaluation.

15 Q. I'm going to move along. Let's talk about the 22nd.  
16 There's a note that he has severe pain in his RUP, that's  
17 upper right quadrant. Now, the pain is in his abdomen and  
18 it's described and you have talked about that. Now, we're  
19 getting descriptions of pain that is now going up into the  
20 right upper quadrant. What's the significance to you of  
21 that?

22 A. Well, you've worked there. That's where you did your  
23 surgery. Your gallbladder is in the right upper quadrant,

ANDREA M. SAATMAN  
Official Court Reporter

45

Dr. Becker -- Direct

1 so that's where you did your surgery. That would lead you  
2 to believe that something happened, you know, from the  
3 surgery.

4 Q. Okay. Did there come a time on the 22nd when he  
5 started having problems breathing?

6 A. Yes. A lot of things happened on the 22nd. He was  
7 short of breath, his white count was repeated and was  
8 14,000, his abdomen was distended and tender, and there was  
9 also a note, I believe, in one of the notes that he hadn't

02222011Johnson excerpt -- Test. of Dr. Becker

10 had a bowel movement in approximately ten days. So he was  
11 not progressing, and developing more symptoms.

12 Q. Okay. What's the significance of the fact that he  
13 hadn't had a bowel movement in that time period?

14 A. Well, that's a function -- in other words, if your  
15 bowels were functioning normally, by that period of time,  
16 you should have a bowel movement. It means that it's highly  
17 suspicious of perhaps an obstruction, perhaps an ileus but  
18 that the bowels aren't functioning properly.

19 Q. Okay. There came a time when he started to vomit a  
20 dark brown emesis. Do you recall that in the medical  
21 records?

22 A. That would be on the 23rd.

23 Q. Right.

ANDREA M. SAATMAN  
Official Court Reporter

46

Dr. Becker -- Direct

1 A. Yes. A lot of things happened on the 23rd, but he  
2 vomited on the 23rd.

3 Q. And he was vomiting dark brown emesis. Do you know  
4 what the significance or do you have an opinion as to what  
5 the significance is of that?

6 A. Well, there are several. It could be an ulcer. It  
7 could be a bleed. It could be an obstruction as well.  
8 Those are the two things you'd look for, what we call coffee  
9 ground vomitous indicating that there's some issue going on  
10 inside the -- this would be the stomach or small intestine.

11 Q. Okay. Let me share with you another note on the 23rd  
12 which there was an assessment in AP. That's assessment of  
13 plan? Or is that physical? I'm sorry. What's "AP" mean?

02222011Johnson excerpt -- Test. of Dr. Becker  
14 A. I'm not sure.  
15 Q. You're not sure?  
16 A. I can't see the note.  
17 Q. Oh, I'm sorry. Let me show you the note first. I want  
18 to refer you to finding No. 3.  
19 A. Yeah, assessment of plan.  
20 Q. Okay. And what is the No. 3 listed on that?  
21 A. Abdomen distension.  
22 Q. Okay. Let me put that back up. This is No. 3 which  
23 would be abdominal distension. All right. Now, on December

ANDREA M. SAATMAN  
Official Court Reporter

47

Dr. Becker -- Direct

1 23rd, how many days had it been since the operation  
2 performed by Dr. Barnett?  
3 A. This would be about ten days.  
4 Q. All right. Now, for ten days, he's had pain?  
5 A. Yes.  
6 Q. He's had poor appetite?  
7 A. Yes.  
8 Q. His abdomen is becoming distended?  
9 A. Yes.  
10 Q. He's got abnormal liver studies?  
11 A. Yes.  
12 Q. Elevated white blood count?  
13 A. Yes.  
14 Q. He's having problems breathing?  
15 A. Yes.  
16 Q. He hasn't had a bowel movement?  
17 A. Correct.  
18 Q. He's vomiting brown emesis?

02222011Johnson excerpt -- Test. of Dr. Becker

19 A. Correct.

20 Q. Right. Do you have an opinion as to whether that is a  
21 patient who seems like they are getting better?

22 A. Well, he's not getting better but also his blood  
23 pressure is going down. He has episodes where his blood

ANDREA M. SAATMAN  
Official Court Reporter

48

Dr. Becker -- Direct

1 pressure is like 97 over 60. His heart rate is over 100 at  
2 certain points in time. His oxygen saturation is low. So  
3 this is a sick patient who's getting sicker. And at this  
4 point, nobody really knows why.

5 Q. Okay. At that point in time, had anybody done a test?  
6 A HIDA scan? A CT scan? Any test to evaluate his abdomen  
7 to see if there was anything going on?

8 A. I think on the 23rd, there was a sonogram.

9 Q. That was the first one?

10 A. That was the first one, and that showed that there was  
11 some fluid in the abdomen.

12 Q. Okay. And then, they did a CT scan the next day?

13 A. I believe the 24th.

14 Q. And then, they did the ERCP on the 25th?

15 A. That's correct.

16 Q. All right. Now, on the 23rd, you talked about his  
17 condition. You mentioned that his blood pressure was  
18 starting to drop. What's the significance of the fact that  
19 his blood pressure's starting to drop?

20 A. Several things. First of all, it looks like he's  
21 becoming septic. His volume is low. His intervascular  
22 volume is low. And his situation with his saturation --

02222011Johnson excerpt -- Test. of Dr. Becker  
23 saturation is the ability to oxygenate your tissue -- is

ANDREA M. SAATMAN  
Official Court Reporter

49

Dr. Becker -- Direct

1 decreased, so you're worried about a septic type of process  
2 at that point in time.

3 Q. And you mentioned that his heart rate was going up.  
4 It's a condition called tachycardia?

5 A. Yes. He had periods throughout this whole event with  
6 tachycardias, but his heart rate at certain points was over  
7 100 which means the heart has to pump harder to get more  
8 volume out or fluid out or oxygen to the tissues. So that's  
9 the significance of that.

10 Q. All right. Prior to him having the ERCP -- or the ERCP  
11 and the HIDA scan, are they the same thing? Do they check  
12 the -- no?

13 A. No. The HIDA scan is a radioactive dye. They inject  
14 it. It's concentrated in the liver and as it's excreted,  
15 actually, dots are counted to form a picture, and it goes  
16 from the liver to the duct system and it's supposed to go  
17 through the duct system into the intestines. What happens  
18 is if it doesn't go into the intestine and it goes outside,  
19 they can see a leak. It only tells us if there's a leak or  
20 that the duct system's intact. That's the main thing it  
21 shows.

22 Q. Okay. Now, on the 25th, the HIDA scan was done by a  
23 gastroenterologist, his name is Dr. Delbay[phonetic]?

ANDREA M. SAATMAN  
Official Court Reporter

50

Dr. Becker -- Direct  
Page 42

- 1 A. ERCP.
- 2 Q. I mean the ERCP. I'm sorry. And prior to the time  
3 that he did the ERCP, he wrote a note with regard to what  
4 his diagnosis was on the 25th. Let me show you the note  
5 first.
- 6 A. Yes.
- 7 Q. Okay. Let me put that up. Before we even did the  
8 test, the ERCP, what was his diagnosis?
- 9 A. Well, he knew from the HIDA scan there's bile leak.  
10 And then, he basically says we're dealing with sepsis and he  
11 wants to wait until he gets cleared to do the ERCP. He  
12 needs a medical clearance to do the ERCP.
- 13 Q. Okay. The ERCP, it's an operative procedure, correct?
- 14 A. It involves anesthesia.
- 15 Q. Right. Now, when anesthesia does a procedure on a  
16 patient, do they evaluate them to see what their condition  
17 is?
- 18 A. Yes.
- 19 Q. All right.
- 20 A. They use a scale which is an ASA scale which goes from  
21 1 to 5 and with the significance if it's an emergency room,  
22 they use an "E."
- 23 Q. Okay. If somebody is an ASA-5, tell us what that means

ANDREA M. SAATMAN  
Official Court Reporter

51

Dr. Becker -- Direct

- 1 about their medical condition?
- 2 A. Pretty much the category says that they are moribund,  
3 and they are probably not expected to live within the next  
4 24 hours.

5 Q. And what was Mr. Johnson's ASA rating on December 25th,  
6 2006?

7 A. He was rated as an ASA-5-E which means, you know, 5  
8 being the lowest category, the most, you know, potential  
9 life-threatening, and "E" means emergency, so this was done  
10 as an emergency.

11 Q. All right. Now, they did an ASA rating of him prior to  
12 the time they took his gallbladder out, did they not,  
13 Doctor?

14 A. They did.

15 Q. And what was his ASA rating prior to the time they took  
16 out his gallbladder?

17 A. He was an ASA-3 which means he had severe medical  
18 problems, but he was stable enough to undergo the surgery.

19 Q. Okay. And do you have an opinion within a reasonable  
20 degree of medical probability what caused Mr. Johnson, who  
21 was an ASA-3 on December 13th, to become an ASA-5 on  
22 December 25?

23 MR. FERRI: Objection, Your Honor. May we

ANDREA M. SAATMAN  
Official Court Reporter

52

Dr. Becker -- Direct

1 approach?

2 (The following sidebar discussion commences:)

3 MR. FERRI: When I took this physician  
4 Becker's deposition, this was not an opinion that was  
5 rendered. In fact, many of the opinions that he's rendered,  
6 I've let a lot of them go, but we're just going on and on,  
7 and I'm going to object at this point. I don't believe that  
8 Mr. Shelsby can show the Court in any way or in my  
9 deposition or any expert deposition that this was an opinion

10 that was disclosed.

11 MR. SHELSBY: You could go back and look at  
12 the deposition, but it's my last question on -- it's  
13 evidence of his deterioration of his condition. He's  
14 commented on it. I can rephrase it.

15 THE COURT: He's never rendered an opinion  
16 before?

17 MR. SHELSBY: I'll rephrase it. I'll just  
18 rephrase it.

19 THE COURT: How are you going to rephrase it  
20 to make it okay?

21 MR. SHELSBY: How about this: Do you have an  
22 opinion as to what caused his condition to change between  
23 December 13th and December 25th?

ANDREA M. SAATMAN  
Official Court Reporter

53

Dr. Becker -- Direct

1 MR. FERRI: I don't think he's given that  
2 opinion.

3 THE COURT: I mean, I don't know. I haven't  
4 read the deposition.

5 MR. SHELSBY: I can go back and look. I  
6 mean...

7 MR. FERRI: Well, if you've already got it  
8 in.

9 MR. SHELSBY: I'm asking the question  
10 because, clearly, it's just tying up that there was a  
11 deterioration in his condition.

12 THE COURT: That's fine, but if he's never  
13 been asked about what caused it...

02222011Johnson excerpt -- Test. of Dr. Becker  
14 MR. SHELSBY: Oh, he gave that opinion. I  
15 mean, I have that designation that the delay in the  
16 diagnosis, that caused his condition to deteriorate and he  
17 developed sepsis.

18 MR. FERRI: If he asks it in that way, I'm  
19 okay.

20 THE COURT: Okay.

21 (The sidebar discussion concludes.)

22 BY MR. SHELSBY:

23 Q. Doctor, do you have an opinion as to the cause of the

ANDREA M. SAATMAN  
Official Court Reporter

54

Dr. Becker -- Direct

1 change in Mr. Johnson's condition between December 13th and  
2 December 25th?

3 A. Yes. Because of the injury in the ductal system, he  
4 had a persistent bile leak which progressively continued,  
5 and he eventually became septic and his condition  
6 deteriorated.

7 Q. Okay. On the 26th, he was placed on a ventilator?

8 A. He was.

9 Q. Okay. And what was his condition at that point in  
10 time?

11 A. At that point, he was in septic shock. His blood  
12 pressure was low. He was on medications to keep his blood  
13 pressure up called pressors and he was being ready to be  
14 transferred. He also had a drainage procedure done, I  
15 believe it was at the bedside, to drain the bile that was  
16 accumulating in his abdomen. He also had a 30,000 white  
17 count at the time.

18 Q. Okay. And the normal white blood cell count would be

19 what?

20 A. Ten or below.

21 Q. Now, he was subsequently flown to the shock trauma unit  
22 at the University of Maryland?

23 A. He was.

ANDREA M. SAATMAN  
Official Court Reporter

55

Dr. Becker -- Direct

1 Q. All right. And he was treated there; is that correct?

2 A. That's correct.

3 Q. All right. Now, subsequent to his transfer to the  
4 University of Maryland, he was treated. And if you can just  
5 sort of take us through the general way of what treatment he  
6 had thereafter?

7 A. Well, first, they had to stabilize him. He was a man  
8 in septic shock, so they had to stabilize his fluids, they  
9 had to control his blood pressure, they had to support him  
10 with a ventilator, his respirations. At some point on the  
11 29th, they did a procedure to drain the bile that was  
12 accumulating in his abdomen through his liver called a  
13 percutaneous transhepatic cholangiogram and drainage so they  
14 could drain the bile that was accumulating.

15 And he also had the stent that was put in in  
16 Kent just before he left on the 26th. They put a larger one  
17 in at some point to drain the bile. So their first goal was  
18 to contain the bile leak to what we call a controlled  
19 fistula and to support Mr. Johnson through this process,  
20 through the septic shock and to get him better.

21 Q. Okay. Did there come a time when they had to do a  
22 surgery to try to correct the injuries that occurred as a

02222011Johnson excerpt -- Test. of Dr. Becker  
23 result of Dr. Barnett's surgery?

ANDREA M. SAATMAN  
Official Court Reporter

56

Dr. Becker -- Direct

1 A. Yes. In April, they went ahead and they did a  
2 reconstruction of the bile ducts. In other words, they put  
3 the left and right hepatic duct into a tube of small  
4 intestine, and it's constructed. It's called a Roux-en-Y.  
5 Very simply, they created a tube that would go up to the  
6 liver bed, and they did a hepatico-jejunostomy, which is  
7 basically put the ducts into the small intestine so the bile  
8 would drain into the intestines like they should.

9 Q. Now, this procedure you talked about, the Roux-en-Y, is  
10 that a procedure that you have done?

11 A. I've done Roux-en-Y, sure.

12 Q. Okay. Now, the Roux-en-Y procedure that was done, does  
13 that correct all the problems with the abdomen or does it  
14 correct some of them or does it correct -- tell me to the  
15 extent it corrects any of the problems.

16 A. Well, it corrects the bile leak. In other words, it  
17 gives the bile a more normal path to go through. That  
18 usually solves the problem as far as your bile leak and your  
19 reconstruction. The rest is how sick the patient is and  
20 complications they have afterwards. It requires that they  
21 have a tube in the liver through the anastomosis of the  
22 ducts for an extended period of time. So that catheter has  
23 to be changed periodically and there are complications from

ANDREA M. SAATMAN  
Official Court Reporter

57

Dr. Becker -- Direct  
Page 48

1 that.

2                   And then, the general health of the patient  
3 determines how well he does, how well he fights his  
4 infection. After someone is in septic shock, malnourished  
5 like this patient was and is a diabetic, his healing time is  
6 extended dramatically.

7 Q. Now, you've reviewed the record. Mr. Johnson required  
8 hospitalizations, multiple hospitalizations. He was in a  
9 nursing home. He was treated at Milford. He was in and out  
10 of the hospital or the nursing home or convalescent homes  
11 from the time he was transferred to the shock trauma unit?

12 A. Yes, he was.

13 Q. All right. And do you have an opinion within a  
14 reasonable degree of medical probability if Dr. Barnett had  
15 complied with the standard of care whether that treatment  
16 would have been necessary?

17 A. I do.

18 Q. What's your opinion?

19 A. That if he didn't have the injuries he had at surgery,  
20 then he wouldn't have suffered all the consequences  
21 afterwards.

22 Q. Okay. And as a consequence of the need for that  
23 treatment, he incurred medical expenses related to that

ANDREA M. SAATMAN  
Official Court Reporter

58

Dr. Becker -- Direct

1 treatment; is that correct?

2 A. Yes, he did.

3 Q. Okay.

4                   MR. SHELSBY: Your Honor, I'd like to mark as

02222011Johnson excerpt -- Test. of Dr. Becker  
5 Plaintiff's Exhibit No. 2 the medical bill exhibit.  
6 MR. FERRI: May I see it?  
7 MR. SHELSBY: Sure.  
8 MR. FERRI: There's no objection, Your Honor.  
9 THE COURT: Without objection?  
10 MR. FERRI: Without objection, Your Honor.  
11 THE COURT: Without objection, that can be  
12 admitted.  
13 MR. FERRI: As to amount.  
14 THE COURT: I'm not exactly sure what that  
15 means.  
16 MR. FERRI: That's all it's being put in for.  
17 We're not agreeing to causation.  
18 THE COURT: Oh, right. Sure.  
19 THE CLERK: So marked as Plaintiff's Exhibit  
20 No. 2, Your Honor.  
21 THE COURT: Thank you.  
22 (Plaintiff's Exhibit No. 2 is received in  
23 evidence.)

ANDREA M. SAATMAN  
Official Court Reporter

59

Dr. Becker -- Direct  
1 MR. SHELSBY: Your Honor, may I just have a  
2 moment?  
3 THE COURT: Take your time.  
4 BY MR. SHELSBY:  
5 Q. One last inquiry. And I did forget to ask you about  
6 this before. There was a bilirubin result that was done  
7 during the hospitalization at Kent General by Dr. Barnett.  
8 Do you have an opinion as to whether after he received an  
9 abnormal bilirubin count whether he should have repeated or

10 done the test again?

11 A. Well, there were several bilirubins taken. The first  
12 one was on the 17th. You could repeat it. There's nothing  
13 wrong with repeating it, but the idea is that it's an  
14 abnormal bilirubin and you have worked in the bile system so  
15 you have to evaluate the abnormal bilirubin. Repeating it  
16 would be only one issue. If you repeat it and it's the  
17 same, you have to investigate the process, what is going on.

18 Q. Okay.

19 MR. SHELSBY: Your Honor, I'd like to mark as  
20 Plaintiff's No. 3 the diagram that was drawn by the witness  
21 and move its admission.

22 MR. FERRI: No objection, Your Honor.

23 THE COURT: Without objection, that can be

ANDREA M. SAATMAN  
Official Court Reporter

60

Dr. Becker -- Direct

1 admitted.

2 THE CLERK: The diagram is so marked as  
3 Plaintiff's Exhibit No. 3, Your Honor.

4 THE COURT: Thank you.

5 (Plaintiff's Exhibit No. 3 is received in  
6 evidence.)

7 MR. FERRI: Your Honor, I have two requests.

8 THE COURT: I'm sorry. Does that end your  
9 questioning?

10 MR. SHELSBY: Yes, Your Honor. I apologize.

11 THE COURT: Yes, sure.

12 MR. FERRI: I have two requests. One, may we  
13 have a brief break? Number two, may I look at the doctor's

02222011Johnson excerpt -- Test. of Dr. Becker  
14 chart during this period so as not to waste any time.

15 THE COURT: Sure.

16 MR. FERRI: Thank you.

17 THE COURT: We'll take a 15-minute recess.

18 (The jury exits the courtroom at 3:05 p.m.)

19 (A brief recess is taken.)

20 THE COURT: All set?

21 MR. FERRI: Yes, Your Honor. We're ready.

22 THE COURT: All right.

23 (The jury enters the courtroom at 3:20 p.m.)

ANDREA M. SAATMAN  
Official Court Reporter

61

Dr. Becker -- Cross

1 THE COURT: Mr. Ferri.

2 MR. FERRI: Thank you, Your Honor.

3 \* \* \*

4 CROSS-EXAMINATION

5 BY MR. FERRI:

6 Q. Good afternoon, Dr. Becker.

7 A. Good afternoon.

8 Q. You went to Brooklyn College undergraduate school; is  
9 that correct?

10 A. That's correct.

11 Q. And then you went to the University of Brussels?

12 A. That's correct.

13 Q. In Belgium?

14 A. That's correct.

15 Q. Had you applied for entrance into any medical schools  
16 in the United States?

17 A. Yes.

18 Q. And were you denied admission?

19 A. Yes.

20 Q. And how many schools denied you admission?

21 A. I think there were around 20.

22 Q. You said that you have been doing laparoscopic surgery

23 since sometime around 1990; is that correct?

ANDREA M. SAATMAN  
Official Court Reporter

62

Dr. Becker -- Cross

1 A. I started working with a GYN in 1989 to learn the  
2 techniques of laparoscopic surgery. I took my courses in  
3 1990 to do a gallbladder and my first gallbladder was done  
4 in 1990.

5 Q. Okay. Now, as far as being someone who testifies in  
6 medical malpractice cases like you're doing here today, how  
7 long have you been involved in doing that?

8 A. I have probably done it for about 26, 27 years.

9 Q. So you weren't too far out of medical school before you  
10 were involved in expert testimony; is that correct?

11 A. I think you mean training.

12 Q. Training.

13 A. Yes. I started -- I think my first case I saw was  
14 probably 1984 or '85. That was one case, and I probably  
15 didn't see another case for maybe months or years.

16 Q. Okay. Now, my information shows that you have reviewed  
17 cases, medical malpractice cases, for attorneys from  
18 approximately 20 given states; is that correct?

19 A. I think the number is 26.

20 Q. 26?

21 A. 26 or 27. I don't think 30 yet, but...

22 Q. Okay. And have you testified at trial in all of those

23 02222011Johnson excerpt -- Test. of Dr. Becker  
states?

ANDREA M. SAATMAN  
Official Court Reporter

63

Dr. Becker -- Cross

1 A. I don't believe I testified in trial in all of those  
2 states.  
3 Q. Most of them?  
4 A. I don't know about most of them. I think as far as  
5 deposition goes, I think those states I've had depositions  
6 in -- most of those states that have depositions. Some do  
7 not -- but I'm sure I have not been to all those states  
8 testifying in trial.  
9 Q. Okay. Based on your recollection, in what states have  
10 you testified at trial?  
11 A. I've testified, of course, in New Jersey, New York,  
12 Massachusetts, Connecticut, Pennsylvania, Georgia, Florida,  
13 Illinois, Ohio, Michigan. I did a case in Nebraska.  
14 Q. Texas or Vermont?  
15 A. I've never been to Texas. I don't remember a case in  
16 Vermont. I remember I had a case from Vermont but I don't  
17 think I ever testified in Vermont.  
18 Q. Iowa? Missouri? Rhode Island?  
19 A. Never been to Iowa. I've reviewed cases from Missouri,  
20 but I've never testified in Missouri. I gave a deposition  
21 in Missouri, a couple actually, but I've never been -- I was  
22 only to Missouri once and that was well before I was a  
23 physician.

ANDREA M. SAATMAN  
Official Court Reporter

64

Dr. Becker -- Cross  
Page 54

02222011Johnson excerpt -- Test. of Dr. Becker

1 Q. Okay. Now, you said that you charge \$6,000 for your  
2 time to come in to testify, and that's based upon what? A  
3 day's missing of work?

4 A. It's actually less than a day's missing at work. If I  
5 do an operation, I make more than \$6,000. It's based on an  
6 average charge that other experts charge for their time in  
7 my specialty.

8 Q. Okay. What's the furthest away that you've ever  
9 testified?

10 A. Probably Nebraska was the furthest place I've ever  
11 testified.

12 Q. Okay. Now, if you testified in Nebraska, what would  
13 you charge?

14 A. I was in Nebraska for one day. I came there the night  
15 before. I left the same night. \$6,000.

16 Q. Now, you have testified in approximately a hundred  
17 trials; is that right?

18 A. I think I've been in court approximately 110 times in  
19 27 years, 26 years.

20 Q. Okay. And every single time that you've testified,  
21 you've testified on behalf of the plaintiff; is that  
22 correct?

23 A. That's correct.

ANDREA M. SAATMAN  
Official Court Reporter

65

Dr. Becker -- Cross

1 Q. I wanted to ask you some questions about the records  
2 that you have reviewed. You said that you reviewed some  
3 depositions. Would you tell me what depositions you  
4 reviewed?

02222011Johnson excerpt -- Test. of Dr. Becker  
5 A. I don't have all the names in front of me, but I  
6 reviewed the Plaintiff's, I think, daughters' deposition,  
7 Dr. Barnett's deposition. I reviewed your experts'  
8 depositions. There were two other experts in this case that  
9 I reviewed as well. So I've reviewed, I'd say, about six  
10 depositions in total.

11 Q. Okay. Did you read Dr. Gryska's deposition?

12 A. Yes.

13 Q. Okay. Did you disagree with any part of Dr. Gryska's  
14 deposition?

15 MR. SHELSBY: Objection, Your Honor. May we  
16 approach?

17 THE COURT: All right.

18 (The following sidebar discussion commences:

19 MR. SHELSBY: His question is whether he  
20 disagrees with any of the portions of the deposition of one  
21 of my other experts. It's not a proper question.

22 THE COURT: Gryska is your expert?

23 MR. SHELSBY: He's my expert, yes.

ANDREA M. SAATMAN  
Official Court Reporter

66

Dr. Becker -- Cross

1 THE COURT: Is he testifying?

2 MR. SHELSBY: He may or may not.

3 THE COURT: Okay.

4 MR. SHELSBY: Typically, it's using an  
5 out-of-state deposition. It's not a proper way of utilizing  
6 the testimony.

7 THE COURT: I guess you can ask him --  
8 Go ahead.

9 MR. FERRI: well, I don't think it's

10 improper. I don't think there's any prohibition against  
11 asking him this. He said he reviewed the deposition. I  
12 presume that it's fair game, anything that he reviewed.

13 THE COURT: I mean, clearly, he could say do  
14 you agree with this, do you agree with this, do you agree  
15 with this, without identifying it as Gryska's, but that's  
16 not the point at the moment.

17 MR. FERRI: No. The point is as far as I  
18 knew, Dr. Gryska is being called and he's reviewed the  
19 deposition, and Dr. Gryska recognizes bile leak injuries as  
20 recognized complications, which this witness doesn't.

21 MR. SHELSBY: But what it does, Your Honor,  
22 is it's using another deposition to then basically insert  
23 that testimony in without the witness being called.

ANDREA M. SAATMAN  
Official Court Reporter

67

Dr. Becker -- Cross

1 THE COURT: Of course, that's the issue. He  
2 doesn't know whether he's going to be called and if he is  
3 called, and he's gone, what's he supposed to do about that?

4 MR. SHELSBY: Well, it's still -- if he's  
5 called, then he can cross-examine Dr. Gryska on what his  
6 opinions are.

7 THE COURT: Yes, but he won't have had this  
8 guy. So, I mean, maybe you had better commit to whether  
9 he's going to be called or not.

10 MR. SHELSBY: Well, if I commit to him being  
11 called, then he's not going to be cross-examined on this?

12 THE COURT: well, if you commit to his being  
13 called, then he can cross-examine him.

02222011Johnson excerpt -- Test. of Dr. Becker

14

MR. SHELSBY: Okay.

15

THE COURT: Because he's certainly entitled to set up a discrepancy between two of your witnesses, but this guy won't be available to come back if Gryska's called.

16

MR. SHELSBY: Well...

17

THE COURT: Do you want to take five minutes?

18

MR. SHELSBY: Yes. Can I just -- actually, give me two minutes.

19

THE COURT: I'll send the jury out.

20

(The sidebar discuss concludes.)

ANDREA M. SAATMAN  
Official Court Reporter

68

Dr. Becker -- Cross

1

THE COURT: We'll take a five-minute recess.

2

(The jury exits the courtroom at 3:35 p.m.)

3

MR. SHELSBY: Your Honor, just to clarify the case so we can make it clear.

4

THE COURT: Sure.

5

MR. SHELSBY: Dr. Gryska is scheduled on Thursday. I want to make sure there's not going to be any issue with regard to his testimony. He gave testimony at the time of his deposition that there's not going to be any issue raised with regard to his testimony or the extent of it or the scope of his testimony or Dr. Schoenberger who's coming in tomorrow.

6

THE COURT: I'm not sure what you're saying but, of course, if he tries to testify to something he hasn't said before...

7

MR. SHELSBY: Oh, yeah. No, no. There's no issue on that.

8

THE COURT: Then I'm not sure I understand

19 what you're saying.

20 MR. SHELSBY: Well, I don't know if there's  
21 any objection to whether his testimony is cumulative or  
22 whether that's any objection to any issue with regard to  
23 Dr. Gryska. If there's an issue with Dr. Gryska's testimony

ANDREA M. SAATMAN  
Official Court Reporter

69

Dr. Becker -- Cross

1 that would impact on whether I would call him, you know, I'd  
2 like to know that today.

3 MR. FERRI: I don't have an issue with -- as  
4 far as cumulative, no. I don't know what else I can answer  
5 to, but I'm not going to --

6 THE COURT: I don't either. Clearly, the  
7 only thing I can think of off the top of my head is if he  
8 attempts to testify to something that he hasn't opined about  
9 before, there will be an objection and it probably will be  
10 sustained.

11 MR. SHELSBY: Yes. I wanted to make sure,  
12 Your Honor, that there was no cumulative issue because I  
13 have three experts that are coming to give testimony,  
14 Dr. Schoenberger, Dr. Gryska...

15 THE COURT: Okay.

16 MR. FERRI: Now, there will be an issue  
17 with -- I'll put everything out -- with Dr. Schoenberger.  
18 He's a critical care specialist. I will challenge his right  
19 to give opinion testimony with regard to a surgeon. Now, he  
20 can give other testimony with regard to cause of death,  
21 which you've already ruled, and with regard to perhaps  
22 causation but not standard of care because he's critical

23 02222011Johnson excerpt -- Test. of Dr. Becker  
care. Dr. Barnett is a general surgeon.

ANDREA M. SAATMAN  
Official Court Reporter

70

Dr. Becker -- Cross

1 THE COURT: Right. That doesn't -- that's  
2 not part of what you're saying, is it?

3 MR. SHELSBY: No, but he's already given that  
4 opinion and they have a critical care expert who opines on  
5 the standard of care of a surgeon as well. But that should  
6 have been the subject of a motion in limine, Your Honor, if  
7 there was an objection because he was designated and he was  
8 deposed on that issue. And now, I'm hearing that there's  
9 going to be an argument tomorrow as to whether he can give  
10 testimony on the standard of care.

11 MR. FERRI: As to a surgeon. I don't think  
12 there's any problem with that.

13 THE COURT: What kind of a specialist is he?

14 MR. FERRI: Critical care pulmonary.

15 THE COURT: All right. And you have a  
16 critical care pulmonary?

17 MR. FERRI: I do.

18 THE COURT: Who's going to testify as to what  
19 the standard of care is?

20 MR. FERRI: Well, I don't know. I have to go  
21 back and look. They say he's going to testify to standard  
22 of care.

23 THE COURT: I'm talking about your guy.

ANDREA M. SAATMAN  
Official Court Reporter

71

Dr. Becker -- Cross  
Page 60

1 MR. FERRI: My guy?

2 THE COURT: Yes.

3 MR. FERRI: Yes. They say he's going to  
4 testify to standard of care.

5 THE COURT: No. You say that your guy is  
6 going to testify as to standard of care?

7 MR. FERRI: I don't think he is.

8 THE COURT: Well, okay then.

9 MR. FERRI: I mean, I don't know. I mean...

10 MR. SHELSBY: I took his deposition and he  
11 gave opinions on the standard of care, but if he's not  
12 offered on that, then that's fine. But Dr. Schoenberger  
13 who's coming tomorrow is critical care and did offer  
14 standard of care opinions. He was deposed on those  
15 opinions; he was identified specifically on those opinions;  
16 and you know, I'm hearing today for the first time there's  
17 going to be an objection to his giving testimony.

18 MR. FERRI: On the standard of care.

19 THE COURT: And that was never raised before.

20 MR. FERRI: I didn't -- I actually didn't  
21 recognize it until I was preparing the other night and saw  
22 that he had given some opinions on the standard of care of  
23 surgeons. The way it was phrased in the designation was

ANDREA M. SAATMAN  
Official Court Reporter

72

Dr. Becker -- Cross

1 "healthcare providers including pulmonary critical care  
2 people and surgeons." And when I saw "surgeons," I mean, I  
3 don't think...

4 I don't know. Maybe I have, but I don't

02222011Johnson excerpt -- Test. of Dr. Becker  
5 think I've waived any right to challenge that. I mean, I  
6 don't think it will take a very long time. I think  
7 Dr. Schoenberger will probably admit that he doesn't have  
8 the competence to testify to standard of care for a surgeon.

9 THE COURT: Well, if he admits to that, then  
10 I guess that ends it, but what if he doesn't?

11 MR. FERRI: Well, I think we could have -- I  
12 would request just a short voir dire to find out whether or  
13 not he has the qualifications to do it.

14 THE COURT: He can testify as to the standard  
15 of care in his field...

16 Go ahead, Mr. Shelsby.

17 MR. SHELSBY: Your Honor, his deposition is  
18 extensive discussions about the standard of care. He was  
19 identified specifically -- and I can show this to the  
20 Court -- as a physician who treats patients, he can evaluate  
21 an individual to determine whether their clinical problems  
22 are the result of some disease. He treats critically ill  
23 patients. He has had experience treating individuals who

ANDREA M. SAATMAN  
Official Court Reporter

73

Dr. Becker -- Cross

1 have had bile duct injuries, abdomen injures, et cetera.  
2 And so, he's qualified.

3 Under the Balen case, you don't have to be of  
4 the same medical specialty. You just have to be familiar  
5 with the treatment of patients of the same and similar  
6 condition and he clearly has that experience and background.

7 But, again, Your Honor, I go back to I'm  
8 hearing that today as the first time. And just sort of  
9 putting this in context, you know, I was precluded from

02222011Johnson excerpt -- Test. of Dr. Becker

10 putting into evidence the death certificate based on the  
11 fact that I presented that ten months ago and I made my  
12 argument and the Court's made its ruling on that.

13                   And now, after a pretrial, I'm hearing for  
14 the first time and the Court's hearing for the first time  
15 that my expert, who's coming in tomorrow, that there's going  
16 to be an objection. And, frankly, Your Honor, it should  
17 have been raised at some point in time where I could have  
18 briefed it or dealt with the issue. It's unfair at this  
19 juncture.

20                   when we go to a pretrial, the purpose is to  
21 say what issue is out there. You've ruled on  
22 Dr. Schoenberger's giving opinions. You've ruled on Dr.  
23 Abdel-Misih giving opinions. You've written opinions on all

ANDREA M. SAATMAN  
Official Court Reporter

74

Dr. Becker -- Cross

1 those. So to now say, I've got an issue, that he can't give  
2 an opinion on the standard of care, at this point in time, I  
3 would submit to the Court that first of all, I think he's  
4 qualified, number one; and number two, I would submit that's  
5 waived. It certainly would be extremely unfair at this  
6 juncture to now inject that.

7                   THE COURT: It seems pretty tough at this  
8 juncture.

9                   MR. FERRI: Yes. I mean, I realize that I  
10 would have a tough burden on voir dire to exclude him the  
11 same way that, I mean, as far as Dr. Seneff is concerned.

12                   THE COURT: who's he?

13                   MR. FERRI: My expert. I mean, if he's

02222011Johnson excerpt -- Test. of Dr. Becker  
14 testified to standard of care -- and quite honestly, I  
15 haven't looked at his deposition carefully because he's not  
16 coming till Monday yet -- or lately, let me put it that way.  
17 I mean, they are either both qualified or neither is  
18 qualified because they are both pulmonary critical care, but  
19 my preference would be to ask the Court for a brief inquiry.

20 And recognizing that the Court won't have --  
21 I mean, they have Dr. Gryska and they have Dr. Becker here  
22 and Dr. Schoenberger will be a third to testify on the  
23 standard of care. I mean, at that point, I think it may be

ANDREA M. SAATMAN  
Official Court Reporter

75

Dr. Becker -- Cross

1 cumulative. When I was saying no cumulative, I was thinking  
2 two. Now it's three, so...

3 MR. SHELSBY: But those are issues, Your  
4 Honor, that should have been raised before the pretrial. I  
5 mean, Dr. Schoenberger's coming tomorrow. I have Dr. Gryska  
6 scheduled to fly in on Thursday. All those arrangements  
7 have been made, and if my experts are going to get  
8 precluded, I should know before I get to the second day of  
9 trial, Your Honor.

10 MR. FERRI: Well, Dr. Gryska's not going to  
11 be precluded and Dr. Schoenberger is not going to be  
12 precluded on cause of death and life expectancy and many of  
13 the opinions. It's just on the narrow area of standard of  
14 care of the surgeon. So I don't think it's all that  
15 detrimental to the plaintiff, and I apologize to the Court.  
16 We did brief a lot of issues, and I mean, one can escape  
17 you.

18 THE COURT: I'm not concerned with its having  
Page 64

19 escaped before. It's the fairness to the plaintiff that I'm  
20 concerned about.

21 MR. FERRI: Well, if they are worried about  
22 standard of care, they have two, Dr. Becker and Dr. Gryska.  
23 And they may have three or they may not have three.

ANDREA M. SAATMAN  
Official Court Reporter

76

Dr. Becker -- Cross

1 THE COURT: What does he add to Becker and  
2 Gryska?

3 MR. SHELSBY: Dr. Schoenberger?

4 THE COURT: Yes, on the standard of care.

5 MR. SHELSBY: Well, he actually has some  
6 testimony that relates to the later days in terms of  
7 diagnosis. He takes it up to about the 23rd that there was  
8 a failure to diagnose. Dr. Schoenberger also has opinions  
9 with regard to his clinical course. He's not going to talk  
10 about the operation. There's no issue on that.

11 And Dr. Seneff, when I took his deposition,  
12 who is Dennis's expert, opined on the standard of care as  
13 well saying, Look, here's what's going on with this guy.  
14 You would not be expecting a common bile duct injury.

15 THE COURT: Yes. I think that that area  
16 postsurgery is a different story. I suppose whatever the  
17 circumstances, you can ask for a voir dire and we can have a  
18 relatively restricted one, but on what I'm hearing now, I  
19 think that's fair game one way or the other.

20 MR. FERRI: I understand.

21 THE COURT: Okay. Given that, are we set?

22 MR. SHELSBY: Yes, Your Honor.

02222011Johnson excerpt -- Test. of Dr. Becker  
23 MR. FERRI: I don't know. Are we calling

ANDREA M. SAATMAN  
Official Court Reporter

77

Dr. Becker -- Cross

1 Gryska or not calling Gryska?

2 MR. SHELSBY: well, I'm assuming that I'm  
3 going to let him examine him as if I am going to call him,  
4 and I can still decide not to.

5 MR. FERRI: Okay. I didn't know where I was  
6 on the question.

7 THE COURT: Okay. Fair enough.

8 (The jury enters the courtroom at 3:45 p.m.)

9 THE COURT: Mr. Ferri.

10 MR. FERRI: Thank you, Your Honor.

11 BY MR. FERRI:

12 Q. Dr. Becker, just to kind of rephrase or bring us up to  
13 current, you did read Dr. Gryska's deposition, correct?

14 A. Yes, I did.

15 Q. And you know that Dr. Gryska is one of the plaintiffs'  
16 experts, correct?

17 A. Correct.

18 Q. All right. And Dr. Gryska testified, did he not, in  
19 his deposition that it would not be negligence to cut the  
20 common bile duct?

21 MR. SHELSBY: Objection, Your Honor. That's  
22 not the proper form in which to ask that question.

23 THE COURT: well, all right. If it's not in

ANDREA M. SAATMAN  
Official Court Reporter

78

Dr. Becker -- Cross  
Page 66

1 the deposition, then...

2 MR. FERRI: Well, it is, Your Honor.

3 THE COURT: But if it's in the deposition,  
4 there's nothing wrong with that.

5 BY MR. FERRI:

6 Q. Dr. Becker, do you recognize that as being in the  
7 deposition?

8 A. Well, I don't think it's exactly that way. I mean, I  
9 can answer it because the subject was breached in the  
10 deposition.

11 MR. FERRI: Just a moment, Dr. Becker.

12 I apologize, Your Honor. I'm not coming up  
13 with Dr. Gryska.

14 I'm sorry. I have it here.

15 MR. SHELSBY: I was going to withdraw the  
16 objection.

17 BY MR. FERRI:

18 Q. Do you agree, Dr. Becker, that he testified that injury  
19 to the common bile duct is a recognized complication of  
20 gallbladder surgery?

21 A. Yes, I agree with that.

22 Q. Okay. Do you agree that he said that he did not find  
23 fault with Dr. Barnett for injuring the common bile duct?

ANDREA M. SAATMAN  
Official Court Reporter

79

Dr. Becker -- Cross

1 A. No. That part, I don't agree.

2 Q. Okay. Let me -- there's a copy of Dr. Gryska's  
3 deposition.

4 A. Sure.

5 Q. 02222011Johnson excerpt -- Test. of Dr. Becker  
Would you turn to Page 19, please?  
6 A. Sure.  
7 Q. And would you go to Line 10 on Page 19?  
8 A. Okay.  
9 Q. Doesn't he say, "I do not find fault in Dr. Barnett's  
10 injury to the common bile duct per se. We all wish we could  
11 avoid it. We have tools at our disposal to avoid it; and  
12 yet, sometimes -- and our literature is exploring this  
13 phenomenon, the surgical science literature -- understanding  
14 what we are looking at, things that aren't labeled in there,  
15 so we can sometimes believe we are seeing something, cut it  
16 anyway and find out it's the wrong structure. The act of  
17 cutting the common bile duct is not by itself negligence."  
18 Did he not say that?  
19 A. He did say that.  
20 Q. Okay. You disagree with that?  
21 A. My opinion, and I think it's pretty clear, that you  
22 have to identify your structures before you cut them. I  
23 believe in his deposition he also said that, you know, in

ANDREA M. SAATMAN  
Official Court Reporter

80

Dr. Becker -- Cross

1 not all instances cutting the bile duct -- and I think I  
2 said it -- is not in all instances is malpractice. There  
3 are times that you are near the structure, and you have to  
4 work there and you can injure it, yes; but you have to  
5 identify the structure, you have to know what you're dealing  
6 with, and you have to repair it when it's done.  
7 Q. Okay. Now, you were here when Dr. Barnett testified  
8 about how he did the surgery and his approach to the  
9 surgery; is that correct?

10 A. Yes.

11 Q. Okay. And he testified that the way he was trained was  
12 that you didn't have to identify the common bile duct, but  
13 you had to get that critical view, which he drew in there.  
14 And you saw him draw that in?

15 A. I saw him mention the term "critical view," but he  
16 mentions the triangle.

17 Q. This is the critical view --

18 A. No.

19 Q. -- that he mentioned?

20 A. He mentioned it, but I don't know what that means. I  
21 know what the triangle of Calot means. I know the  
22 structures that have to be identified in that triangle. I  
23 don't know what critical view means. And my testimony is

ANDREA M. SAATMAN  
Official Court Reporter

81

Dr. Becker -- Cross

1 you have to identify your duct structures or you'll injure  
2 one of them and probably the wrong one.

3 Q. Okay. So do you disagree with what Dr. Barnett said  
4 about how he approaches and identifies the structures?

5 A. Well, he doesn't identify the structures. He says, "I  
6 see a critical view, but I don't have to identify the common  
7 bile duct," so it's not identified. And in this case, it  
8 was severely injured not once but as many as three times.

9                   So my testimony is Calot's triangle is cystic  
10 duct, common duct and either you want to take the original  
11 which is the liver or you want to take the hepatic artery is  
12 the more common triangle. Those structures have to be  
13 identified, you have to know where they are, and you have to

02222011Johnson excerpt -- Test. of Dr. Becker  
14 know where the cystic duct enters the common bile duct or  
15 whichever duct it enters. And that's what you have to do,  
16 and that's your triangle, and that's what you have to watch  
17 for.

18 Q. And that's your opinion?

19 A. That is.

20 Q. Correct?

21 A. Correct.

22 Q. And that's not Dr. Barnett's opinion, correct?

23 A. He said what he said.

ANDREA M. SAATMAN  
Official Court Reporter

82

Dr. Becker -- Cross

1 Q. Right. Okay. So if you're taking issue with Dr.  
2 Barnett, you are also saying, am I correct, that if that's  
3 the way he was trained, he was trained improperly?

4 A. The answer is yes.

5 Q. So his mentors who trained him are doing it wrong as  
6 well?

7 A. I don't know what they are doing.

8 Q. Assuming they are doing it -- I want you to just  
9 assume. I just want you to assume they are doing it the way  
10 Dr. Barnett said that he was trained. They are doing it  
11 incorrectly?

12 A. They are doing it incorrectly, and then, the bile duct  
13 injures that are occurring are related to their teaching.

14 Q. Okay. And if we assume that Dr. Barnett did 2,500  
15 gallbladder surgeries the way he was taught and this is the  
16 only injury to the common bile duct that he's ever had, you  
17 still think it's below the standard to use that approach?

18 A. Without identifying the structures, yes.

02222011Johnson excerpt -- Test. of Dr. Becker

19 Q. Okay. Now, I took your deposition in this case, did I  
20 not?

21 A. Yes, you did.

22 Q. All right. Doctor, you have a file with you. There  
23 are some records, correct?

ANDREA M. SAATMAN  
Official Court Reporter

83

Dr. Becker -- Cross

1 A. That's right.

2 Q. Or part of a file?

3 A. I don't have all the records in front of me, no. I  
4 just have some selected ones, yes.

5 Q. And why did you select those particular records?

6 A. Because, particularly, I thought we were going to be  
7 discussing part of them, so I thought they were to be most  
8 appropriate, but we have all the records here, so we can  
9 discuss any records you like.

10 Q. Right. Do you remember when I took your deposition --  
11 and just let me get the date. I took your deposition back  
12 November the 13th of 2009. Does that sound about right to  
13 you?

14 A. Yes, more or less.

15 Q. All right. Now, when I took your deposition, did you  
16 at any time offer that opinion that there were three cuts  
17 made?

18 A. I was not asked. I was asked about the injury and,  
19 again, I don't have my deposition to my memory, but I don't  
20 know if you asked about how many cuts were made. I think  
21 you asked about the injury.

22 Q. Okay. Let me hand you your deposition?

23 A. 02222011Johnson excerpt -- Test. of Dr. Becker  
Sure.

ANDREA M. SAATMAN  
Official Court Reporter

84

Dr. Becker -- Cross

1 Q. And I would ask you to turn to page -- I apologize,  
2 Doctor. Let me come back to that, if I can. I may not be  
3 able to.

4 A. Sure.

5 Q. Okay. As part of the records that you reviewed, did  
6 you review the hospitalization of Mr. Johnson in October  
7 from October 13 to November the 16 and then from November  
8 the 16 when he was transferred to the Milford rehabilitation  
9 center until December the 6th when he was released?

10 A. Are we talking about when he had his amputation and he  
11 went to the rehab facility? I had some partial records.  
12 That wasn't my main area of review, but I had seen some  
13 records to that.

14 Q. Did you see the entire record from those two  
15 admissions?

16 A. No. I'm sure I didn't see the entire record.

17 Q. So you've never seen them?

18 A. Correct.

19 Q. Okay. Do you have any records there in front of you  
20 that come from either of those admissions?

21 A. No.

22 Q. Okay. Can you tell us why he was in the hospital in  
23 October?

ANDREA M. SAATMAN  
Official Court Reporter

85

Dr. Becker -- Cross  
Page 72

- 1 A. I believe -- wasn't that the amputation? I don't have  
2 that in front of me. I know there was an amputation several  
3 weeks before, but I don't have those records.
- 4 Q. Can you tell me about any of the problems that he was  
5 having during that over-a-month-and-a-half time he was in  
6 both of these institutions?
- 7 A. Well, you have to be more specific with what problems  
8 you're talking about. Are you talking about related to this  
9 issue?
- 10 Q. No. I'm talking about any problems. Are you aware of  
11 any medical problems that he was having during that month  
12 and a half?
- 13 A. Specifically? No. I know he had multiple medical  
14 problems with his diabetes and his cardiac situation and his  
15 vascular situation, but no, I didn't do extensive reviews.
- 16 Q. And, of course, nothing even before that, right?
- 17 A. That's correct. My opinion doesn't relate to that.
- 18 Q. Do you work full- or part-time now?
- 19 A. I work full-time.
- 20 Q. Full-time. And you're in solo practice?
- 21 A. I am.
- 22 Q. How many days a week do you do surgery?
- 23 A. Depends on the week. It depends. I try to do

ANDREA M. SAATMAN  
Official Court Reporter

86

Dr. Becker -- Cross

- 1 surgeries on Wednesdays and Fridays. That's not always the  
2 case, especially nowadays with the scheduling the way it is.  
3 Those are the days I try to do surgery, but if I have an  
4 emergency, I do it whenever I can.

- 5 Q. Okay. The laparoscopic gallbladder surgeries that you  
6 do, 99.9 percent of them are done laparoscopically, correct?  
7 A. Yes.  
8 Q. Okay. And you've only had one open case in the past  
9 five years; is that correct?  
10 A. I'm not sure. With the past five years, one or two. I  
11 had one last year that I opened. Prior to that, it's been a  
12 number of years. If you say five, it's very possible.  
13 Q. Okay. Do you do a cholangiogram routinely with your  
14 gallbladder surgeries?  
15 A. Pretty much routinely, which is the way I did it when I  
16 did them open. It's not all the cases, and now with MRCPs,  
17 I'm a little more selective, but I do do what you would call  
18 more routine cholangiography.  
19 Q. Okay. That's not standard of care, is it, Doctor?  
20 A. It's not below standard of care. It's looking for  
21 retained common duct stones, and I do find them which means  
22 I know there's a stone in the common bile duct. But is it  
23 required by everyone to do that? No. No.

ANDREA M. SAATMAN  
Official Court Reporter

87

Dr. Becker -- Cross

- 1 Q. But you do do it more than others, correct?  
2 A. Yes. I'm concerned about stones that may be retained  
3 in the common bile duct and that's why I do it because many  
4 times I can remove them laparoscopically and they don't have  
5 to go for an ERCP, but that's my practice.  
6 Q. Okay. But would you agree that the majority of  
7 surgeons do not do cholangiograms as routinely as you do?  
8 A. I would probably agree with that. And I'm not saying  
9 it's standard of care for everyone to do. I feel

10 comfortable in doing it.

11 Q. Okay. Now, over the course of your practice, you've  
12 injured a bile duct on three different occasions, am I  
13 correct?

14 A. Yes, I have, all recognized. One was the gallbladder  
15 was adherent to the common bile duct and I had to get it  
16 off, and I perforated and repaired it. The other two were a  
17 specific type of syndrome called Mirizzi. That's when the  
18 stone actually eliminates -- the cystic duct is no longer  
19 there and it goes directly into the common bile duct. And  
20 these were earlier on. We didn't have the tools that we  
21 have now nor the stapling devices and I had to actually cut  
22 the common duct to get a tube in to repair it.

23 Q. Okay. Would you agree with this statement, Doctor:

ANDREA M. SAATMAN  
Official Court Reporter

88

Dr. Becker -- Cross

1 That only one-third of common bile duct injuries are detected  
2 interoperatively during the surgery and that two-thirds  
3 aren't detected until later on at various times?

4 MR. SHELSBY: Objection. Timblin, Your  
5 Honor.

6 THE WITNESS: The answer is I don't know  
7 where you're pulling that.

8 THE COURT: Wait, wait. There's an objection  
9 there.

10 MR. FERRI: I don't think that's Timblin,  
11 Your Honor. Just because it deals with numbers doesn't mean  
12 it's Timblin.

13 THE COURT: Yes. And Timblin, of course, is

02222011Johnson excerpt -- Test. of Dr. Becker  
14 not a preclusive decision. It's a balancing decision under  
15 403. I'll let you go on with this.

16 MR. FERRI: Okay.

17 THE WITNESS: I think the answer is I don't  
18 know where you get your statistics from.

19 BY MR. FERRI:

20 Q. That's fair enough. You're not aware.

21 A. So I'm not aware of the article you're particularly  
22 referring to.

23 Q. Okay. And it's not part of your general knowledge?

ANDREA M. SAATMAN  
Official Court Reporter

89

Dr. Becker -- Cross

1 A. No.

2 Q. That's fine. Going back to this diagram here where you  
3 testified today that in your opinion, there were three cuts,  
4 you agree that that's not something you told me at your  
5 deposition?

6 A. I don't think I was asked that at my deposition. I  
7 think we discussed where the injures were, but I don't think  
8 you asked how many times they were cut. I don't think I  
9 have been asked that. This is the first time.

10 Q. Well, you knew that that deposition was a discovery  
11 deposition and you were going to give me all your opinions?

12 A. I was going to give you all the opinions I was asked  
13 for, yes.

14 Q. Did you read in the operative report or any report from  
15 Maryland where they indicated that there was a low  
16 bifurcation --

17 A. Yes.

18 Q. -- of the two hepatic ducts?

19 A. Yes.

20 Q. And these are the two hepatic ducts here, correct?

21 A. Correct.

22 Q. So if there's a low bifurcation, does that mean the  
23 cystic duct is closer to that -- goes into the common bile

ANDREA M. SAATMAN  
Official Court Reporter

90

Dr. Becker -- Cross

1 duct closer to those two hepatic ducts?

2 A. Yes, it can.

3 Q. So if there is a problem, one cut could go and cause  
4 all this, am I correct?

5 A. I don't believe so. That's quite a distance. I don't  
6 think we have a scissor that reaches that far. It's above  
7 the bifurcation and you're going from right hepatic to  
8 transection of the left hepatic. I mean, you could probably  
9 do it in a couple of cuts, but I don't believe you can make  
10 it in one cut. It's quite a distance.

11 Q. But the testimony that you gave that it was three cuts,  
12 that's your opinion, correct?

13 A. I was asked do I believe -- yes, I believe this was  
14 three cuts.

15 Q. You never saw that in any medical record from Maryland  
16 that it was three cuts, did you?

17 A. No, no. Maryland only described where the clips were  
18 or weren't and the right duct that was draining into the  
19 abdomen.

20 Q. Generally speaking -- and I know this isn't your  
21 drawing.

22 A. Right.

02222011Johnson excerpt -- Test. of Dr. Becker  
23 Q. This is Mr. Shelsby's drawing, but the common bile

ANDREA M. SAATMAN  
Official Court Reporter

91

Dr. Becker -- Cross

1 duct, is that usually bigger than the cystic duct?  
2 A. The answer is yes.  
3 Q. And, generally, how far around or the diameter of the  
4 cystic duct, generally?  
5 A. Cystic ducts vary. They can be very small; three, four  
6 millimeters. It depends on a lot of issues. You can have  
7 the syndrome I mentioned to you which is Mirizzi where the  
8 cystic duct really no longer exists. The whole gallbladder  
9 goes right into the common bile duct. And that can be over  
10 a sonometer.  
11 Q. So that this gallbladder is scrunched up right next to  
12 the common bile duct?  
13 A. Exactly. What happens is the stone actually pushes the  
14 cystic duct so it dilates and the opening is the size of the  
15 stone. And it could be a sonometer, sometimes a little bit  
16 more. So the diameter of the cystic duct varies, but  
17 usually less than five millimeters, but it could be bigger.  
18 Q. Okay. Could it be as thin as two millimeters?  
19 A. Yes.  
20 Q. And how about the common bile duct? What's the size of  
21 that, generally, again?  
22 A. Under a sonometer. Over a sonometer, we consider it  
23 dilated, so it can be five, six, seven, even eight

ANDREA M. SAATMAN  
Official Court Reporter

92

Dr. Becker -- Cross  
Page 78

- 1 millimeters and that would be perfectly normal.
- 2 Q. And can that vary with individuals? Can, for example,  
3 the common duct be smaller than the cystic duct?
- 4 A. I've never seen that. You can have a -- usually, it's  
5 proportional. The cystic duct in almost every case is  
6 smaller than the common bile duct because there's a  
7 convergence of two ducts. But can they all be very small?  
8 Yes. And actually, in women, sometimes they are very small,  
9 but the average is not very small.
- 10 Q. All right. Now, we do know in this case that Dr.  
11 Barnett cut a duct that he didn't want to, but I guess my --  
12 well, let me -- yeah, he cut a duct he didn't want to.  
13 would you agree that you don't necessarily have to see bile  
14 if there's a cut of the common bile duct immediately before  
15 you would close from the operation?
- 16 A. Yeah. The answer is you can cut and not see bile in  
17 certain cases for a number of reasons, but that's definitely  
18 a possibility; but if you recognize the duct that's cut,  
19 whether the bile's coming out or not, you know, you have a  
20 problem.
- 21 Q. I understand. Now, you gave some testimony in this  
22 case that in your opinion, there should have been a  
23 diagnosis of a bile leak on the 17th, am I correct?

ANDREA M. SAATMAN  
Official Court Reporter

93

Dr. Becker -- Cross

- 1 A. That's correct.
- 2 Q. All right. Or at least a suspicion?
- 3 A. A suspicion and an evaluation.
- 4 Q. Okay. And then, if we're assuming that you're correct,

02222011Johnson excerpt -- Test. of Dr. Becker  
5 then there would have been an ultrasound or a CT scan?  
6 which?  
7 A. Well...  
8 Q. Either?  
9 A. A CT scan is better.  
10 Q. Right.  
11 A. It's more specific. You know the ultrasound and it is  
12 limited and not as accurate as the CT scan, so if you want  
13 to go for the money and not do a lot of tests, a CT scan and  
14 a HIDA scan would give you the information -- which they did  
15 eventually on the 24th and 25th -- would give you all the  
16 information you need to know if you had an injury.  
17 Q. Okay. Even under your theory, though, that there  
18 should have been a suspicion of a bile leak on the 17th,  
19 would you agree that it would still be standard of care to  
20 do a ultrasound, CT scan, the next day or the day after?  
21 A. The answer is you can order things as a STAT basis.  
22 You can get it quick. But I think if it was done on the  
23 18th, the morning of the 18th, it wouldn't have been a very

ANDREA M. SAATMAN  
Official Court Reporter

94

Dr. Becker -- Cross

1 big difference because he didn't decompensate till the 25th,  
2 basically, 24th and 25th. So you have a week. So you're  
3 saying if he's suspected on the 17th, order it on the 17th,  
4 and they did it first thing in the morning, you could get  
5 both tests done the same day.  
6 Q. Okay. Now, let's assume you're correct and let's  
7 assume that they did a CT scan and it showed what you  
8 testified that you think it would have shown. He still  
9 would not have been repaired -- the common bile duct or

10 whatever was injured would not be repaired for a number of  
11 weeks or months, am I correct?

12 A. I agree, but we're not doing this for the repair. The  
13 repair in most places is delayed. What you're doing it for  
14 is to drain that bile out of the abdomen so he doesn't  
15 become sicker, and that requires two procedures to do; but  
16 the repair is not going to happen immediately, especially  
17 with his medical problems. He's not going to have it done  
18 immediately.

19 Q. Right. And what they are going to try to do is drain  
20 it, make sure he gets in the best condition he can to  
21 undergo surgery like that and then do it, and it probably  
22 would have taken place about the same time it was done in  
23 this case, wouldn't you agree?

ANDREA M. SAATMAN  
Official Court Reporter

95

Dr. Becker -- Cross

1 A. I wouldn't argue that if he had problems afterwards --  
2 very often what they do is they wait until the duct dilates  
3 because if the duct dilates, it's easy to work on. These  
4 ducts are three millimeters in size. Doing that in that  
5 stenosis is very difficult and keeping it open. So if it  
6 was controlled and was stable, they could have waited  
7 several months, sure.

8 Q. Okay. Now, you said that a Roux-en-Y is the name of  
9 the operation, correct? The name of the surgery that they  
10 performed?

11 A. Right. A Roux-en-Y hepatico-jejunostomy.

12 Q. And you said that you have performed that?

13 A. Yes.

02222011Johnson excerpt -- Test. of Dr. Becker

14 Q. How long ago?

15 A. Oh, it's been many years. And it wasn't for this; it  
16 was for cancer. It's a while ago.

17 Q. would you perform one today?

18 A. I don't see why not. My training at St. Vincent's, we  
19 did liver resection. Could I do it? Sure.

20 Q. when is the last time you did any biliary  
21 reconstruction?

22 A. Oh, it's been a number of years. I did a whipple 12  
23 years ago. I think that would be -- that's even more

ANDREA M. SAATMAN  
Official Court Reporter

96

Dr. Becker -- Cross

1 complicated.

2 Q. And even though you haven't been doing them for a  
3 while, you would feel comfortable doing one now?

4 A. Sure, why not.

5 Q. we talked about some time -- a couple of times you were  
6 asked some questions about Mr. Johnson being readied for  
7 discharge and so forth.

8 A. Mm-hmm.

9 Q. Does it happen in your practice that you get pressure  
10 from insurance companies to get people out of the hospital?

11 MR. SHELSBY: Objection, Your Honor.  
12 Relevance.

13 THE COURT: Yes, I'll sustain that. You can  
14 pursue that perhaps some other way. I don't see what his  
15 practice has to do with anything.

16 MR. FERRI: Okay.

17 BY MR. FERRI:

18 Q. Do you find that -- okay. I'll pursue it otherwise.

02222011Johnson excerpt -- Test. of Dr. Becker

19 Now, you gave your reasons as to why, in your opinion at  
20 least, a suspicion of a problem should have been thought  
21 about, and these were some of the symptoms and signs that  
22 you talked about, am I correct?

23 A. And findings, yes.

ANDREA M. SAATMAN  
Official Court Reporter

97

Dr. Becker -- Cross

1 Q. Yes. Now, you have to look at the patient overall, am  
2 I correct, in order to make a diagnosis based on signs and  
3 symptoms?

4 A. I agree.

5 Q. You have to look at the whole clinical picture?

6 A. I agree.

7 Q. It's important to know what -- I'm sorry. Let me ask  
8 it this way: It's an advantage to know a person's prior  
9 medical conditions by having been his doctor previous to a  
10 surgery? Would that be fair to say?

11 A. I'm not quite sure what you mean. If you want to be  
12 more specific -- I mean, you have to know his medical  
13 history.

14 Q. Sure.

15 A. You have to know his medical problems. That, I agree  
16 with.

17 Q. Okay. Well, for example, let's talk about poor  
18 appetite. He had a poor appetite before the surgery, am I  
19 correct?

20 A. Correct.

21 Q. He had lost 92 pounds?

22 A. He had. I didn't see the number and I don't remember

23 02222011Johnson excerpt -- Test. of Dr. Becker  
the chart, but he lost weight. I know that.

ANDREA M. SAATMAN  
Official Court Reporter

98

Dr. Becker -- Cross

1 Q. He lost a lot of weight. All right. So he had a poor  
2 appetite?

3 A. Okay.

4 Q. So that doesn't necessarily tell you that the poor  
5 appetite means something that is caused by bile leak, am I  
6 correct?

7 A. That's true. It's just a symptom.

8 Q. And when we look at some of these things, for example  
9 the distended belly, if we look at each day, we see that a  
10 lot of times when he was examined, he didn't have a  
11 distended belly, correct?

12 A. That's correct.

13 Q. And it really depended on who was examining him at the  
14 time, correct?

15 A. Correct.

16 Q. And you did read all of the medical records for this  
17 hospitalization, am I correct?

18 A. I did.

19 Q. And you checked and you saw that Dr. Barnett called in  
20 a number of consultants, correct?

21 A. Correct.

22 Q. He called in infectious disease?

23 A. Yes.

ANDREA M. SAATMAN  
Official Court Reporter

99

Dr. Becker -- Cross  
Page 84

1 Q. And pulmonary, yes? And he was continued to be  
2 followed by the kidney doctors and the heart doctors and so  
3 forth, right?

4 A. That's correct.

5 Q. All right. And also his family doctor, the primary  
6 care physician, correct?

7 A. I think he saw him too. I think the others made more  
8 notes in their specialties than he did.

9 Q. Right.

10 A. Yes.

11 Q. All right. And the primary care doctor's function is  
12 to more or less coordinate the overall care of the patient,  
13 correct?

14 A. Not in this case. In this case, it's the surgeon.

15 Q. Okay. What's the function of the primary care doctor  
16 in this case?

17 A. In an outpatient, yes, to see the patient's medical  
18 problems and refer him to the appropriate -- but in the  
19 hospital, this man's been operated on. The best person to  
20 know what's going on is the surgeon, and he has to be aware.  
21 He's operating on the person.

22 Q. Sure. And if he's having difficulty or believes he's  
23 having difficulty, is it his obligation to call in

ANDREA M. SAATMAN  
Official Court Reporter

100

Dr. Becker -- Cross

1 consultants?

2 A. Correct.

3 Q. Which he did?

4 A. Correct.

02222011Johnson excerpt -- Test. of Dr. Becker

- 5 Q. Now, would you agree that -- and I'm not going to go  
6 through every single day or every single note, but there are  
7 a number of notes, almost every day, that indicate that his  
8 abdomen was nontender, soft and nondistended, am I correct?  
9 A. I don't know about every day, but there are notes that  
10 are contrary to that, too, that he was distended, tender and  
11 firm.  
12 Q. Right.  
13 A. So you have different findings at different times, but  
14 persistently, you've had abdominal pain, you've had  
15 distention that's been mentioned multiple times and  
16 tenderness has been mentioned multiple times.  
17 Q. Okay. But the only documents that were put up for you,  
18 Doctor, when you testified were the ones that showed  
19 distension and showed tenderness, am I correct?  
20 A. Correct.  
21 Q. None of the others were put up there that showed soft,  
22 nontender, nondistended?  
23 A. True.

ANDREA M. SAATMAN  
Official Court Reporter

101

Dr. Becker -- Cross

- 1 Q. Okay. His bowel sounds, for the most part, were  
2 normal, am I correct?  
3 A. The bowel sounds on several occasions were noted to be  
4 present. Normal, I don't know because if you go to the  
5 22nd, without having a bowel movement for ten days, I don't  
6 know where you consider those a normal bowel function. But  
7 he had positive bowel sounds on multiple notes, true.  
8 Q. Of course, he's not eating very much, is he?  
9 A. That doesn't affect bowel movements. I know it's a

10 misconception, but you have bowel movements even without  
11 eating.

12 Q. Okay. Fever. He doesn't have fever until the 26th, am  
13 I correct?

14 A. Right. After he was in septic shock, he never  
15 manifested a febrile episode.

16 Q. But no fever before that?

17 A. That's what I said.

18 Q. Correct?

19 A. That's what I said.

20 Q. His white blood count, we talked about that, and that  
21 fluctuated, did it not?

22 A. True, but he was on antibiotics. He had a very  
23 broad-spectrum Zylor, a very broad-spectrum antibiotic. He

ANDREA M. SAATMAN  
Official Court Reporter

102

Dr. Becker -- Cross

1 was on Zosimine[phonetic]. He was also on Vancomycin at  
2 some point in time. So he had broad-spectrum antibiotics.  
3 I would expect those to treat an infection. So the white  
4 count should go down, true.

5 Q. Okay. And the white count really didn't go real high  
6 until the 24th, am I correct?

7 A. No. On the 17th at 16,000; repeated at 15,000. That's  
8 high. By the time you get to the 24th, you're in the  
9 20,000, and the 25th, you're in the 30,000.

10 Q. Right.

11 A. Those, I think, are astronomical.

12 Q. Okay.

13 A. Those are not just high. Those are very high.

02222011Johnson excerpt -- Test. of Dr. Becker  
14 Q. But here we are on the 19th, it's 11.5, correct?  
15 A. Correct.  
16 Q. And 11.4 on the 25th?  
17 A. Correct. And 14.3 on the 22nd and 16.9 on the 17th.  
18 Q. So it's going down and then up and then down and then  
19 up, correct?  
20 A. But not normal.  
21 Q. He has a urinary tract infection, doesn't he?  
22 A. He does.  
23 Q. That's an infection, correct?

ANDREA M. SAATMAN  
Official Court Reporter

103

Dr. Becker -- Cross

1 A. Correct.  
2 Q. And he has Vancomycin-resistant enterococcus, correct?  
3 A. Correct.  
4 Q. And he had that prior to his surgeries, correct?  
5 A. Correct.  
6 Q. So he kind of always has an infection, doesn't he?  
7 A. Well, he has an underlying low-grade infection, yes.  
8 Q. His blood pressure is generally pretty good until he  
9 becomes hypotensive or a little hypotensive on the 23rd, but  
10 then it bounces back up and then goes down again, am I  
11 correct?  
12 A. Correct. Around the 23rd, his blood pressure started  
13 becoming low into the 25th when he goes into shock.  
14 Q. Okay. So, actually, his vital signs were pretty good  
15 up until that point in time around the 24th, 25th, correct?  
16 A. Well, I don't know what you mean by pretty good.  
17 Q. For him?  
18 A. Well, let's put it this way: He still had his

02222011Johnson excerpt -- Test. of Dr. Becker

19 infection. He still had is bile leak. He, at this  
20 particular point, was stable, hemodynamically stable. And  
21 on the 23rd, 24th, 25th and 26th, there were signs that his  
22 stability was going, that the infection was going to  
23 overtake his stability, and it eventually did on the 25th.

ANDREA M. SAATMAN  
Official Court Reporter

104

Dr. Becker -- Redirect

1 Q. Doctor, you would agree that it's a lot easier to see a  
2 problem retrospectively than it is prospectively, correct?

3 A. Well, I think suspecting a problem and seeing the  
4 absolute results are two different things. Retrospectively,  
5 we know what happened, but prospectively, when you do  
6 something like surgery and you see a problem with elevated  
7 white counts and liver enzymes that are abnormal, you have  
8 to start suspecting something. And even if you do a test  
9 that comes out to be normal, that's fine, but you have to  
10 look, and I think that's the issue.

11 MR. FERRI: May have a moment, Your Honor? I  
12 don't have any other questions, Your Honor. Thank you.

13 Thank you, Doctor.

14 THE WITNESS: You're welcome.

15 THE COURT: Mr. Shelsby.

16 MR. SHELSBY: Your Honor, just briefly.

17 \* \* \*

18 REDIRECT EXAMINATION

19 BY MR. SHELSBY:

20 Q. Doctor, you review cases on behalf of defendants in  
21 medical negligence cases as well?

22 A. About 15/20 percent are defense cases that I look at.

02222011Johnson excerpt -- Test. of Dr. Becker  
23 Q. And this issue about poor appetite that he had before

ANDREA M. SAATMAN  
Official Court Reporter

105

Dr. Becker -- Redirect

1 the surgery, what was one of the reasons why they were going  
2 to take his gallbladder out?

3 A. Well, because he had nausea and vomiting and couldn't  
4 eat.

5 Q. Which was poor appetite?

6 A. Yeah. Well, basically, yeah.

7 Q. Okay. Now, when somebody causes an injury as a result  
8 of a surgical procedure, a general surgeon who's done, let's  
9 say, a gallbladder removal, who's in the best position to  
10 make that diagnosis?

11 A. Well, as I said, he did the surgery. The surgeon. I  
12 mean, I don't care what other specialty you have. We, as  
13 surgeons, deal with gallbladder removal every day, so we  
14 should deal with the complications of gallbladder every day.  
15 The assumption that another doctor is better qualified is  
16 nonsense. You're a surgeon. We operate.

17 We do get complications. I'm not saying we  
18 don't get complications. But, you know, and it's been said  
19 here by many experts, one of the complications in this  
20 surgery is a leak. One of the complications is injury to  
21 the common bile duct. One of the complications in an  
22 obstruction of the duct for whatever reason. Retained  
23 stone, clip, whatever. Those are complications.

ANDREA M. SAATMAN  
Official Court Reporter

106

Dr. Becker -- Redirect  
Page 90

1                   So when the patient isn't doing well, and you  
2 want to go for where the money is, you just operated on the  
3 guy. If you do all those tests and they are normal, you  
4 look for something else. I'm not saying you don't have to  
5 look for something else.

6                   But, like, he brought up the urinary tract  
7 infection. You can have a urinary tract infection. This is  
8 more serious to me than a urinary tract infection. This is  
9 the first thing you should look at. If this comes out to be  
10 the urinary tract infection, treat it.

11 Q. Okay. Urinary tract infections, do they cause  
12 somebody's belly to become distended?

13 A. Actually, they can.

14 Q. They can?

15 A. They can, yeah, in severe enough cases. But the point  
16 is you were just in this guy's belly operating, so if you  
17 had a problem, it would be in his belly. I mean, that's  
18 what you'd be looking for.

19 Q. Now, the final question, Doctor, you were asked about  
20 this diagram that you did, and you described in the diagram  
21 that there were three places that were cut, the left hepatic  
22 duct, the right hepatic duct, and the common hepatic duct.  
23 All three of them were cut?

ANDREA M. SAATMAN  
Official Court Reporter

107

Dr. Becker -- Redirect

1 A. That's correct.

2 Q. All right. And you have given us the opinion, which  
3 you gave earlier today, that those were three separate cuts,  
4 correct?

5 A. I agree, yes.

6 Q. And you were asked whether you were asked that question  
7 at the time of your deposition. When your deposition was  
8 taken by Mr. Ferri, your deposition was about 37 pages long.  
9 Do you have a copy of it?

10 A. Actually, I do, yes.

11 Q. Okay. And is it your recollection that was a  
12 relatively -- at least in the times you've had a deposition  
13 taken -- a relatively short deposition?

14 A. It was.

15 Q. Okay. Now, where you got the information about where  
16 these three separate cuts were came from the operative  
17 report at the University of Maryland when they went in to  
18 repair that?

19 MR. FERRI: Objection, Your Honor. He's  
20 leading the witness.

21 THE COURT: Sustained.

22 BY MR. SHELSBY:

23 Q. Let me ask it this way: where did you get the

ANDREA M. SAATMAN  
Official Court Reporter

108

Dr. Becker -- Redirect

1 information to be able to reconstruct where the three  
2 transections occurred?

3 A. The operative report at the University of Maryland on  
4 the 4-9-2007 and also the cholangiogram that was done on  
5 1-5-07 all explaining where the clips were and the operative  
6 report explaining what they found at surgery, those two  
7 ducts being cut, one clip, one open and a clip across the  
8 common -- I think they call it common bile duct. The  
9 radiologist says it's common hepatic duct.

02222011Johnson excerpt -- Test. of Dr. Becker

- 10 Q. Okay. Let me show you a copy of the University of  
11 Maryland -- and do you have a copy?
- 12 A. I'm sure I have it somewhere. Bear with me a second.
- 13 Q. Do you have the operative report?
- 14 A. I do.
- 15 Q. All right. Right on the top of the University of  
16 Maryland, who did this report, this operative report dated  
17 April 9, 2009, and the preoperative diagnosis was "severed  
18 common hepatic duct," and then they go on to discuss the  
19 indications. When a surgeon dictates an operative note and  
20 gets to the section where it says "indications," what does  
21 that mean?
- 22 A. That's his reasons for operating. In other words, why  
23 he put all the reasons. His pros for going in for

ANDREA M. SAATMAN  
Official Court Reporter

109

Dr. Becker -- Redirect

- 1 operating, whatever it is.
- 2 Q. Okay. Now, can you just tell me where on that report  
3 it shows the injuries that you've described, which is the  
4 transections that are on the diagram marked as Plaintiff's  
5 Exhibit No. 3?
- 6 A. Well, in this part, it basically says, "preoperative  
7 percutaneous transhepatic cholangiogram revealed that he had  
8 a low bifurcation of the right and left duct and bile duct  
9 was injured above the bifurcation." In other words, where  
10 those two joined in the "Y," there was an injury above that.
- 11 Q. That's where the "Y" should have been?
- 12 A. Right.
- 13 Q. But it was above there. So it's above there where

02222011Johnson excerpt -- Test. of Dr. Becker  
14 you've drawn it?  
15 A. Yes, right.  
16 Q. Okay.  
17 A. So both ducts, that means they are cut individually.  
18 Q. All right. And does it describe each the right duct  
19 and the left duct? Does it describe what they found?  
20 A. Yeah. "Therefore, the right and left duct" -- well,  
21 there was a small accessory duct we talked about. "From the  
22 left hepatic duct branch." But the left duct wasn't  
23 draining, and it was dilated. "It appeared that there was a

ANDREA M. SAATMAN  
Official Court Reporter

110

Dr. Becker -- Redirect

1 clip across that duct."  
2 Q. All right. Now, when you did your diagram, you drew a  
3 little clip on the left duct?  
4 A. Correct.  
5 Q. All right. And does it talk about what they found when  
6 they looked into the right duct?  
7 A. Well, the right duct was leaking.  
8 Q. Okay. And you showed on your diagram where it was cut  
9 and where it was leaking. And the reason it was leaking is  
10 because?  
11 A. It was cut.  
12 Q. And it didn't have a clip on it?  
13 A. No clip on it.  
14 Q. Now, in addition to these two cuts --  
15 MR. FERRI: Your Honor, we've been through  
16 this before. I think it's repetitious.  
17 THE COURT: Well, it's redirect.  
18 MR. SHELSBY: Your Honor, I'm just about

19 done. This is my last question.

20 BY MR. SHELSBY:

21 Q. Does the report indicate further what they found with  
22 regard to the common bile duct?

23 A. Yes. Again, they called it the common bile duct here,

ANDREA M. SAATMAN  
Official Court Reporter

111

Dr. Becker -- Recross

1 but on top, they called it the common hepatic duct. But  
2 here, they called it "the common bile duct was severed."

3 Q. It was severed?

4 A. Cut.

5 Q. All right. And that's what you wrote right here or  
6 depicted on the diagram which is the third severed duct?

7 A. Right.

8 Q. Okay. So all three of the things that you described  
9 that are on this diagram are on that operative report?

10 A. Yes, and the cholangiogram report.

11 Q. Okay.

12 A. Well, parts anyway.

13 Q. Okay.

14 MR. SHELSBY: No further questions, Your  
15 Honor.

16 THE COURT: Mr. Ferri.

17 MR. FERRI: Thank you.

18 \* \* \*

19 RECCROSS-EXAMINATION

20 BY MR. FERRI:

21 Q. Doctor, do you still have your deposition up there?

22 A. Sure.

02222011Johnson excerpt -- Test. of Dr. Becker  
23 Q. I had asked you some questions earlier about you

ANDREA M. SAATMAN  
Official Court Reporter

112

Dr. Becker -- Recross

1 didn't -- suggesting that you didn't tell me about these  
2 cuts that were going to be your opinions. You said that I  
3 hadn't asked you, correct?

4 A. You hadn't asked me about cuts.

5 Q. Hadn't asked you? Could you turn to Page 32 of your  
6 deposition.

7 A. Sure.

8 Q. And go to Line 5.

9 A. Yes.

10 Q. See where I said, "We've discussed a number of areas  
11 where you believe that Dr. Barnett breached standard of care  
12 with regard to Mr. Johnson"?

13 A. Yes.

14 Q. "Are there any other opinions that you have that we  
15 have not discussed because I would like to hear them today?"  
16 Answer? Would you read your answer?

17 A. "I don't believe there are any other issues that we  
18 have not discussed."

19 Q. Thank you, Doctor.

20 A. You're welcome.

21 THE COURT: Mr. Shelsby.

22 MR. SHELSBY: No further questions, Your  
23 Honor.

ANDREA M. SAATMAN  
Official Court Reporter

113

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23

THE COURT: All right. Thank you. May the witness be excused?

MR. SHELSBY: Yes, Your Honor.

MR. FERRI: Yes.

THE COURT: All right. You can step down and be excused, Doctor.

(The witness steps down and is excused.)

ANDREA M. SAATMAN  
Official Court Reporter

1  
2  
3  
4

CERTIFICATE OF REPORTER

I, Andrea M. Saatman, Official Court Reporter of the Superior Court, State of Delaware, do hereby certify

5           02222011Johnson excerpt -- Test. of Dr. Becker  
6     that the foregoing is an accurate transcript of the  
7     testimony adduced and proceedings had, as reported by me, in  
8     the Superior Court of the State of Delaware in and for Kent  
9     County in the case therein stated, as the same remains of  
10    record in the office of the Prothonotary of Kent County at  
11    Dover, Delaware.

12                   This certification shall be considered null and  
13    void if this transcript is disassembled in any manner by any  
14    party without authorization of the signatory below.

15                   WITNESS my hand this \_\_\_\_\_ day of  
16    \_\_\_\_\_, A.D., 2011.

17  
18  
19  
20  
21  
22  
23

\_\_\_\_\_  
Andrea M. Saatman  
Official Court Reporter

ANDREA M. SAATMAN  
Official Court Reporter