

1

2

STATE OF CONNECTICUT

3

SUPERIOR COURT

4

----- x  
JOHN K. PARSONS and |  
5 ALANA B. PARSONS, | Judicial District  
Plaintiffs, | of Waterbury  
6 at Waterbury

6

vs.

7

| X 01 CV 0169956 (HEN)

8

RONALD J. SAXON, M.D. and |  
8 SAXON & NOVA, P.C., |  
Defendants. | January 10, 2003

9

----- x

10

11

12

DEPOSITION of ROBERT CARPENTER, M.D., M.S., F.A.C.S.

13

14

15

Taken at the request of the Plaintiffs  
before Tiffany V. Pratt, a Licensed Court Reporter and  
16 Notary Public within and for the State of Connecticut,  
pursuant to Re-Notice and the Connecticut Practice  
17 Book, at the offices of Stanger & Arnold, 29 South Main  
Street, West Hartford, Connecticut, on January 10, 2003  
18 commencing at 1:07 p.m.

19

20

21

22

23

Tiffany V. Pratt, LSR #00128  
BRANDON-SMITH REPORTING SERVICE, LLC  
24 44 Capitol Avenue  
Hartford, Connecticut 06106  
25 (860) 549-1850

Brandon-Smith Reporting Service, LLC

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

APPEARANCES:

For the Plaintiffs:

STANGER & ARNOLD, LLP  
29 South Main Street  
West Hartford, Connecticut 06107  
(860) 561-0650  
By: JONATHAN A. KOCIENDA, ESQUIRE

For the Defendants:

COONEY, SCULLY AND DOWLING  
Hartford Square North  
10 Columbus Boulevard  
Hartford, Connecticut 06106-1944  
(860) 527-1141  
By: HERBERT J. SHEPARDSON, ESQUIRE

1           S T I P U L A T I O N S

2

3           IT IS STIPULATED by the attorneys for the  
4 parties that each party reserves the right to make  
5 specific objections in open court to each and  
6 every question asked and the answers given  
7 thereto by the witness, reserving the right to  
8 move to strike out where applicable, except as to  
9 such objections as are directed to the form of the  
10 question.

7

8

9           IT IS STIPULATED and agreed between counsel  
10 for the parties that the proof of the authority of  
11 the Notary Public before whom this deposition is  
12 taken is waived.

11

12           IT IS FURTHER STIPULATED and agreed that the  
13 reading and signing of this deposition is not  
14 waived and any defects in the Notice are waived.

14

15

16

17

18

19

20

21

22

23

24

25

1 INDEX

2 WITNESS: PAGE:  
Robert Carpenter, M.D., M.S., F.A.C.S.

3 Direct Examination by Mr. Kocienda 5

4 PLAINTIFF'S EXHIBITS  
5 (for identification)

6 EXHIBIT: PAGE:  
1 Re-Notice of Deposition 5

7 2 CV of Dr. Carpenter 8

8 3 Medical Records 10

9 3-A Memorandum from Erda Koehn, R.N., M.S. 14

10 4 Deposition transcripts 10

11 5 Disclosure of Expert Witness 37

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 (Deposition commenced: 1:07 p.m.)

2

3 (Plaintiffs' Exhibit 1 marked for

4 identification; Re-Notice of Deposition.)

5

6 ROBERT CARPENTER, M.D., M.S., F.A.C.S.,

7 Deponent, of 225 Hopmeadow Street, Simsbury,

8 Connecticut 06070, being first duly sworn by the Notary

9 Public, was examined and testified on his oath as

10 follows:

11

12 DIRECT EXAMINATION

13

14 BY MR. KOCIENDA:

15 Q Good afternoon, Doctor. My name is

16 Jake Kocienda. I represent the plaintiffs in the matter

17 captioned John and Alana Parsons versus Saxon, M.D. We're

18 here for your deposition today. It's my understanding that

19 you have been disclosed as an expert witness in the case.

20 Is that your understanding as well?

21 A That's correct.

22 Q Did you receive before coming today any copies of

23 your Notice of Deposition for today?

24 A I have copies of what I have received.

25 Q We'll get into that in a little bit.

Brandon-Smith Reporting Service, LLC

1 MR. SHEPARDSON: I don't think he did.

2 A I didn't actually receive a deposition notice.

3 BY MR. KOCIENDA:

4 Q I'll show you what's been previously marked as

5 Exhibit 1 for this. If you can take a look at that. Does

6 that look like a copy of something that you've seen?

7 A Yes, I believe I have that in my records there.

8 No, actually, I do not have this.

9 MR. SHEPARDSON: He wouldn't have had that. I

10 didn't send it to him. For the record, he brought his

11 entire file here. Nothing has been removed. I don't know

12 if I saw anything on billing. If it's not there, I'll give

13 it to you. I filed an objection to the request to produce

14 on all these, but I -- there's nothing else there, so...

15 MR. KOCIENDA: Okay.

16 BY MR. KOCIENDA:

17 Q All right. Doctor, in front of you you have a

18 file of materials?

19 A Yes.

20 Q That was brought for this deposition as far as

21 what Attorney Shepardson has indicated per our request?

22 A This is pretty much all I received in terms of

23 what to review for the case, yes.

24 Q We'll go through that in a little bit. Just

25 preliminarily, have you ever been deposed before?

1 A Yes, I have.

2 Q So this might be a little familiar to you. To  
3 make a clear record, if you can audibly answer all my  
4 questions rather than nodding your head or shrug your  
5 shoulders. That would make a much better record. Also, if  
6 you have any questions regarding the meaning or clarity of  
7 my questions or what I'm saying to you, please let me know,  
8 and I'll be happy to clarify or rephrase if I have to to  
9 make sure you understand what I'm asking, and I'll do the  
10 same with you, if that's okay.

11 A Okay.

12 Q If you'd like me to repeat anything, let me know.  
13 I'll be happy to do that. In normal conversation it's  
14 typical that we interrupt each other when we realize where  
15 the question is going, and we preempt the end of the  
16 question or the sentence. In this context, if you would,  
17 and I'll have the same courtesy, could you let me finish my  
18 questions before you answer so that we have a clear record  
19 of what the question is?

20 A Okay.

21 Q If you need a break at any time, let me know.

22 I'll be happy to do that.

23 The pile of materials you have in front of you, if  
24 you would, can you explain to me what you have?

25 MR. SHEPARDSON: Go through and I.D. each.

Brandon-Smith Reporting Service, LLC

1 A Curriculum vitae, letters from --

2 BY MR. KOCIENDA:

3 Q Could you pull that out? We'll have that marked  
4 as Exhibit 2.

5 (Plaintiffs' Exhibit 2 marked for  
6 identification; CV of Dr. Carpenter.)

7 A Letters I received from the attorney and records  
8 and depositions concerning the case are pretty much listed  
9 there.

10 BY MR. KOCIENDA:

11 Q So what you're referring to as records from the  
12 case and depositions seems to be a big pile of materials  
13 that are separated by green pieces of paper --

14 A Correct.

15 Q -- and blue. They're not held in any binder or  
16 anything. The depositions are on the bottom. Are these all  
17 the depositions, Doctor?

18 A Those are all the depositions.

19 Q Without going through this big stack, are there  
20 any depositions within this --

21 A There's another deposition here.

22 Q Without going through the stack of medical  
23 records, are there any deposition transcripts folded within  
24 here?

25 A I don't believe so. I think these are all the  
Brandon-Smith Reporting Service, LLC

1 medical records.

2 MR. SHEPARDSON: Take a look, Doctor. I don't  
3 know, in case he wants you to look through. I think they're  
4 all medical records, too.

5 A They appear to be all medical records, and that's  
6 medical records. As far as I can tell they're all medical  
7 records.

8 BY MR. KOCIENDA:

9 Q Prior to coming here today, Doctor, did you review  
10 these medical records and the deposition transcripts?

11 A I did.

12 Q What you've brought today, the medical records,  
13 the transcripts and what has been marked as Exhibit 2, your  
14 CV, other than those things, is there anything else you've  
15 reviewed in preparation for today's deposition?

16 A No.

17 Q Is there anything else you've reviewed or looked  
18 at in order to formulate any opinions in which you'll be  
19 giving today?

20 A No.

21 MR. KOCIENDA: Let's have the records marked as  
22 Exhibit 3.

23 MR. SHEPARDSON: Do you want to mark the index?

24 MR. KOCIENDA: Yes, why don't we do that.

25

1 (Plaintiffs' Exhibit 3 marked for  
2 identification; Medical Records.)

3 BY MR. KOCIENDA:

4 Q The records which have been marked, on the top  
5 page which is the index, it states "Medical Records,  
6 John Parsons, dob 4/22/40," and it's titled "Table of  
7 Contents," starts off with number 51 and goes down, but they  
8 don't appear to be numbered in any particular order. Also,  
9 there are some letters written to Dr. Carpenter from the law  
10 firm of Cooney, Scully and Dowling dated September 10,  
11 September 19, September 24, October 31, 2002 -- all those  
12 were 2002 -- November 11, 2002, and also a memorandum  
13 written to Herbert Shepardson, Esquire, from Erda Koehn,  
14 R.N., M.S., Koehn is spelled K-o-e-h-n, dated Wednesday,  
15 June 19, 2002. And after that, it appears that the medical  
16 records begin.

17 As Exhibit 4 I'll have the deposition transcripts  
18 marked as a group.

19 (Plaintiffs' Exhibit 4 marked for  
20 identification; Deposition transcripts.)

21 BY MR. KOCIENDA:

22 Q These transcripts are for the deposition of  
23 John Parsons of November 14, 2002; Ronald J. Saxon, M.D.,  
24 dated February 1, 2002; looks to be a second copy of the  
25 deposition of Ronald J. Saxon, M.D., dated February 1,

Brandon-Smith Reporting Service, LLC

1 2002. Doctor, is there any difference between these two as  
2 far as you know?

3 A Not as far as I know.

4 Q Also, there is a deposition transcript of  
5 Howard Lantner, M.D., dated January 29, 2002; deposition of  
6 Ewtan Rubenstein, M.D., dated January 28, 2002; deposition  
7 of Nader Bahadory dated February 14, 2002; a deposition of  
8 Michael Twohig, M.D., dated April 15, 2002; and transcript  
9 of Steven C. Lange dated January 29, 2002.

10 Other than these transcript depositions which I  
11 just reviewed and you have brought with you, were there any  
12 other depositions you have reviewed in preparation for today  
13 or at any point?

14 A No.

15 Q Other than what you've reviewed and you brought  
16 with you today, did you do anything else to prepare for  
17 today's deposition?

18 A No.

19 Q Did you speak with anyone from the law offices of  
20 Cooney, Scully and Dowling?

21 A I spoke with Mr. Shepardson and maybe his  
22 secretary once.

23 Q As you sit here today, are you aware of any  
24 information regarding the deposition of William Lavelle,  
25 M.D., pertaining to this case?

1 A I don't think I received that deposition.

2 Q Do you know anything about that deposition that  
3 went forward?

4 A I reviewed just a synopsis of that.

5 Q Was it a written synopsis?

6 A A written synopsis, yes.

7 Q Where is that written synopsis now?

8 A I'm not sure where that is.

9 Q Did you bring that with you?

10 A No.

11 Q Are you sure? You can look through the records or  
12 items you brought with you if you need to.

13 A I don't know where that would be. That was  
14 Lavelle?

15 Q Yes, Doctor, Lavelle, L-a-v-e-l-l-e.

16 A Is that one from back in January or --

17 Q No. That was taken --

18 MR. SHEPARDSON: It was taken fairly recently. I  
19 don't know if you reviewed that or not, to be honest with  
20 you. I don't know. If you reviewed it, you have a right to  
21 claim it, and then we'll argue about it, relating to  
22 anything in there, because I don't have the whole file in  
23 here.

24 A You mentioned one, Lange. I only reviewed the  
25 ones that were here.

1 BY MR. KOCIENDA:

2 Q You said you reviewed a synopsis of what you  
3 thought was Dr. Lavelle's deposition, and you didn't bring  
4 it with you?

5 A I don't know who Dr. Lavelle is, actually.

6 Q Did you review any other synopses of depositions?

7 A No.

8 Q So you believe you at least reviewed one synopsis  
9 of a deposition?

10 A I'm not sure now, because I don't have anything.

11 MR. SHEPARDSON: I don't believe we had it long  
12 enough. Is it in there? I mean, nothing's been taken out  
13 of it.

14 BY MR. KOCIENDA:

15 Q Right. What I'm getting at, Doctor --

16 A Like I said, I thought I was thinking of Lange, I  
17 guess. I don't know who Lavelle is.

18 Q Even regardless of who may be the subject of the  
19 synopsis, did you review a written synopsis of a deposition  
20 at some point?

21 A No.

22 Q So what was the synopsis you were referring to  
23 earlier in your testimony?

24 A I'm not sure. I thought it was something I had in  
25 here.

1 MR. SHEPARDSON: Can I see the file for a second?

2 I'm not going to take it. I'm just going to look at it.

3 Let me take this over here.

4 MR. KOCIENDA: Actually, can we take a break?

5 (Off the record: 1:18 p.m. to 1:20 p.m.)

6 (Plaintiffs' Exhibit 3-A marked for

7 identification; Memorandum from Erda Koehn R.N., M.S.)

8 BY MR. KOCIENDA:

9 Q Okay. Doctor, in reviewing what's been marked as

10 Exhibit 3-A, which is a memorandum from Erda Koehn R.N.,

11 M.S. which was part of Exhibit 3, I notice that it says,

12 "I received a telephone call from Robert Carpenter, M.D.,

13 and his opinion is as follows." Did you speak with

14 Erda Koehn regarding this case at some point?

15 A Evidently I spoke to her on the phone. I don't

16 remember specifically.

17 Q The date of this memorandum is June 19, 2002. Do

18 you remember when you spoke with Miss Koehn?

19 A No, I don't.

20 Q Do you remember the substance of that conversation

21 at all?

22 A Not specifically, no.

23 Q Generally, do you remember what the conversation

24 was about?

25 MR. SHEPARDSON: Can he look at the memo?

Brandon-Smith Reporting Service, LLC

1 MR. KOCIENDA: I want to know before he looks at  
2 the memo if he has any recollection.

3 A I think they're asking me what my opinion -- basic  
4 opinion was after looking at the records.

5 BY MR. KOCIENDA:

6 Q Opinion regarding any particular aspect of, I  
7 assume, the Parsons matter?

8 A I can't remember specifically.

9 Q How about generally, do you --

10 A It was a long time ago.

11 Q Do you remember the general opinions they were  
12 talking to you about?

13 A I don't remember specifically what I said to her,  
14 no.

15 Q Generally, though, you said that they were asking  
16 you for your opinions regarding this case. Do you remember  
17 generally --

18 A I would assume, but I don't --

19 MR. SHEPARDSON: I'm not going to let you question  
20 him about a document without him reviewing it.

21 MR. KOCIENDA: I'm not --

22 MR. SHEPARDSON: You can ask him -- just hear me  
23 out. You can ask him if he remembers the substance of a  
24 conversation that took place, which I think is what you're  
25 asking, and there's a memo from my office on it, but if he

1 remembers, he remembers.

2 BY MR. KOCIENDA:

3 Q Right. That's exactly what I'm asking is

4 regarding your conversation, your memory.

5 A No, I don't.

6 Q And you said specifically you don't remember. Do

7 you have a general recollection of the topics you spoke with

8 Miss Koehn about?

9 A I don't, no.

10 Q Do you have any idea of who Erda Koehn was or is?

11 A No, I don't.

12 Q According to this memo, it appears that you called

13 Miss Koehn. It says, "I received a telephone call from

14 Robert Carpenter," written by Erda Koehn.

15 A If I did, I must have received a message to call

16 her that my secretary gave me, I would assume.

17 MR. SHEPARDSON: She's my paralegal, just for the

18 record.

19 MR. KOCIENDA: Erda Koehn is?

20 MR. SHEPARDSON: Erda Koehn is, yes. That's my

21 office.

22 BY MR. KOCIENDA:

23 Q You don't have a specific recollection as to why

24 you felt compelled to call her?

25 A I assume she called me and asked me to call her,

Brandon-Smith Reporting Service, LLC

1 otherwise I wouldn't have called her.

2 Q If you can take a look at what's been marked as  
3 Exhibit 3-A, and feel free to read it through.

4 MR. SHEPARDSON: Can I take a look at it?

5 (Pause.)

6 A Okay.

7 BY MR. KOCIENDA:

8 Q After reviewing the record, does that refresh your  
9 memory as to any specifics that you spoke to Miss Koehn  
10 about?

11 A It doesn't refresh my memory specific about  
12 speaking to her, but this is basically my feelings after  
13 reviewing the records concerning this case.

14 Q Thank you, Doctor. Other than Miss Koehn and  
15 Herb Shepardson, have you spoken to anyone else regarding  
16 any information, facts or opinions concerning the Parsons  
17 case?

18 A No.

19 Q Do you know who Dr. Ronald Saxon is personally?

20 A Yes.

21 Q Do you have any personal relationship or  
22 connection with Dr. Saxon?

23 A No.

24 Q Can you tell me what your relationship with him  
25 is?

1 A Well, he's a practicing otolaryngologist in the  
2 Hartford area, so I see him at meetings occasionally.

3 Q What meetings do you see him at?

4 A I see him every few months at, it's called  
5 Mortality and Morbidity Conference, when he comes up to the  
6 University.

7 Q What are those conferences for?

8 A Excuse me?

9 Q Those conferences, Mortality and Morbidity  
10 Conferences, what's the purpose of those?

11 A The purpose of those is to review any  
12 complications that occurred in the area over the last month  
13 in the hospitals that are attended by our residency program.

14 Q When you say area, do you mean geographical area?

15 A Geographical area, Hartford Hospital, St. Francis,  
16 New Britain and the University.

17 Q Of Connecticut?

18 A Of Connecticut.

19 Q Are the topics discussed related solely to  
20 otolaryngology?

21 A Yes.

22 Q Is that a state mandated or state associated  
23 meeting in any way?

24 A I believe it's mandated by the residency program  
25 in order for accreditation, the University of Connecticut.

1 Q Do you recall ever having participated in a  
2 conference where the medical case of John Parsons was  
3 discussed or was the subject of the conference?

4 A No.

5 Q Could it have been a subject of the conference at  
6 some point and you don't recall?

7 A That's possible.

8 Q Are there any meeting records, minutes, anything  
9 like that kept regarding these conference meetings?

10 A No.

11 Q Other than yourself and Dr. Saxon, who else  
12 attends these conferences?

13 A It would be pretty much every practicing  
14 otolaryngologist in this area. They're required to go to  
15 50 percent of them, so some people are there and some people  
16 are not there depending on the month.

17 Q Is there someone who's in charge or heads up the  
18 conferences, a lead person, so to speak?

19 A There's a chairman of the department.

20 Q What department is that?

21 A Otolaryngology.

22 Q And that's the department at UConn?

23 A Correct.

24 Q Who is that?

25 A Gerald Leonard.

1 Q Doctor?

2 A Doctor.

3 Q And he's affiliated with UConn?

4 A Correct.

5 Q Does he practice out of any other hospital?

6 A No.

7 Q Doctor, looking at Exhibit 2, your curriculum  
8 vitae, I notice at the end of your name you have "M.S.  
9 (OTO.)" What is M.S.?

10 A Master of Science in Surgery.

11 Q Subject being otolaryngology?

12 A Correct.

13 Q And then F.A.C.S.?

14 A Fellow of the American College of Surgeons.

15 Q Do you work solo or do you work in a practice?

16 A I'm full time at the University of Connecticut at  
17 the present time.

18 Q And what is your --

19 A Associate Professor in Otolaryngology.

20 Q Do you practice otolaryngology or are you merely  
21 academic at this point?

22 A I practice. I practiced at Hartford Hospital for  
23 23 years in private practice, and I just joined the  
24 University two months ago.

25 Q November of 2000?

Brandon-Smith Reporting Service, LLC

1 A Correct.

2 Q Two, rather.

3 I notice you're the president of the Connecticut  
4 Ear, Nose and Throat Associates? That's a clinical  
5 appointment?

6 A Not at the moment. I was president --

7 Q Has that resigned when you became --

8 A -- for a period of time of the group at Hartford  
9 Hospital.

10 Q How long were you affiliated or associated at all  
11 with the Connecticut Ear, Nose and Throat Associates?

12 A That was a group that I formed, actually, of all  
13 the individuals in private practice at Hartford Hospital,  
14 and I think that's been formed about seven years now.

15 Q Prior to that, where did you work?

16 A I was in private practice at Hartford Hospital.

17 Q Did you have an association you worked for or  
18 group?

19 A It was by myself.

20 Q Solo?

21 A Yes.

22 Q How long were you solo?

23 A Probably 15 years.

24 Q Prior to that, where did you work?

25 A Prior to that I was at the University of  
Brandon-Smith Reporting Service, LLC

1 Connecticut Health Center from 1978 to maybe '81.

2 Q What was your position or association there?

3 A Assistant professor.

4 Q You have no -- you don't practice as an ENT now?

5 A No, I do, I practice full time.

6 Q Can you describe for me since your newest position

7 at UConn what your practice entails?

8 A Just clinically seeing patients with ear, nose and

9 throat problems, diagnosing their problems, and surgery.

10 Q Do you have any academic duties?

11 A I work with the residents and medical students,

12 and they accompany me when I see patients.

13 Q Any classroom-type experience or duties?

14 A No.

15 Q You received the honor award in the

16 American Academy of Otolaryngology in 1984. What was that

17 for?

18 A That was for accumulating enough credits for

19 writing papers and giving instruction courses to qualify for

20 it.

21 Q Doctor, giving you back Exhibit 2, which is your

22 CV, there's a very extensive list of publications which it

23 appears you've authored or at least participated in their

24 authorship; am I correct in that?

25 A Correct.

Brandon-Smith Reporting Service, LLC

1 Q Could you go through that and point out to me any  
2 authored papers, articles or literature that you  
3 participated in relating to any issues in Parsons in which  
4 you intend to render an opinion on?

5 A I don't believe there are any.

6 Q You said that part of your duties in your present  
7 position is surgery?

8 A Correct.

9 Q What type of surgery do you perform?

10 A All types of ear, nose and throat surgery, sinus  
11 surgery. I work with the neurosurgeons in some  
12 neurosurgical areas that encompass sinuses.

13 Q Functional endoscopic sinus surgery, is that one  
14 of the surgeries you practice now?

15 A Yes.

16 Q How long have you practiced functional endoscopic  
17 sinus surgery?

18 A I believe it came into vogue, oh, probably 10, 12  
19 years ago, somewhere back in there, and I've been doing it  
20 probably that long.

21 Q Is that also known as FESS?

22 A Correct.

23 Q I'll refer to it as FESS in the future just so we  
24 understand each other.

25 On average, including your present position and  
Brandon-Smith Reporting Service, LLC

1 the positions you've had in the past, how often do you  
2 perform FESS?

3 A Probably once a week.

4 Q So it would be fair to say that you perform  
5 approximately 52 surgeries a year, FESS surgeries?

6 A I'd say maybe 30 or 40, taking weeks where I'm not  
7 operating.

8 Q Have there been any years where you've performed a  
9 substantial amount more than the average for one reason or  
10 another?

11 A I would say there are years where I've probably  
12 done 100 in a year.

13 Q Off the top of your head, can you tell me what  
14 years those might have been?

15 A Probably in the mid '90s. Back when that type of  
16 surgery first came into vogue, there seemed to be a higher  
17 incidence of patients using the surgery at that time, less  
18 people performing the surgery.

19 Q What sort of training or experience do you have  
20 regarding FESS surgery?

21 A I've been doing instruction courses many years ago  
22 when that type of surgery first was being used, and we also  
23 give instruction courses to our residents here and there in  
24 terms of using the surgery. Most of the experience is just  
25 generally in terms of practically doing it.

1 Q Hands-on, so to speak?

2 A Hands-on.

3 Q You said you attended some instructional courses  
4 years ago. Where were those courses?

5 A There's one I attended in Boston, one in New York,  
6 Chicago, Philadelphia. I think there was one here in  
7 Hartford.

8 Q Did you receive any certificates or graduation  
9 kind of diplomas from any of these?

10 A Nothing I would have retained.

11 MR. SHEPARDSON: Or if you did, you knew where it  
12 was, right?

13 THE WITNESS: I gave up saving all that stuff.

14 BY MR. KOCIENDA:

15 Q Doctor, what areas do you feel qualified in to  
16 testify in the Parsons matter as an expert?

17 A In the area of functional endoscopic sinus  
18 surgery, possible complications, postoperative course.

19 Q Are you aware of any of the other experts  
20 disclosed in this matter who are expected to testify?

21 A From reviewing the depositions, yes.

22 Q Fair enough. Does the name Dr. -- I've already  
23 mentioned the name Dr. William Lavelle. Does that name ring  
24 a bell?

25 A It doesn't really ring a bell, no.

1 Q How about Dr. Robert Levitz?

2 A Levitz, I'm not sure I had his deposition,

3 either. I'm not sure.

4 MR. SHEPARDSON: It hasn't been taken yet.

5 MR. KOCIENDA: It hasn't been taken yet.

6 MR. SHEPARDSON: So you don't. We showed you all

7 the others, but I'm not sure -- you've identified them.

8 BY MR. KOCIENDA:

9 Q Prior to today, have you spoken with any of the  
10 doctors that you have the deposition transcripts for --

11 A No.

12 Q -- regarding Parsons.

13 Do any of the doctors you have transcripts for, do  
14 you work with them, do you know of any of them personally?

15 A No, I don't.

16 Q How about on a professional level?

17 A Nobody I've worked with or I know really, no.

18 Q Is there anything you'd like to have read or  
19 reviewed prior to today's deposition that you didn't have a  
20 chance to?

21 A No.

22 Q Did you take any notes regarding your review of  
23 any of the medical records?

24 A No.

25 Q Are you being paid for your testimony today?

Brandon-Smith Reporting Service, LLC

1 MR. SHEPARDSON: Objection to the form of the  
2 question. Paid for his time you mean?

3 MR. KOCIENDA: I'll rephrase.

4 MR. SHEPARDSON: Fair objection.

5 MR. KOCIENDA: Fair objection.

6 BY MR. KOCIENDA:

7 Q Doctor, are you being paid for your time regarding  
8 your involvement in this case?

9 A Yes, I am.

10 Q Can you explain to me your, what we usually refer  
11 to as your fee schedule that you're charging for this  
12 matter?

13 A I believe the charge at this point, because that  
14 depends on what the University of Connecticut charges,  
15 because I work for them, is a flat fee of \$1,500 or \$400 an  
16 hour if it goes over four hours.

17 Q And that's for the deposition today you're  
18 referring to?

19 A Correct.

20 Q How about for reviewing the medical records? Did  
21 you charge anyone for your time in doing that?

22 A I believe that charge was either \$200 or \$250 an  
23 hour.

24 Q Do you remember how you first became involved in  
25 this case?

Brandon-Smith Reporting Service, LLC

1 A I received a call from the law offices asking me  
2 if I'd review a case.

3 Q Law offices of -- what law offices are you  
4 referring to?

5 A Mr. Shepardson.

6 Q What was the process of your determining whether  
7 to review this matter or not?

8 A I said I'd be happy to look at it, and they sent  
9 me the records to review.

10 Q Have you been asked to review records as an expert  
11 before this matter?

12 A Yes.

13 Q How about since your initial contact from the law  
14 offices of Cooney, Scully and Dowling?

15 A Yes.

16 Q How many times have you reviewed records in your  
17 career as an expert?

18 A In my career, I probably review records three or  
19 four times a year. I can't really give you a career number,  
20 but probably more in the last five or eight years than when  
21 I first started in this area.

22 Q Can you give me an estimate how often the defense  
23 side requests your review of records as opposed to the  
24 plaintiffs?

25 A Maybe 60 percent.

Brandon-Smith Reporting Service, LLC

1 Q Sixty percent is defense?

2 A Sixty, 70 percent seem to be defense.

3 Q Have you ever testified in trial as an expert?

4 A Yes.

5 Q How many times?

6 A I believe three times.

7 Q And how many of those times were for the defense

8 side as opposed to the Plaintiffs' side?

9 A I believe those were all for the defense.

10 Q Other than the deposition we're here for today,

11 how often have you been deposed as an expert regarding your

12 opinions in any particular case you've reviewed?

13 A I would say perhaps five or six times.

14 Q And of those depositions, how many were for the

15 defense as opposed to the plaintiff?

16 A Maybe four or five for the defense and one or two

17 for the plaintiff.

18 Q Doctor, for the three trials that you testified

19 for during trial as an expert, what were the issues that you

20 were asked to testify on? What were those cases about?

21 A One was a complication during endoscopic sinus

22 surgery. One was a complication after a cosmetic procedure.

23 Q A what type of procedure?

24 A Cosmetic procedure on the nose. And I believe the

25 other one was also endoscopic sinus surgery.

1 Q The second one regarding the endoscopic sinus  
2 surgery, was that having to do with a complication as well?

3 A Complications, correct.

4 Q Doctor, the first complication regarding FESS  
5 surgery, that issue that you mentioned in the trial, what  
6 was the complication that you testified regarding?

7 A That was an orbital complication, as I remember.

8 Q Who was the doctor that was involved?

9 A I really can't remember.

10 Q Do you remember what your opinion was in that  
11 matter?

12 A It was a question of whether the standards of care  
13 were met in the case, and my opinion was that they were.

14 Q What happened to the patient in that case? What  
15 was the reason why the lawsuit was brought, damages that  
16 were being alleged?

17 A The patient had an orbital complication where  
18 they -- one of the eye muscles was injured.

19 Q Have you ever acted as an expert or consultant for  
20 Dr. Saxon prior to the case regarding John Parsons?

21 A I don't believe so, no.

22 Q In the second case that you were involved in as an  
23 expert concerning complications of FESS, what was the  
24 complication at issue in that case?

25 A That was a spinal fluid leak.

1 Q Is that usually known as a CSF leak?

2 A Correct.

3 Q What was your opinion in that matter?

4 A My opinion in that matter was the leak was taken  
5 care of in the manner it should have been at the time it  
6 occurred.

7 Q Do you remember the disposition of the first  
8 trial?

9 A I'm not good at remembering. I never heard,  
10 actually, the disposition of any of those.

11 Q Any three, either of the three trials?

12 A The cosmetic one I heard, and that was found in  
13 favor of the defendant.

14 Q But the other two complications --

15 A I never heard any results.

16 MR. SHEPARDSON: For the record, let him ask the  
17 full question. I know it's easy to --

18 THE WITNESS: Okay. I'm sorry.

19 MR. SHEPARDSON: In case I object, too, we need  
20 the full question. I didn't mean to interrupt your  
21 questioning. It was going...

22 BY MR. KOCIENDA:

23 Q Doctor, do you have any transcripts of any of the  
24 testimony you provided deposition-wise in the past?

25 A No.

1 Q Have you ever acted as an expert or reviewed  
2 medical records concerning a complication of FESS surgery  
3 where there was a breach between the sinus and the brain  
4 cavity?

5 A Yes.

6 Q Any of the two trials that you've mentioned?

7 A One we talked about, the CSF leak there.

8 Q Any others besides that particular one?

9 A There has probably been at least two or three  
10 other cases I've reviewed concerning that, because those are  
11 probably the most common complications for that type of  
12 surgery, the orbital or cranial.

13 Q Doctor, have you ever been the subject of a  
14 medical malpractice suit?

15 A Yes.

16 Q When was that?

17 A I believe in 1988, 1989.

18 Q Was that the only one?

19 A Yes.

20 Q Have you ever been sued before in any capacity?

21 A No.

22 Q What was the disposition of that lawsuit?

23 A It was found in favor of the defendant.

24 Q Did it go to trial?

25 A Well, it didn't go to trial. I guess I would say

Brandon-Smith Reporting Service, LLC

1 it was settled.

2 Q You don't recall testifying at trial on the  
3 matter?

4 A No.

5 Q Do you know the expert that was involved in your  
6 defense in that case?

7 A No.

8 Q Do you remember being deposed in that case?

9 A I do, yes.

10 Q What was that matter about? What was the lawsuit  
11 against you concerning?

12 A Someone had ringing in their ear after ear  
13 surgery.

14 Q What did they allege you did wrong?

15 A Caused the ringing.

16 Q What was the breach of the standard of care they  
17 allege that you committed?

18 A I didn't tell them they could have had ringing in  
19 their ear prior to the surgery.

20 Q Strictly a lack of informed consent?

21 A It was lack of informed consent.

22 Q They didn't say you did anything wrong within the  
23 surgery itself?

24 A No.

25 Q Were you disclosed or were you an expert on your  
Brandon-Smith Reporting Service, LLC

1 own behalf in that matter?

2 MR. SHEPARDSON: I don't know if he testified. I

3 don't think he testified.

4 A I can't answer that.

5 BY MR. KOCIENDA:

6 Q Do you not know?

7 A I don't know.

8 MR. SHEPARDSON: He doesn't know if he was

9 disclosed as an expert.

10 MR. KOCIENDA: I just wanted to know.

11 BY MR. KOCIENDA:

12 Q Do you remember what court or jurisdiction that

13 suit was pending in?

14 A No.

15 Q Do you remember who the attorney was representing

16 you at the time?

17 A No.

18 Q Do you remember who the attorney was representing

19 the plaintiff?

20 A No.

21 Q Do you remember the name of the plaintiff?

22 A No, I don't remember that, either.

23 Q Do you feel that the outcome of that lawsuit

24 against you was fair?

25 MR. SHEPARDSON: Objection to the form of the

Brandon-Smith Reporting Service, LLC

1 question.

2 BY MR. KOCIENDA:

3 Q You can answer if you understood the question.

4 MR. SHEPARDSON: You can answer. It's a discovery  
5 deposition. I don't think it's relevant ultimately.

6 A No.

7 BY MR. KOCIENDA:

8 Q I'm sorry. You said no?

9 A No.

10 Q What do you feel was unfair about the outcome of  
11 that matter?

12 MR. SHEPARDSON: Objection to the form of the  
13 question.

14 A It was a question of informed consent, and it was  
15 sort of my word against his, and I didn't write down that as  
16 a complication on the paper. I was concentrating more on  
17 you could lose your hearing, you could have facial  
18 paralysis, all the serious things, and I didn't write down  
19 tinnitus, so it was my word against his whether I told him  
20 about it or not.

21 BY MR. KOCIENDA:

22 Q The documents that have been marked as Exhibit 3  
23 and 3-A, how did you receive those documents or come into  
24 possession of them?

25 A I believe they arrived in the mail.

Brandon-Smith Reporting Service, LLC

1 Q Do you know who sent them to you?

2 A Excuse me?

3 Q Do you know who sent them to you?

4 A I assume Mr. Shepardson's office.

5 Q Did you receive any of the medical records that  
6 have been marked as Exhibit 3 from any other source besides  
7 Herb Shepardson's office?

8 A Not that I'm aware of.

9 Q The CV that's been marked as Exhibit 2, is this  
10 the most updated curriculum vitae you have?

11 A Yes.

12 Q And I might have asked you this before. Other  
13 than this memorandum marked as Exhibit 3-A, are there any  
14 other memorandums that you received from any source --

15 A Not that I'm aware of.

16 Q -- regarding this case?

17 A No.

18 Q Have you ever spoken to Dr. Saxon since you were  
19 retained as an expert in this matter?

20 A No.

21 Q Was Dr. Saxon ever present when you discussed this  
22 matter with Herb Shepardson?

23 A No.

24 Q Concerning the process, the procedure of FESS  
25 surgery, do you consider any textbooks or articles as

Brandon-Smith Reporting Service, LLC

1 authoritative on the procedure itself?

2 A Nothing particular, no.

3 Q Doctor, have you ever seen a copy of the  
4 disclosure of yourself as an expert in this matter?

5 A I believe I have a copy of that.

6 Q Did you bring that with you today?

7 A It may be in this pile of documents.

8 Q Could you find it for me?

9 A I'm not entirely sure. I'm not sure I received  
10 one or not. They talked to me on the phone. I don't see  
11 anything specifically here.

12 MR. SHEPARDSON: Is that his file over there,  
13 too?

14 MR. KOCIENDA: Yes.

15 MR. SHEPARDSON: Those are just depositions.

16 MR. KOCIENDA: I'll have this marked as 5.

17 (Plaintiffs' Exhibit 5 marked for  
18 identification; Disclosure of Expert Witness.)

19 BY MR. KOCIENDA:

20 Q Doctor, I'm going to show you what's been marked  
21 as Exhibit 5. It's titled Disclosure of Expert Witness and  
22 has your name on it, I believe. Doctor, you've had a chance  
23 to review Exhibit 5?

24 A Yes.

25 Q Do you recall seeing that or a copy of that or  
Brandon-Smith Reporting Service, LLC

1 version of that at any point?

2 A I can't remember whether I've seen that before or

3 not.

4 Q Could you take a moment and read it through?

5 (Pause.)

6 A I might have received a copy of that at some

7 point, but I don't see it -- I'm not sure when.

8 BY MR. KOCIENDA:

9 Q Doctor, is -- I'm sorry. I don't mean to

10 interrupt you. Are you finished?

11 A Yes.

12 Q Doctor is there anything you've received from

13 Herb Shepardson's office that you no longer have possession

14 of?

15 A Not that I'm aware of.

16 Q How about anything you received from any other

17 source concerning the Parsons matter that you're no longer

18 in possession of, have you received anything like that?

19 A No.

20 MR. SHEPARDSON: Not that he's aware of. If he

21 got that and didn't keep it -- I mean, that's what his

22 answer was.

23 MR. KOCIENDA: Right, and then he wouldn't be in

24 possession of it.

25 MR. SHEPARDSON: Yes.

Brandon-Smith Reporting Service, LLC

1 BY MR. KOCIENDA:

2 Q Dr. Carpenter, according to this disclosure, it  
3 states that you are expected to testify with regard to the  
4 standard of care of Dr. Saxon. Do you have an opinion  
5 regarding -- let me finish. You're expected to testify with  
6 regard to the standard of care of Dr. Saxon, that the  
7 defendant did not deviate from the standard of care in his  
8 care and treatment of the plaintiff. Is that your opinion?

9 A Yes, it is.

10 Q What is the care of Mr. Parsons that you  
11 believe -- strike that.

12 Do you plan on offering any opinion regarding the  
13 case of John Parsons concerning causation?

14 MR. SHEPARDSON: Objection to the form of the  
15 question only insofar as causation is sort of a legal term.  
16 Maybe you could expound on that, whether it's the kind that  
17 caused the damages or something.

18 BY MR. KOCIENDA:

19 Q Dr. Carpenter, do you have an opinion today  
20 concerning the case of John Parsons as to what caused the  
21 injuries that he's suffering from?

22 A No.

23 Q Do you have an opinion as you sit here today as to  
24 the damages which John Parsons is claiming he suffered in  
25 this matter?

1 A Well, from reviewing his deposition.

2 Q You have an opinion concerning those damages?

3 A No.

4 Q Regarding the standard of care which you've been

5 disclosed as expected to testify on, do you have a

6 definition and what is your understanding of the definition

7 of standard of care?

8 A The standard of care would be the acceptable

9 standards within the community of patient care.

10 Q Is there any particular aspect of Mr. Parsons's

11 care that you plan on offering an opinion regarding?

12 A Well, in reviewing the records, it appears that

13 the -- that the standard of care was met in terms of

14 informing the patient of the surgery and the complications

15 and the postoperative care.

16 Q Section 3 indicates that your opinions are

17 expected to be based on your education, experience, training

18 and your review of the applicable medical records and

19 deposition testimony?

20 A Right.

21 Q Is there anything else you plan on basing any

22 opinions you plan on testifying to regarding John Parsons?

23 A No.

24 Q Are there any particular medical records that you

25 found dispositive in rendering your and forming your

1 opinions?

2 A You're referring to the medical records I

3 reviewed?

4 Q Yes.

5 MR. SHEPARDSON: Objection to the form of the

6 question in terms of dispositive. Relevant?

7 BY MR. KOCIENDA:

8 Q Are there any particular medical records that

9 you've reviewed regarding the care of John Parsons that you

10 found relevant in forming your opinion that the standard of

11 care in his treatment performed by Dr. Saxon was met?

12 A My review of Dr. Saxon's office notes, the

13 operative note, and I formed my opinion based on that.

14 Q Could you find those in what has been marked as

15 Exhibit 3.

16 MR. SHEPARDSON: Use the index to the extent it's

17 correct.

18 A I believe that is this. I'll pull it all apart.

19 They would start here, February 4, 1999.

20 MR. SHEPARDSON: I think he asked you to pull them

21 out.

22 BY MR. KOCIENDA:

23 Q Exactly, if you can just separate them from the

24 pile of Exhibit 3, but keep it in order so it can be

25 reconstructed.

1 Other than what you've handed to me, Doctor, are  
2 there any other records that you've found relevant in  
3 forming your opinions?

4 A The operative note.

5 Q These pages aren't numbered in any way, are they?

6 A No.

7 Q I'll give you a sticky Post-It note pad, if you  
8 can mark where you're pulling it from so it can be  
9 replaced.

10 A You're asking me to pull out all the records that  
11 I feel apply to this?

12 Q Whatever records you reviewed, Doctor, that you  
13 found relevant in forming your opinion, I'd like you to --

14 MR. SHEPARDSON: You know something?

15 A Probably the operative note and office notes.

16 MR. SHEPARDSON: Just let me -- for the -- I have  
17 no problem. I used the word relevant. I think he obviously  
18 testified he reviewed everything. You're asking him to get  
19 the key records out so you can question him on them?

20 MR. KOCIENDA: Exactly. That's exactly what I'm  
21 asking.

22 BY MR. KOCIENDA:

23 Q Doctor, as you sit here today, what is your  
24 understanding of the information that Dr. Saxon provided  
25 John Parsons in obtaining the informed consent for the FESS

Brandon-Smith Reporting Service, LLC

1 surgery?

2 A Well, he's seen two times prior to surgery, I

3 believe, February 4 and February 22.

4 Q Doctor, at any point if you need to look at the

5 records that have been marked as Exhibit 3 to answer the

6 questions, please feel free to do so. Just let me know

7 you're doing that so I can look on.

8 A He was seen on February 4 and February 25 prior to

9 his surgery. In the February 4 record he states, "Explained

10 functional endoscopic sinus surgery IN brain injury." And

11 then when he saw him on February 25, and there's an informed

12 consent in here for the procedure, which is signed by

13 patient, he again states that, "Discussed FESS surgery again

14 and explained complications." So from those office notes,

15 it appears that he discussed the possible complications of

16 the surgery twice with the patient, and plus he signed an

17 informed consent form stating he understood the

18 complications.

19 Q From those records, can you determine the

20 specifics of what was told to Mr. Parsons by Dr. Saxon

21 concerning the complications of FESS?

22 A Well, he says IN brain injury, and typically the

23 complications of endoscopic sinus surgery would be injury to

24 the orbit causing loss of vision or decrease in vision or

25 functional problem with the muscles and would be injury to

1 the roof of the ethmoid cavity, which we call a spinal fluid  
2 leak or possible infection.

3 Q Is it your opinion that Dr. Saxon actually spoke  
4 to John Parsons about those particular complications based  
5 on the record of February 4, 1999?

6 A I assume he did since he states here in the note  
7 that he explained the complications to him, and that's the  
8 standard of care, to explain it to the patient and then make  
9 a note that you spoke to them about it.

10 Q You don't know from that note, however,  
11 specifically what complications Dr. Saxon spoke about; is  
12 that correct?

13 MR. SHEPARDSON: You're asking him just about the  
14 note now, not about his deposition?

15 BY MR. KOCIENDA:

16 Q I'm asking just about the note.

17 A No, I don't. I don't know exactly specifically  
18 what he said.

19 Q Do you know as you sit here today specifically  
20 what Dr. Saxon informed John Parsons of the complications?

21 A No, I don't.

22 Q And then the other note you said you looked at was  
23 February 25?

24 A Correct.

25 Q And, again, does that note indicate anything to  
Brandon-Smith Reporting Service, LLC

1 you regarding the specific complications Dr. Saxon informed  
2 John Parsons of?

3 A No. He just -- he states that he discussed the  
4 complications with him. In the previous note, he  
5 discussed -- he says he discussed orbital and brain injury.  
6 In this one he says he again discussed complications.

7 Q You reviewed Dr. Saxon's deposition --

8 A Yes.

9 Q -- at some point prior to coming here today. When  
10 was the last time you reviewed the deposition transcript of  
11 Dr. Saxon?

12 A It was probably a week ago.

13 Q Is there anything in that deposition transcript  
14 that you are basing your opinion that the standard of care  
15 for informed consent was met by Dr. Saxon?

16 A I'm just basing it on his notes.

17 Q Doctor, what are the complications of FESS surgery  
18 which you feel are important to inform a patient of before  
19 obtaining the informed consent?

20 A That would be possible orbital injury or brain  
21 injury would be the two major complications.

22 Q Are there any minor complications that you feel a  
23 doctor should make --

24 A I --

25 Q Let me finish, Doctor. -- should make the patient

1 aware of before obtaining the informed consent?

2 MR. SHEPARDSON: Do you mean to comply with the  
3 standard of care?

4 MR. KOCIENDA: Correct, to comply with the  
5 standard of care.

6 A Those are the two main ones, I believe, to comply  
7 with the standard of care. Sometimes bleeding is mentioned,  
8 possible bleeding in the postop period.

9 BY MR. KOCIENDA:

10 Q As far as the brain injury you're referring to,  
11 what is the brain injury that's a complication of FESS?

12 A Most common would be a spinal fluid leak.

13 Q How does that happen?

14 A That happens from breaching the bone, having a  
15 crack in the bone between the sinus cavity and the brain.

16 Q Would that be the cribriform?

17 A That would be through the roof of the ethmoid  
18 sinus or the cribriform.

19 Q What is that complication? What are the possible  
20 outcomes of that complication as far as you know as you sit  
21 here today?

22 A Well, continued spinal fluid leak, though it would  
23 need to be repaired by a second procedure, and the  
24 possibility of infection, meningitis if bacteria gained  
25 access up through the opening.

1 Q Have you ever performed a FESS surgery where a  
2 complication of the breach of the roof of the ethmoid sinus  
3 occurred?

4 A Not yet.

5 Q In your entire career?

6 A No.

7 Q Do you know the percentage likelihood of the  
8 complication of brain injury -- strike that.

9 Do you know the chances or the likelihood of a  
10 breach of the roof of the ethmoid sinus occurring during  
11 FESS surgery?

12 A I'd say it would probably be less than 1 percent.

13 Q Again, Doctor, you have no intentions of  
14 testifying as to opinion as to -- strike that. Let me go  
15 back.

16 The rest of Exhibit 5, the disclosure of you as an  
17 expert, states that you also are expected to testify that  
18 the nature and extent of the Plaintiffs' alleged injuries  
19 were not caused by the negligent conduct of the defendant;  
20 is that correct?

21 A Correct.

22 Q Do you have an opinion as to what did cause the  
23 alleged injuries of John Parsons?

24 A No, I don't. I think in retrospect there was some  
25 opening made into the -- through the roof of the ethmoid

1 during the procedure, but usually in that situation you'd  
2 see a spinal fluid leak, and nothing was seen that would  
3 make you think that anything occurred at that time.

4 Q Doctor, you're referring to an operative note in  
5 forming that opinion; is that correct?

6 A Correct, because the operative note states that  
7 they encountered some bleeding during the procedure, which  
8 is fairly common, but they didn't recognize at that point  
9 that anything else had occurred.

10 Q Okay. You've pulled that out of the file. The  
11 date, I think it's March 18, 1999. Is that the operative  
12 note you're referring to?

13 A Correct.

14 Q Is that the only thing you're basing that opinion  
15 on, Doctor?

16 A Do you want to restate the question? Basing my  
17 opinion that --

18 MR. SHEPARDSON: Could you just either restate or  
19 re-ask the question? He'll ask it again.

20 MR. KOCIENDA: Could you read it back?

21 (Off the record.)

22 BY MR. KOCIENDA:

23 Q Doctor, do you have an opinion as to the bleeding  
24 which occurred in the March 18, 1999 operation which  
25 Mr. Parsons underwent at the hands of Dr. Saxon?

1 MR. SHEPARDSON: Objection to the form of the  
2 question. I don't understand it, but if he does...

3 BY MR. KOCIENDA:

4 Q Go ahead and answer the question, Doctor, if you  
5 can.

6 A I'm just looking for the note of that in the  
7 operative note.

8 First of all, it's typical to have bleeding,  
9 especially in sinus surgery, and sometimes the bleeding is  
10 so much that you lose your visualization in term of trying  
11 to do the procedure. It seems in this situation they had a  
12 small amount of bleeding from a small blood vessel, which is  
13 usually -- what he calls the anterior ethmoid artery, and  
14 the bleeding was taken care of by packing that area, and  
15 they were able to proceed with the rest of the procedure.  
16 After that, because the bleeding was controlled, in the rest  
17 of the procedure he states that care was taken in the  
18 anterior and posterior base of the skull; it was carefully  
19 preserved; the cribriform plate likewise was not entered,  
20 nor was the roof of the ethmoid. So it appears they had  
21 good visualization during the procedure, and as far as I can  
22 determine from the operative note, the standard of care was  
23 met.

24 Q Under the standard of care after this procedure,  
25 was there any requirement that a prophylactic antibiotic be

1 given to prevent any infection that may result from the  
2 bleeding that was encountered during the operation?

3 MR. SHEPARDSON: Object to the form. You can  
4 answer.

5 A It would not be a requirement for an antibiotic to  
6 be given because of bleeding, because bleeding is very  
7 common, probably occurs in 99-and-a-half percent of cases,  
8 but generally we do give a patient a prophylactic oral  
9 antibiotic, because they have packing in their nose, so they  
10 don't get a sinus infection.

11 Q Doctor, have you reviewed the entirety of the  
12 medical records marked as Exhibit 3?

13 A Yes.

14 Q Can you turn to Dr. Saxon's office visit of  
15 March 22, 1999? Do you have an opinion, Doctor, as to the  
16 standard of care appropriate -- strike that.

17 Doctor, would you agree that an infection was  
18 found in John Parsons' brain at some point resulting in the  
19 necessity for a craniotomy?

20 A Yes.

21 Q At what point or what time or day, if you can tell  
22 me, was that infection realized in the medical records?

23 A I believe it was realized on the evening of  
24 April 1, 1999.

25 Q Do you believe that the infection that was found  
Brandon-Smith Reporting Service, LLC

1 on April 1 of 1999 existed on March 22, 1999?

2 A No, I don't believe it would exist then.

3 Q Are you aware of what caused the infection that  
4 was found on March 1, 1999?

5 A It would appear to be caused by a breach in the  
6 roof of the ethmoid cavity causing some bleeding  
7 intracranially and then subsequent infection.

8 Q Do you have an opinion as to what caused that  
9 intracranial bleeding in the breach as you just stated?

10 A Well, in retrospect, after reviewing the  
11 neurosurgical records, there appears to have been a breach  
12 in the roof of the ethmoid cavity, and most probably that  
13 was caused during the endoscopic sinus surgery.

14 Q What is your knowledge of the symptoms Dr. Parsons  
15 was aware of on March 22, 1999 that John Parsons was  
16 suffering from?

17 MR. SHEPARDSON: Objection to the form of the  
18 question.

19 A The main one noted is headaches.

20 BY MR. KOCIENDA:

21 Q And you're referring to the March 22, 1999 --

22 A The office note, correct.

23 Q Are there any other documents, either deposition  
24 transcripts or other source, that you have reviewed in  
25 preparation for this deposition and for your opinions

1 identifying other symptoms John Parsons was suffering from  
2 on that date?

3 A I believe symptoms were stated in Mr. Parsons'  
4 depositions. I'm going basically on what is written down in  
5 the medical record.

6 Q Okay. So your testimony today is that Dr. Saxon  
7 was aware only that Mr. Parsons was suffering from a lot of  
8 headaches; is that correct?

9 MR. SHEPARDSON: Objection to the form of the  
10 question. I think that mischaracterizes what he said. He  
11 didn't say only. You asked for the major issue.

12 A This appears to be a standard note. He notes that  
13 he is not febrile. He notes his vision is stable, which  
14 goes along with any possible orbital complications. He  
15 notes that he cleaned the nose out, and there's no note that  
16 there's any spinal fluid leak or bleeding, which would be  
17 the other thing that might make you think there was a  
18 problem. And the main positive finding is headache, so it  
19 seems that he has covered the things that we would think of  
20 looking for a complication in terms of fever, spinal fluid  
21 leak or orbital complications, and none of those were  
22 present at that point in time.

23 BY MR. KOCIENDA:

24 Q Under the standard of care that's applicable to  
25 Dr. Saxon's review of John Parsons postoperatively on

Brandon-Smith Reporting Service, LLC

1 March 22, 1999, are there any other issues that Dr. Saxon  
2 should have been looking to review at that time besides what  
3 you've just mentioned?

4 A No, not that I'm aware of.

5 MR. SHEPARDSON: Can we take a two-minute break?

6 MR. KOCIENDA: Yes.

7 (Off the record: 2:17 p.m. to 2:27 p.m.)

8 BY MR. KOCIENDA:

9 Q Okay. Doctor, is it possible that during the  
10 process of FESS surgery that a breach of the roof of the  
11 ethmoid sinus can occur but the surgeon not notice or  
12 realize that during the surgery?

13 A Evidently, but generally it would -- I think there  
14 would be -- usually you'd look for spinal fluid leak, which  
15 you think would occur in probably close to 100 percent of  
16 cases.

17 Q And how would that be characterized? What would  
18 it look like?

19 A You'd have clear --

20 Q You've got to let me finish.

21 How would a doctor realize a spinal fluid leak was  
22 occurring?

23 A You'd see clear, watery-looking fluid coming out  
24 of an area in the roof of the sinus.

25 Q Could the fluid that's leaking also be mixed with

1 blood?

2 A Well, it would be a different consistency. It  
3 would be more watery, so it's -- if there were blood  
4 involved, it's pretty obvious, because the consistency is  
5 different.

6 Q You'd agree it is possible that a breach could  
7 occur and not be noticed during the surgery?

8 A Yes.

9 Q Okay. Have you ever treated a patient who had a  
10 breach of the ethmoid sinus during FESS surgery?

11 A Yes.

12 Q How many times? How many patients?

13 A Oh, five or six, at least.

14 Q You also testified earlier that you have never  
15 breached the ethmoid sinus?

16 A Correct.

17 Q So they were obviously someone else's patients  
18 before they were referred to you?

19 A Referred in for surgery.

20 Q Can you explain to me the circumstances of you  
21 being referred patients that have a breach of the ethmoid  
22 sinus? In other words, what status are they in when you  
23 receive them as patients?

24 A They have a spinal fluid leak, which is usually  
25 noted that when they lean forward they have watery fluid

1 dripping out of their nose.

2 Q This is after the surgery was completed?

3 A After the surgery.

4 Q What is the standard of care regarding treating

5 someone with a CSF leak after a FESS surgery?

6 A It depends on the timing of it. If it's very soon

7 after surgery, usually the patient would be admitted to the

8 hospital and a lumbar catheter would be placed to decrease

9 the spinal fluid pressure. And the conservative treatment

10 is to wait a few days to see if it was going to stop on its

11 own. A lot of times that occurs after automobile accidents,

12 also.

13 Q Does the standard of care require treatment with

14 antibiotics of a patient suffering from a CSF leak as a

15 result of FESS surgery?

16 A That's controversial. One thought is they should

17 be covered with antibiotics in order to try to prevent an

18 infection. The other school of thought is if you have them

19 on antibiotics, it might pre-select out bacteria that may

20 not have been present otherwise.

21 Q Do you have an opinion regarding the treatment of

22 John Parsons as to which approach you just referred to was

23 taken by Dr. Saxon in the care of John Parsons?

24 A In that situation -- and generally we do cover

25 patients with antibiotics postoperatively and if there's a

1 sign of any situation.

2 Q So you would agree that Dr. Saxon chose to cover  
3 John Parsons with an antibiotic when a central nerve -- a  
4 CSF leak was discovered?

5 MR. SHEPARDSON: Objection to the form.

6 A I don't --

7 MR. SHEPARDSON: Just a second, Doctor. If I  
8 object, just let me put my objection on the record.

9 My objection was I think that's a different  
10 question, form of the -- you were talking about discovery of  
11 a CSF leak, and I don't think it was discovered initially,  
12 and we're talking about application of antibiotics.

13 MR. KOCIENDA: Fair objection. Can you repeat my  
14 question, the one right before the last?

15 (The question on page 55, line 21  
16 was read by the Court Reporter.)

17 BY MR. KOCIENDA:

18 Q Can you answer that question, Doctor?

19 A I think we're talking about a different thing  
20 there, because we're talking about CSF leaks, and there's  
21 been no indication in this particular case that there was a  
22 CSF leak. So in this case the approach taken by Dr. Saxon  
23 was to place him on prophylactic oral antibiotics, which is  
24 generally what everybody does, so that would be within the  
25 standard of care.

1 Q When did Dr. Saxon place John Parsons on  
2 prophylactic antibiotics that you're referring to?

3 A Generally would be placed on antibiotics when they  
4 go home from the hospital.

5 Q From the FESS surgery?

6 A From the FESS surgery.

7 Q Was John Parsons placed on a prophylactic  
8 antibiotic?

9 A I believe he was on Keflex, which would be a  
10 standard prophylactic antibiotic.

11 Q Doctor, a CSF leak is an indication of a breach of  
12 the ethmoid sinus; is that correct? Would you agree with  
13 that?

14 A Correct.

15 Q Do you have an opinion as to what the standard of  
16 care is in treating a breach of the ethmoid sinus as a  
17 result of FESS surgery?

18 A It depends on what the breach is.

19 Q Have you ever treated a patient where there was a  
20 brain hemorrhage as a result of FESS surgery in a breach of  
21 the ethmoid sinus?

22 A No, I haven't.

23 Q Are you aware that John Parsons was suffering  
24 from a hemorrhage in the brain after the FESS surgery of  
25 March 18, 1999?

1 MR. SHEPARDSON: Object to the form of the  
2 question.

3 A That appears -- what was found on April 2. I  
4 don't think prior to that -- or when the patient had -- was  
5 in the hospital. I don't think prior to that anyone  
6 realized that there was a breach in the roof of the  
7 ethmoid.

8 BY MR. KOCIENDA:

9 Q Would you agree that on March 28, 1999, Dr. Saxon  
10 was aware of a hemorrhage in John Parsons' brain?

11 MR. SHEPARDSON: I'm sorry, March 28?

12 MR. KOCIENDA: March 28.

13 A Correct, on March 28 when they did the CAT scan in  
14 the emergency room, a hematoma was noted, which would be  
15 bleeding into the brain that had formed a clot.

16 BY MR. KOCIENDA:

17 Q Do you have an opinion as to what caused that  
18 hematoma in the brain?

19 A In retrospect, after reading the neurosurgical  
20 report, it appears that it was caused by an opening made in  
21 the roof of the ethmoid sinus.

22 Q What time was that opening made? Do you have an  
23 opinion about that?

24 A In retrospect, it appears that it had been made at  
25 the time of the endoscopic sinus surgery.

1 Q Do you have an opinion as to what the standard  
2 of care was on March 28, 1999 as to the treatment of  
3 John Parsons' hematoma of the brain on March 28, 1999?

4 MR. SHEPARDSON: Object to the form of the  
5 question. If you can understand it, you can answer it.

6 A I think generally reviewing the records, the  
7 standard of care was met. The patient was seen in the  
8 emergency room. The diagnosis was made. Ear, nose and  
9 throat service was called, and a neurosurgical consultation  
10 was called. It's really a neurosurgical situation, so you  
11 depend on the neurosurgeons for guidance and where to go in  
12 terms of treatment. And the patient was admitted to the  
13 hospital.

14 BY MR. KOCIENDA:

15 Q Would you agree that the standard of care  
16 applicable to John Parsons' treatment by Dr. Saxon required  
17 Dr. Saxon to seek a consultation from a neurosurgical  
18 doctor?

19 MR. SHEPARDSON: Object to the form of the  
20 question, time frame.

21 BY MR. KOCIENDA:

22 Q On March 28, 1999.

23 A The general procedure would be to have a  
24 neurosurgeon see the patient in consultation, because it's  
25 in their area of expertise, and you'd rely on them to

1 develop a plan of care.

2 BY MR. KOCIENDA:

3 Q Would you agree that that is the standard  
4 of care applicable to the treatment of John Parsons on  
5 March 28, 1999 by Dr. Saxon?

6 MR. SHEPARDSON: Objection.

7 A Yes.

8 BY MR. KOCIENDA:

9 Q What is it that the consultation with the  
10 neurosurgeon is intended to discover or learn?

11 A You would want their opinion in terms of possible  
12 further complications, and then you would want their opinion  
13 in terms of how to treat the patient for the hematoma.

14 Q Doctor, you referred to a CAT scan of  
15 John Parsons on March 28, 1999. Did you review the report  
16 of that CAT scan in preparation for your opinion?

17 A I did.

18 Q Could you find that in your records for me?

19 A Here we go, CAT scan, March 28, 1999.

20 Q Can I look at that, Doctor, before you --

21 MR. SHEPARDSON: You have to keep those things in  
22 order.

23 MR. KOCIENDA: Please use the --

24 MR. SHEPARDSON: Some sort of order. And there's  
25 this over here that's on the top, I think, and there's

1 something over there, probably the Saxon records.

2 THE WITNESS: Right.

3 MR. KOCIENDA: Why don't we take a moment to put

4 it back together, because it's starting to get too

5 separated.

6 THE WITNESS: That's there, and I believe this is

7 here. This is here and this is here.

8 BY MR. KOCIENDA:

9 Q The operative report is where the sticky goes, am

10 I correct, Doctor?

11 A Yes, the operative report is here, I believe, put

12 it back in there.

13 Q Okay. Doctor, the CAT scan of March 28, 1999

14 indicates that the findings of John Parsons' CAT scan were

15 consistent with a right frontal hematoma; would you agree?

16 A That's the report, yes.

17 Q Are you aware of when Dr. Saxon learned of that

18 report and the information contained in it?

19 A I don't. I'm aware the neurosurgeons saw the CAT

20 scan when they saw the patient in the emergency room,

21 because it's mentioned, I believe, in their consultation.

22 Q What do you base that on? The consultation?

23 A The consultation in the hospital records.

24 Q Could you turn to the consultation that you're

25 referring to?

1 MR. SHEPARDSON: The neurosurgeon consult?

2 MR. KOCIENDA: Yes.

3 A That would be in the hospital records, which --

4 BY MR. KOCIENDA:

5 Q Neurosurgery, right here. Look under 56. You

6 don't have 56 in the --

7 A This is when he's in the hospital. Here we go.

8 This is when the neurosurgeon saw him in the emergency

9 room.

10 Q Okay. You have that consultation in front of you,

11 Doctor?

12 A I do.

13 Q Doctor, you reviewed that record in forming your

14 opinions today?

15 A Yes.

16 Q Can you read the bottom half of the neurosurgeon

17 report? Strike that, actually. Doctor, you said that you

18 were aware the neurosurgeon was aware of the CAT scan

19 report, correct?

20 A Right.

21 Q Can you find for me in the neurosurgery consult

22 report that you just turned to where you use to base that

23 statement on?

24 A It says, "CT head reviewed, subfrontal hematoma

25 with intracranial air."

1 Q Okay. Doctor, were you aware of any antibiotic  
2 that John Parsons was placed on while in the emergency room  
3 on March 28, 1999?

4 A I believe they gave him a dose of Gentamicin.

5 Q Any other antibiotics?

6 A I'm not aware of any other right at that specific  
7 point in time. I know at some point in time there he was  
8 placed on a prophylactic Zinocef or something, whatever that  
9 was.

10 Q Do you know what time or at what point in his  
11 treatment in the hospital he was placed on Zinocef?

12 A No, I don't

13 MR. SHEPARDSON: You mean without reviewing the  
14 records?

15 BY MR. KOCIENDA:

16 Q You can look in the records.

17 A I'm not even sure where I would find that.

18 Probably somewhere in the nurse's notes.

19 Q Doctor, does the standard of care require that,  
20 applicable to John Parsons' treatment on March 28, 1999,  
21 that he be placed on a prophylactic antibiotic given the  
22 CAT scan report and the neurosurgery consult which you have  
23 right in front of you?

24 A Generally you'd place him on antibiotics at that  
25 time to cover for infection.

1 Q What type of infection does the standard of care  
2 require on March 28, 1999 concerning John Parsons?

3 A They would want to cover for any sinus bacteria  
4 that would get into the brain.

5 Q Doctor, do you have an opinion as to whether or  
6 not Zinocef is an appropriate antibiotic to cover that  
7 eventuality under the standard of care applicable to  
8 John Parsons on March 28, 1999 by Dr. Saxon?

9 A Zinocef would be a typical antibiotic that ear,  
10 nose and throat doctors would use to cover the sinuses and  
11 any eventuality to -- cephalosporin antibiotic and  
12 supra-spectrum antibiotic.

13 Q Did you say atypical --

14 A It's a typical -- it's an antibiotic that would be  
15 used. In other words, that's the type of antibiotic that  
16 would be used.

17 Q Do you mean it is --

18 A -- a normal antibiotic that would be used to cover  
19 for bacteria in the sinuses, because it's a broad spectrum  
20 antibiotic. And, actually, in the Physician's Desk  
21 Reference it does say that it's a treatment for meningitis.

22 Q Doctor, are you aware of Zinocef's ability to  
23 treat a brain infection?

24 A It's really out of my area of expertise. For  
25 something like that I'd depend on the neurosurgeons to give

1 me an opinion.

2 Q Given that John Parsons had a hematoma which was  
3 consistent with an infected hematoma, what is the standard  
4 of care in your opinion as to the appropriate treatment for  
5 Dr. Saxon to have rendered to John Parsons on March 28, 1999  
6 to treat the potential infected hematoma?

7 MR. SHEPARDSON: Objection to the form of the  
8 question in the way it's phrased, infected hematoma,  
9 potential infected hematoma. I object to the form.

10 MR. KOCIENDA: Strike that. I'll rephrase that.

11 BY MR. KOCIENDA:

12 Q Doctor, given the CAT scan report which you've  
13 reviewed and the neurosurgical report, what is the standard  
14 of care required on March 28, 1999 of Dr. Saxon to treat the  
15 symptoms described in the CAT scan report for John Parsons?

16 A I think at this point it appears that he had a  
17 potentially infected hematoma, and I'd depend on the  
18 neurosurgical consultation to point me in the right  
19 direction of antibiotics, but generally we would cover it  
20 with an antibiotic such as Zinocef, unless appropriate note  
21 was made by the neurosurgeons to use something else.

22 Q Doctor, would it have been within the standard of  
23 care for Dr. Saxon to have chosen to treat the potentially  
24 infected hematoma without a neurosurgical consultation?

25 A Generally a neurosurgical consultation would be

Brandon-Smith Reporting Service, LLC

1 obtained because of the hematoma, and it's really out of our  
2 area of our expertise.

3 Q Would it have been within the standard of care for  
4 Dr. Saxon to have treated the potentially infected hematoma  
5 without a neurosurgical consultation?

6 MR. SHEPARDSON: Objection to the form of the  
7 question.

8 BY MR. KOCIENDA:

9 Q It's a yes or no question.

10 MR. SHEPARDSON: Objection to the form.

11 A I can't answer that. That would depend on the  
12 institution, I think, and the experience of the ear, nose  
13 and throat physician that's treating the patient; in other  
14 words, whether they had experience dealing with intracranial  
15 problems.

16 BY MR. KOCIENDA:

17 Q So I understand your testimony, Doctor, if the  
18 particular ear, nose and throat doctor, the  
19 otolaryngologist, had sufficient expertise or experience in  
20 treating brain infections as a result of FESS surgery, it  
21 would be within the standard of care for that ear, nose and  
22 throat doctor to treat without a consultation of a  
23 neurosurgeon?

24 A If they had significant expertise in that area. I  
25 think generally the standard of care in this area would be

1 to obtain a consultation from the neurosurgeons.

2 Q Do you know of what expertise, if any, Dr. Saxon  
3 had in the area of treating a brain infection caused by FESS  
4 surgery on March 28, 1999?

5 A I don't know his expertise, but I would say  
6 generally in this area we would depend on the neurosurgical  
7 consultation to point us in which direction in treating the  
8 patient.

9 Q Doctor, do you have an opinion as to -- strike  
10 that.

11 Do you know what direction, if any, the  
12 neurosurgeon consultation pointed Dr. Saxon in as a result  
13 of the consultation on March 28, 1999?

14 A I do.

15 Q And what direction was he pointed in?

16 A Well, the note says, "Admit for observation." It  
17 says, "Consider antibiotics, since there's an increased  
18 chance for infection," and it says, "Follow-up in one  
19 week." So from my point of view, I don't think they gave  
20 him much to make him change whatever his thoughts were at  
21 that time. They don't recommend any specific antibiotic.  
22 They actually don't recommend even putting him on  
23 antibiotics. They say consider, which to me just means  
24 they're not really worried one way or the other. And they  
25 don't say we're going to see him in four hours. They say

1 see him in one week. So when I read this consultation, I'd  
2 be led to believe that the patient needs to be admitted for  
3 observation, he should be on appropriate -- he should be on  
4 a prophylactic antibiotic to treat possible infection, and I  
5 think that's what was done.

6 Q What possible infection are you referring to,  
7 Doctor?

8 A Well, the infection in the brain. They say here  
9 "increased chance of infection." I think when they were  
10 reading the CAT scan, they weren't reading it as a -- there  
11 was no abscess present at that time, and there was a  
12 question whether there was infection or not. I think they  
13 were looking at it as there was a hematoma.

14 Q What do you base that opinion on, Doctor?

15 A The patient wasn't febrile, and they didn't have  
16 any other findings here that would make you think that the  
17 patient had meningitis or any other specific intracranial  
18 problem.

19 Q So the potential infection you're referring to is  
20 the brain infection, Doctor?

21 A Correct.

22 Q You mentioned, Doctor, that it was your belief  
23 Mr. Parsons was on a prophylactic antibiotic to treat the  
24 potential brain infection, correct?

25 A Correct.

1 Q What antibiotic are you referring to?

2 A He was on Zinocef.

3 Q So it's your understanding that Zinocef was used  
4 prophylactically to treat the potential brain infection,  
5 correct?

6 MR. SHEPARDSON: Objection to the form.

7 A I don't have any -- I would say Zinocef --

8 MR. SHEPARDSON: I don't mean to interrupt you. I  
9 was going to object and say he already testified --

10 A Zinocef is an antibiotic used to generally cover  
11 sinus problems. We call the neurosurgical opinion if the  
12 antibiotic was going to be changed to something that we're  
13 not used to using.

14 BY MR. KOCIENDA:

15 Q Doctor, as you sit here today, do you know if  
16 Dr. Saxon received on March 28, 1999 an opinion regarding  
17 the appropriate antibiotic to treat the potential brain  
18 infection Mr. Parsons was suffering from on that date?

19 A I don't recall any specific evidence of anything  
20 he received from anybody.

21 Q Do you recall any general evidence?

22 A No.

23 Q Do you know whether or not Dr. Saxon received any  
24 consultation concerning the appropriateness of Zinocef to  
25 prophylactically treat the potential brain infection

1 John Parsons was suffering from on March 29, 1999?

2 A I'm not aware of anything.

3 Q What is your opinion, Doctor, regarding the  
4 standard of care of Dr. Saxon after receiving -- strike  
5 that.

6 Assuming Dr. Saxon received the information  
7 contained in the neurosurgery consult report of  
8 March 28, 1999, what is your opinion regarding the standard  
9 of care for what Dr. Saxon should have done to determine  
10 what antibiotic he should have prescribed John Parsons to  
11 prophylactically treat the potential brain infection he was  
12 suffering from?

13 A I think, reviewing the patient's hospital course  
14 in the hospital, it appears that everyone felt the  
15 antibiotic here he was on was appropriate, because it  
16 appeared the patient was improving between March 29 and  
17 April 1. That's the opinion of the ear, nose and throat  
18 people, the neurologist and the neurosurgeons.

19 Q Can you point to me what you base that opinion on,  
20 Doctor?

21 A I base it by following the office notes and the  
22 hospital notes. On April 29 they state that headache has  
23 left, he is less -- he is alert and feeling better, and  
24 they're going to repeat the CAT scan, which is appropriate  
25 to see if there has been any major change.

1 Q What date is that, Doctor?

2 A March 29.

3 Q Can I see that record, Doctor, before you move on  
4 to the next one?

5 A On the 29th he's not any worse. He says he's  
6 alert, he has no fever, and they say repeat CAT scan; in  
7 other words, they're looking for any continuation of a  
8 problem.

9 And then that evening Dr. Saxon saw the patient,  
10 says afebrile, alert, headache is better. He says continue  
11 antibiotics and repeat -- he reviewed the CAT scan. It's  
12 stable. In other words, nothing has worsened, and they're  
13 going to follow him neurologically. So the next day, it  
14 appears in the evening they've done a CAT scan, nothing is  
15 worse, and they're keeping him on the present antibiotics.  
16 And if you follow that along, the next day they say headache  
17 improved, he still has no fever, vision is normal, there's  
18 no neurological problems, and they're continuing to observe  
19 him. So there are no signs in here -- there are signs in  
20 here that the patient is improving.

21 On the 31st, pretty much the same, CAT scan shows  
22 improvement, awake, alert, no neck and rigidity, and they  
23 say repeat another CAT scan. That's the neurosurgeon,  
24 Dr. Lange. So I don't see anything in here that anybody's  
25 worrying about anything. The patient is not running a

1 fever. They've CAT scanned him every day. They've  
2 requested to change the antibiotics. It appears the  
3 hematoma is improving.

4       Even on April 1 they say awake, alert. And,  
5 interestingly, on the 1st he ran a fever the night before,  
6 so the ear, nose and throat doctors at that point say,  
7 "Fever last night is concerning, appreciate neurosurgical  
8 input." So the first sign of a fever, they're jumping into  
9 let's get the neuro guys to look at him and see if there's a  
10 problem. So I think from the ear, nose and throat point of  
11 view, this is perfectly in the standard of care. I think,  
12 interestingly enough, at that point the neurologist saw him  
13 and said everything was completely normal, the exam was  
14 normal, the patient was improving, there were no symptoms,  
15 he didn't see any significance in the fever the night  
16 before, he thought it was from blood in the spinal fluid,  
17 and they pretty much sign off. There wasn't a problem here  
18 between the neurosurgeons and the neurologist. Then that  
19 night he spikes a fever, and they find there's an abscess.

20       So I guess that's the scenario I'm following in  
21 there. It seems like they had the appropriate  
22 consultations. The patient has been followed. The  
23 neurosurgeon has seen him. The neurologists have seen him.  
24 There's no signs of alarm, no "do this, do that." If I were  
25 the attending physician in that situation, I would feel I

1 had the appropriate consultations and I'd feel the patient  
2 was improving.

3 Q Doctor, the appropriate consultations you're  
4 referring to are the neurosurgeon's consultations and the  
5 neurologist?

6 A Those are the two experts in that area.

7 Q Did you review the written consultation reports of  
8 the neurosurgeon and neurologist?

9 A I did.

10 Q Is there anything in those records that indicate  
11 consultations included a review of the antibiotic which  
12 John Parsons was on?

13 A There's no recommendation one way or the other, so  
14 I would assume it's part of the consultation, the antibiotic  
15 would be reviewed.

16 Q Is it the standard of care for Dr. Saxon  
17 applicable during March 28 through February 1, 1999 to not  
18 inquire of the consulting physicians as to the  
19 appropriateness of the antibiotic which John Parsons was on  
20 at the time?

21 A Generally, when you do a consultation, you'd  
22 review the antibiotics and the hospital course and the  
23 medications, and then you'd make a recommendation if you  
24 felt things should be changed.

25 Q Doctor, are you aware at any point from  
Brandon-Smith Reporting Service, LLC

1 March 28 --

2 MR. SHEPARDSON: I think the last question said  
3 February but we knew what you meant.

4 MR. KOCIENDA: Okay.

5 BY MR. KOCIENDA:

6 Q Doctor, are you aware of any consultation with  
7 infectious disease from March 28, 1999 through April 1,  
8 1999?

9 A No.

10 Q Doctor, turning back to the neurosurgeon  
11 consultation of March 28, 1999, if you would, Doctor, what  
12 is your interpretation of the last couple lines from the  
13 bottom where it says "question mark, ID, question mark"?

14 A I'm assuming he's questioning whether they should  
15 have infectious disease see the patient.

16 Q Doctor, is it the standard of care on  
17 March 28, 1999 concerning Dr. Saxon's treatment of  
18 John Parsons that Dr. Saxon should have consulted with an  
19 infectious disease doctor concerning the treatment of the  
20 potential infected brain hematoma?

21 MR. SHEPARDSON: Object to the form of the  
22 question.

23 A I believe the standard of care at that point in  
24 time -- I'd depend on the neurosurgical consultation to tell  
25 me what to do in terms of antibiotics, because at this point

1 in time we don't know that there's an abscess in there;  
2 we're just covering the patient for potential infection, so  
3 generally I don't think an infectious disease consult would  
4 be required at that point.

5 BY MR. KOCIENDA:

6 Q What was the information Dr. Saxon received from  
7 this neurosurgeon consultation regarding the appropriate  
8 antibiotic to treat John Parsons' brain hematoma on  
9 March 28, 1999?

10 A He didn't give him any information.

11 Q Given that there was no information -- strike  
12 that.

13 Given that Dr. Saxon did not receive any  
14 information on how to treat with antibiotic the potential  
15 brain infection from the neurosurgeon on March 28, 1999, did  
16 the standard of care require Dr. Saxon to seek an infectious  
17 disease consultation to answer the question as to what  
18 antibiotic should be used to treat the potential brain  
19 hematoma?

20 A No.

21 MR. SHEPARDSON: Objection.

22 BY MR. KOCIENDA:

23 Q Why is that, Doctor?

24 A There isn't any -- no hard and fast rule of who  
25 you get at consultation at that point in time. Probably

1 depends on the institution. I think I'd depend on the  
2 neurosurgeons to give me a little up in the direction of  
3 what I should use for antibiotics.

4 Q And, Doctor, I believe you stated that the  
5 neurosurgeon's consultation did not notify Dr. Saxon of what  
6 antibiotic to treat John Parsons with; is that correct?

7 A Correct.

8 Q So given that Dr. Saxon did not receive from what  
9 it appears from the consultation report any information  
10 concerning how to treat with antibiotic John Parsons'  
11 potential brain hematoma, was the standard of care such that  
12 it required him to find another consultation to answer that  
13 question?

14 MR. SHEPARDSON: Object to the form question. I  
15 think that was asked and answered.

16 A No. I think at that point in time, with the  
17 patient's present condition, you'd place him on a broad  
18 spectrum antibiotic, as was done.

19 BY MR. KOCIENDA:

20 Q Do you have an understanding as to why this  
21 consultation was requested by Dr. Saxon on March 28, 1999?

22 A I think actually the consultation -- they saw the  
23 patient in the emergency room, and I don't have an  
24 understanding of who called in the consultation, no.

25 Q Do you know what the purpose of the consultation

Brandon-Smith Reporting Service, LLC

1 was?

2 A I assume to evaluate the patient and recommend  
3 treatment, which is the usual purpose of a consultation.

4 Q So is it your testimony, Doctor, that the fact  
5 that the neurosurgeon did not give Dr. Saxon the  
6 recommendation as to how to treat with antibiotic the  
7 potential brain hematoma, that no antibiotic to treat the  
8 potential brain hematoma was necessary?

9 MR. SHEPARDSON: Objection to the form of the  
10 question.

11 A No, I didn't say that. I said I thought that  
12 since they didn't give him a recommendation, the antibiotic  
13 he was placed on at the time seemed to be appropriate at the  
14 time, because it had been reviewed, obviously, in the next  
15 day or two by the neurosurgeons and the neurologist, and no  
16 one made a recommendation otherwise.

17 BY MR. KOCIENDA:

18 Q And in that opinion, Doctor, are you assuming that  
19 Zinocef was already prescribed to John Parsons at the time  
20 the consultation was given?

21 A I think it had been prescribed after the  
22 consultation had been given, but I assume it would be  
23 reviewed by the consulting doctors in their rounds.

24 Q And what do you base that opinion on?

25 A Standard hospital procedure.

1 Q Doctor, just to clean up some prior questions, do  
2 you feel you have any expertise at all to render an opinion  
3 regarding the nature or extent of John Parsons' damages  
4 claimed in this lawsuit?

5 A No.

6 Q Doctor, do you feel that you have any expertise to  
7 render an opinion concerning the causal -- the cause of the  
8 damages John Parsons has alleged in this lawsuit?

9 MR. SHEPARDSON: Objection. Could I have that  
10 read back?

11 (The last question was read  
12 by the Court Reporter.)

13 MR. SHEPARDSON: Objection to form of the question  
14 only because it's such a broad claim on the damages which  
15 hasn't been the focus of his review. He already testified  
16 about the surgery and what he thinks happened, but answer to  
17 the best of your ability.

18 A In retrospect, the cause of the hematoma appears  
19 to have occurred in a breach of the roof of the ethmoid  
20 sinus during surgery which was unrecognized at the time.

21 BY MR. KOCIENDA:

22 Q Do you intend to render an opinion on any other  
23 cause of John Parsons' injuries other than the hematoma?

24 A No.

25 Q Doctor, going back to March 22, 1999, Dr. Saxon's  
Brandon-Smith Reporting Service, LLC

1 office visit note, if you can turn to that.

2 A The 22nd?

3 Q Yes, Doctor.

4 MR. SHEPARDSON: Dr. Saxon's office notes, which  
5 are here.

6 BY MR. KOCIENDA:

7 Q Actually, before we do that, Doctor, do you want  
8 to put that back together?

9 A I can remember his office notes pretty well, so...

10 MR. SHEPARDSON: Want to look on mine? They're  
11 all the same. Is that all right?

12 MR. KOCIENDA: That's been marked as an exhibit at  
13 some point?

14 MR. SHEPARDSON: Yes, it's already marked.

15 MR. KOCIENDA: It's marked as Exhibit --

16 MR. SHEPARDSON: -- Exhibit 1, 12/12/02.

17 BY MR. KOCIENDA:

18 Q If it would be easier, Doctor, you can use  
19 Herb Shepardson's.

20 A Okay.

21 Q Doctor, on March 22, 1999, is it your  
22 understanding that that was the first office visit after the  
23 FESS surgery of March 18, 1999?

24 A Yes.

25 Q Do you know the purpose of that visit?

Brandon-Smith Reporting Service, LLC

1 A The purpose in this note isn't stated, but I can  
2 tell you generally why people are seen at that point in time  
3 after endoscopic sinus surgery.

4 Q Why is that, Doctor?

5 A To check their nose; sometimes to debride, which  
6 means taking any packing out that's in there; to check them  
7 for any possible complications; generally see how they're  
8 doing.

9 Q Doctor, what does the standard of care require a  
10 surgeon who performs the FESS surgery to inform their  
11 patient of expected postoperative recovery?

12 A Well, that's variable depending on the patient. I  
13 don't think there's any specific standard of care to inform  
14 the patient in terms of recovery, except to say that you're  
15 going to have headache afterwards, your nose is going to be  
16 blocked up, you're going to have a lot of facial pain. And  
17 everybody's different, so it's hard to tell people exactly  
18 when they're going to be feeling better. I usually say  
19 four, five days, a week, ten days, depends.

20 Q Doctor, you said you reviewed Dr. Saxon's  
21 deposition testimony and his transcript?

22 A Yes.

23 Q As well as John Parsons' deposition transcript?

24 A Yes.

25 Q And Alana Parsons' deposition transcript as well?

1 A Yes.

2 Q Are you aware of what Dr. Saxon informed John and  
3 Alana Parsons as to what to expect postoperatively from his  
4 FESS surgery of March 18, 1999?

5 MR. SHEPARDSON: You mean without looking at the  
6 deposition?

7 A Without looking?

8 BY MR. KOCIENDA:

9 Q If you can.

10 A I'm not aware without looking of specifics.

11 Q If I told you that Dr. Saxon informed John Parsons  
12 that he would be able to return to work by March 22, 1999  
13 after the March 18, 1999 FESS surgery, would that be within  
14 the standard of care to inform him of that?

15 MR. SHEPARDSON: Objection to the form of the  
16 question.

17 A Standard of care, I'm not sure that has anything  
18 to do with standard of care, but it would be possible to go  
19 back to work then.

20 BY MR. KOCIENDA:

21 Q It's not unreasonable?

22 A It's not unreasonable.

23 Q In your opinion, Doctor, at what point would --  
24 strike that.

25 Doctor, on March 26, 1999, can you tell me what  
Brandon-Smith Reporting Service, LLC

1 symptoms John Parsons presented that office visit with?

2 MR. SHEPARDSON: Do you want to look at my records  
3 here?

4 A His main symptom was headache. He says headache,  
5 can't sleep. Other than that, it's the standard office  
6 note. He has no fever, and he put him on irrigation of the  
7 nose.

8 BY MR. KOCIENDA:

9 Q Doctor, do you know of any other symptoms  
10 which Dr. Saxon was aware of on March 26, 1999 concerning  
11 John Parsons' postoperative recovery?

12 A No, I don't.

13 Q Doctor, are you aware that Alana Parsons is  
14 John Parsons' wife?

15 A Yes.

16 Q Are you aware that Alana Parsons made phone  
17 calls to Dr. Saxon's office after March 22, 1999 and  
18 before March 26, 1999 complaining of additional symptoms  
19 John Parsons was suffering from during that time period?

20 A I believe that's what she stated in her  
21 deposition.

22 Q Do you know what symptoms those were?

23 A Not off the top of my head, no.

24 Q Doctor, are you also aware that Alana Parsons  
25 kept a diary of the time period between March 22, 1999 and

1 March 26, 1999 of her conversations with Dr. Saxon's office?

2 A Yes.

3 Q Did you see that diary as part of the transcript  
4 of Alana Parsons' deposition?

5 A I believe that was in the transcript, yes.

6 Q And, Doctor, I have a copy of that right here.  
7 I'll read to you.

8 MR. SHEPARDSON: Can you reference what you're  
9 reading under?

10 MR. KOCIENDA: Yes, absolutely.

11 BY MR. KOCIENDA:

12 Q "March 22, 1999, Monday. He's worse, can't lift  
13 his head. He says it's hard to lift it. Called Dr. Saxon's  
14 office, made an appointment for today. John not a  
15 complainer by nature. He seemed to be able to cover up the  
16 pain he was in. I told Dr. Saxon this. I told him that he  
17 was having very hard time lifting his head, that he felt  
18 like head was going to explode. Dr. Saxon examined him. He  
19 said he couldn't understand why John was still in pain. He  
20 said maybe in John's case it would take a little longer for  
21 pain to go away. I told him that John couldn't eat,  
22 throwing up. He gave us an appointment for Friday. He said  
23 he couldn't understand why John was still in pain.

24 "March 23. John is not any better. He's in such  
25 agony, still not eating. I've been sleeping in John's room

1 since surgery, because he says any movement of the bed is  
2 excruciating to his head, not sleeping at all.

3 "March 24, 1999. John still not eating, vomits  
4 whenever he tries to eat. Pain is horrible for the last few  
5 days. He takes his medicine about 15 minutes early. He  
6 just can't bear it. He is very miserable. I called  
7 Dr. Saxon's office. They said medicine could make him  
8 sick.

9 "March 25. Not eating. He looks as if he's lost  
10 a lot of weight. Pain is still excruciating. Antibiotic is  
11 finished, Cephalexin."

12 Given the symptoms described by Alana Parsons  
13 which she explained to Dr. Saxon, what is the standard of  
14 care as far as treating John Parsons' symptoms at that point  
15 in time?

16 MR. SHEPARDSON: Just let me interpose an  
17 objection. Objection to the form of the question, lack of  
18 foundation, given the symptoms and given the fact they were  
19 communicated, obviously that's an assumption which is  
20 inherent in the question.

21 MR. KOCIENDA: I'll agree.

22 BY MR. KOCIENDA:

23 Q Assume that Dr. Saxon is was aware of the  
24 information Alana Parsons describes in her diary which I  
25 read to you, what is the standard of care for Dr. Saxon to

1 treat John Parsons' symptoms?

2 A I think the standard of care is to have him come  
3 to the office and evaluate the symptoms yourself. A lot of  
4 times what people say is happening and what is really  
5 happening when you see the patient is divergent probably  
6 99 percent of the time. And you make a determination when  
7 the patient is in the office whether the symptoms are  
8 concerning or whether they seem to be within the realm of  
9 postoperative pain.

10 Q Would you agree with me that the described  
11 symptoms in the diary are subjective symptoms that cannot be  
12 diagnostically tested?

13 A Well, I think a lot of those things could be  
14 determined speaking to the patient in the office and trying  
15 to determine if there is something happening here that  
16 should be looked into more or not. I think that's clinical  
17 judgment.

18 Q How would Dr. Saxon, assuming he knew this  
19 information and did, in fact, call John Parsons into the  
20 office as a result of these symptoms, how would Dr. Saxon  
21 have been able to determine whether or not the pain was as  
22 bad as Alana described in her diary?

23 MR. SHEPARDSON: Objection to the form of the  
24 question.

25 A I think you'd have to look at the patient, see if  
Brandon-Smith Reporting Service, LLC

1 there's any bleeding, any signs of spinal fluid leak,  
2 swelling, and just make a determination by looking at the  
3 patient whether things seem to be severe enough to be more  
4 concerned. As I said, that's sort of a judgment call when  
5 the patient comes to the office.

6 BY MR. KOCIENDA:

7 Q Would you agree that what you just described are  
8 visual observations a doctor could perform on the patient to  
9 determine whether or not additional treatment is needed?

10 A Well, visual observation, whether the patient is  
11 running a fever, that type of thing would be the things he  
12 would look at in the office.

13 Q Would there be any indication -- let me go back.

14 On March 26 Alana also had in her diary additional  
15 entries regarding John Parsons, which I'll read to you as  
16 well, and I'll have some follow-up questions.

17 "March 26, 1999, Friday. Called Dr. Saxon's  
18 office for appointment. John's strength amazes me. He  
19 manages to try to put on a good front. It's hard to help  
20 him walk. John told Dr. Saxon how bad the pain was. He  
21 pulled a piece of packing out and some mucous, but said  
22 there was no bleeding and that it looks as if he was  
23 starting to heal. He said he couldn't understand why John  
24 was in so much pain. He kept repeating that he didn't know  
25 why and that he couldn't understand it. He gave John a

1 prescription for a stronger pain killer, Endocet.

2 "March 27, 1999, Saturday. I'm in tears. I'm so  
3 upset, and John's patience has worn out. He's absolutely  
4 unbearable. His attitude is horrible. He's still not  
5 eating. He bites my head off. He hasn't slept since the  
6 surgery.

7 "March 28, 1999, Sunday. I'm at my whits' end.  
8 I've been crying since yesterday. I don't know what to do.  
9 I called Jason and Denise to ask their opinion. They  
10 weren't home. I called Karen and Stuart. They weren't  
11 home. I called Dr. Sherber's office and left a message for  
12 the covering doctor to call me. Dr. Musick called me back  
13 about 1:30. I explained what was going on. He said that  
14 the polyp surgery was a routine surgery, but pain this long  
15 wasn't the normal. He said that it sounded as if there  
16 might be a blockage. He also told me that I could do one of  
17 two things. One, I could take John to the emergency room,  
18 or, two, I could call Dr. Saxon, but he might not have an  
19 answer for me, more like a wishy-washy answer. He repeated  
20 again to take John to ER. I said, 'Thank you very much.'  
21 That's all I wanted to know. I called Johnny to tell him  
22 that I was taking John to the ER. I was upset and crying.  
23 Johnny said he right down to take to the hospital, because  
24 it didn't sound as if I was all right."

25 Would you agree that the standard of care on  
Brandon-Smith Reporting Service, LLC

1 March 28, 1999, assuming the statements Alana made in her  
2 diary are true, required John Parsons to visit the emergency  
3 room?

4 MR. SHEPARDSON: Object to the form of the  
5 question. You can answer.

6 A I think at that point in time, since I guess it's  
7 the weekend and they can't make another office visit, that  
8 would be the appropriate thing to do. If his symptoms are  
9 worsening, he would be seen in the emergency room.

10 BY MR. KOCIENDA:

11 Q Would you agree that pain as described in Alana's  
12 diary from March 26, 1999 through March 28, 1999 required  
13 Dr. Saxon, had he known of the pain as described in her  
14 diary, under the standard of care to perform a diagnostic  
15 test to determine if there was any infection or other  
16 problems with John's head?

17 MR. SHEPARDSON: Object to the form of the  
18 question. You can answer it if you understand the  
19 question.

20 A Pain is very variable, and I think you just have  
21 to make a clinical judgment at some point in time there, is  
22 the pain going on longer than you think it should be and are  
23 there any signs that any other tests should be done, so I  
24 can't really say within the standard of care of when would  
25 you do any other tests. I think by this point in time,

1 considering it's on the weekend and things haven't approved,  
2 that it was appropriate to go to the emergency room for  
3 further evaluation.

4 Q At any point between March --

5 A At that point in time you'd -- you know, probably  
6 did a CAT scan, which I think was appropriate at that point  
7 in time.

8 Q Under the standard of care applicable in  
9 March 1999, what symptoms are required at a minimum level  
10 for Dr. Saxon to have sent John Parsons for a diagnostic  
11 imaging test?

12 MR. SHEPARDSON: Objection to the form of the  
13 question. It's vague.

14 A I don't think there are any hard and fast rules  
15 there when you're dealing with just pain. If you're dealing  
16 with fever or changes in your sensorium, in other words,  
17 memory and all that, or visual changes, things you can put  
18 your finger on, I think you'd think of looking into it a  
19 little further, but pain is a very hard one, it's so  
20 subjective, to put a number on when you would look into it  
21 further.

22 Q Doctor, under the standard of care applicable in  
23 March of 1999, what symptoms were required under the  
24 standard of care for a doctor to then send the patient after  
25 FESS surgery to have a diagnostic testing done?

1 MR. SHEPARDSON: Objection.

2 A As I said, I don't think there are any hard and  
3 fast rules, unless someone was spiking a fever, was  
4 unconscious, had changes in memory, was losing their vision  
5 or some serious obvious, you know, cranial-type  
6 complication, had fluid coming out of their nose or they  
7 were bleeding. But in lack of all those symptoms, what I'm  
8 saying, it's very subjective of how much pain is someone  
9 having and when is it appropriate to look into it further.

10 BY MR. KOCIENDA:

11 Q Are symptoms of severe headaches that are  
12 increasing over time, vomiting and nausea sufficient  
13 symptoms under the standard of care in March 1999 for a  
14 patient who, as in John's situation underwent FESS surgery,  
15 should be sent to have a diagnostic imaging test of his  
16 head?

17 A I think in a situation where the surgery as far as  
18 you can tell went well and there weren't any obvious  
19 complications at the time of surgery, as I said, it's very  
20 subjective of when you'd do anything there. Nausea and  
21 vomiting, the first thing you think about, as stated in  
22 there, would be medication. If someone had continued  
23 headache and continued nausea and vomiting, as I said, at  
24 some point in time it would be appropriate to do a CAT scan.

25 Q Doctor, you said you've treated about five or six

1 patients post FESS that had CSF leaks?

2 A Correct.

3 Q Did any of those patients ever have an

4 intracranial infection in one form or another?

5 A No.

6 Q Did you ever treat them prophylactically for a

7 possible intracranial infection?

8 A Usually everybody is treated prophylactically when

9 they have a spinal fluid leak.

10 Q How are they treated prophylactically with a

11 spinal fluid leak?

12 A Generally with a broad spectrum cephalosporin

13 antibiotic, such as used in this case --

14 Q Zinocef?

15 A -- would be our standard of care.

16 Q When you say "our," who are you referring to?

17 A Ear, nose and throat physicians.

18 Q Have you ever referred any of those particular

19 patients, the five or six you referred to, for consultation

20 with a neurosurgeon or infectious disease doctor as to how

21 to continue to treat them?

22 A Usually there's a consultation with a neurosurgeon

23 because of a spinal fluid leak.

24 Q Has a neurosurgeon ever advised additional or

25 different antibiotic for any of those patients?

1 A They usually do in situations where they don't  
2 think the antibiotic is appropriate or there's indications  
3 that something else is happening that wasn't initially  
4 recognized.

5 Q In any of those five patients, did that occur?

6 A I can't remember specifically.

7 Q Do you remember any neurosurgeon ever changing the  
8 antibiotic that the patient was on at the time of the  
9 consultation with those five or six patients?

10 A Yes, that happens semi-frequently in situations  
11 like that.

12 Q And what antibiotic do the neurosurgeons -- have  
13 they prescribed at that point in their consultation?

14 MR. SHEPARDSON: I'm going to --

15 A It really depends on the type of infection, and  
16 it's not my range of expertise.

17 MR. SHEPARDSON: He answered the question, but  
18 your question was sort of general. That's my objection.

19 BY MR. KOCIENDA:

20 Q So, Doctor, are you saying that it's beyond your  
21 expertise as to what antibiotic is appropriate to treat a  
22 potential intracranial infection?

23 A Correct.

24 Q Doctor, you indicated before an opinion that was  
25 based on the institution of the hospital that the doctor was

1 in as to what consultation, and correct me if I'm wrong, as  
2 to what consultation should be requested in a situation as  
3 John's as he presented on March 28, 1999; am I correct?

4 A Correct.

5 Q Are you aware of St. Francis Hospital's standard  
6 of care regarding what consultation should be requested of a  
7 patient presenting as John did on March 28, 1999?

8 MR. SHEPARDSON: I object to the form of the  
9 question, and your use of the word standard of care --

10 A I don't think there is any --

11 MR. SHEPARDSON: Doctor, let me finish. -- use of  
12 the word standard of care. There's one standard of care;  
13 it's just done through different types of consults. I think  
14 that's what he testified to, so I object.

15 A I don't think there's any written, quote, standard  
16 of care that would dictate when you'd get a referral and  
17 when you wouldn't. As I stated, it would depend on certain  
18 institutions on the expertise of the physician involved.  
19 There are some hospitals in the United States where ear,  
20 nose and throat physicians do skull-based surgery and do  
21 brain surgery and all that, and they probably would have  
22 enough expertise to treat the patient on their own without a  
23 consultation.

24 BY MR. KOCIENDA:

25 Q Doctor, I'm just a little confused on what your  
Brandon-Smith Reporting Service, LLC

1 answer is. Are you saying there's a difference one way or  
2 another between Hartford Hospital and St. Francis as to what  
3 consultation is requested when a patient such as  
4 John Parsons in his condition and how he presented on  
5 March 28, 1999 as far as how to further treat him?

6 MR. SHEPARDSON: Objection.

7 A No. I don't think there's a difference there,  
8 no.

9 BY MR. KOCIENDA:

10 Q Can you explain to me what difference you're  
11 referring to?

12 A I'm speaking about institutions in the  
13 United States where they might -- the ear, nose and throat  
14 doctors do more neurosurgery, say at Mass General or in  
15 Pittsburgh, and since they treat patients like that all the  
16 time, over a course of time they would probably have more  
17 expertise in terms of what antibiotics to use, because  
18 they've worked with the neurosurgeons so many times.

19 Q So is it fair to say what you're really saying is  
20 that the type of consultation an ear, nose and throat doctor  
21 would require on the standard of care is going to be based  
22 on the ear, nose and throat doctor's expertise and  
23 experience with treating patients such as John Parsons as he  
24 presented on March 28, 1999?

25 A Correct.

1 Q And it's not the institution; in other words, if a  
2 doctor with the appropriate experience treated a patient  
3 such as John Parsons on March 28, 1999, the same doctor but  
4 at different hospitals, the standard for that doctor would  
5 be the same no matter what hospital he treated in?

6 MR. SHEPARDSON: Objection to the form of the  
7 question.

8 BY MR. KOCIENDA:

9 Q If you follow me.

10 MR. SHEPARDSON: I don't follow you.

11 A If there were an ear, nose and throat doctor  
12 somewhere in the United States that treated so many patients  
13 with brain abscesses and intracranial complications, he'd  
14 have multiple consultations with infectious disease people  
15 and neurosurgeons over the years, he'd probably be at the  
16 point that he wouldn't need a consultation and would know  
17 what to do.

18 Q Other than that scenario that you just described,  
19 is there any other training that an ear, nose and throat  
20 doctor could receive or undergo that would allow them to  
21 make a decision as to how to prophylactically treat a  
22 potential brain infection?

23 A No. I think it's a question of the standard of  
24 care would be if you feel like you're outside your range of  
25 your expertise, you usually get a consultation.

1 Q Do you have an opinion today as to whether or not  
2 Dr. Saxon has sufficient expertise to make a decision as to  
3 how to treat with antibiotic prophylactically John Parsons'  
4 potential brain infection of March 28, 1999 and at any point  
5 thereafter up to April 2, 1999?

6 A No.

7 Q Doctor, had sufficient symptoms and results of  
8 examination occurred on March 22, 1999 to cause Dr. Saxon to  
9 feel a further treatment was necessary of John Parsons, what  
10 type of radiographic images, if any, would the standard of  
11 care require John Parsons to undergo?

12 A Well, they were doing serial CAT scans, and it  
13 appeared the patient was improving. The other test you can  
14 do is an MRI scan depending on the consultation of the  
15 radiology department.

16 Q I'm not sure if it's -- your hearing me or my  
17 statement. I was referring to March 22, 1999. My  
18 understanding is --

19 A March 22. Repeat your question.

20 Q Assuming sufficient symptoms and results of the  
21 office examination of John Parsons occurred on March 22,  
22 1999 to warrant Dr. Saxon to pursue additional forms of  
23 treatment of John Parsons, what treatment would the standard  
24 of care have required he undergo?

25 MR. SHEPARDSON: Object to the form of the  
Brandon-Smith Reporting Service, LLC

1 question.

2 A If there were sufficient symptoms in his judgment,  
3 do further testing. He probably would admit him to the  
4 hospital, and to get a CAT scan would be the next step.

5 BY MR. KOCIENDA:

6 Q What form of CAT scan would that be? Do you have  
7 any opinion of that, Doctor?

8 A No.

9 Q Would a consultation be required under the  
10 standard of care to determine what type of radiographic  
11 imaging was required to further treat John Parsons assuming  
12 sufficient symptoms and office results required sending to  
13 the hospital for such testing?

14 A You would do a --

15 MR. SHEPARDSON: Objection.

16 A -- standard CAT scan of the sinuses, as was done  
17 when he was in the emergency room.

18 MR. KOCIENDA: Okay. I think I'm done, but I'm  
19 just going to take a quick break if that's okay.

20 MR. SHEPARDSON: Fine.

21 (Off the record: 3:34 p.m. to 3:44 p.m.)

22 BY MR. KOCIENDA:

23 Q Doctor, you said you reviewed Dr., I think it was  
24 Lange and Dr. Lantner's deposition transcripts in the course  
25 of your review for this deposition?

Brandon-Smith Reporting Service, LLC

1 MR. SHEPARDSON: Lander?

2 MR. KOCIENDA: Lantner.

3 A Dr. Lange was the neurosurgeon. I'm not sure of  
4 Dr. Lantner.

5 BY MR. KOCIENDA:

6 Q I believe Dr. Lantner was the author of the  
7 consultation of March 28, 1999, the neurosurgeon  
8 consultation. Do you need to look at that again, Doctor, to  
9 refresh your memory? Well, actually, you have the  
10 transcripts. You might as well look at them to see if that  
11 refreshes your memory, Exhibit 4.

12 A Lantner's, okay.

13 MR. SHEPARDSON: There's no question yet.

14 BY MR. KOCIENDA:

15 Q Do you remember reviewing that, Doctor?

16 A I do.

17 Q Doctor, have you ever requested from a  
18 neurosurgeon a consultation regarding the appropriate  
19 antibiotic and been told to consult an infectious disease  
20 expert instead?

21 A No.

22 Q What is your understanding as to what information  
23 a neurosurgeon consult would provide you as an ENT doctor in  
24 treating a potential brain infection?

25 MR. SHEPARDSON: Object to the form of the  
Brandon-Smith Reporting Service, LLC

1 question. You can answer it if you can.

2 A I expect them to provide me with appropriate  
3 treatment in terms of antibiotics or whatever else they did  
4 deem necessary.

5 BY MR. KOCIENDA:

6 Q Doctor, does the standard of care in treating a  
7 patient after FESS surgery who presents as John Parsons did  
8 on March 28, 1999 ever require a consultation of an  
9 infectious disease doctor?

10 A I think it ought to be in the judgment of the  
11 physicians at the time of admission of what type of  
12 infection they were dealing with, whether they're dealing  
13 with an infection and what type and basically the condition  
14 of the patient; or over the next few days in the hospital,  
15 if the patient isn't improving on the appropriate treatment,  
16 then you get a consultation to see if something could be  
17 changed.

18 Q Did the standard of care require, as in the case  
19 of John Parsons on March 28, 1999, when the neurosurgeon  
20 suggests possibly consulting with an infectious disease  
21 doctor, that that doctor do, in fact, consult with an  
22 infectious disease doctor?

23 A No.

24 MR. SHEPARDSON: Objection to the form of the  
25 question. You answered it. Objection to the form of the

1 question in terms of what was actually on the record, but  
2 you answered it.

3 A No, but I think the neurosurgical consultation I  
4 guess I'd say was fairly weak in terms of recommending  
5 anything.

6 BY MR. KOCIENDA:

7 Q You're referring to the March 28, 1999 consult in  
8 John Parsons' case?

9 A Correct.

10 Q Did the standard of care require, given the  
11 weakness of that consultation, that Dr. Saxon seek out an  
12 additional consultation from someone who would give him the  
13 information he was seeking?

14 A No. I think that consultation would give me the  
15 feeling that there was no big deal going on and they weren't  
16 worried about it too much, so just keep an eye on him.

17 Q What do you base that opinion on, Doctor?

18 A That everything was questioned and they say,  
19 "We'll see him in a week."

20 Q I'm sorry, Doctor, what portion -- is it solely  
21 based on language in the consult report?

22 A Correct.

23 Q What language are you referring to?

24 A It says: Question, consider antibiotic, question  
25 ID, follow-up one week. That would lead me to believe that

1 they're not really too worried about anything.

2 Q Doctor, what presentment would the standard of  
3 care require for an ear, nose and throat doctor with a  
4 patient such as John Parsons to seek an infectious disease  
5 consult at all?

6 A As I just said in the previous answer, if the  
7 patient's conditions seem to be deteriorating, there are  
8 signs of -- there was any sign of a situation where things  
9 were worsening, deteriorating and you wanted an opinion of  
10 how else the patient should be treated.

11 Q Doctor, going back to the time period between  
12 March 22, 1999 and March 28, 1999, have you ever been  
13 presented with a patient after FESS surgery who complained  
14 of headaches?

15 A All the time.

16 Q How about vomiting?

17 A That's fairly common.

18 Q Why is that common?

19 A Usually from Codeine, from the pain medication.

20 Q Do you know what type of Codeine prescription  
21 John Parsons was receiving at that time?

22 A No, I don't.

23 Q If I told you it was Tylox, would that -- do you  
24 remember that being the case, or do you have --

25 A It would be a common one that could cause nausea

1 and vomiting.

2 Q What are the ingredients of Tylox? Is Tylenol  
3 part of that?

4 A I believe Tylenol is part of it, and then there's,  
5 I believe, hydrocodone, which is a synthetic Codeine.

6 Q Nausea as well, is that a symptom of Tylox?

7 A Nausea and vomiting is semi-common with narcotics.

8 Q And sleeplessness, is that also a side effect of a  
9 narcotic?

10 MR. SHEPARDSON: Of what narcotic?

11 MR. KOCIENDA: Tylox.

12 A Either a side effect of a narcotic, or it can be  
13 usually from people -- people complain of that in terms of  
14 their nose being blocked after surgery and pain.

15 BY MR. KOCIENDA:

16 Q Doctor, at what level of complaints of headaches,  
17 vomiting and nausea would you send your patient to have  
18 radiographic imaging done in a hospital?

19 MR. SHEPARDSON: I'm going to object to the form  
20 of the question. I'll let him answer it, but he's answered  
21 this one about six different times.

22 MR. KOCIENDA: I'm asking the doctor personally,  
23 not the standard of care at this point.

24 A I can't quantify that. It really depends on  
25 looking at the patient in the office and trying to determine

1 if there's really something going on here or do they just  
2 have a low pain threshold.

3 Q Has a patient after FESS surgery which you've  
4 performed ever presented to you with headaches, vomiting,  
5 nausea without a fever and without bleeding or a CSF leak  
6 and you have sent them to the hospital for radiographic  
7 imaging?

8 A No.

9 Q Doctor, you had said that the standard of care  
10 required that Dr. Saxon assess John Parsons when he  
11 presented on March 22 and March 26, 1999 as to reviewing his  
12 complaint of headaches, nausea, vomiting and sleeplessness.  
13 What in particular does the standard of care require that  
14 Dr. Saxon be looking for in assessing the patient's  
15 rendition of those symptoms?

16 A I've covered this a few times, but you're looking  
17 for fever, any orbital changes, any change in memory, neck  
18 stiffness, bleeding, spinal fluid leak.

19 Q Assuming those were not present, you had said that  
20 Dr. Saxon, under the standard of care, is required to review  
21 the subjective symptoms as they're explained by the patient  
22 to him. What is it in reviewing the patient in explaining  
23 those symptoms that the doctor, Dr. Saxon in this case,  
24 should have been looking for as far as reviewing those  
25 symptoms?

1 MR. SHEPARDSON: Objection to the form of the  
2 question as being convoluted, unanswerable.

3 BY MR. KOCIENDA:

4 Q Let me back up, then. Doctor, correct me if I'm  
5 wrong, I believe you said the standard of care required  
6 Dr. Saxon on March 22 and March 26 to assess the symptoms  
7 being related to him by John and Alana Parsons in the  
8 presence of the patient, correct?

9 A Correct.

10 Q Okay. In assessing those symptoms, the symptoms  
11 being headaches, increasing headaches, vomiting, nausea,  
12 what is it Dr. Saxon should have been looking for under the  
13 standard of care with regard to assessing the patient's  
14 rendition of those symptoms and those symptoms only?

15 MR. SHEPARDSON: Object to the form of the  
16 question.

17 A That's really hard to answer, because it really  
18 depends on the assessment of the patient in the office, and  
19 you're not really looking for anything specific, because  
20 they're all subjective symptoms. If you cancel out all the  
21 things I mentioned before which would be objective symptoms  
22 and you're just dealing with how much headache does a person  
23 have and what do they look like, and I don't think there's a  
24 scale for that.

25 Q Is there ever a level of headaches, vomiting and  
Brandon-Smith Reporting Service, LLC

1 nausea without the objective symptoms that you would agree  
2 the standard of care required the patient to be sent to the  
3 hospital for radiographic imaging?

4 A No.

5 MR. SHEPARDSON: That's been -- he answered it.

6 Objection.

7 BY MR. KOCIENDA:

8 Q Is there any negative downfall for sending a  
9 patient to have radiographic imaging done?

10 MR. SHEPARDSON: Objection.

11 A No. Cost.

12 BY MR. KOCIENDA:

13 Q Does Hartford Hospital have infectious disease  
14 doctors available for consultation?

15 A Yes.

16 Q Have you ever consulted with infectious disease  
17 doctors as far as treating a post FESS patient?

18 A Not that I can remember specifically, no.

19 MR. KOCIENDA: I think that's all I have.

20 MR. SHEPARDSON: No questions.

21 MR. KOCIENDA: For the record, exhibits --

22 MR. SHEPARDSON: One of those is yours. How many  
23 of those are actually yours?

24 MR. KOCIENDA: A couple of them.

25 MR. SHEPARDSON: Whatever are yours, do you want

1 to keep them and make copies?

2 MR. KOCIENDA: Yes. For the record, I have  
3 Exhibit 1 and Exhibit 5, Exhibit 1 being the re-notice of  
4 taking the deposition, and Exhibit 5 being the disclosure of  
5 expert witnesses. And I believe Dr. Carpenter has Exhibit 3  
6 which is the medical records.

7 THE WITNESS: 3, 3-A and 2.

8 MR. KOCIENDA: 3-A, which is the memorandum, and 2,  
9 which is the curriculum vitae, and Exhibit 4, which is the  
10 stack of deposition transcripts. Thank you, Doctor.

11

12 (Deposition concluded: 3:56 p.m.)

13

14

15

16

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

JURAT

\_\_\_\_\_  
Robert Carpenter, M.D., M.S., F.A.C.S.

Subscribed to and sworn before me on  
this \_\_\_\_\_ day of \_\_\_\_\_ 2003.

\_\_\_\_\_

My Commission Expires:

T. Pratt

Brandon-Smith Reporting Service, LLC

1 ERRATA SHEET  
2 Page Line From To

3 \_\_\_\_\_

4 \_\_\_\_\_

5 \_\_\_\_\_

6 \_\_\_\_\_

7 \_\_\_\_\_

8 \_\_\_\_\_

9 \_\_\_\_\_

10 \_\_\_\_\_

11 \_\_\_\_\_

12 \_\_\_\_\_

13 \_\_\_\_\_

14 \_\_\_\_\_

15 \_\_\_\_\_

16 \_\_\_\_\_

17 \_\_\_\_\_

18 \_\_\_\_\_

19 \_\_\_\_\_

20 \_\_\_\_\_

21 Date Robert Carpenter, M.D., M.S., F.A.C.S.  
Sworn to before me this \_\_\_\_\_ day  
22 of \_\_\_\_\_, 2003.

23 \_\_\_\_\_  
Notary Public

24 My Commission Expires: \_\_\_\_\_  
25 T. Pratt

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C E R T I F I C A T I O N

STATE OF CONNECTICUT:  
COUNTY OF HARTFORD:

I, TIFFANY V. PRATT, a Notary Public duly commissioned and qualified in and for the State of Connecticut, do hereby certify that pursuant to Re-Notice there came before me on the 10th day of January, 2003, the following named person, to wit: ROBERT CARPENTER, M.D., M.S., F.A.C.S., who was by me duly sworn to testify to the truth and nothing but the truth; that he was thereupon examined upon his oath; that the examination was reduced to writing by computer under my supervision and that this transcript is a true record of the testimony given by said witness.

I further certify that Exhibits 1 and 5 were retained by Attorney Kocienda, Exhibits 2, 3, 3-A and 4 were retained by the witness.

I further certify that I am neither attorney nor counsel for, nor related to, nor employed by any of the parties to the action in which this deposition was taken, and further, that I am not a relative or employee of any attorney or counsel employed by the parties hereto, or financially interested in the outcome of this action.

In witness whereof I have hereunto set my hand this \_\_\_\_\_ day of \_\_\_\_\_, 2003.

\_\_\_\_\_  
Tiffany V. Pratt  
Notary Public

My Commission expires  
July 31, 2005

1 BRANDON-SMITH REPORTING SERVICE, LLC  
2 44 Capitol Avenue  
3 Hartford, CT 06106  
4 (860) 549-1850

5 \_\_\_\_\_, 2003

6 Herbert J. Shepardson, Esquire  
7 Cooney, Scully and Dowling  
8 Hartford Square North  
9 10 Columbus Boulevard  
10 Hartford, Connecticut 06106-1944

11 Dear Mr. Shepardson:

12 Enclosed please find your copy of the deposition transcript  
13 of ROBERT CARPENTER, M.D., M.S., F.A.C.S., taken on  
14 January 10, 2003.

15 The original jurat and errata sheets are also enclosed.  
16 Please note that the witness is allowed 30 days to read and  
17 sign the deposition as the rules provide.

18 Please return only the original notarized jurat and errata  
19 sheets to Attorney Kocienda for filing. Thank you for your  
20 prompt attention to this matter.

21 If you have any questions, please feel free to call me.

22 Sincerely yours,

23

24 Tiffany V. Pratt, LSR 00128  
25 Brandon-Smith Reporting Service, LLC

26

27

28

29

30

31

Brandon-Smith Reporting Service, LLC

1 BRANDON-SMITH REPORTING SERVICE, LLC  
2 44 Capitol Avenue  
3 Hartford, CT 06106  
4 (860) 549-1850

5 CASE NAME: John K. Parsons and Alana B. Parsons  
6 vs.  
7 Ronald J. Saxon, M.D. and Saxon & Nova, P.C.

8 DEPOSITION OF: Robert Carpenter, M.D., M.S., F.A.C.S.  
9 DATE: January 10, 2003

10 The following items checked pertain to the above-captioned  
11 case:

- 12 \* ORIGINAL TRANSCRIPT enclosed in protective,  
13 sealed white envelope.
- 14 \_\_\_\_\_ EXHIBITS attached to ORIGINAL TRANSCRIPT.
- 15 \_\_\_\_\_ READING/SIGNING WAIVED \* NOT WAIVED

16 When you receive the Notarized JURAT and ERRATA SHEET from  
17 the deponent, DO NOT open the sealed envelope. Just attach  
18 notarized sheets to the outside of said envelope and  
19 properly retain for the Court.

20 Signed \_\_\_\_\_  
21 Tiffany V. Pratt  
22 Brandon-Smith Reporting Service, LLC

23 Date Sealed \_\_\_\_\_

24  
25