

1 IN THE DISTRICT COURT OF HARRIS COUNTY, TEXAS
151st JUDICIAL DISTRICT

2

3 REED FRANK ZEIGLER AND)
COLLEEN McCARTHY)
4 ZEIGLER)
V.) CAUSE NO. 2016-77555
5 HUNG T. NGUYEN, M.D.)
AND HARVINDERPAL SINGH,)
6 M.D.)

7

8

9 VIDEOTAPED DEPOSITION OF BRIAN CARMINE, M.D., an
10 expert witness in the above-entitled cause, taken
11 before Susan Lozzi, Registered Professional Reporter
12 and Notary Public in and for the Commonwealth of
13 Massachusetts, pursuant to the Texas Rules of Civil
14 Procedure, at Rubin Rudman, LLP, 53 State Street,
15 Boston, Massachusetts, on Tuesday,
16 February 20, 2018, commencing at 1:57 p.m.

17

18

19

20

21

22

23

24

25

1 APPEARANCES:

2

3 SERPE, JONES, ANDREWS, CALLENDER & BELL, PLLC
2929 Allen Parkway - Suite 1600
4 Houston, Texas 77019
(713) 452-4400
5 For the Defendant, Harvinderpal Singh, M.D.
BY: JOHN S. SERPE, ESQ.

6

7 ENGELHARDT LAW, PLLC
4544 Post Oak Place Drive - Suite 270
8 Houston, Texas 77027
(713) 626-1616
9 For the Plaintiffs
BY: STEVEN ENGELHARDT, ESQ.

10

11 LAW FEEHAN ADAMS, LLP
550 Westcott Street - Suite 250
12 Houston, Texas 77007
(713) 652-6000
13 For the Defendant, Steven Ugbarugba, M.D.
BY: ANGELA MARIE NOLAN, ESQ.

14

15 BOSTON & HUGHES, P.C.
8584 Katy Freeway - Suite 310
16 Houston, Texas 77024
(713) 961-1122
17 For the Defendant, Dr. Patel.
BY: MATTHEW B.E. HUGHES, ESQ.

18

19

20 ALSO PRESENT:
Cuirey Eckmayer, Videographer

21

22

23

24

25

1

INDEX

2

BRIAN CARMINE, M.D.

Page

3

Direct Examination by Mr. Serpe	4
Cross-Examination by Ms. Nolan	77
Cross-Examination by Mr. Hughes	147
Cross-Examination by Mr. Engelhardt	159
Redirect Examination by Mr. Serpe	169
Recross-Examination by Ms. Nolan	177

7

EXHIBITS

8

No. Description

Page

9

Exhibit 1	DEFENDANT, STEVEN C. UGBARUGBA, M.D.'S CROSS NOTICE OF INTENTION TO TAKE ORAL DEPOSITION AND SUBPOENA DUCES TECUM OF BRIAN CARMINE, M.D.	79
-----------	------------------------------------------------------------------------------------------------------------------------------------------------------	----

12

13

14

15

16

17

18

19

20

21

22

23

24

25

<p style="text-align: right;">Page 4</p> <p>1 P-R-O-C-E-D-I-N-G-S 2 THE VIDEOGRAPHER: Today is 2018, 3 February 20th. This is the deposition of Brian 4 Carmine, M.D., in the matter of Reed Frank Zeigler, 5 et al. vs. Hung T. Nguyen, M.D., et al. 6 We're on the record at 1:57 p.m. 7 Will counsel please states their appearances, after 8 which the court reporter will swear in the witness. 9 MR. ENGELHARDT: Steve Engelhardt for 10 the Plaintiffs. 11 MR. SERPE: John Serpe for Dr. Singh. 12 MS. NOLAN: Angela Nolan for 13 Dr. Steven Ugbarugba. 14 MR. HUGHES: Matthew Hughes for 15 Dr. Patel. 16 BRIAN CARMINE, M.D., having been sworn 17 to tell the truth, testified as follows: 18 DIRECT EXAMINATION BY MR. SERPE: 19 Q. Would you state your name, for the record. 20 A. Brian Carmine. 21 Q. And what do you do for a living? 22 A. I'm a general surgeon, minimally invasive 23 surgeon. 24 Q. And where do you practice? 25 A. I practice here in Boston at Boston</p>	<p style="text-align: right;">Page 6</p> <p>1 A. There's a lot of hospitals here. 2 Q. I know. Okay. Doctor, let me -- let me 3 ask you first, you've been retained as an expert 4 witness on behalf of the Plaintiffs in this case 5 correct? 6 A. Correct. 7 Q. And how many other times have you been 8 retained as an expert witness? 9 A. It would be difficult for me to say. I 10 probably review about two or three cases a month. 11 Q. Okay. 12 A. So I've been doing that for about four to 13 five years, so however that averages out. 14 Q. And how do the cases come to you? Is 15 there -- if somebody was looking to hire you, is 16 there -- are you listed in a -- with any of these 17 advocacy groups or exert witness groups? 18 A. Yeah, the majority of my cases come from a 19 firm here in town. I'd say that's probably where 90 20 percent of my cases come from. 21 I -- I somehow found or I somehow got into 22 a -- something called the Expert Institute, and I 23 got a bunch of e-mails from them and -- and every 24 now and then I'll get a case and -- and every now 25 and then I'll get an e-mail directly from somebody</p>
<p style="text-align: right;">Page 5</p> <p>1 Medical Center. 2 Q. All right. And for the people in the 3 jury, Boston, Massachusetts? 4 A. Yes. 5 Q. All right. There's a couple other 6 Boston's, but you've been in Boston. It looked like 7 following your medical school training, you were -- 8 you did advanced training here and have stayed in 9 the area? 10 A. Yes. 11 Q. All right. And are you board certified? 12 A. I am. 13 Q. Okay. And tell us where you have 14 privileges. 15 A. I have privileges at Boston Medical Center 16 and at Boston Children's Hospital. 17 Q. And do those hospitals have an affiliation 18 with Harvard or is that a different hospital group 19 up here? 20 A. Boston Children's has an affiliation with 21 Harvard. 22 Q. I got you. And then Mass. General was a 23 different hospital? 24 A. Mass. General's a different hospital. 25 Q. Okay.</p>	<p style="text-align: right;">Page 7</p> <p>1 who is a friend of a friend who I had worked with 2 before, but I would say it's primarily this one firm 3 in town, Expert Institute, and then a scattershot of 4 direct referrals. 5 Q. And what's the firm in town? 6 A. Lubin & Meyer. 7 Q. Plaintiffs or defense? 8 A. They're plaintiffs. 9 Q. Okay. And what -- is the Expert Institute 10 something where -- do you have a contract with them? 11 A. No. To be honest, I have no idea even how 12 I got on their e-mail list. 13 Q. Okay. So are there times when the Expert 14 Institute e-mails you or calls you and says will -- 15 here's a case potentially for you to review? 16 A. Yeah, usually it's a e-mail that says 17 we -- we this have case. It might fit your 18 specialty. You click on it. It lists the 19 defendants and -- and conflict, no. You click on 20 that and it gives you the details of case. 21 Q. Okay. And then do they bill for your time 22 or how does that work? 23 A. No. So usually what happens is, is you 24 read the case. If I feel like it's something I can 25 speak knowledgeably on, I will say, yeah, I can</p>

<p style="text-align: right;">Page 8</p> <p>1 speak knowledgeably on this and then -- and then 2 it's usually followed, you know, a few days to a 3 week later by a direct e-mail saying, you know, 4 they -- they reviewed your responses and the -- and 5 then from that point you're just dealing with the 6 attorney directly.</p> <p>7 Q. Okay. How does the Expert Institute get 8 paid on that, if you have any idea?</p> <p>9 A. I have no idea.</p> <p>10 Q. Okay. How many times have you given a 11 deposition?</p> <p>12 A. Twice.</p> <p>13 Q. Okay. And what were the other two cases 14 about?</p> <p>15 A. One was a case on a gentleman who had 16 perforated diverticulitis. That one was just for a 17 cause that -- I think it was a case against a 18 radiologist whether or not they missed a read.</p> <p>19 Q. Okay.</p> <p>20 A. So I was just brought on to discuss what 21 are the -- what can happen with missed perforated 22 diverticulitis, and the other case was a case where 23 when closing the abdomen, the intestine was 24 punctured.</p> <p>25 Q. Okay. And what are your -- let's go</p>	<p style="text-align: right;">Page 10</p> <p>1 Boston University School of Medicine. 2 Q. Okay. And is that a paid or unpaid 3 position?</p> <p>4 A. It's complicated. So technically I am 5 paid by Boston University, but there's -- the way 6 the healthcare gets reimbursed, it's essentially the 7 hospital pays the university who then -- the 8 university's essentially a middleman for the 9 hospital's money.</p> <p>10 Q. Okay.</p> <p>11 A. But that's -- so, yes, the paycheck has 12 the university logo on it, but -- but the dollars 13 come from the hospital.</p> <p>14 Q. Understand. What -- in terms of your 15 practice, in terms of your academic practice, are 16 you involved in doing classroom teaching?</p> <p>17 A. Yes.</p> <p>18 Q. All right. Tell me about that.</p> <p>19 A. So I am very involved with the residents, 20 so it's an academic medical center. Pardon me. So 21 it's academic medical center.</p> <p>22 I'm very involved with training the 23 residents, the -- as well as the medical students 24 both at Boston University and then the Harvard 25 medical students who occasionally cross my path when</p>
<p style="text-align: right;">Page 9</p> <p>1 through your fee schedule for doing expert work.</p> <p>2 A. Yeah.</p> <p>3 Q. Tell me about that.</p> <p>4 A. So it's -- it's \$500 an hour for the 5 initial review of the documents with a minimum of 6 two hours and then deposition or trial is \$2,500 for 7 a half day, 5,000 for a full day.</p> <p>8 Q. I asked you about depositions. How about 9 testifying live at a trial? Have you done that?</p> <p>10 A. I ended up testifying live in a trial on 11 the diverticulitis case.</p> <p>12 Q. Okay. Where -- where was that case? 13 Where was trial?</p> <p>14 A. It was here in Massachusetts. I think it 15 was -- it was in a town called Lynn, if I remember 16 correctly.</p> <p>17 Q. Okay. Do you know what happened, what the 18 outcome was of the case?</p> <p>19 A. I believe they did not win or they -- they 20 found for the defense.</p> <p>21 Q. Okay, got you. Happens sometimes. All 22 right. And is the -- the money you charge, do you 23 have any -- let me step back from there.</p> <p>24 Affiliations with a medical school?</p> <p>25 A. Yes. I am a assistant professor at the</p>	<p style="text-align: right;">Page 11</p> <p>1 I'm over at Children's. I'm also the associate 2 director of simulation at our Simulation Center at 3 Boston Medical Center where we run a number of 4 similar scenarios, skill tasks for the residents, 5 nurses.</p> <p>6 Q. Got you. How about -- do you -- do you 7 walk into a classroom and teach people or is it 8 mostly clinical bedside teaching?</p> <p>9 A. No. I do -- I do formal lectures.</p> <p>10 Q. Okay.</p> <p>11 A. Yeah.</p> <p>12 Q. Is that -- do you teach a specific course?</p> <p>13 A. There's a few topics that I teach sort of 14 over and over again but mostly related to 15 surgical -- specific -- specific surgical topics.</p> <p>16 Q. Okay.</p> <p>17 A. But, no, there's no course that I teach, 18 for instance, for a whole semester beginning to end. 19 Nothing like that.</p> <p>20 Q. What about -- I know you mentioned you do 21 work at the Children's Hospital, as well. What's 22 the breakdown in your patient population between 23 adult and child?</p> <p>24 A. Oh, I would say 90 percent adult and then 25 10 percent, and the -- the children are adolescents.</p>

<p style="text-align: right;">Page 12</p> <p>1 Q. Okay. 2 A. So teenagers. 3 Q. Okay. So you, for example, don't do 4 general surgery on a newborn or a three- or 5 four-year-old? 6 A. No. 7 Q. Okay. So I'll circle back to what I was 8 going to ask before. When you do bill on cases, do 9 does that go directly to you or do you have some 10 arrangement with the university or the hospital 11 about splitting your fees? 12 A. Again, you'd have to talk to our -- to our 13 money people essentially how it works, but, yeah, 14 no, I bill -- we bill directly to the insurance 15 company for a surgeon's fee but then there are, 16 these days, especially in Massachusetts, nobody can 17 survive on a surgeon's fee alone. 18 So, for instance, if we do a case like a 19 gastric bypass or a colectomy, a case that will stay 20 inpatient, really the hospital generates a fair 21 amount of revenue from that inpatient stay. They 22 then send that revenue back to what's called our 23 Faculty Practice Foundation. 24 Q. Okay. 25 A. And a supplemental portion of our paycheck</p>	<p style="text-align: right;">Page 14</p> <p>1 that you -- had you been provided with all the 2 records as far as you know in this case? 3 A. As far as I know. 4 Q. All right. And I assume that with later 5 hospitalizations, that -- I don't know. If you 6 spent eight or nine hours, I'm assuming you didn't 7 read every page of those records? 8 A. So top to bottom, I didn't. The -- the 9 majority of my time was spent on the initial 10 hospitalizations and then the -- up to -- he was 11 transferred to I believe a place called St. Luke's. 12 Q. Right. 13 A. And through the course of that 14 hospitalization. There was a lot of notes of things 15 that happened in rehab. There was a lot of nursing 16 notes and essentially notes of -- page after page of 17 vital signs, labs, dressing care, things like that. 18 Those were -- obviously didn't spend -- spend as 19 much time on those. 20 Q. Okay. What depositions have you reviewed? 21 A. I believe I've seen Dr. Singh's. 22 Is it Dr. Ugbarugba? 23 Q. I think we call him Dr. Ugbarugba for -- 24 A. Dr. Ugbarugba. 25 Q. -- for easy sake.</p>
<p style="text-align: right;">Page 13</p> <p>1 comes from that foundation. 2 Q. All right. How about for your expert 3 witness work? Does that go just directly to you? 4 A. That goes just directly to me. 5 Q. Okay. 6 A. Yes. 7 Q. Got you. And what percentage of your 8 income do you think comes from doing the expert 9 witness work? 10 A. Oh, probably -- I'll have to think about 11 that. Probably 10 -- less than 10 percent. I would 12 say between 5 and 10 percent. 13 Q. Okay. How many hours have you spent 14 working on this case? 15 A. This case specifically? 16 (Pause.) 17 A. I wouldn't even -- this was -- this has 18 been a long one. I wouldn't even know where to -- 19 to guess, but -- so the initial review, it was a 20 good solid three hours of -- of even just sorting 21 out those notes and then we prepared a report. You 22 know, I would say probably a total of about eight or 23 nine hours. 24 Q. All right. And I'm assuming that -- I 25 think you mentioned prior to our getting started</p>	<p style="text-align: right;">Page 15</p> <p>1 A. And Dr. Patel's. 2 Q. Okay. Read any family member depositions? 3 A. In the very beginning I did read a 4 deposition I believe from either his mother or 5 father. I don't remember. 6 Q. Okay. 7 A. But that was -- that was from I think -- 8 that was when I first got the case if I remember 9 correctly. 10 Q. All right. And what did you do to prepare 11 for the deposition today? 12 A. I just reviewed my report and the facts of 13 the case. The report's pretty long and I -- I like 14 to highlight what I think is the pertinent points. 15 Q. Okay. 16 A. And then I didn't see the depositions 17 until just a few weeks ago, so those are pretty 18 fresh in my mind. 19 Q. Okay. How about meetings with counsel? 20 A. No. I just -- I just had lunch with 21 Mr. Engelhardt here, and I would say that was our 22 only real formal meeting about this. 23 Q. Okay. Well, what pearls of wisdom did he 24 give you about the deposition today? 25 A. Say the facts as I see them, so...</p>

<p style="text-align: right;">Page 16</p> <p>1 Q. Okay. All right. Easy enough. 2 A. Yeah. 3 Q. All right. So I looked up a little bit 4 about the bariatric surgery practice at your -- that 5 you're engaged in because you're listed as maybe 6 the -- as the No. 2 person at the weight loss 7 surgery clinic at Boston Medical Center. Does that 8 sound right? 9 A. That sounds right. 10 Q. Okay. So let's talk about that. What 11 percentage of your surgery work is bariatric? 12 A. These days it's more and more, so I'd 13 probably say two-thirds maybe climbing up to 14 75 percent. 15 Q. Now, and let me draw a distinction. I 16 know that you list various types of bariatric 17 surgery or the center does, and I -- do you perform 18 all three types of surgery? 19 A. I'm not sure what three types are listed, 20 but all we're doing -- probably -- probably what is 21 listed is the gastric bypass, the sleeve gastrectomy 22 and the lap band. 23 Q. Exactly. 24 A. Yeah, the lap band we're not doing 25 anymore.</p>	<p style="text-align: right;">Page 18</p> <p>1 Q. In your personal practice, were you -- was 2 it your belief that people doing the lap band when 3 you were performing the surgery were not doing as 4 well long-term as -- as the alternatives? 5 A. Yes. 6 Q. Okay. And then that was I assume 7 formalized in some peer review studies? 8 A. Yeah. 9 Q. Okay. So let's go back to your training. 10 Where were you trained in doing bariatric surgery? 11 A. So it started during my residency at 12 Boston Medical Center. And especially my -- my 13 fourth and fifth years, that's where I became 14 interested in the minimally invasive surgery. 15 My mentor at that time, who's now my 16 partner, was interested in hiring me and so we -- I 17 stayed on one more year as a fellow. 18 But also I was a -- essentially had 19 attending privileges at the hospital, so I took some 20 general surgery call during my fellowship and then 21 stayed on as planned as a -- as a partner and that's 22 where I've been ever since. 23 Q. Okay. Let me break that, two questions. 24 First of all, your mentor, would that be Dr. Hess? 25 A. Yes.</p>
<p style="text-align: right;">Page 17</p> <p>1 Q. No lap bands. Why -- why are lap bands 2 out? 3 A. They don't really have long-term durable 4 weight loss, certainly not compared to the other two 5 procedures. They come with a number of long-term 6 side effects and complications. 7 The band is a machine and you think, you 8 know, like any machine, like a car or a hot water 9 heater it breaks down over time, and it was touted 10 as being the safe alternative to some of the other 11 operations, and the other operations now are done 12 with such frequency that the safety profile really 13 has approached that of the lap band -- 14 Q. Okay. 15 A. -- with a better result. 16 Q. Was there a period in time in your career 17 where you were doing lap band surgery? 18 A. Yes. 19 Q. Were you part of any studies that were 20 looking at the long-term outcomes with lap band? 21 A. Not formal studies, but all of our 22 patients did go into a database and that database 23 goes into a national database and that is part of 24 what has been used to look at the -- the overall 25 long-term outcomes of a band.</p>	<p style="text-align: right;">Page 19</p> <p>1 Q. Okay. And you are now partners with 2 Dr. Hess? 3 A. Yes. 4 Q. All right. And then you -- you've 5 mentioned twice now "minimally invasive surgery." 6 Tell -- tell the jury what that is. 7 A. So "minimally invasive surgery" is a way 8 to approach commonly done operations, operations 9 that were previously done through what we call a 10 laparotomy incision, which is a large incision down 11 the middle of the stomach. 12 It's a way to do that through smaller 13 incisions to improve recovery time, healing and all 14 the complications that go along with such a large 15 incision. 16 Q. All right. So going back to your 17 training, in other words, what type of surgeries 18 were you doing in your residency on bariatric 19 patients? 20 A. I would be the first assist on the gastric 21 bypass. Back then we were still doing the lap 22 bands. That was -- at that time those were the two 23 operations that we were primarily doing. We didn't 24 start doing the sleeve until after I was an 25 attending.</p>

<p style="text-align: right;">Page 20</p> <p>1 Q. Okay. So give me an idea in terms of your 2 training, would it have been under Dr. Hess?</p> <p>3 A. It would have been Dr. Hess, and the 4 other partner at that time was a man named Dr. 5 Miguel Burch, B-U-R-C-H, who has since moved to 6 Los Angeles.</p> <p>7 Q. Okay. So you would, I'm assuming, scrub 8 in on bariatric surgery with either of those two 9 doctors as a -- in your residency training?</p> <p>10 A. Yes.</p> <p>11 Q. All right. And tell me, did you keep any 12 kind of surgical logs about how many patients you 13 assisted with?</p> <p>14 A. Yeah, in order to graduate, we have to log 15 the cases we do. We have to meet certain numbers, 16 so, yes, every case I would have logged into that 17 electronic database.</p> <p>18 Q. And how many bariatric surgeries do you 19 think you assisted on during your training?</p> <p>20 A. Just residency or residency and 21 fellowship?</p> <p>22 Q. Residency and fellowship.</p> <p>23 A. Probably 250 to 300.</p> <p>24 Q. Okay. Is that more bariatric surgery than 25 many general surgeons take in a -- in a general</p>	<p style="text-align: right;">Page 22</p> <p>1 Healthgrades. I'm not really sure what goes into 2 that. I know a lot of it's insurance data, so we 3 sort of informally look at that to kind of stratify 4 ourself amongst the other programs.</p> <p>5 If you ever want to use that in your -- 6 your logo, you pay Healthgrades some exorbitant fee 7 to use their data.</p> <p>8 Q. Okay.</p> <p>9 A. So we've never done that, but that's -- 10 and we don't really know where that data comes from 11 so we don't put a lot of stock in it.</p> <p>12 Q. All right. Again, I don't know. Does 13 U.S. News & World Report rank bariatric programs, to 14 your knowledge?</p> <p>15 A. No.</p> <p>16 Q. All right. So tell me, for the Boston 17 area, do you consider -- do you all have programs 18 you consider kind of peer programs to yours in terms 19 of being a bariatric center of excellence?</p> <p>20 A. Yes. Yeah.</p> <p>21 Q. What would the peer programs be in the 22 Boston area?</p> <p>23 A. Essentially almost every hospital because 24 every hospital wants a bariatric surgery program. I 25 would say -- the ones I would say are on par with us</p>
<p style="text-align: right;">Page 21</p> <p>1 surgery residency and fellowship?</p> <p>2 A. Yes.</p> <p>3 Q. All right.</p> <p>4 A. Not fellowship but residency, yes.</p> <p>5 Q. Okay. Was your fellowship just in general 6 surgery? Was it specialized in bariatrics?</p> <p>7 A. Specialized in minimally -- minimally 8 invasive surgery.</p> <p>9 Q. Okay.</p> <p>10 A. The majority of which was bariatrics.</p> <p>11 Q. Okay. And so I'm assuming that since 12 you've been in your residency training or while you 13 were in your residency training, Boston Medical 14 Center has been a bariatric center of excellence?</p> <p>15 A. Yeah.</p> <p>16 Q. All right. I don't know if that's -- if 17 that's a term of art, but kind of a place where you 18 get a lot of referrals from the community for people 19 who are having bariatric surgery?</p> <p>20 A. Correct.</p> <p>21 Q. Okay. I didn't do this prior to the 22 deposition but let me ask, do they rank bariatric 23 programs? Are you aware of any rankings where 24 Boston Medical Center is ranked versus other people?</p> <p>25 A. No. They -- they have this thing called</p>	<p style="text-align: right;">Page 23</p> <p>1 would probably be Brigham & Women's Hospital. 2 That's B-R-I-G-H-A-M & Women's, and the 3 Massachusetts General Hospital.</p> <p>4 Q. Okay. So all three of those centers you 5 would consider to be kind of centers of excellence 6 for bariatric surgery?</p> <p>7 A. Yes.</p> <p>8 Q. All right. And do you all get referrals 9 from other hospitals of bariatric patients for you 10 to evaluate?</p> <p>11 A. Like community hospitals?</p> <p>12 Q. Sure.</p> <p>13 A. Or -- pretty rarely do we get direct 14 referrals from the hospital. We get a number of 15 patients who are operated on elsewhere who come to 16 us for second opinions, but I don't -- I don't 17 necessarily know that they're specifically referred 18 by the other hospitals.</p> <p>19 Q. Okay. That's a good point. Bariatric 20 surgery generally is elective surgery, true?</p> <p>21 A. True.</p> <p>22 Q. All right. And in looking through the 23 materials from Boston Medical Center, it looks like 24 if somebody comes into the Boston Medical Center -- 25 do they advertise the bariatric program in the</p>

<p style="text-align: right;">Page 24</p> <p>1 community?</p> <p>2 A. I don't believe that they advertise it,</p> <p>3 no.</p> <p>4 Q. Okay. But people find out about Boston</p> <p>5 Medical Center and so I assume there's a stream of</p> <p>6 people who come -- coming in for possible</p> <p>7 evaluation?</p> <p>8 A. Yes.</p> <p>9 Q. All right. So what's the -- start with</p> <p>10 the minimum for bariatric surgery at Boston Medical</p> <p>11 Center. In other words, what formula do you guys</p> <p>12 use to say this person is a possible candidate on</p> <p>13 the minimal side, minimal weight gain?</p> <p>14 A. So minimal weight we use what most people</p> <p>15 use, which is what's called the 1991 NIH Consensus</p> <p>16 Criteria. And essentially all that says is we take</p> <p>17 something called the Body Mass Index.</p> <p>18 Q. Right, BMI.</p> <p>19 A. BMI, which is the ratio of your weight to</p> <p>20 your height, and anybody with a BMI over 40 is a</p> <p>21 candidate for weight-loss surgery.</p> <p>22 Q. Okay.</p> <p>23 A. Or anybody with a BMI greater than 35 who</p> <p>24 has what we call weight-related comorbidity or an</p> <p>25 illness that can be directly tied to their obesity.</p>	<p style="text-align: right;">Page 26</p> <p>1 BMI, we're talking BMI's in the 80's, 90's, often</p> <p>2 there's a psychologic comorbidity that goes along</p> <p>3 with somebody being that heavy.</p> <p>4 That -- that would -- you know, an</p> <p>5 untreated depression, a binge-eating disorder.</p> <p>6 Things that would not make me think they would be a</p> <p>7 good candidate for weight-loss surgery.</p> <p>8 So when you get to these very, very</p> <p>9 extreme BMI's, you have to be really careful</p> <p>10 that -- that you're not doing something that's going</p> <p>11 to cause them long-term harm or putting them through</p> <p>12 the risk of an operation that it might fail. I've</p> <p>13 certainly done it, but you have to be very careful</p> <p>14 with those extreme BMI's.</p> <p>15 Q. Okay.</p> <p>16 A. The largest I've ever done is 97.</p> <p>17 Q. 97 BMI. What --</p> <p>18 A. Yes.</p> <p>19 Q. Give me an idea of what the weight was on</p> <p>20 that patient.</p> <p>21 A. I think it was 520 pounds.</p> <p>22 Q. Okay. Okay. So let's go back to</p> <p>23 training. Okay? During your training, fellowship</p> <p>24 and residency, what do you think the largest patient</p> <p>25 was that you operated on?</p>
<p style="text-align: right;">Page 25</p> <p>1 The three that most people look at are diabetes,</p> <p>2 high blood pressure and sleep apnea.</p> <p>3 Q. Okay. And so just to give -- does it</p> <p>4 matter -- the BMI, does it take into account</p> <p>5 somebody's height, sex, et cetera, or no?</p> <p>6 A. It doesn't take into account gender. It</p> <p>7 takes into account height.</p> <p>8 Q. Okay. So give me an example for somebody</p> <p>9 who's 6-foot. What kind of weight are we talking</p> <p>10 about that would get you up to about 40 BMI?</p> <p>11 A. So 6-foot, that's a tough one. My -- my</p> <p>12 prototype is the 5-foot-4, 240 pounds, which is the</p> <p>13 typical woman, that's a BMI of 40. 6-feet you'd</p> <p>14 probably have to be over 300 pounds.</p> <p>15 Q. Okay. But just -- I'm just wanting to</p> <p>16 give the jury some pictures to the kind of -- that</p> <p>17 would be would the type of patient that you would</p> <p>18 see on --</p> <p>19 A. The -- the lower end, yeah.</p> <p>20 Q. Yeah, okay. Now, what about on the higher</p> <p>21 end of -- of BMI's? Is there a -- is there a BMI</p> <p>22 that someone might be so obese that you wouldn't</p> <p>23 consider doing bariatric surgery?</p> <p>24 A. That's a bit of a difficult question.</p> <p>25 The -- certainly when somebody gets to a very high</p>	<p style="text-align: right;">Page 27</p> <p>1 A. In training? Probably maybe 70 to 75 was</p> <p>2 probably the largest in training.</p> <p>3 Q. Approximate weight?</p> <p>4 A. So that's -- you're looking in the -- in</p> <p>5 the low 400's.</p> <p>6 Q. Okay.</p> <p>7 A. 400 to 450.</p> <p>8 Q. And how many patients do you think you did</p> <p>9 at that weight during your training?</p> <p>10 A. During my training? Maybe five.</p> <p>11 Q. Okay. And during training for patients</p> <p>12 who would be in the low 400's, I'm assuming that</p> <p>13 that -- those would be the types of cases where you</p> <p>14 would be more assisting Drs. --</p> <p>15 (Pause.)</p> <p>16 A. Hess and Burch.</p> <p>17 Q. Thank you.</p> <p>18 A. Hess and Burch.</p> <p>19 Q. They're here somewhere. Okay, yeah. Hess</p> <p>20 and Burch. True?</p> <p>21 A. I didn't understand the question.</p> <p>22 Q. Yeah, sure. I'm assuming that in your</p> <p>23 training for someone who would be at -- in this very</p> <p>24 high weight, 400's to 450's, those would be more</p> <p>25 situations where you would be observing and</p>

<p style="text-align: right;">Page 28</p> <p>1 assisting as opposed to being the primary surgeon or 2 not. I'm kind of curious. 3 A. Yeah, it depends. It -- again, it depends 4 on the case and how far along I was in training, 5 but, yeah. Yes. 6 Q. Okay. Are there cases now that you ask 7 Dr. Hess to assist you with? 8 A. Yes. 9 Q. Okay. Which I'm assuming are patients 10 with unusual presentations? 11 A. Correct. 12 Q. All right. Are the types of bariatric 13 surgery you're doing currently all minimally 14 invasive? 15 A. Yes. 16 Q. Okay. Have you done a -- I call it an 17 open surgery. Is that the right term? 18 A. Yeah. 19 Q. Okay. Have you done an open surgery on a 20 patient more than 400 pounds ever? 21 A. Yes. 22 Q. Okay. How many times? 23 A. I'd have to think about it, but maybe a 24 dozen. 25 Q. Were all of those bariatric procedures or</p>	<p style="text-align: right;">Page 30</p> <p>1 will hold that back for you. We try, if we can, to 2 not do open surgeries on these patients. It's 3 the -- it's the laparoscopic surgery that saves you 4 a lot of this effort and work but sometimes it's 5 just not possible. 6 Q. Okay. Is there a weight where you 7 would -- if a patient showed up at the clinic and 8 you were evaluating them that you would say I think 9 that you're -- you're so morbidly or super morbidly 10 obese I would not recommend surgery? 11 A. It depends on the surgery and it depends 12 on -- it depends on the distribution of the weight, 13 as well. 14 Q. Okay. Well, let's go to the procedure I 15 think we'll talk about today, cholecystectomy. 16 A. Mh-hmm. 17 Q. You've done that both minimally invasive 18 and open? 19 A. Yes. 20 Q. All right. And how many have you done in 21 patients you considered morbidly obese? And give me 22 your definition of "morbidly obese." Is that 23 greater than 40? 24 A. Greater than 40. 25 Q. Okay. Greater than 40.</p>
<p style="text-align: right;">Page 29</p> <p>1 some other -- 2 A. No. 3 Q. -- procedures? 4 A. General surgery. 5 Q. Okay. As a general -- what's -- what's 6 the biggest weight of someone that you've done an 7 open procedure on or the highest weight? 8 A. Maybe around 500 pounds. 9 Q. Okay. Is there -- are there technical 10 issues in performing a surgery, say, on someone 11 who's 500 pounds versus 300 pounds? 12 A. Yes. 13 Q. Additional technical hurdles? 14 A. Yes. 15 Q. All right. Tell me what those are. 16 A. At that point to get into where the organs 17 are or the abdominal cavity, you -- you have to 18 retract the abdominal wall. 19 And as you can imagine, these patients who 20 are 500 pounds, the abdominal wall is very thick. 21 You're talking about retracting 40, 50 pounds for a 22 long period of time. 23 So, again, you -- you really have to make 24 sure your exposure's good. A lot of times you'll 25 use these self-retaining retractors, things that</p>	<p style="text-align: right;">Page 31</p> <p>1 A. I'd have a hard time giving you a number, 2 but I would say 150 to 200 morbidly obese 3 cholecystectomies. 4 Q. Okay. I see as term in the literature 5 called "super morbidly obese." Do you recognize 6 that term? 7 A. Yes. It's a bit of a -- it's a bit of an 8 antiquated term. I think mostly because it's -- it 9 sounds like a sharp term. 10 Q. What's the new term? 11 A. We -- we consider all obese morbidly -- 12 morbid obesity. 13 Q. Okay. 14 A. So that's -- again, some people even say a 15 BMI of 30 is -- is morbidly obese because once 16 you're obese, it's -- it's all morbid. 17 Q. Okay. 18 A. But -- but, yeah, the -- the classic 19 terminology is a BMI over 40 is morbidly obese, a 20 BMI over 50 is super morbidly obese. 21 Q. Okay. 22 A. And the real medical terms were for every 23 additional 10 points, you would add another super. 24 So a BMI over 60 would be super super morbidly 25 obese.</p>

<p style="text-align: right;">Page 32</p> <p>1 Q. Okay. Let's plug in for the first time 2 Mr. Zeigler for a minute. What was his BMI when he 3 first appeared at Cy-Fair Hospital? 4 A. I'm not sure I ever had his height, so I 5 wasn't able to calculate it. 6 Q. Okay. If -- I think some of the records 7 refer to a BMI of 71. Does that sound like the 8 general vicinity? 9 A. Given the weight I know that he was, 10 that -- that seems plausible. 11 Q. Okay, fair enough. Let's talk about 71 12 for a minute under which you said when people use 13 the super, that would get you 40 -- 14 A. That would be three supers. 15 Q. 50, 60, 70. 16 A. Yeah. 17 Q. Super super super morbidly obese? 18 A. Yes. 19 Q. All right. Do you guys -- in the day did 20 you use that? 21 A. We did in the day, yeah. 22 Q. All right. 23 A. But as the proportion of the population 24 that we saw got bigger and bigger and, in fact, 25 the -- the rates of BMI's that are 50, 60 and 70 are</p>	<p style="text-align: right;">Page 34</p> <p>1 Q. Okay. Got you. 2 (Pause.) 3 Q. So let me circle back to patients you've 4 evaluated for a cholecystectomy, if you've ever said 5 to a patient I think that your -- I know you 6 wouldn't say super super super morbid, but -- but 7 your -- your obesity makes me feel as though the 8 risks outweigh the benefits of the surgery? 9 A. No. I've -- I've never said that to 10 somebody. I'm trying to imagine a -- a case where I 11 would. 12 You know, I think if it was somebody who 13 came to my office with -- with symptomatic 14 gallstones, you know, they say if I eat a fatty 15 meal, I have some pain, but it doesn't look like 16 a -- you know, a pancreatitis or a cholecystitis, 17 which is an inflation of the gallbladder or an 18 infection. 19 So it's a -- it's a case that I would 20 recommend a cholecystectomy for more -- for most 21 people for. I think in a case like that, if I saw 22 somebody who I felt was so large I would probably 23 start them on some type of pre-op diet for a month 24 or so. 25 Q. Okay.</p>
<p style="text-align: right;">Page 33</p> <p>1 actually growing faster in America than the rates 2 of people in the BMI's of 40's, so as we started 3 seeing more of these patients, we just stopped using 4 that label. It sounded a little absurd to -- to say 5 it out loud. 6 Q. Got you. Would you ever say to a patient, 7 sir, you're super super super morbidly obese in the 8 day or no? 9 A. No. 10 Q. All right. 11 A. Not -- not to the patient. 12 Q. You would use that internally, though? 13 A. Yeah. 14 Q. You might talk about it. Okay. America 15 has an obesity crisis. We agree on that? 16 A. Correct. 17 Q. All right. And you're telling me 18 statistically it's -- we're -- we're getting more 19 into the super obesity even if we're not using that 20 term -- 21 A. Yeah. 22 Q. -- higher and higher BMI's? 23 A. Correct. The patients who are -- those 24 BMI's are -- are increasing more rapidly than the 25 BMI of 40 patients.</p>	<p style="text-align: right;">Page 35</p> <p>1 A. Get their weight down and then do it. 2 Q. All right. Do you have doctors -- I asked 3 before about hospital -- not transfers but referrals 4 to your center. Do you have doctors in the 5 community who refer patients to the weight-loss 6 clinic? 7 A. Yes. 8 Q. Okay. And have you had general surgeons 9 in the community who will refer patients down to 10 your center? 11 A. No. 12 Q. Okay. So, for example, you might have a 13 family doctor who would refer to a -- refer to a 14 patient somewhere in the community that would say I 15 recommend you go to Boston Medical Center for 16 evaluation? 17 A. Yes. 18 Q. All right. How far is your geographic 19 reach in terms of having patients sent to your 20 center for bariatric surgery? 21 A. The -- the war for the outlying 22 communities with all these hospitals, everybody has 23 sort of these geographic regions. You know, I would 24 say the farthest we go is probably out to the -- 25 what we call the Cape and Islands, which is Martha's</p>

Page 36	Page 38
<p>1 Vineyard.</p> <p>2 Q. Okay.</p> <p>3 A. Most of that -- I'd say that's the</p> <p>4 furthest we go, but we also extend West out to a</p> <p>5 town called Framingham, which is about 20 or</p> <p>6 30 miles away from here.</p> <p>7 Q. Okay. Do you ever get patients from other</p> <p>8 states or international patients who come here for</p> <p>9 bariatric evaluation?</p> <p>10 A. Not to Boston Medical Center. I will get</p> <p>11 that at Children's Hospital.</p> <p>12 Q. Okay.</p> <p>13 (Pause.)</p> <p>14 A. Well, actually, let me take that back. We</p> <p>15 do get a fair amount of Vermont and New Hampshire</p> <p>16 patients.</p> <p>17 Q. All right.</p> <p>18 A. Yeah.</p> <p>19 Q. How about international? I mean, --</p> <p>20 A. Not international.</p> <p>21 Q. Okay, got you. And I guess -- you</p> <p>22 mentioned earlier. Let me ask in your own personal</p> <p>23 practice whether -- I'm assuming that as you've done</p> <p>24 more cases, you've become more proficient in</p> <p>25 bariatric surgery?</p>	<p>1 A. I would agree.</p> <p>2 Q. All right. And even though it doesn't</p> <p>3 sound like those guys refer patients to you, you</p> <p>4 probably would say if -- if somebody called you and</p> <p>5 said, hey, I've only done five of these cases this</p> <p>6 year or that's my average, you would probably say,</p> <p>7 well, you know, I'd recommend you send them down to</p> <p>8 our center?</p> <p>9 A. Yes.</p> <p>10 Q. All right. You think that would be safer</p> <p>11 for the patient?</p> <p>12 A. Yes.</p> <p>13 Q. Okay, fair enough. And, you know, I'm</p> <p>14 mixing bariatric surgery -- bariatric surgery to me</p> <p>15 would encompass the two or three procedures you</p> <p>16 mentioned earlier, correct?</p> <p>17 A. Correct.</p> <p>18 Q. Does a cholecystectomy on a super morbidly</p> <p>19 obese patient, does that count as bariatric surgery</p> <p>20 or no?</p> <p>21 A. No.</p> <p>22 Q. Okay. So I guess I haven't been precise,</p> <p>23 but if I went through that same sequence of</p> <p>24 questions to you, if you're doing cholecystectomies</p> <p>25 on morbidly obese patients, is there a number you</p>
<p>1 A. Yes.</p> <p>2 Q. All right. And is there a -- is there a</p> <p>3 number of cases that any bariatric surgery</p> <p>4 organizations hold out as showing competency in</p> <p>5 terms of you need to do X number of cases before</p> <p>6 they think you've hit your technical high point?</p> <p>7 A. Yeah, there are -- there are some -- and,</p> <p>8 again, these are old studies, but there are some</p> <p>9 studies that -- that suggest what the minimum number</p> <p>10 of cases you should do before you can be considered</p> <p>11 safe to do the operation on your own. Depending on</p> <p>12 what study you read, that says 25 to 50 cases.</p> <p>13 Q. Okay.</p> <p>14 A. Most people agree these days that the --</p> <p>15 the best metric is the number of cases you do per</p> <p>16 year. It's -- it's -- it's to continually be doing</p> <p>17 cases and that number, the -- the certifying</p> <p>18 organizations recommend a minimum of 25 cases per</p> <p>19 year.</p> <p>20 Q. All right. So if you had a surgeon who's</p> <p>21 out at a community hospital that does do some</p> <p>22 bariatric surgery that does five cases a year, your</p> <p>23 view would be that that's probably not enough to --</p> <p>24 to get that person to the technical proficiency</p> <p>25 level?</p>	<p>1 think you should have accomplished prior to doing a</p> <p>2 cholecystectomy on a patient, you know, on any of</p> <p>3 your patients?</p> <p>4 A. Yes.</p> <p>5 Q. What do you think it is?</p> <p>6 A. Again, I'd be hesitant to guess, but</p> <p>7 I -- I would say those same studies probably put it</p> <p>8 on the order of ten to 25.</p> <p>9 Q. Okay. A year?</p> <p>10 A. Those would be just the --</p> <p>11 Q. Leading up to?</p> <p>12 A. Leading up to it, correct.</p> <p>13 Q. Okay. Through training?</p> <p>14 A. Yes.</p> <p>15 Q. All right. With at least the assistance</p> <p>16 of a more experienced general surgeon?</p> <p>17 A. Yes.</p> <p>18 Q. All right. How about if your -- from your</p> <p>19 perspective trying to keep your proficiency in doing</p> <p>20 morbidly obese cholecystectomies, what -- what</p> <p>21 number would you suggest for a general surgeon for</p> <p>22 that?</p> <p>23 A. I don't -- that's a difficult question to</p> <p>24 answer. You know, it's -- it's a fairly simple in</p> <p>25 theory operation. You know, you're finding two</p>

<p style="text-align: right;">Page 40</p> <p>1 structures and dividing them. Things like bariatric 2 surgery, it's a little more technically challenging. 3 It's a lot of sewing, a lot of stapling, which 4 really aren't involved in a gallbladder, so I don't 5 think I can quantify it like that.</p> <p>6 You know, additionally, in -- in most 7 patients, having gallstones is a disease of the 8 morbidly obese. Increasing weight is increasing 9 frequency of gallstones.</p> <p>10 So really even in training the -- the 11 majority of patients that you're operating on have 12 some degree of obesity. It's -- it's rare to find a 13 thin person with gallstones.</p> <p>14 Q. Okay.</p> <p>15 A. It happens.</p> <p>16 Q. All right. So that -- what you're telling 17 me is it's hard to come up with a number that you 18 would want someone to perform on an annual basis for 19 you to say, you know, I would be comfortable telling 20 a patient, yeah, that doctor has got the -- the 21 requisite amount of experience?</p> <p>22 A. Yes.</p> <p>23 Q. Okay. Are there residents -- you still 24 have residents and fellows in the program?</p> <p>25 A. No fellows anymore but residents, yes.</p>	<p style="text-align: right;">Page 42</p> <p>1 Q. Yeah. 2 A. -- coming out of their residency? 3 Q. Right. 4 A. Yes. That would -- that's -- that's 5 plausible, yes.</p> <p>6 Q. Okay, got you. And what's the factor, the 7 difficulty factor going from someone who's 8 400 pounds to somebody who is, say, 580 pounds from 9 a technical -- technical viewpoint?</p> <p>10 A. A couple things. The -- and the biggest 11 thing I worry about is visualization. If we're 12 talking about a laparoscopic operation, the way a 13 laparoscopic operation works is you blow the abdomen 14 up like a beach ball. You fill it carbon dioxide 15 and that gives you the room you need. It creates 16 the theater that you need to do the operation.</p> <p>17 If someone has a very large abdominal 18 wall, if they are near their all-time maximum 19 weight, for instance, you might not have a lot of 20 working room to work with.</p> <p>21 Additionally, with a gallbladder, the 22 liver can be fatty and large, so it can be difficult 23 to retract the liver in a patient like that as well.</p> <p>24 Q. Okay. So -- and it sounds like -- how 25 much of the -- of the kind of workup for somebody</p>
<p style="text-align: right;">Page 41</p> <p>1 Q. Got you. Are there some residency 2 programs where doctors receive some training in 3 bariatric surgery but not to the extent that, for 4 example, you underwent at Boston Medical Center?</p> <p>5 A. Yes.</p> <p>6 Q. All right. And are there programs where a 7 doctor might have, for example, in residency 8 training never been asked to assist in a patient 9 that was greater than 400 pounds?</p> <p>10 A. These days unlikely.</p> <p>11 Q. Okay.</p> <p>12 A. Yeah.</p> <p>13 Q. Well, if Dr. Singh was trained 15, 20 14 years ago?</p> <p>15 A. That's -- that's about right. You'd have 16 to go back to before 2000 --</p> <p>17 Q. Okay.</p> <p>18 A. -- to get that experience, yeah.</p> <p>19 Q. Got you. But in other words, if a --</p> <p>20 someone had gone through a general surgery residency 21 not at a bariatric center of excellence back prior 22 to 2000, it might not surprise you if they said, you 23 know, I've never operated on anybody greater than 24 400 pounds?</p> <p>25 A. If they said that back then --</p>	<p style="text-align: right;">Page 43</p> <p>1 who is BMI say around 70 who needs -- who 2 doesn't -- is not there for bariatric surgery but is 3 there for cholecystectomy or another kind of 4 surgery, how much of the kind of program at Boston 5 Medical Center do you go through with a patient like 6 that?</p> <p>7 A. So with a patient like that who is seeing 8 me in my office and I've got a little time to -- to 9 think about things and plan things, I'd probably 10 have them see a nutritionist and a dietician; maybe 11 consider weight-loss medication.</p> <p>12 I'd put them on a pre-op, what we call 13 partial liquid diet and then obviously depending 14 on -- well, not even depending on their age. With a 15 BMI of that high, I would -- I would make sure I get 16 clearance from their internal medicine doctor or a 17 cardiologist as well.</p> <p>18 Q. Okay.</p> <p>19 A. And an anaesthesia evaluation.</p> <p>20 Q. Okay. What about the psychological 21 counseling that I know you guys tout at Boston 22 Medical Center?</p> <p>23 A. Yeah, that -- that wouldn't really play 24 into a cholecystectomy. You know, that's a -- 25 they -- they have a medical reason for needing an</p>

<p style="text-align: right;">Page 44</p> <p>1 operation so that -- that wouldn't play into it. 2 Q. Okay. Mr. Zeigler was 20 years old? 3 A. I believe so. 19 or 20. 4 Q. Yeah, 19 or 20. I thought in your report 5 you said 20, but he -- he may have had a birthday 6 at some point during the -- during the time of 7 treatment. What -- have you seen 19-year-olds 8 before with BMI's of 70? 9 A. Yes. 10 Q. Okay. How many? 11 A. Well, again, I do the operation at 12 Children's Hospital, so probably two dozen maybe. 13 Q. Okay. And as a 19-year-old, I'm assuming 14 that's where you would see him, at the Children's 15 Hospital versus some -- versus Boston Medical 16 Center? 17 A. 19 could be either place. 18 Q. Okay. 19 A. Yeah. 20 Q. But you reference that you've seen him at 21 the Children's Hospital. 22 A. Yeah, I was thinking about teenagers. 23 Q. Okay. 24 A. So -- but, yes. 25 Q. All right. Do they have specialty</p>	<p style="text-align: right;">Page 46</p> <p>1 Q. Okay. 2 A. Or -- or some type of eating disorder. 3 Q. Yeah, there was reference in Dr. Nguyen's 4 records I think to him going to McDonald's and 5 eating like 80 dollars' worth of McDonald's at a 6 sitting. Do you remember seeing that? 7 A. I don't remember seeing that, no. 8 Q. All right. Is that something you've heard 9 before in terms of when you've taken care of these 10 kind of patients? 11 A. When evaluating these type of patients, 12 yeah. 13 Q. Yeah. You get histories like that. 14 A. Yes. 15 Q. All right. Were you able in looking at 16 any of the records to identify a specific genetic 17 mutation that led to Mr. Zeigler getting to that 18 state? 19 A. No. 20 Q. Okay. Or any other metabolic disorder 21 that was causing him to get to that -- that weight? 22 A. I didn't -- I didn't see a workup, but -- 23 but, no, I didn't see it. 24 Q. Okay. All right. So -- oh, one thing 25 they do emphasize in the records is insurance</p>
<p style="text-align: right;">Page 45</p> <p>1 facilities at Boston Children's Hospital for taking 2 care of BMI 70 patients? 3 A. Yes. 4 Q. All right. Incidentally, in Mr. Zeigler's 5 case, do you have an opinion as to what -- how it 6 was that he got to be up to about 580 pounds at age 7 19? 8 A. You know, I don't have, you know, enough 9 of the chart to -- to really opine on that. You 10 know, I could -- you know, I could certainly make 11 some guesses. 12 You know, it is -- it -- there was 13 obviously a genetic predisposition. We know that. 14 For most patients who are obese, the come from obese 15 parents, and that's obese biologic parents. 16 We -- we know that by studying adopted 17 children that if two obese parents put a child up 18 for adoption, that child will likely still grow up 19 to be obese even though it was not raised by those 20 parents. 21 There can be some genetic factors. By 22 that I mean genetic mutation factors, syndromes that 23 can to lead somebody being that large, but typically 24 to be -- to be that large at such an young age, 25 there's often a psychological morbidity as well.</p>	<p style="text-align: right;">Page 47</p> <p>1 verification, which I assume you guys -- and 2 precertification. That's something that you have 3 people at Boston Medical Center that do those 4 things? 5 A. Correct. 6 Q. Okay. We've established I think bariatric 7 is -- is an elective surgery? 8 A. Yes. 9 Q. So if somebody comes in and they're super 10 morbidly obese, no insurance, you guys don't do that 11 surgery? 12 A. We -- we do do the surgery. That's -- 13 that's because we're in Massachusetts, so we have 14 socialized medicine here, so -- 15 Q. Okay. 16 A. -- a patient like that would -- would fall 17 under what's called Health Safety Net and -- and 18 would get the operation. 19 Q. Okay. Incidentally, you've never been 20 licensed to practice in Texas, correct? 21 A. No. 22 Q. I think -- I'm suspecting no one in Texas 23 would say we have a socialized medical system. Do 24 you know anything about the Texas medical system? 25 A. I've -- I've learned a bit from it reading</p>

<p style="text-align: right;">Page 48</p> <p>1 this case, so, yes, I've -- and I would agree with 2 your statement. 3 Q. No socialized medicine in Texas? 4 A. Correct. 5 Q. Okay. So what you're telling me is in 6 Massachusetts, if a patient like this showed up, no 7 insurance, you all would be able -- your support 8 staff would be able to sign them up and there would 9 be reimbursement? 10 A. Yes. He would get the exact same 11 treatment as anybody with insurance. 12 Q. Okay. Got you. All right. What do you 13 know -- what do you know about the medical community 14 in Houston in terms of bariatric centers of 15 excellence and -- do you have colleagues that 16 practice in Houston? 17 A. I don't, no. 18 Q. Okay. So what do you know about it, if 19 anything? 20 A. Nothing. 21 Q. All right. You've heard of the Texas 22 Medical Center? 23 A. Yes. 24 Q. All right. 25 A. And -- and I have been to Houston and</p>	<p style="text-align: right;">Page 50</p> <p>1 bariatric patients they do versus hospitals in the 2 medical center? 3 A. No. 4 Q. All right. So my focus today is on 5 Dr. Singh, who's a general surgeon, correct? 6 A. Yes. 7 Q. All right. And let's see if we can just 8 acknowledge or get to if we're on the same page 9 about -- this was not an office visit he had with 10 this patient, correct? He was called in as a 11 consultant in the hospital? 12 A. Correct. 13 Q. All right. And there's references to 14 Mr. Zeigler being about 580 pounds when he got to 15 the Cy-Fair Medical Center, correct? 16 A. I don't remember the exact weight but 17 something in that ballpark. 18 Q. Okay, great. So -- and he was -- he had 19 symptoms of gallstones, true? 20 A. He seemed to have pancreatitis. 21 Q. Okay. 22 A. Yes. 23 Q. Which can be related to gallstones? 24 A. To gallstones, correct. 25 Q. All right. Preliminary question on that.</p>
<p style="text-align: right;">Page 49</p> <p>1 taken a couple courses there. 2 Q. Okay. 3 A. And I was just talking with Mr. Engelhardt 4 about it. It's an impressive campus with lots of 5 hospitals. 6 Q. Lots of hospitals. I don't know if it's 7 as many as Boston, but it's a lot. Where -- where 8 did you take courses in Texas? 9 A. I believe I was at the -- the University 10 of Texas Medical Center -- 11 Q. Right. 12 A. -- for one and then another one I was at 13 some industry building doing a cadaver lab for 14 another. 15 Q. Okay. Was the course you took at 16 University of Texas having to do with bariatric 17 surgery? 18 A. Sort of. It was for learning how to place 19 an adjustable -- or an intragastric balloon. Pardon 20 me. 21 Q. Okay. And do you know anything -- do you 22 know where the Cy-Fair Hospital is in relationship 23 to the Texas Medical Center? 24 A. No. 25 Q. All right. And do you know the numbers of</p>	<p style="text-align: right;">Page 51</p> <p>1 Gallstones at times can be diagnosed by ultrasound? 2 A. Yes. 3 Q. All right. Super morbidly obese patients, 4 that -- that may not be -- it's not foolproof? 5 A. It's not foolproof for detecting it. 6 We -- we use two terms called sensitivity and 7 specificity. Sensitivity means how -- if -- how 8 likely is it to detect something. 9 Q. Okay. 10 A. Specificity means that if it -- if it -- 11 you have a finding, how likely is that finding 12 correct or how does it rule it out. 13 Q. Okay. 14 A. So somebody who's large, if you have an 15 ultrasound that doesn't show gallstones, you don't 16 know. They may still have gallstones. 17 Q. Okay. 18 A. But if you do the ultrasound and it shows 19 gallstones, it's very, very, very likely that those 20 gallstones are there. 21 Q. Okay. 22 A. If you manage to see them, they're there. 23 Q. And -- and ultrasound was done at Cy-Fair 24 on Mr. Zeigler during his initial hospitalization? 25 A. Yes.</p>

<p style="text-align: right;">Page 52</p> <p>1 Q. Have you reviewed that -- the actual 2 ultrasound as opposed to just the report? 3 A. Just the report is all I saw. 4 Q. Okay. Do you interpret ultrasounds 5 directly? 6 A. Ultrasounds are a bit difficult to 7 interpret because usually what you get are just 8 frozen images of whatever the radiology tech has 9 saved. 10 So it's a bit difficult to interpret those 11 rather than if you were there yourself moving the 12 probe back and forth and constructing the 3D image 13 in your mind, so I can look at them, but they are 14 difficult to interpret -- 15 Q. Okay. 16 A. -- outside of that setting. 17 Q. Well, do you ever go down and run the 18 ultrasound yourself? 19 A. No. 20 Q. Okay. Do you ever go bedside with the 21 ultrasound technician and watch the ultrasound? 22 A. Rarely. 23 Q. All right. So you generally just get a 24 report? 25 A. (Witness nods.)</p>	<p style="text-align: right;">Page 54</p> <p>1 Q. He was following him in the hospital. Do 2 you agree with that? 3 A. I believe so, yes. 4 Q. Okay, fair enough. So Dr. Singh comes in. 5 I think we're in agreement that you don't operate or 6 do a cholecystectomy in a situation where the 7 patient's having acute symptoms of pancreatitis? 8 A. Correct. I would agree. 9 Q. You -- medically you calm him down and 10 then you talk about the surgery? 11 A. Yes. 12 Q. All right. And so let's add in the -- and 13 I think you've said that it's common to do the 14 surgery at the end of the hospitalization when the 15 patient's pancreas has calmed down? 16 A. Correct. 17 Q. Okay. Let's talk about the complicating 18 factor of BMI 70, super morbidity. Super obesity, 19 morbidity. That can obviously complicate the 20 picture of the patient, true? 21 A. True. 22 Q. All right. And you as the surgeon have to 23 make an assessment as to although if this patient 24 weighed 200 pounds, I might do it one way; if they 25 weigh 580 pounds, there's a lot of other</p>
<p style="text-align: right;">Page 53</p> <p>1 Q. True? 2 A. True. 3 Q. And for purposes of pancreatitis, what 4 you're looking for is are there gallstones or are 5 there not gallstones? 6 A. That's one of the things we look for, yes. 7 Q. Okay. And can we agree for purposes of 8 our discussion, let's leave it at people who are 9 super morbidly obese in terms of -- of what you 10 would kind of look at. Fair? 11 A. Yes. 12 Q. All right. So the ultrasound said or 13 suggested there might be gallstones, correct? 14 A. Correct. 15 Q. All right. And you gave a -- and so 16 Dr. Singh comes bedside, meets Mr. Zeigler and they 17 have discussions about his condition, correct? 18 A. That's my understanding, yes. 19 Q. All right. And initially -- and -- and 20 his attending physician is Dr. Nguyen, true? 21 A. Yes, I believe so. I know he was his 22 primary care doctor. 23 Q. Primary care, yeah, but he admitted him to 24 the hospital. 25 A. Okay.</p>	<p style="text-align: right;">Page 55</p> <p>1 considerations I need to take into account? 2 A. Yes. 3 Q. All right. Are there complications that 4 can occur when you do a minimally invasive procedure 5 on a patient that weighs 580 pounds that normally 6 don't occur in someone who weighs 200 pounds? 7 A. Yeah. Yes. 8 Q. What are they? 9 A. Mostly you get the complication of the 10 operation, itself, not -- or pardon me. Of the 11 anesthesia, itself. 12 So you can have cardiac issues, have 13 pulmonary issues, difficulty ventilating the 14 patient, difficulty waking the patient up. 15 Then you also get into all the problems 16 you can have that I mentioned earlier with 17 adequately visualizing the anatomy you need to see. 18 Q. Okay. So when it's difficult to view the 19 anatomy, what are some of the risks that you would 20 tell a patient who weighed 580 pounds about a 21 cholecystectomy? 22 A. So I would say that you're at increased 23 risk of some of the known complications of a 24 cholecystectomy, which is injury to the -- you know, 25 injury to the bile ducts, bleeding and liver injury,</p>

<p style="text-align: right;">Page 56</p> <p>1 I would say are the three main ones in this setting. 2 Q. Okay. So do you agree that in general 3 the -- the discussion with Mr. Zeigler went along 4 the lines from Dr. Singh of I think you would 5 benefit by going to a medical center hospital, one 6 of the ones in the Texas Medical Center as opposed 7 to here at Cy-Fair? 8 A. That's what it seemed like. It seemed 9 like he said he'd be -- and I believe he does 10 bariatric surgery. 11 Q. Right. 12 A. But not on the very, very large patients. 13 It seemed like he felt that if they could find 14 another surgeon that was more comfortable doing 15 it -- 16 Q. Sure. 17 A. -- than he -- than he, that that would be 18 his preference. 19 Q. Okay. So let's talk about that for a 20 minute. Do you have the right as a surgeon with any 21 patient to say, first of all, I don't want to be 22 your surgeon? 23 A. I think it depends on the setting, but -- 24 but, yes, there are -- there are ways you can fire 25 patients.</p>	<p style="text-align: right;">Page 58</p> <p>1 okay thing for you as a general surgeon to say I'm 2 not going to do it; find yourself somebody else? 3 A. No. I would have no patients. 4 Q. All right. So you're -- okay. So the 5 fact that, for example, the patient is just a jerk 6 or has a bad attitude -- let's just leave it a jerk. 7 You'd say I can't -- I still have to operate on the 8 guy even if he's a jerk? 9 A. Yes. 10 Q. All right. Let's go to a different 11 scenario. You've got somebody who is -- who tells 12 you they're not going to be compliant with your -- 13 with your instructions. 14 Do you have the right as a surgeon to say 15 I don't want to -- I don't want to operate on you if 16 you're not going to -- if you're not going to follow 17 what I tell you to do? 18 A. Again, it depends on the case, but -- but, 19 for instance, for bariatric surgery, absolutely that 20 patient would not get an operation. 21 Q. Okay. 22 A. But -- but for an urgent or emergent case, 23 no, you still have to do it. 24 Q. Okay. 25 A. You just document what the patient said.</p>
<p style="text-align: right;">Page 57</p> <p>1 Q. Okay. Or just say somebody walks into 2 your room and says, doctor, I'd like to be evaluated 3 and after a discussion with them you say I'm not 4 going to operate on you. I don't want to operate on 5 you. You have that right. 6 A. Again, I think it depends on the setting. 7 Q. Okay. 8 A. I think certainly in the office for an 9 elective case more so than -- than for an emergency 10 case. 11 Q. Okay. All right. Well, let's go to the 12 emergency case. You get called in as a consultant 13 in the hospital. 14 You can certainly -- you can certainly 15 tell the attending physician here's my assessment of 16 what this patient needs and I don't think I'm the 17 right guy to operate on this patient. You could 18 tell a surgeon that -- I'm sorry. You could tell an 19 attending physician that? 20 A. I'm not sure. I'm not sure about that. 21 Q. Okay. You're not sure? 22 A. Yeah. 23 Q. All right. Well, let's break it down. I 24 mean, it could be I don't like that guy. That guy's 25 a jerk. I don't want to operate on him. Is that an</p>	<p style="text-align: right;">Page 59</p> <p>1 Q. Okay. All right. Now, how about the 2 situation where the patient is beyond what you feel 3 technically comfortable doing? Okay? I assume 4 that's a individual choice for any general surgeon 5 based on their training and experience. 6 A. Within certain parameters. 7 Q. Okay. 8 A. Yes. 9 Q. In other words -- how many general 10 surgeons do we have in the US? 11 A. Oh, not enough but a lot. 12 Q. Okay. 13 A. Thousands. 14 Q. Thousands. 15 A. Yeah. 16 Q. Okay. And I assume they've come from a 17 variety of training programs. Some in the U.S.? 18 Some foreign? 19 A. Yes. 20 Q. And there's tremendous variety in the -- 21 in the quality of training programs? 22 A. Yes. 23 Q. And there's tremendous difference in the 24 kind of patients you might see if you're at a 25 residency program in place X versus some other place</p>

<p style="text-align: right;">Page 60</p> <p>1 in the country depending on the center that you're 2 associated with? 3 A. Yes. 4 Q. All right. In other words, I assume 5 anybody who does general surgical residency at the 6 Boston Medical Center is going to get exposed to a 7 fair amount of bariatric surgery? 8 A. Yes. 9 Q. And there may be other very good general 10 surgery residency programs around the country where 11 that would be minimal. You might only have minimal 12 experience with bariatric surgery? 13 A. Yes. 14 Q. All right. That doesn't mean that you're 15 a bad general surgeon. It just doesn't mean the 16 center you're training in, that's just not the 17 population that they have? 18 A. Correct. 19 Q. All right. And when you get to -- when 20 you get to the point of what your trainer 21 experiences, do you know how many patients Dr. Singh 22 had been operated on who was -- who were greater 23 than 400 pounds? 24 A. No. 25 Q. You think it's important to know your</p>	<p style="text-align: right;">Page 62</p> <p>1 like to stabilize that person and get them to some 2 place who does a lot of those, but a lot of times 3 there's not time to do that. 4 A lot of times those places -- places are 5 closed for referral. They're on diversion and 6 you're stuck. You know, you're outside your comfort 7 zone, but you're all there is. So, again, I think 8 you look at each situation and how it stands and -- 9 and you evaluate it. 10 Q. Okay. Let's go with your analogy for a 11 minute. You're -- first of all, if I was going to 12 tease out a standard of care that says you have 13 to -- are you telling me that you have to find a 14 doctor to take the patient? 15 Is that what you're telling me? If you're 16 not going to do it because you don't feel 17 technically like you're -- you're the -- the right 18 guy to do the surgery? 19 A. Yeah. 20 Q. Okay. 21 A. I would say yes, yeah. 22 Q. All right. So how do -- where I would 23 determine that that is the standard of care for 24 Dr. Singh? If Dr. Singh wanted to know if that's 25 the standard of care for him, where would I find</p>
<p style="text-align: right;">Page 61</p> <p>1 limitations as a surgeon in terms of your experience 2 level? 3 A. Yes. 4 Q. All right. And is it also important as a 5 surgeon to take into consideration even if I 6 technically know how to do this surgery, if I've 7 never done it before on a patient who weighs 8 580 pounds, I'm going to hesitate to do that surgery 9 because I don't think -- I'm not sure I have the 10 technical proficiency to do it as well as somebody 11 who's done it multiple times? 12 A. To hesitate, yes. 13 Q. Okay. All right. So we can hesitate. 14 Why can't you then as a general surgeon simply say, 15 look, I'm the wrong guy to do your surgery because I 16 haven't -- I don't have an experience level of 17 operating on somebody who's 580 pounds? 18 MR. ENGELHARDT: Objection to form. 19 MR. SERPE: Okay. Go ahead. 20 A. So I think that if you're going to do 21 that, you have to find a place for them to go. 22 The analogy would be, you know, the trauma patient, 23 the person who comes into some community hospital 24 with -- with gunshot wounds that the person never 25 sees. You -- you know, you -- you ideally would</p>	<p style="text-align: right;">Page 63</p> <p>1 that guideline or that policy? Is it a policy of 2 the American Society of Surgeons or whatever the 3 general surgery board is? 4 A. No. I mean, I think it's -- I think it's 5 more ethics than policy. You know, you -- if -- if 6 you know, if you feel like you are not able or for 7 whatever reason, you know, to do an operation, then 8 you have to -- but you feel the operation is the 9 next right thing to do, I think that the onus falls 10 on you to do it. 11 Now, that's -- can I -- can I find a 12 policy? No, but -- but I -- I -- I don't think that 13 the next step is to just throw up your hands and -- 14 and do nothing. 15 Q. All right. Well, let's -- let's go with 16 policy for a minute. What's your -- you're board 17 certified. What group makes you board certified? 18 A. The American Board of Surgery. 19 Q. Okay. American Board of Surgery. You're 20 telling me they don't have a -- do they issue 21 bulletins and -- 22 A. Oh, yeah. 23 Q. -- and statements and comments on things? 24 A. Yes. And maintenance of certification and 25 all that.</p>

<p style="text-align: right;">Page 64</p> <p>1 Q. Okay. And you're telling me the American 2 Board has never pronounced that, hey, if you have a 3 patient that you're not comfortable operating on 4 because of your training or lack of training in a 5 patient, that you have to then get them to someone 6 who will take care of them? There's no policy that 7 says that?</p> <p>8 A. I don't think there's a policy with the 9 American Board of Surgery, but -- but, I mean, there 10 are certainly policies against what's called patient 11 abandonment.</p> <p>12 Q. Okay. Well, it's not your position in 13 this case that Dr. Singh abandoned Mr. -- Dr. Singh 14 abandoned Mr. Zeigler. You're not saying that?</p> <p>15 A. No. He appeared to continuously consult 16 on him while he was in hospital.</p> <p>17 Q. Okay.</p> <p>18 A. Yeah.</p> <p>19 Q. All right. And did you understand that 20 the plan at the time of Mr. Zeigler's initial 21 discharge from Cy-Fair was that he was going to lose 22 some weight?</p> <p>23 A. Yes.</p> <p>24 Q. I'm going to break it down, but let's 25 start with that.</p>	<p style="text-align: right;">Page 66</p> <p>1 Cy-Fair with Mr. Zeigler about him going out and 2 obtaining insurance, you would have no objection to 3 that or no -- you wouldn't say that's -- you know, 4 don't do it?</p> <p>5 A. I would discuss with them what their plan 6 for it was, though. You know, it's -- it's -- just 7 saying, you know, go get insurance, there's often a 8 lot more to it than that. I presume there was some 9 reason he didn't have insurance.</p> <p>10 And -- and whether or not he was going to 11 be able to overcome those barriers to get insurance 12 again, again, I -- I personally wouldn't feel 13 comfortable stipulating -- stipulating that to a 14 patient.</p> <p>15 You know, go get insurance and come back 16 because, you know, if you're seeking private 17 insurance in a state that doesn't have, you know -- 18 or the country now with the Affordable Care Act, 19 this is obviously before all that.</p> <p>20 Q. No. This was --</p> <p>21 A. No, this was after it.</p> <p>22 Q. This is Affordable Care.</p> <p>23 A. Yeah. Then -- then I think that 24 that's -- that can be a big hurdle for a patient to 25 clear.</p>
<p style="text-align: right;">Page 65</p> <p>1 A. Yes.</p> <p>2 Q. Lose weight.</p> <p>3 A. Yes.</p> <p>4 Q. Okay. And that's a reasonable 5 recommendation to make to somebody who weighs 6 580 pounds?</p> <p>7 A. Yes.</p> <p>8 Q. All right. He said he was going to seek 9 out and get some insurance. That's okay, isn't it?</p> <p>10 A. I don't remember that -- that stipulation.</p> <p>11 Q. Okay, fair enough. If that is something 12 Mr. Zeigler communicated to Dr. Singh, said I'm 13 going to go and get insurance, as a surgeon, you'd 14 say good. You ought to do that?</p> <p>15 MR. ENGELHARDT: Objection. Form.</p> <p>16 A. Yeah, again, I don't have a lot of 17 experience with how -- how it works in Texas. 18 Again, in Massachusetts they get their insurance 19 while they're in the hospital.</p> <p>20 Q. Got you. And it's really not -- I assume 21 like a lot of general surgeons you're not really 22 concerned about insurance one way or another. It's 23 your patient; you're going to operate on them?</p> <p>24 A. Correct.</p> <p>25 Q. Okay. But if there was a discussion at</p>	<p style="text-align: right;">Page 67</p> <p>1 Q. Okay. And then let me go to -- the third 2 leg of it is that Mr. Zeigler was going to seek a 3 second opinion at a different institution.</p> <p>4 A. Yes, I do recall reading that.</p> <p>5 Q. And you're okay with that?</p> <p>6 A. I am okay with that in -- in general, but 7 he -- in my opinion he still wasn't ready for 8 discharge yet.</p> <p>9 Q. Oh, you have a problem with him getting 10 discharged from the first hospitalization?</p> <p>11 A. Yeah, with nothing done, yes.</p> <p>12 Q. Okay. Are you critical of Dr. Nguyen for 13 discharging him?</p> <p>14 A. I mean, at that point I don't think 15 Dr. Nguyen had any choice, it sounds like, but -- 16 but, yeah, I do not think that the patient should 17 have been discharged without either a 18 cholecystectomy or an ERCP.</p> <p>19 MS. NOLAN: Objection. Nonresponsive.</p> <p>20 Q. Okay. Okay. So let's -- let me circle 21 back. Okay? Assume with me that Mr. Zeigler has a 22 conversation with Dr. Singh and the discussion is, 23 look, I -- you've been telling me I should go to 24 a -- one of the medical center hospitals that's got 25 more experience in dealing with somebody in my</p>

<p style="text-align: right;">Page 68</p> <p>1 weight range. 2 A. Yes. 3 Q. I know I need to lose weight. I'm going 4 to lose weight and I'm going to go ahead and get 5 some insurance, and that's the discussion that takes 6 place. Okay? 7 A. Okay. 8 Q. Are you -- are you critical of Dr. Singh 9 for saying, okay, that's a reasonable plan -- 10 MR. ENGELHARDT: Objection. Form. 11 MR. SERPE: Let me finish. 12 MR. ENGELHARDT: I thought you were. 13 I apologize. That's a long-ass question. 14 MR. SERPE: It is a long question. 15 Q. Are you critical of Dr. -- are you 16 critical of Singh for saying, okay, Mr. Zeigler. 17 That's a plan. Go ahead and do that? 18 A. Yes. 19 MR. ENGELHARDT: Note my objection. 20 MR. SERPE: Yes, we got your 21 objection. 22 Q. Okay. And why are you critical of him on 23 that? 24 A. For the same reason that the patient 25 should according to the current literature, standard</p>	<p style="text-align: right;">Page 70</p> <p>1 A. I'm not critical of him saying he's not 2 comfortable. I'm critical of him not doing anything 3 when it seems like there was no other option. 4 Q. Well, why wouldn't it be an option to go 5 to a medical center hospital and see someone who has 6 experience like you've got in terms of doing 7 procedures on patients who weigh 580 pounds? 8 A. They can do that, but it should have been 9 set up as a direct transfer. 10 Q. Okay. And although the patient, you 11 agreed, was stable to be transferred when he left 12 the hospital at Cy-Fair? 13 MR. ENGELHARDT: Objection. Form. 14 A. To be transferred, yes. He was stable to 15 be transferred. 16 Q. Okay. 17 A. Yeah. 18 Q. But -- but medically his -- his 19 pancreatitis was stabilized at the time he was 20 discharged from Cy-Fair, correct? 21 A. Correct. 22 Q. Okay. Okay. I'm going to circle back one 23 more time just to make sure. Is there any article, 24 any policy, published by anybody that says there's 25 an obligation on the part of Dr. Singh to find</p>
<p style="text-align: right;">Page 69</p> <p>1 of care, should not have left the hospital without 2 some type of temporative measure -- temporizing 3 measure, pardon me, either like an ERCP or the 4 definitive treatment, which is a cholecystectomy. 5 Q. Okay. And the problem I've got I think 6 with the literature that you are referencing is that 7 literature is not specific to super super super 8 morbidly obese patients, correct? 9 A. No. It's specific to all patients, but, 10 no, not specifically to morbidly obese patients. 11 Q. Okay. And if Dr. Singh says, look, if the 12 guy was 200 pounds, I would have done exactly that. 13 My -- my difficulty is he was 580 pounds and that 14 was way beyond anything I've ever -- any patient 15 I've ever done a procedure on in my life and I 16 wasn't comfortable doing it, are you really critical 17 of him for that? 18 MR. ENGELHARDT: Hold on. Objection. 19 Form. Go ahead and answer. 20 A. I'm critical for him not seeking 21 definitive treatment somewhere. 22 Q. Okay. Can we at least agree you're not 23 critical of him for saying I'm not going to operate. 24 I don't feel comfortable operating given my training 25 and experience?</p>	<p style="text-align: right;">Page 71</p> <p>1 someone that will say I'll undertake this patient's 2 care? Is there anything published anywhere that 3 says that? 4 A. Not that I'm familiar with. 5 MR. SERPE: All right. 6 (Pause.) 7 MR. SERPE: Yeah, let's take a quick 8 break. 9 THE VIDEOGRAPHER: Off the record. 10 (A break was taken from 11 3:07 p.m. to 3:23 p.m.) 12 THE VIDEOGRAPHER: The time is 13 3:23 p.m. We are on the record. 14 BY MR. SERPE 15 Q. Okay. Doctor, while we were on the break, 16 I looked at one of the answers. I did ask you 17 whether it's your opinion that Dr. Singh abandoned 18 the patient and as I understand your testimony, you 19 do not believe he abandoned the patient? 20 A. I'm not sure what the criteria is for 21 patient abandonment, but, no, it seemed the whole 22 time he was there he followed him and there was a 23 plan. I just didn't agree with the plan. 24 Q. Fair enough. And in the -- and I'll show 25 you this record. It's Bates No. 328 from the</p>

Page 72	Page 74
<p>1 Cy-Fair records from the next hospitalization, the 2 December 19th hospitalization.</p> <p>3 I'm going to read you a sentence and then 4 just show it to you where -- this is Dr. Nguyen's 5 note, and it says as part of the history he was 6 found to have gallstone pancreatitis but did not 7 have surgery due to his extreme overweight and high 8 risk. The patient elected to go home and treat 9 conservatively and had planned to go somewhere else 10 for a second opinion when he has insurance [as 11 read]. Let me just show you that note and ask you 12 if I read that correctly.</p> <p>13 (Reviewing document.)</p> <p>14 A. Yes, that is what it says.</p> <p>15 Q. All right. Did -- did you rely on that in 16 forming your opinions in the case, that -- that 17 information from Dr. Nguyen?</p> <p>18 A. I wasn't sure if this information for 19 Dr. -- this is at this point thirdhand information. 20 This is what he is telling Dr. Nguyen was the plan 21 from Dr. Singh, so I didn't know really what to make 22 with that sentence.</p> <p>23 Q. Okay. Do you know whether Dr. Nguyen and 24 Dr. Singh discussed the plan at the time of 25 Mr. Zeigler's initial discharge from Cy-Fair?</p>	<p>1 that. Is there any literature you're relying on in 2 giving your opinions in this case?</p> <p>3 A. There -- there is the seminal article, and 4 I'm blanking on the author or the source now, but it 5 is widely cited of the timing of a cholecystectomy 6 after gallstone pancreatitis.</p> <p>7 Q. You reference that in your report.</p> <p>8 A. Yes.</p> <p>9 Q. And -- and essentially, as I understand 10 it, it says you either do it during the same 11 hospitalization or shortly thereafter.</p> <p>12 A. The article doesn't really make that fine 13 a recommendation. What the article says is that if 14 you don't do it during the index admission, you have 15 a 25 percent chance of developing pancreatitis 16 again. It's either within four or six weeks. I 17 don't remember which one.</p> <p>18 Q. Okay. All right. Let me ask you, 19 medically you reviewed the autopsy report?</p> <p>20 A. I did.</p> <p>21 Q. No gallstones on autopsy?</p> <p>22 A. Yes.</p> <p>23 Q. Does that, therefore, indicate to you 24 Mr. Zeigler didn't have gallstones?</p> <p>25 A. No, that does not indicate that.</p>
Page 73	Page 75
<p>1 A. That, I don't know. There -- going way, 2 way back I do remember there was some sort of 3 discussions that Dr. Nguyen and Dr. Singh had spoken 4 face-to-face.</p> <p>5 I read something about some discussion 6 that happened in a hallway. I think that was in the 7 parents' depositions.</p> <p>8 Q. Okay.</p> <p>9 A. But, yes, nothing -- nothing I could find 10 in the chart in terms of direct communication, but 11 that often is the case.</p> <p>12 Q. Okay. And I think that I'll circle back 13 just one more time. Just in terms of deriving a -- 14 a standard for Dr. Singh as a general surgeon as to 15 whether there is -- whether you can identify 16 anything that's published anywhere that would say he 17 has an obligation not just to advise the patient 18 but, in fact, to secure another doctor to take over 19 the patient's care, if there's anything you can -- 20 you can refer me to.</p> <p>21 A. I can't refer to any publication.</p> <p>22 Q. All right.</p> <p>23 A. Yeah.</p> <p>24 Q. Let me get an agreement with you that if 25 there's any literature that you intend -- strike</p>	<p>1 Q. All right. What happened to his 2 gallstones?</p> <p>3 A. He had an ERCP done at St. Luke's, I 4 believe, --</p> <p>5 Q. Okay.</p> <p>6 A. -- which stents open the bile duct. He 7 also had a -- what's called a percutaneous 8 cholecystostomy tube to decompress his gallbladder, 9 and this tube was being flushed, so all these are 10 ways that stones can exit the gallbladder.</p> <p>11 Q. But does anyone ever say they actually 12 observed a gallstone? I mean, is there any physical 13 finding where somebody's like there's the gallstone 14 or there's multiple gallstones?</p> <p>15 A. No. A lot of people think gallstones are 16 like pearls on a pearl necklace. Typically, 17 especially in the patients who have gallstone 18 pancreatitis, they're more of a sludge or a mud and 19 that's not the kind of stuff that you would really 20 observe coming out of a drain, a cloudy drainage 21 could be some of that sludge.</p> <p>22 Additionally, if it was passing through 23 the stented bile duct, you wouldn't see it unless 24 somebody was sifting through his stool.</p> <p>25 Q. Okay. Would you agree with me</p>

<p style="text-align: right;">Page 76</p> <p>1 hypothetically that if Mr. Zeigler didn't have 2 gallstones, that there would be no reason to take 3 his gallbladder out? 4 A. Hypothetically, yeah. If he doesn't have 5 gallstones, then he doesn't have gallstone 6 pancreatitis. 7 Q. Okay. So we're in agreement that if you 8 don't have it, you don't take the gallbladder out? 9 A. Correct. 10 Q. Okay, fair enough. I think I've explored 11 through my questions what your opinions are as they 12 relate to Dr. Singh in this case. Do you have any 13 other opinions beyond what we've discussed today? 14 A. Regarding Dr. Singh? 15 Q. Yeah. 16 A. No. 17 Q. Okay. Let me get the -- two-part 18 agreement with you. One is that if you in looking 19 at additional materials in this case formulate any 20 other opinions as they relate to Dr. Singh, you'll 21 let counsel know so I'll get to hear about them 22 prior to trial? 23 A. Yes. 24 Q. And if you identify any literature you 25 intend to rely on beyond the study that you've</p>	<p style="text-align: right;">Page 78</p> <p>1 formulating your opinions in the case, I know you 2 said you reviewed some depositions. Did you make 3 any sort of handwritten notes or digital notes or 4 even notes on the actual depositions, themselves? 5 A. No. My notes were essentially I typed the 6 report as I went. 7 Q. You say you reviewed your report in 8 preparation for your deposition. What's the date on 9 that? 10 A. I'm not sure. I don't remember what the 11 final report was. 12 Q. Well, it's right there on the table, 13 right? 14 A. Yes. 15 Q. Yeah, what's the date? 16 A. May 26th, 2017. 17 Q. So you reviewed the May 26, 2017, report 18 in preparing for your deposition today? 19 A. Yes. 20 Q. Have you invoiced the -- either the law 21 firm for Mr. Engelhardt or the expert locator 22 service for your time spent on this case? 23 A. Not the expert locator, but, yes, 24 Mr. Engelhardt, and I've sent them to his assistant. 25 Q. Do you know how Mr. Engelhardt found you</p>
<p style="text-align: right;">Page 77</p> <p>1 talked about, you, again, will let counsel know 2 about that? 3 A. Yes. 4 MR. SERPE: Fair enough. I'll pass 5 the witness. 6 (Pause.) 7 CROSS-EXAMINATION BY MS. NOLAN: 8 Q. Dr. Carmine, my name is Angela Nolan. I 9 represent Dr. Steven Ugbarugba. I have some 10 questions for you. I may skip around a fair bit 11 because I am coming behind Mr. Serpe. 12 May we have the agreement that if I ask 13 you a question that you don't understand, that 14 rather than answer it, you'll let me know so we can 15 work to get on the same page with one another? 16 A. Agreed. 17 Q. You understand that you are here as a 18 designated retained expert on behalf of the 19 Plaintiffs and today's my only opportunity to 20 explore with you your opinions in this case? 21 A. Yes. 22 Q. You understand you're obligated to give me 23 full and complete responses to my questions? 24 A. Yes. 25 Q. In terms of what you reviewed in</p>	<p style="text-align: right;">Page 79</p> <p>1 as a potential candidate to be an expert in this 2 case? 3 A. I don't know. 4 Q. Is this an arrangement through the expert 5 locator service? 6 A. I don't know. 7 Q. You are invoicing him directly? 8 A. Yes. 9 Q. You understand today we are here pursuant 10 to several notices for your deposition. One I'll 11 mark as Exhibit 1 and I'll hand it to you. 12 (Exhibit 1; so marked.) 13 (Reviewing document.) 14 Q. Have you had an opportunity to see that 15 exhibit on behalf of Dr. Ugbarugba? 16 A. I believe so, yes. 17 Q. There's a variety of documents that were 18 requested, including invoices. Have you brought 19 those with you today? 20 A. I did not. 21 Q. Have you gone through the request for 22 documents and made an effort to bring with you all 23 the documents you have that are responsive to those 24 requests? 25 A. The only document that I prepared outside</p>

<p style="text-align: right;">Page 80</p> <p>1 of the e-mail invoices was the report. 2 MR. ENGELHARDT: We'll get you the 3 invoices. 4 Q. Mr. Serpe asked you if you were reviewing 5 on any medical literature in offering your opinions. 6 I see in looking at -- and I'll hand this to you. 7 It's the only copy I have. It says Exhibit 2 on it. 8 Let me hand you this CV. Let me back up 9 just a bit, give you a chance to take a look at 10 that. 11 (Reviewing document.) 12 Q. Is that a current CV for you? 13 A. Yes. I have a few more publications, but 14 this is the bulk of it, yeah. 15 Q. Are you relying on any of the publications 16 or presentations or anything that you, personally, 17 have been involved in preparing and offering your 18 opinions in this case? 19 A. No. 20 Q. Are there any that you think are pertinent 21 to the issues presented? 22 A. No. 23 Q. Are you relying on any society guidelines 24 or recommendations, practice recommendations in 25 offering your opinions?</p>	<p style="text-align: right;">Page 82</p> <p>1 Fairbanks Hospital? 2 A. No. 3 Q. Would you agree with me that reasonable 4 physicians can take a look at the same set of facts, 5 yet have differing opinions? 6 A. Yes. 7 Q. Okay. And yet both be reasonable? 8 A. Yes. 9 Q. You outlined two cases in which you have 10 provided deposition testimony, and I have -- I have 11 an understanding for those, but let me ask you this: 12 How many cases do you think you've reviewed as an 13 expert? 14 A. Again, it would be a guess, but it's on 15 the order, like I said, about two or three a month 16 for about the past four to five years, so that adds 17 up pretty quickly. 18 Q. I did some research. 19 A. Yeah. 20 Q. And I found that you had documented 21 reviews in Massachusetts, Maryland, New Hampshire 22 and Rhode Island. Are there reviews -- first of 23 all, does that sound right? 24 A. That sounds right. I think there was a 25 couple out of Pennsylvania as well.</p>
<p style="text-align: right;">Page 81</p> <p>1 A. Yes. 2 Q. And which ones are you relying on? 3 A. So, again, the current practice 4 recommendations are cholecystectomy at the time of 5 ERCP -- cholecystectomy at the time of initial 6 admission, or what we call the index admission, once 7 the pancreatitis has resolved or if you deem the 8 patient to be too great a surgical risk, a 9 temporizing ERCP. 10 Q. And you say that that -- those guidelines 11 are cited in your May 26 report? 12 A. I'm not sure if I -- yeah. Yes, they are. 13 Q. And are those the American Society of 14 Gastroenterologists Management of Acute 15 Pancreatitis? 16 A. That, I don't know. 17 Q. Have you spoken with either one of the 18 Zeiglers? 19 A. No. 20 Q. Have you spoken with any of the treating 21 physicians? 22 A. No. 23 Q. Do you know any of these Defendants? 24 A. No. 25 Q. Do you have any familiarity with Cypress</p>	<p style="text-align: right;">Page 83</p> <p>1 Q. Reviews in any other states? 2 A. Pennsylvania is the only one I can think 3 of off the top of my head. 4 Q. Have you agreed to testify live in this 5 case? 6 A. Yes. 7 Q. We're right now set I think in mid-October 8 of 2018. As far as you know, is your schedule 9 available for you to come to Houston and testify in 10 a Harris County courtroom? 11 A. It should be, yes. 12 Q. Have you ever had your opinions struck in 13 a case? 14 A. Not that I'm aware of. 15 Q. Have you ever testified for a healthcare 16 provider? 17 A. You mean as a -- as defense? 18 Q. As -- as a retained expert for the 19 defense. 20 A. I've never testified. 21 Q. Have you reviewed cases for healthcare 22 providers? 23 A. Yes. 24 Q. In terms of plaintiff versus defense, I 25 mean, how many percent do you think is for the --</p>

<p style="text-align: right;">Page 84</p> <p>1 the plaintiff or patient or their family and what 2 percentage is for the healthcare provider? 3 A. I'd have to go back and look. Again, now 4 that most of my cases come from this -- this firm 5 here in town, which is a plaintiff's case, the 6 number is get being smaller and smaller, but I would 7 say historically it was probably 90/10 but now 8 that -- it may be 95/5 now plaintiff to defense. 9 Q. Have you ever reviewed any similar cases 10 that dealt with indications or allegations that ERCP 11 should be performed? 12 A. No. 13 Q. Do you have any personal malpractice 14 history? 15 A. No. 16 Q. Any disciplinary history? 17 A. No. 18 Q. When you were initially contacted in this 19 case, what were you asked to do? 20 A. Oh, I was asked to -- if I would be 21 willing to review the records and render an opinion 22 if I felt there were any departures from standard of 23 care. 24 Q. Were you asked to focus on any particular 25 specialty or any particular healthcare provider?</p>	<p style="text-align: right;">Page 86</p> <p>1 Q. Have you been provided with the medical 2 records for Mr. Zeigler from Dr. Nguyen's office? 3 A. No. I think I had a few outpatient visits 4 but nothing comprehensive. 5 Q. Do you think in terms of offering your 6 opinions in this case that it would be important for 7 you to review all of Dr. Nguyen's? 8 A. I think most importantly it would be 9 the -- the ones leading up to right around the time 10 of admission. 11 I -- my understanding is it sounded like 12 he had been a patient of his for quite sometime, at 13 least that was the gist I got. He seemed to know 14 the family pretty well and the patient fairly well. 15 But, however, I think as far as this goes, 16 it's just the -- the constellation of symptoms and 17 treatments leading up to this index admission. 18 Q. And have you been provided with those 19 portions of Dr. Nguyen's medical records? 20 A. Yeah, I believe I got one or two notes 21 from before he was sent to Cypress Fairbanks and 22 then I have the note that we saw in-between his 23 admissions. 24 Q. Are you aware that after his discharge 25 from Cy-Fair on November the 10th of 2014, that</p>
<p style="text-align: right;">Page 85</p> <p>1 A. I was asked to look at -- I believe 2 initially it was Dr. Nguyen and Dr. Singh. 3 Q. And did the scope of your review, did that 4 change sometime after that? 5 A. Pardon me. I think it was Dr. Nguyen, 6 Dr. Singh and Dr. Patel and, yes, the scope then did 7 change. Dr. Ugbarugba, when I -- when I spotted 8 that he declined to do an ERCP, I felt that that was 9 a breach. 10 Q. Are there any other criticisms you have of 11 Dr. Ugbarugba besides that one you just stated? 12 A. It's just that one. 13 Q. Have you ever been asked to evaluate the 14 nursing care? 15 A. No. 16 Q. With respect to the medical records that 17 have been provided to you, I'm clear on you have 18 both admissions from Cypress Fairbanks Medical 19 Center. You have a complete copy of the St. Luke's 20 Hospital records? 21 A. I believe so. 22 Q. You have a complete copy of the 23 HealthBridge or LTAC records? 24 A. I believe so, yeah. Those were the paper 25 ones.</p>	<p style="text-align: right;">Page 87</p> <p>1 Mr. Zeigler had some follow-up in Dr. Nguyen's 2 office? 3 A. I believe so. 4 Q. Have you been provided with those records? 5 A. I believe so, yeah. I think that was part 6 of, again, where he had the continued pain and sent 7 him back to the emergency room and then there was 8 some telephone notes, too, if I remember correctly. 9 Q. Is there anything you've asked for that 10 you've wanted to be furnished with that you haven't 11 received so far? 12 A. No. The one thing that I didn't initially 13 get that I subsequently got was the autopsy report. 14 Q. As we sit here today, do you have any 15 plans to do any additional work on this case? 16 A. No. 17 Q. You are -- I believe you said you hold 18 yourself out to the community as a general surgeon 19 and a bariatric surgeon? 20 A. Yes. 21 Q. You have training of course in general 22 surgery but also in minimally invasive surgery? 23 A. Yes. 24 Q. Is there any difference between minimally 25 invasive surgery and endoscopy?</p>

<p style="text-align: right;">Page 88</p> <p>1 A. Endoscopy is a form of minimally invasive 2 surgery. 3 Q. Are you a trained endoscopist? 4 A. Yes. 5 Q. What types of endoscopic procedures have 6 you been trained to perform? 7 A. I will do mostly upper endoscopies, which 8 is an endoscopy through the mouth, and I tend to do 9 these mostly on my bariatric patients for 10 evaluating, and there's a few advanced interventions 11 that we will sometimes offer. 12 Q. Another term for that type of procedure, 13 EGD? 14 A. Yes. 15 Q. What other types of endoscopic procedures 16 have you been trained to perform and you perform in 17 your practice? 18 A. That's largely it is, again, what we call 19 upper endoscopies or EDG's. 20 Q. You are not a gastroenterologist? 21 A. I am not. 22 Q. Have you ever completed any fellowship in 23 gastroenterology? 24 A. No. 25 Q. Are you board eligible in</p>	<p style="text-align: right;">Page 90</p> <p>1 the gastroenterologist would let us, you know, 2 attempt to pass the wire, pass the cannula, do 3 what's called the papillotomy or the sphincterotomy 4 is another word for that. 5 Q. Are you familiar with the -- categorizing 6 ERCP's as basic ERCP's and advanced ERCP's? 7 A. I'm not familiar with how one would 8 differentiate those two. 9 Q. Are you -- are you familiar with the 10 differentiation? 11 A. No. 12 Q. Do you know whether or not these three to 13 seven or so ERCP's where you, personally, had the 14 endoscopic in your hands whether or not those were 15 basic ERCP's or advanced ERCP's? 16 A. My guess is that advanced ERCP involves 17 some sort of instrumentation or withdrawal or basket 18 deployment, removing stones, so -- so I would say 19 all of them were for that indication. 20 Q. Are you familiar with the Schutz scale? 21 A. No. 22 Q. Are you familiar with the different levels 23 of ERCP's? 24 A. No. 25 Q. If I said let's talk about ERCP Level 1</p>
<p style="text-align: right;">Page 89</p> <p>1 gastroenterology? 2 A. No. 3 Q. Have you been trained to perform ERCP's? 4 A. Yes, but I do not. 5 Q. What type of training have you received to 6 perform ERCP's? 7 A. As part of our general surgery training, 8 we're required to perform a certain number of 9 endoscopies. I believe it's 50 colonoscopies and 35 10 upper endoscopies. 11 In order to get this training, we shadow 12 some of our advanced endoscopists, including the 13 gastroenterologists, and I have seen and 14 participated in ERCP's in training. Never as an 15 attending. 16 Q. Let me break that down. How many -- 17 specific to ERCP, how many ERCP's were you involved 18 in either shadowing or participating during your 19 general surgery residency? 20 A. Maybe five to ten. 21 Q. Now let's break down shadowing and 22 participating. What would be -- what was your level 23 of participation in those five to ten ERCP's? 24 A. I would say -- I would call it pure 25 shadowing maybe in two of them and then after that,</p>	<p style="text-align: right;">Page 91</p> <p>1 to Level 4, would you be able to set forth the 2 different requirements or what would make each one 3 of those each a different level? 4 A. No. 5 Q. Since completing your general surgery 6 residency, have you ever again performed an ERCP? 7 A. No. 8 Q. Have you ever been involved either in your 9 general surgery residency or since that time in 10 determining the indications for ERCP? 11 A. Yes. 12 Q. How so? What's your experience in that 13 regard? 14 A. I have a fair bit, actually. So a lot of 15 times when we get a consult for cholelithiasis or 16 gallstone pancreatitis, we call our colleagues in 17 gastroenterology to come do an ERCP on some patients 18 we think may still have retained stones in the 19 common bile duct. 20 Additionally, if you think about the 21 anatomy of a gastric bypass and the fact that a lot 22 of these patients get gallstones after a gastric 23 bypass, a lot of times these patients need an ERCP 24 to get the gallstones out of the bile ducts. The 25 problem is that the bile duct is no longer</p>

<p style="text-align: right;">Page 92</p> <p>1 accessible easily from the mouth, so we double-scrub 2 with the gastrologists quite frequently to give them 3 access to the stomach and often we'll perform 4 rendezvous procedures through the -- through the 5 common bile duct to help them extract the stones 6 from the -- from the bile duct.</p> <p>7 Q. How many times have you done that where 8 you've double-scrubbed with an endoscopist during an 9 ERCP?</p> <p>10 A. Maybe 30 or 40.</p> <p>11 Q. When's the last time you did that?</p> <p>12 A. Last month. We did one in January.</p> <p>13 Q. In terms of those patients where you feel 14 like they may need an ERCP, what I heard you to say 15 is I call in the gastroenterology consults and get 16 their opinion?</p> <p>17 A. Yes.</p> <p>18 Q. So in terms of the ultimate decision for 19 whether or not a patient is going to need an ERCP, 20 it sounds like you defer that decision to the 21 judgment of gastroenterologists?</p> <p>22 A. Ultimately, if they say no, they say no, 23 so -- so, yes, but often -- often they say no and 24 then we discuss.</p> <p>25 Q. But in terms of whether or not an ERCP is</p>	<p style="text-align: right;">Page 94</p> <p>1 we let them directly into the remnant stomach, so 2 most of those patients had good weight loss. In 3 fact, probably none of them were over 400 pounds. 4 Q. I take it then none of those patients had 5 a BMI in the 70's?</p> <p>6 A. Correct.</p> <p>7 Q. Do you know whether or not performing an 8 ERCP on a patient who is over 400 pounds with a BMI 9 in the 70's, whether or not that presents any 10 technical considerations for the endoscopist or 11 gastroenterologist?</p> <p>12 A. I -- not that I'm aware of, no.</p> <p>13 Q. And let's just make sure that we're clear 14 with one another. Are you saying there are no 15 technical considerations for the gastroenterologist 16 in that instance or you're just not aware of them?</p> <p>17 A. I'm not aware of them. There are some 18 risks of anesthesia in somebody that large.</p> <p>19 Q. And in fairness to you, you don't have any 20 personal experience at participating in ERCP's where 21 you might have learned what the technical 22 considerations would be if the patient is over 23 400 pounds or has a BMI in the 70's, correct?</p> <p>24 A. Correct.</p> <p>25 Q. In terms of your hospital privileges,</p>
<p style="text-align: right;">Page 93</p> <p>1 indicated for a particular patient, you are relying 2 on the training and expertise of a 3 gastroenterologist?</p> <p>4 A. For the -- for the indications or for 5 performing it?</p> <p>6 Q. For whether or not that procedure is going 7 to be performed.</p> <p>8 A. I'm -- I'm relying on the 9 gastroenterologist to perform the procedure, yes.</p> <p>10 Q. It sounds like the gastroenterologist has 11 some medical judgment they can exercise in terms of 12 whether or not that is, in fact, the appropriate 13 procedure for the patient?</p> <p>14 A. Yes.</p> <p>15 Q. Of those 30 or 40 patients where you have 16 been involved as a double-scrub, we'll call it, --</p> <p>17 A. Yeah.</p> <p>18 Q. -- or an ERCP with a gastroenterologist or 19 endoscopist, they are as well, how many of those 20 were patients who were over 400 pounds?</p> <p>21 A. So those it was very few because most of 22 those patients were after bariatric surgery. That's 23 why we were double-scrubbing was because the 24 patients had had a gastric bypass and the anatomy 25 wasn't favorable for the gastroenterologist unless</p>	<p style="text-align: right;">Page 95</p> <p>1 you've told us where you have privileges, but do you 2 have privileges to perform ERCP?</p> <p>3 A. No. I didn't request them.</p> <p>4 Q. The academic appointments that you have, 5 do you train residents in how to perform ERCP?</p> <p>6 A. Yes. Well, not how to perform. Pardon 7 me. The indications, yes.</p> <p>8 Q. And in that particular training of your 9 residents, in those instances do you teach them that 10 when they think an ERCP is indicated, they should 11 call in a gastroenterology consult for further 12 collaboration?</p> <p>13 A. Yeah, the -- so the formal curriculum 14 wouldn't sit -- we wouldn't say that, but, yes, 15 that's what most residents and probably most general 16 surgeons would do in practice unless they were very 17 familiar with ERCP's, and those are becoming fewer 18 and further between.</p> <p>19 Q. Why would most general surgeons in their 20 practice call in a gastroenterologist for a patient 21 that they think may need an ERCP?</p> <p>22 A. Simply for the numbers that they do.</p> <p>23 The gastrologists, you know, these days do far more 24 ERCP's and endoscopies than the surgeons do, so if 25 you have one available, you consult them.</p>

<p style="text-align: right;">Page 96</p> <p>1 Q. If you found yourself presented with a 2 patient this afternoon -- 3 A. Yes. 4 Q. -- after this deposition that needed an 5 ERCP, would you feel comfortable given your training 6 and experience in performing the ERCP? 7 A. No. I would go a different way. 8 Q. And when you say, "I would go a different 9 way," what would you do? 10 A. I would either do percutaneous 11 decompression of the liver or a laparoscopic common 12 bile duct exploration. 13 Q. Is another term for the percutaneous 14 drainage of the liver "cholecystostomy"? 15 A. No. That's a -- that's a cholecystostomy. 16 That -- that one they drain the gallbladder 17 directly. 18 Q. Might another direction that you would go 19 in if you were presented with that patient this 20 afternoon be to consult with a gastroenterologist to 21 perform an ERCP? 22 A. Yes. 23 Q. Do you perform cholecystostomy in your 24 practice? 25 A. Not routinely. That tends to these days</p>	<p style="text-align: right;">Page 98</p> <p>1 Objection. Form. 2 A. Correct. I -- I agree. It depends on 3 what time we're talking about. 4 Q. Why does it depend on time? 5 A. So I think he would have been, for 6 instance, and I know -- I believe your client was 7 even consulted at the first admission, but that 8 would have been a perfect time to do an ERCP on him. 9 When he came again and it seemed like he 10 had active pancreatitis as well as the setting of a 11 narrowed common bile duct, that certainly would be a 12 higher-risk operation or higher-risk procedure. 13 Q. Would you agree with me that high-risk 14 ERCP's are associated with a higher risk of 15 morbidity and death? 16 A. Yes. 17 Q. We talked about or you had a discussion 18 with counsel for Dr. Singh about practitioners 19 performing procedures that they have a comfort level 20 and a training for. Do you remember that 21 discussion? 22 A. Yes. 23 Q. In terms of ERCP, do you know whether or 24 not that same logic applies? 25 A. I don't -- I've never reviewed any</p>
<p style="text-align: right;">Page 97</p> <p>1 be the interventional radiologists. 2 (Pause.) 3 Q. Are you familiar with the risks of ERCP? 4 A. Many of them, yes. 5 Q. And can you just kind of outline what 6 those are? 7 A. There's -- so there's, first of all, the 8 risk of having sedation for the procedure that we 9 talk about with any sort of semi-surgical 10 intervention. 11 You can actually develop pancreatitis. 12 You can develop perforation of the duodenum or the 13 stomach or the esophagus, as well as bleeding. 14 Q. Would you agree with me that patients who 15 have active pancreatitis are at greater risk for 16 post ERCP complications? 17 A. Yes. 18 Q. I had asked you if you were familiar with 19 the different levels for ERCP. Do you have any 20 basis to dispute that an ERCP in Mr. Zeigler would 21 be a high-risk ERCP? 22 A. I wouldn't dispute that. 23 Q. Would you agree that an ERCP in 24 Mr. Zeigler would be a high-risk ERCP? 25 MR. ENGELHARDT: Point in time.</p>	<p style="text-align: right;">Page 99</p> <p>1 articles, but it is intuitive to me that it likely 2 does follow. 3 Q. Would you agree with me that higher risk 4 or higher difficulty grade ERCP's are best left to 5 advanced endoscopists who are in specialized centers 6 who have that comfort level? 7 A. Yes, if one's available. 8 Q. Would you agree with me that it would be 9 unprecedented for a Level 3 or Level 4 ERCP to be 10 performed in a community hospital? 11 A. I'm not familiar with Level 3 or 4, so I 12 can't comment. 13 Q. You just can't say one way or the other? 14 A. Yeah. 15 Q. Fair enough. We had talked about Cypress 16 Fairbanks Medical Center. Do you know what type of 17 resources or facilities they have that would be 18 available for patients if they had complications 19 from ERCP? 20 MR. ENGELHARDT: Objection. Form. Go 21 ahead. 22 A. No. 23 Q. Would you agree with me that the resources 24 available at any particular facility should also be 25 an important consideration in whether or not to</p>

<p style="text-align: right;">Page 100</p> <p>1 perform any procedure?</p> <p>2 A. Yes.</p> <p>3 Q. And that being particular, the resources</p> <p>4 that would be available to the physician in the</p> <p>5 event of management of complications from that</p> <p>6 procedure?</p> <p>7 A. Yes.</p> <p>8 Q. You had outlined some of the risks of an</p> <p>9 ERCP. Would you agree with me that an angiography</p> <p>10 suite being available would be important for the</p> <p>11 management of the ERCP complication of bleeding?</p> <p>12 A. An angiography suite, yes.</p> <p>13 Q. Do you know if Cy-Fair has an angiography</p> <p>14 suite?</p> <p>15 A. I do not know.</p> <p>16 Q. Is it your understanding from your review</p> <p>17 of the medical records and the deposition testimony</p> <p>18 in this case that certain imaging modalities were</p> <p>19 not available at Cy-Fair for Mr. Zeigler?</p> <p>20 A. That's what it seems. I could never quite</p> <p>21 figure out exactly what the problem was.</p> <p>22 Q. Is it your understanding from your review</p> <p>23 of the medical records and the deposition testimony</p> <p>24 that neither CT nor MRI were available at Cy-Fair</p> <p>25 for Mr. Zeigler?</p>	<p style="text-align: right;">Page 102</p> <p>1 Q. Do you know if Cy-Fair -- well, strike</p> <p>2 that. Would you agree with me that -- that given</p> <p>3 those limitations, that it would be safer for a</p> <p>4 patient like Mr. Zeigler to undergo ERCP in a</p> <p>5 specialized center like the Texas Medical Center?</p> <p>6 A. Yes.</p> <p>7 Q. Would you agree with me that the lack of</p> <p>8 an angiography suite to manage any bleeding if there</p> <p>9 were any MRI or CT, that that would certainly</p> <p>10 increase the risk for death for a patient like</p> <p>11 Mr. Zeigler if an ERCP were to be performed at</p> <p>12 Cy-Fair?</p> <p>13 A. Yes. Although not much, but, yes.</p> <p>14 MS. NOLAN: And I'll respectfully</p> <p>15 object to the nonresponsive portion.</p> <p>16 THE WITNESS: Okay.</p> <p>17 BY MS. NOLAN</p> <p>18 Q. I would say maybe being dead's a little</p> <p>19 bit like being pregnant. It's hard to be a little</p> <p>20 dead?</p> <p>21 A. I thought we were talking about the</p> <p>22 chances, though.</p> <p>23 Q. Hard to be a little pregnant.</p> <p>24 MR. ENGELHARDT: Objection. Form.</p> <p>25 Q. Are you able to tell the ladies and</p>
<p style="text-align: right;">Page 101</p> <p>1 A. Yes.</p> <p>2 Q. Would you agree with me that having those</p> <p>3 imaging modalities available would be an important</p> <p>4 consideration for management of post ERCP</p> <p>5 complications?</p> <p>6 A. No.</p> <p>7 Q. And what is the basis of your opinion?</p> <p>8 A. Well, the -- you know, the -- the two</p> <p>9 things that you would worry about and I would say</p> <p>10 that you might want a CT scanner for would be either</p> <p>11 bleeding or perforation, both of which there's</p> <p>12 innumerable other ways to -- to deduce, including</p> <p>13 just the patient's clinical picture.</p> <p>14 Q. Might CT or MRI be useful in just defining</p> <p>15 the anatomy before performing an ERCP?</p> <p>16 A. An MRI more so than a CT.</p> <p>17 Q. Would you agree with me that given -- and</p> <p>18 I'll represent to you there is no angiography suite</p> <p>19 available at Cy-Fair.</p> <p>20 A. Okay.</p> <p>21 Q. I'll represent to you and you've reviewed</p> <p>22 the testimony and although you may not understand</p> <p>23 the reasons for it, but you're certainly familiar</p> <p>24 that CT and MRI were not available?</p> <p>25 A. Yes.</p>	<p style="text-align: right;">Page 103</p> <p>1 gentlemen of the jury a percentage of risk of death</p> <p>2 for an ERCP in a patient like Mr. Zeigler?</p> <p>3 A. No. It would be speculative, not based on</p> <p>4 data.</p> <p>5 Q. Would you agree with me in a patient like</p> <p>6 Mr. Zeigler who has -- I'm talking about the second</p> <p>7 admission, who has presented with active</p> <p>8 pancreatitis -- I take you agree he did present with</p> <p>9 active pancreatitis?</p> <p>10 A. I agree, yes.</p> <p>11 Q. No dispute there?</p> <p>12 A. No.</p> <p>13 Q. Would you agree with me that in a patient</p> <p>14 like Mr. Zeigler who presents with active</p> <p>15 pancreatitis, that that in and of itself places the</p> <p>16 patient at high risk for a worsening of that</p> <p>17 pancreatitis should they undergo an ERCP?</p> <p>18 A. Yes.</p> <p>19 Q. Would you agree with me that -- you have</p> <p>20 an understanding that within 24 hours or so of this</p> <p>21 second presentation to Cy-Fair, that Mr. Zeigler had</p> <p>22 developed what we call ARDS or respiratory problems?</p> <p>23 He had to be intubated. He had signs of acute renal</p> <p>24 failure. Generally, multisystem organ failure?</p> <p>25 A. I agree.</p>

Page 104	Page 106
<p>1 Q. You understand he was transferred to the 2 ICU as a result of those problems?</p> <p>3 A. Yes.</p> <p>4 Q. Would you agree with me that given 5 those -- those problems, the multisystem organ 6 failure and the active pancreatitis that certainly 7 an ERCP being performed on Mr. Zeigler at that time 8 would present with a high rate of mortality and 9 morbidity?</p> <p>10 A. No.</p> <p>11 (Pause.)</p> <p>12 A. Did you say high or higher?</p> <p>13 Q. High.</p> <p>14 A. High, yes.</p> <p>15 Q. I take it from your answer, but if I'm 16 wrong, tell me, you think his risk for morbidity and 17 mortality once he re-presents to Cy-Fair is the same 18 at all times during the admission?</p> <p>19 A. When he re-presented?</p> <p>20 Q. Yes, sir.</p> <p>21 A. No. I think that the -- I think that 22 he -- his risk for morbidity and mortality 23 essentially increased the longer he was there.</p> <p>24 Q. And make sure that we're clear with one 25 another. I'm talking about the risk for morbidity</p>	<p>1 Q. Improved? I think you said improved in 2 the ensuing weeks and lower risk.</p> <p>3 A. Yeah.</p> <p>4 Q. So that runs through the time at which he 5 was actually transferred out to St. Luke's?</p> <p>6 A. Yes.</p> <p>7 Q. Would you agree with me that for any 8 procedure, there should be indications?</p> <p>9 A. Yes.</p> <p>10 Q. Would you agree with me that an ERCP is 11 not diagnostic?</p> <p>12 A. No, I would not.</p> <p>13 Q. And what's your basis of disagreement?</p> <p>14 A. Oh, you can -- it can be diagnostic and 15 therapeutic. It can give you a -- a sense of the 16 biliary tree, whether or not there's any 17 abnormalities with it, which was actually what 18 happened at St. Luke's.</p> <p>19 They diagnosed a bile duct stricture, 20 which was probably one of the main things that was 21 making him so ill, and it can diagnose 22 choledocholithiasis, which is the presence of 23 gallstones within the bile duct.</p> <p>24 Q. Would you agree with me that an ERCP is 25 useful if the patient has cholangitis and a stone in</p>
<p>1 and mortality associated with performing an ERCP on 2 this gentleman. Are you just saying the longer he 3 was there generally, ERCP or no ERCP, in your 4 opinion his risk for morbidity and mortality was 5 progressively increasing?</p> <p>6 A. No. I think in terms of just from ERCP, 7 I think that the -- there was a -- an oscillating 8 risk spectrum over that second admission.</p> <p>9 Q. And -- and how did it oscillate, in your 10 opinion?</p> <p>11 A. You know, I think that he was relatively 12 high risk in the -- in the very early days when he 13 developed ARDS and all that.</p> <p>14 You know, certainly, again, the risk of 15 deepening his sedation and everything that goes 16 along with the procedure made it riskier. I think 17 he relatively improved over the ensuing weeks, in 18 which case it would be a -- a lower-risk procedure.</p> <p>19 Q. What about at the time at which efforts 20 were made to have him transferred to Dr. Rajmann at 21 St. Luke's to have the ERCP performed? Do you have 22 an opinion at that time where he was on the risk 23 spectrum?</p> <p>24 A. Yeah, I would say he was probably the same 25 place he had been at for weeks at that point, yeah.</p>	<p>1 the common bile duct?</p> <p>2 A. Yes.</p> <p>3 Q. "Cholangitis," that's the first time we've 4 used that term, at least today. What is that?</p> <p>5 A. So "cholangitis" is an inflammation, 6 usually an infection of the biliary system within 7 the liver.</p> <p>8 Q. Would you agree with me that before an 9 ERCP is performed, there should be some therapeutic 10 reason for it?</p> <p>11 A. It -- again, it can be diagnostic or 12 therapeutic, but usually it's done for -- usually 13 it's done because it is diagnostic and therapeutic.</p> <p>14 Typically, if you just wanted to make a 15 diagnosis, you could get an MRI or an MRCP, which it 16 seems like was not an option in this case.</p> <p>17 Q. So you agree that typically ERCP's are 18 performed when there is a therapeutic purpose for 19 that procedure?</p> <p>20 A. I would say the -- the majority of them 21 are, but there's all sorts of diagnostic indications 22 for ERCP's, but in the setting of gallstone disease, 23 it's usually for a therapeutic purpose.</p> <p>24 Q. And you would agree that the therapeutic 25 purpose in the context of gallstone disease like</p>

Page 108	Page 110
<p>1 Mr. Zeigler had is typically because there is a 2 gallstone in -- somewhere in the biliary duct 3 system?</p> <p>4 A. Or they've got a narrowed ampulla or 5 they've got a stricture.</p> <p>6 Q. Would you agree with me that one of the 7 findings that one may find in a patient who has 8 either a narrowed ampulla, a stricture or a stone in 9 their common bile duct is a dilated common bile 10 duct?</p> <p>11 A. Yes.</p> <p>12 Q. Would you agree with me these patients who 13 have either the narrowed ampulla, the stricture or 14 the stone in the duct, they are -- one of the signs 15 and symptoms of that also may be cholangitis?</p> <p>16 A. May be, yes.</p> <p>17 Q. Would you agree with me that cholangitis 18 is a clinical diagnosis?</p> <p>19 A. Yes.</p> <p>20 Q. Would you agree with me that in making 21 that diagnosis, that can be made by looking at 22 various vital signs for the patient, various signs 23 and symptoms as well as laboratory findings?</p> <p>24 A. Yes.</p> <p>25 Q. Are you familiar with the term "Reynolds</p>	<p>1 that would be seen in patients who have cholangitis?</p> <p>2 A. Yeah.</p> <p>3 Q. In your review of the medical records for 4 Mr. Zeigler from his second admission at Cy-Fair, 5 were you able to identify a time at which Reynolds' 6 pentad was present?</p> <p>7 A. You know, I think that most of his pain 8 was epigastric, consistent with his pancreatitis. 9 Obviously, once they put him on the ventilator, it's 10 more difficult to discern things like right upper 11 quadrant pain, but, yeah, he certainly had an 12 elevated white count, fever, mental status changes 13 and rising liver function tests.</p> <p>14 (Pause.)</p> <p>15 MS. NOLAN: And I'll respectfully 16 object as nonresponsive.</p> <p>17 BY MS. NOLAN</p> <p>18 Q. Are you able to point me to a time in the 19 medical records from Mr. Zeigler from the second 20 admission where you identified he had satisfied that 21 Reynolds' pentad?</p> <p>22 A. I'll say no.</p> <p>23 Q. You have an understanding my client, 24 Dr. Ugbarugba, was consulted shortly after the 25 second presentation?</p>
Page 109	Page 111
<p>1 pentad"?</p> <p>2 A. Yes.</p> <p>3 Q. And can you tell the ladies and gentlemen 4 of the jury what "Reynolds' pentad" is?</p> <p>5 A. Oh, you're taking me back to my residency 6 days, but it is right upper quadrant pain, jaundice, 7 and I believe an elevated white count and that's the 8 Charcot's triad.</p> <p>9 And then the pentad also I believe are the 10 signs of shock, which is I think fever and mental 11 status changes, if I remember correctly.</p> <p>12 MR. ENGELHARDT: That's what you had 13 down, right?</p> <p>14 MS. NOLAN: Maybe not in that order.</p> <p>15 MR. ENGELHARDT: Okay.</p> <p>16 BY MS. NOLAN</p> <p>17 Q. Okay. And what is -- what are -- and I'm 18 sorry to take you back to your -- to your residency 19 days, but -- but what is -- Reynolds' pentad, what 20 does that show a practitioner?</p> <p>21 A. Oh, it's -- it gives you the sign of a 22 patient who is about to seriously decompensate from 23 a bile duct obstruction or cholangitis. I should 24 broaden that.</p> <p>25 Q. So this Reynolds' pentad, that's something</p>	<p>1 A. Yes.</p> <p>2 Q. You understand that he had his initial 3 examination of Mr. Zeigler on December, the 19th?</p> <p>4 A. That sounds correct.</p> <p>5 Q. Would you agree with me that at the time 6 of the initial examination by Dr. Ugbarugba on 7 December the 19th, that Mr. Zeigler did not meet the 8 criteria for Reynolds' pentad?</p> <p>9 A. Yes, although at that point I'm not sure 10 he could have gotten one of the pentad components.</p> <p>11 Q. Which was?</p> <p>12 A. The pain in the right upper quadrant.</p> <p>13 Q. Why do you believe that Dr. Ugbarugba 14 could not have gotten information about that?</p> <p>15 A. He was sedated and on a ventilator.</p> <p>16 Additionally, it's hard to localize pain in the 17 super morbidly obese because it's -- it's deep down 18 in there.</p> <p>19 What -- what one might call the -- the 20 right upper quadrant here, on somebody who extends 21 out, you know, 2 feet in either direction, it's hard 22 to get the geography.</p> <p>23 Q. I'm going to represent to you that at the 24 time of Dr. Ugbarugba's initial evaluation of this 25 gentleman, he had not been intubated.</p>

Page 112	Page 114
<p>1 A. Okay.</p> <p>2 Q. He had not been transferred to the ICU.</p> <p>3 A. Okay.</p> <p>4 Q. So let's take that back.</p> <p>5 A. All right.</p> <p>6 Q. Okay. Let's take a look at his initial presentation and of course Dr. Ugbarugba's initial evaluation of him on the 19th. Would you agree with me that Mr. Zeigler did not at that time exhibit the Reynolds' pentad?</p> <p>11 A. Yeah, I'll still say probably unable to determine the location of pain in someone of his size, but, yes, I'll agree.</p> <p>14 Q. Would you agree with me that ERCP is not indicated for mild or severe gallstone pancreatitis without cholangitis and in the absence of common bile duct obstruction?</p> <p>18 A. Yes.</p> <p>19 (Pause.)</p> <p>20 Q. Do you know from your review of Dr. Raijmann's ERCP procedure that he performed on January the -- let me get back to my timeline.</p> <p>23 (Pause.)</p> <p>24 Q. Let me just start that over. Do you know -- from your review of Dr. Raijmann's ERCP</p>	<p>1 basis for that?</p> <p>2 A. Oh, it's -- you know, we -- we talk about common things being common. He had gallstones on his ultrasound. He had pancreatitis.</p> <p>5 The other sources of pancreatitis tend to be a little rare as you go down the -- the line.</p> <p>7 The next most common cause is -- is usually alcohol related. There was no indication that that was a possibility.</p> <p>10 The next most common cause is hypertriglyceridemia, which is -- again, from his laboratory values, I don't think his triglyceridemia was high enough to cause that and then you get into rare things like drugs and scorpion bites and -- which I don't think you have that kind of scorpion in Texas.</p> <p>17 MR. SERPE: We have a lot of scorpions. Go ahead.</p> <p>19 THE WITNESS: I don't think you have that kind. It's a Pacific.</p> <p>21 BY MS. NOLAN</p> <p>22 Q. So it sounds like essentially the basis for your opinion that Mr. Zeigler had gallstone pancreatitis are the finding of gallstones on his various ultrasounds?</p>
<p>1 procedure note from January the 27th, do you know whether or not he had to modify that procedure or address any technical challenges on account of Mr. Zeigler's weight?</p> <p>5 A. I don't recall.</p> <p>6 Q. Would it surprise you if he did?</p> <p>7 A. No.</p> <p>8 Q. Do you have an opinion whether or not an ERCP on Mr. Zeigler could be performed in a standard endoscopy suite?</p> <p>11 A. It like -- it likely could not have been performed in a standard suite simply because most fluoroscopy tables couldn't support somebody of his weight. They would have had to use an OR table and what's called a C-Arm, which is a type of x-ray.</p> <p>16 Q. And is that -- that is, in fact, what was done, correct?</p> <p>18 A. I believe so, yeah.</p> <p>19 (Pause.)</p> <p>20 Q. Do you have an opinion to offer the ladies and gentlemen of the jury in this case of what the cause of Mr. Zeigler's pancreatitis was?</p> <p>23 A. I believe it was gallstone pancreatitis.</p> <p>24 Q. And I'm not trying to parse this out with you or anything, but I'm being genuine. What's your</p>	<p>1 A. Yes.</p> <p>2 Q. I have -- I've read some of your prior deposition testimony. I think you said you rely on whatever the imaging reports are that are provided to you?</p> <p>6 A. Yes.</p> <p>7 Q. You understand that all of the treating physicians, including my client, Dr. Ugbarugba, relied on the findings from the radiologist, including those from the ultrasound?</p> <p>11 A. Yes.</p> <p>12 Q. Was that appropriate?</p> <p>13 A. Yes.</p> <p>14 Q. Within the standard of care?</p> <p>15 A. It's within the standard of care.</p> <p>16 Q. Do you -- just as we sit here today, do you have a recollection of what his triglycerides, what the -- what the numbers were?</p> <p>19 A. Not off the top of my head.</p> <p>20 Q. I'll represent to you that the time of his second admission his triglycerides were 350.</p> <p>22 A. Yeah.</p> <p>23 Q. You would agree with me that's high?</p> <p>24 A. That's high.</p> <p>25 Q. You would agree with me that meets the</p>

Page 116	Page 118
<p>1 criteria for hypertriglyceridemia?</p> <p>2 A. Yes.</p> <p>3 Q. Would you agree with me that that</p> <p>4 represents a possible or potential cause for his</p> <p>5 pancreatitis?</p> <p>6 A. Unlikely, but possible. Typically, the</p> <p>7 pancreatitis that we see, you have the</p> <p>8 triglyceridemia, it tends to be in the thousands.</p> <p>9 (Pause.)</p> <p>10 Q. Going back to those ultrasounds that were</p> <p>11 performed on Mr. Zeigler, would you agree with me</p> <p>12 that those ultrasounds with the exception of perhaps</p> <p>13 maybe one where there was not visualization, that</p> <p>14 they were sufficient in evaluating Mr. Zeigler's</p> <p>15 common bile duct?</p> <p>16 A. Probably -- again, we go back to what we</p> <p>17 were talking about earlier about the limitations of</p> <p>18 ultrasound in the morbidly obese.</p> <p>19 You know, I would say by the time you were</p> <p>20 trying to penetrate that deep and get measurements,</p> <p>21 it's probably not the best tool on someone of his</p> <p>22 size, similar to how we said if they saw no</p> <p>23 gallstones, I wouldn't have been satisfied with that</p> <p>24 just because when you get that big, it's just really</p> <p>25 tough to -- to appreciate the finer things.</p>	<p>1 Dr. Ugbarugba to consider in terms of whether or not</p> <p>2 ERCP was indicated?</p> <p>3 A. Yes. I would agree that it was important</p> <p>4 to consider.</p> <p>5 Q. Within the standard of care?</p> <p>6 A. Yes.</p> <p>7 (Pause.)</p> <p>8 Q. Do you have an opinion whether or not --</p> <p>9 during the second admission whether or not</p> <p>10 Mr. Zeigler had cholangitis?</p> <p>11 A. Yes.</p> <p>12 Q. And what is your opinion?</p> <p>13 A. I believe he did.</p> <p>14 Q. And what is the basis of your opinion?</p> <p>15 A. Rising liver function tests, sepsis,</p> <p>16 fever, high white count and then this was bolstered</p> <p>17 by the subsequent ERCP which showed a stricture.</p> <p>18 Q. Is it your opinion that as a result of the</p> <p>19 cholangitis, that Mr. Zeigler had infected bile in</p> <p>20 his biliary system?</p> <p>21 A. Likely, yes.</p> <p>22 Q. You are aware that Mr. Zeigler underwent</p> <p>23 two cholecystostomies during that second</p> <p>24 hospitalization?</p> <p>25 A. Yes. In January, I believe.</p>
Page 117	Page 119
<p>1 Q. You have an understanding that there are a</p> <p>2 course -- during the second hospitalization, there</p> <p>3 are a course of ultrasounds where the radiologist</p> <p>4 provided a reading regarding the common bile duct</p> <p>5 diameter?</p> <p>6 A. Yes.</p> <p>7 Q. You haven't seen those films?</p> <p>8 A. I haven't seen the films. Just the</p> <p>9 reports.</p> <p>10 Q. Do you have any reason to dispute the</p> <p>11 radiologist's findings?</p> <p>12 A. No.</p> <p>13 Q. And do you have any opinion or any</p> <p>14 criticism to offer with respect to Dr. Ugbarugba and</p> <p>15 the other treating physicians relying on that</p> <p>16 finding with respect to the diameter of the common</p> <p>17 bile duct?</p> <p>18 A. Yeah, I think -- again, I think maybe</p> <p>19 "relying" isn't the right word, but -- but, yes,</p> <p>20 taking those findings as part of their -- to</p> <p>21 formulate their treatment plan.</p> <p>22 Q. It sounds like you would agree that for</p> <p>23 Dr. Ugbarugba, in terms of the reported common bile</p> <p>24 duct diameter, you would agree that that was one</p> <p>25 appropriate piece of clinical information for</p>	<p>1 Q. Are you aware that for the first</p> <p>2 cholecystostomy, that some of the bile was actually</p> <p>3 sampled and sent off for culture?</p> <p>4 A. Yes.</p> <p>5 Q. And what do you understand those results</p> <p>6 to be?</p> <p>7 A. I believe that they didn't grow back</p> <p>8 anything on the first sample, if I remember</p> <p>9 correctly.</p> <p>10 Q. Would you agree with me that the results</p> <p>11 from the initial bile cultures were not consistent</p> <p>12 with cholangitis?</p> <p>13 A. No. I don't think you can draw that</p> <p>14 conclusion based on what was going on in his</p> <p>15 clinical course.</p> <p>16 Q. Any other basis for your disagreement?</p> <p>17 A. I mean, he was on antibiotics. It's the</p> <p>18 same reason why when we take blood cultures of</p> <p>19 somebody on antibiotics, you can't rely on those</p> <p>20 because they're being treated.</p> <p>21 Q. Were you able to tell from your review of</p> <p>22 Dr. Rajmann's ERC -- ERCP report from January, the</p> <p>23 27th whether or not he found any findings consistent</p> <p>24 with cholangitis?</p> <p>25 A. No.</p>

<p style="text-align: right;">Page 120</p> <p>1 Q. Just couldn't tell one way or the other?</p> <p>2 A. Couldn't tell one way or the other.</p> <p>3 Q. Do you intend to offer an opinion to the</p> <p>4 ladies and gentlemen of the jury that Dr. Ugbarugba</p> <p>5 should have performed a HIDA scan?</p> <p>6 A. No. That shouldn't be within his spectrum</p> <p>7 of care.</p> <p>8 Q. Would you agree with me -- do you have an</p> <p>9 opinion whether or not Mr. Zeigler had necrotizing</p> <p>10 pancreatitis during the second admission?</p> <p>11 A. It's -- it's difficult to say. I think</p> <p>12 that ultimately at some point that did happen for</p> <p>13 him, but it's difficult to say if that was his --</p> <p>14 what he was initially presenting with, but certainly</p> <p>15 it showed on his autopsy.</p> <p>16 Q. Would you agree with me that a CT would</p> <p>17 have been useful, were it available, in determining</p> <p>18 whether or not Mr. Zeigler had necrotizing</p> <p>19 pancreatitis?</p> <p>20 A. Yes.</p> <p>21 Q. Would you agree with me that an ERCP is</p> <p>22 not a substitute for an MRI or a CT?</p> <p>23 A. It depends on what question you want</p> <p>24 answered. I think if you're just looking for the</p> <p>25 biliary tree, I do think it's a reasonable</p>	<p style="text-align: right;">Page 122</p> <p>1 wrote it down somewhere.</p> <p>2 MR. ENGELHARDT: Discharge summary the</p> <p>3 first time?</p> <p>4 MS. NOLAN: I'm talking about the</p> <p>5 first admission right now.</p> <p>6 MR. ENGELHARDT: Okay. In November.</p> <p>7 Okay.</p> <p>8 BY MS. NOLAN</p> <p>9 Q. You said -- you were asked about the</p> <p>10 discharge, and I think you said Dr. Nguyen had no</p> <p>11 choice at that point. Explain.</p> <p>12 A. Oh, it sounded like there had been efforts</p> <p>13 made and, again, this is -- I've sort of just got a</p> <p>14 glimpse of this in depositions and the charts.</p> <p>15 There had been efforts made to get him to</p> <p>16 another facility. Apparently because he had no</p> <p>17 insurance, no other facility would take him and</p> <p>18 there wasn't a surgeon willing to operate on him</p> <p>19 there.</p> <p>20 So, you know, at that -- at that point if</p> <p>21 no intervention was going to be performed, I'm not</p> <p>22 sure what the end -- the end date for keeping him</p> <p>23 there would have been.</p> <p>24 Q. In your practice, are you involved at all</p> <p>25 in transferring patients?</p>
<p style="text-align: right;">Page 121</p> <p>1 substitute. If you're looking for things like</p> <p>2 tumors, then, no. It's not equivalent.</p> <p>3 Q. Do you have an understanding both from</p> <p>4 Dr. Ugbarugba's deposition testimony as well as his</p> <p>5 initial consult in the case that his working</p> <p>6 diagnosis was gallstone pancreatitis?</p> <p>7 A. I believe so, yeah.</p> <p>8 Q. I take it given your opinion, that that</p> <p>9 was an appropriate working diagnosis?</p> <p>10 A. Yeah.</p> <p>11 Q. Within the standard of care?</p> <p>12 A. Yes.</p> <p>13 Q. I want to revisit a thought that you had</p> <p>14 while talking with Mr. Serpe.</p> <p>15 A. Okay.</p> <p>16 Q. With respect to the role of Dr. Nguyen.</p> <p>17 And let's talk about the first admission for a</p> <p>18 moment. You understand Dr. Nguyen was the attending</p> <p>19 physician for Mr. Zeigler?</p> <p>20 A. I believe so, yes.</p> <p>21 Q. Have you reviewed the discharge summary?</p> <p>22 A. Yes, although I can't conjure it in my</p> <p>23 mind right now, but that would have been something I</p> <p>24 would have looked at.</p> <p>25 Q. With respect to -- I think you said, and I</p>	<p style="text-align: right;">Page 123</p> <p>1 A. No. I'm usually on the receiving end of</p> <p>2 that equation.</p> <p>3 Q. I take it you are usually the accepting</p> <p>4 physician for patients who are being transferred</p> <p>5 because your center is -- provides a higher level of</p> <p>6 care?</p> <p>7 A. Yes.</p> <p>8 Q. Would you agree with me that -- let's just</p> <p>9 talk about the transfer process. Would you agree</p> <p>10 with me that -- I might not be using the right word</p> <p>11 and I might draw an objection from counsel and if I</p> <p>12 do, I do, but would you agree with me there's a lot</p> <p>13 of red tape?</p> <p>14 MR. ENGELHARDT: Objection. Form.</p> <p>15 Q. Or do you even have any familiarity with</p> <p>16 it?</p> <p>17 A. So -- so -- again, I'm not sure what it's</p> <p>18 like in Texas, so I can't comment to that. Here in</p> <p>19 Massachusetts and Boston where, again, the</p> <p>20 healthcare system is different, all the surgeons</p> <p>21 across town all know each other.</p> <p>22 It's just a matter of picking up the phone</p> <p>23 to one of them and saying would you be willing to</p> <p>24 take a look at this patient for me.</p> <p>25 Q. And you don't know if that's -- that's</p>

<p style="text-align: right;">Page 124</p> <p>1 what's required in Texas? 2 A. From what I can tell of Texas, I doubt it. 3 Q. Let's talk about what you could tell from 4 your review of Mr. Zeigler's medical records. 5 A. Yes. 6 Q. What did it look like to you? 7 A. It looks like they reached out to a number 8 of places. A number of places said no. They -- it 9 seems like they attempted to get him some type of, 10 you know, emergency insurance. Nobody would take 11 him. It -- it just seemed like a very sad 12 situation. 13 Q. That was evident in the -- the attempts or 14 the efforts that were being made. They were evident 15 in the documentation, both the first admission and 16 the second? 17 A. And the second admission and to his rehab 18 facility where, you know, he was -- he was sent 19 there. His -- his clothes were still presumably 20 sitting in the room and they refused to take him 21 back. They said he would have to be rescreened 22 again for insurance. 23 Q. Do you intend to offer any criticism to 24 the ladies and gentlemen of the jury of this case 25 with respect to Dr. Ugbarugba's efforts to get</p>	<p style="text-align: right;">Page 126</p> <p>1 transferred, did you see those documented from the 2 very time that he re-presented to Cy-Fair? 3 A. I don't remember if it was from the very 4 time, but, yeah, the critical -- the critical care 5 doctor taking care of him mentioned it in most notes 6 that we are awaiting transfer. We are awaiting a 7 bed. We have had discussions, so... 8 Q. And I kind of want to go back to -- to 9 this -- this notion about Dr. Nguyen. I'm trying to 10 understand why Dr. Nguyen has no responsibility 11 whatsoever in your mind to try to get this -- to get 12 Mr. Zeigler transferred at the conclusion of that 13 first hospitalization. 14 MR. ENGELHARDT: Okay. Objection. 15 Form. 16 Q. Let me ask it a different way. Did 17 Dr. Nguyen in your opinion have any responsibility 18 to try to get Mr. Zeigler transferred at the 19 conclusion of that first hospitalization? 20 A. Yes. 21 Q. Did you see any documentation in the 22 medical records from the first hospitalization of 23 Dr. Nguyen making any effort to get Mr. Zeigler 24 transferred as an alternative to discharging him? 25 A. I don't believe I saw it mentioned as an</p>
<p style="text-align: right;">Page 125</p> <p>1 Mr. Zeigler transferred -- 2 A. No. 3 Q. -- to another facility? 4 A. I'm not sure if he made any direct 5 efforts, but, you know, no. 6 Q. Do you remember in your review of the 7 medical records and in the deposition testimony what 8 Dr. Ugbarugba's efforts were? 9 A. I don't recall. 10 Q. Was it your impression from reviewing the 11 medical records that there were many people involved 12 in the efforts to get Mr. Zeigler transferred? 13 MR. ENGELHARDT: Objection. Form. 14 A. There seemed to be a lot of efforts 15 throughout his stays everywhere. 16 Q. You were -- was it your understanding from 17 the second admission that case management was 18 involved, nurses were involved, individual 19 physicians were involved? Did you see that in the 20 documentation? 21 A. I saw mentions of it. Most of the direct 22 communication I saw was in the case management 23 notes, but -- but I saw allusions to attempting to 24 get him transferred in multiple notes. 25 Q. And those allusions to have Mr. Zeigler</p>	<p style="text-align: right;">Page 127</p> <p>1 alternative to discharging, but if -- again, they're 2 sort of blurring together, but I do remember some 3 allusions to discussions about getting him 4 transferred to a center where they could do his 5 cholecystectomy at that point but nobody was 6 accepting. 7 Q. And I'm trying to understand, is it 8 your opinion that treating physicians other than 9 Dr. Nguyen may have had more success in getting 10 Mr. Zeigler transferred? 11 MR. ENGELHARDT: Objection. Form. 12 A. Again, I -- I can't speak to what it's 13 like in Texas. I only know that, you know, if I've 14 got somebody on the medicine service and I am 15 consulted to do a surgery, if I can't do that 16 surgery, I -- but I believe it's indicated, I find 17 somebody who can. 18 MR. SERPE: Objection. Nonresponsive. 19 (Pause.) 20 BY MS. NOLAN 21 Q. Given your opinion that Dr. Nguyen did 22 have responsibility to make efforts to get 23 Mr. Zeigler transferred at the conclusion of that 24 first hospitalization, are you critical of him for 25 not doing that?</p>

Page 128	Page 130
<p>1 A. Oh, I'm not critical -- no, I'm not 2 critical of him not succeeding. It appears he 3 tried. 4 (Pause.) 5 Q. Did you have an understanding that later 6 during the second hospitalization, around January 7 the 21st, that Dr. Ugbarugba contacted Dr. Rajmann 8 directly? 9 A. I was not aware of the direct contact, I 10 don't believe. 11 Q. Didn't see anything in the medical records 12 or the depo testimony? 13 A. I don't remember. 14 Q. If Dr. Ugbarugba contacted Dr. Rajmann 15 directly and asked him to accept Mr. Zeigler for an 16 ERCP when he believed it was indicated, was that 17 appropriate? 18 MR. ENGELHARDT: Objection. Form. 19 A. Yes. 20 Q. Within the standard of care? 21 A. Yes. 22 Q. You understand that following 23 Mr. Zeigler's transfer to St. Luke's, do you have an 24 understanding from your review of those medical 25 records that there was no cholecystectomy performed</p>	<p>1 (A break was taken from 2 4:44 p.m. to 4:50 p.m.) 3 THE VIDEOGRAPHER: The time is 4 4:50 p.m. We are on the record. 5 BY MS. NOLAN 6 Q. Doctor, we've taken a quick break. Are 7 you prepared to proceed? 8 A. Yes. 9 Q. Anything about your testimony you want to 10 change or anything like that? 11 A. No. 12 Q. Okay, great. We were talking about the 13 discharge from the first hospitalization. Did you 14 have an understanding from your review of the 15 discharge summary that there were instructions to 16 Mr. Zeigler for him to follow up on an outpatient 17 basis and get a second opinion regarding surgery? 18 A. I believe I recall reading that. 19 Q. Did you see any evidence that that was 20 done? 21 A. No. I didn't see any, again, appointments 22 made for that consultation in the discharge summary. 23 Q. Do you have an opinion whether or not a 24 reasonable and prudent patient would have followed 25 those recommendations?</p>
Page 129	Page 131
<p>1 on Mr. Zeigler at that facility? 2 A. Yes. 3 Q. Do you have any criticisms of his 4 healthcare providers for not taking steps to perform 5 a cholecystectomy once his pancreatitis had calmed 6 down? 7 A. No. 8 Q. And why not? 9 A. So they gave him -- at this point he was 10 quite ill. He had a, you know, tracheostomy tube I 11 believe at that point. He had decubitus ulcers. 12 I think that they successfully did the 13 temporizing measure, which was to stent open his 14 bile duct, and I think the plan was to get him 15 better at the LTAC, get his strength back and then 16 do the operation. 17 MS. NOLAN: I have just a little bit 18 left, but I can see I think our court reporter may 19 like a quick break, so why don't we take a quick 20 bathroom break. Let her rest her hands for a 21 moment. We'll come back and I'll finish up. All 22 right? 23 THE WITNESS: All right. 24 THE VIDEOGRAPHER: The time is 25 4:44 p.m. We are off the record.</p>	<p>1 MR. ENGELHARDT: Objection. Form. 2 A. Yes. 3 Q. And what is your opinion? 4 A. That they would have followed the doctor's 5 recommendations. 6 Q. Given that you didn't see any follow-up 7 appointments or any second opinion, do you have an 8 opinion whether or not the failure to follow those 9 recommendations -- recommendations impacted the 10 outcome? 11 MR. ENGELHARDT: Objection. Form. 12 A. Again, I don't know whether they failed 13 to -- to -- to show up to an appointment or failed 14 to try to make an appointment, but, no, I don't 15 think that -- the -- the timeline of this was so 16 short I think anybody who would have seen them, 17 evaluated them, gotten him a date for an elective 18 surgery, I think he wouldn't have made it because it 19 was -- it was only a few weeks. 20 Q. It sounds like in your opinion you believe 21 this gentleman would have developed recurrent 22 pancreatitis in this interim period regardless of 23 follow-up? 24 A. Not regardless, but he had a 25 percent 25 chance of it happening in that interval.</p>

<p style="text-align: right;">Page 132</p> <p>1 Q. We've had discussions about Mr. Zeigler's 2 BMI being in the 70's, his weight being over 400. 3 Do you have an opinion, based on your education and 4 training and your work as a bariatric surgeon, of 5 what his mortality rate was at the time he 6 re-presented to Cy-Fair? 7 A. I can't give you a specific number but his 8 mortality rate was quite high. 9 Q. Would you agree with me it was greater 10 than 50 percent? 11 A. That -- that seems a little too high. If 12 you had asked me to estimate, I would say 30 to 13 40 percent, but it was high. 14 They have a number of calculators that you 15 can use to put in all the risk factors and they'll 16 give you back an estimate. 17 Q. Any calculator you regard as reliable and 18 authoritative or you can point my attention to? 19 A. These days, so the APACHE calculators and 20 the APACHE II, those are -- again, tend to be 21 largely for trauma, but -- but you can use them, 22 they're modified calculators, for a number of risk 23 stratifications. 24 Q. Let's take the pancreatitis out of it. 25 Let's just talk about this gentleman's obesity.</p>	<p style="text-align: right;">Page 134</p> <p>1 Q. At that point in his life. 2 A. Oh, so you're saying -- so I'm just trying 3 to understand the question. So you're saying 4 that -- that half of 19-year-olds with his weight 5 will die? 6 Q. More than half. 7 A. More than half. No, it's not that high. 8 Q. Does super morbid obesity carry with it a 9 high mortality rate? 10 A. Yes. 11 Q. And what is the mortality rate? 12 A. Again, it depends on a number of risk 13 factors. It depends on your age. You know, it's -- 14 it's good to be a teenager. 15 You know, he hasn't -- he has yet to 16 develop the diabetes, though it's coming. He has, 17 you know, yet to develop the arteriosclerosis, though 18 it's coming. 19 So it's -- he still has the benefit of 20 youth and time on his side, but, yes, the older you 21 get, the higher your mortality rate gets. 22 Q. And, again, is there some sort of 23 literature, guidelines, calculators, something that 24 you can turn my attention to -- o learn more about 25 that?</p>
<p style="text-align: right;">Page 133</p> <p>1 Do you have an opinion based on your education and 2 training of what his mortality rate was or 3 percentage of mortality given his obesity? 4 A. From -- from what process? 5 Q. From being obese. 6 A. From -- from life? 7 Q. Yes, sir. 8 A. Yeah. Yeah, he -- yeah, he has -- someone 9 with his degree of obesity a life expectancy greater 10 than 20 years less than -- than someone who is not 11 obese. 12 Q. At this point in time, you know, beginning 13 roughly around November 2014 and again setting aside 14 the pancreatitis, but looking at his obesity, do you 15 have an opinion of what his percentage of mortality 16 was based on his obesity? I know you said he had a 17 reduced life expectancy. 18 A. Yes. 19 Q. But are you able to say -- would you agree 20 his mortality given his obesity was greater than 50 21 percent? 22 A. From -- from what? 23 Q. Obesity. 24 A. Oh, you mean obesity-related cause of 25 death at some point in his life?</p>	<p style="text-align: right;">Page 135</p> <p>1 A. Oh, yeah. I can't -- I can't tell you 2 some -- a specific one, but -- but we've all -- 3 we've all seen the -- as bariatric surgeons the 4 graph of -- of your relative overall mortality from 5 any disease process as your BMI increases. 6 And -- and usually as you approach a BMI 7 of 40, we say that your overall mortality rate is 8 about four times that of the general population. 9 Q. What about if your BMI's in the 70's? 10 A. It -- it just gets higher. I can't -- I 11 can't give you the specific numbers, but it gets 12 higher and higher. 13 Q. Is it your understanding that when 14 Dr. -- Dr. Ugbarugba was initially consulted, do you 15 understand that he was not consulted for an ERCP? 16 A. I -- I believe so. I believe he was 17 consulted for -- I think to weigh in on the 18 gastroenteritis, if I -- or the pancreatitis, pardon 19 me, if I remember correctly. 20 Q. You told me your one criticism of 21 Dr. Ugbarugba was that he did not perform an ERCP on 22 Mr. Zeigler, correct? 23 A. Correct. 24 Q. When during this admission do you believe 25 Dr. Zeigler -- Dr. Ugbarugba should have performed</p>

Page 136	Page 138
<p>1 an ERCP on Mr. Zeigler?</p> <p>2 A. You know, I think knowing everything we 3 know now, I think the -- the best time for it would 4 be the day he walked in -- for the second admission, 5 the day he walked in the door and was consulted.</p> <p>6 You know, I think we -- we know it's going 7 to carry with it increasing risks, but we also know 8 that not treating a potentially stenosed bile duct 9 or obstructed bile duct also carries risks.</p> <p>10 And then I think, you know, certainly he 11 recovered from that. Other windows opened where he 12 could have done the procedure, but I think it may 13 have -- it may have saved a more prolonged 14 hospitalization had he done it as early as possible, 15 and it would have been high risk.</p> <p>16 Q. On December the 19th at the time of the 17 original consult, what are you basing your opinion 18 on that he had a stenosed or obstructed bile duct at 19 that time?</p> <p>20 A. At that time, again, some of this is -- 21 has the benefit of retrospect, but I believe it's 22 within the first 48 to 72 hours that his liver 23 function tests began to climb, so, you know, I 24 think -- I think at that point what you can hang 25 your hat on is that he was recently admitted with</p>	<p>1 Q. -- I'll need to know. 2 A. I'll take a look. 3 (Reviewing document.) 4 A. Yeah, according to my report, he came in 5 with elevated liver function tests, so -- so the 6 information was there on December 19th.</p> <p>7 Q. Any other basis in the medical records on 8 December the 19th other than elevated liver function 9 tests that you believe made an ERCP indicated at 10 that time?</p> <p>11 A. Liver function tests and a recent known 12 diagnosis of gallstone pancreatitis, as well as a 13 rising blood count in a septic picture.</p> <p>14 Q. You understand he had an ultrasound on 15 December the 18th, the day before Dr. Ugbarugba saw 16 him?</p> <p>17 A. I don't -- I don't recall, but I -- I 18 believe it.</p> <p>19 Q. Do you know whether or not that ultrasound 20 showed any evidence of a stricture?</p> <p>21 A. I don't recall.</p> <p>22 Q. I'll represent to you that the ultrasound 23 showed a common bile duct of 5 millimeters. Would 24 you agree with me that that is not indicative of a 25 stricture?</p>
<p>1 gallstone pancreatitis. He's back again with the 2 same thing. Again, different people having 3 different opinions, I think that you could say let's 4 do the same thing. Let's wait for the pancreatitis 5 to cool off, but he -- he certainly needs to get one 6 that admission.</p> <p>7 Again, I think knowing in retrospect that 8 likely he had a stricture that was causing all this, 9 then -- or -- or an ascending cholangitis picture 10 which became more -- sorry -- or an ascending 11 cholangitis picture that became more clear within 12 the first few days of admission, that it should have 13 been done then. Earlier rather than later.</p> <p>14 MS. NOLAN: And I'll respectfully 15 object as nonresponsive to that response.</p> <p>16 BY MS. NOLAN</p> <p>17 Q. When in your opinion do you believe the 18 standard of care required Dr. Ugbarugba to perform 19 an ERCP?</p> <p>20 A. I'd have to look at my report for the 21 exact timing, but when his liver function tests 22 began to climb, that's when I think it had to be 23 performed.</p> <p>24 Q. Today's my only day to talk to you, so -- 25 A. Okay.</p>	<p>1 A. It depends on where they sampled it, but 2 in general, no. Someone of -- of his age, 5 3 millimeters is the normal size for a common bile 4 duct.</p> <p>5 Q. Do you agree with me or are you able to 6 say that an ERCP should not be performed until the 7 common bile duct is greater than 8 millimeters?</p> <p>8 A. No, I don't agree.</p> <p>9 Q. And what is your basis?</p> <p>10 A. In someone again like him with elevated 11 liver function tests, a septic-type picture, known 12 gallstone pancreatitis, the diagnosis is leaning a 13 little more towards cholangitis. Sometimes -- 14 sometimes the bile duct just doesn't dilate or it's 15 difficult to tell on ultrasound.</p> <p>16 Q. You say the clinical picture became 17 evident for ascending cholangitis. When do you 18 think that became evident based on your review?</p> <p>19 A. Again, I think the pieces of it were there 20 on December 19th when he re-presented with sepsis 21 of -- of unknown origin or some type of sepsis and 22 then he had elevated liver function tests.</p> <p>23 Q. You understand his liver function tests 24 improved after his admission without ERCP?</p> <p>25 A. Yes.</p>

Page 140	Page 142
<p>1 Q. Would you agree with me that by January 2 the 5th of 2015, his clinical picture was no longer 3 indicative of either a stricture or ascending 4 cholangitis?</p> <p>5 A. His clinical picture, I would say not 6 indicative of ascending cholangitis. You can't 7 necessarily tell whether there's a stricture or not.</p> <p>8 Q. So I'm trying to understand your opinion. 9 When in your opinion do you believe if an ERCP had 10 been performed, it would have changed the outcome in 11 this case? December 19th?</p> <p>12 A. Potentially, yes.</p> <p>13 Q. Are you able to say within a reasonable 14 degree of medical probability a specific time in 15 which had an ERCP been performed, it would have 16 changed the outcome?</p> <p>17 A. For the second admission or in general?</p> <p>18 Q. Second admission.</p> <p>19 A. Yeah, I would say within the first two 20 weeks of admission.</p> <p>21 Q. What's your basis for that opinion?</p> <p>22 A. Oh, he just -- at that point, the longer 23 he waited he was becoming more and more 24 decompensated. I mean, he was not a healthy 25 gentleman. He was super morbidly obese. He was</p>	<p>1 A. Yeah, again, I have a hard time saying. 2 You know, it's a bit like looking into a crystal 3 ball. I have a hard time saying, you know, 4 what -- what would have been the point of no return.</p> <p>5 It's just certainly one of these things 6 that the longer you wait, the worse it's going to 7 be, the longer he's going to be incapacitated.</p> <p>8 Q. So you're unable to say within a 9 reasonable degree of medical probability a point of 10 no return at which an ERCP would have made a 11 difference?</p> <p>12 A. Yes.</p> <p>13 MR. ENGELHARDT: Objection. Form.</p> <p>14 Q. Do you intend to tell the ladies and 15 gentlemen of the jury in this case that 16 Dr. Ugbarugba should have performed an ERCP on 17 Mr. Zeigler at Cy-Fair despite the risks for 18 complications, inability to handle those 19 complications, lack of a higher level of care?</p> <p>20 MR. ENGELHARDT: Objection. Form.</p> <p>21 A. Yes, if no other options were available.</p> <p>22 (Pause.)</p> <p>23 Q. Can TPN cause an increase in liver 24 function tests?</p> <p>25 A. Yes.</p>
Page 141	Page 143
<p>1 having a prolonged intensive care course. He was 2 mechanically ventilated. He ultimately ended up 3 getting a tracheostomy. You know, he wasn't moving. 4 He was becoming progressively more deconditioned.</p> <p>5 You know, the longer you wait on this, the 6 more and more you're setting it up for -- setting 7 him up for hospital-acquired infections and all the 8 complications of prolonged care and immobilization.</p> <p>9 (Pause.)</p> <p>10 Q. So it's your opinion that an ERCP, had it 11 been performed by January the 1st would have changed 12 the outcome?</p> <p>13 A. Again, that's -- that's sort of a -- 14 that's a guess as to the date. That's -- I just 15 think the longer you waited, the worse his outcome 16 was going to be, so, yeah, that date's a bit 17 arbitrary, but -- but, yes.</p> <p>18 Q. I need a date within a reasonable degree 19 of medical probability based on your review of the 20 medical records.</p> <p>21 A. I can't give you a specific date.</p> <p>22 Q. Are you able to give me a date at which 23 whether an ERCP had been performed or not, it didn't 24 make a difference?</p> <p>25 MR. ENGELHARDT: Objection. Form.</p>	<p>1 Q. How does it do that?</p> <p>2 A. Again, the -- you can have increased 3 inflammation, fatty infiltration of the liver and 4 that can cause elevation of your LFT's.</p> <p>5 Q. We've talked about on January, the 21st 6 Dr. Ugbarugba's recommendation at that time for 7 ERCP. You're familiar with that?</p> <p>8 A. I remember I was talking about it, yeah.</p> <p>9 I don't -- again, I don't recall anything in the 10 chart.</p> <p>11 Q. Do you have an understanding from your 12 review of the medical records when it was that 13 Dr. Ugbarugba started making efforts for ERCP to be 14 performed by Dr. Rajmann at St. Luke's?</p> <p>15 A. No. Again, most of -- most of what I 16 recall are the critical care doctor's notes in terms 17 of the transfer.</p> <p>18 Q. Do you intend to offer an opinion to the 19 ladies and gentlemen of the jury of this case that 20 the timing of Dr. Rajmann's ERCP had any impact on 21 the outcome?</p> <p>22 A. How do you mean?</p> <p>23 MR. ENGELHARDT: Do you understand the 24 question?</p> <p>25 THE WITNESS: No.</p>

<p style="text-align: right;">Page 144</p> <p>1 Q. You understand that several days went by 2 between Dr. Ugbarugba's efforts to get transferred 3 to St. Luke's for a high-level, high-risk ERCP with 4 Dr. Rajmann?</p> <p>5 A. Yes.</p> <p>6 Q. You understand -- or do you have an 7 understanding when those dates were from your review 8 of the records?</p> <p>9 A. I don't remember. I mean, it wasn't the 10 day he got transferred over, but it was very shortly 11 after, if I remember correctly. I can check in my 12 report.</p> <p>13 (Reviewing document.)</p> <p>14 A. No, I didn't comment, but I had that he 15 was transferred over on 20 -- on January 26th, and I 16 said there he underwent an ERCP.</p> <p>17 Q. And do you have an opinion with respect to 18 from -- well, let me -- let me back up. You 19 understand that Dr. Ugbarugba was reconsulted on 20 January the 21st for Mr. Zeigler?</p> <p>21 A. I -- I didn't know that he was -- ever 22 stopped consulting.</p> <p>23 Q. You don't remember from your review of the 24 records?</p> <p>25 MR. ENGELHARDT: Objection. Form.</p>	<p style="text-align: right;">Page 146</p> <p>1 Q. Based on your review of Dr. Rajmann's 2 ERCP report, did he identify a stone in the biliary 3 system?</p> <p>4 A. No. I believe he just identified a 5 stricture.</p> <p>6 Q. Do you remember what type of stricture he 7 identified?</p> <p>8 A. I think it was a stricture in the distal 9 common bile duct, if I remember correctly.</p> <p>10 Q. Do you have an opinion what was causing 11 that?</p> <p>12 A. That was likely a pancreatitis-related 13 stricture.</p> <p>14 Q. How does that happen?</p> <p>15 A. As the pancreas becomes more inflamed and 16 edematous, it can even develop some fibrosis. The 17 common bile duct actually passes through the 18 pancreas, so as the pancreas becomes more inflamed, 19 it can squeeze and narrow a segment of that common 20 bile duct.</p> <p>21 Q. So it's a mechanical obstruction?</p> <p>22 A. Mechanical and inflammatory. Almost like 23 a fibrosis, yes.</p> <p>24 Q. External? An external obstruction --</p> <p>25 A. Yes.</p>
<p style="text-align: right;">Page 145</p> <p>1 A. No.</p> <p>2 Q. I'll represent to you Dr. Ugbarugba was 3 reconsulted on January the 21st.</p> <p>4 A. Okay.</p> <p>5 Q. Dr. Rajmann performed an ERCP on January 6 the 27th.</p> <p>7 A. Okay.</p> <p>8 Q. Do you have an opinion whether or not the 9 time lapse from the 21st to the 27th for the ERCP, 10 whether that had any impact on the outcome?</p> <p>11 A. Again, I'll go back to my -- my earlier 12 answer where I think, you know, every day that went 13 by represented an increasing risk for him. Every 14 day he went by without some type of intervention.</p> <p>15 So -- so, yes, I think later in January he 16 started to have, again, another biliary 17 obstructive-type picture; whether that was a stone, 18 whether that was a stricture, you know, uncertain at 19 that time, but -- but here he was with his, you 20 know, now third episode.</p> <p>21 Q. Are you able to say within a reasonable 22 degree of medical probability whether those six days 23 from the 21st to the 27th, whether that made a 24 difference in the outcome?</p> <p>25 A. Unable to say.</p>	<p style="text-align: right;">Page 147</p> <p>1 Q. -- it's known as?</p> <p>2 A. Yes. Extrinsic compression. (Pause.)</p> <p>3 Q. The cholecystostomy that was performed, do 4 you have any criticisms of their performing that 5 procedure?</p> <p>6 A. No.</p> <p>7 Q. Is it your understanding from your review 8 of the medical records that the cholecystostomy was 9 effective in draining the bile from the gallbladder?</p> <p>10 A. It seems like it, yes.</p> <p>11 Q. That's all the questions I have at this 12 time. Have you understood my questions?</p> <p>13 A. Yes.</p> <p>14 MS. NOLAN: I'll pass you on. (Pause.)</p> <p>15 CROSS-EXAMINATION BY MR. HUGHES:</p> <p>16 Q. Dr. Carmine, how are you?</p> <p>17 A. Hello.</p> <p>18 Q. I'm Matthew Hughes, and I represent 19 Dr. Patel in this case. You're aware that Dr. Patel 20 had no role whatsoever in the first admission in 21 November, right?</p> <p>22 A. I'm aware, yes.</p> <p>23 Q. Okay. So you certainly aren't critical of</p>

<p style="text-align: right;">Page 148</p> <p>1 anything that he did or failed to do at that time?</p> <p>2 A. No.</p> <p>3 Q. Do you have an opinion in this case about</p> <p>4 whether Mr. Zeigler was more likely or not to pass</p> <p>5 away as a result of the care or lack of care that he</p> <p>6 got as of the November admission?</p> <p>7 A. I'm -- I'm sorry. I don't understand the</p> <p>8 question.</p> <p>9 Q. I'm trying to get a sense that -- you've</p> <p>10 offered criticisms today of the care that the</p> <p>11 patient received both in November and also in</p> <p>12 December, right?</p> <p>13 A. Yes.</p> <p>14 Q. Okay. Is it your opinion in this case</p> <p>15 that the care that Mr. Zeigler received in November</p> <p>16 was in your opinion insufficient enough that that</p> <p>17 was the cause of his death?</p> <p>18 MR. SERPE: Objection. Form.</p> <p>19 A. Yes. It was a contributing cause.</p> <p>20 Q. Okay. I'm trying to break that up,</p> <p>21 though. But I'm saying, what do you mean by a</p> <p>22 "contributing cause"?</p> <p>23 A. So I think if he had had a cholecystectomy</p> <p>24 at that time, likely he would have never come back</p> <p>25 to the hospital again for the second admission.</p>	<p style="text-align: right;">Page 150</p> <p>1 right?</p> <p>2 A. That, I don't know. I could never find a</p> <p>3 good explanation as to what happened.</p> <p>4 Q. Is it fair to say you don't know why they</p> <p>5 weren't able to -- to do the test?</p> <p>6 A. No. I saw a couple reports saying it was</p> <p>7 broken, a couple of reports saying he was too big</p> <p>8 for it, so I never -- I never got a straight answer,</p> <p>9 but both -- both are plausible.</p> <p>10 Q. Do you think that in order to offer an</p> <p>11 opinion in this case about whether the patient</p> <p>12 should have been transferred, that as an expert</p> <p>13 witness you should -- you should understand the</p> <p>14 process as to how patients are actually received by</p> <p>15 other hospitals?</p> <p>16 A. Yes.</p> <p>17 Q. So what I'm getting at is that in order to</p> <p>18 evaluate whether the physicians met the standard of</p> <p>19 care or not in attempting to transfer a patient,</p> <p>20 don't you think that as an expert witness you should</p> <p>21 understand the process in Texas of how hospitals</p> <p>22 actually receive patients on transfer?</p> <p>23 A. No.</p> <p>24 Q. Okay. Have you read the testimony of</p> <p>25 either Dr. Patel or anyone else about efforts that</p>
<p style="text-align: right;">Page 149</p> <p>1 Q. Okay. But going forward, though, is it</p> <p>2 your opinion that he should have had a</p> <p>3 cholecystectomy during the December admission?</p> <p>4 A. I think he never really had a safe window</p> <p>5 to do a cholecystectomy at that second admission.</p> <p>6 I -- I think he just never really got there. He</p> <p>7 never had his bile duct dealt with.</p> <p>8 Q. Okay. All right. Is it your opinion in</p> <p>9 this case then that the standard of care did not</p> <p>10 require Dr. Patel to do a cholecystectomy during the</p> <p>11 December hospital admission?</p> <p>12 A. A cholecystectomy, yes.</p> <p>13 Q. Okay. And I assume it's your opinion that</p> <p>14 no other physicians any later than December were</p> <p>15 required by the standard of care to perform a</p> <p>16 cholecystectomy, fair?</p> <p>17 A. I think fair enough. At that point he'd</p> <p>18 been deconditioned and his bile duct had been</p> <p>19 evaluated in other ways.</p> <p>20 Q. Okay. Dr. Patel did attempt to order the</p> <p>21 patient having a CT scan, right?</p> <p>22 A. Yeah, I believe so, yes. He was one</p> <p>23 of -- one of many.</p> <p>24 Q. And they were unable to obtain that</p> <p>25 because the fact is the patient broke the table,</p>	<p style="text-align: right;">Page 151</p> <p>1 were made to transfer this patient?</p> <p>2 A. Nothing by Dr. Patel, but -- but, yes,</p> <p>3 I -- as we talked about earlier, there have been</p> <p>4 multiple times in the chart mentioned where we have</p> <p>5 attempted to get him transferred with no success.</p> <p>6 Q. Okay. In order to transfer a patient, you</p> <p>7 do actually need to have a hospital receive the</p> <p>8 patient.</p> <p>9 A. Yeah.</p> <p>10 Q. They actually have to take the patient</p> <p>11 from one facility and another facility has to agree</p> <p>12 to take him in, right?</p> <p>13 A. Yes.</p> <p>14 Q. Okay. In this particular case, in order</p> <p>15 to criticize any of the physicians who treated</p> <p>16 Mr. Zeigler at Cy-Fair on the question of transfer,</p> <p>17 wouldn't you have to know that there was another</p> <p>18 facility that would actually take him at that time,</p> <p>19 right?</p> <p>20 A. Ask the question again.</p> <p>21 Q. What I'm getting at if you -- if you -- if</p> <p>22 you can't show that another facility would actually</p> <p>23 take him, how can you criticize the physicians for</p> <p>24 not getting him transferred out?</p> <p>25 MR. ENGELHARDT: Hold on. Objection</p>

Page 152	Page 154
<p>1 to form.</p> <p>2 Q. You can answer the question.</p> <p>3 A. Oh, again, I'm not -- I have never been</p> <p>4 critical of their attempts. I've been critical of</p> <p>5 their response when the other places wouldn't take</p> <p>6 him.</p> <p>7 Q. Okay. Well, in this particular case, I</p> <p>8 want to make sure I understand. What is -- what is</p> <p>9 then your criticism of Dr. Patel?</p> <p>10 A. You know, I actually -- I would say of all</p> <p>11 the providers, you know, he didn't really have much</p> <p>12 of a window to do a cholecystectomy on him.</p> <p>13 I think he arguably could have done it</p> <p>14 during that brief time when the pancreatitis</p> <p>15 seemingly cooled off in early January.</p> <p>16 You know, I think that he -- and I'm not</p> <p>17 certain what his skill set is, but with no imaging</p> <p>18 modalities and no gastroenterologist, you know,</p> <p>19 able to perform an ERCP, I think he certainly had</p> <p>20 other surgical modalities he could have employed</p> <p>21 to -- to help make diagnoses and treat.</p> <p>22 Q. Okay. But you're not -- it doesn't sound</p> <p>23 to me like you're saying that he breached the</p> <p>24 standard of care by not performing this surgery in</p> <p>25 January?</p>	<p>1 Q. Okay. Considering the -- the challenges</p> <p>2 that all of the physicians had in this case,</p> <p>3 you -- you would agree that it's not just the size</p> <p>4 of the patient, the problems actually getting a</p> <p>5 facility with higher -- with greater facilities able</p> <p>6 to take this patient, that Mr. Zeigler represented</p> <p>7 kind of a unique problem for these doctors, right?</p> <p>8 A. I wish it were a unique problem, but</p> <p>9 it's -- it's not.</p> <p>10 Q. Okay. Individual doctors can't make a</p> <p>11 hospital accept a patient, right?</p> <p>12 MR. ENGELHARDT: Objection. Form.</p> <p>13 That's not --</p> <p>14 Q. Okay. Do you understand that they have</p> <p>15 something called a transfer center?</p> <p>16 A. No.</p> <p>17 Q. Okay.</p> <p>18 A. You mean like a -- probably have different</p> <p>19 names.</p> <p>20 Q. Yeah.</p> <p>21 A. So we call it, you know, bed facilitator,</p> <p>22 bed coordinator.</p> <p>23 Q. Okay.</p> <p>24 A. But, yes, if that's what you're referring</p> <p>25 to, I'm aware of that.</p>
Page 153	Page 155
<p>1 A. Again, it's difficult to say because I</p> <p>2 don't know his -- I don't know his rationale. I</p> <p>3 couldn't really find much in the chart from him.</p> <p>4 Q. Okay. But if there's an absence of</p> <p>5 information, in fairness, you would say you don't</p> <p>6 have enough information to offer that opinion,</p> <p>7 right? Whether he breached the standard of care or</p> <p>8 not.</p> <p>9 A. Yeah, again, I think more -- not perform a</p> <p>10 cholecystectomy, yes, but I still couldn't really</p> <p>11 find much of a surgical opinion rendered in the</p> <p>12 chart that second time.</p> <p>13 Q. Okay. But what I'm getting at is if you</p> <p>14 acknowledge that by the time that Dr. Patel became</p> <p>15 involved in this case that he may not have been able</p> <p>16 to do surgery on this patient, you're really not</p> <p>17 critical of him for breaching the standard of care,</p> <p>18 right?</p> <p>19 A. Correct. Certainly -- certainly during</p> <p>20 those first two weeks.</p> <p>21 Q. Okay. And there may have been things that</p> <p>22 you might have done differently, but it doesn't rise</p> <p>23 to the level of saying that he breached the standard</p> <p>24 of care for a general surgeon or bariatric surgeon?</p> <p>25 A. Yeah, I'd agree.</p>	<p>1 Q. And it's reasonable for physicians to</p> <p>2 operate and to use that, right?</p> <p>3 A. Yes.</p> <p>4 Q. Okay. And if a hospital will not actually</p> <p>5 accept a patient, that does tie the hands of the</p> <p>6 physicians, doesn't it?</p> <p>7 A. Yes.</p> <p>8 Q. Okay. Do you know -- have any opinion in</p> <p>9 this case as to what Mr. Zeigler's life expectancy</p> <p>10 would be based upon the -- his super super super</p> <p>11 morbid obesity at such a young age?</p> <p>12 A. Yes.</p> <p>13 Q. What is your opinion?</p> <p>14 A. Likely his life expectancy is probably</p> <p>15 between 55 to 60.</p> <p>16 Q. Okay. And what is that based on?</p> <p>17 A. Oh, that's, again, just based on the</p> <p>18 survival charts of -- of patients with certain BMIs.</p> <p>19 Q. And they have a large number of people who</p> <p>20 are 580 pounds at a young age that they've been able</p> <p>21 to extrapolate that data on?</p> <p>22 A. Yeah. Yeah, unfortunately.</p> <p>23 Q. And where does that data come from?</p> <p>24 A. Oh, again, that's just -- well, we don't</p> <p>25 have it from the young age, but we have at that BMI</p>

Page 156	Page 158
<p>1 at any age, so it's -- again, it's just -- it's 2 essentially databases. You know, you can audit 3 charts. It's pretty easy to do a -- you know, a 4 chart review of patients with certain weights 5 and -- and -- and death dates.</p> <p>6 Q. Okay. You understood the questions that 7 the lawyer for Dr. Singh asked you?</p> <p>8 A. Yes.</p> <p>9 Q. Right? And you gave truthful answers to 10 his questions?</p> <p>11 A. Yes.</p> <p>12 Q. You understood the questions that 13 the -- the lawyer for Dr. Ugbarugba asked you, 14 right? And you gave truthful answers to her?</p> <p>15 A. Yes.</p> <p>16 Q. And you've understood the questions I've 17 asked you today, --</p> <p>18 A. Yes.</p> <p>19 Q. -- right? You understand as an expert 20 witness your job is to give truthful testimony?</p> <p>21 A. Yes.</p> <p>22 Q. Is to give your objective opinions on the 23 case, right?</p> <p>24 A. Yes.</p> <p>25 Q. It is not to try to align the opinions</p>	<p>1 A. Yes.</p> <p>2 Q. You had a chance to review Dr. Patel's 3 deposition before today?</p> <p>4 A. Yes.</p> <p>5 Q. And as well as the depositions of 6 Dr. Singh and Dr. Ugbarugba?</p> <p>7 A. Yes.</p> <p>8 Q. Okay. And -- and based upon that review, 9 you had the sufficient data of the case in order to 10 offer opinions, right?</p> <p>11 A. Yes.</p> <p>12 Q. You also had sufficient time to prepare 13 yourself for this deposition and to understand the 14 facts of this case, right?</p> <p>15 A. Yes.</p> <p>16 Q. Okay. So if -- if -- as an expert 17 witness, you understand that you're not supposed to 18 be aligned with either -- either side in the 19 case, --</p> <p>20 A. Yes.</p> <p>21 Q. -- right? And what you're supposed to do 22 is help the jury understand the medicine of the case 23 and also help understand the facts of the case, --</p> <p>24 A. Yes.</p> <p>25 Q. -- right? And that should not change</p>
Page 157	Page 159
<p>1 based on the plaintiff's lawyer wants to ask?</p> <p>2 A. Correct.</p> <p>3 Q. Okay. So if you've given truthful answers 4 to all -- all the lawyers in this case, there will 5 probably be a chance for you to be asked questions 6 by the Plaintiff's lawyer in this case, right?</p> <p>7 A. Yes.</p> <p>8 Q. After we finish. If you're objective, 9 your answers really shouldn't change based on who's 10 asking you questions, right?</p> <p>11 A. Yes.</p> <p>12 Q. Okay. So you have told me the limited 13 extent of what your -- your criticisms are of 14 Dr. Patel. You are not going to have a bunch of new 15 criticisms just because somebody new is asking you 16 questions?</p> <p>17 A. Correct.</p> <p>18 Q. I mean, you did review the -- the records 19 in sufficient depth in order to give your testimony 20 today, right?</p> <p>21 A. Yes.</p> <p>22 Q. And you feel before you started your 23 deposition today that you had a chance to review the 24 records and get an adequate understanding of them, 25 right?</p>	<p>1 based upon whoever's questioning you?</p> <p>2 A. Yes.</p> <p>3 MR. HUGHES: All right. I want to 4 thank you, sir, for answering my questions.</p> <p>5 THE WITNESS: Okay.</p> <p>6 MR. ENGELHARDT: I have a few 7 questions I want to ask you.</p> <p>8 CROSS-EXAMINATION BY MR. ENGELHARDT:</p> <p>9 Q. Okay. When you answered and responded to 10 the last counsel's questions about Dr. Patel --</p> <p>11 A. Yes.</p> <p>12 Q. -- and you told him in the first couple of 13 weeks, what did you mean?</p> <p>14 A. The first couple of weeks after his second 15 admission I think he was too ill to tolerate a 16 cholecystectomy or a surgical procedure.</p> <p>17 Q. All right. Do you recall how long 18 Dr. -- do you recall how long Mr. Zeigler was 19 in the -- in the hospital for the second admission?</p> <p>20 A. A little over one month.</p> <p>21 Q. And do you have any criticisms of 22 Dr. Patel after the first couple of weeks of 23 admission by -- for Dr. -- for Mr. Zeigler?</p> <p>24 A. You know, unfortunately, my criticisms 25 aren't really specific to Dr. Patel but, rather,</p>

<p style="text-align: right;">Page 160</p> <p>1 almost the -- the treatment team as a whole, which 2 is that nobody was evaluating his bile duct and both 3 Drs. Patel and Dr. Ugbarugba had the ability to do 4 that and really that was my main concern.</p> <p>5 Q. Do you recall preparing a report that you 6 provided to counsel?</p> <p>7 A. Yes.</p> <p>8 Q. And did you also make comments about 9 Dr. Patel in the report?</p> <p>10 A. Yes.</p> <p>11 Q. Okay. Do you recall what those comments 12 were?</p> <p>13 A. Yeah, that he could have provided -- 14 provided a cholecystectomy at that same admission.</p> <p>15 Q. Did you also -- were you also critical of 16 Dr. Patel in your report about the fact that he had 17 failed to identify him with specificity any of the 18 communications that he had had with the other 19 doctors or his opinions as to why he could not 20 operate on -- on Mr. Zeigler while he was there at 21 the hospital for the second stay?</p> <p>22 A. Yes. And that was, again, my chief 23 criticism, is that I -- I just couldn't find a 24 surgical opinion cogently rendered.</p> <p>25 Q. And that --</p>	<p style="text-align: right;">Page 162</p> <p>1 that, you know, just time went by. He did not get 2 the care that he should have gotten back in November 3 and -- and because of this inaction, more -- more 4 likely than not this is what led to his death.</p> <p>5 You know, again, we can go back to our 6 trauma analogy where you have somebody who comes in 7 shot during a snowstorm and you can't get them 8 anywhere other than there.</p> <p>9 You know, a surgeon can't throw up their 10 hands and say, well, I can't deal with this. I'm 11 not comfortable dealing with this. I'd rather 12 somebody else do this. You know, your -- your -- 13 your hands are tied. You're -- you're the only game 14 in town.</p> <p>15 Q. Did you think --</p> <p>16 MR. SERPE: I'm sorry. Objection.</p> <p>17 Nonresponsive.</p> <p>18 BY MR. ENGELHARDT</p> <p>19 Q. Well, I asked you specifically, was any of 20 their conduct a proximate cause of Aaron Zeigler's 21 death?</p> <p>22 A. Yes.</p> <p>23 MS. NOLAN: Join the last objection.</p> <p>24 Sorry to interrupt you.</p> <p>25 A. Yeah. Yes.</p>
<p style="text-align: right;">Page 161</p> <p>1 (Pause.)</p> <p>2 Q. Do you believe that -- that Dr. Patel's 3 actions or inactions was below the standard of 4 care --</p> <p>5 MR. HUGHES: Objection. Form.</p> <p>6 Q. -- as it relates to failure to document 7 what the issues were to treating Mr. Zeigler in the 8 last two weeks of his stay at the second hospital 9 visit?</p> <p>10 A. Yes. I agree that the failure of 11 documentation fell below the standard of care.</p> <p>12 Q. All right. And so are you critical of any 13 of these doctors' conduct being below the standard 14 of care at the Cy-Fair Hospital in Texas?</p> <p>15 A. Yes.</p> <p>16 MS. NOLAN: Objection. Form.</p> <p>17 Q. All right. What is -- what was their -- 18 identify or describe their conduct for me, please, 19 which you believe was below the standard of care.</p> <p>20 A. I mean, it -- you know, it -- it boils 21 down to I think people were so focused on the 22 transfer, getting him somewhere else, getting him 23 to this place where things couldn't be taken care 24 of -- where things could definitely be taken care of 25 by people who do this a little more frequently,</p>	<p style="text-align: right;">Page 163</p> <p>1 Q. Okay. Would you describe to the jury what 2 actions were done by these doctors that was below 3 the standard of care that was a proximate cause of 4 his death?</p> <p>5 A. So the first admission, failure to either 6 get a temporizing ERCP or perform a cholecystectomy.</p> <p>7 Q. And whose responsibility was that?</p> <p>8 A. That was Dr. Singh as the surgical 9 consultant.</p> <p>10 Q. All right. What about Dr. Patel? He 11 wasn't even involved in the -- in the first 12 admission, but what about Dr. --</p> <p>13 A. Correct.</p> <p>14 Q. For clarity purposes, what about Dr. Patel 15 in the second admittance?</p> <p>16 A. So Dr. Patel, you know, inherited this 17 mess, but, again, once -- it came to the same thing. 18 Once you had somebody who was not willing to better 19 delineate Mr. Zeigler's pathology anatomy, I think 20 the -- the ball falls to -- to the surgeon.</p> <p>21 And this is one of these cases where, you 22 know, the surgeons may be pointing to the 23 gastroenterologist. The gastroenterologist may be 24 pointing to the surgeon, but neither of them 25 correctly identified Mr. Zeigler's anatomy.</p>

Page 164	Page 166
<p>1 Q. And you --</p> <p>2 MR. SERPE: Objection to the</p> <p>3 nonresponsive portion.</p> <p>4 MR. HUGHES: Join.</p> <p>5 MR. ENGELHARDT: Which part?</p> <p>6 MR. SERPE: The very beginning about</p> <p>7 inheriting the mess.</p> <p>8 THE WITNESS: Okay. Sorry.</p> <p>9 BY MR. ENGELHARDT</p> <p>10 Q. I'll ask you again because of their</p> <p>11 objection. You have to clarify your response.</p> <p>12 Okay?</p> <p>13 A. Okay.</p> <p>14 Q. As the conduct you've described to these</p> <p>15 doctors, was any of their conduct below the standard</p> <p>16 of care at Cy-Fair medical hospital?</p> <p>17 A. Yes.</p> <p>18 Q. And was it a proximate cause of</p> <p>19 Mr. Zeigler's death?</p> <p>20 A. Yes.</p> <p>21 Q. Would you describe them for me as it</p> <p>22 relates to first Dr. Singh?</p> <p>23 A. Okay.</p> <p>24 Q. Please.</p> <p>25 A. Dr. Singh, failure to perform a</p>	<p>1 actions in conscious disregard to Aaron Zeigler?</p> <p>2 MS. NOLAN: Objection. Form.</p> <p>3 MR. SERPE: Join.</p> <p>4 MR. ENGELHARDT: You can answer.</p> <p>5 MR. HUGHES: Join.</p> <p>6 A. Yes.</p> <p>7 Q. Were they in conscious disregard to his</p> <p>8 rights as a patient at Cy-Fair medical hospital?</p> <p>9 MS. NOLAN: Objection to form.</p> <p>10 MR. HUGHES: Objection to form.</p> <p>11 MR. SERPE: Join.</p> <p>12 Q. You can answer it.</p> <p>13 A. I'd say yes.</p> <p>14 Q. Okay. Do you have any additional</p> <p>15 criticisms of Dr. Singh or Dr. Patel or</p> <p>16 Dr. Ugbarugba as it relates to going beyond a</p> <p>17 failure to meet the standard of care in treating</p> <p>18 Mr. Zeigler?</p> <p>19 MR. SERPE: Form.</p> <p>20 A. Yeah, I don't understand the question.</p> <p>21 Q. Okay. Well, you've been critical of these</p> <p>22 doctors' treatment of Aaron Zeigler not meeting the</p> <p>23 standard of care, correct?</p> <p>24 A. Yes.</p> <p>25 Q. Were any of these doctors' actions in</p>
Page 165	Page 167
<p>1 cholecystectomy at the index admission in November.</p> <p>2 Q. All right. Anything else?</p> <p>3 A. Failure to recommend an ERCP, failure to</p> <p>4 provide follow-up or arrange follow-up.</p> <p>5 Q. All right. Now, Dr. Patel was involved in</p> <p>6 the second admission, correct?</p> <p>7 A. Yes.</p> <p>8 Q. All right. What was his actions that were</p> <p>9 below the standard of care?</p> <p>10 A. So failure of documentation, failure to</p> <p>11 provide -- again, I'm trying to figure out the best</p> <p>12 way to phrase it, but failure to aid in the surgical</p> <p>13 treatment and evaluation of Mr. Zeigler's biliary</p> <p>14 system.</p> <p>15 Q. And was this conduct a proximate cause of</p> <p>16 his death?</p> <p>17 A. Yes.</p> <p>18 Q. And you already responded as it relates to</p> <p>19 Dr. Ugbarugba, isn't that correct?</p> <p>20 A. Yes.</p> <p>21 Q. Is there anything you want to add as it</p> <p>22 relates to Dr. Ugbarugba as you've previously</p> <p>23 testified to?</p> <p>24 A. No.</p> <p>25 Q. All right. Were any of these doctors'</p>	<p>1 conscious disregard to his position as a patient in</p> <p>2 that hospital?</p> <p>3 MS. NOLAN: Objection to form.</p> <p>4 MR. HUGHES: Objection to form.</p> <p>5 MR. SERPE: Join.</p> <p>6 MR. ENGELHARDT: You can answer it.</p> <p>7 A. I would say yes.</p> <p>8 Q. Okay. And what is the basis for your</p> <p>9 answer?</p> <p>10 A. You know, I think the -- the most</p> <p>11 egregious was probably Dr. Singh at the first</p> <p>12 admission.</p> <p>13 Q. All right. Was what egregious about</p> <p>14 Dr. Singh's conduct at the first admission of Aaron</p> <p>15 Zeigler?</p> <p>16 MR. SERPE: Objection. Form.</p> <p>17 A. It -- it seemed that he -- especially from</p> <p>18 reading his deposition that he knew what the</p> <p>19 appropriate treatment was and that the timing of</p> <p>20 that and that that procedure likely would not be</p> <p>21 able to be done by anybody other than him and still</p> <p>22 did not do it.</p> <p>23 Q. And what was egregious about -- if</p> <p>24 anything, about Dr. Patel's treatment of Aaron</p> <p>25 Zeigler on the second at admission?</p>

Page 168	Page 170
<p>1 MR. HUGHES: Objection.</p> <p>2 A. I don't think there was anything egregious</p> <p>3 about Dr. Patel.</p> <p>4 Q. All right. And what about Dr. Ugbarugba</p> <p>5 as it relates to his treatment of Aaron Zeigler?</p> <p>6 MS. NOLAN: Objection. Form.</p> <p>7 Q. What did you find egregious about his --</p> <p>8 his behavior --</p> <p>9 MS. NOLAN: Same objection.</p> <p>10 Q. -- and the treatment of Aaron Zeigler?</p> <p>11 MS. NOLAN: Objection. Form.</p> <p>12 A. Again, sort of the same thing. Sort of</p> <p>13 the pattern of, you know, following him for -- off</p> <p>14 and on for several weeks, still not doing the ERCP</p> <p>15 and in somebody with a presumed biliary obstruction,</p> <p>16 possible cholangitis for such a prolonged period of</p> <p>17 time.</p> <p>18 (Pause.)</p> <p>19 Q. I have one other question. The fact that</p> <p>20 Dr. Rajmann performed a ERCP on January of -- 27th</p> <p>21 of 2015, the fact that there was a issue with</p> <p>22 Zeigler's weight, did that have anything to do with</p> <p>23 the actual ERCP procedure?</p> <p>24 A. Well, you know, I've been thinking about</p> <p>25 how this question's been asked to me a couple times</p>	<p>1 transplant and, you know, they die of complications</p> <p>2 of renal failure down the line before they can get</p> <p>3 their transplant.</p> <p>4 I've had been with years of</p> <p>5 artherosclerosis and heart disease who ended up dying</p> <p>6 of heart attack, you know, months to years after the</p> <p>7 operation.</p> <p>8 Q. All right. Well, Mr. Zeigler wasn't there</p> <p>9 to get bariatric surgery, correct?</p> <p>10 A. Correct.</p> <p>11 Q. Dr. Singh, you're not implying that he</p> <p>12 somehow should have done something to make him go</p> <p>13 from 580 pounds to 200 pounds?</p> <p>14 A. Certainly not.</p> <p>15 Q. All right.</p> <p>16 A. I don't know if he would have been a good</p> <p>17 candidate for that at all.</p> <p>18 Q. Why not?</p> <p>19 A. A lot of -- I'm sorry. A lot of what was</p> <p>20 documented in the chart in terms of his, you know,</p> <p>21 failure to comply, what may have likely been a binge</p> <p>22 eating disorder, all of these are contraindications</p> <p>23 to weight-loss surgery.</p> <p>24 Q. Okay. And, certainly, you'd agree</p> <p>25 Mr. Zeigler bears some responsibility for all the</p>
Page 169	Page 171
<p>1 in terms of technical considerations, so -- so, no,</p> <p>2 in terms of passing the scope and visualization,</p> <p>3 that doesn't matter in terms of your weight.</p> <p>4 You do need to change your equipment to be</p> <p>5 able to do it, but it's equipment that's readily</p> <p>6 available at any hospital that does surgery.</p> <p>7 MR. ENGELHARDT: Pass the witness.</p> <p>8 MR. SERPE: I'll come back.</p> <p>9 (Pause.)</p> <p>10 REDIRECT EXAMINATION BY MR. SERPE:</p> <p>11 Q. Dr. Carmine, have you ever had a super</p> <p>12 morbidly obese patient die that you've been taking</p> <p>13 care of?</p> <p>14 A. No.</p> <p>15 Q. Also morbidly obese, super morbidly obese</p> <p>16 patients?</p> <p>17 A. Well, no. I mean, I've been operating for</p> <p>18 so long now, some of them have died of other things,</p> <p>19 but -- but, yeah, not -- not from my care.</p> <p>20 Q. Do they die from their super morbid</p> <p>21 obesity?</p> <p>22 A. Well, again, usually by the time that I am</p> <p>23 done operating with them they're not super morbidly</p> <p>24 obese anymore, but I've had patients, for instance,</p> <p>25 who I've operated on so they could get a kidney</p>	<p>1 problem -- medical problems he had?</p> <p>2 A. Yeah.</p> <p>3 Q. I mean, in other words, Dr. Singh didn't</p> <p>4 tell him to go out and spend 80 bucks at McDonald's</p> <p>5 to go eat in one sitting, correct?</p> <p>6 A. Correct.</p> <p>7 Q. I mean, in some ways, to use kind of a</p> <p>8 different analogy, it's almost as if Mr. Zeigler</p> <p>9 almost kind of ate himself to death. That's a --</p> <p>10 that's a fair to way to look at this case, --</p> <p>11 MR. ENGELHARDT: Objection.</p> <p>12 Q. -- is it not, sir?</p> <p>13 MR. ENGELHARDT: Objection to form.</p> <p>14 A. No.</p> <p>15 Q. No?</p> <p>16 A. No.</p> <p>17 Q. When you're 19 years old and you weigh</p> <p>18 580 pounds, that's not someone who's extremely</p> <p>19 self-destructive in terms of their personal habits?</p> <p>20 MR. ENGELHARDT: Objection. Form.</p> <p>21 A. I mean, again, it depends what we're</p> <p>22 talking about. I mean, he didn't -- he died of</p> <p>23 presumably gallstone pancreatitis. That could</p> <p>24 happen to him at any weight. More likely that at</p> <p>25 his weight that he was.</p>

<p style="text-align: right;">Page 172</p> <p>1 Q. Right. In other words, you don't think he 2 would have died of gallstone pancreatitis if he had 3 been at 200 pounds?</p> <p>4 A. Well, it sounds like they would have been 5 more likely to do an operation if he was a lower 6 weight.</p> <p>7 Q. Sure. For that matter, how many 8 19-year-olds get gallbladder pancreatitis if they're 9 not super morbidly obese?</p> <p>10 A. A few.</p> <p>11 Q. Okay.</p> <p>12 A. It's not -- it's -- it's uncommon but not 13 rare.</p> <p>14 Q. All right. But you would agree with me 15 that his super super super morbid obesity was a 16 contributing factor to his death?</p> <p>17 A. Yes.</p> <p>18 Q. All right. And that the only person that 19 you can fairly assign responsibility for his super 20 super super morbid obesity is Mr. Zeigler?</p> <p>21 A. Yes.</p> <p>22 Q. All right.</p> <p>23 A. As -- as best I can tell.</p> <p>24 Q. Now, I want to get back because you just 25 gave some questions about Dr. Singh's motivations,</p>	<p style="text-align: right;">Page 174</p> <p>1 Form.</p> <p>2 A. Yes.</p> <p>3 Q. Okay. So to -- to break it down, you're 4 okay with Dr. Singh saying I'm not -- I don't feel 5 like the -- the benefits of doing the surgery 6 outweigh the risks with me doing it based on my 7 experience in terms of dealing with somebody with 8 580 pounds. You're okay with that decision by him?</p> <p>9 A. I never saw that thought process. That 10 the -- that the benefits outweighed the risks. I 11 just saw that he wasn't comfortable doing it. I 12 don't think the benefits outweighed the risks or, 13 you know, we wouldn't be here.</p> <p>14 I think that -- I think that the -- that 15 ideally, again, we can talk to the hypothetical 16 snowstorm in a trauma patient. I think ideally, 17 yes, it should have been done by somebody who had 18 more experience.</p> <p>19 Nobody was taking him, and -- and the 20 clock was ticking, so I think at that point with no 21 other options, I think that he was obligated to -- 22 to operate on the patient at that time.</p> <p>23 Q. Well, the sequence of events at the time 24 of his initial discharge from Cy-Fair and the plan 25 which we covered with Dr. Nguyen's note was that</p>
<p style="text-align: right;">Page 173</p> <p>1 and I thought we'd established earlier that you were 2 okay with Dr. Singh saying, look, this guy's extreme 3 morbid obesity is outside my technical experience 4 level and that you were okay with him saying I am 5 not going to perform the surgery.</p> <p>6 A. No. I was okay with him saying he wasn't 7 comfortable.</p> <p>8 Q. Okay.</p> <p>9 A. Yeah.</p> <p>10 Q. Well, and -- and do you think it's 11 because -- I mean, you'd agree with me it's because 12 Dr. Singh would legitimately be worried I've never 13 operated on anybody close to this weight and there 14 are lots of things that can go wrong and this 15 patient would be better off in a situation where 16 he's dealing with a -- a surgeon who would take care 17 of this patient?</p> <p>18 A. Yes.</p> <p>19 Q. With experience?</p> <p>20 A. Yes.</p> <p>21 Q. In other words, -- and me ask you this: 22 If -- next time Dr. Singh sees a 580-pound patient 23 in his office, can he call you and we send the guy 24 up to Boston to get treated?</p> <p>25 MR. ENGELHARDT: Hold on. Objection.</p>	<p style="text-align: right;">Page 175</p> <p>1 Mr. Zeigler was going to go lose weight, which you'd 2 be fine with, correct?</p> <p>3 A. Yeah.</p> <p>4 Q. All right. And he was going to get a 5 second opinion in a medical center hospital that has 6 more experience in dealing with super morbidly obese 7 patients, correct?</p> <p>8 A. Yes. That was in Dr. Nguyen's note, yes.</p> <p>9 Q. Okay. Do you disbelieve Dr. Nguyen's 10 note?</p> <p>11 A. No. I believe it.</p> <p>12 Q. Okay. And -- and also that Mr. Zeigler 13 said I'm going to work to get insurance. That was 14 the three things which are referenced in Dr. 15 Nguyen's note, correct?</p> <p>16 A. Yes.</p> <p>17 Q. All right. And you don't see any evidence 18 that Mr. Zeigler came back to Dr. Singh and said, 19 hey, I can't find anybody, nobody will take me. You 20 don't see any evidence of that whatsoever, do you?</p> <p>21 A. No.</p> <p>22 Q. Okay. And certainly, it's a two-way 23 street with your patient. If you tell your patient 24 I recommend you do something or the patient says I'm 25 going to do something, if the patient can't get it</p>

<p style="text-align: right;">Page 176</p> <p>1 done for any reason, you're not a mind-reader. 2 They've got to call you and tell you that, right? 3 A. It -- again, it -- yeah, but it depends on 4 what I'm asking them to do. 5 Q. Okay. 6 A. I -- you know, I think -- I think that he 7 had probably two unrealistic goals set for him, 8 which were just go get insurance and -- and then 9 lose weight. 10 You know, that's -- those are two things 11 that are hard for people to do, you know, either 12 financially or simply, you know, through motivation 13 and ability. 14 Q. Yeah, but the third part is go get a 15 second opinion. 16 A. Well, I think that -- doesn't that -- 17 that -- my understanding is that that hinged on the 18 first part, which was get insurance. 19 Q. Well, you don't know that, right? 20 A. I don't know that. 21 Q. Okay. 22 A. But that was my understanding as to why 23 nobody would see him. 24 Q. Okay. But that's documented all in the 25 second hospitalization at Cy-Fair; not the first</p>	<p style="text-align: right;">Page 178</p> <p>1 physician again was Dr. Nguyen? 2 A. I was not sure about that because I know 3 he spent most of his time in critical care. 4 Q. At the time of the initial presentation. 5 A. Yes. 6 Q. Prior to the transfer to ICU. 7 A. I -- I believe so. Again, I wasn't quite 8 sure of the timing of when -- when he went to the 9 ICU, whether he was in the emergency department, 10 went from the emergency department to the ICU. I 11 had a hard time seeing the time course there. 12 Q. Do you remember your review of 13 Dr. Nguyen's history and physical for admission for 14 Mr. Zeigler when he came back for the second 15 admission? 16 A. Yes. 17 Q. Do you have an understanding at that time 18 that Dr. Nguyen had requested a surgical consult 19 with Dr. Patel? 20 A. Yes. I recall that -- that it seemed that 21 multiple parties wanted somebody else to see him. 22 Q. Was it your understanding that they were 23 seeking consult with Dr. Patel in terms of whether 24 or not in his opinion Mr. Zeigler was a surgical 25 candidate?</p>
<p style="text-align: right;">Page 177</p> <p>1 one? 2 A. Yes. 3 Q. Okay. And -- and when you talk about 4 Dr. Singh's motivation and say that he was 5 indifferent to Mr. Zeigler, you've got no real 6 basis to say that, that that's what was going on in 7 Dr. Singh's mind, do you? 8 A. No. It's just speculation. 9 Q. Okay. Because you'd agree with me that if 10 Dr. Singh sat in his office and talked to 11 Mr. Zeigler and said, look, Mr. Zeigler, I think 12 you'd be better off seeing a doctor with more 13 experience at a facility that is more used to 14 handling people in -- of your weight, that that's 15 a -- that's a reasonable thing for him to suggest? 16 A. That is absolutely a reasonable thing for 17 him to suggest. 18 MR. SERPE: Okay. All right. I'll 19 pass the witness. 20 (Pause.) 21 RECROSS-EXAMINATION BY MS. NOLAN: 22 Q. Dr. Carmine, I have some follow-up 23 questions for you. Did you have an understanding 24 that when Mr. Zeigler was readmitted to Cy-Fair on 25 December the 19th of 2014, that his attending</p>	<p style="text-align: right;">Page 179</p> <p>1 A. Yes. 2 Q. Do you have an understanding from 3 Dr. Ugbarugba's deposition testimony that he was 4 deferring to Dr. Patel and his education, training 5 and experience into whether -- as to whether or not 6 Mr. Zeigler was a surgical candidate? 7 A. I don't recall that specific wording, but 8 it's... 9 Q. Would that be an appropriate thing for 10 Dr. Ugbarugba and the other healthcare providers to 11 do? 12 A. Yes. 13 Q. Within the standard of care? 14 A. Yes. 15 Q. With respect to other consults, once 16 Mr. Zeigler gets into the ICU, did you have an 17 understanding that he had pulmonary critical care 18 physicians who were now overseeing his care? 19 A. Yeah, I believe that that was what I saw, 20 that the critical care doctor was a pulmonologist. 21 Q. And would you expect those physicians 22 within their medical judgment to seek whatever 23 additional consults may be needed for Mr. Zeigler? 24 A. Yes. 25 Q. Do you remember seeing Dr. Ugbarugba's</p>

<p style="text-align: right;">Page 180</p> <p>1 deposition testimony where he was asked a question 2 about why he didn't get a renal consult? 3 A. I don't recall that specifically. 4 Q. Did you -- do you have an expectation that 5 that's something Dr. Ugbarugba would do? 6 A. No. That's a -- that's under the purview 7 of critical care. 8 Q. You would agree with me that it would be 9 appropriate for Dr. Ugbarugba to defer to either 10 Dr. Nguyen if he's the attending at that point or 11 the pulmonary critical care team once he gets into 12 the ICU, once he gets into the ICU in terms of 13 whether or not a renal consult is indicated? 14 A. Yes. 15 Q. That's within the standard of care? 16 A. Yes. 17 Q. I want to ask you this: On December the 18 19th when Dr. Ugbarugba did his initial 19 consultation, do you know what time of day that 20 was -- that was performed? 21 A. I do not recall. 22 Q. I'll represent to you it was in the 23 evening. 24 A. Okay. 25 Q. Do you know if the facilities would have</p>	<p style="text-align: right;">Page 182</p> <p>1 may not have been. It could have been stenting of 2 the stricture and either one likely would have come 3 with a sphincterotomy. 4 Q. Are you able to say within a reasonable 5 degree of medical probability what would have been 6 found if an ERCP had been performed on December the 7 19th? 8 A. Not within a degree of probability. It 9 would either have been stones or stricture would 10 have been my guess. 11 Q. And if I've understood your opinion today, 12 your basis for there being either a stone or a 13 stricture and cholangitis is elevated liver function 14 tests, elevated white blood cell count. Anything 15 else? 16 A. Abdominal pain and hypotension. 17 Q. Hypotension? 18 A. Well, signs of shock. I actually don't 19 recall what his blood pressure was. 20 (Pause.) 21 Q. If an ERCP had been performed earlier 22 during the second admission, -- 23 A. Yes. 24 Q. -- are you able to say within a reasonable 25 degree of medical probability how much longer</p>
<p style="text-align: right;">Page 181</p> <p>1 been available for an ERCP to have been performed 2 within that time frame? You understand it's just a 3 few hours went by before Mr. Zeigler was then 4 transferred into the ICU? 5 A. Yes. He decompensated quickly. 6 Q. Is it your opinion that an ERCP could have 7 been performed on Mr. Zeigler at Cy-Fair before that 8 decompensation? 9 A. Again, I'm not sure what their call 10 schedule or facilities are like, but there's no 11 hospital that has a -- that I'm aware of that has an 12 inhouse endoscopy team at night but usually they 13 have a call team. 14 (Pause.) 15 Q. On December the 19th, what therapeutic 16 indication do you believe there was for the 17 performance of an ERCP? 18 A. Relief of cholangitis. 19 Q. Do you have an opinion of what specific 20 therapeutic intervention would have been indicated 21 with the ERCP to relieve the cholangitis? 22 A. It -- it depends on what they found on the 23 ERCP, so certainly if it was from obstructing stone, 24 it could have been a withdrawal of the stone. If it 25 was a stricture, which it -- in retrospect it may or</p>	<p style="text-align: right;">Page 183</p> <p>1 Mr. Zeigler would have remained in the hospital? 2 A. I suspect he likely would have improved by 3 mid-January. I believe reviewing the chart that he 4 had another episode of some type of biliary 5 obstruction in mid- to late-January when his liver 6 function tests began to rise again. I think it 7 would have spared him that episode. 8 Q. Are you able to say within a reasonable 9 degree of medical probability if an ERCP had been 10 performed earlier in the admission before what 11 you're calling this -- another episode of 12 obstruction, whether or not -- let me back up. 13 Given your opinion that there was another 14 episode of obstruction in mid-January, I take it you 15 would agree that whatever obstruction, if any, that 16 was present in what we're calling the first part of 17 the admission completely resolved itself without an 18 ERCP? 19 A. It's hard to say if it's completely 20 resolved itself, but it was certainly more of a 21 partial obstruction, if anything. 22 (Pause.) 23 Q. Say that last part again? 24 MR. ENGELHARDT: Read it back again. 25 MS. NOLAN: Yeah, that would be great.</p>

<p style="text-align: right;">Page 184</p> <p>1 (Answer read back.)</p> <p>2 MR. ENGELHARDT: Thank you.</p> <p>3 BY MS. NOLAN</p> <p>4 Q. And do you have an opinion within a reasonable degree of medical probability whether this partial obstruction was due to a stone in the duct, whether it was due to this sort of pancreatic swelling and was external?</p> <p>5 A. I think at this point for that -- again, we're saying now the second episode at his second admission.</p> <p>6 I think at that point it was likely a pancreatitis-related stricture because it was a matter of a week or two later that he had the ERCP at St. Luke's that showed that.</p> <p>7 Q. We're mis-communicating.</p> <p>8 A. Okay.</p> <p>9 Q. I was talking with you about whatever this obstructive episode was at the time in your opinion that he re-presented.</p> <p>10 A. Yeah.</p> <p>11 Q. And you were saying -- you were acknowledging that it had pretty much resolved without an ERCP before this other episode of obstruction, correct?</p>	<p style="text-align: right;">Page 186</p> <p>1 another facility in the middle of January whether --</p> <p>2 January, whether he would have avoided some of the</p> <p>3 complications of confinement such as an MRSA</p> <p>4 infection like he ended up getting?</p> <p>5 A. No, I can't say that.</p> <p>6 (Pause.)</p> <p>7 Q. Are you able to say within a reasonable degree of medical probability whether if he had had an ERCP at the outset of the second hospitalization before what we're calling the second episode, --</p> <p>8 A. Yeah.</p> <p>9 Q. -- are you able to say within a reasonable degree of medical probability whether or not he would have avoided post-ERC complications, including death?</p> <p>10 A. No.</p> <p>11 MS. NOLAN: That's all the questions I have. Thank you so much.</p> <p>12 MR. SERPE: Are you done?</p> <p>13 MR. HUGHES: Yes.</p> <p>14 MS. NOLAN: I'll reserve until the time of trial.</p> <p>15 MR. ENGELHARDT: We're done.</p> <p>16 THE VIDEOGRAPHER: The time is</p> <p>17 5:58 p.m. This is the end of the deposition. We're</p>
<p style="text-align: right;">Page 185</p> <p>1 A. Yeah. Yes.</p> <p>2 Q. You agree with that?</p> <p>3 A. Yes.</p> <p>4 (Pause.)</p> <p>5 Q. And I kind of want to go back. Are you able to say within a reasonable degree of medical probability if he had had an ERCP at the outset of that second admission how much longer he would have been in the hospital?</p> <p>6 A. An ERCP -- oh, again, I -- I don't know because I think he was -- he was likely going to end up progressing to ARDS, renal failure just because of how ill he was, so I -- I do wonder if he would have ended up having to go somewhere no matter what.</p> <p>7 But I could take a guess that he would go to whatever facility he was going to go to by middle of January. That's a complete guess. Just it would have --</p> <p>8 Q. But are you able to say within a reasonable degree of medical probability assuming he even went to another facility in the middle of January how much longer he would have been there?</p> <p>9 A. Oh, I don't know.</p> <p>10 Q. Are you able to say within a reasonable degree of medical probability even if he had gone to</p>	<p style="text-align: right;">Page 187</p> <p>1 off the record.</p> <p>2 (Whereupon, the deposition concluded at 5:58 p.m.)</p> <p>3</p> <p>4</p> <p>5</p> <p>6</p> <p>7</p> <p>8</p> <p>9</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>

<p style="text-align: right;">Page 188</p> <p>1 ERRATA SHEET DISTRIBUTION INFORMATION 2 DEPONENT'S ERRATA & SIGNATURE INSTRUCTIONS 3 4 The original of the Errata Sheet has been 5 delivered to Steven Engelhardt, Esq. 6 When the Errata Sheet has been completed by the 7 Deponent and signed, a copy thereof should be 8 delivered to each party of record and the ORIGINAL 9 forwarded to Brian S. Serpe, Esq., to whom the 10 original deposition transcript was delivered.</p> <p>11 12 INSTRUCTIONS TO DEPONENT 13 14 After reading this volume of your deposition, 15 please indicate any corrections or changes to your 16 testimony and the reasons therefor on the Errata 17 Sheet supplied to you and sign it. DO NOT make 18 marks or notations on the transcript volume itself. 19 Add additional sheets if necessary. Please refer to 20 the above instructions for Errata Sheet distribution 21 information.</p> <p>22 23 24 25</p>	<p style="text-align: right;">Page 190</p> <p>1 COMMONWEALTH OF MASSACHUSETTS 2 3 4 I, SUSAN LOZZI, Registered Professional Reporter and 5 Notary Public duly and qualified in and for the 6 State of Massachusetts do hereby certify that the 7 foregoing statement is a true and correct transcript 8 of my original stenographic notes. 9 10 I further certify that I am neither attorney or 11 counsel for, nor related to or employed by any of 12 the parties to the action in which this deposition 13 is taken; and furthermore, that I am not a relative 14 or employee of any attorney or counsel employed by 15 the parties hereto or financially interested in the 16 action. 17 18 IN WITNESS WHEREOF, I have hereunto set my hand and 19 affixed my Notarial Seal this 5th day of March, 20 2018. 21 22 23 24 25</p> <p style="text-align: right;">_____ SUSAN LOZZI NOTARY PUBLIC</p> <p>19 My Commission Expires: March 22, 2024.</p>
<p style="text-align: right;">Page 189</p> <p>1 PLEASE ATTACH TO THE DEPOSITION OF 2 BRIAN CARMINE, M.D. 3 CASE: Zeigler v. Nguyen, et al. 4 DATE TAKEN: 02/20/2018 5 6 ERRATA SHEET 7 Please refer to Page 188 for Errata Sheet 8 instructions and distribution instructions. 9 PAGE LINE CHANGE REASON 10 _____ 11 _____ 12 _____ 13 _____ 14 _____ 15 _____ 16 _____ 17 I have read the foregoing transcript of my 18 deposition, and except for any corrections or 19 changes noted above, I hereby subscribe to the 20 transcript as an accurate record of the statements 21 made by me. 22 Executed this _____ day of _____, 2017. 23 24 25 BRIAN CARMINE, M.D.</p>	