

IN THE DISTRICT COURT OF
HARRIS COUNTY, OF TEXAS
295TH JUDICIAL DISTRICT

SONIA THOMPSON,)	
)	
Plaintiff,)	
)	
v.)	No. 2015-63735
)	
JORGE LEIVA, M.D., SAID BINA,)	
M.D., and ZIAD AMR, M.D.,)	
)	
Defendants.)	

ORAL AND VIDEOTAPED DEPOSITION

RICHARD GORE, M.D., F.A.C.G., F.A.C.P., F.A.C.R.,
A.G.A.F,

JANUARY 30, 2017

The deposition of RICHARD GORE, M.D., called by the Defendant, for examination, taken pursuant to notice and pursuant to the Texas Rules of Civil Procedure pertaining to the taking of depositions, taken before Joan M. Burke, Certified Shorthand Reporter and Registered Professional Reporter, at Glenbrook Hospital Department of Radiology, 2100 Pfingsten Road, Glenview, Illinois, commencing at 10:58 a.m. on the 30th day of January, A.D. 2017.

2	4
<p>1 APPEARANCES 2 FOR THE PLAINTIFF: 3 Mr. Robert W. Painter 4 PAINTER LAW FIRM 5 12750 Champion Forest Drive 6 Houston, Texas 77066 7 281-580-8800 8 rpainter@painterfirm.com 9 FOR THE DEFENDANT, JORGE LEIVA, M.D. 10 Mr. Matthew B. E. Hughes 11 BOSTON & HUGHES, P.C. 12 8584 Katy Freeway 13 Suite 310 14 Houston, Texas 77024 15 713-961-1122 16 mhughes@bostonhughes.com 17 18 FOR THE DEFENDANT, SAID BINA, M.D. 19 Mr. Frank N. Luccia 20 LUCCIA & EVANS, LLP 21 8 Greenway Plaza 22 Suite 1450 23 Houston, Texas 77046 24 713-629-0002 25 fluccia@lucxia-evans.com</p> <p>ALSO PRESENT:</p> <p>Mr. Andrew King, Videographer</p>	<p>10:58 1 THE VIDEOGRAPHER: The time is 10:58 on 2 January 30th. This is the video deposition of 10:58 3 Dr. Richard Gore at Glenbrook Hospital, Department 4 of Radiology, Conference Room 1208, 2100 Pfingsten 5 Road, Glenview, Illinois 60026. 10:58 6 If the court reporter would please swear 7 in the witness. 10:58 8 (Witness sworn.) 10:58 9 MR. HUGHES: By the rules? 10:58 10 MR. PAINTER: Yes. 10:58 11 WHEREUPON: 10:58 12 RICHARD MICHAEL GORE, M.D., 10:58 13 called as a witness herein, having been first duly 14 sworn, was examined and testified as follows: 10:58 15 EXAMINATION 10:58 16 BY MR. HUGHES: 10:58 17 Q. Would you state your name, please? 10:58 18 A. Yes. My name is Richard Michael Gore, 10:58 19 G O R E. 10:58 20 Q. Dr. Gore, what do you do for a living? 10:58 21 A. I'm a diagnostic radiologist. 10:58 22 Q. Okay. You don't do surgery? 10:58 23 A. No. 10:58 24 Q. You certainly have not performed a 25 gastric sleeve procedure, right?</p>

3	5
<p>1 INDEX 2 Appearances2 3 RICHARD GORE, M.D. 4 Examination by Mr. Hughes 4 5 Signature and Changes 113 6 Reporter's Certificate 115 7 EXHIBITS 8 NO.DESCRPTION PAGE 9 Exhibit 1 8 10 Deposition Notice 11 Exhibit 2 9 12 Curriculum Vitae 13 Exhibit 3 10 14 September 16, 2016 bill 15 Exhibit 4 11 16 Report 17 Exhibit 5 12 18 E-mail exchange 19 Exhibit 6 12 20 Handwritten notes 21 Exhibit 7 13 22 Trial testimony list 23 Exhibit 8 36 24 Printed PowerPoint slides 25</p>	<p>10:58 1 A. No, sir. 10:58 2 Q. Okay. My name is Matthew Hughes and I 3 represent Dr. Leiva in this case. Dr. Leiva is a 4 surgeon. Are you aware of that? 10:58 5 A. Yes, I am. 10:58 6 Q. You are not going to offer opinions today 7 about what the standard of care is for a surgeon, 8 right? 10:58 9 A. That's correct. 10:59 10 Q. Because that would be outside of your 11 area of specialty? 10:59 12 A. That's right. 10:59 13 Q. It would be outside of your area of 14 training? 10:59 15 A. Correct. 10:59 16 Q. And, frankly, you are not qualified to 17 render opinions about the surgical care that 10:59 18 Dr. Leiva performed, right? 10:59 19 A. Correct. 10:59 20 Q. Okay. You have been retained by the 21 plaintiffs in this case to render an opinion about 22 the radiology issues, right? 10:59 23 A. That's right. 10:59 24 Q. That means that you've looked at the 25 upper GI series and the CT scans in this case and</p>

6

1 have formed opinions?

10:59 2 **A. That's correct. And also chest X-rays**

3 **and other studies that were done as well.**

10:59 4 Q. As part of your daily practice, I assume

5 that you interact with surgeons?

10:59 6 **A. Yes, sir.**

10:59 7 Q. And you offer a benefit to the surgeons

8 when you interact with them, don't you?

10:59 9 **A. I certainly hope so.**

10:59 10 Q. Okay. Is it common for surgeons to defer

11 to your expertise when it comes to reviewing

12 radiology studies?

10:59 13 **A. Most of the time they do, but sometimes**

14 **they say, Richard, you're dead wrong.**

10:59 15 Q. Okay. But there is something that a

16 surgeon gets from consulting with a radiologist

17 that must be beneficial otherwise it would be just

18 a waste of their time, right?

11:00 19 **A. That's right.**

11:00 20 Q. Okay. So there is some knowledge that

21 you might have about what's on a radiology film

22 that a surgeon would not have, fair?

11:00 23 **A. That's right.**

11:00 24 Q. Otherwise, again, there would be no

25 purpose to talk to the radiologist, the surgeons

7

1 could just look at all the films themselves,

2 right?

11:00 3 **A. That's right.**

11:00 4 Q. In day-to-day practice, it is routine for

5 surgeons to talk to the radiologist or review

6 reports prepared by them, right?

11:00 7 **A. That's correct. Some come down to**

8 **discuss with us and some just look at the report.**

11:00 9 Q. You are not critical -- if the evidence

10 in this case shows that Dr. Leiva actually

11 reviewed the radiology reports that were prepared

12 by other physicians in this case, radiologists

13 prepared them, that is a normal thing for surgeons

14 to do, right?

11:00 15 **A. Absolutely.**

11:00 16 Q. And you would certainly not be critical

17 of him for reviewing or relying upon the radiology

18 reports that were prepared by other physicians,

19 right?

11:01 20 **A. Yes. They are -- rely on the reports,**

21 **but sometimes there's a disconnect with what you**

22 **get in the report and what's happening with the**

23 **patient and then they usually consult with the**

24 **radiologist.**

11:01 25 Q. And then even -- and if they consult with

8

1 the radiologist, then they can get additional

2 information from the radiologist about what's on

3 those studies, right?

11:01 4 **A. That's correct, and the radiologist can**

5 **learn from the surgeon, you know -- you know, what**

6 **he or she did during the operation.**

11:01 7 Q. Okay. All right. I'm going to go ahead

8 and hand you some documents that I've premarked

9 for your deposition today. One of them is a copy

10 of your deposition notice?

11:01 11 **A. Yes.**

11:01 12 Q. Have you had a chance to review that?

11:01 13 **A. Yes, I have.**

11:01 14 Q. Have you brought your entire file of

15 materials that you've reviewed for this case?

11:01 16 **A. Yes, I have.**

11:01 17 Q. So have you reviewed the deposition of

18 Dr. Leiva?

11:01 19 **A. No.**

11:01 20 Q. Have you reviewed the deposition of

11:01 21 Dr. Bina?

11:01 22 **A. No.**

11:01 23 Q. Okay. So in a sense, any explanations

24 they have for what they were doing that were taken

25 from them when they were under oath, you have not

9

1 had the opportunity to see that?

11:01 2 **A. That's correct.**

11:01 3 Q. And since you have been an expert witness

4 many times, I assume that you do not feel that

5 their deposition testimony was important to your

6 opinions in this case?

11:02 7 **A. That's correct. Because I'm just going**

8 **to be talking about the X-rays and not talking**

9 **about standard of care for a surgeon.**

11:02 10 Q. Okay. Great. Let me go ahead and hand

11 you what's been marked as Gore Exhibit No. 2. Is

12 this your most up-to-date resume or CV?

11:02 13 **A. Yes, it is.**

11:02 14 Q. Do you -- are there any specific papers

15 that you have published that specifically

16 reference to sleeve gastrectomies and how to look

17 at them in radiographic studies?

11:02 18 **A. I've written on gastric bypass, but also**

19 **there is a chapter in the book, the Textbook of**

20 **Gastrointestinal Radiology, which amongst the**

21 **types of bariatric surgery they talk about -- we**

22 **talk about sleeve gastrectomy.**

11:02 23 Q. This textbook that you have in front of

24 you, it looks like it's got two volumes, right?

11:02 25 **A. That's correct.**

10

11:02 1 Q. It's called the Textbook of
2 Gastrointestinal Radiology, Fourth Edition?
11:03 3 **A. That's right.**
11:03 4 Q. And I assume since you bought it, are
5 there parts of this book that you've written?
11:03 6 **A. Yes. I've edited with my coeditor,**
11:03 7 **Marc Levine. We've edited the entire book. Also**
8 **we've written a majority of the chapters. There**
9 **is the chapter on postoperative stomach and**
10 **duodenum and this chapter was written by Laura**
11 **Carucci, C A R U C C I, and --**
11:03 12 Q. And that's Chapter 35 of your book?
11:03 13 **A. Chapter 35 and on Pages 651 through 654**
14 **she talks about sleeve gastrectomy.**
11:03 15 Q. Is this a textbook that is -- that is
16 used in the education of radiologists?
11:03 17 **A. Yes.**
11:03 18 Q. Okay. Let me go ahead and hand you
19 what's been marked as Exhibit No. 3. This looks
20 like a report that you prepared it looks like
21 around September 16th, 2000 and -- what date?
11:04 22 **A. This was September 16th, 2016.**
11:04 23 Q. Was this report prepared by you?
11:04 24 **A. This -- yeah, I typed this letter.**
11:04 25 Q. The -- the opinions contained in that

11

1 report, are they your own?
11:04 2 **A. Actually this is just a bill.**
11:04 3 Q. Okay. Let's go ahead and hand you Gore
4 Exhibit No. 4. This is dated September 22nd,
5 2016. Is this a report you prepared?
11:04 6 **A. Yes, it is.**
11:04 7 Q. Okay. So the opinions contained within
8 Exhibit No. 4, are they your own opinions?
11:04 9 **A. Yes, they are.**
11:04 10 Q. They are opinions that you formed after
11 your review of the materials in this case?
11:04 12 **A. That's right.**
11:04 13 Q. And do you stand by the opinions in this
14 case?
11:04 15 **A. Yes, I do.**
11:04 16 Q. Since you have begun reviewing this case,
17 were there any opinions that you formed that you
18 have retracted?
11:05 19 **A. No.**
11:05 20 Q. Now, you have reviewed the radiographic
21 studies that were taken regarding Ms. Thompson,
22 right, during the hospitalizations?
11:05 23 **A. That's right.**
11:05 24 Q. Have you actually reviewed the underlying
25 medical records as well?

12

11:05 1 **A. I briefly glanced through them, yes.**
2 **But, you know, they're quite extensive and I have**
3 **some notes --**
11:05 4 Q. I'm going to hand you those in a minute.
11:05 5 **A. Right.**
11:05 6 Q. Okay. Beyond that, but what actually
7 have you reviewed? You reviewed this textbook
8 that you -- you helped prepare, right? And you've
9 reviewed the radiographic studies and you've
10 reviewed some parts of the medical record?
11:05 11 **A. Right. And certainly the radiology**
12 **reports, yes.**
11:05 13 Q. Okay. Let me hand you what's been marked
14 as Exhibit No. 5. Is this an e-mail between you
15 and the plaintiff's lawyer in this case?
11:05 16 **A. Yes.**
11:05 17 Q. Exhibit No. 6 looks like some notes that
18 you prepared. Is that your handwriting?
11:05 19 **A. Yes, it is.**
11:05 20 Q. When you review a case and take notes, I
21 want to know what your normal routine is. Are --
22 do you write down just what you find particularly
23 pertinent or important?
11:06 24 **A. Okay. Well, in terms of the radiology**
25 **studies, I actually make a PowerPoint or else I'm**

13

1 **not going to forget -- I'm going to forget what**
2 **they show. So those are my notes doing the**
3 **PowerPoint. But the issues that I find**
11:06 4 **important -- because, you know, often the medical**
5 **records are thousands of pages and so I just write**
6 **down the important points.**
11:06 7 Q. Okay.
11:06 8 **A. That are important for my opinion.**
11:06 9 Q. Okay. Exhibit No. 7 looks like a summary
10 of your trial testimony?
11:06 11 **A. Yes, it is.**
11:06 12 Q. I mentioned in one of my earlier
13 questions that you've -- you've done a fair amount
14 of expert witnessing; isn't that correct?
11:06 15 **A. I've been a medical expert, yes, in a**
16 **number of cases.**
11:06 17 Q. How many times would you say?
11:06 18 **A. Boy, over the last 30 years, I've been at**
19 **trial 26 times and probably about 200 depositions,**
20 **and probably reviewed 400 cases, something like**
21 **that.**
11:07 22 Q. Are you charging for your time?
11:07 23 **A. Yes.**
11:07 24 Q. And what do you charge?
11:07 25 **A. \$500 hourly.**

14

11:07 1 Q. Is that for reviewing records?
 11:07 2 A. **Correct.**
 11:07 3 Q. Do you have a separate charge for giving
 4 a deposition?
 11:07 5 A. **No, I don't.**
 11:07 6 Q. This case is set for trial in Harris
 7 County, Texas. Have you been asked to attend the
 8 trial of this case?
 11:07 9 A. **Yes, I have.**
 11:07 10 Q. Are you going to attend the trial of this
 11 case?
 11:07 12 A. **Yes, I do. Plan to.**
 11:07 13 Q. I believe it's February 20th, but that's,
 14 I guess, like anything is subject to change. But
 15 if this case gets called to trial on or about
 16 February 20th of 2017, would you be available to
 17 testify in Harris County?
 11:07 18 A. **Yes, I will.**
 11:07 19 Q. And you mentioned that you have
 20 testified, I think you said, 26 times at trial?
 11:07 21 A. **Over the last 30 years.**
 11:07 22 Q. Okay. And that's in a bunch of different
 23 parts of the United States, right?
 11:07 24 A. **That's correct.**
 11:07 25 Q. It looks like you have reviewed a number

15

1 of cases in Colorado; is that right?
 11:07 2 A. **Yes.**
 11:07 3 Q. One in D.C., right?
 11:08 4 A. **I think more than one in D.C.**
 11:08 5 Q. Okay.
 11:08 6 A. **Right.**
 11:08 7 Q. You've reviewed at least nine cases in
 8 Florida?
 11:08 9 A. **Yes.**
 11:08 10 Q. At least one case in Georgia and Iowa
 11 each?
 11:08 12 A. **I think more in Iowa.**
 11:08 13 Q. Okay. A number of cases in Illinois?
 11:08 14 A. **Yes.**
 11:08 15 Q. Okay. And just so the jury knows, we're
 16 taking your deposition in a suburb of Chicago,
 17 right --
 11:08 18 A. **That's right.**
 11:08 19 Q. -- today?
 11:08 20 And is this where you actually
 21 practice medicine?
 11:08 22 A. **Well, actually there are four hospitals**
 23 **in our system. It's called NorthShore University**
 24 **HealthSystem. There are four hospitals. We're at**
 25 **Glenbrook today, but I also practice at Evanston**

16

1 **Hospital, Skokie Hospital, and Highland Park**
 2 **Hospital.**
 11:08 3 Q. You've never practiced in Houston?
 11:08 4 A. **No.**
 11:08 5 Q. Have you ever been to the hospital where
 6 Ms. Thompson had her surgery?
 11:08 7 A. **No, I have not.**
 11:08 8 Q. Have you ever met Dr. Leiva?
 11:08 9 A. **No.**
 11:08 10 Q. Have you ever met Dr. Bina?
 11:08 11 A. **No, I have not.**
 11:08 12 Q. Have you ever met Ms. Thompson?
 11:08 13 A. **No.**
 11:08 14 Q. And you certainly have not had an
 15 opportunity to examine her, right?
 11:08 16 A. **That's correct.**
 11:08 17 Q. Okay. You've also reviewed at least one
 18 case in Kansas?
 11:08 19 A. **Yes.**
 11:09 20 Q. Right? A number of cases in Kentucky?
 11:09 21 A. **Correct.**
 11:09 22 Q. Massachusetts, right?
 11:09 23 A. **I -- possibly.**
 11:09 24 Q. Maryland?
 11:09 25 A. **Yes.**

17

11:09 1 Q. Michigan?
 11:09 2 A. **Yes.**
 11:09 3 Q. At least eight cases in Missouri?
 11:09 4 A. **Correct.**
 11:09 5 Q. How about Mississippi?
 11:09 6 A. **Long time ago, yes.**
 11:09 7 Q. Okay. New Hampshire?
 11:09 8 A. **Yes.**
 11:09 9 Q. Ohio?
 11:09 10 A. **Yes.**
 11:09 11 Q. Pennsylvania?
 11:09 12 A. **Yes.**
 11:09 13 Q. Tennessee?
 11:09 14 A. **Yes.**
 11:09 15 Q. And this is not the only case you have
 16 reviewed in Texas, right?
 11:09 17 A. **Correct.**
 11:09 18 Q. Utah?
 11:09 19 A. **Yes.**
 11:09 20 Q. And then both Virginia and West Virginia?
 11:09 21 A. **Yes.**
 11:09 22 Q. Now, of the 26 times you've testified at
 23 trial, have -- has the other side also had another
 24 radiologist who expressed opinions different from
 25 yours?

18

11:09 1 **A. I think in the majority of cases they**
 2 **have, but some did not have a radiologist.**
 11:09 3 Q. Okay. Doctors disagree all the time,
 4 don't they?
 11:09 5 **A. Yes, they do.**
 11:09 6 Q. Do you acknowledge the fact that doctors
 7 can disagree with each other reasonably?
 11:10 8 **A. Yes.**
 11:10 9 Q. Do you acknowledge that in this case a
 10 radiologist looking at the same films you've
 11 looked at might come to different opinions than
 12 you have come to?
 11:10 13 **A. Correct.**
 11:10 14 Q. And we know, in fact, that if you go back
 15 and review the actual radiology reports in this
 16 case, that the radiologists who actually reviewed
 17 Ms. Thompson's films at the time that Dr. Leiva
 18 was treating her did come to a different opinions
 19 from you, correct?
 11:10 20 MR. PAINTER: Objection to form.
 11:10 21 BY THE WITNESS:
 11:10 22 **A. Let's see. One of the radiologists --**
 23 **and we'll talk about that in my PowerPoint -- did**
 24 **not mention the presence of the hernia, but the**
 25 **person who read the CT study did mention a hernia.**

19

1 **And then Dr. Morello, M O R E L O [sic], he**
 2 **mentioned the paraesophageal hernia.**
 11:10 3 Q. Yeah, But Dr. Morello came in and
 4 reviewed the films in September, I believe, right?
 11:10 5 **A. That's right.**
 11:10 6 Q. And if the -- the surgery at issue in
 7 this case, I believe, occurred on August the 13th?
 11:10 8 **A. Let's see. That's correct.**
 11:11 9 Q. Okay. So essentially a month after
 11:11 10 Dr. Leiva's surgery, a Dr. Morello looks like he
 11 read his first radiology study for Miss Leiva
 12 [sic]?
 11:11 13 **A. That's right.**
 11:11 14 Q. But so he was -- but have you seen any
 15 other evidence from your review of this case that
 16 Dr. Morello played any role in interpreting
 11:11 17 Ms. Thompson's radiology reports during her
 18 initial hospitalization for the gastric sleeve
 19 procedure?
 11:11 20 **A. No, he did not.**
 11:11 21 Q. Okay. And unless Dr. Leiva's a mind
 22 reader, he's not going to know what
 11:11 23 Dr. Morello would have thought about any of the
 24 studies if Dr. Morello didn't even see any until
 25 about a month after Dr. Leiva's surgery, fair?

20

11:11 1 **A. That's correct.**
 11:11 2 Q. Have you ever been sued for malpractice?
 11:11 3 **A. Oh, yes.**
 11:11 4 Q. How many times?
 11:11 5 **A. Six times.**
 11:11 6 Q. And have you ever had to try one of your
 7 malpractice cases?
 11:11 8 **A. No.**
 11:11 9 Q. Do you feel that you breached the
 10 standard of care when you were sued?
 11:11 11 **A. Not in these cases, no.**
 11:12 12 Q. So even though over -- you have more than
 13 a 30-year career, right?
 11:12 14 **A. Oh, it's 40 years as a radiologist**
 15 **starting in July, so, yeah.**
 11:12 16 Q. And so over 40 years you've been sued
 17 four times, but you don't believe -- I'm sorry,
 18 six times, but in none of those cases do you
 19 believe you breached the standard of care?
 11:12 20 **A. That's correct.**
 11:12 21 Q. And so I assume in some of those cases,
 22 they -- the plaintiff's attorney was able to find
 23 a radiologist who criticized your interpretation
 24 of some radiology studies?
 11:12 25 **A. That's correct.**

21

11:12 1 Q. And just because they found a physician
 2 to criticize your studies certainly didn't
 3 convince you that you breached the standard of
 4 care, fair?
 11:12 5 **A. That's right.**
 11:12 6 Q. Okay. And I mentioned this earlier, but
 7 physicians can reasonably disagree with each
 8 other, right?
 11:12 9 **A. Yes, they can.**
 11:12 10 Q. Okay. All right. Now let's go to, if we
 11 can, to your report that I believe --
 11:12 12 **A. Surely.**
 11:13 13 Q. -- we've marked as -- which one is it?
 11:13 14 May I see the stack? I'll see if I can find it
 15 for you. Because I handed them all to you.
 11:13 16 **A. Sure. I have another copy if --**
 11:13 17 Q. Here we go. It's Exhibit No. -- Gore
 11:13 18 No. 4 so I want you to be able to refer that while
 19 I'm talking to you.
 11:13 20 **A. Okay.**
 11:13 21 Q. So in your expert report in this case,
 22 you actually went through and listed the studies
 23 that you looked through, right?
 11:13 24 **A. That's correct.**
 11:13 25 Q. And then it looks like you basically

22

1 formed two opinions; is that correct? Let's see
 2 if I can read these. You reviewed the studies as
 3 of August 14th, 2013, right?
 11:13 4 **A. Yes.**
 11:13 5 Q. So that would be study -- an upper GI
 6 series that was done the day after Dr. Leiva's
 7 surgery?
 11:13 8 **A. That's right.**
 11:13 9 Q. And it is your belief that there was a
 10 moderate sized paraesophageal hernia present on
 11 that film, right?
 11:14 12 **A. Correct.**
 11:14 13 Q. Which was not an expected or normal
 14 appearance following hiatal hernia repair surgery.
 15 Did I read that correctly?
 11:14 16 **A. That's right.**
 11:14 17 Q. Now, on August 14th, 2013, a radiologist
 18 named Dr. Roman Raju reviewed an upper GI series
 19 and he just referred to it as a hiatal hernia,
 20 right?
 11:14 21 **A. I don't think he even mentioned the**
 22 **hernia.**
 11:14 23 Q. Okay. So another radi -- a radiologist
 24 at the time when Dr. Leiva was treating this
 25 patient didn't mention or describe the hernia in

23

1 any detail, right?
 11:14 2 **A. That's correct.**
 11:14 3 Q. Okay. You mention further down in your
 4 report that Ms. Thompson had a repeat surgery on
 5 September 13th, 2013 for repair of the
 6 paraesophageal hernia and gastric volvulus, right?
 11:15 7 **A. Correct.**
 11:15 8 Q. And what is a gastric volvulus?
 11:15 9 **A. A gastric volvulus is when the stomach**
 10 **twists upon itself, and when the stomach twists**
 11 **upon itself, sometimes that can compromise or**
 12 **decrease the blood supply to the stomach and when**
 13 **that happens the blood -- the stomach becomes**
 14 **ischemic, becomes sick, it can ulcerate and**
 15 **ultimately die and get gangrene.**
 11:15 16 Q. If this is something that you found from
 17 reviewing the September 16th study, was it present
 18 on any of the earlier studies?
 11:15 19 **A. Not that I saw, no.**
 11:15 20 Q. So the volvulus is a very significant
 21 finding, fair?
 11:15 22 **A. Correct.**
 11:15 23 Q. And that was something that you saw as of
 24 September 16th; it was not something that was
 25 present on any of the films between August 14th

24

1 and August 15th of 2013?
 11:15 2 **A. Well, the stomach was twisted upon itself**
 3 **and, you know, I guess -- you know, there is**
 4 **volvulus and there's volvulus. There is some**
 5 **where there is a little bit of a twist and there's**
 6 **some where the twist is so enormous or so**
 7 **significant that kind of blocks the blood supply**
 8 **to the stomach.**
 11:16 9 Q. Neither the -- of the two radiologists
 10 who reviewed Ms. Thompson's films at the time she
 11 was initially admitted, which would be Dr. Roman
 12 Raju nor Dr. James Hammond, referenced a gastric
 13 volvulus back in August, right?
 11:16 14 **A. That's correct.**
 11:16 15 Q. And they certainly didn't put in their
 16 reports that this patient had a gastric volvulus,
 17 right?
 11:16 18 **A. Correct.**
 11:16 19 Q. And there is no reason for you to
 20 believe, I assume, based on the evidence you've
 21 seen that Dr. Leiva was ever informed that this
 22 patient may have a gastric volvulus back in August
 23 of 2013?
 11:16 24 **A. That's right.**
 11:17 25 Q. Okay. These radiology studies, is there

25

1 a particular image that you think best illustrates
 2 the type of hernia that Ms. Thompson may have had
 3 as of August 14th, 2013?
 11:17 4 **A. Yes, there are a number of images that**
 5 **show that and I do have it on my PowerPoint**
 6 **presentation.**
 11:17 7 MR. HUGHES: Okay. I have -- I'd like to go
 8 off the record for just a moment.
 11:17 9 THE WITNESS: Sure.
 11:17 10 MR. HUGHES: I have a binder with some images
 11 in it and what I'd like to do is let you have a
 12 chance to look through it really fast. And why
 13 don't you tell me which one -- if you can, which
 14 one of the images would be helpful in
 15 demonstrating that. Okay?
 11:17 16 THE VIDEOGRAPHER: Going off the record at
 17 11:17.
 11:24 18 (Whereupon a short break
 11:24 19 was taken.)
 11:24 20 THE VIDEOGRAPHER: Going back on the record at
 21 11:24 a.m.
 11:24 22 BY MR. HUGHES:
 11:24 23 Q. Dr. Gore, during the break you've had a
 24 chance to go through the -- the basically
 25 photographic images of these radiology studies,

26

1 right?

11:24 2 **A. That's correct.**

11:24 3 Q. And put stickies on the ones which are

4 significant as showing as to what type of hernia

5 you believe that Ms. Thompson had after her

6 surgery, right?

11:24 7 **A. Correct.**

11:24 8 Q. Okay. And what I'd like to do is just to

9 move this along as quickly as possible to see if

10 we can, just if you can tell me the date and the

11 image number.

11:24 12 **A. Okay.**

11:24 13 Q. And then that way we can just work

14 through them and then we can just -- so we can

15 just list them all.

11:24 16 **A. Okay. All right. I'm first going to be**

17 **talking about the significant images on the CT**

18 **study done on Sonia Grand Thompson at North**

19 **Cypress Hospital and this was -- study was done on**

11:25 20 **August 15th, 2013. And, let's see. This was done**

21 **at 21 -- at 9:08 in the evening. Okay. So Image**

22 **69 out of 128 --**

11:25 23 Q. Okay.

11:25 24 **A. -- of Series 400/7; Image 70 out of 128,**

25 **Series 400/7; Image 71 of 128, Series 400/7;**

27

11:25 1 **Image 72 of 128 of Series 400/7; Image 74 of 128,**

2 **Series 400/7. And these are the coronal images,**

3 **the ones I'm talking about now. And then on also**

4 **Image 76 of 128, Series 400/7.**

11:25 5 Q. Okay. And then we have another volume

6 here. If you can tell me -- you've also marked

7 some in this other volume, correct?

11:25 8 **A. Correct.**

11:26 9 Q. If you could do the same thing for me,

10 please, and just tell me what the date and then

11 the image numbers.

11:26 12 **A. Oh, there's -- here's the first part of**

13 **the CT. So -- all right. I've marked that as**

14 **well.**

11:26 15 **Okay. So I've marked some images**

16 **from the upper GI that was performed on Sonia**

17 **Thompson on 8/14/13. And okay. This is Series**

18 **1/6, Image 1 of 1; Series 2/6, Image 1 of 1;**

19 **Series 3/6, Image 1 of 1; Series 4/6, Image 1 of**

20 **1.**

11:26 21 **Okay. And then we go to the upper**

22 **GI study that was performed on Ms. Thompson on**

23 **8/16/13. And image -- or set -- or Series 3 of 7,**

24 **Image 1 of 1; Series 4 of 7, Image 1.**

11:27 25 **And then we go to Ms. Thompson's**

28

1 **upper GI that was done on 9/11/13 and Series 4 of**

2 **7, Image 1 of 1; Series 5 of 7, Image 1 of 1;**

3 **Series of 7, Image 1 of 1; Image 7 of 7, Image 1**

4 **of 1.**

11:27 5 **And now we're going to go to the CT**

6 **study that was performed on 8/15/13. And actually**

7 **this is the CT study that I talked about earlier.**

8 **There were the coronal images which I discussed**

9 **last time and now I'm going to be talking about**

10 **the axial images. And so on the CT study, Image 1**

11 **out of 186, Series 5 of 7; Image 2 of 86, Series 5**

12 **of 7; Image 3 of 86, Series 5 of 7; Image 4 of 86,**

13 **Series 5 of 7.**

11:28 14 **And there are some other images that**

15 **were done later in the scan, but they showed the**

16 **same anatomic area, but I don't think --**

11:28 17 Q. Okay.

11:28 18 **A. Do you want -- I don't think it's**

19 **necessary to mark them though, but I can.**

11:28 20 Q. Well, if there's one actually you're

21 pointing at right now. Can you tell me what

22 that --

11:28 23 **A. Okay. I'll put a sticker there. I'll**

24 **point to a few of them. So this is Image 2 out of**

25 **55, Series 2 of 7; Image 3 out of 55, Image 2 of**

29

1 **7; Image 4 out of 55, image -- set 2 of 7; Image 5**

2 **of 55, set 2 of 7; Set 2 of 7, Image 6 of 55; and**

3 **then Image 7 of 55, set 2 of 7. And these are the**

4 **axial CT images.**

11:29 5 Q. Right. My understanding is that the

6 plaintiff's lawyer will be asking -- you have a

7 PowerPoint presentation that you're going to be

8 showing us in a few minutes?

11:29 9 **A. Right.**

11:29 10 Q. So I'll let him ask you about that.

11:29 11 I want to draw your attention now to

12 the radiology reports. Do you have access to

13 those?

11:29 14 **A. Let's see. Okay.**

11:29 15 Q. Okay. All right. I believe if you look

16 at the bottom of the page, I'm going to start with

17 the radiology report that's -- was prepared by

11:30 18 Dr. Roman Raju on August 14th, 2013. It should be

19 Page 504 on the bottom. Do you have different

20 page numbers in yours?

11:30 21 **A. I have different page numbers, but I do**

22 **have the report of upper GI on 8/14/13.**

11:30 23 Q. Okay. And I'm looking at an upper GI

24 without KUB. Do you have that report?

11:30 25 **A. Yes.**

30

11:30 1 Q. Okay. And this report was dictated by
2 Roman Raju?

11:30 3 **A. Correct.**

11:30 4 Q. And the impression was unremarkable post
5 gastric sleeve study, right?

11:30 6 **A. Correct.**

11:30 7 Q. There is -- this report doesn't mention
8 anything about the presence of a paraesophageal
9 hernia, does it?

11:30 10 **A. No, it does not.**

11:30 11 Q. Then I want to show you -- draw your
12 attention, please, to the August 15th, 2013
11:30 13 CT ABD/pelvis with and without contrast?

11:30 14 **A. Yes.**

11:30 15 Q. Okay. That one was dictated by Dr. James
16 Hammond?

11:30 17 **A. That's correct.**

11:31 18 Q. And you've reviewed that, have you not?

11:31 19 **A. Yes, I have.**

11:31 20 Q. Okay. And he states under -- the
21 discussion section it says post surgical change of
22 the stomach is noted. Hiatal hernia is present,
23 right?

11:31 24 **A. Correct.**

11:31 25 Q. He doesn't mention or define what type of

31

1 hiatal hernia, does he?

11:31 2 **A. No, he does not.**

11:31 3 Q. If you go under impression, it states
4 small left effusion and mild basilar atelectasis,
5 right?

11:31 6 **A. Correct.**

11:31 7 Q. And hiatal hernia post surgical change of
8 the stomach?

11:31 9 **A. That's right.**

11:31 10 Q. Doesn't mention anything about a
11 paraesophageal hernia, does it?

11:31 12 **A. No, he doesn't specify the type of
13 hernia.**

11:31 14 Q. And does -- is there any mention in
15 either one of these reports that the radiologist
16 felt that there was anything like a gastric
17 volvulus or any other acute problem with the
18 stomach that required the surgeon's attention?

11:31 19 **A. No, there is not.**

11:31 20 Q. If -- if a radiology report shows the
21 presence of a volvulus, can that be a very serious
22 condition?

11:32 23 **A. A volvulus can be serious, yes.**

11:32 24 Q. Is that something that a radiologist
25 should bring to the attention of a surgeon if they

32

1 find it on a study?

11:32 2 **A. If there is a volvulus that's major
3 that's causing obstruction, yes.**

11:32 4 Q. And is -- you don't have an opinion in
5 this case that such a volvulus existed at the time
6 that Ms. Park -- I'm sorry, Ms. Thompson was
7 hospitalized on August 13th through the 15th,
8 right?

11:32 9 **A. No, there was not a complicated volvulus
10 at that time, correct.**

11:32 11 Q. Okay. Now, if you draw your attention to
12 August 16th, 2013, UGI without KUB?

11:32 13 **A. Yes.**

11:32 14 Q. That was dictated by Dr. Roman Raju.
15 Okay. His findings were contrast flows from the
16 esophagus into the gastric remnant without
17 impedance, right?

11:33 18 **A. That's right.**

11:33 19 Q. That implies that the ability to get
20 contrast down into the stomach occurred without
21 any problems, right?

11:33 22 **A. Right. There was no blockage.**

11:33 23 Q. Okay. Impression was no abnormality seen
24 after the gastric sleeve surgery?

11:33 25 **A. That's correct.**

33

11:33 1 Q. Does he mention anything about the
2 presence of a paraesophageal hernia as of
11:33 3 August 16th, 2013?

11:33 4 **A. No, he does not.**

11:33 5 Q. Now I'm going to draw your attention to
6 the UGI with KUB that was interpreted by Dr. Frank
7 Morello on September 13th, 2013. Have you had a
8 chance to review this study before?

11:33 9 **A. Yes, I have.**

11:33 10 Q. Okay. His findings were a moderate sized
11 paraesophageal hiatal hernia is again noted
12 unchanged in appearance from the prior exam.
13 However, this is the first time that the phrase
14 paraesophageal hiatal hernia is included in any of
15 these radiology reports, fair?

11:33 16 **A. That's right.**

11:34 17 Q. The contrast was delayed -- has delayed
18 emptying from the esophagus into the hernia as
19 well as exiting the hernia into the remainder of
20 the subdiaphragmatic stomach and small bowel.
21 Right? So now there seems to some impedance,
22 fair?

11:34 23 **A. That's what he stated.**

11:34 24 Q. He -- under impression, he has moderate
25 sized paraesophageal hiatal hernia with partially

34

1 obstructed changes within the hernia from the
 2 esophagus and exiting the hernia into the
 3 subdiaphragmatic stomach, right?
 11:34 4 **A. Correct.**
 11:34 5 Q. If you compare this UGI from
 11:34 6 September 11 with Dr. Raju's UGI from
 11:34 7 August 16th, there seems to some significant
 8 changes, fair?
 11:34 9 **A. In the reports or --**
 11:34 10 Q. Yes.
 11:34 11 **A. -- what the X-rays actually showed?**
 11:34 12 Q. In the reports?
 11:34 13 **A. There's really not very much change on**
 14 **the X-ray, but there is certainly change in the**
 15 **report, right.**
 11:34 16 Q. Right. And I'm focusing on the reports
 17 if you can for a moment. So what is actually
 18 being reported out by the radiologist on
 11:35 19 August 16th versus on September 11th are
 20 significant differences, fair?
 11:35 21 **A. Yes. That's correct.**
 11:35 22 Q. Okay. And other healthcare providers
 23 have a right and a normal practice of being able
 24 to rely upon the radiology reports that are
 25 prepared by radiologists, right?

35

11:35 1 **A. That's correct. And that's the usual**
 2 **situation, but sometimes there is a disconnect.**
 3 **You know, I say something in my report and then**
 4 **the surgeon come -- but then why, you know,**
 5 **everything looks fine, then, you know, why is the**
 6 **patient so symptomatic. So, you know, when there**
 7 **is the disconnect, you know, everything looks**
 8 **hunky-dory on the X-ray, but the patient's doing**
 9 **poorly clinically, then that -- then there's**
 10 **something wrong. There's a disconnect somewhere.**
 11:35 11 MR. LUCCIA: Pardon me. I need to object to
 12 the last part of that answer as nonresponsive.
 11:35 13 BY MR. HUGHES:
 11:35 14 Q. Right. And I have a very simple question
 15 for you. Would you agree that the radiology
 16 report as written on September 11th represents a
 17 significant change from the radiology report that
 18 was written on August 16th?
 11:36 19 **A. Yes, I would.**
 11:36 20 MR. HUGHES: Okay. At this point I'm going to
 21 go ahead and pass the witness because I think
 11:36 22 Mr. Painter wants to ask you some questions and I
 23 reserve the right to ask additional questions.
 11:36 24 MR. PAINTER: Do you want to do any cross
 25 before we go over his PowerPoint?

36

11:36 1 MR. LUCCIA: No, do his PowerPoint and then
 2 I'll cross.
 11:36 3 THE WITNESS: Actually can I take a bathroom
 4 break?
 11:36 5 MR. LUCCIA: Yeah, sure.
 11:36 6 THE WITNESS: Because I'm the oldest guy here,
 7 so --
 11:36 8 MR. PAINTER: We'll go off the record.
 11:36 9 THE VIDEOGRAPHER: Going off the record at
 10 11:36.
 11:41 11 (Whereupon a short break was taken.)
 11:43 12 THE VIDEOGRAPHER: We are back on the record
 13 at 11:43.
 11:43 14 BY MR. HUGHES:
 11:43 15 Q. Dr. Gore, I have one more question I
 16 realized at the break is that you have brought
 17 with you paper copies of the PowerPoint
 18 presentation you're getting ready to show us and
 19 I've gone ahead and marked a copy of your
 20 PowerPoint presentation as Gore Exhibit No. 8; is
 21 that correct?
 11:43 22 **A. That's correct.**
 11:43 23 Q. And is that basically going to be a paper
 24 version of what we're going to see on the screen
 25 behind you in a minute?

37

11:43 1 **A. That's right.**
 11:43 2 MR. HUGHES: Thank you, sir. At this point
 3 I'll go ahead and pass the witness.
 11:43 4 EXAMINATION
 11:43 5 BY MR. PAINTER:
 11:43 6 Q. Dr. Gore, could you just reintroduce
 7 yourself for the jury, please? What's your name?
 11:43 8 **A. Yes. My name is Richard Gore. And I'm a**
 9 **diagnostic radiologist at NorthShore University**
 10 **HealthSystem where excellence surrounds you. It's**
 11 **part of our ads.**
 11:44 12 Q. Okay. Dr. Gore, we've previously marked
 13 Deposition Exhibit 2, which you've testified, I
 14 believe, is a true and correct copy of your
 15 current resume or curriculum vitae, correct?
 11:44 16 **A. That's right.**
 11:44 17 Q. It's 117 pages; is that right?
 11:44 18 **A. Yes.**
 11:44 19 Q. And, Dr. Gore, if you can just tell the
 20 jury where did you go to medical school?
 11:44 21 **A. Okay. I went to medical school at**
 22 **Northwestern. It was a combined six-year program**
 23 **where I got my Bachelor's degree and my medical**
 24 **degree in six years. And so that was from '71 to**
 25 **'77.**

38

11:44 1 Q. And after you graduated from medical
2 school in '77, did you do some additional
3 training?
11:44 4 **A. Right. I then did a radiology residency
5 also at Northwestern from 1977 to 1981.**
11:44 6 Q. Dr. Gore, have you had the occasion
7 during your career to have any academic
8 appointments with medical schools?
11:44 9 **A. Yes, I have.**
11:44 10 Q. Could you describe those briefly, please?
11:44 11 **A. All right. First it was at Northwestern
12 so from when I returned from my fellowship in
13 abdominal imaging in 1982, I returned to my alma
14 mater, Northwestern, and I went through the ranks
15 from assistant to associate to full professor.
16 And so I was a full professor there until 2009 and
17 in 2009 for some reason, our hospital got into a
18 fight with the Dean downtown and we changed our
19 affiliation from Northwestern -- broke my heart --
20 to the University of Chicago. And so now I'm an
21 emeritus professor at Northwestern and a professor
22 now at the University of Chicago.**
11:45 23 Q. So you're a full professor at the
24 University of Chicago?
11:45 25 **A. Yes.**

39

11:45 1 Q. Doctor, what states or -- let me ask this
2 a different way.
11:45 3 Doctor, are you licensed to practice
4 medicine in any states?
11:45 5 **A. Yes.**
11:45 6 Q. How many states?
11:45 7 **A. Two states.**
11:45 8 Q. Tell us about that, please.
11:45 9 **A. Okay. Illinois, where I practice, and
10 also I did my fellowship in California, in
11 San Francisco, and I kept my license active
12 thinking that, oh, maybe when I'm getting old,
13 like my current age, I'll retire to California,
14 but I think it's going to be too expensive to live
15 there, so --**
11:46 16 Q. And --
11:46 17 **A. That's why --**
11:46 18 Q. I'm sorry.
11:46 19 **A. No.**
11:46 20 Q. And, Doctor, do you have any board
21 certifications?
11:46 22 **A. Yes. I'm board-certified in diagnostic
23 radiology.**
11:46 24 Q. Doctor, we're taking your deposition
25 today and it's January 30th, 2017 and we're

40

1 outside of Chicago at a hospital; is that correct?
11:46 2 **A. That's right.**
11:46 3 Q. It's one of the hospitals where you
4 practice?
11:46 5 **A. Yes.**
11:46 6 Q. Okay. And you -- if you weren't giving
7 this deposition today, what would you be doing on
8 this workday?
11:46 9 **A. Okay. On this workday actually I'm
10 working the 3:00 to 10:00 shift so it sounds like
11 working at the Chrysler plant, but I'm covering
12 the emergency room from 3:00 to 10:00.**
11:46 13 Q. Okay. And you've had occasion to give
14 trial testimony and deposition testimony before
15 over your career; is that correct?
11:46 16 **A. Yes.**
11:46 17 Q. And you charge for your time; is that
18 correct?
11:46 19 **A. That's correct.**
11:46 20 Q. What is the purpose of charging for your
21 time?
11:47 22 **A. Well, it takes me away from my other
23 activities, you know, and sometimes I need to get
24 coverage for when I do things like that, so that's
25 why I charge for my time.**

41

11:47 1 Q. Doctor, you've -- during the course of
2 your clinical and academic career, have you had
3 the opportunity to have some papers and so forth
4 published?
11:47 5 **A. Yes, I have.**
11:47 6 Q. One of your publications is the Textbook
7 of Gastrointestinal Radiology; is that correct?
11:47 8 **A. That's correct.**
11:47 9 Q. If you hold up a copy of that, please?
11:47 10 **A. Surely.**
11:47 11 Q. It's actually, I guess, two volumes,
12 correct?
11:47 13 **A. Two volumes, that's right.**
11:47 14 Q. And you're one the authors of this
15 textbook?
11:47 16 **A. I was the lead editor and one of the
17 major contributors to the book.**
11:47 18 Q. Okay.
11:47 19 **A. And it's available at Amazon.com.**
11:47 20 Q. Okay. Thank you, Doctor.
11:47 21 Now, as a result of your expertise
22 in radiology, and in particular abdominal
23 radiology, have you been called upon to give
24 opinions in lawsuits like this?
11:47 25 **A. Yes, I have.**

42

11:48 1 Q. Okay. And this case, of course, concerns
2 the care that was provided to Sonia Thompson in
3 Harris county, Texas, at North Cypress Medical
4 Center. You understand that?
11:48 5 **A. Yes, I do.**
11:48 6 Q. And as a part of your preparation for
7 your opinions and your testimony, you have
8 reviewed a variety of materials; is that right?
11:48 9 **A. That's correct.**
11:48 10 Q. Just by way of summary, could you tell
11 the jury the types or just the --
11:48 12 **A. Okay.**
11:48 13 Q. The types of materials you reviewed?
11:48 14 **A. All right. I have reviewed --**
11:48 15 **Mrs. Thompson's had multiple upper GI series,**
16 **which we'll talk about, and she also had CT scans**
17 **and then she also had plain chest X-rays and**
18 **abdominal X-rays. So she had quite a few studies.**
11:48 19 Q. Did you review the radiology reports as
20 well?
11:48 21 **A. Yes, I did.**
11:48 22 Q. Okay. Now, I'd like -- I understand that
23 for the purposes of organizing your opinions and
24 your testimony you prepared a PowerPoint; is that
25 correct?

43

11:48 1 **A. That's correct.**
11:48 2 Q. So I'd like to go ahead and ask you,
3 Doctor, if you could start that PowerPoint.
11:49 4 **A. Okay.**
11:49 5 Q. Okay. And Doctor, we have here, I guess,
6 three slides that are coming up that I believe are
7 graphic -- you can look at this copy if you'd
8 like.
11:49 9 **A. Sure.**
11:49 10 Q. Slides 1 to 3 are depictions of certain
11 parts of the human body; is that correct?
11:49 12 **A. That's correct.**
11:49 13 Q. Okay. And how are you familiar with
14 those parts of the human body?
11:49 15 **A. Well, first of all, you learn this in**
16 **medical school. And, you know, also I'm a GI**
17 **radiologist so obviously the GI tract is the most**
18 **important part of the body, at least to a GI**
19 **radiologist, so here --**
11:49 20 Q. Let me ask one more question about this.
21 And in your -- do you have an opinion as to
22 whether or not these Slides 1 to 3 that you've
23 chosen are accurate depictions of the body parts
24 that are reflected?
11:49 25 **A. Well, actually the first slide shows the**

44

1 **male. But in a female, the stomach, the intestine**
2 **are the same.**
11:50 3 Q. Okay. And so would your answer be that
4 these -- you've selected some slides that
5 accurately depict the body parts reflected?
11:50 6 **A. That's correct.**
11:50 7 Q. Okay. And tell us about this first slide
8 here?
11:50 9 **A. Okay. The first slide is to show the**
10 **parts of the GI tract. First is the mouth and**
11 **then we get to the esophagus or gullet. Then you**
12 **get into the stomach and then the duodenum which**
13 **is the first part of the small intestine. Even**
14 **though it's called small intestine, it's actually**
15 **the largest part. It's 22 feet long. And then we**
16 **get to the colon, also known as the large**
17 **intestine, and that's about six feet long. And**
18 **this is just to show the folks, you know, the**
19 **anatomy of the GI tract.**
11:50 20 Q. Doctor, let's look at the next slide,
21 please. And what is this illustration?
11:50 22 **A. Okay. Here we're honing down on where**
23 **the anatomy of interest. So we're looking at this**
24 **patient and here we can see the diaphragm. And**
25 **the diaphragm is that muscle that separates your**

45

1 **belly from your chest. It's very important for**
2 **respiration, for you to able to breathe. And also**
3 **when you've got, you know, pathology or cancer or**
4 **pus in your belly, it stops it from going into**
5 **your chest; similarly when you get cancer in your**
6 **chest, the diagram helps prevent it from going**
7 **down into your belly. But this shows the anatomy.**
8 **Here we can see the esophagus. And**
9 **then the esophagus goes through the diaphragm**
10 **through a hole called the hiatus. It's called the**
11 **esophageal hiatus. And then we get into the**
12 **stomach.**
11:51 13 Q. Let's go to the next slide, please.
11:51 14 **A. All right.**
11:51 15 Q. Now, this slide says vertical sleeve
16 gastrectomy; is that correct?
11:51 17 **A. That's right.**
11:51 18 Q. What is your understanding about how that
19 relates to Sonia Thompson?
11:51 20 **A. My understanding is that Sonia Thompson**
21 **had a vertical sleeve gastrectomy procedure and**
22 **hiatal hernia repair on August 13th, 2013.**
11:52 23 Q. If you could just explain -- first of
24 all, this chart is -- or rather this illustration
25 that you've selected depicts what?

46

11:52 1 **A. Okay. Now, normally the stomach would be**
 2 **this area, all this area. However, when you get a**
 3 **vertical sleeve gastrectomy procedure, the surgeon**
 4 **will take out about 70 to 85 percent of your**
 5 **stomach and then give you just a little bitty**
 6 **remnant, you know, just a little bit left over.**
 7 **And the idea about that is when you eat food, you**
 8 **get full immediately or and you feel full and so**
 9 **that's going to -- people who are obese, it's**
 10 **going to help control their appetite because you**
 11 **just eat a little bit. And rather than a whole**
 12 **big stomach to go into, it's just going to fill**
 13 **this little bitty part of the stomach that's left**
 14 **and you feel like you've had a huge meal after**
 15 **just a few mouthfuls and that's the rationale for**
 16 **doing that.**

11:53 17 **Q. Now, Doctor, you have a number -- I think**
 18 **this slide and then the three slides that follow**
 19 **it are graphic representations of the stomach and**
 20 **this procedure. How is it that you're familiar**
 21 **with this procedure? Is that from your medical**
 22 **training?**

11:53 23 **A. Actually the gastric sleeve was not**
 24 **around in my medical training. But probably**
 25 **gastric sleeve came about in 1999 and actually at**

47

1 **our hospital it's now the most common form of**
 2 **bariatric surgery or weight reduction surgery.**

11:53 3 **Q. And so these next images, these -- this**
 4 **image and the next three are accurate depictions,**
 5 **in your opinion, of what's reflected?**

11:53 6 **A. Yes. Should I go to the next slide?**

11:53 7 **Q. Yes, please.**

11:53 8 **A. Okay. And so the next slide, actually**
 9 **now we're just going to be talking about hernias.**
 10 **Before we were talking about what you do during a**
 11 **sleeve gastrectomy, but we're going to be talking**
 12 **about hiatal hernia. And remember the esophagus**
 13 **goes into the abdomen through the hiatus. And**
 14 **this is the normal relationship. Here we see**
 15 **stomach and diaphragm and esophagus.**

11:54 16 **When you have a sliding hiatal**
 17 **hernia, which is very, very common -- I have**
 18 **one -- I think everybody over 60 or most people**
 19 **over 60 has a little one. Here we can see a**
 20 **sliding hiatal hernia. And then there is a**
 21 **slightly different variant, paraesophageal. So**
 22 **here the esophagus comes to the normal spot, but**
 23 **here a little part of the stomach pooches up.**

11:54 24 **Q. Doctor, so let me ask you this. Are**
 25 **these -- on the two -- on the right side of this,**

48

1 you have a sliding hiatal hernia and a
 2 paraesophageal hiatal hernia, correct?

11:54 3 **A. Right. And again, you know, a hiatal**
 4 **hernia is kind of a broad waste -- not a**
 5 **wastebasket, but it's a broad way of talking about**
 6 **hernias. There is a sliding, and there a**
 7 **paraesophageal, and actually on the next slide,**
 8 **if --**

11:54 9 **Q. Please go ahead.**

11:55 10 **A. -- I can go to it, actually there are**
 11 **combined hernias. Okay. So -- and that's what**
 12 **Sonia had at the -- after the surgery, so --**

11:55 13 **Q. Let me stop you here for just a moment.**
 14 **So -- and I'm going to ask you about each of these**
 15 **in turn. So if you could -- we see up here a Type**
 16 **I in the upper left-hand corner. What's a Type I**
 17 **hernia?**

11:55 18 **A. Okay. A Type I hernia is the one that**
 19 **most commonly comes with aging. It can also**
 20 **come -- and it's very common where just a little**
 21 **part of your stomach pooches up above your**
 22 **diaphragm and that's -- and so this is called --**
 23 **here's the diaphragm. And here's your esophageal**
 24 **hiatus or opening of the diaphragm. And here is**
 25 **the hernia pooching up and here's the esophagus.**

49

11:55 1 **Q. What about Type II?**

11:55 2 **A. Okay. Type II is a pure paraesophageal.**
 3 **So here the esophagus goes south of the diaphragm**
 4 **as it should, but for some reason this little part**
 5 **of the stomach pooches up. And para means next**
 6 **to, like parallel, next to the esophagus. So**
 7 **that's paraesophageal. And so that's called a**
 8 **paraesophageal hernia.**

11:56 9 **Q. And tell us about Type III?**

11:56 10 **A. And Type III is actually what Sonia had**
 11 **and most people with paraesophageal hernias, a**
 12 **little bit of both. Here the stomach comes up and**
 13 **the diaphragm does not go far south enough. And**
 14 **here we see a little extra stomach pooching up.**
 15 **So this is a -- Type III is a combination of Type**
 16 **I and Type II, and a lot of people just call this**
 17 **a hiatal hernia.**

11:56 18 **Q. And I want to ask you just a general**
 19 **question. Is there any significance or importance**
 20 **about repairing a hiatal hernia in a patient who's**
 21 **under going a gastric sleeve surgery?**

11:56 22 **A. Yes, there is.**

11:56 23 **Q. Let's go to the next slide. If you can**
 24 **explain that, please.**

11:56 25 **A. Surely. Okay. So this is the normal**

50

1 stomach. And this is the -- the top here. We can
 2 see the esophagus and here we can see the
 3 diaphragm and the normal stomach.
 11:57 4 Okay. When you have a gastric
 5 sleeve, remember your stomach gets real small.
 6 All right. Now, when you have a hiatal hernia,
 7 you're more likely to have stuff in your stomach,
 8 you're prone to get heartburn and reflux. And so
 9 that's more common when you have a hiatal hernia.
 11:57 10 But if you've got a hiatal hernia
 11 and a narrowed stomach to boot, as we see here --
 12 because, you know, first of all, you've got that
 13 real narrow stomach, you've got the hiatal hernia,
 14 you're much more likely to reflux and feel just
 15 miserable if you have, you know, the gastric
 16 sleeve and you leave the hernia there.
 11:57 17 So you've got to reduce the hernia
 18 and also do your gastric sleeve or otherwise, God,
 19 you got the double whammy here. You've got the
 20 hernia that's going to make you reflex, and you've
 21 got the narrow stomach that can't hold much and
 22 that's going to cause reflux as well. So you
 23 don't want to that get that double whammy so
 24 that's why it's important to repair both.
 11:57 25 Q. Doctor, I want to just switch gears a

51

1 little bit and have you educate the jury a little
 2 bit about some of the procedures, the radiology
 3 procedures that can be done after a bariatric
 4 surgery like a gastric sleeve.
 11:58 5 A. Okay.
 11:58 6 Q. One of them we'll talk about is the upper
 7 GI; is that correct?
 11:58 8 A. Correct.
 11:58 9 Q. Can we go to the next slide?
 11:58 10 A. Surely. All right. So after a patient
 11 gets a bariatric or weight reduction surgery, you
 12 know, the surgeons there, you know, are cutting
 13 and snipping and you want to make sure if there is
 14 a leak or not. And so what we typically do is we
 15 have the patient drink some contrast material.
 16 Usually it contains iodine, but sometimes you can
 17 use barium instead. And so here's the fluoroscope
 18 machine. And you have the patient drink the
 19 barium and then the radiologist as the patient is
 20 drinking watches on the monitor.
 11:58 21 Q. Okay. Doctor, then the next one is -- I
 22 guess what is this picture?
 11:58 23 A. This is a person actually undergoing a GI
 24 study. So here the patient is lying on the table.
 25 They're drinking the contrast material -- it

52

1 tastes terrible unfortunately -- and then here's
 2 the fluoroscope and here is the monitor which the
 3 radiologist can watch while the exam is going on.
 4 And also we take hard copy images. You know, we
 5 take -- they're called spot films during the upper
 6 GI study.
 11:59 7 Q. Now, another type of radiology study that
 8 we'll encounter in your testimony is the abdominal
 9 CT; is that correct?
 11:59 10 A. That's correct.
 11:59 11 Q. You could just show us the next picture
 12 and tell us what that is of?
 11:59 13 A. Okay. The next picture is somebody going
 14 into a CT scanner. So, you know, as I said,
 15 usually after you have stomach surgery or any type
 16 of stomach or esophagus surgery, you then get a GI
 17 study to make sure the patient has a leak.
 11:59 18 But, you know, if the GI study
 19 doesn't show all the information that we need to
 20 know, we then often will send the patient for a
 21 CAT scan or CT as well. And this is the CT scan
 22 machine and a patient about to go into the CT
 23 scanner. And what it is, it's -- it uses X-rays,
 24 but it uses a very powerful computer to slice your
 25 body like a loaf of bread or a tomato or something

53

1 like that. And I can show you --
 12:00 2 Q. You can show us a couple of those.
 12:00 3 A. Yeah. Okay. So this is the CT scanner.
 4 And, you know, when I first started in radiology,
 5 this technology was in its infancy, but now it's
 6 just amazing what it can show. And it gives us an
 7 image of the body as we see here. And as I said,
 8 you know, it can slice you, you know, like an
 9 orange or a loaf of bread.
 12:00 10 And another nice thing about the CT
 11 scanner, especially with the modern CT scanners,
 12 it can slice you like a loaf of bread, but can
 13 also slice you like a Subway sandwich, the long
 14 way. And so that's called the coronal plane, and
 15 we'll be looking at some images in the coronal
 16 plane. You know, patients in those scanner --
 17 okay, you ask the computer, you know, you show me
 18 what it's like slicing a person like a loaf of
 19 bread. Now what does it look -- what does the
 20 patient look like like we're slicing like a Subway
 21 sandwich. I want to see that little piece of meat
 22 in the inside of it.
 12:01 23 All right. So that's the plane.
 24 And then we can talk about some of your image --
 12:01 25 Q. Yeah, I'd like to do that, but first I

54

1 want to ask you a few questions just about these
 2 images, 7 to 12, slides 7 to 12. Those depict
 3 radiology equipment and processes that you're
 4 familiar with; is that correct?

12:01 5 **A. That's correct.**

12:01 6 Q. And they depict them accurately; is that
 7 correct?

12:01 8 **A. Yes.**

12:01 9 Q. And you're familiar with them through
 10 your education and training?

12:01 11 MR. HUGHES: Objection.

12:01 12 THE WITNESS: Yes.

12:01 13 MR. HUGHES: Leading.

12:01 14 BY MR. PAINTER:

12:01 15 Q. How are you familiar with them?

12:01 16 **A. This is bread and butter of what I do on**
 17 **a daily basis since 1977. Well, GI studies since**
 18 **'77 and, you know, CT was in its infancy back in**
 19 **'77, but, boy, this is bread and butter what I do**
 20 **daily.**

12:01 21 Q. Okay. Doctor, now that we've -- you've
 22 given the jury an overview of the different types
 23 of images that can be taken radiologically after a
 24 surgery of this type, I'd like to, as you had
 25 suggested, get into some of those images now. If

55

1 we go to Slide 13. And this is what, the -- the
 2 images we're about to see are from an upper GI of
 3 what date?

12:02 4 **A. This was done on 8/14/13. And that was**
 5 **the day after Sonia had her laparoscopic sleeve**
 6 **gastrectomy and hiatal hernia repair.**

12:02 7 Q. So just to give the jury context, we're
 8 looking at on August 13th of 2013, the defendants
 9 performed the gastric sleeve surgery on
 10 Ms. Thompson, correct?

12:02 11 **A. And hernia repair.**

12:02 12 Q. And the hernia repair. Thank you. And
 13 the images we're about to look at are from the day
 14 after those procedures, correct?

12:02 15 **A. That's correct.**

12:02 16 Q. Okay. So let's go into the first image
 17 there.

12:02 18 **A. Okay.**

12:02 19 Q. And could you tell us what this shows?

12:02 20 **A. Okay. This is image number -- if we look**
 21 **at the top here, this is set 2 -- or Series 2 of**
 22 **6, Image 1 of 1. And we're looking at -- on**
 23 **radiology, when you're looking at the GI study**
 24 **it's as if we're looking at the patient. So**
 25 **here's the patient's right. Here's the patient's**

56

1 **left. Here's the diaphragm. Here is the heart.**
 2 **Here is the esophagus. Here is the stomach.**

12:03 3 **And I have my arrows here pointing**
 4 **to the diaphragm. This is that structure that**
 5 **goes like this. And so we can see there's part of**
 6 **the stomach above the diaphragm so the patient has**
 7 **a hiatal hernia. And actually it's -- we talked**
 8 **about the one that's combined. You know, it's**
 9 **part, you know, sliding and part paraesophageal.**
 10 **But it's all called -- you know, the overarching**
 11 **way of calling them is just hiatal hernias.**

12:03 12 Q. Okay. So according to the image that
 13 we're looking at now, where is the esophagus
 14 located in relation to the diaphragm?

12:04 15 **A. Okay. So here's the esophagus. And here**
 16 **is part of the stomach. And both of these are**
 17 **above the diaphragm.**

12:04 18 Q. Okay.

12:04 19 **A. And the diaphragm is depicted by the**
 20 **yellow arrows.**

12:04 21 Q. And you would in a normal -- in a normal
 22 situation, you would expect the diaphragm --
 23 rather the esophagus and the diaphragm to be
 24 located where in relation to the diaphragm?

12:04 25 **A. They should be south. Okay. So this**

57

1 **part of the stomach should be south of this line.**

12:04 2 Q. Okay. Let's look at the next image.

12:04 3 **A. Okay.**

12:04 4 Q. Slide 15. What does this show?

12:04 5 **A. Okay. So this also shows there is the**
 6 **hernia. And then the arrows here point to the**
 7 **diaphragm. So here is the diaphragm. Here is the**
 8 **esophagus. And here's the herniated stomach.**

12:04 9 Q. Okay. Next slide. What does this show?

12:04 10 **A. Okay. Next slide, okay, it shows the**
 11 **diaphragm, you know, depicted by these yellow**
 12 **arrows. And then it shows the hernia. And then**
 13 **here we can see the esophagus. Here's the**
 14 **stomach. Here's the diaphragm. So this thing**
 15 **should be south of the diaphragm, not north of it.**

12:05 16 Q. Okay. What's the next one show?

12:05 17 **A. It kind of shows the same thing. So here**
 18 **we can see the hernia and the diaphragm and this**
 19 **thing is above the diaphragm.**

12:05 20 Q. So the four images that we looked at, are
 21 they all from the upper GI study done one day
 22 post-op on August 14th, 2013?

12:05 23 **A. Correct.**

12:05 24 Q. Okay. Now, what is, generally speaking,
 25 what's the purpose of an upper GI that's performed

58

1 after a bariatric procedure such as this?

12:05 2 **A. Okay. After a bariatric or weight**

3 **reduction surgery or any type of surgery on your**

4 **stomach or esophagus, you do the examination the**

5 **first day because you want to determine is there a**

6 **leak. You know, the surgeon's been in there**

7 **cutting, and they did some sewing, stitching**

8 **things together. Now, did that open up, and**

9 **looking primarily for a leak.**

12:06 10 Q. When you reviewed these images of the

11 upper GI study done one day after the procedures,

12 did you see any evidence of a leak?

12:06 13 **A. No, I did not.**

12:06 14 Q. Did you see any evidence of an

15 obstruction?

12:06 16 **A. No.**

12:06 17 Q. Okay. So let's go to the next slide.

18 And this is a -- is what?

12:06 19 **A. Okay. This is the report of Dr. Roman**

20 **Raju that he issued on his examination.**

12:06 21 Q. He is the radiologist who read the

22 examination at the time?

12:06 23 **A. He would have been the radiologist who**

24 **both read and performed the examination.**

12:06 25 Q. Now, what does the history state there on

59

1 Dr. Raju's report?

12:06 2 **A. It says gastric sleeve.**

12:06 3 Q. Okay. Do you see any mention of the

4 hernia repair surgery on the history on this

5 report?

12:06 6 **A. No, I don't.**

12:07 7 Q. Do you see any mention of any reference

8 at all to the hernia on this report?

12:07 9 **A. No, I don't.**

12:07 10 Q. Okay. Let's move on to the next slide.

11 And we're going into a different type of image; is

12 that correct?

12:07 13 **A. That's correct.**

12:07 14 Q. And this is the CT?

12:07 15 **A. Right.**

12:07 16 Q. The date is what?

12:07 17 **A. Okay. The date of the CT is 8/15/13.**

18 **And this was two days after Sonia had her surgery.**

12:07 19 Q. Okay. And let's go to the next slide.

20 What is this again for context?

12:07 21 **A. This is for context. Again, when you get**

22 **a CT scan, you can slice a person like a tomato or**

23 **a banana. This is slicing a person like a Subway**

24 **sandwich. So I'm cutting you from stem to stern**

25 **and I'm going to see that little piece of meat**

60

1 **inside the Subway sandwich.**

12:07 2 Q. Let's go to Slide 21. What is this?

3 This is one of the images of the CT?

12:07 4 **A. Right. There is a CT. And this is**

5 **called the coronal reformatted image and you tell**

6 **the computer show me the patient as if we're**

7 **looking at the patient. So this is -- here is**

8 **Sonia's liver and kidneys. Right kidney, left**

9 **kidney. And here is the spine. And the yellow**

10 **arrow is the right diaphragm and the other yellow**

11 **arrow is the left diaphragm. And then you can see**

12 **the hernia above the diaphragm here. So she had a**

13 **hernia at this point.**

12:08 14 Q. Okay. If you can just point out for the

15 jury what part of the hernia is the esophagus,

16 what part of the hernia is the stomach, in your

17 opinion?

12:08 18 **A. Okay. This part is the esophagus and**

19 **this part is the stomach.**

12:08 20 Q. Okay. Let's go to the next slide,

12:08 21 Slide 22, please.

12:08 22 **A. Right.**

12:08 23 Q. If you tell the jury what this is about

24 here?

12:08 25 **A. Okay. So this is -- Slide No. 22 and**

61

1 **this is Image 76 of 128, Series 400/7. And here I**

2 **put a picture from Sonia's upper GI that was done**

3 **the prior day over on the bottom corner here, and**

4 **then the little arrow coming down is the right**

5 **diaphragm, here's the left diaphragm. And here we**

6 **can see the hernia. And so it's pretty similar to**

7 **the hernia that we see on the upper GI study.**

12:09 8 Q. Okay. And if you could -- I want to ask

9 just generally speaking, if I have the dates

10 correct, we had August 12th was the surgeries,

11 August 13th was the upper GI, August 14th was --

12 I'm sorry -- August 13th -- Let me start all over.

12:09 13 **A. All right.**

12:09 14 Q. If we have the dates correct,

12:09 15 August 13th, 2013 was the -- were the surgical

16 procedures?

12:09 17 **A. Right.**

12:09 18 Q. The 13th, the next day, was the upper GI?

12:09 19 **A. Correct.**

12:09 20 Q. And then now we're looking -- the top

21 image is the abdominal CT of the 14th -- sorry,

22 the 15th?

12:09 23 **A. The 15th, right.**

12:09 24 Q. Okay. I'm getting my dates mixed up.

12:09 25 Now, why are -- just generally

62

1 speaking, why are CT -- abdominal CTs ordered in a
 2 post bariatric surgical patient who's already had
 3 an upper GI?
 12:10 4 **A. Okay. Why would you order a CT is that**
 5 **we did the upper GI series, but, you know, the**
 6 **patient's still got problems but we haven't found**
 7 **the reason for the patient's problems yet. And**
 8 **so, you know, CT takes it the extra yard. It can**
 9 **sometimes show a leak that the upper GI may not**
 10 **show and sometimes can show a hernia that the**
 11 **upper GI series. So you do your upper GI series**
 12 **and everything according to the report is okay.**
 13 **You say why is the patient still having problems,**
 14 **so that's when you would order the CT.**
 12:10 15 Q. Okay. And let's go then -- so to your
 16 opinion then regarding these two comparison
 17 studies would be they're similar, they're
 18 different, they're the same?
 12:10 19 **A. Ah --**
 12:10 20 Q. In terms of what they reflect with regard
 21 to herniations?
 12:10 22 **A. We're getting the same information two**
 23 **different ways.**
 12:10 24 Q. Okay. Got you.
 12:10 25 Let's go to Slide 23, please. And

63

1 what is -- this is also a CT image?
 12:10 2 **A. This is a CT coronal reformatted image.**
 3 **Here we see liver, right kidney, left kidney,**
 4 **aorta, spine. So the yellow arrow is the right**
 5 **diaphragm. Then we see the left diaphragm. And**
 6 **then the hernia.**
 12:11 7 Q. Okay. Let's go to the next slide. This
 8 is another CT image?
 12:11 9 **A. Yes. Another CT image from the same**
 10 **series. This is a coronal as if we're looking at**
 11 **Sonia. Here is her liver, right kidney, left**
 12 **kidney, right diaphragm, left diaphragm. Then we**
 13 **see the hernia.**
 12:11 14 Q. Let me ask you a question just generally
 15 speaking. When you do an abdominal CT like this,
 16 you get more than one image?
 12:11 17 **A. Oh, nowadays we get hundreds and hundreds**
 18 **of images, yeah.**
 12:11 19 Q. And so these -- are these slides that
 20 you're going through right now representative of
 21 the images that show herniation in your opinion?
 12:11 22 **A. That's correct.**
 12:11 23 Q. Okay. Let's just go to the next slide.
 12:11 24 **A. And it's the same thing again. Here we**
 25 **see the right diaphragm and the left diaphragm and**

64

1 **there we can see the hernia. Okay.**
 12:11 2 Q. And let's go to Slide 26, please.
 12:11 3 **A. Slide 26, okay. This is at a slightly**
 4 **different level and now Sonia's stomach is now**
 5 **going into the abdomen where it belongs. So there**
 6 **it's beneath the hiatus.**
 12:12 7 Q. Okay. What about in Slide 27, what does
 8 that show?
 12:12 9 **A. Okay. I actually measured the size of**
 10 **her hernia, and I measured it as 7.1 by**
 12:12 11 **4.9 centimeters. And so what you can do, it's**
 12 **kind of neat on the computer, you can say computer**
 13 **measure from Point A to Point B and measure from**
 14 **Point C to Point B and it gives you a very**
 15 **accurate measurement. You know, that's how we**
 16 **measure hernias, that's how we measure size of**
 17 **tumors or aneurysms, things like that.**
 12:12 18 Q. So let's move to the next slide. And for
 19 context, we're getting ready to look at some
 20 additional slices or views of the CT?
 12:12 21 **A. Right.**
 12:12 22 Q. And what are they going to be of?
 12:12 23 **A. Okay. This is a CT study. You know,**
 24 **this is the same scan. That's the beauty of CT.**
 25 **You just go through the patient once and you can**

65

1 **tell the computer show me the patient from the**
 2 **side or slice them like a tomato or slice them**
 3 **like a Subway sandwich. Now we're slicing Sonia**
 4 **in the transverse plane like an orange or an**
 5 **apple.**
 12:13 6 Q. Let's look at the next slide, Slide 29.
 7 What does this depict?
 12:13 8 **A. Okay. This is Set 3 of 7, Image 3 of 89.**
 9 **And here we can see the heart. Here is the spine,**
 10 **the aorta. The lungs are the black area. And she**
 11 **had a little bit of fluid postoperatively in her**
 12 **stomach and that's -- was reported by the doctor**
 13 **who read the exam. And so here we can see the**
 14 **hernia.**
 12:13 15 **And I guess we see the same thing on**
 16 **the Slide Number 30. We see the hernia. And**
 17 **Slide Number 31 we see the hernia once again.**
 12:13 18 Q. I think we have two more slides.
 12:13 19 **A. Yeah.**
 12:13 20 Q. Slide 32, what does it show?
 12:13 21 **A. So again Slide Number 32 here we see the**
 22 **heart, the liver, the aorta, the spleen, the**
 23 **inferior vena cava. There is the hernia.**
 12:14 24 Q. What about Slide 33?
 12:14 25 **A. And --**

66

12:14 1 Q. What does it show?

12:14 2 **A. Same thing. It shows there is a hiatal**

3 **hernia at this point. And here is the liver, the**

4 **heart, the spleen, the lungs, and a small pleural**

5 **effusion, a little bit of fluid in her chest.**

12:14 6 Q. Okay. By way of review before we get

7 into the radiology report, I want to ask you just

8 a couple of questions. In your opinion, was a

9 herniation present, a hiatal hernia present on the

10 upper GI that was done on the first postoperative

11 day, that's August 14th, 2013?

12:14 12 **A. Yes, it was.**

12:14 13 Q. Okay. Now, we've just gone through a

14 series of slides from the abdominal CT that was

15 done the next day, August 15th. Do you have an

16 opinion as to whether or not a hernia was present,

17 hiatal hernia was present on those images?

12:14 18 **A. A hiatal hernia was present at that time**

19 **as well.**

12:14 20 Q. Okay. Now, let's look at the next slide,

21 Slide 34. And could you identify what this

22 document is just generally?

12:15 23 **A. Okay. This is the CT report that was**

24 **generated or dictated by Dr. James Hammond on the**

25 **CT study performed on 8/15/2013.**

67

12:15 1 Q. Now, Dr. Hammond was the radiologist who

2 reviewed those CT images?

12:15 3 **A. That's correct.**

12:15 4 Q. And he reviewed them at the time of the

5 treatment or at the time the scan -- shortly after

6 the scan was done?

12:15 7 **A. Shortly after the scan was done.**

12:15 8 Q. Okay. Now, what were -- according to

9 this report, what was Dr. Hammond's impression or

10 finding concerning the hernia?

12:15 11 **A. He said hiatal hernia is present. And,**

12 **you know, we do something in the body of the**

13 **report where we describe all the important**

14 **features of the pathology that's going on in the**

15 **patient. And then the impression we try to focus**

16 **the clinician to what are the key features that we**

17 **see here. And the second impression was hiatal**

18 **hernia, post surgical change of the stomach.**

12:15 19 Q. Okay. Now, I anticipate that the defense

20 radiology expert will offer testimony that there

21 is not -- or will focus the testimony on the fact

22 that this impression does not mention

23 paraesophageal hernia. Is that your understanding

24 from reading the expert designation?

12:16 25 MR. LUCCIA: Form.

68

12:16 1 MR. HUGHES: Objection to form.

12:16 2 MR. PAINTER: Let me rephrase that. That's

3 just a bad question.

12:16 4 BY MR. PAINTER:

12:16 5 Q. So this impression we're finding that

12:16 6 Dr. Hammond made regarding a hiatal hernia, does

7 that exclude any particular type of hiatal hernia

8 or not?

12:16 9 **A. No. Because hiatal hernia is kind of the**

10 **overarching term and you can have a sliding,**

11 **paraesophageal, or mixed like Sonia had, a sliding**

12 **and paraesophageal.**

12:16 13 Q. Got you. Let's move on to the next study

14 which was, I believe, done the next day; is that

15 correct?

12:16 16 **A. That's correct. So the surgery was on**

17 **the 13th, the first upper was done on the 14th,**

18 **the CT was done on the 15th, and another upper GI**

19 **was done on the 16th.**

12:17 20 Q. Okay. And why would you do -- what would

21 be the indication for ordering another upper GI at

22 that point?

12:17 23 **A. Well, the only reason you order studies,**

24 **you know, at this point is the patient is not**

25 **doing well. And, you know, you haven't given me**

69

1 **an answer, Mr. or Ms. Radiologist, and so let's**

2 **study the patient again.**

12:17 3 Q. Okay. So let's look at the first image

4 here. This is one of the images from the upper GI

5 of August 16th, correct?

12:17 6 **A. That's correct.**

12:17 7 Q. Is -- in your opinion, is the hernia

8 still present there?

12:17 9 **A. That's right. And we can see the hernia**

10 **circled in the yellow oval. And as we see, the**

11 **hernia is above the level of diaphragm.**

12:17 12 Q. Do you have an opinion as to whether or

13 not the hernia reflected here is -- what is

14 reflected is the same type of hernia as reflected

15 on the other two images?

12:17 16 **A. Oh, it's the same.**

12:17 17 Q. Okay. Or the other two studies.

12:17 18 Let's go to Number 37, Slide 37.

19 And what is this?

12:17 20 **A. Slide 37 this is another -- when you do**

21 **an upper GI series or esophagram, you take**

22 **multiple images, multiple pictures, and here we**

23 **can see the esophagus. And there is the herniated**

24 **stomach and there is the diaphragm. So again this**

25 **tube, the esophagus, should be heading south of**

70

1 **the diaphragm here, but here the esophagus is**
 2 **north as is the hiatal hernia.**
 12:18 3 Q. Okay. What about in Slide 38, what does
 4 that show?
 12:18 5 **A. Slide -- same thing again. Here we can**
 6 **see the hernia. And here's the diaphragm. So**
 7 **here we see the hiatal hernia, here we can see the**
 8 **stomach that's been shaved down because of the**
 9 **gastric sleeve procedure.**
 12:18 10 Q. If -- if Ms. Thompson had not had a
 11 hernia at that point, where would you expect to
 12 see the esophagus and the stomach with regard to
 13 the diaphragm?
 12:18 14 **A. Okay. The esophagus and stomach should**
 15 **be down -- the joining of the esophagus and**
 16 **stomach should be around this level.**
 12:18 17 Q. Got you.
 12:18 18 **A. Slightly beneath the diaphragm.**
 12:19 19 Q. Okay. Let's move to Slide 39. Okay.
 20 Now, we'll go -- this is just -- what is this one?
 12:19 21 **A. Okay. This shows the same thing. Here**
 22 **we see the hernia. Here is the stomach after you**
 23 **shaved it down for the gastric sleeve. Here is**
 24 **the duodenum, that's the first part of your small**
 25 **bowel. And then these arrows are the diaphragm.**

71

12:19 1 Q. Okay. We're going to go over to next
 2 Number 40, which this is the radiology report by
 3 Dr. Roman Raju; is that correct?
 12:19 4 **A. Right. He's the same fellow who read the**
 5 **first one.**
 12:19 6 Q. And, again, what does the history say for
 7 his report of reading this upper GI?
 12:19 8 **A. Gastric sleeve.**
 12:19 9 Q. Does there -- is there any indication of
 10 Mrs. Thompson's history of hiatal hernia repair
 11 mentioned in this report?
 12:19 12 **A. No.**
 12:19 13 Q. Okay. And is there any mention of any
 14 hernia on this report?
 12:19 15 **A. No, there is not.**
 12:19 16 Q. Okay. Is that something that you would
 17 have mentioned?
 12:19 18 **A. Yes, I would.**
 12:20 19 Q. The fact that there is no mention in the
 20 history of a hernia, does that give any suggestion
 21 to you as to what the purpose of this study was?
 12:20 22 **A. Again, when I see the history gastric**
 23 **sleeve, what I'm looking primarily for is a leak.**
 12:20 24 Q. I understand. Now, let's go over to your
 25 next slide which is 41. This is a -- just shy of

72

1 a month later; is that right?
 12:20 2 **A. That's correct. So the first upper was**
 3 **done on 9/14 and so here's an upper GI --**
 12:20 4 Q. Rather 8/14, right?
 12:20 5 **A. 8/14, correct. And this was done almost**
 6 **a month later and this is the upper GI done on**
 7 **September 11th, 2013.**
 12:20 8 Q. Okay. So let's go to Slide 42 which
 12:20 9 is -- tell the jury what this is.
 12:20 10 **A. Okay. This looks very much like what we**
 11 **saw before. So here's the patient's heart.**
 12 **Here's Sonia's esophagus. Here is the hernia.**
 13 **And again these structures are above the**
 14 **diaphragm. And again the esophagus where it joins**
 15 **the stomach should be south of this structure,**
 16 **should be south of the diaphragm. All right.**
 12:21 17 Q. Let's go to the next slide. This is just
 18 another image from that upper GI?
 12:21 19 **A. Right. And again it shows the diaphragm**
 20 **and it shows the hernia. And here's the stomach**
 21 **that's been shaved down for the procedure.**
 12:21 22 Q. Okay. Let's go to Slide 44. What is
 23 this?
 12:21 24 **A. Boy, same thing. And again we can see**
 25 **the esophagus, the stomach, the diaphragm, and**

73

1 **again these structures should be further south.**
 2 **They should be beneath the diaphragm.**
 12:21 3 Q. And I think you have one more image and
 4 again these are representative images?
 12:21 5 **A. Yes.**
 12:21 6 Q. What is this one?
 12:21 7 **A. Okay. This kind of shows the same thing.**
 8 **Here we can see the hernia and here we can see the**
 9 **diaphragm. And again these structures should be**
 10 **south at this point.**
 12:21 11 Q. Okay. Let's go to Slide 46. And this is
 12 a radiology report by Dr. Frank Morello; is that
 13 correct?
 12:22 14 **A. That's correct.**
 12:22 15 Q. He's the radiologist that reviewed this
 16 upper GI?
 12:22 17 **A. He probably performed it and reviewed it**
 18 **as well.**
 12:22 19 Q. Okay. And what is Dr. Morello's
 20 impression or finding based on this?
 12:22 21 **A. Okay. His impression was that we have a**
 22 **moderate sized paraesophageal hernia with**
 23 **partially obstructive changes within the hernia**
 24 **from the esophagus and exiting the hernia into the**
 25 **die -- subdiaphragmatic stomach.**

74

12:22 1 Q. And so Dr. Morello, do you agree with his
2 opinion?

12:22 3 **A. Yes, I do.**

12:22 4 Q. Okay. Let's go to the next slide,
12:22 5 Slide 47. What do we have here?

12:22 6 **A. Okay. Actually I have both exams side by
7 side, and so on this slide on the left I have the
8 first upper that was done on August 14th and on
9 the right side I have the upper GI that was done
10 on September 11th and, boy, they look kind of
11 similar.**

12:23 12 Q. Okay.

12:23 13 **A. The shape of the -- the herniated stomach
14 and here we can see the diaphragm where I put my
15 yellow arrow and here we can see the diaphragm
16 where I put my yellow arrow.**

12:23 17 Q. Now, on the study on the right that was
18 done on September 11th, 2013, this is the one
19 where the radiologist referenced the hernia in the
20 report, correct?

12:23 21 **A. Correct.**

12:23 22 Q. Now, the one on the left of August 14th,
23 2013, there was no hernia referenced in that
24 report, correct?

12:23 25 **A. Correct. But, you know, you consider**

75

1 **them pretty much the same, the appearance of the
2 hernia.**

12:23 3 Q. Now, the day after the study on the left,
4 there was -- which was August 15th, 2013, there
5 was an abdominal CT; is that correct?

12:23 6 **A. Correct.**

12:23 7 Q. Tell the jury was the hernia mentioned --
8 was a hiatal hernia mentioned in that report on
9 August 15th?

12:23 10 **A. Yes, it was.**

12:24 11 Q. Let's move on now to the next slide which
12 is Slide 48. Now, this is an upper GI of
13 September 16th; is that right?

12:24 14 **A. That's correct.**

12:24 15 Q. Now, what significantly in terms of
16 surgical interventions happened just prior to
17 this -- this upper GI?

12:24 18 **A. Okay. Ms. Thompson had surgery for
19 gastric volvulus and repair of the paraesophageal
20 hernia and lysis of adhesions on 9/13/13. So
21 she -- patient had volvulus, had lysis of
22 adhesions, that is fibrous tissue that you get
23 after surgery, and then repair of a paraesophageal
24 hernia.**

12:24 25 Q. And Dr. Leiva is the surgeon, the primary

76

1 surgeon who took her back to surgery on
12:24 2 September 13th; is that correct?

12:24 3 **A. I believe so.**

12:24 4 Q. Now, this is an upper GI then we're
5 getting ready to look at that is three days after
12:25 6 Dr. Leiva's second surgery, correct?

12:25 7 **A. That's correct.**

12:25 8 Q. Okay. So let's look at the first image
9 and what does that show?

12:25 10 **A. Okay. So the first image shows, you
11 know, now here we can see the esophagus and the
12 esophagus is going south of the diaphragm as it
13 should. So here is, you know, the level of the
14 diaphragm. And so that's good. You know, here we
15 can see the esophagus, it's going further south
16 and where it's joining the stomach, we can see it
17 south of the diaphragm so that's good.**

12:25 18 Q. Now, do you see anything else that's
19 significant upon this study?

12:25 20 **A. Okay. All right. But on this one you
21 can see there is -- here is the esophagus and here
22 we're starting the stomach. But here we're -- we
23 kind of see an acute angle here. So the esophagus
24 and stomach are going like that and then zoop, it
25 makes, you know, an abrupt turn. And the GI**

77

1 **tract, you don't like these acute angles, you
2 know, these narrow angles. Because it's hard for
3 food and other stuff to get through that. So, you
4 know, we have repaired the -- the hernia has been
5 repaired, but here we see that this kind of acute
6 angle here. And again, food and water does not
7 like to make this 90 degree turn.**

12:26 8 Q. Understood. Now, let's go to the next
9 slide which was 50. So now we're -- this is
10 another upper GI and this is, what, about 11 days
11 after the second surgery by Dr. Leiva?

12:26 12 **A. That's correct.**

12:26 13 Q. Okay. And what do we see on Slide 51?

12:26 14 **A. Okay. On the left we can see the GI
15 study from September 16th and then on the left we
16 can see the GI study --**

12:26 17 Q. On the right?

12:26 18 **A. I'm sorry. On the right. Radiologic
19 left.**

12:26 20 **Okay. And so here you can see
21 things were nicely flowing from the esophagus into
22 the stomach, but here it's getting a little narrow
23 at that point.**

12:26 24 Q. Okay. And that's -- what area is that,
25 that's the distal esophagus?

78

12:26 1 **A. No, distal esophagus and proximal stomach**
 2 **and again we can see this, you know, acute angle.**
 3 **You know, it's got to go zoop, zoop, and stu --**
 4 **food doesn't like doing stuff like that.**
 12:27 5 Q. And what would be significant about
 6 having a narrowing like that exactly?
 12:27 7 **A. Well -- Okay. Well, when you have a**
 8 **narrowing like that or an acute angle, it's tough**
 9 **for food and liquid to get through there.**
 12:27 10 Q. And let's move on to Slide 52. We see
 11 here that now, what is this, 14 days, two weeks
 12 after Dr. Leiva's second surgery on Mrs. Thompson.
 13 We see -- what is this? UGI stent insertion?
 12:27 14 **A. Okay. So when you have the stricture,**
 15 **you know, if you -- a narrowing of your stomach or**
 16 **even of your colon, rather than bringing the**
 17 **patient back to the emerg -- the operating room,**
 18 **you can put in a stent to kind of open things up**
 19 **and to let the food pass through it. And so**
 20 **that's what they did for Mrs. Thompson.**
 12:27 21 Q. And what was the status of, if you're
 22 aware of this, of Mr. Thompson's nutritional
 23 status at the time of the stent placement?
 12:28 24 **A. As I understand that, you know, she was**
 25 **losing weight and she had low protein and so her**

79

1 **nutritional status was not good and I think that's**
 2 **probably one of the reasons they put in a stent is**
 3 **to help her, you know, the food pass through.**
 12:28 4 Q. Okay. What's Slide 53?
 12:28 5 **A. Okay. No, I certainly don't put these**
 6 **in, but this is a stent and here is -- in a**
 7 **different patient, here is the esophagus and here**
 8 **is the stent being put by a narrowing or a**
 9 **stricture in the esophagus. And so that's just an**
 10 **example what it looks like.**
 12:28 11 Q. Okay. And you're familiar with stents in
 12 general through your radiology training?
 12:28 13 **A. Yes.**
 12:28 14 Q. And this is a fair depiction of a stent
 15 placement?
 12:28 16 **A. That's correct. I must say**
 17 **interventional radiologists do put these in as**
 18 **well.**
 12:28 19 Q. I understand.
 12:28 20 **A. But I don't do that.**
 12:28 21 Q. Let's move to Slide 54 which this is now
 22 one month to the day from Dr. Leiva's second
 23 surgery; is that correct?
 12:28 24 **A. Correct.**
 12:28 25 Q. Okay. Sorry for getting the dates

80

1 confusing here.
 12:29 2 And so what we see here is a chest
 3 X-ray; is that right?
 12:29 4 **A. That's correct.**
 12:29 5 Q. Now, let's look at the next slide. What
 6 does that show?
 12:29 7 **A. Okay. So the first thing, this is a**
 8 **typical chest X-ray. Here is the heart. Here is**
 9 **the diaphragm. These are the lungs. And you can**
 10 **see the ribs, patient's right shoulder and left**
 11 **shoulder. And here we can see that metallic stent**
 12 **down here and that's -- it looks like -- looks**
 13 **like on the picture. And then because Sonia was**
 14 **not getting adequate nutrition she was being fed,**
 15 **you know, with -- in part intravenously and this**
 16 **is called a PICC line, a peripheral catheter that**
 17 **you can put all kind of goodies in to help your**
 18 **nutrition. And so, you know, you put it in the**
 19 **arm and here we see it going up towards the heart.**
 20 **This little white line. And that's the PICC line.**
 12:29 21 Q. Understood. Let's move on to Slide 56
 22 and this is an upper GI, but there was --
 12:30 23 **A. Right.**
 12:30 24 Q. -- another surgery. Can you tell us
 25 about that?

81

12:30 1 **A. Right. Okay. On 10/23/13, Sonia**
 2 **underwent her third surgery and that was a partial**
 3 **gastrectomy where they removed more of her**
 4 **stomach. Lysis of adhesions, that is, you know,**
 5 **when you get surgery, you know, you get fibrotic**
 6 **tissue and so -- and scar tissue to do that, to**
 7 **open that up. And then she also had a different**
 8 **type of surgery, bypass surgery which is called**
 9 **Roux-en-Y surgery.**
 12:30 10 Q. Now, generally speaking, a Roux-en-Y
 11 bypass, how does that alter the patient's anatomy?
 12:30 12 **A. Okay. Well, actually rather**
 13 **dramatically. First of all -- okay. Can I go to**
 14 **an earlier slide?**
 12:30 15 Q. Yes. Sure. Sure.
 12:30 16 **A. All right. So when you do a Roux-en-Y**
 17 **gastric bypass surgery, you bring your stomach to**
 18 **a small little pouch of stomach. Then that pouch**
 19 **of the stomach is hooked to the small bowel --**
 20 **hooked to the small bowel. Then all the juices**
 21 **that come from your liver and your pancreas that**
 22 **you need for digestion come through a -- you swing**
 23 **a different loop over and you join up the small**
 24 **bowel there. So you have a little pouch of**
 25 **stomach, it's hooked to the small bowel. Then you**

82

1 **got another loop of small bowel draining your**
 2 **liver, your bile, and your pancreas and they come**
 3 **together.**
 12:31 4 Q. Okay. So let's go back from Slide 49 to
 5 Slide 56. One more. And then let's -- you have a
 6 representative image, a few images from that upper
 7 GI study that was done the day after the Roux-en-Y
 8 surgery, correct?
 12:31 9 **A. That's correct.**
 12:31 10 Q. Tell us what Slide 57 shows.
 12:32 11 **A. Okay. This is from the upper GI study**
 12 **that was done on October 24th, 2013. And here we**
 13 **can see the heart and here we can see the**
 14 **esophagus and esophagus is south of the diaphragm**
 15 **so that's good. And here there is a little bitty**
 16 **gastric pouch there and here the stomach is hooked**
 17 **to the small bowel. And that's --**
 12:32 18 Q. Okay. We can go ahead to go to -- okay.
 12:32 19 Did you have anything else on
 12:32 20 Slide 57?
 12:32 21 Let's go to the next one. What does
 22 Slide 58 shows?
 12:32 23 **A. Okay. Slide 58 here is your little**
 24 **gastric pouch and here we can see the contrast,**
 25 **the stuff you drink, exit the little pouch and go**

83

1 **into the small bowel.**
 12:32 2 Q. Let's go to slide -- the next slide?
 12:32 3 **A. 59 shows the same thing. Here we can see**
 4 **the little gastric pouch hooking up to the small**
 5 **bowel.**
 12:32 6 Q. Let's go to Slide 60, another
 7 representative slide. What does it show?
 12:33 8 **A. Okay. So this is -- the surgeon left in**
 9 **the surgical drain and here's a little gastric**
 10 **pouch and here's the small bowel that it's hooked**
 11 **to.**
 12:33 12 Q. What is the purpose of the surgical
 13 drain?
 12:33 14 **A. Because the -- Sonia got a postoperative**
 15 **infection and there was some fluid around the**
 16 **spleen.**
 12:33 17 Q. Okay. And the drain is to --
 12:33 18 **A. Drain the pus.**
 12:33 19 Q. Get rid of the fluid and the pus?
 12:33 20 **A. Yeah. Yeah.**
 12:33 21 Q. What about the next slide, Slide 61, what
 22 does this show?
 12:33 23 **A. Slide 61 kind of shows the same thing.**
 24 **Here we can see the drain around the spleen and**
 25 **then it shows the -- the small bowel that's hooked**

84

1 **to the little gastric pouch.**
 12:33 2 Q. Okay.
 12:33 3 **A. And similarly on --**
 12:33 4 Q. Slide 62?
 12:33 5 **A. -- Slide 62, kind of the same thing. So**
 6 **here is the surgical drain because there is some**
 7 **fluid and pus up there. And then we can see a**
 8 **little gastric pouch and hooked up to the small**
 9 **bowel.**
 12:33 10 Q. And let's go next to the next slide,
 11 Slide 63. So we see this is -- September,
 12 October, November, December -- almost four months
 13 after the first surgery by the defendants; is that
 14 correct?
 12:34 15 **A. That's correct.**
 12:34 16 Q. What is -- this says PICC line insertion.
 17 What is this PICC line insertion about?
 12:34 18 **A. Okay. It's like the PICC line we saw**
 19 **before. You know, when your nutrition is bad, you**
 20 **know, that your gut isn't functioning well, you**
 21 **know, you're losing weight, you're losing protein,**
 22 **you just can't get enough nutrients. So here you**
 23 **feed the patient through the PICC line. And so**
 24 **here we can see on the prior study it was on the**
 25 **right side -- left side. Here it's coming from**

85

1 **her right arm and going right towards the heart.**
 2 **And so she's getting protein and other nutrients**
 3 **through the IV.**
 12:34 4 Q. So the PICC line is to address
 5 malnutrition or something else?
 12:34 6 **A. In this case it's due to malnutrition,**
 7 **but in some people who've got cancer you give your**
 8 **chemotherapy agent through that. If some people**
 9 **have a rip roaring infection that's going --**
 10 **antibiotics for a long time, you do that as well.**
 11 **But in her case it was for giving her nutrition.**
 12:35 12 Q. Okay. We're almost finished here,
 13 Doctor. Let's go to Slide 65. And this is a
 14 chest X-ray that's done just over eight months
 15 after the first procedure; is that correct?
 12:35 16 **A. I think so.**
 12:35 17 Q. The first surgery, I mean the bariatric
 18 surgery by the defendants. And this is a chest --
 19 is that a chest X-ray?
 12:35 20 **A. Right. This is a chest X-ray and they**
 21 **had to change the side of her PICC line and so the**
 22 **first time it was on this side. Then they changed**
 23 **it over to her right side. And now they put in a**
 24 **new PICC line on her left side. So again she**
 25 **wasn't getting enough nutrition so she had to get**

86

1 **some through her veins.**

12:35 2 Q. Did you say she was not getting enough --

12:35 3 **A. No, the reason you have a PICC line this**

4 **long postoperatively is because you're not getting**

5 **enough nutrition.**

12:35 6 MR. PAINTER: Dr. Gore, I thank you for your

7 testimony today and I pass the witness.

12:35 8 EXAMINATION

12:35 9 BY MR. LUCCIA:

12:35 10 Q. Good morning, Dr. Gore.

12:36 11 **A. Good morning.**

12:36 12 Q. I'm going to figure out how I pin this to

13 myself. My name's Frank Luccia. I represent

12:36 14 Dr. Bina. I have a little bit of follow-up for

15 you, not too much.

12:36 16 I'm looking at your report which has

17 been marked as Exhibit --

12:36 18 **A. 4.**

12:36 19 Q. -- 4, and I'm looking at the X-rays that

20 you list here that you've had the opportunity to

21 look at to help you form your opinions in this

22 case. They're numbered 1 through 9?

12:36 23 **A. Right.**

12:36 24 Q. It appears to me that there are two

25 studies that predate the August 13th, 2013

87

1 surgery. There was a CT scan on April the 4th of

2 2013 and abdominal ultrasound on April the 4th of

3 2013?

12:36 4 **A. Correct.**

12:36 5 Q. Okay. Did you have an opportunity to

6 review those two imaging studies?

12:36 7 **A. Yes, I did.**

12:36 8 Q. Okay. And the CT scans, we've talked

9 about several CT scans that were done

10 postoperatively, correct?

12:37 11 **A. Right.**

12:37 12 Q. And you've talked to us today about the

13 hernia that you're able to see on those

14 postoperative CT scans, correct?

12:37 15 **A. Right.**

12:37 16 Q. So we know that CT scanning is one way

17 that a radiologist can identify a hernia if there

18 is one?

12:37 19 **A. That's right.**

12:37 20 Q. Okay. In your review of the April 2013

21 CT scan done four months before the surgery at

22 issue, do you see any evidence of a hernia?

12:37 23 **A. I looked a long time ago. I don't**

24 **recall.**

12:37 25 Q. Is there any way for you to take a look

88

1 at it?

12:37 2 **A. Not unless you have the disk. I have**

3 **some disks. Wait a second. I don't know what's**

4 **on what. All right. Should we go off the record**

5 **while we do this?**

12:38 6 MR. LUCCIA: Sure.

12:38 7 THE VIDEOGRAPHER: Okay. Going off the record

8 at 12:38 p.m.

12:45 9 (Discussion held off the record.)

12:45 10 THE VIDEOGRAPHER: Going back on the record at

11 12:45 p.m.

12:45 12 BY MR. LUCCIA:

12:46 13 Q. Doctor, we took a short break. During

14 that break, did you have an opportunity to take a

15 look at the April 4, 2013 CT scan?

12:46 16 **A. Yes, I did.**

12:46 17 Q. And that's the scan that was done

18 approximately four months prior to the gastric

19 sleeve procedure?

12:46 20 **A. That's right.**

12:46 21 Q. On that April 4th, 2013 scan, are you

22 able to see a hernia?

12:46 23 **A. Yes, I am.**

12:46 24 Q. And can you tell us what kind of hernia

25 you see on that image?

89

12:46 1 **A. From that one it just looks like a hiatal**

2 **hernia, but again, I don't see the reformatted**

3 **images so I can just say hiatal hernia, but I**

4 **can't specify more specifically.**

12:46 5 Q. And by hiatal hernia, you mean a sliding

6 hernia?

12:46 7 **A. Sliding -- sliding for sure. Could there**

8 **be a paraesophageal component? It's possible.**

12:46 9 Q. So all you're able to tell us in

10 reasonable medical probability is that there

11 appears to be a sliding hernia, a Type I hernia,

12 on the CT images of April the 4th, 2013; am I

13 correct?

12:46 14 **A. Okay. It's either a Type I or a Type III**

15 **where you have a combined. It's not just isolated**

16 **paraesophageal.**

12:47 17 Q. But am I -- I thought I heard you say

18 possibly so I -- I need to know what you're able

19 to say in reasonable medical probability.

12:47 20 **A. Okay.**

12:47 21 Q. So are you able to tell us in reasonable

22 medical probability whether this is a Type I,

12:47 23 Type III, or your -- or neither?

12:47 24 MR. PAINTER: Form.

12:47 25 BY THE WITNESS:

90

12:47 1 **A. It -- no, it is a hiatal hernia. I**
 2 **think, you know, common things occur commonly so**
 3 **it would be Type I.**

12:47 4 Q. And you understand that at the time of
 5 the surgery performed by Dr. Leiva and which my
 6 client assisted, they found what they believed to
 7 be a Type I hernia?

12:47 8 **A. Yes.**

12:47 9 Q. In your report, which is marked as
 10 Exhibit 4, you tell us that the upper GI
 11 examination obtained on April the 14th shows
 12 post-surgical changes from a gastric sleeve and
 13 attempted hiatal hernia repair. Your slides
 14 numbered 14, 15, 16, and 17 are from that imaging.
 15 Can you tell us what it is you're seeing on those
 16 slides that allows you to know that there was an
 17 attempted hiatal hernia repair?

12:48 18 **A. Just from the history. Or just from**
 19 **reading the medical record.**

12:48 20 Q. Okay. So when you say that the images
 21 show post-surgical changes of a gastric sleeve and
 22 attempted hiatal hernia repair, you're not
 23 intending to communicate that the images show
 24 that?

12:48 25 **A. No. The images show that there is -- I**

91

1 **know there was a hiatal hernia repair and it**
 2 **didn't work and so there is a persistent hernia**
 3 **there.**

12:49 4 Q. Okay. Can you go to your Slide Number 3?

12:49 5 **A. Okay.**

12:49 6 Q. Okay. You told us that this is a fair
 7 representation of what Ms. Thompson's stomach
 8 would have looked like after the gastric sleeve
 9 procedure. Did I understand that correctly?

12:49 10 **A. No, I'm saying this is what a typical**
 11 **patient looks after gastric sleeve procedure, but**
 12 **not one who had a hernia repair at the same time.**

12:49 13 Q. Okay. And tell -- can you use this image
 14 to tell us what would be different in the
 15 appearance of what you've marked as the new side
 16 if they had a hiatal hernia repair performed at
 17 the same time as the gastric sleeve?

12:49 18 **A. If there was a hiatal hernia repair, I**
 19 **should see some deformity here from a wrap. You**
 20 **know, they kind of wrap the stomach around the**
 21 **esophagus and bring the hernia down. So I would**
 22 **expect to see some deformity underneath the**
 23 **diaphragm at this point in the gastric sleeve.**

12:50 24 Q. And what would the deformity look like?

12:50 25 **A. It would look like -- okay. So here's my**

92

1 **esophagus coming down. And here's my stomach**
 2 **wrapped around it. So it would be looking -- a**
 3 **tube going through a wrap.**

12:50 4 Q. And are you able to see that on any of
 5 the post-surgical images?

12:50 6 **A. Not beneath the diaphragm, no.**

12:50 7 Q. Is that the kind of -- I'm sorry. Not
 8 below the diaphragm?

12:50 9 **A. I don't see anything like that below the**
 10 **diaphragm.**

12:50 11 Q. Are you able to see that below the
 12 diaphragm once Dr. Leiva does the hernia repair on
 13 September the 13th?

12:51 14 **A. Take a look. Sorry.**

12:51 15 Q. No problem.

12:51 16 **A. Yes, I see part of that deformity at this**
 17 **point.**

12:51 18 Q. And so is it that little indentation that
 19 you're seeing?

12:51 20 **A. I think that's part of the wrap, yes. A**
 21 **part of the hernia repair.**

12:51 22 Q. Okay. But on the earlier images, that
 23 little deformity is above the diaphragm?

12:51 24 **A. A deformity is above the diaphragm,**
 25 **correct.**

93

12:51 1 Q. Right. After that hernia repair, the
 2 deformity is below the diaphragm?

12:51 3 **A. Correct.**

12:52 4 Q. Okay. I'll write that down. We'll get
 5 back to that.

12:52 6 Okay. Can you go to Slide Number 5?

12:52 7 **A. Let's see. There must be a faster way.**
 8 **Let's see. Should I go to -- all right. I guess**
 9 **I'll have to page through each one.**

12:52 10 MR. PAINTER: Doctor, you know what you might
 11 want to do is just use the -- do you have the
 12 exhibit?

12:52 13 THE WITNESS: Oh, okay.

12:52 14 MR. PAINTER: That might be faster depending
 15 on the question.

12:53 16 THE WITNESS: Just in case.

12:53 17 MR. PAINTER: Okay.

12:53 18 BY MR. LUCCIA:

12:53 19 Q. Okay. This is the slide that you said
 20 shows us the various types of hernias, correct?

12:53 21 **A. That's right.**

12:53 22 Q. Now, these are all examples of what the
 23 hernias look like for patients with a normal
 24 stomach?

12:53 25 **A. Right. Patients who have not had a**

94

1 **gastric sleeve.**

12:53 2 Q. Right. Have you brought with you, or are

3 you even aware of a similar type of drawing that

4 would show us what the -- what the different types

5 of hernia look like in a patient who has had a

6 gastric sleeve?

12:53 7 **A. I haven't seen diagrams like that. I**

8 **can look for them and, you know, if I bring it to**

9 **trial, we'll send it to you beforehand.**

12:53 10 Q. Okay. And if you go to the next slide,

11 slide Number 6. Now this was -- this is a slide

12 that's been -- did you put the title up here,

13 importance of hiatal hernia?

12:54 14 **A. No, I got this from the Internet**

15 **actually.**

12:54 16 Q. Okay. Now, the hernia that they're

17 showing there is a Type I sliding hernia?

12:54 18 **A. The one they're showing is a Type I.**

12:54 19 Q. Okay. Which, based on the images we

20 have, is more likely than not the type she had at

21 the time of her gastric sleeve?

12:54 22 **A. That would -- yeah, common things occur**

23 **commonly so Type I is more common than Type III.**

12:54 24 Q. Okay. If you could now go to Slide 21.

25 Okay. This is the CT scan that was done two days

95

1 after the operation, right?

12:54 2 **A. That's right.**

12:55 3 Q. And that yellow circle you have there is

4 the circle around the portion of the stomach that

5 is above the diaphragm --

12:55 6 **A. That's correct.**

12:55 7 Q. -- correct?

12:55 8 Okay. Now, I notice in this picture

9 that the spine -- can you point to the vertebrae

10 of the spine?

12:55 11 **A. Mm-hmm.**

12:55 12 Q. They are very white?

12:55 13 **A. Correct.**

12:55 14 Q. And I notice on the edges of the picture

15 that the ribs -- can you point to some of those?

12:55 16 **A. Here are the ribs.**

12:55 17 Q. Those are very white?

12:55 18 **A. Correct.**

12:55 19 Q. Okay. And when we look at the lungs,

20 it's very black?

12:55 21 **A. Right.**

12:55 22 Q. Okay. I'm guessing that what this means

23 is that really hard things show up as white,

24 really dense things show up white, and non-dense

25 things show up black?

96

12:55 1 **A. Right. So bone is white, air is black,**

2 **soft tissue is kind of gray.**

12:55 3 Q. Right.

12:55 4 **A. And fat is somewhere between gray and**

5 **fat.**

12:56 6 Q. And if we look at that portion of the

7 stomach that you say is paraesophageal -- next to

8 the esophagus, right?

12:56 9 **A. Yes.**

12:56 10 Q. There is a white stripe in that piece of

11 the stomach. Do you see that?

12:56 12 **A. Correct. Yes, I do.**

12:56 13 Q. And it's very white?

12:56 14 **A. Correct.**

12:56 15 Q. That means it's very hard, very dense?

12:56 16 **A. Correct.**

12:56 17 Q. That is the staple line?

12:56 18 **A. It may be the staple line or it could**

19 **be -- remember the -- I didn't talk about this.**

20 **The patient was given some barium to drink, and**

21 **when you -- or contrast. And when you give**

22 **contrast to drink, that can be white as well. So**

23 **I'm not sure if it's the staple line or the**

24 **contrast.**

12:56 25 Q. Well, we know it's a nice straight line?

97

12:56 1 **A. Correct.**

12:56 2 Q. And if we go to the next image, 22?

12:56 3 **A. Okay.**

12:56 4 Q. We still have a nice straight line?

12:56 5 **A. Yes.**

12:56 6 Q. And if we go to the next image,

12:57 7 Number 23?

12:57 8 **A. Same.**

12:57 9 Q. 23. Right.

12:57 10 So, can you tell us to a reasonable

11 medical probability what we're seeing in that

12 portion of the stomach that is the paraesophageal

13 hernia we are seeing the staple line?

12:57 14 **A. I'm sorry. I don't understand the**

15 **question.**

12:57 16 Q. Sure. In reasonable probability, the

17 reason we're seeing that white line in the

18 paraesophageal hernia is because the staple line

19 is in that portion of the stomach?

12:57 20 **A. It's either the staple line or some of**

21 **that dense contrast that we gave.**

12:57 22 Q. And if we go to Image 29, do we see that

23 staple line again?

12:58 24 **A. Yes, we do. A staple line or the**

25 **contrast.**

98

12:58 1 Q. Okay. Is it within the realm of your
2 expertise to know that there is no way to place a
3 staple line above the diaphragm if one is
4 performing a laparoscopic gastric sleeve
5 procedure?
12:58 6 **A. From speaking to surgeons, you know, they
7 can put their instruments from the belly up north
8 and staple it up north.**
12:58 9 Q. Okay.
12:58 10 **A. Above the diaphragm. That's my
11 understanding.**
12:58 12 Q. Okay.
12:58 13 **A. But that would probably be better
14 directed towards a surgeon.**
12:58 15 Q. That's beyond your expertise, fair?
12:58 16 **A. Correct.**
12:59 17 Q. Based on your understanding of the way
18 this procedure is performed, do you -- well, I
19 guess I should ask, do you have an understanding
20 that the way this gastric sleeve procedure is
21 performed is that the stomach is mobilized below
22 the diaphragm and the staple line is placed?
12:59 23 **A. I would assume so, but again I would
24 defer to a surgeon on how to do it.**
12:59 25 Q. The fact that the staple line is seen in

99

1 these images above the diaphragm, in your
2 experience as a radiologist, does that suggest to
3 you that following some time after the gastric
4 sleeve was performed that the hernia developed?
12:59 5 MR. PAINTER: Objection. Form.
12:59 6 BY THE WITNESS:
12:59 7 **A. I don't think I can answer that.**
12:59 8 Q. Because you don't have enough expertise
9 as a surgeon?
12:59 10 **A. That's correct.**
1:00 11 Q. You mentioned the deformity in the
12 esophagus that we see as a result of the wrap
13 that's used during the repair of a sliding hernia?
1:00 14 **A. Correct.**
1:00 15 Q. And you told us that in these images,
16 that deformity is above the diaphragm?
1:00 17 **A. No, I think I was talking about the
18 deformity of the esophagus was beneath the
19 diaphragm. Let's see. It was the later
20 examination.**
1:00 21 Q. Right. I understood that after Dr. Leiva
22 repaired the hernia in September, the deformity is
23 clearly below the diaphragm?
1:00 24 **A. Correct.**
1:00 25 Q. Before Dr. Leiva's repair of the hernia

100

1 on September 13th, the deformity is above the
2 diaphragm?
1:00 3 **A. That's correct.**
1:00 4 Q. Right. Now, there is no way that -- that
5 Dr. Leiva could have placed the band on the
6 esophagus above the diaphragm?
1:01 7 MR. PAINTER: Objection to form.
1:01 8 BY MR. LUCCIA:
1:01 9 Q. True?
1:01 10 **A. Again, I would defer to a surgeon there,
11 but, you know, sometimes they go through the belly
12 and they can do stuff down south in the esophagus.
13 But again I would ask a surgeon.**
1:01 14 Q. I guess I'm -- you're a specialist in GI
15 imaging, true?
1:01 16 **A. I hope so.**
1:01 17 Q. So I guess what I'm trying to figure out
18 is, in your business, I mean does it happen to you
19 that you look at images like this and say I know
20 that the patient had a gastric sleeve, I know that
21 the patient had a sliding hernia repair. I am now
22 seeing what looks like a staple line and
23 indications of a band above the diaphragm?
1:01 24 **A. Yeah, I understand.**
1:01 25 Q. Does that happen to you?

101

1:01 1 **A. Oh, yes. Oh, where -- no, where I can
2 see -- and that's why I said surgeons will
3 sometimes go through the hiatus to do things to
4 the southern or distal part of the esophagus.**
1:02 5 Q. But have you ever then looked at that
6 information and said that it appears to me that
7 since you performed the gastric band, this patient
8 appears to have developed a hernia?
1:02 9 **A. I don't know if I said that.**
1:02 10 Q. In this case, are you able to look at any
11 of these images and tell us when the hernia
12 developed?
1:02 13 **A. Well, there was a hernia preoperatively
14 because we saw that in April. And then I see the
15 hernia the following day.**
1:02 16 Q. Well, you told us that you saw what you
17 can state in reasonable medical probability is
18 probably a sliding hernia?
1:02 19 **A. Beforehand.**
1:02 20 Q. Beforehand. Now, can you tell us when
21 this paraesophageal hernia developed based on
22 anything you see in these pictures?
1:03 23 **A. Sometime between April and the time the
24 upper GI exam was done, but the surgeon would not
25 leave a paraesophageal hernia there. He would**

102

1 **have repaired it.**

1:03 2 Q. Let me follow up on that. I want to make
3 sure I understand what you're saying. Are you
4 telling me you can't imagine that the surgeons
5 would have left a paraesophageal hernia there,
6 Drs. Leiva and Bina, if it had been there at the
7 time of their procedure?

1:03 8 **A. It would -- again, I'm not a surgeon, but
9 I would think that, you know, that's part of their
10 job is to reduce the hernia.**

1:03 11 Q. And the fact that the staple line is
12 above the diaphragm and the fact that the band to
13 repair the sliding hernia is above the diaphragm,
14 more likely than not suggests to us that those
15 structures -- that stomach, that staple, and that
16 bands were below the diaphragm at the time the
17 staples were placed and the band was placed?

1:04 18 MR. PAINTER: Objection. Form.
1:04 19 BY THE WITNESS:

1:04 20 **A. I think I'd have to ask a surgeon that
21 question.**

1:04 22 Q. Part of the reason that I understand
23 you're calling this a paraesophageal hernia is
24 that the esophagus comes down and then we appear
25 to have like a 90 degree turn. Right there. I

103

1 mean that image is good enough.

1:04 2 **A. But actually I can show on the -- okay.
3 So what we're having is -- I think we've got both
4 sliding and paraesophageal component here.**

1:04 5 Q. In fairness, you know, these insets, they
6 don't fairly and accurately represent what's going
7 on with Ms. Thompson because those insets don't
8 show a patient post gastric sleeve?

1:04 9 **A. You're quite right.**

1:04 10 Q. Okay. But I mean I -- I see what you're
11 looking at here and the esophagus comes down.
12 Now, where is the G.E. junction where the stomach
13 meets the esophagus?

1:04 14 **A. Okay. The stomach meets the esophagus up
15 here.**

1:04 16 Q. Okay. Right. Okay. So the esophagus
17 ends, the stomach starts, and then we have
1:05 18 almost a 90 -- what appears to be a 90 degree
19 turn, fair? You called it an acute angle?

1:05 20 **A. No, that was actually after the second
21 surgery. I don't see an acute angle here.**

1:05 22 Q. Okay.

1:05 23 **A. All right.**

1:05 24 Q. Let me see if I understand. So what
25 you're seeing here is --

104

1:05 1 **A. Here is the esophagus.**

1:05 2 Q. Right. And you're seeing --

1:05 3 **A. Here is the gastroesophageal junction and
4 here the angle is kind of obtuse, it's not acute,
5 and here we can see the contrast flowing south.**

1:05 6 Q. Maybe I misunderstood. I thought the
7 fact that you were seeing that stomach to the
8 right of the esophagus is what made you believe it
9 was paraesophageal?

1:05 10 **A. To the right on our image, but actually
11 to the patient's left. That's part of it,
12 correct.**

1:05 13 Q. Okay. Now, what we know is once
1:05 14 Dr. Leiva takes the patient back and pulls all of
15 that below the diaphragm, it still makes that
16 acute angle?

1:06 17 **A. No. Here we can see this angle is kind
18 of maybe it's 130 degrees and let's look at the
19 angle after the next surgery.**

1:06 20 Q. Which is on Slides 49 -- 49?

1:06 21 **A. Okay. So the angle before was this way
22 and then it went down in this direction.**

1:06 23 Q. Right.

1:06 24 **A. But now the angle is this way and it goes
25 back up. So the angle's acute. Before it was**

105

1 **obtuse. It was wide. Now it's narrow.**

1:06 2 Q. And, again, it may be outside of your
3 expertise. Are you going to be expressing an
4 opinion about why we're seeing this acute angle
5 after the hernia is reduced?

1:06 6 **A. I would defer to a surgeon about that.**

1:06 7 Q. I mean if we take that yellow line where
8 you -- that's the diaphragmatic --

1:06 9 **A. Right.**

1:07 10 Q. -- line. I mean and we pull that stomach
11 back up, we'd see the same thing we saw
12 preoperatively where that stomach, that little bit
13 of the stomach is next to the esophagus? If you
14 move that --

1:07 15 **A. I don't understand the question.**

1:07 16 Q. Yeah, sure. Where the esophagus goes
17 through that yellow line?

1:07 18 **A. Yes.**

1:07 19 Q. That's the hiatus?

1:07 20 **A. Correct.**

1:07 21 Q. That's a hole in the diaphragm?

1:07 22 **A. A normal hole.**

1:07 23 Q. Right. If I pulled that esophagus
24 back up toward the patient's mouth and I pulled, I
25 would get that bit of the stomach and that acute

106

1 part in the hole, right?

1:07 2 **A. Assuming the esophagus was -- and stomach**
3 **were long enough and assuming when you're pulling**
4 **you didn't open up the sutures.**

1:07 5 Q. Right. And I guess that's what I'm
6 trying to figure out, is -- is it -- do you think
7 that if you pulled that back up, you could return
8 the anatomy to what we see before Dr. Leiva's
9 hernia repair?

1:08 10 **A. That I don't know.**

1:08 11 Q. Okay. Have you seen this before in your
12 experience?

1:08 13 **A. You mean this type of picture?**

1:08 14 Q. Yes.

1:08 15 **A. Yes.**

1:08 16 Q. And have you done any investigation to
17 figure out why it is a patient's stomach might
18 look like that?

1:08 19 **A. No.**

1:08 20 Q. Never talked to anybody, never looked it
21 up in a book?

1:08 22 **A. No.**

1:08 23 Q. So I presume at the time of trial you'll
24 be expressing no opinion as to why it looks that
25 way?

107

1:08 1 **A. Correct.**

1:08 2 Q. You've talked to us about your opinions
3 regarding the two radiology studies that were done
4 the day after surgery and the second day after
5 surgery, right?

1:09 6 **A. Right. There were three studies**
7 **postoperatively: Upper GI, then CT, and then**
8 **another upper GI.**

1:09 9 Q. And as I understand it, on all of those
10 you can see a paraesoph -- what you believe to be
11 a paraesophageal hernia?

1:09 12 **A. That's correct.**

1:09 13 Q. And you understand that none of the
14 radiologists who interpreted those films reported
15 a paraesophageal hernia?

1:09 16 **A. One said a hernia and then that would**
17 **come both sliding, paraesophageal, or para --**
18 **combined paraesophageal and sliding.**

1:09 19 Q. Am I correct that none of those
20 radiologists reported the paraesophageal hernia?

1:09 21 MR. PAINTER: Form.

1:09 22 BY THE WITNESS:

1:09 23 **A. No one used the word paraesophageal.**

1:09 24 Q. Are you critical of the radiologists for
25 failing to communicate that there is a

108

1 paraesophageal hernia in the first imaging study?

1:09 2 **A. No.**

1:09 3 Q. You believe it was within the standard of
4 care for him to not mention that there was a
5 hernia?

1:10 6 **A. Because in the first study what you're**
7 **looking for is a leak. I would have mentioned it.**

1:10 8 Q. So this is one of those areas in which
9 radiologists might report differently. It's not
10 saying somebody's right and somebody's wrong?

1:10 11 **A. Right. There -- the hernia is there, but**
12 **some radiologists, you know, just answer the**
13 **question is there a leak or not and some kind of**
14 **would be more expansive in their reports.**

1:10 15 Q. And, as I understand it, you're okay with
16 the fact that the radiologist reading the CT scan
17 said hernia whereas you would have said
18 paraesophageal hernia?

1:10 19 **A. Yeah, the radiologist hiatal hernia,**
20 **that's fine.**

1:10 21 Q. I presume you're not going to be
22 expressing any opinions about my client,
23 Dr. Bina, the assistant surgeon during the
24 original gastric sleeve procedure, am I correct?

1:10 25 **A. That's correct.**

109

1:10 1 MR. LUCCIA: Okay. I think that's all the
2 questions I have for you, sir. I appreciate your
3 patience.

1:11 4 MR. PAINTER: Do you have anything else you
5 want at this point?

1:11 6 MR. HUGHES: Not at this point.

1:11 7 MR. PAINTER: I'm going to take a short break.
8 I want to look at some notes.

1:11 9 THE VIDEOGRAPHER: Okay. Going off the record
10 at 1:11.

1:19 11 (Discussion held off the record.)

1:19 12 THE VIDEOGRAPHER: Back on the record at 1:19.

1:19 13 FURTHER EXAMINATION

1:19 14 BY MR. PAINTER:

1:20 15 Q. Doctor, I want you to go back one slide
16 to Slide 4 and I want you to describe these --
17 what we're seeing here just generally speaking?

1:20 18 **A. Okay. All right. This is a person who's**
19 **got an intact stomach and all three of these**
20 **patients have an intact stomach. What it does**
21 **depict what's going up above the diaphragm and so**
22 **if you were to have a gastric sleeve procedure,**
23 **what's going up above the diaphragm would still be**
24 **the same. You just have a skinnier stomach.**

1:20 25 Q. Okay. And so in these images, you have a

110

1 full stomach below the diaphragm, correct?
 1:20 2 **A. Correct.**
 1:20 3 Q. Above the diaphragm would be a fair and
 4 accurate representation of the type of hernias
 5 indicated whether you had a gastric sleeve or not?
 1:20 6 **A. That's correct. The only difference is**
 7 **that, you know, you'd have a skinnier stomach**
 8 **because of the sleeve. What's going on up north**
 9 **is the same.**
 1:21 10 Q. Let's go to Slide 5. And if you could
 11 just identify what this is generally?
 1:21 12 **A. Okay. So here we have all patients who**
 13 **have their intact stomach, but here we can see the**
 14 **diaphragm. Here is a sliding hiatal hernia and if**
 15 **you had a gastric sleeve, it would be all the**
 16 **same, but it would be cutting out about**
 17 **three-quarters of your stomach along here. Same**
 18 **thing here, you'd be cutting out three-quarters of**
 19 **your stomach, but what's going on above the**
 20 **diaphragm would be the same. And same thing on**
 21 **Type number III, what's going on up north is the**
 22 **same above the diaphragm, but stomach would be**
 23 **skinnier because you remove a lot of it.**
 1:21 24 Q. Okay. So we're looking at the -- let's
 25 just look at Type I and Type III hernias reflected

112

1:22 1 Q. So if we see a staple line above the
 2 diaphragm postoperatively, then that suggests that
 3 that Type III hernia developed after the gastric
 4 sleeve was performed?
 1:22 5 **A. I don't know if I can say that. Again I**
 6 **would defer to a surgeon.**
 1:23 7 MR. HUGHES: Thank you, sir.
 1:23 8 MR. PAINTER: That's it. So I have --
 1:23 9 THE VIDEOGRAPHER: Going off the record at
 10 1:23.
 1:23 11 (WITNESS EXCUSED.)
 1:23 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

111

1 on this imaging -- rather on these illustrations.
 2 Are they fair and accurate depictions of the type
 3 of hernias reflected whether or not there is a
 4 normal stomach intact or you have a gastric sleeve
 5 above the diaphragm?
 1:22 6 **A. Above the diaphragm they would be fair**
 7 **and accurate.**
 1:22 8 MR. PAINTER: Okay. Thank you. I pass the
 9 witness.
 1:22 10 MR. HUGHES: One more.
 1:22 11 THE WITNESS: Yes, sir.
 1:22 12 FURTHER EXAMINATION
 1:22 13 BY MR. HUGHES:
 1:22 14 Q. So if we look at Type III, right?
 1:22 15 **A. Correct.**
 1:22 16 Q. And we did a gastric sleeve?
 1:22 17 **A. Yes.**
 1:22 18 Q. The staple line would be below the
 19 diaphragm? If we left that Type III hernia and
 20 performed a gastric sleeve, the staple line would
 21 be below the diaphragm?
 1:22 22 **A. I defer to a surgeon, but I would think**
 23 **it should be.**
 1:22 24 Q. Right.
 1:22 25 **A. But I defer to a surgeon.**

113

1 CHANGES AND SIGNATURE
 2 PAGE LINE CHANGE REASON
 3 _____
 4 _____
 5 _____
 6 _____
 7 _____
 8 _____
 9 _____
 10 _____
 11 _____
 12 _____
 13 _____
 14 _____
 15 _____
 16 _____
 17 _____
 18 _____
 19 _____
 20 _____
 21 _____
 22 _____
 23 _____
 24 _____
 25 _____

114

1 I, RICHARD GORE, M.D., have read the
 2 foregoing deposition and hereby affix my signature
 3 that same is true and correct, except as noted
 4 above.
 5
 6 _____
 7 RICHARD GORE, M.D.
 8
 9
 10 THE STATE OF _____)
 11 COUNTY OF _____)
 12 Before me, _____, on this
 13 day personally appeared RICHARD GORE, M.D., known
 14 to me (or proved to me under oath or through
 15 _____) (description or identity card or
 16 other document) to be the person whose name is
 17 subscribed to the foregoing instrument and
 18 acknowledged to me that they executed the same for
 19 the purposes and consideration therein expressed.
 20 Given under my hand and seal of office
 21 this _____ day of _____, _____.
 22
 23 _____
 24 NOTARY PUBLIC IN AND FOR
 25 THE STATE OF _____
 MY COMMISSION EXPIRES:

116

1 the deposition is as follows:
 1:23 2 Mr. Matthew Hughes - 33 minutes
 1:23 3 Mr. Robert Painter - 55 minutes
 1:23 4 Mr. Frank Luccia - 28 minutes
 1:23 5 That pursuant to information given to the
 6 deposition officer at the time said testimony was
 7 taken, the following includes counsel for all
 8 parties of record:
 1:23 9 FOR THE PLAINTIFF:
 1:23 10 Mr. Robert W. Painter
 1:23 11 PAINTER LAW FIRM
 1:23 12 12750 Champion Forest Drive
 1:23 13 Houston, Texas 77066
 281-580-8800
 rpainter@painterfirm.com
 FOR THE DEFENDANT, JORGE LEIVA, M.D.
 1:23 14 Mr. Matthew B. E. Hughes
 1:23 15 BOSTON & HUGHES, P.C.
 8584 Katy Freeway
 Suite 310
 Houston, Texas 77024
 1:23 16 713-961-1122
 mhughes@bostonhughes.com
 FOR THE DEFENDANT, SAID BINA, M.D.
 1:23 18 Mr. Frank N. Luccia
 1:23 19 LUCCIA & EVANS, LLP
 8 Greenway Plaza
 Suite 1450
 Houston, Texas 77046
 1:23 20 713-629-0002
 1:23 21
 1:23 22 I further certify that I am neither counsel
 23 for, related to, nor employed by any of the
 24 parties or attorneys in the action in which this
 25 proceeding was taken, and further that I am not

115

1:23 1 IN THE DISTRICT COURT OF
 1:23 2 HARRIS COUNTY, OF TEXAS
 1:23 3 295TH JUDICIAL DISTRICT
 SONIA THOMPSON,)
)
 1:23 4 Plaintiff,)
)
 1:23 5 v.) No. 2015-63735
)
 1:23 6 JORGE LEIVA, M.D., SAID BINA,)
 1:23 7 M.D., and ZIAD AMR, M.D.,)
)
 Defendants.)
 1:23 8
 1:23 9 REPORTER'S CERTIFICATION
 DEPOSITION OF
 10 RICHARD GORE, M.D., F.A.C.G., F.A.C.P., F.A.C.R.,
 A.G.A.F.,
 JANUARY 30, 2017
 1:23 11
 1:23 12 I, JOAN M. BURKE, Certified Shorthand Reporter
 1:23 13 and Registered Professional Reporter in and for
 14 the State of Illinois, hereby certify to the
 15 following:
 16
 1:23 17 That the witness, RICHARD GORE, M.D., was duly
 18 sworn by the officer and that the transcript of
 19 the oral deposition is a true record of the
 20 testimony given by the witness;
 1:23 21 That the deposition transcript was submitted
 22 on _____, to the witness or to the
 23 attorney for the witness for examination,
 24 signature and return to me by _____;
 1:23 25 That the amount of time used by each party at

117

1 financially or otherwise interested in the outcome
 2 of the action.
 1:23 3 Further certification requirements Pursuant to
 4 Rule 203 of TRCP will be certified to after they
 5 have occurred.
 1:23 6 Certified to by me this 9th day of February,
 7 2017.
 1:23 8
 1:23 9
 1:23 10
 1:23 11 _____
 1:23 12 JOAN M. BURKE, CSR, RPR
 CSR NO. 084-002259
 Expires May 31, 2017
 1:23 13 DepoTexas - Firm Registration No. 95
 13101 Northwest Freeway, Suite 210
 Houston, Texas 77040
 281-469-5580
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

1:23 1 FURTHER CERTIFICATION UNDER RULE 203 TRCP
1:23 2 The original deposition/signature page was/was
3 not returned to the deposition officer on

1:23 4 _____;

1:23 5 If returned, the attached Changes and
6 Signature page contains any changes and the
7 reasons therefor;

1:23 8 If returned, the original deposition was
9 delivered to _____, Custodial Attorney;

1:23 10 That \$ _____ is the deposition officer's
11 charges to the Defendant for preparing the
12 original deposition transcript and any copies of
13 exhibits;

1:23 14 That the deposition was delivered in
15 accordance with Rule 203.3, and that a copy of
16 this certificate was served on all parties shown
17 herein on _____ and filed with the Clerk.

1:23 18 Certified to by me this _____ day of _____,

1:23 19 _____

1:23 20
1:23 21 _____

1:23 22 JOAN M. BURKE, CSR, RPR
1:23 23 CSR NO. 084-002259
Expires May 31, 2017
1:23 24 DepoTexas - Firm Registration No. 95
13101 Northwest Freeway, Suite 210
Houston, Texas 77040
281-469-5580
25