

Superior Court Reporters, Inc.

Pages: 1-230
Exhibits: None

COMMONWEALTH OF MASSACHUSETTS
SUFFOLK, SS SUPERIOR COURT DEPARTMENT
OF THE TRIAL COURT

* * * * *
MARCIN MARCINIAK AND ANNA MARCINIAK,
Plaintiff,
v.
SELWYN O. ROGERS, JR, M.D.,
AND PETER NAJJAR, M.D.,
Defendants.
* * * * *

BEFORE THE HONORABLE MARK A. HALLAL
DOCKET NUMBER 1484CV01361

TESTIMONY OF DAVID A. MAYER, M.D.
EXPEDITED

THIS TRANSCRIPT HAS NOT BEEN PROOFREAD OR CORRECTED
BY THE COURT REPORTER. DIFFERENCES WILL EXIST
BETWEEN THE UNCERTIFIED DRAFT VERSION AND THE
CERTIFIED VERSION.

Monday, October 16, 2017

Allyson Pollier
Court Reporter

APPEARANCES:

COUNSEL FOR THE PLAINTIFF:

MICHAEL J. GRACE
RAIPHER, PC
100 CAMBRIDGE STREET
BOSTON, MA 02114
T: (617) 904-7778

COUNSEL FOR THE DEFENDANTS:

PAUL M. MCTAGUE
MARTIN, MAGNUSON, MCCARTHY & KENNEY
101 MERRIMAC STREET
BOSTON, MA 02114
T: (617) 227-3240

JENNIFER E. KOGAN
MARTIN, MAGNUSON, MCCARTHY & KENNEY
101 MERRIMAC STREET
BOSTON, MA 02114
T: (617) 227-3240

I N D E X

WITNESS:	DIRECT	CROSS	REDIRECT	RECROSS
DAVID A. MAYER, M.D.				
By Mr. Grace	4		205	
By Mr. McTague		75		220
By Ms. Kogan		188		

1 P R O C E E D I N G S
2 (Testimony of David A. Mayer, M.D.
3 commences at 9:51 a.m.)
4 (Jury present)
5 (WITNESS SWORN)
6 DAVID MAYER: Yes.
7 DR. MAYER: Good morning,
8 Your Honor.
9 THE COURT: Good morning.
10 MR. GRACE: Thank you, Your
11 Honor.
12 (DIRECT EXAMINATION OF DAVID A.
13 MAYER, M.D.)
14 BY MR. GRACE:
15 Q Good morning.
16 A Good morning.
17 THE COURT: One second, Mr.
18 Grace. Does everybody have what
19 they need by way of notebooks and
20 pencils? Okay.
21 MR. GRACE: Thank you, Your
22 Honor.
23 BY MR. GRACE:
24 Q Would you tell the jury your full

Superior Court Reporters, Inc.

Sheet 2 Page 5

5

1 name please?
2 A David A. Mayer, M.D.
3 Q And what's your current profession?
4 A I am a general surgeon and have been
5 since the 1970s.
6 Q And can you tell the jury please
7 about your educational background?
8 A So, I went to Cornell Medical School
9 in Manhattan, New York City,
10 graduated in in 1974.
11 Then I did a five-year
12 General Surgery residency, which at
13 that time included General and
14 Vascular Surgery, at New York
15 Hospital-Cornell and finished that
16 in 1978.
17 Then went out into private
18 practice in Long Island, New York in
19 the North Shore-Long Island Jewish
20 Health System.
21 I sat for the American Board
22 of Surgery Board Certification Exam
23 shortly after my residency, passed
24 on the first attempt, and then have

Page 6

6

1 recertified every ten years since.
2 My professional practice as
3 a general surgeon includes all major
4 intra-abdominal surgery, including
5 approximately a thousand
6 appendectomies.
7 Most likely, about 60
8 percent were open and 40 percent
9 laparoscopic.
10 Q Let me interrupt for a second.
11 Describe to the jury the difference
12 between open and laparoscopic
13 appendectomies.
14 A Yes, of course.
15 So, for the first 20 years
16 of my practice, we did all -- we
17 meaning general surgeons in the
18 United States did all appendectomies
19 open, meaning with an incision,
20 generally in the patient's right
21 lower quadrant, called a McBurney
22 incision, which is an incision which
23 is a little oblique and a muscle-
24 splitting incision.

Page 7

7

1 If we knew ahead of time on
2 a CAT scan that it was a ruptured
3 appendix, occasionally we would make
4 a midline incision. But the vast
5 majority were --
6 Q What's a midline incision?
7 A That's an up-and-down incision in
8 the abdomen between the rectus or
9 strap muscles.
10 That's the type of incision
11 that Mr. Marciniak had during his
12 re-operative surgeries.
13 But the standard, like the
14 gold standard for an open
15 appendectomy as far as incisions is
16 a muscle-splitting incision in the
17 right lower quadrant called the
18 McBurney.
19 And in the last period of my
20 practice, the last 15 years or so,
21 we started doing more and more
22 laparoscopically, which is minimally
23 invasive, using the camera and small
24 ports so you don't have to make a

Page 8

8

1 big incision.
2 And gradually, the majority
3 of the appendectomies I performed
4 were moved into the laparoscopic
5 category. But I would say about --
6 I have done, at a minimum, around
7 600 open appendectomies.
8 My practice also involved
9 taking emergency room call at a
10 level-two trauma center.
11 And one of the most common
12 patients I was called for were
13 patients with abdominal pain,
14 including appendicitis.
15 Q Okay. You weren't here for the
16 opening statements by counsel, but
17 assume that Mr. McTague said that --
18 MR. MCTAGUE: Objection,
19 Your Honor.
20 THE COURT: Sustained.
21 BY MR. GRACE:
22 Q Were you performing appendectomies
23 as of August 2011?
24 A I was not on that day. I was out on

Superior Court Reporters, Inc.

1 medical leave, awaiting hip surgery.
2 Q Is that the only reason you weren't
3 performing appendectomies as of
4 2011?
5 A Yes.
6 Q When was the last appendectomy you
7 had performed before that?
8 A I believe it was early December
9 2010.
10 Q And as of December 2010, had you
11 performed about a thousand
12 appendectomies?
13 A Yes.
14 Q Now, has the standard of care for
15 open appendectomies changed since
16 2010?
17 A It has not.
18 Q When did the standard of care with
19 respect to open appendectomies
20 change -- last change?
21 A Well, I was trained at the New York
22 Hospital-Cornell residency program
23 in the '70s. The standard of care
24 for open appendectomies has not

1 changed since then. It's the same
2 standard.
3 Q Same as it was 43 years ago when you
4 were there?
5 A Yes, sir.
6 Q Could you explain to the jury please
7 what an appendix is and what it
8 does?
9 A An appendix is a worm-like structure
10 that comes off the bottom of the
11 right colon or cecum in the
12 patient's right lower side of their
13 abdomen.
14 It can be a nubbin or it can
15 be very long. In Mr. Marciniak's
16 case, it was about seven
17 centimeters.
18 That's somewhere in the
19 neighborhood of three inches, 2.54
20 centimeters an inch, but -- so, he
21 had a relatively long one.
22 But they can be of various
23 size. It has some lymphoid tissue,
24 which is some immune function, but

1 basically, in humans now, with
2 evolution, it serves no useful
3 purpose and it's just an organ from
4 evolutionary times.
5 It's called a vestigial
6 organ, meaning it's not useful, but
7 it can cause trouble, especially if
8 the base gets blocked with balls of
9 calcified stool or inflammation, and
10 then you can get acute infection of
11 the appendix or acute appendicitis.
12 Q Okay. The appendix is attached to
13 the first part of the large bowel
14 called the cecum, right?
15 A Yes, and that's -- the small bowel,
16 or ileum, comes into the cecum
17 generally a few inches away from the
18 appendiceal insertion. So, --
19 Q What's the appendiceal insertion?
20 A That's the base of the appendix that
21 has a hole or lumen that attaches to
22 the cecum.
23 Q Okay. And are appendices attached
24 to the cecum in various different

1 positions?
2 A They're not attached to the cecum,
3 but the appendix lies in various
4 positions within the pelvis. There
5 is variability from person-to-
6 person.
7 Q What different positions?
8 A Well, the majority, or approximately
9 two-thirds of appendixes lie behind
10 the cecum in a retrocecal appendix
11 position, meaning behind the cecum.
12 That's the normal anatomy
13 for the majority of patients.
14 Appendix can also lie down in the
15 pelvis, called a pelvic appendix,
16 which happens in about a third of
17 the patient.
18 But a majority have the --
19 THE COURT: One minute. Go
20 ahead. Sorry, Doctor.
21 A The majority of patients present --
22 if they have acute appendicitis will
23 present, as did Mr. Marciniak, with
24 the appendix behind the cecum.

Superior Court Reporters, Inc.

1 That's the normal or most common
2 presentation of the appendix.
3 Q And you said two-thirds of --
4 A Yes.
5 Q -- appendices are retrocecal or
6 behind the cecum?
7 A Yes.
8 Q And how many are, you know, dangling
9 straight down, what percentage?
10 A Approximately a third.
11 MR. GRACE: May I approach,
12 Your Honor?
13 THE COURT: You may.
14 BY MR. GRACE:
15 Q Okay. This is a diagram done by Dr.
16 Rogers at his deposition this year
17 that's been marked as Exhibit for
18 identification R.
19 Is this a fair but crude
20 representation of the relative
21 positions of the terminal ileum, the
22 small bowel coming into the cecum,
23 and different positions of the
24 appendix?

1 A Yes. It's a fair and accurate
2 representation. The dotted lines
3 are the retrocecal, or behind the
4 cecum, which is the most common.
5 The others are more of a
6 pelvic presentation of the appendix,
7 which is a less frequent but not
8 uncommon presentation as well.
9 It's about two-thirds
10 retrocecal, as Mr. Marciniak has
11 one-third free in the pelvis.
12 Q So, in other words, by far, the most
13 common position for an appendix is
14 retrocecal, right?
15 A Yes.
16 Q More than two-to-one?
17 A Yes.
18 Q Retrocecal versus pelvic?
19 MR. MCTAGUE: Objection,
20 Your Honor. Leading.
21 THE COURT: Sustained.
22 BY MR. GRACE:
23 Q What's the percentage that are
24 retrocecal?

1 MR. MCTAGUE: Objection,
2 Your Honor. Asked and answered
3 twice.
4 THE COURT: Overruled.
5 A Yes. It's about two-to-one behind
6 the cecum as opposed to free in the
7 pelvis.
8 MR. MCTAGUE: Your Honor,
9 may we approach just momentarily?
10 (SIDEBAR CONFERENCE NOT TRANSCRIBED)
11 BY MR. GRACE:
12 Q As of 2011, what were the risks of
13 an open, non-ruptured appendectomy?
14 A It's important to recognize that if
15 an appendix is not ruptured, it's a
16 simple operation with low attendant
17 risks.
18 The main risks are just
19 bleeding, if the appendiceal artery
20 tie had slipped off, or wound
21 infection, rarely intra-abdominal
22 abscess.
23 But the risks are low and
24 it's a straightforward, relatively

1 simple operation if properly
2 performed.
3 Q What are the risks of an open
4 appendectomy if the appendix is
5 ruptured?
6 A If it's ruptured, the patient gets
7 peritonitis and --
8 Q What's that?
9 A That's inflammation and infection in
10 the pelvis and eventually the
11 abdominal sac or cavity.
12 And when that happens,
13 inflammatory response occurs,
14 tissues get swollen and inflamed,
15 bowel can become adherent to the
16 infectious process, an abscess can
17 form, and operation becomes more
18 difficult, especially if there is
19 any delay.
20 And that's when, in a
21 ruptured appendix setting, injury to
22 adjacent bowel and organs does
23 become a risk of the procedure.
24 That's not a risk of the

Superior Court Reporters, Inc.

1 procedure with an unruptured
2 appendix. That's an important
3 distinction.
4 Q And you have reviewed all of the
5 relevant records in this case,
6 right?
7 A Yes. I reviewed all the hospital
8 records from the three hospitals,
9 all the depositions in this case and
10 answers to interrogatories and all
11 the attached exhibits to the
12 depositions.
13 Q Okay. And what was the injury that
14 occurred in the operation on August
15 nd rd
16 22 into the morning of August 23 ,
17 2011?
18 A Dr. Rogers and Dr. Najjar, the
19 assistant, took Mr. Marciniak to the
20 operating room for an appendectomy.
21 He had come in with right
22 lower quadrant, elevated white
23 count, CAT scan showing
24 appendicitis, non-ruptured, which is
important.

1 And he went to the operating
2 room to do a simple appendectomy
3 through a McBurney right lower side
4 of the abdomen incision, doing it
5 open.
6 The choice of open versus
7 laparoscopic is choice of the
8 surgeon. Open appendectomy is still
9 within standard of care, although
10 most are done laparoscopic.
11 At the time of the surgery,
12 the appendix was retrocecal, which
13 is the expected position in the
14 majority of patients.
15 And there was inflammation
16 and the cecum and appendix were
17 densely adherent to the back of the
18 abdomen, the retroperitoneum.
19 Q Could you explain that to the jury
20 in plain language what densely
21 adherent means?
22 A So, the back of the colon is
23 obviously against the back of the
24 patient's abdomen.

1 The abdomen is a sac called
2 the peritoneal sac, and that sac
3 houses the organs, the liver,
4 spleen, kidneys, -- kidneys are
5 actually behind the sac -- the
6 bowels, small and large.
7 And the appendix lying
8 behind the cecum makes contact with
9 the back of the sac. And there is
10 just a medical name for the back of
11 the sac that's in the patient's
12 back, in front of the spine and
13 muscles.
14 It's called the
15 retroperitoneal area, meaning behind
16 the peritoneum. So, since Mr.
17 Marciniak's appendix was lying
18 behind the cecum in a retrocecal
19 position, once it got inflamed, the
20 inflammation gets sticky and the
21 cecum and appendix stuck down to the
22 back of the abdomen.
23 That's normal and expected
24 with appendicitis and a retrocecal

1 appendix.
2 Q What's normal and expected?
3 A To get inflammation behind the cecum
4 and to have some level of adherence.
5 In Mr. Marciniak's case, it was
6 quite adherent, or what Dr. Rogers
7 described as partially densely
8 adherent in his operative note.
9 When the surgeon sees that,
10 the proper technique within standard
11 of care is to free up the stuck
12 area, free up the cecum and appendix
13 from the back of the abdomen.
14 That's the critical first
15 step before you pull up the cecum
16 and small bowel into the wound to do
17 the appendectomy. I should back up
18 a second.
19 In order to do an
20 appendectomy, you have to lift the
21 cecum and the ileum up into the
22 wound so the appendix is visible and
23 in the operative field.
24 You can't do an appendectomy

Superior Court Reporters, Inc.

Sheet 6 Page 21

21

1 with it stuck to the back of the
2 patient. You have to free it up and
3 gently, the liver, the cecum and
4 ileum into the wound, and then the
5 appendix comes with the cecum.

6 And then you can get at the
7 appendix and ligate the base
8 properly. But --

9 Q Let me interrupt for a second. Is
10 an adherent cecum or a densely
11 adherent cecum, is that an unusual
12 finding?

13 A It's not unusual at all. And it has
14 to be anticipated with a retrocecal
15 appendix with a significant degree
16 of inflammation.

17 So, the first step is to
18 recognize it, and Dr. Rogers did.
19 He recognized the cecum was densely
20 adherent.

21 The next step is to
22 completely free up the cecum and
23 appendix from being stuck in the
24 back of the abdomen, so that with

Page 22

22

1 gentle traction on the cecum and the
2 ileum, the area can be delivered
3 into the wound and the appendectomy
4 can be done.

5 You can't put the cart
6 before the horse and pull up on the
7 cecum and ileum before the back is
8 --

9 MR. MCTAGUE: Objection,
10 Your Honor. Beyond the question
11 asked.

12 THE COURT: Sustained in
13 that form.

14 BY MR. GRACE:

15 Q All right. We'll get to the
16 procedure later. What was the
17 injury in this case?

18 A The injury was ripping off or
19 avulsing the ileum or the end of the
20 small bowel from the cecum,
21 completely tearing the ileum off of
22 the cecum, the small bowel off of
23 the large bowel.

24 Q Is that a risk of an open, non-

Page 23

23

1 perforated appendectomy?

2 A No, it is not and --

3 Q Could you explain your answer,
4 please?

5 A The complication is not even a rare
6 or unusual complication. It's a
7 nonexistent complication.

8 I have personally done
9 25,000 major surgeries. I was
10 chairman of surgery at one of the
11 North Shore-Long Island Jewish
12 teaching hospitals and I supervised
13 250 surgeons in my departments.

14 I have never heard of the
15 complication. It's never been
16 published in the medical literature.
17 It's basically a nonexistent
18 complication.

19 It is not within standard of
20 care; in fact, it's far outside of
21 standard of care.

22 Q Is it possible for an ileal avulsion
23 or tear to occur during other
24 appendectomies?

Page 24

24

1 A It is possible. If there was a --

2 Q What circumstances?

3 A If there was a ruptured appendix
4 with a big abscess, gangrene, and
5 the bowel was involved in the
6 abscess and was terribly inflamed or
7 even infarcted, meaning it's dying
8 or gangrenous, then the integrity of
9 the tissue could be so weak that it
10 would literally fall apart.

11 And that actually is
12 possible with an advanced ruptured
13 appendix and a delay in diagnosis.
14 In this case, the pathology --

15 MR. MCTAGUE: Objection,
16 Your Honor.

17 THE COURT: Sustained. Next
18 question.

19 BY MR. GRACE:

20 Q Based on your review, what did the
21 pathology show in this case?

22 A In this case, the pathology showed
23 that the ileum was basically
24 completely normal. It had minimal

Superior Court Reporters, Inc.

Sheet 7 Page 25

25

1 medically insignificant changes,
2 such as mucosal ulceration and
3 things that would have nothing to do
4 with the integrity of the wall.

5 And there was no medical
6 reason or the tear to occur based on
7 the pathology.

8 Q Now, getting back to the standard of
9 care with respect with an open, non-
10 perforated appendectomy in 2011,
11 please proceed with your answer.

12 A It might be helpful for me to use
13 that illustration.

14 Q Which one?

15 A The one of the retrocecal appendix
16 that I --

17 Q The diagram or the illustration?

18 A The illustration.

19 Q Okay. And you can come down.

20 DR. MAYER: With Your
21 Honor's permission?

22 THE COURT: You may. Mr.
23 McTague, can you see that?

24 MR. MCTAGUE: I can, Your

Page 26

26

1 Honor.

2 THE COURT: Ms. Kogan?

3 BY MR. GRACE:

4 Q All right. Proceed, Doctor.

5 A So, this was the appearance or the
6 or the --

7 THE COURT: Can all the
8 jurors see?

9 A This was the position roughly of Mr.
10 Marciniak's appendix. It was behind
11 the cecum.

12 It's a fair and accurate
13 representation of a retrocecal
14 appendix, which, as I testified
15 earlier, is the normal or more
16 common presentation of an appendix.

17 The free pelvic appendix is
18 a less common presentation. In Mr.
19 Marciniak's case and in most
20 patients with retrocecal
21 appendicitis behind the cecum, the
22 inflammation occurs behind the bowel
23 and in front of the back of the
24 patient's abdomen called the

Page 27

27

1 retroperitoneum.

2 In these type of cases, the
3 inflammation occurs here, and it is
4 generally easier to deliver the
5 appendix because it's not stuck.

6 But with a retrocecal, in
7 the majority of patients that you do
8 an appendectomy on, it's behind the
9 cecum, and the cecum is adherent
10 with inflammation to the back of the
11 abdomen to varying degrees.

12 And once you make the
13 incision, you feel how stuck the
14 cecum is, and that's why Dr. Rogers
15 was able to determine correctly that
16 there was dense adherence of the
17 cecum and appendix to the back of
18 the abdomen.

19 So, during an appendectomy,
20 before you grasp the ileum and the
21 cecum and rock it up and deliver it
22 into the wound to remove the
23 appendix, you have to completely
24 free behind the cecum so there is no

Page 28

28

1 resistance.

2 Because the bowel is a
3 delicate structure, and when you're
4 lifting and pulling on bowel, you
5 can't be pulling against resistance
6 or tethering in the back of the
7 abdomen. You can create an injury.

8 So, standard of care
9 requires gentle traction on the
10 bowels to deliver it. The first
11 step in an appendectomy is to start
12 working behind the cecum with your
13 hand, bringing up the cecum and
14 appendix completely until it's free.

15 Then, when you grasp the
16 ileum and cecum, it basically comes
17 out tension-free and effortless with
18 the gentlest of traction. And
19 that's the proper performance of an
20 appendectomy for retrocecal
21 appendix.

22 In other words, you free up
23 first and pull second. You never
24 pull first when it's still stuck or

Superior Court Reporters, Inc.

Sheet 8 Page 29

29

1 bad things are going to happen.
2 That's bad surgery, it's a medical
3 error, and it's below standard of
4 care to pull first and free up
5 second.

6 You always have to free the
7 cecum and appendix from its
8 attachment in the back of the
9 abdomen.

10 Q Okay. If a surgeon were having
11 problems freeing up the appendix and
12 cecum, what were the options?

13 A That's not uncommon, especially with
14 a lot of inflammation, such as Mr.
15 Marciniak had.

16 So, if it's really adherent
17 and it's difficult freeing, you
18 enlarge the incision. There is
19 actually a name for it. It's called
20 a Fowler-Weir, W-E-I-R, extension.

21 In other words, you have the
22 McBurney incision. You can make it
23 a little more lateral and you can
24 extend it across the rectus sheath,

Page 30

30

1 which are the strap muscles.

2 Q Could you speak English, please?

3 A All right. I'm sorry.

4 So, you basically, if the
5 incision is this long, you make it a
6 little longer, not in the skin, but
7 in the muscle and fat and connective
8 tissue underneath to make a bigger
9 hole so the surgeons eyes can see in
10 a wider fashion.

11 And then you can get hands
12 in and work a little better, because
13 the McBurney incision, the right
14 lower quadrant incision is
15 relatively small.

16 So, if it's stuck, as it was
17 in Mr. Marciniak's case, the first
18 thing you do is recognize it, which
19 Dr. Rogers did. Then you make the
20 incision bigger.

21 Then you free up the cecum,
22 then it comes right out without
23 having to pull. You never pull
24 first and free this up second,

Page 31

31

1 because then you can tear things.

2 No surgeon does that. No
3 surgeon should do that. And,
4 unfortunately, Dr. Rogers did it
5 that way and got into a bad
6 complication.

7 Q Are you done with the illustration?

8 A I am.

9 Q Okay. Resume the stand please.

10 Now, at some point, my
11 office retained you -- retained your
12 services in this case?

13 A Yes.

14 Q And then you reviewed all the
15 material you have already gone
16 through, right?

17 A I have.

18 Q Are you being compensated for your
19 time?

20 A Yes. My work on the case before the
21 trial was compensated at 375 dollars
22 an hour.

23 And I am being paid 6,000
24 dollars for my time away from my

Page 32

32

1 practice. I came up yesterday from
2 New York and hopefully will return
3 later tonight.

4 Q And in this case, were your services
5 provided through some company called
6 -- I think it's National Medical
7 Consultants?

8 A Yes.

9 Q Now, do you do other medical-legal
10 work?

11 A Excuse me. I didn't hear that.

12 Q Do you do other medical-legal work?

13 A Yes.

14 Q Okay. Would you tell the jury
15 please about the medical-legal work
16 you do?

17 A I have been a medical expert, such
18 as my role today, for nearly 30

19 years. It's around my 80th time
20 testifying in court today. I have
21 done about 120 depositions before
22 trial on cases.

23 I have reviewed about 700
24 cases over 30 years. I review for

Superior Court Reporters, Inc.

1 plaintiff and the defense. For most
2 of my review, the majority were
3 plaintiff cases, patient -- on
4 behalf of the patient, about 90
5 percent, and ten for defense.

6 In the last six years, I
7 have gotten much busier on the
8 defense side, and now I am about 60
9 percent for the patient and 40
10 percent for doctors and hospitals.

11 I don't control who calls
12 me, so I am happy to review for
13 either side. And I have testified
14 in about 21 states. This is my
15 second time testifying in
16 Massachusetts in court.

17 I am based in Long Island,
18 New York, so most of my work is in
19 New York State. And I have worked
20 with National Medical Consultants
21 for about the last three years.

22 That's a doctor-run expert
23 service that matches experts with
24 attorneys who are looking for

1 experts. They do mainly plaintiff
2 work.

3 I have reviewed probably
4 about 20 cases for them over three
5 years. And, Mr. Grace, your case
6 was one of them.

7 Q All right. When you say doctor-run
8 outfit, what do you mean?

9 A It's run by an M.D. And generally,
10 I turn down about --

11 MR. MCTAGUE: Objection,
12 Your Honor.

13 THE COURT: Next question.

14 MR. GRACE: Yes.

15 BY MR. GRACE:

16 Q Now, of the cases you're asked to
17 evaluate, first say by patients or
18 patients' attorneys, what percentage
19 of the case -- of those cases do you
20 agree with the theory of plaintiffs'
21 or patients' case?

22 A I have -- I reject about 20-25
23 percent because I can't support the
24 theory. And in the majority,

1 they're usually prescreened and
2 vetted fairly well, so the majority
3 I find are valid. But I turn down
4 about, on average, 20-25 percent.

5 Q All right. Surgeons or, you know,
6 other doctors also retain your
7 services, right?

8 A Yes.

9 Q And what percentage of those cases
10 do you agree with, say for example,
11 their defense?

12 A The majority, but about 20 percent I
13 can't support and I let them know.

14 Q How do you let them know; what do
15 you say?

16 A That --

17 MR. MCTAGUE: Objection,
18 Your Honor.

19 THE COURT: Sustained.

20 BY MR. GRACE:

21 Q And you communicate that to the
22 doctor or lawyer who retains you?

23 A Yes, sir.

24 Q You don't agree with them?

1 A Yes.

2 Q Okay. Now, you're not licensed to
3 practice in Massachusetts, right?

4 A I am not.

5 Q Okay. And you never have been,
6 right?

7 A Correct.

8 Q You're licensed to practice in New
9 York?

10 A Yes.

11 Q Are standards of care, surgical
12 care, different from state-to-state?

13 A They are not. There is a nationwide
14 standard of care for General Surgery
15 and it doesn't vary state-to-state.

16 Q Okay. Doctor, based on your
17 education, training, experience and
18 review of the material in this case,
19 did you form any opinions to a
20 reasonable degree of medical
21 certainty as to whether Dr. Rogers
22 complied with the standard of care
23 in an open, non-perforated
24 appendectomy?

Superior Court Reporters, Inc.

1 A I have an opinion.
2 Q And what is that opinion or what are
3 those opinions?
4 A My opinion is that Dr. Rogers
5 departed from standard of care or
6 good and accepted surgical practice
7 by failing to extend the incision
8 when he noted that cecum was densely
9 adherent to the retroperitoneum.
10 That would have allowed
11 adequate exposure to properly free
12 the cecum and appendix from the back
13 of the abdomen and would have
14 allowed delivery of the appendix
15 area into the wound tension-free and
16 avoided the injury.
17 I also found, it's my
18 opinion, that Dr. Rogers departed
19 from standard of care by applying
20 excessive traction to the ileum,
21 attempting to pull out the ileum and
22 cecal area when it was still stuck
23 to the back of the abdomen.
24 And when I say excessive

1 traction or the term extremely
2 excessive traction, I am comparing
3 it to the gentle, almost effortless
4 traction that a surgeon should apply
5 to the delicate bowel structures to
6 slip them out of the wound once the
7 fixation to the back of the abdomen
8 has been totally freed up.
9 There should be no tension.
10 You should not have to pull on the
11 ileum to get the cecum out. It
12 should just be a guide to let the
13 area come up once it's freed
14 properly.
15 The excessive traction tore
16 the ileum off the cecum, which, in
17 surgery or medicine is called a
18 never event.
19 A never event is defined as
20 one that should never happen with
21 careful surgeons practicing standard
22 of care. It's an event that's an
23 extraordinary never event.
24 Q In these circumstances, right?

1 A In these circumstances, correct.
2 Q On what do you base that opinion?
3 A On my review of the case materials,
4 my training and experience, Cornell
5 Medical School and New York
6 Hospital-Cornell surgical residency,
7 my 40 years of surgical practice, my
8 25,000 major surgeries, my
9 experience as chairman of surgery,
10 heading quality assurance for 250
11 surgeons, my appointment as
12 associate professor of surgery at
13 New York Medical College and my
14 teaching experience.
15 Q We have heard some talk of associate
16 assistant professor. You are an
17 associate professor?
18 A Excuse me?
19 Q We have heard some talk in this case
20 about assistant professorships,
21 associate professorships, full
22 professorships. You're an associate
23 professor?
24 A Yes. It usually --

1 Q How long have you been an associate
2 professor?
3 A For about 15 years. It's usually
4 dependent on how many articles and
5 publications you write.
6 I have about 40 articles and
7 three book chapters. If you keep
8 writing and publishing, you can
9 advance in rank.
10 I am not a full-time
11 academic surgeon. I'm an operating-
12 in-the-trenches operating surgeon
13 and was never full-time -- full-time
14 surgeon means an employed surgeon by
15 a hospital.
16 I was always in private
17 practice.
18 Q And are you currently affiliated
19 with any hospital? Do you have
20 operating room privileges at any
21 hospital?
22 A I currently don't, but I will
23 st
24 November 1 . I have my privileges
back at North Shore Long Island-

Superior Court Reporters, Inc.

1 Jewish/Huntington Hospital on Long
2 Island.

3 I had -- at the end of 2010,
4 I had a physical disability. I had
5 end-stage arthritis of my hip and
6 had trouble and pain walking and
7 standing, which isn't a very safe
8 thing for a surgeon to be operating
9 on patients.

10 So, I went out on medical
11 leave, relinquished my privileges at
12 four hospitals, had a major hip
13 reconstruction, and for the last two
14 years I have been operating full
15 time at ambulatory centers.

16 Q What does that mean?

17 A They're certified outpatient
18 surgicenters.

19 After a three-year-plus gap
20 in operating, I wanted to get my
21 skills back before going to the
22 hospital-based major surgeries
23 again.

24 I thought that's the safest

1 approach, so I have been doing
2 outpatient hernias, some cosmetic
3 surgeries just to get the skills
4 going. I have done about three-
5 four hundred of them.

6 Also, during the time
7 between 2010 and 2015, I have also
8 -- have a law degree and I am an
9 admitted attorney in New York State.
10 So --

11 Q Why did you do that?

12 A I did that the last few years of my
13 practice, like 2007 to 2010.

14 I would practice -- get up
15 at like five in the morning,
16 practice as a surgeon all day, and
17 went to Hofstra Law School at night,
18 graduated, passed the bar in early
19 2011, got admitted, and I dabbled in
20 a law practice that consisted mainly
21 of helping firms with their
22 inventory of medical-type cases and
23 assisting getting experts.

24 I continued my expert work

1 during that time. I assisted them
2 with trials in various capacity.

3 I found out during that time
4 I am a much better doctor than a
5 lawyer, so I was happy, when I
6 recovered, to be able to go back to
7 surgery again, which I am doing a
8 hundred percent of the time now.

9 Q Besides your education, training and
10 experience, what particular things
11 did you review in this case to
12 support your opinion that Dr. Rogers
13 did not comply with the standard of
14 care?

15 The jury book, the records
16 are in front of you.

17 A So, the most important thing was the
18 operative note.

19 Q And that's on pages 19 through 21.

20 A The operative note chronicles the
21 contemporaneous account, meaning at-
22 the-time account of the August 23,
23 2011 appendectomy.

24 Q Actually, it started on the 22 and
nd

1 went until after midnight on the
2 rd
3 23, but go ahead.

4 A Yes. I stand corrected. And it
5 details, on page 20, about ten lines
6 down that "The cecum was partially
7 densely adherent and we were able to
8 feel a firm and indurated retrocecal
9 appendix."

10 That's a finding that
11 requires freeing up the cecum and
12 appendix from the back of the
13 abdomen in a thorough way so that
14 when you gently apply traction to
15 the small bowel and the cecum, the
16 appendix area slips up in the wound
17 without having to apply any more
18 than general traction, which is all
19 standard of care allows on delicate
20 bowel structures.

21 When it's densely adherent,
22 you have to make an incision bigger
23 to get adequate exposure and free it
24 up properly.

That's a basic surgical

Superior Court Reporters, Inc.

Sheet 12 Page 45

45

1 tenet; that adequate operating field
2 exposure is necessary for safe
3 surgery.

4 If you find dense adherence
5 -- and remember earlier I said that
6 a retrocecal appendix can be stuck a
7 little, it can be stuck a medium
8 amount or it can be densely
9 adherent, which is, like, the
10 highest stuck -- when you see that,
11 you have to do the extension of the
12 incision, get a broad operative
13 field, completely free up the cecum
14 and appendix safely, and then it
15 slips up in the wound.

16 Q When you say extending the incision,
17 how big is the initial oblique
18 McBurney incision?

19 A It's usually about two to three
20 inches. It doesn't --

21 Q Initial incision.

22 A Yeah, it doesn't --

23 Q McBurney.

24 A It doesn't specify in this case how

Page 46

46

1 big it is. But of interest and in
2 this case is that later --

3 MR. MCTAGUE: Objection,
4 Your Honor.

5 THE COURT: Sustained in
6 that form.

7 BY MR. GRACE:

8 Q Typically, how big are the McBurney
9 incisions when they're extended; how
10 long are they extended?

11 A Well, the skin doesn't really get
12 extended much, if at all. You're
13 really cutting the muscle and fascia
14 underneath to allow retractors to
15 get in.

16 Q What's fascia?

17 A Fascia is the connective tissue over
18 the muscle and it's firm, so it
19 inhibits getting hands in and
20 getting a good dissection of a
21 densely-adherent cecum.

22 Dr. Rogers eventually did
23 extend the incision after the injury
24 occurred. After he tore the ileum

Page 47

47

1 off the cecum, he then extended the
2 incision, and it mentions -- just
3 give me one second here.

4 Q Well, can -- okay. Go ahead, sorry.

5 A In other words, the injury occurred,
6 then Dr. Rogers extended the
7 incision and then was able to
8 properly dissect and lift up the
9 cecum.

10 So, things were done
11 backward. The incision should have
12 been extended initially, the cecum
13 should have been freed up, and then
14 the injury would have been avoided.

15 Q All right. In the middle of this
16 report, you were referring to --

17 A Yeah, I found it. I apologize.

18 It's difficult to read
19 because it's like a run-on
20 paragraph, but it says around the
21 middle, "We then turned our
22 attention to mobilize the cecum up
23 into the wound after extending the
24 incision laterally and medially."

Page 48

48

1 That was the maneuver that
2 had to be done at the beginning to
3 avoid the injury in the first place.

4 And obviously, Dr. Rogers
5 had no problem getting the cecum and
6 appendix up once the incision was
7 enlarged, which proves, in my
8 opinion, that that maneuver would
9 have avoided the injury.

10 Q And the next line confirms that,
11 right? Read the next line.

12 A Yes. "With this --

13 MR. MCTAGUE: Objection,
14 Your Honor.

15 A "With this --

16 THE COURT: Sustained.

17 BY MR. GRACE:

18 Q Please read the next line.

19 A "With this --

20 MR. MCTAGUE: Objection,
21 Your Honor. The document is in
22 front of the jury.

23 THE COURT: Overruled on
24 that. Go ahead.

Superior Court Reporters, Inc.

1 A "With this" -- the this referring to
2 extending the incision -- "we were
3 able to easily mobilize the cecum
4 into the wound with the attached,
5 acute, non-perforated," emphasis on
6 non-perforated "appendicitis."
7 So, unfortunately, the
8 correct --
9 MR. MCTAGUE: Objection,
10 Your Honor.
11 THE COURT: Overruled. Go
12 ahead.
13 A The correct maneuver of enlarging
14 the incision was done too late after
15 the injury occurred.
16 And then once it was done,
17 the cecum came up easily because Dr.
18 Rogers has adequate exposure,
19 adequate view to do a proper release
20 of the cecum and appendix from the
21 back of the abdomen where it was
22 tethered by inflammation.
23 Q Now, can you tell from this report
24 who did what at various points in

1 this operation?
2 A Well, I read this report many, many
3 times and I found that the word we,
4 referring to Dr. Rogers and his
5 assistant, Dr. Najjar, was used 18
6 times in a one-page report.
7 For example, "We made a
8 classical right low quadrant
9 incision, we incised, we split, we
10 entered, we were able -- we were
11 able to feel a firm and indurated
12 appendix."
13 "We actually then proceeded,
14 we then turned, we took, we then
15 turned attention to fascia
16 anastomosis, we removed the
17 ileocecum, we then used the linear
18 cutter."
19 MR. MCTAGUE: Objection,
20 Your Honor.
21 THE COURT: Sustained.
22 MR. MCTAGUE: The question
23 is can you tell from the document
24 who did what.

1 BY MR. GRACE:
2 Q Can you?
3 A Yes.
4 The use of the term we is
5 very specific, actually unusual in
6 an operative note, implying -- well,
7 explicitly stating that the we,
8 meaning the surgical team consisting
9 of Dr. Rogers and Najjar, they're
10 the only we because they were the
11 only two at the operating table.
12 If it was just Dr. Rogers,
13 it would have said I. So, the we
14 refers to both doctors that were
15 operating as a surgical team.
16 Q Did you find anything else of
17 significance in this operative
18 report, significance to forming your
19 opinions?
20 A That Dr. Rogers -- once the injury
21 occurred, I have no criticism of
22 what Dr. Rogers did at the time.
23 Because it was a
24 catastrophic injury and he was

1 forced to do a bowel resection, and
2 nothing short of that would have
3 been appropriate.
4 So, Dr. Rogers responded
5 appropriately. When the injury
6 occurred, he made the incision
7 bigger, he freed up the cecum, he
8 did a resection of the cecum and the
9 injured part of the ileum, and then
10 he did a stapled anastomosis.
11 The fact that the
12 anastomosis broke down and leaked
13 and stool came through Mr.
14 Marciniak's wound is a risk of the
15 anastomosis and the bowel resection.
16 It's not malpractice to have an
17 anastomosis leak.
18 So, I have no criticism per
19 se of his actions after the injury
20 occurred. I think they were correct
21 and, unfortunately, the anastomosis
22 didn't heal properly.
23 Q Now, based on your education,
24 training, experience and review of

Superior Court Reporters, Inc.

1 materials in this case, did you form
2 any opinions to a reasonable degree
3 of medical certainty as to whether
4 Dr. Najjar complied with the
5 standard of care in an open, non-
6 perforated appendectomy?

7 MS. KOGAN: Objection, Your
8 Honor.

9 THE COURT: Basis?

10 MS. KOGAN: Foundation.

11 THE COURT: Overruled.

12 A Yes, I have an opinion.

13 Q What is that opinion or what are
14 those opinions?

15 A Dr. Najjar was an assistant, co-
16 surgeon, part of the surgical team

17 during the August 22nd into 23rd, 2011
18 appendectomy. He was there at the
19 time of the complication.

20 The operative note was
21 dictated using the word we 18 times.
22 The we means that he was an active
23 -- he, Dr. Najjar, was an active
24 participant and not a sideline

1 observer during the surgery.

2 And the same criticisms I
3 have for Dr. Rogers apply to Dr.
4 Najjar.

5 Q Do you need to repeat them or you
6 have already stated them?

7 A I believe so, yes.

8 Q Did you form any opinions to a
9 reasonable degree of medical
10 certainty as to whether defendants'
11 negligence or breach of the standard
12 of care was a substantial
13 contributing factor in causing
14 damages or injuries to Mr.
15 Marciniak?

16 A Yes, I have an opinion.

17 Q What is that opinion or what are
18 those opinions?

19 A My opinion is that the departures on
20 the part of Dr. Rogers and Dr.
21 Najjar were substantial contributing
22 factors to the following injuries:
23 the avulsion of the ileum off of the
24 cecum and need for the

1 ileocecectomy, or bowel resection,
2 and anastomosis on 8/23/11 during
3 the first surgery.

4 There were also substantial
5 contributing factors in the
6 anastomotic leak, the drainage of
7 stool through Mr. Marciniak's wound,
8 which is medically called a
9 colocutaneous fistula, the need for
10 the second emergency surgery by Dr.
11 Driscoll on 8/29, where he did a
12 right hemicolectomy and an end
13 ileostomy with the bag through a
14 midline incision --

15 Q Describe for the jury what a midline
16 incision is.

17 A That's an up-and-down additional
18 cut.

19 So, on the 29th, Mr.
20 Marciniak had an ileostomy in his
21 right upper side with the bag, and
22 he had a cut up and down his abdomen
23 and he had additional cut in the
24 right lower quadrant of his abdomen

1 from the McBurney incision.

2 The departures were also
3 substantial contributing factors of
4 the need -- the March 2012 third
5 surgery to reverse the ileostomy and
6 hook him up again so he would move
7 his bowels normally through the
8 rectum and not in a bag.

9 The repair of the parastomal
10 hernia. There was a hernia around
11 the ileostomy that had to be fixed.
12 Also, at the time of the third
13 surgery, he needed a midline
14 incision extended up to his
15 breastbone, or xiphoid, to allow
16 more access.

17 Q Let me interrupt you. The second
18 surgery, the first one by Dr.
19 Driscoll, that's -- the operative
20 note for that is on pages 55 through
21 57, correct?

22 A Yes.

23 Q All right. Please tell the jury
24 what was entailed in that surgery.

Superior Court Reporters, Inc.

1 A So, I apologize for my cough. I am
2 getting over a little respiratory
3 infection.
4 At that time, this was a
5 life-threatening infection where the
6 stapled anastomosis that Dr. Rogers
7 did of the bowel broke down and
8 leaked and stool broke through the
9 wound and Mr. Marciniak was having
10 stool draining from his right lower
11 quadrant wound.
12 So, Dr. Driscoll, who is
13 another general surgeon, took him as
14 an emergency at South Shore
15 Hospital.
16 This was about six days
17 after the original surgery, or a day
18 after he was discharged, and he had
19 to remove the entire right part of
20 the colon and remove the anastomosis
21 that was leaking.
22 And the small bowel was
23 brought out to the skin in the
24 patient's right upper part of the

1 abdomen as an ileostomy, meaning
2 anything he ate would go through the
3 stomach, would go through the small
4 bowel and come out the skin in a
5 bag.
6 And ileostomies are
7 generally not formed stool like you
8 would pass rectally, but they're
9 liquid and not as easy to manage,
10 but --
11 Q Could you explain what an
12 anastomosis is? I am not sure
13 that's been explained yet.
14 A Yeah. So, when Dr. Rogers, at the
15 first surgery, had to -- tore the
16 ileum off the cecum, he had two ends
17 of bowel that weren't connected.
18 So, ultimately, the bowel
19 has to be hooked up again. And when
20 you hook it up again it's called an
21 anastomosis.
22 He removed the appendix and
23 cecum, and we normally -- we meaning
24 general surgeons normally do these

1 anastomoses with stapling
2 instruments, which is the correct
3 way to do it.
4 And he stapled over the
5 cecum, stapled over the small bowel,
6 brought them together.
7 There is a linear stapler
8 called the GIA that creates an
9 anastomosis or opening and then you
10 close the end with another stapler
11 called the TA-60.
12 It's probably over-
13 technical, but you're reconnecting
14 the bowel with stapling instruments
15 is what you're doing. And normally,
16 it heals well, but sometimes areas
17 break down and stool can leak out of
18 an anastomosis.
19 They don't all universally
20 heal, and the leak rate can be, you
21 know, five-six percent, you know,
22 where it causes a clinical problem,
23 sometimes more in certain
24 circumstances.

1 So, it's not malpractice to
2 have a leak, but, unfortunately,
3 this was a big leak and stool
4 started coming out of Mr.
5 Marciniak's incision. So, when Dr.
6 Driscoll re-operated on the 29th and
7 did the ileostomy, he removed the
8 stapled anastomosis.
9 He cut it out and stapled
10 over the transverse colon, which
11 goes around, and just left it in
12 there, and brought the ileum out as
13 the business end of the bowel so the
14 patient would move their bowels in a
15 bag temporarily and then it would be
16 hooked up in a later procedure.
17 Because of all the
18 infection, he had to leave the wound
19 open, which is -- I believe that
20 there are pictures before the jury
21 of Mr. Marciniak's wound.
22 The reason that big wound
23 was open is because you can't close
24 the skin tightly after all this

Superior Court Reporters, Inc.

Sheet 16 Page 61

61

1 infection or you get a massive wound
2 infection.
3 So, you have to leave it and
4 it heals over weeks from the bottom.
5 Q Now, that infection, is that the
6 diagnosis on page 55, fecal
7 peritonitis; is that --
8 A Yes.
9 Q -- what you're talking about?
10 A Yes, that's --
11 Q What fecal peritonitis?
12 A That's one of the most severe
13 abdominal infections you can have.
14 It's where stool is actually in the
15 abdominal cavity.
16 And the stool, of course, is
17 bacteria that cause an infectious
18 reaction. And if you don't operate
19 soon, the patient could die from it,
20 so this was a lifesaving reoperation
21 at this point.
22 Q What's the peritoneum? Peritonitis
23 means inflamed peritoneum, right?
24 A Peritoneum is the sac that houses

Page 62

62

1 the organs and the bowel and the
2 abdomen.
3 Q In other words, it's the lining of
4 the abdomen?
5 A Yes, yes.
6 Q All right. Proceed what was
7 entailed here please.
8 A Excuse me?
9 Q Proceed with what was entailed
10 during the operation now.
11 A So, this operation was an irrigation
12 of the stool out, resection of the
13 right part of the colon, an
14 ileostomy, and no hookup because the
15 old hookup was removed and all the
16 infection has to clear, and then
17 another operation is necessary to
18 reconnect the bowel.
19 And the wound was let open
20 to prevent infection.
21 Q Okay. Was the wound ever closed
22 medically by a procedure?
23 A Not generally. Generally, it heals
24 from the bottom with wound care and

Page 63

63

1 visiting nurses. It can sometimes
2 be closed, but I don't believe it
3 was --
4 Q In this case?
5 A No, it closed on its own as far as I
6 could tell.
7 Q And what other damages or
8 consequences were caused by the
9 initial negligence or malpractice by
10 the defendants?
11 A The initial negligence also were
12 substantial contributing factors to
13 the March 2002 third --
14 Q 2012, sorry.
15 A 2012 -- I'm sorry -- third major
16 reoperation where the ileostomy was
17 reconnected to the colon.
18 Q Let me stop you there. That
19 operative note on pages 83 to 86 --
20 it's just the signature on page 86,
21 but pages 83 to 85. Describe to the
22 jury please what was involved or
23 entailed in that procedure.
24 A There, the midline incision had to

Page 64

64

1 be reopened up and down in the
2 abdomen.
3 And it actually had to be
4 extended up to the top of the
5 abdomen and the epigastrium, so the
6 cut was made even bigger.
7 Q How long was that cut?
8 A It went really from his breastbone
9 down to his pubis and pretty much --
10 Q What's the pubis?
11 A -- the whole abdomen at this point.
12 And the ileostomy was removed from
13 the abdominal wall. A hernia was
14 repaired where the ileostomy was
15 with a --
16 Q What's a hernia?
17 A That's a -- it's like a hole that
18 develops in the abdominal wall
19 around a stoma called a parastomal
20 hernia.
21 And they repaired it with a
22 biologic mesh procedure. And the
23 bowel was reconnected at that point
24 so he could have normal bowel

Superior Court Reporters, Inc.

1 movements.

2 And at that point, the skin
3 could be closed primarily because
4 the infection had cleared up. This
5 was, like, over six months later.

6 Q Almost seven months, right?

7 A Yes.

8 Q What other consequences or damages
9 were caused by the defendants'
10 negligence?

11 A The need for a January 2016
12 incisional hernia repair with mesh.
13 The midline wound had separated at
14 the fascia and muscle layer and a
15 hernia occurred.

16 And Dr. Boyd repaired it
17 with mesh at that time.

18 Q And let me interrupt for a second.
19 The operative report for that -- I
20 don't have it exactly, but it's
21 around page 185-186. Can you find
22 it? I think I lost mine.

23 A I see it at 187.

24 Q Please tell the jury what was

1 entailed or involved in that surgery
2 on January 15, 2016.

3 A This was at Beth Israel-Deaconess
4 Hospital, and Dr. Boyd was the
5 surgeon. And he found a complex
6 incisional hernia with severe
7 diastasis. That means --

8 Q What's that?

9 A That means -- complex hernia means a
10 large complicated hernia. And
11 diastasis means the rectus strap
12 muscles had spread, which aggravates
13 the hernia.

14 Q What are those please?

15 A They're the muscles that go up and
16 down. Like when you work out in the
17 gym and you get a six-pack or abs,
18 you know, that -- what you're
19 looking at are the rectus muscle.

20 So, this was a complicated
21 hernia repair that involved
22 extensive cutting of abdominal scars
23 or adhesions, which had formed from
24 all the peritonitis and infection.

1 And he did component
2 separation, which means you cut the
3 muscles on the outside of the
4 abdomen and move everything into the
5 middle over a piece of mesh.

6 So, it was a very
7 complicated hernia repair that
8 appears to be, you know, well-done
9 and well-conceived.

10 And he used about a ten-inch
11 piece of polypropylene mesh.
12 Polypropylene is like a plastic
13 material similar to that blue
14 fishing tackle that you use that's
15 woven into a net.

16 Q What other damages or consequences
17 were caused by negligence?

18 A Well, because Mr. Marciniak is only
19 approximately 34 years old now, and
20 he has already had adhesions and
21 incisional hernias that required
22 surgery, he has future risk of more
23 adhesions, bowel obstruction from
24 adhesions, and future risk of

1 abdominal incisional hernias.

2 Also, in July of 2017, he
3 underwent removal of his
4 gallbladder. That's normally a
5 same-day procedure done
6 laparoscopically with minimal
7 discomfort and quick return to work.

8 Because of his all his prior
9 surgeries and scars and adhesions,
10 they had to do it through an open
11 incision, which was yet another
12 incision in his abdomen.

13 So, the need to do the
14 cholecystectomy open as opposed to
15 laparoscopic was another result of
16 the defendants' departures from
17 standard of care.

18 Q And the operative note for that from
19 Mass General just this past July are
20 on pages 193 and 194, right? Yes?

21 A Yes.

22 Q Describe what occurred during that
23 surgery by Dr. Carlos Fernandez-Del-
24 Castillo at Mass General.

Superior Court Reporters, Inc.

Sheet 18 Page 69

69

1 A They were trying to do a
2 laparoscopic removal of the
3 gallbladder, but they said the
4 amount of adhesions were not
5 allowing the abdomen to blow up with
6 air. The technical word is "were
7 not allowing us to obtain a complete
8 pneumoperitoneum."

9 They couldn't find a safe
10 window to put the trocars in with
11 all the adhesions, so due to the
12 adhesions or scars from his
13 peritonitis and prior surgeries,
14 they had to abandon laparoscopy and
15 do it through an open incision.

16 That's done in a very minor
17 amount normally of
18 cholecystectomies. The vast
19 majority --

20 Q Cholecystectomy is gallbladder
21 removal, right?

22 A Yes. The vast majority are done
23 laparoscopically.

24 Q And this open wound or open incision

Page 70

70

1 for that, was it through a previous
2 incision or was it a totally new
3 incision?

4 A No, they actually -- it's a totally
5 new incision along the right upper
6 side of the patient.

7 Q And you mentioned risks of further
8 injuries because of -- further
9 injuries or conditions as a result
10 of the defendants' negligence. What
11 are those risks?

12 MR. MCTAGUE: Objection,
13 Your Honor. Can we approach?

14 THE COURT: You may.
15 (SIDEBAR CONFERENCE NOT TRANSCRIBED)
16 BY MR. GRACE:

17 Q Doctor, you mentioned risks of
18 further injury or conditions as a
19 result of the defendants'
20 negligence. You said risk of more
21 adhesions. Would you explain that
22 please to the jury?

23 A Adhesions are internal scars that
24 occur in the abdomen between the

Page 71

71

1 bowel and other areas of bowel or
2 between the bowel and the wall of
3 the peritoneal cavity or abdominal
4 sac.

5 And we know that Mr.
6 Marciniak was developing them
7 because, at the time of Dr. Boyd's
8 incisional hernia repair, he had to
9 cut a lot of adhesions to do it.

10 And at the time of the
11 cholecystectomy, the gallbladder
12 removal at Mass General, they
13 couldn't do it laparoscopically
14 because of all the adhesions.

15 So, he is an adhesion former
16 from the peritonitis and all the
17 surgeries, the infection and the
18 surgeries, and he is at risk for
19 more adhesions, which naturally
20 occur over time, especially given
21 his young age.

22 That can cause kinking and
23 obstruction of small bowel and --

24 MR. MCTAGUE: Objection,

Page 72

72

1 Your Honor. This is now disclosure.
2 Move to strike.

3 THE COURT: The jurors will
4 disregard that last comment by the
5 doctor. Next question.

6 BY MR. GRACE:

7 Q What other risks of further injury?
8 Please explain them to the jury.

9 A And since he has had two abdominal
10 hernias, one at the ileostomy site
11 in the right upper quadrant,
12 repaired with a collagen or biologic
13 mesh, and the other complex hernia
14 in the midline incision, repaired
15 with a ten-inch piece of artificial
16 mesh, he is at risk for development
17 of further hernias, which I would
18 estimate in the 30-to-40-percent
19 range lifetime risk.

20 And they, of course, would
21 require more surgery.

22 Q What are the consequences of, you
23 know, further hernias?

24 A He'll need more -- he has about a

Superior Court Reporters, Inc.

1 30-40-percent chance to --
2 MR. MCTAGUE: Objection.
3 This has been asked and answered,
4 Your Honor.
5 THE COURT: Sustained.
6 BY MR. GRACE:
7 Q What are the consequences of further
8 adhesions?
9 MR. MCTAGUE: This has also
10 been answered.
11 THE COURT: Sustained.
12 BY MR. GRACE:
13 Q Did you form an opinion at some
14 point about whether Mr. Marciniak
15 was discharged too early after the
16 surgery by Dr. Rogers and Dr.
17 Najjar?
18 A I do have an opinion, yes.
19 Q Okay. And what is that opinion?
20 A Mr. Marciniak was running fevers,
21 especially on the 26th, which would
22 be the third postoperative day.
23 They went up to 101. That can be a
24 sign of an anastomotic leak.

1 It was my opinion a CAT scan
2 should have been done prior to
3 discharge. It would have diagnosed
4 the problem earlier and he wouldn't
5 have been so sick by the time Dr.
6 Driscoll re-operated on him.
7 I don't have any criticism
8 against Dr. Rogers or Najjar for
9 that, because they -- I found out on
10 further review they were not
11 involved in the discharge.
12 So, -- but I do feel he was
13 prematurely discharged.
14 Q Okay. But upon further reviewing of
15 materials, you discovered that they
16 weren't involved in the decision of
17 when to discharge, correct?
18 A Correct. So, I have no criticism
19 against Dr. Rogers or Najjar for
20 that discharge.
21 Q Thank you. That's all I have.
22 A Thank you.
23 THE COURT: So, jurors,
24 we're going to start cross. But why

1 don't we take our morning break.
2 Let's take our morning break. Leave
3 your notebooks and your jury books
4 on your chairs. And why don't you
5 try to be back no later than 11:25.
6 THE COURT OFFICER: All
7 rise. Jurors, please step down and
8 follow me.
9 (JURY EXITS)
10 (Court is in recess at 11:09 a.m.)
11 (Court resumes at 11:29 a.m.)
12 MR. MCTAGUE: May I proceed,
13 Your Honor?
14 THE COURT: You may.
15 (CROSS-EXAMINATION OF DAVID A.
16 MAYER, M.D.)
17 BY MR. MCTAGUE:
18 Q Good morning, Doctor. I didn't
19 introduce myself before. My name is
20 Paul McTague, I represent Dr. Selwyn
21 Rogers. It's fair to say that you
22 were initially contacted through
23 National Medical Consultants to work
24 on this case this year, correct?

1 A Yes.
2 Q And that's in 2017?
3 A Yes.
4 Q So, 2017, at the time that Mr. Grace
5 was seeking an expert with regard to
6 this case involving general surgery,
7 is it fair to say that at that time,
8 in 2017, you did not have any active
9 hospital privileges that you were
10 utilizing in performing surgeries
11 such as appendectomies, correct?
12 A Correct.
13 Q And in fact, it would be fair to say
14 that the last time you had had those
15 privileges had been in 2010,
16 correct?
17 A Yes.
18 Q Now, Mr. Grace, if he's looking for
19 a surgeon to talk about
20 appendectomies, he wouldn't find you
21 as an active participating surgeon
22 at a hospital, with an academic
23 title at the hospital in a position
24 performing the surgery in 2017 when

Superior Court Reporters, Inc.

Sheet 20 Page 77

77

- 1 you received these materials,
2 correct?
- 3 **A Well, I had an academic appointment**
4 **as associate professor, but I didn't**
5 **have active privileges. Well,**
6 **correct, when I was first contacted**
7 **about the case.**
- 8 **Q** And you had, in the past, authored
9 articles that have been published in
10 various publications, correct?
- 11 **A Yes.**
- 12 **Q** When was the last one in the
13 medically peer-reviewed literature?
14 What year was that?
- 15 **A It was before 2010. I don't know**
16 **the exact year.**
- 17 **Q** Was it actually the early 2000s?
- 18 **A I think -- 2007 or 2008 might've**
19 **been the last one.**
- 20 **Q** I'm talking about in the peer-
21 reviewed literature.
- 22 **A I'm not sure off the top of my head.**
- 23 **Q** Now, Doctor, in August of 2011, when
24 this particular case occurs, you did

Page 78

78

- 1 not have, at that time, an active
2 surgical practice in a hospital?
- 3 **A That's correct.**
- 4 **Q** You have -- I think you told us a
5 number of times, I can't recall.
6 You have testified over the course
7 of many years in both courtrooms and
8 in legal offices with regard to your
9 opinions on medical malpractice
10 cases, correct?
- 11 **A Yes.**
- 12 **Q** And you have been deposed and
13 testified in cases since 2010, right
14 up to today, correct?
- 15 **A Yes.**
- 16 **Q** And do you recall that you have
17 often spoke of the issue of your
18 disability and medical leave and
19 your attempts to come back and start
20 a full practice again, correct?
- 21 **A Yes.**
- 22 **Q** And that's a question that's been
23 asked of you for many years,
24 correct?

Page 79

79

- 1 **A Yes.**
- 2 **Q** Now, you testified in March of this
3 year that you had no active hospital
4 privileges but were in the process
5 of applying at that time, correct?
- 6 **A Yes.**
- 7 **Q** And you have actually testified to
8 that as far back as 2012, 2013,
9 2014, correct?
- 10 **A Yes.**
- 11 **Q** And so, you have told many juries,
12 here and today, in New York, that
13 you're wanting to return to an
14 active practice but, up until today,
15 you have not done so?
- 16 **A Well, I've been in active practice**
17 **for over two years, but it's been in**
18 **an outpatient setting through**
19 **ambulatory procedures. My hospital**
20 **privileges start November 1st.**
- 21 **Q** So, I'm clear, you have told juries,
22 since as early as 2012, that your
23 intent is to get back into a
24 hospital privilege practice and

Page 80

80

- 1 practice the type of surgeries we're
2 talking about here in this courtroom
3 again, correct?
- 4 **A Yes.**
- 5 **Q** And all the way up to today, in
6 2017, although you've told us you're
7 going to have privileges on November
8 1st, you have yet to obtain those
9 privileges, correct?
- 10 **A That's correct.**
- 11 **Q** Now, are you a member of the
12 American College of Surgeons?
- 13 **A I was for 35 years. Currently I'm**
14 **not, I'm a member of the**
15 **International College of Surgeons.**
- 16 **Q** Is there a reason why you're no
17 longer a member of the American
18 College of Surgeons?
- 19 **A I pared down my membership. It's**
20 **like a fraternity of surgeons and**
21 **then the dues became too high. I**
22 **was like in 20 organizations, so**
23 **that's really the only reason.**
- 24 **Q** In 2011, when this surgery took

Superior Court Reporters, Inc.

1 place, you were a member of the
2 American College of Surgeons?

3 **A I believe so, yes.**

4 **Q** And that group, the American College
5 of Surgeons, has a statement on the
6 physician acting as an expert
7 witness. Are you familiar with
8 that?

9 **A I've seen it. I don't remember**
10 **every word of it, but I --**

11 **MR. MCTAGUE:** May I
12 approach, Your Honor?

13 **THE COURT:** You may.

14 **BY MR. MCTAGUE:**

15 **Q** Now, Doctor, this particular
16 statement -- and I'm going to read
17 parts of it.

18 Right in the very beginning,
19 after the italics, it actually says,
20 "Physicians understand they have an
21 obligation to testify in court as
22 expert witnesses on behalf of the
23 plaintiff or defendant as
24 appropriate." Did I read that

1 correctly?

2 **A Yes.**

3 **Q** And then it's going to list a number
4 of qualifications and discuss these
5 parameters.

6 But the next paragraph says,
7 "Failure to comply with either the
8 recommended qualifications for the
9 physician who acts as an expert
10 witness or with the recommended
11 guidelines for behavior of the
12 physician acting as an expert
13 witness, may constitute a violation
14 of one or more of the bylaws of the
15 American College of Surgeons,"
16 correct?

17 **A That's what it says, yes.**

18 **Q** Now, one of the things it says, if
19 you look down for the recommended
20 qualifications, the third paragraph
21 says, "The physician expert witness
22 who provides testimony for a
23 plaintiff or a defendant in a case
24 involving a specific surgical

1 procedure should have held, at the
2 time of the alleged occurrence,
3 privileges to perform those same or
4 similar procedures in a hospital
5 accredited by the Joint Commission
6 or the American Osteopathic
7 Association," correct? Did I read
8 that correctly?

9 **A Well, you're reading from a document**
10 **of an organization that I'm not a**
11 **member of, but that's what it says.**
12 **Yes, sir.**

13 **Q** It's fair to say that at the time of
14 this alleged negligence or
15 occurrence, in August of 2011, you
16 didn't have such privileges to
17 perform at a hospital, the surgical
18 procedure you're discussing,
19 correct?

20 **A I was teaching at two medical -- at**
21 **one medical school, which included**
22 **the treatment of appendicitis and**
23 **abdominal conditions, but --**

24 **Q** Could you try my question, Doctor?

1 My question is, at the time of this
2 alleged occurrence in August of
3 2011, you did not hold privileges to
4 perform this same procedure in a
5 hospital accredited by the Joint
6 Commission, correct?

7 **A Well, I mean, we've established**
8 **that, yes.**

9 **Q** We've established it now when you
10 said "yes" so, thank you. The next
11 line says, Doctor, "The physician
12 expert witness should be familiar
13 with the standard of care provided
14 at the time of the alleged
15 occurrence and should have been
16 actively involved in the clinical
17 practice of the specialty or the
18 subject matter of the case at the
19 time of the alleged occurrence."

20 And it's fair to say you
21 were not involved in the clinical
22 practice at that time, in August of
23 2011, correct?

24 **A I don't understand the question.**

Superior Court Reporters, Inc.

1 Are you saying whether --
2 Q Let me rephrase it if you don't
3 understand the question.
4 A No, I'm speaking. Whether these
5 advisory recommendations, whether I
6 agree with them or are you asking me
7 -- I don't understand your line of
8 questioning.
9 Q Do you not understand my question?
10 A No, I don't understand it.
11 Q So, the question is -- let me ask it
12 again simply.
13 MR. GRACE: Objection.
14 THE COURT: Overruled. He's
15 going to ask it again.
16 BY MR. MCTAGUE:
17 Q My question was, you agree that at
18 the time of this incident, in August
19 of 2011, you were not actively
20 involved in the clinical practice of
21 the specialty or the subject matter
22 of this case at the time of its
23 alleged occurrence?
24 A Well, I disagree because teaching

1 surgery is considered the active
2 clinical practice of surgery. So, I
3 disagree with your characterization.
4 Q Teaching is clinical practice?
5 A Yes, sir.
6 Q So, if you're in a position to have
7 an academic title and dealing with a
8 resident, in a clinical practice you
9 meant by teaching by observation of
10 that resident or assistance with you
11 in an operation, correct?
12 A That's one form of teaching,
13 correct.
14 Q But you're talking about in August
15 of 2011 you were teaching courses?
16 A I wasn't teaching courses, but I was
17 on the faculty of New York Medical
18 College.
19 Q Okay. Were you teaching about -- in
20 August of 2011, were you teaching
21 about appendectomies?
22 A In what manner?
23 Q In any manner, Doctor.
24 A Well, I had performed 1,000

1 appendectomies.
2 Q Doctor, not my question.
3 A I had actually published on
4 appendicitis and gluteal abscess
5 from fecal fistula and I was having
6 my hip operated, so no, I was not in
7 an operating room in 2011.
8 Q And in August of 2011 you weren't
9 teaching about this either, correct?
10 A Well, I later was at Hofstra Medical
11 School.
12 Q Doctor, again, if you don't
13 understand my question -- I thought
14 it was simple. We're talking about
15 August of 2011. We know you weren't
16 in a clinical practice operating in
17 a hospital doing appendectomies at
18 that time, correct?
19 A Correct.
20 Q And we now know that you weren't
21 actively teaching on this, even
22 though you were trying to say that
23 means clinical practice, you weren't
24 actively teaching on this in August

1 of 2011, correct?
2 A Well, I was recovering from surgery,
3 so no I was --
4 Q For whatever reason, Doctor.
5 A -- not actively teaching --
6 Q The answer to my questing is yes,
7 correct?
8 A -- at that point in time, correct.
9 Q Doctor, these are guidelines, as you
10 say, but would you agree with these
11 guidelines, that the physician
12 expert should review all the
13 relevant medical information in the
14 case, do you agree?
15 A Yes, I agree.
16 Q Do you think you've read all the
17 relevant medical information?
18 A Yes.
19 Q Do you still stand by your statement
20 here that Mr. Marciniak had a fever
21 on the day before his discharge?
22 A Not on the day of discharge.
23 Q On the day before his discharge?
24 A I think he had a low-grade fever.

Superior Court Reporters, Inc.

Sheet 23 Page 89

89

- 1 Q Okay, we'll get to that. And do you
2 also agree that you are to testify
3 to the content of medical records in
4 a fair, honest, and balanced manner,
5 correct?
6 **A Of course, yes.**
7 Q And that as a physician expert you
8 may be called upon to draw an
9 inference or an opinion based on
10 facts and, in so doing, you should
11 apply the same standards of fairness
12 and honesty, right?
13 **A Yes.**
14 Q Now, do you remember just a few
15 moments ago testifying about the
16 unusual nature of Dr. Roger's
17 operative note?
18 **A Yes.**
19 Q In which it says "we," consistently?
20 **A Yes.**
21 Q You know, Doctor, in your years of
22 practice -- and you told us you were
23 a chief of surgery at some point?
24 **A Yes.**

Page 90

90

- 1 Q You know in your years of practice,
2 from the colleagues you've watched
3 and from the industry and from all
4 the records you've reviewed, that
5 that is a fairly standard way of a
6 surgeon describing an operative
7 procedure, correct?
8 **A I disagree.**
9 Q Would you turn to page 106 -- 188?
10 Do you have that, Doctor?
11 **A Yes.**
12 Q If you go back to page 187, could
13 you tell us what we're looking at
14 here?
15 **A That's Dr. Boyd's operative note.**
16 Q If you look on page 188, as we go
17 down that whole page, we can see --
18 and I'll read through them, if we go
19 down about six lines on the left.
20 It says, "We then created
21 lipocutaneous flaps." Do you see
22 that?
23 **A Yes.**
24 Q Next line is -- it doesn't have

Page 91

91

- 1 anything in it. The line after
2 that.
3 "After we identified the
4 external oblique fascia, we divided
5 the external oblique fascia."
6 It goes on to say, "This
7 allowed us to bring the rectus
8 muscles to the midline. We then cut
9 away the hernia."
10 Down below, "We then took a
11 26 x 36-centimeter mesh."
12 Keep going. "We then
13 tunneled."
14 And down at the bottom even
15 more, "We then extubated the
16 patient," correct?
17 **A Yes, they were operating as a
18 surgical team, both involved in the
19 surgery.**
20 Q Not unusual, correct, Doctor?
21 **A Not to operate as a team. It's -- I
22 haven't seen many operative notes
23 using the "we" that way in my
24 experience.**

Page 92

92

- 1 Q But in your review of this
2 particular case, of the five
3 surgeries we're talking about --
4 well, let's look at another one,
5 page 193.
6 Now, Dr. Del Castillo down
7 at Mass General Hospital. As he
8 describes this, we go down to the
9 third line of his.
10 "We introduced the Hasson
11 trocar."
12 A little further down, "As
13 we advanced the camera we realized -
14 -."
15 Further down, "We attempted
16 to see if we could have a safe
17 window."
18 Down further, "We knew that
19 this would not be safe and
20 accordingly we proceeded to convert
21 to an open procedure."
22 So, in this very case, we
23 see a usual practice, Doctor, of
24 surgeons describing, in their

Superior Court Reporters, Inc.

1 operative notes, their surgeries in
2 this manner, correct?
3 **A Other surgeons have also used that**
4 **terminology to describe the surgical**
5 **team and active participation of all**
6 **members of the team, yes.**
7 **Q** Now, I think you'll get more
8 questions on this later. One way
9 you could distinguish what the "we"
10 means in Dr. Selwyn Rogers'
11 operative note was to have read the
12 transcripts of Dr. Rogers and Dr.
13 Najjar, correct?
14 **A Yes.**
15 **Q** Did you do that?
16 **A Of course.**
17 **Q** And after reading those, you still
18 stand by the opinions you stated in
19 this case about the roles of those
20 two surgeons at that surgery?
21 **A Yes.**
22 **Q** Okay. Now, Doctor, just so we're
23 clear, this is regarding your going
24 back to active hospital privilege.

1 MR. MCTAGUE: May I
2 approach, Your Honor?
3 THE COURT: You may.
4 BY MR. MCTAGUE:
5 **Q** I have a transcript of a deposition
6 -- actually, trial testimony of
7 yours, in the case of Glover v.
8 Partridge. It was in the Nassau
9 Supreme Court, is that on Long
10 Island?
11 **A Yes.**
12 **Q** Okay. And that's your testimony
13 here?
14 **A Yes.**
15 **Q** And when you were being cross
16 examined at that time you were asked
17 a question as you were being pressed
18 about your active privileges.
19 The question was, "And now
20 that you're an attorney, by the way,
21 is your medical practice open at the
22 present time?"
23 At that time, you said, "Not
24 currently. I expect to go back in

1 the near future," correct?
2 **A Which I did in the outpatient**
3 **setting and for the reasons I**
4 **stated.**
5 **Q** Did I read that correctly?
6 **A Yes.**
7 **Q** Okay. Now, this is testimony in --
8 do you see the circle up there?
9 March 19, 2014?
10 **A Yes.**
11 **Q** That attorney then said to you, "And
12 you told jurors in cases just
13 recently down in Brooklyn, before
14 Judge Bunion (phonetic) in November,
15 right -- November 2013 that would
16 be? You testified down there. You
17 said that you've had some offers for
18 four hospitals and you intend to go
19 back to your medical practice."
20 You said, "Yes," correct?
21 **A Yes.**
22 **Q** But you're not going back to a
23 hospital practice until, you say, in
24 November?

1 **A Yeah, I had offers to go back as a**
2 **full-time faculty member, but I**
3 **decided I would hone my skills and**
4 **regain my skills before going back,**
5 **which was, I believe, a prudent**
6 **choice.**
7 **Q** And you would say -- the next
8 question down here is, "You had said
9 the same thing in 2012. You told
10 the jury before Judge Hart
11 (phonetic) in Queens, surgery is
12 your true love and you're going to
13 go back to your medical practice.
14 Didn't you say that?"
15 And your answer was? Your
16 answer was "yes."
17 **A Yes, which I have done.**
18 **Q** Now, you have been doing some
19 operations, as you said, operating
20 out of a surgery center?
21 **A Yes, it's a Quad A certified OR in**
22 **Manhattan and Great Neck, New York.**
23 **Q** And that's located on 903 Park Ave
24 in New York?

Superior Court Reporters, Inc.

1 **A That's one of the places, yes.**
2 **Q** And as you testified before, that's
3 something run by a Dr. Shahar?
4 **A Yes.**
5 **Q** And when you went back to do that
6 work, the work you were doing at
7 that point were minor excisions,
8 correct?
9 **A Yes.**
10 **Q** Liposuction?
11 **A Yes.**
12 **Q** Adipose-derived stem cell therapy,
13 what is that?
14 **A That's taking the patient's own**
15 **natural healing stem cells from the**
16 **fat around the midsection, purifying**
17 **them, and deploying them to treat a**
18 **wide variety of inflammatory and**
19 **degenerative conditions.**
20 **Q** And so, Dr. Shahar runs a
21 surgicenter that's called Natural
22 Look Institute?
23 **A Yes.**
24 **Q** And that is a cosmetic surgery

1 location?
2 **A Correct.**
3 **Q** In addition to this type of surgery,
4 you were also involved in hair
5 transplant surgery?
6 **A Yes.**
7 **Q** And you were involved in the opening
8 of a hair restoration company
9 location on Great Neck, Long Island,
10 correct?
11 **A Yes.**
12 **Q** And when did you start doing that?
13 **A About a year ago.**
14 **Q** Okay. Now, none of the surgeries
15 we've talked about, either a hair
16 transplant surgery or the surgeries
17 at the cosmetic institution would
18 involve honing your skills on
19 operating on bowel or into the
20 abdomen, correct?
21 **A Well, I've also done hernia**
22 **surgeries which often contain bowel,**
23 **but you're correct. I'm honing my**
24 **surgical ability and regaining a**

1 comfort level after being out for a
2 few years and I feel my skills have
3 returned. I'm ready to go back to
4 the hospital setting next month.
5 **Q** Doctor, how many times have you
6 testified in a court?
7 **A 80 times.**
8 **Q** And so, this is not the first time a
9 defense attorney has asked you
10 questions in cross examination,
11 correct?
12 **A Correct.**
13 **Q** You recognize that if you can answer
14 my question yes or no you should do
15 so?
16 **A I'm attempting to do that.**
17 **Q** So, the question I asked, and tell
18 me if you can't answer this yes or
19 no.
20 The types of surgeries we're
21 talking about, Doctor, the hair
22 transplantation, the cosmetic
23 liposuction, and minor excisions,
24 those were not surgeries where you

1 were honing your skills with regard
2 to surgery on the small bowel or
3 large bowel, correct?
4 **A That's correct. I'm not operating**
5 **on intestine.**
6 **Q** And you're still not operating on
7 that yet?
8 **A Not for two weeks.**
9 **Q** Now, you have done this medical
10 legal work for a number of years,
11 correct?
12 **A Yes.**
13 **Q** Now, through this company called
14 National Medical Consultations, an
15 attorney such as Mr. Grace can match
16 himself up with an expert, correct?
17 **A I assume so. I don't know exactly**
18 **how it runs, but --**
19 **Q** Well, you've been with them for a
20 number of years, correct?
21 **A Yes.**
22 **Q** And how many cases did you tell us
23 you received from them?
24 **A About 20.**

Superior Court Reporters, Inc.

Sheet 26 Page 101

101

- 1 Q And every one of those cases has
2 been a case to review on behalf of a
3 patient, correct?
4 **A Yes.**
5 Q Now, you testified today that you
6 would receive 6,000 dollars for your
7 trial appearance, correct?
8 **A Yes.**
9 Q You're aware that the payment for
10 your time here, to that National
11 Medical Consultants, will be 7,000
12 for your time here, correct?
13 **A I'm not aware of that.**
14 Q Have you ever seen their posted fee
15 agreement and schedule?
16 **A I have not.**
17 MR. MCTAGUE: May I
18 approach, Your Honor?
19 THE COURT: You may.
20 BY MR. MCTAGUE:
21 Q So, on their website, we've been
22 through this in the court, for their
23 website they have trial fees, 7,000
24 dollars, correct?

Page 102

102

- 1 **A Yes.**
2 Q So, an attorney such as Mr. Grace
3 would pay a 1,000-dollar premium to
4 National Medical Consultants to have
5 tracked you down to obtain you to
6 testify here, correct?
7 **A It appears so, yes.**
8 Q Now, you have also, in the past,
9 advertised in other areas for your
10 expert work, correct?
11 **A I have.**
12 Q You have yourself put out
13 advertisements in a placed called
14 Seek or a literature called "Seek" -
15 -
16 **A Yes.**
17 Q -- so they can match you up? After
18 you stopped your medical practice,
19 back in 2010, 90 percent of your
20 income came from your medical legal
21 work, correct?
22 **A It remained the same, but I didn't**
23 **have the surgical income, but it**
24 **didn't increase. I think -- so,**

Page 103

103

- 1 probably that might be true.
2 Q Well, you've testified before that
3 that's true, haven't you?
4 **A I believe so, yes.**
5 Q Well, you know so, correct? You
6 have testified before, in answering
7 that very same question that after
8 you stopped your medical practice 90
9 percent of your income came from
10 your medical legal work, correct?
11 **A Yes, because I didn't have the**
12 **surgical income. I wasn't active at**
13 **that time.**
14 Q You are licensed to practice in what
15 states?
16 **A New York only.**
17 Q Is that it?
18 **A Yes.**
19 Q And that's right next to New Jersey
20 as well --
21 **A Yes.**
22 Q Obviously, New York and New Jersey?
23 **A Yes.**
24 Q In 2007 you started law school?

Page 104

104

- 1 **A Yes.**
2 Q And law school is typically three
3 years. Did you graduate in 2010?
4 **A Yes.**
5 Q That's about the same time you
6 stopped your medical practice?
7 **A Yes.**
8 Q And for a while you were a
9 practicing lawyer, correct?
10 **A Yes.**
11 Q Now, Doctor, going to the case we're
12 talking about here and talking about
13 general anatomy, is it fair to say
14 that the appendix, which sits at the
15 end of the cecum of the bowel, has a
16 variation in its size, where it
17 comes off the cecum, whether it's
18 retrocecal or some other way,
19 there's a variation there, correct?
20 **A Yes.**
21 Q And in addition, can we agree that
22 there's variation in the anatomy
23 with regard to where the terminal
24 ileum will enter the cecum, correct?

Superior Court Reporters, Inc.

Sheet 27 Page 105

105

- 1 A To a lesser extent, yes.
2 Q So, generally, how big is the cecum
3 if we were to take it all out and
4 look at it?
5 A It can vary, but it's usually
6 somewhere between five and seven
7 centimeters across.
8 Q You know what it was in Mr.
9 Marciniak, right?
10 A I would have to -- it actually can
11 be bigger than five to seven
12 centimeters. It can be maybe --
13 Q I can't. I'm sorry, I just can't
14 hear you.
15 A Yeah, I think I underestimated the
16 size. I haven't been asked that
17 question before. It's -- it can be,
18 really, up to ten centimeters,
19 sometimes even a little larger,
20 because it's the largest part of the
21 small bowel.
22 Q But it wasn't larger in this case,
23 correct?
24 A I would have to check the exact

Page 106

106

- 1 dimensions.
2 Q I just want to be sure. One of the
3 things you've testified here in
4 criticism of Dr. Rogers is he made
5 an incision that was too small,
6 originally, to give him the access
7 he needed to this cecum in Mr.
8 Marciniak, correct?
9 A Not to the cecum, to free up the
10 cecum from the dense adherence
11 behind it. It's a difference.
12 Q Doctor, when you make a McBurney
13 incision in the skin of a patient,
14 you're trying to get it over the
15 place where the cecum will lay
16 underneath your incision, correct?
17 A Yes.
18 Q Because the first step in this
19 procedure, you're making an
20 operative incision to create a
21 surgical field to view the cecum,
22 correct?
23 A Yes.
24 Q You know, if you looked, how big and

Page 107

107

- 1 wide Mr. Marciniak's cecum was,
2 correct?
3 A Yes.
4 Q How big was it?
5 A I'll just check the pathology to
6 give you an accurate answer.
7 Q Well, I can save you the time,
8 Doctor. In this case where the
9 sizes of these things will matter
10 because of incision sizes, the width
11 of his cecum was 4.5 centimeters.
12 Does that sound correct? Page 26.
13 A Probably not correct because the --
14 once you go to pathology you lose
15 like 25 percent or so of the normal
16 size, you get contraction. So, it's
17 not really that accurate, but it
18 says 5 by 4.5 centimeter.
19 Q It says 5 by length, correct?
20 A Yes.
21 Q And 4.5 by width, correct?
22 A Yes.
23 Q So, are you aware that there was a
24 pathologist who testified in this

Page 108

108

- 1 case?
2 A Yes.
3 Q He would know about what happens to
4 a specimen when it gets into his
5 laboratory, correct?
6 A I would assume so.
7 Q Would you agree with him that the
8 size of Mr. Marciniak's cecum was 5
9 by 4.5?
10 A Well, that's what it says.
11 Q Now, Doctor, in this particular
12 case, you had indicated that a
13 doctor can perform either an open or
14 a laparoscopic appendectomy, and you
15 talked about surgeons preferred
16 method, correct?
17 A Yes.
18 Q A patient can also have input into
19 how his surgery will be performed,
20 correct?
21 A Yes.
22 Q Are you aware of Mr. Marciniak's
23 preference in this case?
24 A I don't think I looked at that

Superior Court Reporters, Inc.

1 because I have no criticism that it
2 was done open.
3 Q Okay.
4 A So, I haven't looked at it.
5 Q So, in this case, it was elected to
6 do an open appendectomy at the
7 request of Mr. Marciniak and Dr.
8 Rogers was comfortable doing that.
9 That's appropriate, correct?
10 A Yes.
11 Q Now, you believe, actually, that an
12 open appendectomy, that procedure is
13 better and safer than the
14 laparoscopic approach?
15 A It can be, yes.
16 Q You testified it's better and safer
17 before, haven't you?
18 A Well, it generally has less
19 complications, in my opinion, but
20 both are within standard of care.
21 Q Doctor, you have testified, in the
22 past, that an open procedure is
23 safer than the laparoscopic
24 approach, correct?

1 A I thought I testified regarding
2 laparoscopic ventral hernia repairs.
3 I'm not aware that I testified a
4 laparoscopic appendectomy is more
5 dangerous or anything.
6 Q Again, maybe I'm not speaking loud
7 enough. I didn't say anything about
8 dangerous. I said, you have
9 testified, Doctor, that an open
10 appendectomy is considered by you to
11 be better and safer than a
12 laparoscopic procedure, correct?
13 A I don't remember that testimony, but
14 I think open appendectomy is a good
15 method and within standard of care.
16 I have no criticism for Dr. Rogers
17 doing an open.
18 MR. MCTAGUE: May I
19 approach, Your Honor?
20 THE COURT: You may.
21 BY MR. MCTAGUE:
22 Q A case called Dungan v. McGee,
23 deposition of David A. Mayer back in
24 2008.

1 A Okay.
2 Q And on page eight you were asked --
3 the question was: "Just generally,
4 if you can, for me, how often do you
5 presently perform laparoscopic
6 appendectomies?"
7 Answer: "I probably do
8 about ten a year. Because of the
9 majority of the appendectomies I do,
10 I do my incision using the open
11 technique, which I personally
12 believe is a better technique and
13 safer, but there is still a group of
14 patients that insist on
15 laparoscopic, so probably around ten
16 per year laparoscopically," correct?
17 A Yes.
18 Q Now, Doctor, in addition to having
19 performed, 2010 and before,
20 appendectomies both open and by
21 laparoscopic approach, had you been
22 involved in other operations that
23 involved operations in which you
24 cut, maneuvered, or evaluated the

1 bowel; small intestine or large
2 bowel?
3 A Yes.
4 Q And those would be open or
5 laparoscopic abdominal surgeries
6 where you're dealing with the bowel?
7 A Yes.
8 Q Okay. Now, are you aware of the
9 Tencel properties or the strength of
10 bowel, generally?
11 A Generally, yes.
12 Q It's part of something that you're
13 taught, even as far back as your
14 residency with regard to strengths
15 of tissue that you as a surgeon
16 would be operating on, correct?
17 A Yes.
18 Q Now, would we agree that -- or can
19 we agree, Doctor, that bowel
20 actually; small intestine and large
21 bowel, actually has some pretty
22 significant strength and can be
23 maneuvered quite easily and with
24 traction during operations?

Superior Court Reporters, Inc.

Sheet 29 Page 113

113

- 1 **A General traction, yes.**
2 Q Okay. And general traction has a
3 wide range to define it and I'll ask
4 you what you think. But you've been
5 telling this jury, on at least three
6 occasions during your testimony with
7 Mr. Grace, about the delicate nature
8 of bowel, correct?
9 **A Of course, yes.**
10 Q Actually, bowel has some fairly
11 decent strength and integrity,
12 doesn't it?
13 MR. GRACE: Object to the
14 form.
15 THE COURT: Overruled.
16 **A I disagree. It's a delicate**
17 **structure.**
18 Q Have you, Doctor, in the course of
19 keeping up with the literature and
20 in the course of continuing medical
21 education, had -- kept up with
22 studies that show how much force is
23 necessary to tear or disconnect
24 bowel?

Page 114

114

- 1 **A I haven't seen such studies.**
2 Q Never? Would it surprise you,
3 Doctor, that there are studies that
4 suggest that the amount of pressure
5 is upwards of 130 pounds per square
6 inch to defeat the integrity of
7 bowel?
8 **A I haven't seen studies that measured**
9 **that.**
10 Q I'm not talking about a study. I
11 said, would it surprise you that
12 there are studies that said that the
13 amount of force necessary to tear a
14 bowel is about 130 pounds per square
15 inch?
16 **A Then you can only imagine how much**
17 **pressure and tension Dr. Rogers put**
18 **on the bowel.**
19 Q So, we'll get to that, Doctor.
20 Again, do you know what my question
21 was? Could you repeat it for me?
22 **A My answer stands. I'm not aware of**
23 **such studies.**
24 Q That wasn't the question. Would it

Page 115

115

- 1 surprise you, Doctor, that there are
2 studies that show that up to 130
3 pounds per square inch of pressure
4 is necessary to break the integrity
5 or tear bowel?
6 **A There are no such valid studies.**
7 **Surgeons are not engineers. We**
8 **don't perform studies such as that.**
9 Q Doctor, in the course of your
10 teachings of surgery, you have, I'm
11 sure -- well, maybe you haven't.
12 Are you aware of journals that deal
13 with biomechanics in medicine?
14 **A There are some.**
15 Q There are some and they're published
16 and they're peer reviewed and
17 they're respected, correct?
18 **A I'm not sure of that. They're not**
19 **in the mainstream surgical**
20 **literature.**
21 Q But never during the courses you've
22 taught have you talked about the
23 integrity and strength of bowel?
24 **A That's not a topic that I've taught.**

Page 116

116

- 1 **The bowel is a delicate structure**
2 **that has to be treated with care and**
3 **respect.**
4 Q Doctor, how much force do you
5 believe would be necessary to use to
6 avulse a healthy terminal ileum off
7 a healthy cecum?
8 **A Grossly in excess of the gentle**
9 **traction that is proper surgical**
10 **technique.**
11 Q If proper traction, gentle traction
12 that is proper surgical technique
13 was applied, is there any way that a
14 terminal ileum can avulse off the
15 cecum unless that tissue in the
16 cecum and terminal ileum is not
17 healthy?
18 **A If the surgeon doesn't free up the**
19 **cecum from the retroperitoneum then**
20 **injuries can occur, but with this**
21 **ileum being basically normal, it's**
22 **impossible that the injury could**
23 **have occurred with gentle traction.**
24 To a medical certainty and a

Superior Court Reporters, Inc.

1 scientific certainty, gentle
2 traction was not applied to that
3 ileum.
4 Q Doctor, let me ask you this. What
5 is your understanding of the actions
6 of Dr. Rogers at the time the
7 terminal ileum avulsed? What was he
8 doing with his hands at that time?
9 A He had his fingers around the ileum
10 and a Babcock clamp on the cecum and
11 he was lifting up against
12 resistance, trying to deliver the
13 appendix when the cecum was not
14 freed up from the back of the
15 abdomen.
16 Q Now, Doctor, let me ask you this.
17 Is it your testimony that if there
18 were adhesions and connections
19 behind the cecum, and that a surgeon
20 with a Babcock clamp on the cecum is
21 moving it up into the surgical
22 field, and had his fingers on the
23 terminal ileum, that that normal
24 gentle traction, because the cecum

1 had some attachments, if applied,
2 would tear apart the bowel?
3 A Not generally. So, we know that
4 much more than normal gentle
5 traction had to apply.
6 MR. MCTAGUE: Objection.
7 Your Honor, may I have instruction
8 for the doctor to answer my
9 questions?
10 THE COURT: Just try to
11 answer the question, Doctor, that's
12 asked.
13 DR. MAYER: I was trying my
14 best, sir.
15 THE COURT: Okay. No, try
16 better, please.
17 BY MR. MCTAGUE:
18 Q Okay. I'll do it so it's very
19 clear. In a circumstance where you
20 say that there was partial adherence
21 of the cecum to the back of the
22 abdominal wall, if a surgeon has a
23 Babcock clamp in his left hand, is
24 applying upward traction in the

1 usual practice, and in his fingers
2 of his right hand is holding the
3 terminal ileum, and bring them up in
4 the usual fashion, do you have an
5 opinion that that bowel would
6 separate if it's healthy bowel?
7 A The minute you feel traction, any
8 resistance, you have to immediately
9 stop, but of course --
10 MR. MCTAGUE: Objection,
11 again, Your Honor.
12 A Of course, gentle traction would not
13 cause the injury. This was gross
14 ripping of bowel off of the cecum.
15 This was not a gently performed
16 procedure.
17 And to say otherwise flies
18 in the face of all the evidence that
19 I've looked at.
20 Q Now, Doctor, going to that evidence
21 then, you have described what the
22 opening is in a McBurney excision,
23 right -- incision, correct?
24 A Yes.

1 Q So that when Dr. Rogers is going to
2 bring up the cecum into the surgical
3 field, what is the distance he needs
4 to bring that cecum up to get it
5 into the surgical field?
6 A It varies person to person. It's
7 probably, you know, four or five
8 inches or so.
9 Q So, let me get this straight.
10 There's an incision, a McBurney
11 incision -- well, let me ask it this
12 way. In the hundreds of open
13 appendectomies you've performed, a
14 good portion of the time at least, a
15 third according to what you say,
16 that appendix is not retrocecal, but
17 just out at the end of the cecum,
18 correct?
19 A About a third, yes.
20 Q And there are times when you open
21 that McBurney incision and the
22 cecum, which is not always fully
23 attached to the back of the
24 abdominal wall, is right there and

Superior Court Reporters, Inc.

Sheet 31 Page 121

121

- 1 the appendix and the cecum just
2 appear right into the surgical
3 field, correct?
4 **A It can occur when there isn't a**
5 **retrocecal adherence, yes.**
6 **Q** Again, Doctor, you need to stay with
7 my question. In those cases, where
8 you have an appendix that's not
9 retrocecal, understand? There are
10 times when you open that McBurney's
11 incision and that cecum with the
12 appendix is right there, popped up
13 into the surgical field, correct?
14 **A Very rarely, but I've seen that**
15 **occur.**
16 **Q** In this case it was retrocecal,
17 correct?
18 **A Yes.**
19 **Q** But that particular cecum is laying
20 how far below the surface of the
21 skin?
22 **A It can vary. If it's tethered in**
23 **the pelvis from retroperitoneal**
24 **adhesions, it can be a number of**

Page 122

122

- 1 **inches from the skin.**
2 **Q** Do you believe, in your opinion here
3 today as a foundation, that when Dr.
4 Rogers had to bring that cecum up
5 into the wound that he had to move
6 it four or five inches?
7 **A At least a few inches because this**
8 **was not a free-floating cecum and**
9 **appendix. This was retroperitoneum.**
10 **Q** Doctor, you've just testified that
11 this was a lot of force applied to
12 move two objects, so I want to get
13 the basis of that opinion.
14 Let me ask you this again.
15 How much distance do you think Dr.
16 Rogers was going to move that, or
17 trying to move, that cecum when he
18 applied traction?
19 **A It's probably three or more inches.**
20 **It's a distance.**
21 **Q** And when he was -- he had a Babcock
22 clamp on the cecum and his left hand
23 was holding that, correct?
24 **A Yes.**

Page 123

123

- 1 **Q** How was he holding the terminal
2 ileum?
3 **A Between his fingers.**
4 **Q** How?
5 **A Like scissoring it. He had one**
6 **finger behind it --**
7 **Q** So, like this?
8 **A I believe so, yes.**
9 **Q** So, if the terminal ileum -- I used
10 the wrong hand -- is coming into the
11 cecum like here, Dr. Rogers -- with
12 a gloved hand?
13 **A Of course, yes.**
14 **Q** Has his two fingers on the terminal
15 ileum?
16 **A Yes.**
17 **Q** And is moving that up three inches?
18 **A Or however many inches it was. It's**
19 **variable person-to-person. He**
20 **didn't quantitate in his operative**
21 **note how far the cecum was from the**
22 **skin.**
23 **Q** You didn't answer me, Doctor. How
24 far is Dr. Rogers going to move --

Page 124

124

- 1 **A Well, I think I've given you a**
2 **range, three to five inches.**
3 **Q** So, three to five inches?
4 **A Yes.**
5 **Q** And with the Babcock clamp in one
6 hand and the terminal ileum held
7 between gloved fingers --
8 **A Yes.**
9 **Q** -- to bring it up to five inches,
10 you think he applied tremendous
11 force in separating them?
12 **A I said grossly in excess of general**
13 **force, I didn't use the word**
14 **tremendous.**
15 **Q** How was he bringing up the cecum and
16 the terminal ileum? Were they in
17 conjunction together coming up or
18 was he applying force in different
19 directions? How was he doing it?
20 **A You apply it in conjunction with the**
21 **Babcock and with the fingers.**
22 **Q** So, when he's applying this
23 traction, he has a Babcock clamp in
24 his fingers and he's lifting this

Superior Court Reporters, Inc.

1 way three to five inches when this
2 avulses?
3 **A The cecum isn't going anywhere**
4 **because it's stuck in the back, so**
5 **he's trying to pull it out from the**
6 **ileum instead of freeing it up**
7 **properly.**
8 Q How did Dr. Rogers, in his operative
9 note, describe the cecum and its
10 attachment?
11 **A He said partially densely adherent.**
12 Q What do you think partially means?
13 **A A part of it is stuck in the back.**
14 Q Right. So, it's not all stuck down,
15 correct?
16 **A It was stuck down pretty good to**
17 **cause --**
18 Q Doctor, let me ask you this. He
19 used a particular word, partially,
20 to describe what he found, correct?
21 **A He did.**
22 Q So, it wasn't all adherent at the
23 time, correct?
24 **A Not the entire cecum which --**

1 Q Right. And then after he found
2 that, what he did with two fingers
3 of his hand is broke down bluntly
4 the adhesions behind the cecum,
5 making progress to bring it up into
6 the wound, correct?
7 **A Well, that's what he said years**
8 **later during litigation and**
9 **interrogatories and deposition, it's**
10 **not in the operative note.**
11 Q Okay. So, just so I'm clear on
12 this, is it your thought process
13 then, as part of your basis, that
14 Dr. Selwyn Rogers is misrepresenting
15 what he did?
16 **A I'm saying his story changed from**
17 **the operative note dictated on the**
18 **day of surgery to when he did his**
19 **deposition years later after he had**
20 **been sued. I'm making no**
21 **conclusions. That's not my role as**
22 **an expert.**
23 Q How did it change with regard to his
24 freeing up the cecum?

1 **A He then described doing dissection**
2 **in the retroperitoneal area of the**
3 **cecum and freeing up the cecum,**
4 **which is a good technique. He**
5 **described that in a deposition and**
6 **interrogatories years later, but**
7 **it's not in the operative note.**
8 Q I'll get to that.
9 **A The only freeing of the cecum in the**
10 **operative note --**
11 THE COURT: One second,
12 Doctor.
13 MR. GRACE: He was answering
14 the question.
15 MR. MCTAGUE: My motion is
16 to strike that answer after he did
17 answer my question, Your Honor.
18 THE COURT: That's stricken,
19 jurors.
20 BY MR. MCTAGUE:
21 Q Now, Doctor, just with regard to
22 your experience, both personal and
23 otherwise, have you ever had a
24 circumstance where you've conducted

1 the operation on a non-ruptured, a
2 non-perforated appendix in the open
3 manner and as a result of the
4 inflammatory process, even though
5 unruptured, had to remove any colon
6 or terminal ileum during your
7 appendectomy?
8 **A I've never personally had that**
9 **experience, no.**
10 Q Have you ever had a case where your
11 operation revealed inflammatory
12 processes that impacted the wall of
13 the cecum and the terminal ileum?
14 **A Only a missed appendix where the**
15 **patient got appendicitis, it wasn't**
16 **diagnosed, and then at a later**
17 **point, days or weeks later, you went**
18 **in and there was an inflammatory**
19 **mass, sometimes you're in a position**
20 **to have to do that, not with an**
21 **acute appendix operated promptly. I**
22 **haven't had that.**
23 Q Okay. Now, Doctor, one other way
24 that a terminal ileum can avulse off

Superior Court Reporters, Inc.

Sheet 33 Page 129

129

1 the cecum, other than from excessive
2 traction, which I understand is your
3 opinion, is that the tissue is
4 impacted and the injury occurs
5 despite the application of the
6 typical traction. That's another
7 possibility, correct?

8 **A I think I said that earlier. If it**
9 **was gangrenous or there was a**
10 **ruptured appendix that was sitting**
11 **around and it was involved in an**
12 **abscess and the tissue was**
13 **destroyed, you know, then it could**
14 **fall apart, you know, with normal**
15 **tension, but that wasn't the case**
16 **here.**

17 **Q** Doctor, again, my question was
18 simple. Another way that an
19 avulsion could occur between a
20 terminal ileum and the cecum is, for
21 whatever reason, that the tissues,
22 the integrity of the tissues, is
23 affected and it's not the normal
24 strength, correct?

Page 130

130

1 **A If that --**

2 **Q** It's a yes or a no, correct?

3 **A Yes, I agree with that.**

4 **Q** Now, just so I'm clear on this
5 before we get to the actual
6 operation, if I correctly understand
7 it, this partially adherent cecum,
8 which is being held with a Babcock
9 clamp, and the terminal ileum
10 between the two fingers of Dr.
11 Rogers is being brought up into the
12 field in this direction, correct,
13 together?

14 **A Yes.**

15 **Q** And at that point there's an
16 avulsion, correct?

17 **A When he was pulling on the ileum he**
18 **pulled the ileum right off the**
19 **cecum, yes.**

20 **Q** Now, if you could turn to page 20 --
21 actually, 19 and 20 of this book.
22 Are you set, Doctor?

23 **A Yes.**

24 **Q** On page 20, if we look down at the

Page 131

131

1 bottom, this indicates that it is
2 dictated at 1:24:21 a.m. on August
3 23rd; is that correct? Page 20.
4 I'm sorry if I brought you to the
5 wrong place.

6 **A Yes.**

7 **Q** So, then approximately an hour after
8 the surgery these are the words
9 dictated into a machine by Dr.
10 Selwyn Rogers about the events of
11 the surgery, correct?

12 **A Yes.**

13 **Q** Now, in this particular case, if we
14 go look at page 19, Dr. Rogers, as
15 he describes this surgery, begins
16 his op note with indications for
17 procedure. Do you see that?

18 **A I do.**

19 **Q** And he notes, as you go down, a
20 reference to the abdominal CT scan,
21 correct?

22 **A Yes.**

23 **Q** And Dr. Rogers, right in his note,
24 indicates that that CT scan is

Page 132

132

1 consistent with acute non-perforated
2 appendicitis, correct?

3 **A Yes.**

4 **Q** Have you ever looked at the films
5 themselves?

6 **A Yes.**

7 **Q** You'd agree that that was -- Dr.
8 Rogers is correct, as he notes in
9 his procedure, that this was an
10 acute nonperforated appendicitis
11 based on that CAT scan?

12 **A Yes.**

13 **Q** Now, we then turn to page 20. If
14 you go down a little more than
15 halfway down the page -- and it may
16 be easy to reference, there's a
17 section where there's an underline
18 point. This was done, then a blank.

19 **A Yes.**

20 **Q** If we go about six or seven lines
21 down it starts with, "With this we
22 were able to easily mobilize the
23 cecum into the wound"?

24 **A Yes.**

Superior Court Reporters, Inc.

Sheet 34 Page 133

133

- 1 Q Okay. And it then goes on to say
2 "With an attached acute
3 nonperforated appendicitis,"
4 correct?
5 **A Yes.**
6 Q What Dr. Rogers is saying right
7 there, that when he sees it in front
8 of him, it's a nonperforated
9 appendix, correct?
10 **A Yes.**
11 Q And he puts that in the medical
12 record of this patient at the South
13 Shore Hospital, correct?
14 **A Yes.**
15 Q Now, if we go up on top of that on
16 procedure in detail, if you read --
17 if we read down a little bit, it has
18 a typical presentation at the
19 beginning about prepping a patient
20 and getting him ready, draping.
21 It then says, "We made a
22 classical right lower quadrant
23 McBurney incision," correct?
24 **A Yes.**

Page 134

134

- 1 Q And based on your thorough review of
2 the deposition transcripts, who made
3 that incision?
4 **A Probably the resident made it.**
5 Q Okay. And then he goes on to say --
6 so, that's the -- the skin is
7 incised, correct?
8 **A Yes.**
9 Q And the McBurney, I think you said,
10 of three inches on the skin level?
11 **A It doesn't say, but that's typical.**
12 Q Okay. I know it doesn't say, but
13 you told the jury typical about
14 three inches?
15 **A Something like that.**
16 Q Okay.
17 **A Yeah, it could be two to three
18 inches.**
19 Q Okay. And then there's a
20 discussion, "Subcutaneous tissue was
21 split with electrocautery and fascia
22 was incised," correct?
23 **A Yes.**
24 Q And you told us a little bit about

Page 135

135

- 1 that in your direct examination.
2 These are the layers below the skin,
3 then opened up to provide a view
4 inside, correct?
5 **A Yes.**
6 Q Okay. And is it fair to say that
7 when you're looking in you're
8 looking in sort of, as a surgeon,
9 from the skin incision into sort of
10 a funnel, that it opens up
11 underneath the level of the skin?
12 **A Yes.**
13 Q Okay. And then it goes on to -- it
14 keeps going. "We incised the
15 external oblique muscle and then
16 incised the internal oblique
17 fascia."
18 So, they're still opening up
19 down below to get to the cecum to
20 open up so we'd have this funnel
21 from the skin level down to the
22 cecum, correct?
23 **A Yes.**
24 Q And then it says, "We entered into

Page 136

136

- 1 the abdominal cavity and then
2 attempted to mobilize the cecum into
3 the wound," correct?
4 **A Yes.**
5 Q So, in this description, these
6 surgeons are describing that they've
7 incised the skin, they're then going
8 down through the fascia and muscle
9 layers to create this surgical field
10 as it where, this opening that they
11 can view what they hope is the cecum
12 and appendix, correct?
13 **A Yes.**
14 Q It goes on to say, "The cecum was
15 partially densely adherent." And
16 you've talked a little bit about
17 that, correct?
18 **A Yes.**
19 Q Now, you indicated that the
20 "densely" meant that there had been
21 a lot of inflammation in this case,
22 behind the cecum, and that was
23 caused by the appendix, correct?
24 **A Yes.**

Superior Court Reporters, Inc.

Sheet 35 Page 137

137

- 1 Q So, you had that diagram -- and I
2 think we probably have it sketched
3 in our mind by now. I could bring
4 it out again if you want.
5 But for this particular
6 patient, his appendix came off, at
7 some point, near the bottom of the
8 cecum and then was tucked up
9 underneath the cecum, correct?
10 A Yes.
11 Q Because that appendix was laying
12 between the retroperitoneum --
13 A Correct.
14 Q -- and the cecum? It's in between
15 them?
16 A Yes.
17 Q In contact with both, correct?
18 A Yes.
19 Q So, this inflammatory process of the
20 appendix is causing this development
21 of whatever happens to cause the
22 cecum to become adherent to that
23 back of the abdominal wall, correct?
24 A Yes.

Page 138

138

- 1 Q This is a process that goes over
2 hours and days?
3 A Yes.
4 Q So, that inflammatory process from
5 this acute appendicitis is causing
6 this inflammatory process behind the
7 cecum and against the abdominal
8 wall, correct?
9 A Correct.
10 Q Dr. Rogers then says, "We were able
11 to feel a firm and indurated
12 retrocecal appendix." What does
13 that mean?
14 A He was able to palpate the appendix,
15 inflamed behind the cecum.
16 Q So, that what one of these surgeons,
17 or perhaps both, were able to do was
18 put their fingers into the wound to
19 get behind the cecum and they could
20 feel that appendix under there,
21 right?
22 A Yes.
23 Q So, that would mean that in order to
24 feel it, they can get their fingers

Page 139

139

- 1 under it, which means as they're
2 doing that some of these attachments
3 have to be coming loose or maybe
4 they're not even attached at that
5 level, correct?
6 A Well, it doesn't necessarily mean
7 their fingers are underneath. You
8 can feel it through the adhesions
9 and the inflammation.
10 Q Okay.
11 A And it's not -- that doesn't mean to
12 say they were dissecting anything
13 when they felt.
14 Q Okay. What it means is they're able
15 to get their fingers behind the
16 cecum enough to feel a firm and
17 indurated appendix, correct?
18 A Not necessarily. You don't -- you
19 don't have to be behind, you can
20 just feel through the back wall of
21 the cecum, you can feel the
22 appendix.
23 Q But then you can feel --
24 A It's not clear --

Page 140

140

- 1 Q -- the back wall of the cecum,
2 correct?
3 A Yes.
4 Q And the appendix is laying with it,
5 correct?
6 A Yes.
7 Q This record indicates they can feel
8 the appendix, correct?
9 A That's what it said.
10 Q So, they are behind the cecum and
11 they are feeling the appendix,
12 correct?
13 A Or a portion of it, it's not clear
14 exactly what was done at that point.
15 Q Okay. It's clear to you, it's not
16 plausible that they could feel the
17 appendix through the back wall of
18 the cecum at this point, correct?
19 A No, but you feel under the back wall
20 of the cecum, but it doesn't mean
21 you're doing the proper dissection.
22 You're just scouting out what the
23 problem is and then a bell should go
24 off, this is adherent, I need to

Superior Court Reporters, Inc.

1 enlarge the incision and do a proper
2 dissection.
3 Q Doctor --
4 A **It's like a scouting mission.**
5 Q So, this scouting mission, as it
6 were, with the fingers of the
7 surgeon --
8 A **Yeah.**
9 Q -- allowed them to be able to get
10 those fingers underneath the cecum
11 to feel a firm and indurated
12 appendix, correct?
13 A **Yes.**
14 Q So, by definition, that means they
15 were able to fit their fingers
16 somewhere underneath the cecum and
17 between that retroperitoneum or back
18 wall, to be able to feel this
19 appendix, which was how long?
20 A **Seven centimeters.**
21 Q If that cecum is attached to the
22 back wall of the abdomen, they can't
23 get their fingers into that plane to
24 feel that, can they?

1 A Well, you might be able to get it
2 under enough to at least identify
3 the problem in your scouting portion
4 of the operation. It doesn't mean
5 you're freeing the cecum up.
6 Q Okay. But it could mean that,
7 correct?
8 A **No, it's obviously not, because then
9 the injury wouldn't have occurred.
10 So, that's not possible.**
11 Q Okay. And that's because with any
12 attachments on the back of the
13 cecum, if the normal operative
14 pressures are done in the direction
15 as they were put by Dr. Rogers,
16 you're telling us the bowel would
17 never have separated?
18 A **I'm telling you he used -- did not
19 use the normal gentle pressure.**
20 Q Can you answer that yes or no,
21 Doctor? By the way, do you know
22 Selwyn Rogers?
23 A **I do not.**
24 Q Have you heard of Selwyn Rogers?

1 A **I have not.**
2 Q Now, he then goes on to state, "We
3 were able to mobilize the terminal
4 ileum up to the wound as we were
5 mobilizing the cecum to the wound,
6 retraction to the terminal ileum,"
7 correct?
8 A **Yes.**
9 Q Okay. And at this point, the
10 terminal ileum was avulsed off the
11 cecum, correct?
12 A **Yes.**
13 Q Now, at this point, as we go on,
14 just to be clear, I believe you
15 testified that everything that Dr.
16 Rogers, and for that matter Dr.
17 Najjar, did was perfectly
18 appropriate.
19 And the fact that there was
20 a breakdown of an anastomosis had
21 nothing to do with improper care or
22 technique, correct?
23 A **Up to the point of the tear --
24 avulsion.**

1 Q After the point of the tear.
2 A **Yeah, after the point of the tear, I
3 have no criticism --**
4 Q Okay.
5 A **-- of Dr. Rogers or Najjar.**
6 Q Now, you have told this jury that
7 you believe that there's no
8 indication here that there was a
9 problem with the terminal ileum or
10 the cecum, correct?
11 A **Not of any medical significance.
12 There was some inflammation at the
13 base of the appendix.**
14 Q Doctor, did you tell the jury what I
15 just asked you if you told them?
16 Let me ask again.
17 You told the jury there's no
18 evidence in these medical records of
19 a problem with the terminal ileum or
20 the cecum, correct?
21 A **I think I said terminal ileum. The
22 cecum had an inflamed appendix on
23 it.**
24 Q Now, as we go down here, Dr. Rogers

Superior Court Reporters, Inc.

1 says he uses a -- it says, "A five-
2 millimeter linear cutter used to
3 transect the terminal ileum
4 proximally." What does proximally
5 mean?
6 **A It means upstream of the tear --**
7 **Q Okay.**
8 **A -- toward the more -- if you're**
9 **moving along the small bowel toward**
10 **the stomach.**
11 **Q** So, if we were considering how the
12 food, or food as it's broken down,
13 passes through the bowel, it comes
14 down the intestine, will eventually
15 get dumped into the cecum?
16 **A Yes.**
17 **Q** And then starts this process through
18 the large bowel, correct?
19 **A Yes.**
20 **Q** So, proximal to the end of the
21 terminal ileum would be further
22 upstream a bit, as it were, correct?
23 **A Yes.**
24 **Q** And we've heard some testimony with

1 this, but how much small bowel might
2 the human being have in their body?
3 **A About 20, 21 feet.**
4 **Q** Dr. Rogers went up and he cut with
5 that cutter -- he transected the
6 terminal ileum so that, at that
7 point, he has the sample that will
8 go to pathology, correct?
9 **A Yes.**
10 **Q** His op note is page 20, Doctor. At
11 that point, Dr. Rogers says, "This
12 was done in the viable area of the
13 terminal ileum." What does viable
14 mean?
15 **A Good supply and it looked healthy.**
16 **Q** And where was that in comparison to
17 where the terminal ileum had
18 avulsed? Where was this area where
19 the terminal ileum looked to Dr.
20 Rogers to be healthy with a good
21 supply?
22 **A It was about 21 centimeters away**
23 **from it.**
24 **Q** About eight inches, correct?

1 **A That's about right.**
2 **Q** "We then sent the terminal ileum for
3 specimen," and I'll ask you about
4 that in a minute as well. It then
5 says, "We then turned our attention
6 to mobilize the cecum up to the
7 wound after extending the incision
8 laterally and medially. With this
9 we were able to easily mobilize the
10 cecum into the wound with the
11 attached acute nonperforated
12 appendix," correct?
13 **A Yes.**
14 **Q** So, can you tell me where in here
15 Dr. Rogers describes that he then
16 did any more blunt dissection behind
17 the cecum to free it up?
18 **A The op note doesn't describe blunt**
19 **dissection either then or even**
20 **before he caused the tear. That's**
21 **the point. He missed the whole --**
22 **he violated patient safety rules by**
23 **not freeing the cecum before he**
24 **pulled and tore it, the ileum.**

1 **Q** Doctor, I'll repeat my question.
2 **MR. MCTAGUE:** Your Honor,
3 may I --
4 **A I believe I answered it very**
5 **specifically, but not to your**
6 **liking, sir.**
7 **Q** So, let me ask -- I'll ask you this.
8 **THE COURT:** Listen to the
9 question. Go ahead, Mr. McTague,
10 ask the question. Doctor, wait for
11 the question and then answer just
12 the question that's asked.
13 **MR. MCTAGUE:** Sorry, Your
14 Honor.
15 **THE COURT:** Go ahead.
16 **BY MR. MCTAGUE:**
17 **Q** I read these lines to you, Doctor.
18 "We then turned our attention to
19 mobilize the cecum up to the wound
20 after extending the incision
21 laterally and medially. With this
22 we were able to easily mobilize the
23 cecum into the wound with the
24 attached acute nonperforated

Superior Court Reporters, Inc.

Sheet 38 Page 149

149

1 appendix."
2 My question was then, can
3 you tell me where in those lines,
4 Doctor, is any mention of Dr. Rogers
5 having to perform or performing any
6 further dissection to free up the
7 cecum?
8 **A The word mobilize means dissection.**
9 **You mobilize by freeing.**
10 Q So, why don't we go back up top and
11 use your words in a fair manner,
12 Doctor. "We entered into the
13 abdominal cavity." Can you see
14 that?
15 **A Yes.**
16 Q "And then attempted to mobilize the
17 cecum into the wound," correct?
18 **A Yes.**
19 Q By your definition right there, that
20 means Dr. Rogers was bluntly
21 dissecting behind the cecum, is that
22 what you just told this jury?
23 That's what mobilized means,
24 correct?

Page 150

150

1 **A He did some attempt, but then he**
2 **said --**
3 Q Doctor, does mobilizing mean dissect
4 behind the cecum?
5 THE COURT: Wait for the
6 question, Doctor, and answer the
7 question.
8 **A No, because --**
9 THE COURT: Wait one second,
10 Doctor. Mr. McTague, question
11 again, please.
12 BY MR. MCTAGUE:
13 Q Doctor, let me ask it this way. You
14 just told this jury that when Dr.
15 Rogers said mobilize the cecum into
16 the wound with the attached acute
17 nonperforated appendicitis, meant
18 that Dr. Rogers had been mobilizing
19 by dissecting bluntly to free up the
20 cecum.
21 Aren't those the words you
22 just told this jury?
23 **A Well, once he enlarged the incision**
24 **--**

Page 151

151

1 Q Doctor, are those the words?
2 **A -- the cecum would not have come up**
3 **--**
4 MR. MCTAGUE: Objection,
5 Your Honor.
6 **A -- unless he did the dissection.**
7 THE COURT: Okay. That's
8 stricken. Just a yes or a no
9 answer, Doctor.
10 DR. MAYER: I can't answer
11 that yes or no, I could explain.
12 BY MR. MCTAGUE:
13 Q No, Doctor, let me ask again so you
14 can understand. Aren't those the
15 words you just used with this jury,
16 yes or no?
17 **A I can't answer it yes or no. I**
18 **could -- I'm happy to explain.**
19 Q Okay. Maybe you just didn't
20 understand, I'll ask it one more
21 time.
22 **A I understood, sir.**
23 Q No, I'm not sure you did. My
24 question to you is simple. In

Page 152

152

1 reading that line, "With this we
2 were able to easily mobilize the
3 cecum into the wound with the
4 attached acute nonperforated
5 appendix."
6 You just told this jury that
7 meant -- mobilized meant Dr. Rogers
8 had done further dissection behind
9 the cecum to bring it up into the
10 wound. Isn't that, yes or no, what
11 you just told this jury?
12 **A At that point in time, yes.**
13 Q At that point in time --
14 **A I wasn't defining the word mobilize.**
15 **It wouldn't have been mobilized**
16 **unless he freed it. It couldn't**
17 **have come up because it was**
18 **partially densely adherent.**
19 MR. MCTAGUE: Move to strike
20 after yes, Your Honor.
21 THE COURT: Yes was the
22 answer, the rest will be stricken.
23 BY MR. MCTAGUE:
24 Q So, Doctor, as we go ahead, up

Superior Court Reporters, Inc.

1 farther, Dr. Rogers had described,
2 "We entered into the abdominal
3 cavity and then attempted to
4 mobilize the cecum into the wound,"
5 correct?

6 **A That's what he dictated.**

7 **Q** And you've described already what
8 mobilized means, correct?

9 **A No, I have not. I described what it**
10 **involved --**

11 **Q** No, you have not.

12 **A -- at a later point in time. I did**
13 **not define the word mobilize.**

14 MR. MCTAGUE: Your Honor, I
15 object and move to strike and I
16 would ask to be seen at sidebar.

17 THE COURT: Yes, sidebar
18 please. Jurors, you can stretch.

19 (SIDEBAR CONFERENCE NOT TRANSCRIBED)

20 BY MR. MCTAGUE:

21 **Q** Doctor, in this particular note, Dr.
22 Rogers has used mobilize on a number
23 of occasions, correct?

24 **A Yes.**

1 **Q** Now, in addition, Doctor, as we look
2 at this note, back to where we were
3 before, he does say, "We entered
4 into the abdominal cavity and then
5 attempted to mobilize the cecum into
6 the wound," correct?

7 **A That's what he says.**

8 **Q** Just yes or no, Doctor. Do you want
9 to try to describe mobilize in
10 different definition there than you
11 did down below?

12 **A I can explain. I can't answer that**
13 **yes or no.**

14 **Q** You can't answer my question,
15 whether you're going to try to say
16 mobilize should be interpreted
17 differently at that point than later
18 below? You can't answer that
19 question yes or no?

20 **A No, mobilize means to --**

21 **Q** Doctor, you can't answer that
22 question yes or no?

23 **A No, I'm not defining mobilize**
24 **differently.**

1 **Q** Doctor --

2 MR. MCTAGUE: Your Honor, I

3 --

4 THE COURT: Doctor, just
5 listen to the question and just
6 answer yes or no. And if you can't
7 answer it yes or no just say I
8 cannot answer it yes or no, but no
9 commentary, please.

10 BY MR. MCTAGUE:

11 **Q** So, are you telling me you can't
12 answer that question yes or no?

13 **A That's correct.**

14 **Q** Okay. Maybe it's poor phrasing, let
15 me ask it again maybe in a little
16 different way.

17 Are you going to now try to
18 tell this jury that the term
19 mobilize, down below in that, should
20 be interpreted differently than
21 mobilize as used higher up after Dr.
22 Rogers entered the abdomen, yes or
23 no?

24 **A I'm not telling the jury that, but I**

1 --

2 **Q** Doctor --

3 **A I can't answer that yes or no. I**
4 **could explain.**

5 **Q** You can't answer that yes or no. It
6 must be me, so let me ask again a
7 different way, maybe, this time.

8 Is what you want to do next
9 is to explain to this jury, in some
10 manner, that they should interpret
11 the word mobilize differently when
12 it's used in "mobilize the cecum
13 into the wound," than it is used up
14 above when Dr. Rogers said, "We
15 entered the abdominal cavity and
16 attempted to mobilize the cecum into
17 the wound"?

18 Are you going to attempt to
19 describe that they should interpret
20 mobilize differently in those two
21 sections, yes or no?

22 **A I have a brief explanation. If you**
23 **won't let me say that then I'll say**
24 **I can't answer that yes or no. If I**

Superior Court Reporters, Inc.

Sheet 40 Page 157

157

- 1 can have a two-sentence explanation
2 I'd be happy to give it.
3 THE COURT: So, the answer
4 is he cannot answer it yes or no.
5 Next question.
6 MR. MCTAGUE: Okay.
7 BY MR. MCTAGUE:
8 Q Doctor, it then says, as we keep
9 going down, that Dr. Rogers elected
10 to perform an ileocectomy and he
11 then cut across the cecum with the
12 stapler, correct?
13 A Yes.
14 Q So, at this point, what will be
15 handed off to pathology is the cecum
16 with one end of it stapled shut,
17 correct?
18 A Yes.
19 Q With the attached appendix?
20 A Yes.
21 Q And the other thing that's going to
22 go to pathology is 21 centimeters of
23 the terminal ileum?
24 A Yes.

Page 158

158

- 1 Q And what's left in the body would be
2 a stapled end of the ascending
3 colon, just above where the cecum
4 starts?
5 A Yes.
6 Q And then this terminal ileum, 21
7 centimeters missing, correct?
8 A Yes.
9 Q And then the doctor will bring these
10 two together and do a side by side
11 anastomosis and you described that,
12 I think, before, correct?
13 A Yes.
14 Q Now, if you turn to page 24, this is
15 the pathology specimen requisition,
16 correct? It's a little hard to read
17 up top with the copying.
18 A Yes, yes.
19 Q And so this is, "Tissues removed on
20 site." It says, "Terminal ileum and
21 cecum/appendix," just like we
22 discussed, correct?
23 A Yes.
24 Q Now, Doctor, what is ileocectomy?

Page 159

159

- 1 A It's taking out the end of the ileum
2 and the bottom part of the cecum and
3 leaving the ascending colon in.
4 Q If we look at page 25, when the
5 pathologist is done with his
6 evaluation, both gross and
7 microscopic, he says one of the
8 samples he received and looked at,
9 he'll make a comment on, is terminal
10 ileum resection, correct?
11 A Yes.
12 Q That again refers to that 21
13 centimeters of terminal ileum?
14 A Yes.
15 Q And then the next sentence,
16 "Cecum/appendix, ileocectomy."
17 A Yes.
18 Q Does that indicate to you that he
19 sees some ileum in that sample?
20 A Yes, it says "terminal ileum
21 resection."
22 Q No, no. Down in that section where
23 we have the statement,
24 "Cecum/appendix, ileocectomy."

Page 160

160

- 1 Does that indicate to you that in
2 that sample that pathologist sees
3 some ileum?
4 A No, because the gross description on
5 the next page doesn't mention any
6 ileum.
7 Q Do you know why that pathologist
8 takes a sample as described by the
9 surgical team as "cecum/appendix,"
10 and then says "cecum/appendix,
11 ileocectomy"?
12 A I believe ileocectomy is a
13 procedure, not a pathologic
14 diagnosis.
15 Q So, it wouldn't be in the diagnosis.
16 So, my question remains, Doctor, can
17 you think why the pathologist put
18 that there?
19 A Just because it was the procedure.
20 Normally it would not appear.
21 Q Okay. Doctor, if you'd turn to page
22 26 again.
23 A Yes.
24 Q What this indicates is that -- we'll

Superior Court Reporters, Inc.

Sheet 41 Page 161

161

1 start with A. There is 21
2 centimeters of terminal ileum that's
3 provided, correct?
4 **A Yes.**
5 Q And without going through it line to
6 line, I'm sure you've looked at
7 this, can we agree that at some
8 point in the process, when this
9 gross description is being done,
10 that this pathologist opens up the
11 terminal ileum and looks inside?
12 **A Yes.**
13 Q Okay. And when he looks inside, he
14 sees that from the open end into the
15 small bowel, spanning up to 10
16 centimeters, almost half of that 21,
17 he sees ulcerated and a slightly
18 nodular area, correct?
19 **A That's what he says, yes.**
20 Q And then when he looks at the
21 remaining 11 centimeters, he
22 describes them as mucosal surface
23 which is -- mucosa is just the
24 inside lining?

Page 162

162

1 **A Yes.**
2 Q That mucosal surface is described as
3 normal appearing mucosa, correct?
4 **A Yes.**
5 Q Distinct from the 10 centimeters he
6 saw, correct?
7 **A Yes.**
8 Q So, at least by vision -- and we're
9 not talking about microscopic. At
10 least by vision by this pathologist,
11 that first 10 centimeters did not
12 look like normal mucosa, correct?
13 **A I think there are minimal findings
14 and basically normal, but there were
15 some minimal findings. It would not
16 affect the strength of the bowel in
17 any way.**
18 Q Doctor, my question is, can we agree
19 that the pathologist -- well, did
20 you ever -- have you trained in
21 pathology?
22 **A Yes, I had a surgical pathology
23 rotation in my residency.**
24 Q All right. Did you go on to a

Page 163

163

1 pathology residency?
2 **A No.**
3 Q Are you board certified in
4 pathology?
5 **A No.**
6 Q This pathologist who is, described
7 one part of this as normal appearing
8 and then described the 10
9 centimeters as different, is that
10 fair to say?
11 **A There's a minimal difference, yes.**
12 Q I'm not asking you to quantify the
13 difference. My question relates to
14 what the pathologist said. He
15 described that section of the
16 terminal ileum, one part of it,
17 being normal appearing and the other
18 was described differently, correct?
19 **A Yes.**
20 Q Now, surgeons, Doctor, who create
21 anastomoses, need to find healthy
22 viable tissue to match up and create
23 the anastomosis, correct?
24 **A Yes.**

Page 164

164

1 Q Because what you want to make sure
2 of when you're reconnecting things
3 is that the tissues are healthy so
4 that when you create the
5 anastomosis, which is now a new
6 connection, that things will be
7 healing correctly and hopefully you
8 have normal functioning bowel
9 thereafter, correct?
10 **A Yes.**
11 Q Now, Dr. Rogers, by his note,
12 indicates that he found the viable
13 area to be about 21 centimeters up
14 from the opening, correct?
15 **A Well, that's where he resected. I
16 don't think he meant to say the rest
17 was not viable. That's an
18 implication that isn't in the
19 evidence here.**
20 Q Doctor, what's in evidence is his op
21 note, correct?
22 **A Yes.**
23 Q And if you'd look on page 20. In
24 the middle there he says, "A 5-

Superior Court Reporters, Inc.

1 millimeter linear cutter was used to
2 transect the terminal ileum
3 proximally. This was done in the
4 viable area of the terminal ileum."
5 First, yes or no, did I read
6 that correctly?
7 **A Yes.**
8 **Q** So, in this record, there is
9 evidence here that Dr. Rogers made
10 this transection in an area of
11 viable tissue, correct?
12 **A Yes.**
13 **Q** And he, as a surgeon, is looking to
14 find viable tissue?
15 **A Of course.**
16 **Q** Okay. Now, Doctor, is it fair to
17 say that there are times where there
18 are damages or injuries to bowels
19 that you can't see from the outside?
20 **A I don't understand the question.**
21 **I'm sorry.**
22 **Q** Is it true that, for example, you
23 can't tell by looking and palpating
24 where diseased tissue begins and

1 ends in the bowel?
2 **A That's very unusual. I don't agree**
3 **with it.**
4 **Q** Would you agree that you can't tell
5 by looking and palpating where
6 diseased tissue begins and ends
7 because you might feel, for example,
8 a stricture, but there could be
9 other ischemic changes inside the
10 bowel that you can't visually -- see
11 visually or feel and that's why you
12 have to stay widely around it?
13 **A I don't agree with that.**
14 **MR. MCTAGUE:** I'm sorry.
15 May I approach, Your Honor?
16 **BY MR. MCTAGUE:**
17 **Q** I'm going to show you -- there's a
18 question that's asked.
19 **A What is that? What are you reading**
20 **from, sir?**
21 **Q** It's your testimony in a case back
22 in 2011.
23 **A Okay.**
24 **Q** Do you remember that case?

1 **A I do not, no.**
2 **Q** Do you remember testifying in a
3 case, and maybe this will refresh
4 your memory, where there was an
5 anastomosis performed after problems
6 with the bowel that then broke down?
7 **A I don't recall that, no.**
8 **Q** Okay. So, I'm going to read you
9 what you said and you'll -- it may
10 sound familiar because that's my
11 question.
12 The question was:
13 "Therefore, only Dr. Davis had the
14 opportunity at that point to have
15 access to all the visual and tactile
16 clues that would be available to a
17 surgeon to help identify where the
18 diseased tissue ends and where
19 healthy tissue begins, true?"
20 And you said, "Yes." And
21 then you went on. You said, "Yes,
22 but you can't tell by looking and
23 palpating where diseased tissue
24 begins and ends because you may feel

1 the stricture, but the other
2 ischemic changes are inside the
3 bowel that you can't see visually or
4 feel, which is why you have to stay
5 widely around it."
6 Did I read that portion
7 correctly?
8 **A Yeah, but there's more.**
9 **Q** I will
10 **A The surgeon was taking out such a**
11 **minute piece of bowel, I'm remember**
12 **it now, that he didn't get widely**
13 **around an ischemic area. It was a**
14 **logical, tiny resection.**
15 **Q** Okay.
16 **A So, this has nothing to do with the**
17 **facts of this case.**
18 **Q** Okay. So, I'm going to get into how
19 much was taken in a moment. Can you
20 tell the jury how much was too
21 little in this case?
22 **A I don't remember exactly, but --**
23 **Q** Okay, yeah.
24 **A -- that was the gist of it.**

Superior Court Reporters, Inc.

1 Q My question, Doctor, was about this
2 particular case in where, basically,
3 I asked you -- the question was, "Do
4 you agree that that's why you have
5 to stay widely around it because you
6 can't feel or see sometimes diseased
7 tissue?" Do you agree with that?

8 A **Well, in the facts of that case, I**
9 **mean, in this case there was nothing**
10 **wrong with the ileum in the first**
11 **place except Dr. Rogers tore it off**
12 **the cecum, then there was something**
13 **wrong with it.**

14 MR. MCTAGUE: Your Honor, I
15 am, going to make my request.

16 THE COURT: Okay. That
17 answer is stricken. Doctor, please
18 just answer the question yes or no.
19 Go ahead, Mr. McTague, ask the
20 question again.

21 BY MR. MCTAGUE:

22 Q Doctor, in this particular case,
23 when Dr. Rogers is going to take
24 these pieces and reconnect them, he

1 wants to connect healthy terminal
2 ileum to healthy colon, correct?

3 A **Yes.**

4 Q Now, in a small perforation in
5 healthy bowel, you can take 10
6 centimeters or less off the terminal
7 ileum, correct?

8 A **That's possible, yes.**

9 Q In fact, that's what you testified
10 in the Stevens case, correct?

11 A **Yes.**

12 Q Do you recall that now?

13 A **I don't recall exactly, but it**
14 **sounds logical.**

15 Q Dr. Rogers took 21 centimeters here,
16 correct?

17 A **Yes.**

18 Q And then he described where he was
19 taking it from as the healthy viable
20 tissue, correct?

21 A **Yes.**

22 Q That indicates that Dr. Rogers saw
23 something when looking at the
24 terminal ileum, correct?

1 A **Well, he saw he had torn it off.**
2 **There's nothing else to see.**

3 Q And when the pathologist opened that
4 up, he saw something different,
5 correct, yes or no?

6 A **Yes.**

7 Q The first 10 centimeters as opposed
8 to the next 11, correct?

9 A **Yes.**

10 Q Now, generally, Doctor, in a case
11 involving, for example, ischemic
12 bowel or other problems with the
13 bowel and you're going to do a
14 resection, you would want to resect
15 6 to 8 inches to be safe, correct?

16 A **Generally, yes.**

17 Q And you would agree that a leak from
18 the anastomosis of a small bowel
19 resection or, in this case, small to
20 large bowel connection is a
21 recognized risk?

22 A **Yes.**

23 Q And a bowel leak can occur even if
24 it's properly reconnected and

1 performed anastomosis, correct?

2 A **Yes.**

3 Q Now, in this case, the anastomosis
4 broke down, correct?

5 A **Yes.**

6 Q And one of the reasons why an
7 anastomosis can break down after a
8 properly performed anastomosis is
9 that the tissues aren't actually
10 healthy and don't heal, correct?

11 A **At least the edges of the**
12 **anastomosis, that could be true,**
13 **yes.**

14 Q I'm sorry. It may be because you've
15 been talking for a while, but you --
16 I didn't hear you.

17 A **Okay. Yes, the edges of the bowel**
18 **that are stapled may not have**
19 **adequate blood supply. It doesn't**
20 **mean the bowel itself is unhealthy.**

21 Q Doctor, you have testified in the
22 past that where there is a breakdown
23 of an anastomosis, that is evidence
24 of attaching unhealthy tissue

Superior Court Reporters, Inc.

1 together, haven't you?
2 **A That can occur too, yes.**
3 Q And you've testified to that in the
4 past?
5 **A Well, in certain cases, yes.**
6 Q You testified to that in the Stevens
7 case, correct?
8 **A I believe so.**
9 Q In fact, Doctor, in that case you
10 believed and testified that the
11 anastomosis that was done had to
12 involve unhealthy tissue because the
13 leak occurred fairly soon after the
14 anastomosis, correct?
15 **A In that case, yes, on different**
16 **facts, yes.**
17 Q Do you remember how many days
18 afterwards this anastomosis leaked?
19 **A I don't recall.**
20 Q Would it surprise you that it was
21 five days later?
22 **A I don't know.**
23 Q And you told us earlier that
24 sometimes these will break down four

1 to six days later, correct?
2 **A That's possible.**
3 Q Now, Doctor, if you could turn to
4 page 9 in that book. That is the
5 first page of the CT scan result?
6 **A Yes.**
7 Q And down at the bottom of the --
8 well, not at the bottom, but there's
9 -- as you go down there's a history,
10 you can see it in the left-hand
11 column.
12 **A Yes.**
13 Q And a couple lines down it says
14 findings?
15 **A I do.**
16 Q And if we keep going down there's a
17 paragraph that starts, "Bowel
18 peritoneum and retroperitoneum"?
19 **A Yes.**
20 Q The last line of that says, "Small
21 right lower quadrant mesenteric
22 lymph nodes are visualized, likely
23 reflecting reactive change,"
24 correct?

1 **A Yes.**
2 Q What's a mesenteric lymph node?
3 **A They're lymph nodes around the bowel**
4 **that can enlarge when there's**
5 **appendicitis or infection.**
6 Q So, what we're seeing is the impact
7 of the appendicitis on those lymph
8 nodes as seen on the CT scan?
9 **A Yes.**
10 Q Okay.
11 MR. MCTAGUE: Your Honor,
12 I'm about to go into another area.
13 THE COURT: Are you
14 suggesting the lunch break?
15 MR. MCTAGUE: I am.
16 THE COURT: Okay. I think
17 I'll accept your suggestion, Mr.
18 McTague.
19 (Court is in recess at 12:59 p.m.)
20 (Court reconvenes at 2:09 p.m.)
21 THE COURT OFFICER: Jurors
22 entering.
23 (JURY ENTERS)
24 THE COURT OFFICER: You may

1 be seated.
2 (SIDEBAR CONFERENCE NOT TRANSCRIBED)
3 MR. MCTAGUE: I'm sorry.
4 Are we ready, Your Honor?
5 THE COURT: Yes.
6 (CROSS-EXAMINATION OF DAVID A.
7 MAYER, M.D., CONTINUED)
8 BY MR. MCTAGUE:
9 Q Doctor, moving ahead, after Dr.
10 Rogers performed the surgery, which
11 is August 22 -23 , the patient
12 stayed in the hospital until the 27
13 of August, correct?
14 **A Yes.**
15 Q Then, on the 28 , he returns,
16 correct?
17 **A Yes.**
18 Q Now, at some point, when he does
19 return, he comes in and he sees Dr.
20 Driscoll who was actually -- had
21 become his attending surgeon at the
22 previous hospitalization, correct?
23 **A Yes.**
24 Q You now understand that?

Superior Court Reporters, Inc.

1 **A Yes.**
2 Q At that time -- have you reviewed
3 these records?
4 **A Yes.**
5 Q So, if you could turn to page 43.
6 When Mr. Marciniak returns, he is
7 seen first in the Emergency
8 Department at South Shore Hospital,
9 and they record a history of the
10 patient having been discharged home
11 yesterday from South Shore Hospital.
12 Do you see where I started
13 to read?
14 **A I do.**
15 Q "Today, at 1:30, he passed gas and
16 then felt bloated and felt a leakage
17 of warm stool in moderate amount
18 from his right lower quadrant
19 abdominal incision."
20 And it goes on to say "He
21 had passed stool yesterday,"
22 correct?
23 **A Yes.**
24 Q So, it looks like he experienced

1 this, the ultimate perforation and
2 what happened due to it, the day he
3 is home after his discharge; is that
4 fair to say?
5 **A Yes.**
6 Q And, in fact, if we look at the next
7 -- page 48, which is part of a
8 history and physical, I believe. I
9 don't have page 49. Let me see who
10 wrote that. That's actually Dr.
11 Driscoll.
12 He writes, as he takes his
13 history from the patient, he writes,
14 because he had been in the doctor in
15 the hospital after the first
16 surgery, "He did reasonably well
17 postoperatively and was discharged
18 24 hours ago."
19 Do you see where I am
20 reading?
21 **A I do.**
22 Q "At that time, he was reported to
23 have no fever, and his white count
24 apparently was normal. His bowels

1 were also moving normally."
2 "At home this afternoon, he
3 developed fecal drainage from his
4 right lower quadrant incision."
5 So, again, he is describing
6 that this -- the perforation or the
7 breakdown likely occurred that day,
8 correct?
9 **A Or at least the symptoms, the**
10 **clinical symptoms developed that**
11 **day, yes.**
12 Q Now, and you touched base with Mr.
13 Grace on this briefly at the end of
14 your presentation.
15 When you were originally
16 asked to review this case this year,
17 you originally were of the opinion
18 that Dr. Rogers and Dr. Najjar were
19 responsible for prematurely
20 discharging the patient, correct?
21 **A Yes.**
22 Q You subsequently received further
23 information from the depositions
24 that indicate that those were not

1 the doctors responsible for the
2 patient after --
3 MR. MCTAGUE: Strike that.
4 BY MR. MCTAGUE:
5 Q You know that Dr. Rogers was not
6 responsible after 7 a.m. that
7 morning after the surgery, and that
8 another attending, Dr. Driscoll,
9 among others, attended to the
10 patient thereafter, correct?
11 **A Yes.**
12 Q So, I take it that you would be
13 critical of whoever it was that
14 discharged this patient, correct?
15 **A If they were defendants in this**
16 **case, but they're not, so I am not**
17 **offering opinions.**
18 Q Well, I'm not talking about your
19 testimony. What I am saying is,
20 looking at that discharge, you would
21 be critical of the doctor who
22 discharged the patient?
23 **A Or who took care of the patient**
24 **postoperatively.**

Superior Court Reporters, Inc.

1 Q Okay.
2 A **It isn't just the day of discharge.**
3 **They looked a little better with**
4 **some antibiotics on the day of**
5 **discharge.**
6 Q But, Doctor, you had -- I'm sorry.
7 Had you finished?
8 A **Yes, thank you.**
9 Q You had indicated, or at least we
10 heard in the disclosure, that you
11 state that "Mr. Marciniak became
12 febrile." What's that mean?
13 A **Fever.**
14 Q Okay. "To 101.7 degrees and, in
15 fact, was 101 degrees the evening
16 before discharge on 8/27/11,"
17 correct?
18 A **Yes.**
19 Q Now, have you subsequently been able
20 to review records to indicate that
21 the patient was not febrile or with
22 fever the night before discharge?
23 A **The night before, probably not. I**
24 **agree with you.**

1 Q And, again, originally you thought
2 this was on Dr. Rogers' watch.
3 But when you take on a case
4 and review materials, is it
5 imperative on you as an expert
6 witness to make sure you have enough
7 information and thoroughly review
8 records?
9 A **Yes.**
10 Q Now, have you seen in the record,
11 actually, then that this patient did
12 not have fever since the evening of
13 th
14 August 25 , two days before
15 discharge?
16 A **I believe that's correct, yes.**
17 Q And you would have known by
18 thoroughly reviewing the records
19 that the temperatures taken by the
20 nurses every four hours after that
21 right through discharge were within
22 normal limits?
23 A **Yes.**
24 Q Now, you did have an opportunity to
see the discharge summary that was

1 in the record when you rendered that
2 opinion about the workup on the
3 patient; is that fair to say?
4 A **Yes.**
5 Q And that indicated that over the
6 course of his hospitalization in
7 that first hospitalization, from
8 nd
9 August 22 until the time of
10 th
11 discharge on the 27 , that they had
12 actually followed and worked up the
13 issue of a fever, correct?
14 A **They did some workup. Didn't do a**
15 **CAT scan, but did other workup, yes.**
16 Q Well, they kept track of the
17 temperature, which was important,
18 correct?
19 A **Yes.**
20 Q They kept track of what's known as
21 the white blood count, correct?
22 A **Yes.**
23 Q And from your review, you know --
24 MR. MCTAGUE: Strike that.
BY MR. MCTAGUE:
Q And in most infectious processes,

1 one would see a rise in white blood
2 cell count with an infection?
3 A **You would generally, yes.**
4 Q And for this patient, from the point
5 of surgery on, his white blood cell
6 count was normal, correct?
7 A **Yes.**
8 Q And you also saw in this record,
9 also, that in the course of these
10 few days, they ordered a chest x-ray
11 to check for -- on the basis of
12 fever, to check if there is anything
13 going on in the lungs, correct?
14 A **Yes.**
15 Q And that was good practice?
16 A **Yes.**
17 Q That was done by the doctors under
18 Dr. Driscoll, correct?
19 A **Yes.**
20 Q So, there was a fever workup done at
21 that time, correct?
22 A **Yes.**
23 Q And at that time, even despite that
24 review, you were of the opinion that

Superior Court Reporters, Inc.

1 Dr. Rogers was responsible for
2 discharging this patient
3 prematurely?
4 **A Well, my opinion was he was**
5 **discharged prematurely. I told the**
6 **jury today that Dr. Rogers had**
7 **nothing to do with the premature**
8 **discharge.**
9 **Q Well, Dr. Driscoll will be in the**
10 **courtroom and be asked about this,**
11 **but that's not my question to you**
12 **now.**
13 My question is, you were
14 willing, and put it down in writing,
15 to say, based on your review, even
16 of the records we have discussed and
17 knowing those temperatures, that not
18 only was Dr. Rogers responsible, but
19 that he was also prematurely
20 discharged, correct?
21 **A Yes. Generally, a surgeon is**
22 **responsible for the post-op care of**
23 **his patient, so that was the**
24 **assumption I made.**

1 Then I learned that they
2 were working on shifts and I amended
3 my opinion and withdrew any
4 criticism.
5 **Q And in your review of the records,**
6 **did you see notes signed by**
7 **attending physicians?**
8 **A Yeah, I did.**
9 **Q Dr. Driscoll?**
10 **A Yes.**
11 **Q During the time period after Dr.**
12 **Rogers' surgery and prior to the**
13 **time of discharge, correct?**
14 **A Yes.**
15 **Q And you knew that when you said in**
16 **your disclosure to Mr. Grace that**
17 **you're willing to say that Dr.**
18 **Rogers prematurely discharged the**
19 **patient, correct?**
20 **A I did not know which attending had**
21 **seen him at that time. I now know**
22 **it was not Dr. Rogers, so I have no**
23 **criticism in that manner.**
24 **Q My question, Doctor, is did you ask**

1 Mr. Grace to get you more
2 information so you could determine
3 who it was?
4 **A Yes, and we -- we -- he provided it,**
5 **we talked about it, and I realized**
6 **Dr. Rogers was not covering the**
7 **patient during that time.**
8 **Q So, in June of this year, you did**
9 **have the opinion, correct, that Dr.**
10 **Rogers was responsible?**
11 **A That was my initial thought, yes.**
12 **Q And at that time, you saw the**
13 **signatures of Robert Driscoll in the**
14 **record, correct?**
15 **A I guess I didn't appreciate that**
16 **fact, but I saw the attending notes,**
17 **yes, sir.**
18 **Q You didn't appreciate that fact, but**
19 **were willing to say Dr. Rogers was**
20 **responsible, right?**
21 **A Well, that's not what I am saying**
22 **today. So, I am testifying**
23 **accurately today.**
24 **Q Because you know all the records are**

1 here now and you were going to be
2 asked about them, correct?
3 **A That's not the reason. The reason**
4 **is I ascertained that he didn't**
5 **participate in the post-op care.**
6 **Q And can you show me where in that**
7 **record there is some evidence of his**
8 **participation, Dr. Rogers, in the**
9 **post-op care after the post-**
10 **anesthesia recovery room?**
11 **A I saw notes by, I assume, residents**
12 **and attendings and I assumed it was**
13 **him. Because after a disastrous**
14 **complication, I had never heard of a**
15 **surgeon not following up his own**
16 **patient. So, this was a first for**
17 **me in 40 years of reviewing charts.**
18 **MR. MCTAGUE: I have nothing**
19 **further.**
20 **MR. GRACE: Sorry.**
21 **THE COURT: Not your turn**
22 **yet, Mr. Grace.**
23 **(CROSS-EXAMINATION OF DAVID A.**
24 **MAYER, M.D.)**

Superior Court Reporters, Inc.

1 BY MS. KOGAN:
2 Q Good afternoon, Doctor.
3 A **Good afternoon.**
4 Q My name is Jennifer Kogan and I
5 represent Dr. Najjar.
6 You told us earlier today
7 that after graduating from medical
8 school in 1974, that you were
9 trained at New York Hospital in a
10 five-year surgery residency?
11 A **Yes.**
12 Q Is that correct?
13 A **Yes.**
14 Q And you were training to become a
15 surgeon?
16 A **Yes.**
17 Q At the conclusion of your residency?
18 A **Correct.**
19 Q All right. And when you talk about
20 training, what you're doing in
21 residency is learning how to become
22 a surgeon by observing and
23 participating in surgery with more
24 senior experienced attending

1 surgeons, correct?
2 A **Yes.**
3 Q Okay. And you know in this
4 particular case that Dr. Najjar was
5 a General Surgery resident at the
6 time of Mr. Marciniak's surgery on
7 nd
8 August 22, correct?
9 A **Yes.**
10 Q And do you know what year of his
11 residency he was in?
12 A **First year.**
13 Q Okay. And that's sometimes called
14 an intern?
15 A **Yes.**
16 Q And, Doctor, he was in his third
17 month of that first year, correct?
18 A **Yes.**
19 Q And he participated in Mr. nd
20 Marciniak's operation on August 22
21 as, what we saw in the op note, as
22 an assistant?
23 A **Yes.**
24 Q Dr. Rogers was the attending
surgeon?

1 A **Correct.**
2 Q And he was there. You told us that
3 Dr. Najjar was negligent because he
4 was there. And when you say he was
5 there, he was in the operating room
6 with Dr. Rogers during the
7 procedure?
8 A **Correct.**
9 Q Okay. And then if you look at page
10 16 in your jury book, this is the
11 intraoperative nursing record.
12 Are you there, Doctor?
13 A **I am.**
14 Q And what this page tells us is that,
15 in addition to Dr. Najjar being
16 there, there was a circulating nurse
17 there?
18 A **Yes.**
19 Q There was a scrub tech there?
20 A **Correct.**
21 Q There was an anesthesiologist there?
22 A **Yes.**
23 Q And there was a C.R.N.A. there?
24 A **Yes.**

1 Q Certified Respiratory Nurse
2 Anesthetist?
3 A **Yes.**
4 Q They were all there for Mr.
5 Marciniak's operation, correct?
6 A **Yes.**
7 Q And all of these people in some
8 fashion were actively participating
9 in his operation, albeit doing
10 different tasks?
11 A **Yes.**
12 Q All right. Now, did you read Dr.
13 Najjar's deposition?
14 A **Yes.**
15 Q When is the last time that you read
16 his deposition?
17 A **Within the last week.**
18 Q The last week?
19 A **Yes.**
20 Q Okay. And when you read his
21 deposition, did you do that with an
22 eye towards figuring out what Dr.
23 Najjar actually did in the operating
24 room before the avulsion occurred?

Superior Court Reporters, Inc.

Sheet 49 Page 193

193

1 **A Yes.**
2 Q And what I want to do, Doctor, is
3 just take a quick look at his
4 testimony.
5 MS. KOGAN: Can I approach,
6 Your Honor?
7 THE COURT: You may.
8 BY MS. KOGAN:
9 Q I'm going to give you his transcript
10 just to move this along.
11 MS. KOGAN: Mr. Grace, page
12 31.
13 BY MS. KOGAN:
14 Q So, this is his deposition, correct?
15 **A Yes.**
16 Q And this is what you read?
17 **A Yes.**
18 Q And so, I am going to start at line
19 number two. Okay?
20 **A Sure.**
21 Q And this is Mr. Grace asking the
22 questions.
23 "Now, do you recall if the
24 intention was for you, yourself,

Page 194

194

1 with Dr. Rogers' supervision, the
2 intention was for you to perform the
3 appendectomy principally?"
4 "Answer: I am not quite
5 sure I understand your question."
6 Mr. Grace's question:
7 "Well, I'm not either, but I know
8 what I wanted to ask. You started
9 the operation, didn't you? Did you
10 make the incision?"
11 "Answer: I think I made the
12 skin incision, yes."
13 "Question: Okay. Simpler
14 question: Describe your
15 participation in this operation in
16 detail."
17 "Answer: Oh, so I believe I
18 made the skin incision."
19 "Question: The McBurney's?"
20 "Answer: Correct. I don't
21 recall specifically how we accessed
22 the peritoneum, but I likely would
23 have had Dr. Rogers retract and
24 expose the tissue plane that he

Page 195

195

1 wanted me to Bovie through and I
2 would have Bovied in his direction."
3 "Once we entered the
4 peritoneal cavity, I do recall
5 performing a finger sweep to try to
6 deliver the appendix up into the
7 wound and being unable to do so, at
8 which point Dr. Rogers attempted to
9 deliver the appendix into the
10 wound."
11 Did I read that correctly?
12 **A Yes.**
13 Q And, Doctor, what's a Bovie, just so
14 the jury understands?
15 **A That's a cautery that cuts tissue
16 with electric current.**
17 Q That's used to dissect down into the
18 abdominal cavity?
19 **A Yes.**
20 Q Okay. And then, Doctor, if you
21 could turn to page 34, line 20.
22 **A Yes.**
23 Q Okay.
24 "Question: So, is it fair

Page 196

196

1 to say that Dr. Rogers, for lack of
2 a better term, took over the surgery
3 after you were unable to mobilize
4 and visualize the appendix?"
5 "Answer: Yes."
6 And then lastly, Doctor, if
7 you could turn to page 40.
8 Actually, I'm sorry, Doctor. I
9 wanted to read one more section.
10 Just go back to page 35. Are you
11 there?
12 **A Yes.**
13 Q And then Mr. Grace said, "And please
14 describe in detail what occurred
15 from when Dr. Rogers had to take
16 over for you."
17 "Answer: I remember him
18 trying to sweep the appendix up and
19 deliver the cecum and appendix up
20 into the wound."
21 "As part of that process,
22 we'll frequently follow the terminal
23 ileum, down to the cecum to deliver
24 kind of the terminal ileum, cecum

Superior Court Reporters, Inc.

Sheet 50 Page 197

197

1 and appendix up into the wound to
2 visualize everything."
3 "And the terminal ileum, in
4 the course of bringing them up, kind
5 of simultaneously ended up kind of
6 cleanly avulsing off the cecum,
7 which surprised both of us, and it
8 was at that point that the incision
9 was extended and we performed an
10 ileocectomy with a stapled
11 anastomosis."
12 Did I read that correctly?
13 **A Yes.**
14 **Q** All right. So, what Dr. Najjar was
15 explaining there was that after the
16 avulsion occurred is when the
17 extension was made?
18 **A That is correct.**
19 **Q** All right. And then lastly, Doctor,
20 if you could turn to page 40.
21 I am going to start at line
22 22.
23 "Question: Would you
24 describe please in detail the

Page 198

198

1 position of Dr. Rogers' hands when
2 the ileum was torn off the cecum;
3 what was he doing? As an aside, I
4 assume you weren't manually
5 participating in the surgery at that
6 point, correct?"
7 "Answer: I was not. I
8 don't remember if I may have been
9 retracting the wound, but I know
10 that I wasn't manipulating the
11 bowel."
12 Did I read that correctly?
13 **A Yes.**
14 **Q** Okay. I'll take that back.
15 Doctor, can we agree -- and
16 when I say we, I am really asking
17 you -- will you agree with me that
18 the skin incision, the initial
19 finger sweep were appropriate
20 actions by Dr. Najjar?
21 **A Yes.**
22 **Q** Can we agree that he did those
23 things under the supervision of his
24 attending, Dr. Rogers?

Page 199

199

1 **A Yes.**
2 **Q** And as between the attending and the
3 first-year surgical resident, can we
4 agree that ultimate responsibility
5 for the conduct of the operation is
6 with the attending?
7 **A Yes.**
8 **Q** Not with the first-year surgical
9 resident?
10 **A I agree with that.**
11 **Q** Will you agree with me that once Dr.
12 Najjar could not mobilize the cecum
13 and the appendix when he was doing
14 his finger sweep, that it was
15 reasonable for him to take his
16 finger out and defer to the
17 attending as to the next step?
18 **A If that's what occurred, yes. It**
19 **isn't clear from the op-note, but if**
20 **that testimony is correct, that was**
21 **reasonable.**
22 **Q** You would not expect that Dr. Najjar
23 would take his finger out and
24 immediately grab a knife and extend

Page 200

200

1 the incision?
2 **A I would expect that or --**
3 **Q** You would expect him to do that
4 without --
5 **A That's part of a --**
6 **Q** -- first finding out what the
7 attending wants to do?
8 **A That's within the knowledge of a**
9 **resident, to extend an incision when**
10 **--**
11 **Q** Without --
12 **A -- a difficult dissection.**
13 **Q** Without being told to do that by the
14 attending?
15 **A Well, he would discuss it with the**
16 **attending, of course.**
17 **Q** That's what I am asking you. What
18 Dr. Najjar did by taking out his
19 hand and then deferring the next
20 step to what Dr. Rogers wanted to
21 do, that was reasonable, correct?
22 **A Yes.**
23 **Q** All right. And the next step was
24 that Dr. Rogers, as the attending,

Superior Court Reporters, Inc.

Sheet 51 Page 201

201

1 put his finger in to assess the
2 situation, correct?
3 **A That's correct.**
4 **Q** All right. And that's reasonable.
5 You would expect the attending would
6 want to see what was going on inside
7 the incision, correct?
8 **A Yes.**
9 **Q** And you understand, hopefully now
10 that we went through the testimony,
11 that the avulsion, the point at
12 which the terminal ileum came off
13 the cecum, that occurred when Dr.
14 Rogers was handling the bowel, not
15 Dr. Najjar?
16 **A Well, that's what the testimony**
17 **says. The op-note kept saying we,**
18 **and Dr. Rogers says he doesn't**
19 **remember exactly who did what part**
20 **of the procedure.**
21 So, there is conflicting
22 testimony. But if Dr. Najjar's
23 testimony is accurate, then he was
24 assisting Dr. Rogers when the injury

Page 202

202

1 occurred.
2 **Q** Did Dr. Rogers testify that Dr.
3 Najjar was handling the bowel at the
4 point of the avulsion?
5 **A He did not specifically --**
6 **Q** He did not, correct?
7 **A That is correct.**
8 **Q** And Dr. Najjar testified that Dr.
9 Rogers was handling the bowel at the
10 point of the avulsion, correct?
11 **A Yes.**
12 **Q** So, while Dr. Najjar was there, it
13 was Dr. Rogers who was handling the
14 bowel at the point that the avulsion
15 occurred, correct, Doctor?
16 **A It appears so, yes.**
17 **Q** All right. And just so I am clear,
18 Doctor, it's your testimony in this
19 courtroom that, with Dr. Najjar
20 standing there, a circulating nurse,
21 a scrub nurse, an anesthesiologist,
22 and a C.R.N.A., that Dr. Rogers, in
23 your words, "grossly ripped the
24 bowel off the cecum with his fingers

Page 203

203

1 inside of the McBurney's incision of
2 several inches?"
3 **A Yes.**
4 **Q** Lastly, Doctor, you testified that
5 Mr. Marciniak's --
6 MS. KOGAN: Strike that.
7 BY MS. KOGAN:
8 **Q** You testified, "With Mr. Marciniak's
9 ileum being normal, it's impossible
10 that gentle traction was applied;"
11 do you recall that?
12 **A I do.**
13 **Q** And hypothetically, Doctor, if there
14 were to be evidence that Mr.
15 Marciniak's ileum was not normal,
16 then is it possible that with gentle
17 traction -- is it possible that
18 gentle traction was applied if there
19 is to be evidence that the ileum was
20 not normal?
21 **A Well, there is evidence that it was**
22 **normal.**
23 **Q** But I am asking you if there is
24 evidence that it's not normal, is it

Page 204

204

1 possible that this avulsion occurred
2 because gentle traction was applied?
3 **A You mean in another patient?**
4 **Q** No.
5 **A In this patient, it's a normal**
6 **ileum, so I don't know how to answer**
7 **the question. If you're asking me a**
8 **hypothetical about another patient,**
9 **that might be possible, not in Mr.**
10 **Marciniak. That isn't possible.**
11 **Q** But if there was evidence that Mr.
12 Marciniak's ileum was not normal,
13 then is it possible that the
14 avulsion occurred with gentle
15 traction being applied?
16 MR. GRACE: Objection.
17 BY MS. KOGAN:
18 **Q** Can you answer that yes or no?
19 MR. GRACE: Objection.
20 THE COURT: Overruled.
21 **A I can't answer that yes or no.**
22 MS. KOGAN: That's all I
23 have.
24 MR. GRACE: May I approach,

Superior Court Reporters, Inc.

Sheet 52 Page 205

205

1 Your Honor?
2 THE COURT: You may.
3 (REDIRECT EXAMINATION OF DAVID A.
4 MAYER, M.D.)
5 BY MR. GRACE:
6 Q Do you recall being asked by Mr.
7 McTague whether you had read Dr.
8 Najjar's and Dr. Rogers'
9 depositions?
10 A Yes.
11 Q In relation to who did what during
12 their operation?
13 A Yes.
14 Q In front of you, I have Dr. Rogers'
15 transcript, page 74, line 12.
16 "Question: Well, are you
17 aware that you never signed this
18 operative report?"
19 Dr. Rogers answered, "Yes.
20 I mean, until this case, I did not
21 know."
22 Next question: "All right.
23 On the first page, under" -- and
24 it's referring to the operative note

Page 206

206

1 that's page 19 of 20 of the jury
2 book -- "we made a classical right
3 lower quadrant McBurney incision."
4 "Of the pronoun we, who
5 actually did that incision?"
6 Dr. Rogers answered, "I
7 can't recall. When I say we, the
8 surgery is a team support, so I
9 often use a pronoun of the
10 collective we."
11 "Question: Okay. But you
12 don't know -- you don't remember who
13 did the McBurney incision?"
14 "I don't remember."
15 Page 76, line three.
16 "Question: And, 'We incised
17 the external oblique;' you don't
18 remember whether it was you or Dr.
19 Najjar, correct?"
20 "Answer: I do not recall."
21 "Question: 'We split the
22 internal oblique muscle;' you don't
23 recall who did that, right?"
24 "Answer: That is correct."

Page 207

207

1 "Question: And 'We entered
2 into the abdominal cavity and then
3 attempted to mobilize the cecum into
4 the wound;' what did you mean by
5 that sentence? And, again, you
6 don't know who tried to mobilize the
7 cecum in the wound?"
8 Dr. Rogers answered, "It
9 means you are trying to get the
10 cecum up into the wound, meaning
11 outside of the abdominal cavity up
12 into the field so that you can
13 complete the operation."
14 Line 22 on page 76.
15 No, line three on page 77,
16 I'm sorry. I'm almost done.
17 "You remember you were
18 mobilizing the cecum?" question.
19 "Answer: That's correct."
20 "Question: Not Dr. Najjar?"
21 "Answer: Dr. Najjar may
22 have initiated, but -- may have
23 started doing that, and as he
24 couldn't, I then took over."

Page 208

208

1 "Question: Do you remember
2 that he couldn't or have you looked
3 at some other record that indicates
4 he couldn't?"
5 "Answer: That is just my
6 assumption, because from when this
7 part of the case, I then took over
8 the conduct of the operation."
9 Is that what you were
10 referring to when you said you can't
11 tell who did what?
12 A That is correct.
13 Q What is the American College of
14 Surgeons?
15 A That's a -- it's like a fraternity
16 of surgeons where surgeons can join
17 and go to meetings and get
18 continuing education.
19 You basically, if you're
20 board-certified and a surgeon, you
21 pay an initiation fee and annual
22 dues and can join.
23 MR. GRACE: May I approach?
24 THE COURT: You may.

Superior Court Reporters, Inc.

Sheet 53 Page 209

209

1 BY MR. GRACE:
2 Q Mr. McTague showed you the statement
3 on a physician acting as an expert
4 witness put out by the American
5 College of Surgeons. Does that have
6 any legal authority?
7 A **No. That's just done by surgeons
8 who are putting out what they
9 consider to be expert witness
10 guidelines.**
11 Q And it says it was recommended by
12 the college's Central Judiciary
13 Committee. What is that?
14 A **That's a committee of surgeons. It
15 doesn't have judges or lawyers on
16 it, so it's not really -- judiciary
17 is a -- is not really an accurate
18 name for it.**
19 **They are trying to police
20 their members, and it's common
21 knowledge --**
22 MR. MCTAGUE: Objection,
23 Your Honor.
24 THE COURT: Sustained. Next

Page 210

210

1 question.
2 BY MR. GRACE:
3 Q Well, you were a member of the
4 college for over 30 years?
5 A **Of course.**
6 Q Yeah. Well, what's your
7 understanding of what the college's
8 Central Judiciary Committee; what
9 does it do?
10 MR. MCTAGUE: Objection,
11 Your Honor.
12 THE COURT: Overruled.
13 A **Its purpose is to intimidate
14 surgeons in testifying on behalf of
15 patients against other surgeons.**
16 **It actually only has that
17 one purpose.**
18 Q All right. And on the second page,
19 I'll read it.
20 "The physician expert
21 witness is ethically and legally
22 obligated to tell the truth."
23 That makes sense.
24 A **Of course.**

Page 211

211

1 Q "The physician expert witness should
2 be aware the failure to provide
3 truthful testimony exposes the
4 physician expert witness to criminal
5 prosecution for perjury, civil suits
6 for negligence, and revocation or
7 suspension of his or her
8 professional license."
9 Is that part of this
10 guideline put out by the Judiciary
11 Committee of the American College?
12 A **Yes, and it's part of the
13 intimidation --**
14 MR. MCTAGUE: Objection,
15 Your Honor.
16 THE COURT: Sustained. Just
17 answer the question, Doctor.
18 A **It is part of the guideline.**
19 THE COURT: Strike that,
20 jurors. Disregard, please.
21 MR. MCTAGUE: Your Honor, I
22 am going to make my request --
23 THE COURT: Doctor, just
24 answer the question yes or no that

Page 212

212

1 Mr. Grace asks you now if it calls
2 for a yes or no answer.
3 MR. GRACE: May I --
4 THE COURT: Go ahead, Mr.
5 Grace.
6 MR. GRACE: Yes. Thank you.
7 BY MR. GRACE:
8 Q Why did you resign from the college?
9 A **I belong to over 20 organizations
10 and the dues were getting over
11 20,000 a year, so I pared it down.**
12 Q You weren't asked to resign, were
13 you?
14 A **Of course not, no.**
15 Q And how difficult was it to get into
16 this American College?
17 A **Well, once I passed the American
18 Board of Surgery exam, it was very
19 simple to get in. You have to be
20 board-certified, though.**
21 Q Right. Okay. Now, do you recall
22 Mr. McTague asking you about studies
23 that showed how much -- how many
24 pounds per square inch that's

Superior Court Reporters, Inc.

Sheet 54 Page 213

213

1 required to tear a bowel --
2 **A Yes.**
3 **Q** -- and you said you're not aware of
4 any?
5 **A There are certainly no such studies**
6 **in the surgical literature.**
7 **Q** Okay. And he didn't show you any,
8 did he?
9 **A He did not.**
10 MR. MCTAGUE: Objection,
11 Your Honor. May we approach? Well,
12 let me state my objection.
13 Redirect, direct. I object to the
14 leading.
15 THE COURT: Okay. So, the
16 objection is sustained. The last
17 answer will be stricken.
18 The jury has been here the
19 entire time as far as I can see, so
20 they saw what happened when he
21 testified on direct. So, let's
22 leave it at that, please.
23 BY MR. GRACE:
24 **Q** You testified that Dr. Rogers' story

Page 214

214

1 changed from the operative report,
2 the operative note, from the version
3 there to versions he gave in answers
4 to interrogatories and depositions.
5 Could you explain that please?
6 MR. MCTAGUE: Objection,
7 Your Honor.
8 THE COURT: Sustained in
9 that form.
10 BY MR. GRACE:
11 **Q** Do you recall testifying that Dr.
12 Rogers changed the version of events
13 in the operation from what's
14 included in his operative report to
15 what he said in his answers to
16 interrogatories and his deposition?
17 MR. MCTAGUE: Objection,
18 Your Honor.
19 THE COURT: Overruled. Just
20 a yes or no answer.
21 **A Yes.**
22 **Q** Could you explain that?
23 MR. MCTAGUE: Objection,
24 Your Honor. Can we approach?

Page 215

215

1 THE COURT: That will be
2 stricken. You may approach.
3 (SIDEBAR CONFERENCE NOT TRANSCRIBED)
4 BY MR. GRACE:
5 **Q** Dr. Mayer, on what do you base your
6 opinion that Dr. Rogers changed his
7 version of the -- what occurred
8 during the operation from what was
9 in the operative note to what was in
10 his answers to interrogatories and
11 deposition?
12 **A Comparing his later answers in the**
13 **interrogatory and deposition, he**
14 **indicated he did dissection behind**
15 **the cecum prior to mobilizing it up**
16 **in the wound and pulling on the**
17 **ileum.**
18 In the operative note, it
19 indicates he was putting traction on
20 the ileum and then tried to mobilize
21 the cecum.
22 So, he pulled first and
23 dissected second, and later he was
24 trying to say he dissected first and

Page 216

216

1 **pulled second. I placed more**
2 **credence in the operative note --**
3 MR. MCTAGUE: Objection,
4 Your Honor.
5 THE COURT: Sustained. Next
6 question.
7 DR. MAYER: May I finish the
8 answer? There's --
9 THE COURT: No. Next
10 question.
11 BY MR. GRACE:
12 **Q** Could you turn to page 26 please?
13 And gross description,
14 again, what does that refer to in
15 the pathology?
16 **A That's when you look at the specimen**
17 **with your eyeballs as opposed to a**
18 **microscope.**
19 **Q** And after it's looked at under a
20 microscope, where were those
21 conclusions stated?
22 **A Those are concluded -- they would be**
23 **part of the final diagnosis.**
24 **Q** On the first page, right?

Superior Court Reporters, Inc.

1 A Yes, on the first page.
2 Q On page 25, right?
3 A Yes.
4 Q Are there any significant
5 conclusions with respect to specimen
6 A, the terminal ileum, after
7 microscopic inspection?
8 A After microscopic inspection, there
9 is no change or malignancy.
10 The vascular congestion and
11 hemorrhage, that's all part and
12 parcel of removing the bowel.
13 That's not an intrinsic disease of
14 the bowel. Basically, it's a normal
15 ileum with minimal gross changes
16 visible.
17 Of that mucosal ulceration,
18 that means nothing as to the
19 strength or integrity of the bowel
20 wall.
21 Q What does mural vascular congestion
22 mean?
23 A That just means, you know, once you
24 remove the bowel, the blood stays in

1 the bowel because the vascular
2 channels have been cut once you send
3 it to pathology. That's all that
4 means.
5 Q How about focal mucosal hemorrhage?
6 A That's from the trauma of surgery
7 and removing it and also the trauma
8 of the avulsion injury.
9 Q And do you recall questions about
10 how much of the ileum Dr. Rogers
11 removed?
12 A Yes.
13 Q Twenty-one centimeters? Do you have
14 an opinion regarding the
15 significance of Dr. Rogers removing
16 21 centimeters of the terminal ileum
17 and sending it to pathology?
18 A I remember this question, yes.
19 Q Yeah. What's the opinion of the
20 significance of that, removing 21
21 centimeters of the ileum and sending
22 it to pathology?
23 A Well, once you have created an
24 injury, such as was done here, the

1 avulsion injury, you're going to
2 create an anastomosis under
3 conditions where you remove bowel
4 widely, so you're a hundred percent
5 sure good healthy bowel is going
6 together.
7 That doesn't mean to say the
8 21 centimeters that were removed,
9 there was anything wrong with prior
10 to the avulsion injury.
11 But the tearing injury
12 causes trauma, so you want to go
13 back from the area of trauma, where
14 it's absolutely safe, to minimize
15 the chance of an anastomotic leak.
16 Unfortunately, in this case,
17 it leaked anyway, but I have no
18 criticisms of the way the injury was
19 handled. That was handled properly.
20 Q Okay. Could you turn to page 35 of
21 the jury book, please? That's a
22 General Surgery Progress Note signed
23 by Dr. Najjar on August 26, 2011,
24 the day before Mr. Marciniak was

1 discharged.
2 A Yes.
3 Q Do you see under vital signs?
4 A I do.
5 Q What was his temperature the day
6 before he was discharged?
7 A It was 101, and later in the day
8 went down to 99.4.
9 Q A hundred and one is febrile, isn't
10 it, low-grade fever?
11 A No, that's a good fever.
12 Q That was the day before he was
13 discharged, right?
14 A Yes.
15 MR. GRACE: Thank you.
16 THE COURT: Mr. McTague.
17 (RE-CROSS-EXAMINATION OF DAVID A.
18 MAYER, M.D.)
19 BY MR. MCTAGUE:
20 Q Why don't we stay on that page,
21 Doctor?
22 A Yes.
23 Q What time is that note?
24 A Six a.m.

Superior Court Reporters, Inc.

Sheet 56 Page 221

221

1 Q Now, before I ask you questions
2 about that temperature notation, I
3 want to ask you this question:

4 Mr. Grace showed you those
5 portions of the American College
6 Guidelines, correct?

7 A Yes.

8 Q And you had your opinion on that.
9 But some of them, Doctor, I think
10 you'd agree with.

11 One would be, for example,
12 that any expert defending -- talking
13 about defending a doctor or
14 prosecuting a doctor needs to be
15 honest, to dig into the facts to be
16 sure what he is saying is accurate
17 and true, correct?

18 A Yes.

19 Q So, if we were to do that here, you
20 would know that the notation on
21 th

22 August 26 , the one we looked at on
23 page 35, is referencing the high
24 temperatures from the previous 24
hours, correct?

Page 222

222

1 A Yes, we agreed on that before. Yes.

2 Q We did.

3 And if you then wanted to
4 get thorough with it, Doctor -- and
5 I am willing to sit here and go
6 through every page with you from our
7 exhibit here, which is the South
8 Shore Hospital; I believe it's
9 Exhibit 8.

10 If we were to go through
11 there, or, actually, if anyone
12 wanted to look to see am I really
13 right to say that this is true, we
14 could go through the nurses' notes
15 and look at every single temperature
16 and find out exactly when he was 101
17 degrees, correct?

18 A Yes.

19 Q And you know if we did that, he was
20 101 degrees at midnight on August
21 th

22 25 , two days before he left, right?

23 A I agree with that.

24 Q Now, turn to page 20. You have now
told this jury that you believe that

Page 223

223

1 Dr. Selwyn Rogers changed his story
2 from when he dictated his operative
3 note to when he then discussed it
4 more fully in deposition and answers
5 to interrogatories, correct?

6 A Yes.

7 Q You're essentially saying that he is
8 changing his story to protect
9 himself, that he is actually lying,
10 aren't you?

11 A I didn't say that. I just said
12 they're inconsistent. The story has
13 changed.

14 Q And your reference to how it changed
15 is now saying that Dr. Rogers didn't
16 say in his operative note that he
17 had tried to dissect before he
18 pulled, correct?

19 A He did some limited attempt, but he
20 didn't free the cecum before he
21 attempted to pull it.

22 Q Doctor, you just told this jury not
23 less than five minutes ago Dr.
24 Rogers originally said through his

Page 224

224

1 operative note that he had not done
2 any dissecting before he pulled,
3 then he changed it to say he
4 dissected before he attempted to
5 pull; didn't you just say that?

6 A I think I said he did minimal
7 dissection before he pulled, but it
8 was still adherent.

9 Q You think you just said that to this
10 jury?

11 A That's what I -- the meaning of what
12 I said.

13 Q Doctor, when I ask you questions
14 about going through this operative
15 note and we get to the point where
16 Dr. Rogers describes after the
17 incision being extended laterally
18 and medially, his reference there to
19 mobilizing is a reference to him
20 dissecting out behind the cecum,
21 correct?

22 A Not necessarily.

23 Q So, it may be about an hour, two
24 hours ago.

Superior Court Reporters, Inc.

Sheet 57 Page 225

225

1 Do you remember telling the
2 jury that, on page 20 of this op-
3 note, when Dr. Rogers referenced
4 "mobilized the cecum up to the wound
5 after extending incision," you
6 didn't tell these ladies and
7 gentlemen that that meant Dr. Rogers
8 was describing this blunt dissection
9 behind the cecum?

10 **A Well, since --**

11 **Q** They'll remember one way or the
12 other. Just tell them whether you
13 did or didn't.

14 **A Since it didn't come up the first**
15 **time and he tore the ileum off**
16 **trying to get it up, once he**
17 **extended the incision and did the**
18 **proper dissection and freed it, then**
19 **it mobilized easily.**

20 MR. MCTAGUE: Move to
21 strike, Your Honor.

22 THE COURT: It's stricken.

23 BY MR. MCTAGUE:

24 **Q** My question, Doctor, is much simpler

Page 226

226

1 than that. This is clearly in the
2 plainest English I can give you, a
3 yes or no.

4 Did you tell this jury not
5 more than two hours ago or so that
6 when you were looking at this
7 notation in Dr. Rogers' operative
8 note where he says, "We then turned
9 our attention to mobilize the cecum
10 up to the wound after extending the
11 incision laterally and medially,"
12 did you not tell them -- yes or no
13 -- did you tell them that that meant
14 he was dissecting behind the cecum?

15 **A At that point in time you're asking**
16 **me?**

17 **Q** Yes.

18 **A Yes, at that point in time, he had**
19 **to. Otherwise, he wouldn't have**
20 **freed it up.**

21 MR. MCTAGUE: Again, move to
22 strike the rest of that.

23 THE COURT: Denied. Next
24 question, Mr. McTague.

Page 227

227

1 BY MR. MCTAGUE:

2 **Q** And then, Doctor, you will not
3 accept that the word mobilize means
4 the exact same things by Dr. Rogers
5 when he describes attempts to
6 mobilize the cecum in the wound
7 before this avulsion, yes or no?

8 **A I can't answer that yes or no. I**
9 **could give a brief explanation.**

10 **Q** I have been having this trouble with
11 you. We'll see if I can do a little
12 better for a yes or no.

13 You're telling us -- yes or
14 no -- that the word mobilize is used
15 differently by Dr. Rogers initially
16 than subsequently in this op-note?

17 **A No, I'm not saying that. I can**
18 **explain.**

19 **Q** Doctor, I think we have heard quite
20 a bit on that, but let me ask you
21 this:

22 If you accept, Doctor, that
23 the word mobilize is used in the
24 same fashion, to mean the same thing

Page 228

228

1 throughout this operative note, if
2 that's true, there was no change in
3 what Dr. Rogers stated, correct?

4 **A I can't answer that yes or no. I**
5 **could explain.**

6 MR. MCTAGUE: I have nothing
7 further.

8 THE COURT: Ms. Kogan?

9 MS. KOGAN: No questions.

10 THE COURT: Mr. Grace?

11 MR. GRACE: No questions,

12 Your Honor.

13 THE COURT: All right.

14 Jurors, do you want a five-minute
15 break or do you want to keep going?
16 Show of hands for a five-minute
17 break.

18 Okay. Let's take a five-
19 minute break and then come right
20 back and we'll keep going. Okay?

21 THE COURT OFFICER: Court,
22 all rise, please.

23 THE COURT: Doctor, you may
24 step down after the jury leaves.

Superior Court Reporters, Inc.

Pages: 1-352
Exhibits: 8

COMMONWEALTH OF MASSACHUSETTS
FRANKLIN, SS SUPERIOR COURT DEPARTMENT
OF THE TRIAL COURT

* * * * *

SETH DOULL, as Personal Representative of the Estate of LAURA DOULL, SETH DOULL, MEGAN DOULL, and SETH DOULL as next friend of TROY DOULL,

Plaintiffs,

v.

ANNA C. FOSTER, N.P., and ROBERT J. MILLER, M.D.,

Defendants.

* * * * *

BEFORE THE HONORABLE MARY-LOU RUP
DOCKET NUMBER 1478CV00058

Friday, September 29, 2017

Meredith Pollier
Court Reporter

APPEARANCES:

COUNSEL FOR THE PLAINTIFF:

KRZYSZTOF G. SOBCZAK
THE LAW OFFICE OF KRZYSZTOF G. SOBCZAK
619 BOYLSTON STREET
NEWTON, MA 02459
T: (617) 669-6972
F: (617) 663-6037

COUNSEL FOR THE DEFENDANT, ANNA C. FOSTER,
N.P.:

NOEL DUMAS
MORISON MAHONEY, LLP
250 SUMMER STREET
BOSTON, MA 02210
T: (617) 439-7500
F: (617) 342-4846

COUNSEL FOR THE DEFENDANT, ROBERT J. MILLER,
M.D.:

REBECCA DALPE
ANDREW NEWTON
FOSTER AND ELDRIDGE, LLP
ONE CANAL PARK, SUITE 2100
CAMBRIDGE, MA 02141
T: (617) 252-3366
F: (617) 252-3377

I N D E X

WITNESS:	DIRECT	CROSS	REDIRECT	RE CROSS
KENNETH MILLER, M.D.				
By Ms. Dalpe	25		265	300
By Mr. Sobczak		84		
By Mr. Dumas				294
SANDY DUSEK				
By Ms. Dalpe	320		332	
By Mr. Sobczak		326		
By Mr. Dumas		329		
LEE STANELY				
By Ms. Dalpe	335			
By Mr. Sobczak		340		
By Mr. Dumas		345		

P R O C E E D I N G S

1 PROCEEDINGS
2 (Court commences at 9:00 a.m.)
3 COURT OFFICER: Court, all
4 rise. Franklin County Superior
5 Court is now in session with the
6 Honorable Mary-Lou Rup presiding.
7 For the record, today is
8 th
9 Friday, September 29, 2017.
10 Please turn all electronic
11 devices off. Please remain quiet,
12 court is now in session. You may be
13 seated.
14 THE CLERK: I ask the
15 parties who are members of this
16 session that are being digitally
17 recorded, please state your name and
18 identify the party to which you
19 represent.
20 Calling Civil Action 2014-
21 058, Doull v. Foster. This matter
22 is on for continuation of jury
23 trial.
24 MR. SOBCZAK: Good morning,
Your Honor. Krys Sobczak for the

Superior Court Reporters, Inc.

Sheet 2 Page 5

5

1 plaintiffs.

2 MR. DUMAS: Good morning,
3 Your Honor. Noel Dumas on behalf of
4 Anna Foster.

5 MS. DALPE: Good morning,
6 Your Honor. Rebecca Dalpe on behalf
7 of Dr. Miller.

8 THE COURT: Okay, thank you.
9 Good morning.

10 MR. NEWTON: Good morning,
11 Your Honor, Andrew Newton on behalf
12 of Dr. Miller.

13 THE COURT: I'm sorry, I
14 broke in before you had an
15 opportunity to introduce yourself,
16 Mr. Newton.

17 Okay, so the jurors are all
18 here. Are we ready to proceed?

19 MR. SOB CZAK: I have couple
20 of preliminary issues, Your Honor,
21 so that we can potentially avoid
22 repeated objections and sidebars
23 during the examination.

24 THE COURT: Mm-hmm.

Page 6

6

1 MR. SOB CZAK: The first
2 witness the defendants are going to
3 put on is one of their experts. I
4 just wanted to get clarification
5 from the Court and any confirmation
6 of the prior ruling that this is the
7 defendant's expert, and therefore,
8 it's going to be direct examination,
9 regardless of which lawyer does the
10 examination; that the Court is not
11 going to permit one lawyer to cross
12 their own expert.

13 THE COURT: Which of you
14 wants to respond to that assertion
15 by Mr. Sobczak?

16 MS. DALPE: Thank you, Your
17 Honor.

18 As you know, Attorney
19 Sobczak has repeatedly tried to
20 suggest that Attorney Dumas and I
21 are simultaneously representing both
22 of the defendants. That is not the
23 case. We each have our own client.
24 We have, for purposes of

Page 7

7

1 this trial, agreed to share certain
2 experts. That being said, every
3 party has a different interest, and
4 we are each entitled to perform our
5 own examination.

6 Attorney Sobczak's plan
7 seems to be in some way to
8 circumscribe the rights of the
9 defendants at the time of trial.
10 I don't think that's warranted or
11 appropriate.

12 MR. DUMAS: One additional
13 thing, Your Honor.

14 I can tell you that in terms
15 of a cross-examination, I wouldn't
16 go through what has already been
17 rehashed as duplicative.

18 The other issue, too, is
19 that I imagine if it's Attorney
20 Sobczak's position, as he has just
21 stated it, that I were to have my
22 own causation expert or
23 hematologist, he would have objected
24 to that as duplicative testimony.

Page 8

8

1 THE COURT: He has already
2 made that motion, as I recall, --

3 MR. DUMAS: Exactly. So, he
4 can't have it --

5 THE COURT: -- or something
6 essentially to that effect during
7 the dozens of motions that the Court
8 had to review before the trial
9 started, from both sides, I will
10 say.

11 MR. DUMAS: Correct. So, he
12 can't have it both ways. And I
13 don't intend to go into -- Attorney
14 Dalpe will be doing the direct of
15 Dr. Miller this morning. I don't
16 intend to cross --

17 THE COURT: This is the
18 other Dr. Miller?

19 MR. DUMAS: The other Dr.
20 Miller, the hematologist.

21 THE COURT: Okay.

22 MR. DUMAS: I don't intend
23 to ask anything that's covered by
24 direct examination. I may have very

Superior Court Reporters, Inc.

Sheet 3 Page 9

9

1 little; I may have nothing, but I do
2 have a right to cross-examine
3 witnesses that are called by any
4 party.

5 MR. SOBCZAK: And Your
6 Honor, I have no objection to Mr.
7 Dumas questioning the witness, but
8 he's not cross-examining him. He is
9 -- that's his direct witness.

10 The disclosure that was
11 filed in court before I filed any
12 single motion was a single
13 disclosure, jointly, from both
14 defendants. For Mr. --

15 THE COURT: Excuse me for a
16 moment. Do you intend to cross-
17 examine this witness, or is he
18 actually part of your expert
19 evidence?

20 MR. DUMAS: The only caveat
21 is to move testimony along. If I'm
22 making subtle points, I may try to
23 in the furtherance of testimony.

24 THE COURT: That's perfectly

Page 10

10

1 fine. Everybody does it, including
2 Mr. Sobczak with his own witnesses.

3 Cross-examination to just
4 get -- move things along and get to
5 the point is perfectly fine.

6 MR. SOBCZAK: Well, the
7 reason --

8 THE COURT: All right, thank
9 you. I've ruled on this, Mr.
10 Sobczak.

11 MR. SOBCZAK: I know. The
12 reason I'm --

13 THE COURT: Please, I know
14 you keep insisting on needing to say
15 things over and over again. We lose
16 a lot of time because of that.

17 Mr. Dumas has just indicated
18 how he will handle the witness. I
19 have ruled that that appears to be
20 appropriate. Next issue.

21 MR. SOBCZAK: Next issue,
22 Your Honor, is the specific issue of
23 the disclosures. The defendants'
24 disclosures are identical for both.

Page 11

11

1 What I am concerned the
2 defendants will try to do is to
3 basically disregard all rules, and
4 he will try on cross-examination to
5 introduce literature that was never
6 disclosed. There is not a single
7 study that was disclosed by either
8 of the defendants pretrial.

9 And what they've been doing
10 so far is by pretending to be cross-
11 examining opposing expert, they're
12 trying to sneak in the literature
13 that's never disclosed. That is a
14 violation of every single rule
15 applicable to this Court, and that's
16 what I'm trying to avoid.

17 Him asking questions,
18 clarifying things within the
19 disclosure, he's got a complete
20 right, but when they try to call it
21 cross-examination, and try to
22 violate the rules of crossing his
23 own expert, that's what I'm trying
24 to avoid, Your Honor.

Page 12

12

1 MR. DUMAS: I don't intend
2 to introduce any articles, Your
3 Honor.

4 THE COURT: Thank you.

5 MR. SOBCZAK: The other
6 issue is then, the Court has ruled
7 on keeping it to disclosures. I see
8 that the defendants have article
9 that were not disclosed. There is
10 not a single article disclosed in
11 the disclosure, therefore, I assume
12 that defense counsel will not even
13 try to ask about literature and
14 violate the Court's order.

15 The third issue is, Your
16 Honor, --

17 THE COURT: Does anybody
18 want to respond to that assertion by
19 Mr. Sobczak?

20 MS. DALPE: Your Honor, in
21 my direct exam, I did not ask about
22 any particular piece of literature.

23 THE COURT: Okay, thank you.
24 MR. SOBCZAK: The third

Superior Court Reporters, Inc.

1 issue is, Your Honor, there was a
2 subpoena served on the Doctor.

3 I request that the documents
4 that were produced responsive to the
5 subpoena be turned over now and
6 marked, because if the Doctor
7 violated the Court's order and did
8 not produce the documents, should be
9 precluded from testifying
10 altogether.

11 MS. DALPE: Your Honor, the
12 documents that Dr. Miller has
13 include his CV, the list of
14 materials that he has reviewed from
15 the case, including the pleadings,
16 all the depositions, all the
17 records, and also the list of
18 literature that he reviewed in
19 confirming his opinion in this case,
20 and that is all here.

21 The witness did not have
22 correspondence from the insurance
23 company, which was also subject to
24 the subpoena. I can produce that

1 now.

2 MR. SOB CZAK: There was also
3 -- subpoena included issues
4 concerning billing, concerning
5 payment for this case.

6 There were seventeen
7 elements to the subpoena. The
8 defendants moved to strike some of
9 it. The Court did. What remained
10 are points 1, 2, 3, 6, 8, 10, and
11 11. And what Ms. Dalpe just
12 described is not nearly responsive
13 to all of them.

14 THE COURT: Well, it may
15 very well be that the Doctor does
16 not have all of that information.
17 He's only required to produce that
18 which is in his possession.

19 Do you want to respond,
20 however, as to these other things
21 that Mr. Sobczak says are not among
22 the ones that you have just verbally
23 listed?

24 MS. DALPE: Yes, Your Honor.

1 I believe your instruction in this
2 case was quite clear.

3 That being said, the
4 materials that are responsive to
5 what Your Honor allowed was a CV, a
6 list of the documentation that he
7 reviewed, a list of any literature
8 that he reviewed, and correspondence
9 with the insurance company.

10 You specifically excluded
11 any and all communications with
12 counsel's office, which would
13 involve any kind of written
14 correspondence between me and the
15 expert.

16 Dr. Miller, I don't believe,
17 has any of his billing records,
18 however, in the interest of full
19 disclosure, I did ask Covarys to
20 provide me with numbers of what my
21 expert had earned up until this
22 point, although Dr. Miller actually
23 does not remember and does not have
24 any of his billing invoices left.

1 MR. SOB CZAK: Your Honor,
2 for the record, to correct, the
3 actual court order, the Court
4 precluded any work product
5 concerning opinions.

6 The Court specifically
7 included Section 8 of the subpoena,
8 which was any and all writings,
9 proposals, documents, or agreements
10 concerning your fees or services
11 rendered in this case.

12 That would include any
13 communications, any checks, any
14 transfers. Clearly, the Doctor has
15 been paid. If he did not bring his
16 payment information, which is
17 primarily ripe information for
18 cross-examination, and he has
19 disregarded the subpoena, he should
20 be precluded from testifying.

21 THE COURT: I am not going
22 to preclude him from testifying.

23 With regard to the payments,
24 you said you've been made aware of

Superior Court Reporters, Inc.

Sheet 5 Page 17

17

1 what that amount is, you can tell
2 Mr. Sobczak what you're aware of and
3 he can use the figure.

4 We're not going to be
5 parading bills and trying to get
6 bills introduced into evidence.
7 What's relevant is, with regard to
8 payments to a witness, is to the
9 extent that the person has been
10 paid, that that may in some way be
11 indicative of a witness's bias
12 toward the person who has paid them.
13 That's all that's relevant.

14 MR. SOBCZAK: For the
15 record, Your Honor --

16 THE COURT: I understand
17 what you are saying. I am making
18 that ruling.

19 Ms. Dalpe has said that the
20 Doctor, as I understand it, doesn't
21 have any billing records, is that
22 correct?

23 MS. DALPE: Yes, Your Honor.

24 THE COURT: Okay.

Page 18

18

1 MS. DALPE: Dr. Miller
2 didn't have any -- we figured that
3 the best safest way of getting to
4 the exact amount that he has been
5 paid would be to ask Coverys
6 directly.

7 THE COURT: All right. So,
8 that information will be provided.
9 We're going to move on. Your
10 objection --

11 MR. SOBCZAK: That's the
12 whole subpoena. I offer it to be
13 marked for ID, the subpoena and the
14 Court's records -- on the record
15 that the Court is going to --

16 THE COURT: That's fine.
17 That is fine. Please, let us move
18 on. It's now quarter after 9:00.
19 Once again, a good portion of the
20 morning is being taken up by your
21 insistence on arguing with me about
22 rulings that I have made or am
23 making at this time.

24 MR. SOBCZAK: And the Court

Page 19

19

1 has just changed those rulings,
2 which is important.

3 So, I prepared for this
4 morning in anticipation that the
5 Court is going to enforce the law as
6 it said in this case. The Court
7 made a ruling in this case --

8 THE COURT: All right, well
9 your objection is made. Would you
10 please --

11 MR. SOBCZAK: -- and the
12 last thing, Your Honor, --

13 THE COURT: Yes.

14 MR. SOBCZAK: I request that
15 the witness not have any documents
16 on his stand. This is supposed to
17 be testimony, not reading prepared
18 notes.

19 The fact that the defense
20 counsel are stacking their experts
21 with cheat sheets on the paper, and
22 they're reading from it, is grossly
23 improper.

24 If the witness for some

Page 20

20

1 reason cannot testify from his
2 memory, then he is incompetent to
3 give testimony.

4 If he needs to be refreshed,
5 then that can be done on cross, but
6 for a witness to be sitting on a
7 stack of papers on the stand and
8 reading his testimony, that's not
9 trial, at least not anywhere I've
10 read, Your Honor.

11 THE COURT: Ms. Dalpe, does
12 your witness intend to utilize notes
13 to assist him in remembering things,
14 or will he be able to testify from
15 memory?

16 MS. DALPE: I believe he is
17 perfectly competent to testify from
18 memory, but he does have his own
19 jury book here, as well as a page
20 with --

21 THE COURT: By jury book you
22 mean the one that's been given to
23 the jurors?

24 MS. DALPE: Yes, Your Honor.

Superior Court Reporters, Inc.

Sheet 6 Page 21

21

1 THE COURT: All right.
2 MS. DALPE: And I believe he
3 also has a note with the proper
4 spelling and pronunciation of Mr.
5 Sobczak's name.
6 THE COURT: Okay, thank you,
7 that's fine.
8 MR. SOBCZAK: And Your
9 Honor, in that case, I insist that
10 he uses the one that's been marked,
11 and not something that may have been
12 prepared ahead of time and written
13 notes in. That's why we have
14 Exhibits marked.
15 Anything the witness has on
16 the stand, it has been marked for
17 ID. If the defense counsel intends
18 to use the jury book, the proper
19 procedure is, they hand him the one
20 that's been in court, and used by
21 everybody else, not something that
22 the witness brings in with him that
23 may contain other notes in there.
24 THE COURT: I am not going

Page 22

22

1 to make that an order.
2 MR. SOBCZAK: Thank you.
3 THE COURT: Is the notebook
4 that will be put in front of the
5 witness the same one that is being
6 -- that the jurors have had?
7 MS. DALPE: I believe so,
8 Your Honor. He may have extra pages
9 from Tab F, the Women's Compounding,
10 but we're not getting into any of
11 that.
12 THE COURT: Okay, thank you.
13 All right, can we please --
14 MR. NEWTON: Your Honor, --
15 THE COURT: Yes. Oh, I'm
16 sorry, Mr. Newton.
17 MR. NEWTON: Your Honor, I
18 have one very brief housekeeping
19 matter.
20 THE COURT: Sure.
21 MR. NEWTON: We spoke
22 yesterday about adding some pages to
23 the juror book, the agreed-to
24 Exhibit from Women's International.

Page 23

23

1 That's been done. And the book that
2 has been marked for ID has been
3 done. And I believe Your Honor has
4 a copy of the juror book, as well?
5 THE COURT: I do, and I
6 gather I don't have that.
7 MR. NEWTON: And if I can
8 approach, I have the same pages.
9 THE COURT: Thank you. And
10 would that be another tab?
11 MR. NEWTON: Yes, Tab F.
12 MR. SOBCZAK: And I offer as
13 Exhibit QQ the trial subpoena that
14 was served to Dr. Miller.
15 THE COURT: That will be
16 marked.
17 (QQ for Identification, so marked)
18 THE COURT: Just one other
19 quick issue. It's my intention to
20 take up before we end the day today
21 as with regard to the issue of Mr.
22 Doull's deposition and designated
23 matters.
24 Just to let everybody know,

Page 24

24

1 we still need to resolve that at
2 some point today.
3 Okay, thank you. Would you
4 bring out the jurors, please.
5 COURT OFFICER: Yes. Jurors
6 now entering. Please rise.
7 (Jurors Entering)
8 THE COURT: You may be
9 seated. Good morning.
10 Members of the jury, before
11 we resume this morning, have any of
12 you read anything about the case,
13 heard anything about the case,
14 discussed the case among yourselves
15 or with anyone else since we
16 recessed yesterday afternoon?
17 JURORS: No.
18 THE COURT: Does any juror
19 have anything he or she would like
20 to bring to my attention, or
21 anything you feel should be brought
22 to my attention about your continued
23 service as a juror on this trial or
24 any other matter related to this

Superior Court Reporters, Inc.

Sheet 7 Page 25

25

1 case? Thank you.
2 Ms. Dalpe, I understand
3 you're going to call the next
4 witness.
5 MS. DALPE: Thank you, Your
6 Honor. We would like to call Dr.
7 Ken Miller to the stand.
8 (Testimony of Kenneth Miller, M.D.,
9 commences at 9:18 a.m.)
10 (Jury present)
11 (WITNESS SWORN)
12 KENNETH MILLER: I do.
13 THE CLERK: Thank you.
14 THE COURT: Go ahead.
15 MS. DALPE: Thank you.
16 (DIRECT EXAMINATION OF KENNETH
17 MILLER, M.D.)
18 BY MS. DALPE:
19 Q Can you please state your full name
20 and address?
21 A Kenneth Miller, 52 Rangely Road,
22 Chestnut Hill, Mass., 02467.
23 Q And Doctor, what is your occupation?
24 A I'm a hematologist.

Page 26

26

1 Q And where are you a hematologist?
2 A At Tufts Medical Center.
3 Q And what is your specific position
4 at Tufts?
5 A Associate Chief of Hematology/
6 Oncology and full Professor of
7 Medicine.
8 Q And can you tell me, beginning with
9 college, describe your educational
10 background?
11 A Undergraduate at NYU, medical school
12 at New York Medical College,
13 residency and chief residency
14 training at NYU, fellowship at Tufts
15 New England Medical Center, and then
16 faculty position at Tufts.
17 Q And can you tell the jury a little
18 bit about what is a fellowship?
19 A A fellowship is training that occurs
20 after residency, and where you
21 become a specialist in your specific
22 field.
23 Q And what specifically did your
24 fellowship consist of?

Page 27

27

1 A Working and treating and evaluating
2 only specific groups of patients,
3 namely, patients with hematologic
4 blood disorders.
5 Q And how long was your fellowship?
6 A Three years.
7 Q And can you describe exactly what
8 the term hematology means?
9 A Hematology is the study of blood
10 forming organs, and the components
11 of blood, and the function of blood,
12 either as it performs normally or
13 abnormally. It includes
14 malignancies, excess clotting, or
15 decreased clotting.
16 Q And are you board certified?
17 A Yes.
18 Q And what does it mean to be board
19 certified?
20 A It established an accurate -- my
21 complete training in the field, and
22 I passed a written examination,
23 maintained ongoing further education
24 in the field, and been accepted as

Page 28

28

1 an expert in hematology.
2 Q And are you board certified in
3 internal medicine and hematology?
4 A Yes.
5 Q Okay. Do you hold -- oh, can you
6 tell the jury a little bit more
7 about your faculty appointment,
8 please?
9 A I am a Professor of Medicine at
10 Tufts Medical Center; primarily, a
11 clinician, a teacher, and academic
12 position.
13 Q And what types of individuals do you
14 teach in connection with your role
15 as a full professor?
16 A So, I teach in the medical school,
17 medical students the field of
18 hematology. I teach residents; in
19 other words, those individuals who
20 are training for general medicine in
21 the hospital.
22 I teach fellows who work in
23 my clinic every day of the week. I
24 teach nurse practitioners. I teach

Superior Court Reporters, Inc.

Sheet 8 Page 29

29

- 1 other doctors who want to learn
2 about my field. That's it.
3 Q And the hospital that you hold
4 privileges at, where is that?
5 A Yes.
6 Q That's Tufts, right?
7 A Correct.
8 Q Okay. Are you a member of any
9 professional societies or
10 organizations?
11 A American Society of Hematology,
12 American College of Physicians.
13 Q And have you co-authored or authored
14 more than 120 peer review journal
15 articles?
16 A Yes, I have -- last count, it was
17 more than 120, yes.
18 Q Thank you. Have you written any
19 books, chapters, or been invited to
20 speak?
21 A Oh, in excess of 50 to 70 chapters
22 in books, including submitted online
23 articles, it's approximately 100.
24 Q And have you served as a reviewer of

Page 30

30

- 1 any peer review journals?
2 A Yes, I have reviewed multiple peer
3 review journals.
4 Q And what does it mean to be a
5 reviewer of a peer review journal?
6 A An author would submit an article,
7 and the journal would look for an
8 expert in the field, to see if this
9 article is worthy of publication.
10 And moreover, if it's not
11 completely worthy, our
12 responsibility is to make it better,
13 and what the author needs to address
14 to make this article acceptable,
15 what they need to address in their
16 article.
17 Q And can you give the jury examples
18 of the types of editorial boards
19 that you sit on?
20 A I sit presently on the Leukemia
21 Research -- leukemia; I've served
22 on Cancer -- as a senior reviewer
23 for New England Journal, Blood,
24 Journal of Clinical Oncology, Annals

Page 31

31

- 1 of Internal Medicine, American
2 Journal of Medicine.
3 I think I may have forgotten
4 a few, but almost every major
5 publication in my field, I've at one
6 time reviewed papers for.
7 Q Okay. And how many times have you
8 given lectures in your particular
9 field?
10 A Lecturing is very much a part of
11 what I do, an estimated 50 times a
12 year, but it's really every day, I
13 teach residents and fellows. But
14 lectures at outside hospitals,
15 approximately 50 times a year.
16 Q And have you been ranked one of
17 America's Top Doctors by U.S. News &
18 World Report?
19 A Yes, in the top one percent of
20 doctors in cancer in U.S. News &
21 World Report for the last ten years.
22 Q And can you describe for the jury,
23 what is the selection process?
24 How do you get selected to

Page 32

32

- 1 be one of the Top Doctors by U.S.
2 News & World Report?
3 A They're different than most other
4 organizations. One, there is some
5 peer review, and members of my
6 organization have to sort of
7 establish me as an expert.
8 What my publications are and
9 how frequently they're read, who I'm
10 invited to give lectures to, and my
11 general standing in the medical
12 community as being considered a
13 super expert.
14 Q Have you also received similar
15 recognition from Newsweek and Boston
16 Magazine, as one of the Best
17 Doctors?
18 A Yes, Boston -- Newsweek, the similar
19 articles, the top ten percent, top
20 one percent. I've been selected as
21 the Best of Boston for the last
22 twenty years.
23 Q And over the course of your career,
24 have you cared for patients who have

Superior Court Reporters, Inc.

1 CTEPH?
2 **A Yes.**
3 **Q** Okay. And in what aspects of care
4 is a hematologist involved with,
5 with a CTEPH patient?
6 **A A patient can get CTEPH -- or some**
7 **of them are my patients; patients**
8 **without a spleen, patients with**
9 **cancer, patients with clotting**
10 **disorders.**
11 **So, the nature of my**
12 **practice, I see patients who have**
13 **developed CTEPH.**
14 **Q** And are you ever consulted on
15 hormone replacement therapy for
16 patients who have blood disorders?
17 **A Yes.**
18 **Q** Prior to your involvement in this
19 case, have you reviewed cases of
20 potential malpractice over the
21 course of your career?
22 **A Yes.**
23 **Q** And how often have you served as an
24 expert in a situation like this?

1 **A Over the last 20 years, probably 30**
2 **cases. I have a full-time job, so**
3 **at any one time, I won't have more**
4 **than three cases on my plate.**
5 **Q** Okay. And have you offered opinions
6 to both lawyers representing
7 plaintiffs, as well as defendants in
8 your work as a medical/legal expert?
9 **A Yes.**
10 **Q** And can you tell us, what percentage
11 of your testimony has been for
12 plaintiffs, versus defendants?
13 **A Two-thirds for the defense; about**
14 **one-third for the plaintiff.**
15 **Q** Okay. And you are being paid for
16 the time that I'm taking away from
17 your office today, is that right?
18 **A That's correct.**
19 **Q** Okay. And over the course of this
20 case, you have reviewed a quantity
21 of materials, is that right?
22 **A Yes.**
23 **Q** Okay. Do you remember what it is
24 that you have been paid up to this

1 point?
2 **A No.**
3 **Q** Okay. If I represent to you that
4 you have earned about \$29,000, would
5 that sound accurate to you?
6 **MR. SOB CZAK: Objection,**
7 **Your Honor.**
8 **THE COURT: Overruled.**
9 **A Yes.**
10 **BY MS. DALPE:**
11 **Q** Okay. And did you review this case
12 at my request?
13 **A Yes.**
14 **Q** And did you review the following
15 materials in connection with your
16 testimony today?
17 **The medical records from Dr.**
18 **Bob Miller's practice, Brigham &**
19 **Women's Hospital, Baystate Medical**
20 **Center, Baystate Franklin Center,**
21 **Dr. Silverman, plaintiff's jury**
22 **book, Plaintiff's Supplemental**
23 **Responses to Request for Production?**
24 **A Yes.**

1 **Q** Okay.
2 **MR. SOB CZAK: Objection,**
3 **Your Honor. Misrepresents -- there**
4 **is no plaintiff's jury book; it's**
5 **only the defendants selected records**
6 **in the jury book.**
7 **MS. DALPE: I beg your**
8 **pardon.**
9 **THE COURT: Would you**
10 **correct that, please, Ms. Dalpe?**
11 **MS. DALPE: Absolutely.**
12 **BY MS. DALPE:**
13 **Q** Did you review the jury book that we
14 put together in this case?
15 **A Yes.**
16 **Q** Okay. And the thousands of pages of
17 materials that have been marked to
18 date in this case?
19 **A Yes, there's greater than 10,000**
20 **pages from the Brigham alone, yes.**
21 **Q** Okay. In addition to all of those
22 materials, did you also read a host
23 of deposition testimony?
24 **A Yes, over 40 depositions.**

Superior Court Reporters, Inc.

1 Q All right. And just so the jury can
2 hear all of the materials that you
3 have reviewed in this case, have you
4 reviewed the following:
5 The deposition of Michelle
6 Violi, the two days of deposition of
7 Bob Miller, consisting of 14 hours,
8 the depositions of John Doull, Joan
9 Greenfield, Daniel Hyytinen, Dawn
10 Dorisy, Jane Kane, Robin Pierce, Mr.
11 and Mrs. Johnson, the 16 hours of
12 deposition testimony of Anna Foster,
13 the depositions of Kim Celli, Steven
14 Roy, Mr. & Mrs. Stanley, Mr. Valley,
15 Ms. Doull, Tammy Zelman, Ms. Shinn,
16 Kim Wysk, Dawn Hyytinen, Mrs.
17 Lowinski, Mr. Doull, Kelsey
18 Klerowski, Mr. Emond, Ben Crosby,
19 Kelly Doull, Shirin Morris, Laurie
20 Dulude, Melissa Finn, Mr. Finn, Paul
21 Moyer, Sandy Guzik, Kim Gleason, Dan
22 Gleason, Kelley Goddard, Ira Doull,
23 Joseph Alves, William Fitzpatrick.
24 Are those all the

1 depositions that you have read to
2 date in this case?
3 A I believe so.
4 Q Okay. And you have also read the
5 trial testimony of Dr. Genecin,
6 plaintiff's expert, who testified
7 last week, is that correct?
8 A That's correct.
9 Q Okay. Based on your review of the
10 deposition testimony and the medical
11 records, did we prepare a report and
12 file that in June of this year?
13 A Yes.
14 Q Okay.
15 MR. SOBCZAK: Objection as
16 to the we.
17 THE COURT: Overruled.
18 BY MS. DALPE:
19 Q Had you read all of the materials,
20 -- other than Dr. Genecin's trial
21 testimony, of course, had you read
22 all of those materials prior to
23 signing off on your disclosure in
24 this case?

1 A Yes.
2 Q Okay. Is it fair to say that you're
3 basing your opinions that you are
4 giving here today on your review of
5 those medical records, deposition
6 testimony, and your education,
7 training, and experience?
8 A Yes.
9 Q Okay. Have you ever spoken with Dr.
10 Miller or Anna Foster about this
11 case?
12 A No.
13 Q All right. And I'm going to ask.
14 Since you share the same last name,
15 are you related in any way to Robert
16 Miller?
17 A No.
18 MS. DALPE: Okay, just a
19 coincidence.
20 Q Have you ever spoken with Dr. Hill
21 or any of the other experts that
22 were retained for the defense in
23 this case?
24 A No.

1 Q All right. For purposes of my
2 questions about your opinions in
3 this case today, are all of your
4 opinions that you are giving being
5 made to a reasonable degree of
6 medical certainty?
7 A Yes.
8 Q To begin with, can you give us a
9 little orientation about what is
10 hormone replacement therapy?
11 A Hormone replacement therapy, and I
12 think we're defining it for women
13 and not for men.
14 To begin with, women are
15 hormonally very complicated.
16 There's basically a number of
17 different hormone replacement
18 therapies for women who are
19 relatively deficient in hormones,
20 that are having perimenopausal
21 symptoms from hot flashes,
22 headaches, insomnia, decreased
23 energy, and where appear to approve
24 with supplemental hormone therapy.

Superior Court Reporters, Inc.

1 Then there's patients who
2 are -- women who are postmenopausal,
3 to replace their hormones so they
4 don't have the symptoms of
5 menopause, the hot flashes, the
6 fatigue, hair loss, many of the
7 symptoms that go with it.

8 The next group are the
9 individuals who try to maintain a
10 pregnancy, who can't for some reason
11 because they don't make adequate
12 numbers of hormones or have trouble
13 conceiving, or have had chronic
14 miscarriages.

15 Then the last, who are many
16 of my patients, where it's physician
17 induced, or medically induced early
18 menopause because of the
19 chemotherapy or the radiation that
20 they've received, and addressing
21 their quality of life.

22 Q Doctor, are there various -- well,
23 have you prepared an illustrative
24 chalk to discuss the different types

1 of hormone replacement therapy?

2 A I have.

3 MS. DALPE: Okay, Your
4 Honor, I'd like to use a chalk, if I
5 could?

6 THE COURT: Yes.

7 MR. SOBCHAK: Objection.
8 Lack of disclosure.

9 MS. DALPE: To what, Mr.
10 Sobczak?

11 MR. SOBCHAK: And
12 technically, motion to strike all
13 the previous answer, again, for lack
14 of any disclosure.

15 THE COURT: Overruled.

16 Can I see you at sidebar, please?

17 (SIDEBAR CONFERENCE)

18 MS. DALPE: So, this board
19 is about indications that he has
20 just testified to for hormone
21 replacement therapy.

22 MR. SOBCHAK: It's not.
23 There is nothing on this board
24 that's been testified to, and more

1 importantly, there is nothing on
2 this board that's in the disclosure.

3 THE COURT: Overruled. Go
4 ahead. Keep telling me what's on
5 this.

6 MS. DALPE: And this is
7 group two, and this is an outline of
8 the different types of hormone
9 replacement therapy that has been
10 brought up in this case, progestin
11 and progesterone, plus estrogen
12 combinations, and progesterone in
13 general.

14 And it speaks to, in
15 particular, the list of progesterone
16 that Dr. Miller is disclosed to
17 testify to, which is where it's
18 known. It speaks to progestins,
19 which has already been covered in
20 this case, and it speaks to
21 estrogen, progestins, the kind that
22 have been mentioned, which is
23 associated with this, which is also
24 been brought up in plaintiff's case.

1 MR. SOBCHAK: And again,
2 Your Honor, none of this is
3 disclosed. It is a direct
4 examination of their own expert, and
5 there should be some rules in this
6 Court. As a matter of fact, this
7 Court has already ruled --

8 THE COURT: The Court has
9 quite approval, I would beg to
10 differ with that characterization.

11 MR. SOBCHAK: One of them
12 being --

13 THE COURT: Would you please
14 let me finish?

15 I'm going to look at the
16 disclosure and see if it's in here
17 or not, but I will note that you,
18 yourself, brought in charts without
19 showing it to anybody, marked it up.
20 So, let's not --

21 MR. SOBCHAK: And for the
22 record, Your Honor, --

23 THE COURT: Let's not be
24 making --

Superior Court Reporters, Inc.

Sheet 12 Page 45

45

1 MR. SOBCZAK: The chart I
2 brought in was verbatim what the
3 witness agreed to.
4 THE COURT: Well, perhaps
5 this is what this --
6 MR. SOBCZAK: And it was
7 defendant, not their own expert.
8 There is a big difference,
9 Your Honor, there's a cross-
10 examination of a party --
11 THE COURT: All right, your
12 objection is noted.
13 MR. SOBCZAK: -- and a direct
14 examination of an expert witness,
15 which requires specific disclosures,
16 and in this case, this Court has
17 pretrial ruled the defendants are
18 allowed to stay within the
19 disclosures only.
20 MS. DALPE: In the second
21 paragraph of Dr. Miller's
22 disclosure, --
23 THE COURT: Which I'm trying
24 to find right now.

Page 46

46

1 MS. DALPE: I'm sorry.
2 THE COURT: There's a lot of
3 disclosures.
4 MS. DALPE: I know, I'm
5 sorry, Your Honor.
6 THE COURT: No, there's no
7 reason to be -- to apologize. I
8 just want to make sure this is Dr.
9 Miller's.
10 MS. DALPE: I have Mr.
11 Dumas's copy, if you would like.
12 THE COURT: Okay, thank you.
13 MS. DALPE: I believe right
14 in -- somewhere in here, it talks
15 about -- will talk about hormone
16 replacement therapy, in general, and
17 specifically with progesterone
18 cream. So, I think we've covered
19 the general.
20 MR. SOBCZAK: There is
21 grossly insufficient disclosure to
22 allow jury to see a giant board to
23 provide things that are not in the
24 disclosure.

Page 47

47

1 THE COURT: Okay. Well,
2 your objection is noted, but you may
3 use the chalk.
4 MR. SOBCZAK: In that case,
5 Your Honor, I move for a mistrial
6 based on this Court's continual of
7 allowance of defendants to disregard
8 all rules of this Court.
9 THE COURT: Okay, your
10 request is noted, but overruled.
11 MR. SOBCZAK: Thank you.
12 MS. DALPE: Thank you, Your
13 Honor.
14 MR. SOBCZAK: And I move for
15 a fair trial so that the rules apply
16 equally to both sides.
17 THE COURT: You are getting
18 a fair trial.
19 MR. SOBCZAK: Not in this
20 Court, Your Honor.
21 (END SIDEBAR CONFERENCE)
22 MR. SOBCZAK: Can we mark
23 these non-disclosed boards for ID so
24 that they are part --

Page 48

48

1 THE COURT: Of course they
2 will be marked for identification.
3 Is it RR and SS?
4 THE CLERK: Yes, Your Honor.
5 (RR for Identification, so marked)
6 (SS for Identification, so marked)
7 THE COURT: And anybody that
8 feels that they need to move over so
9 they can see is permitted to do so.
10 And I'm going to do that right now.
11 BY MS. DALPE:
12 Q Doctor, could you step down, please?
13 Doctor, I think when I broke
14 off, I was asking you whether or not
15 there were different types of
16 hormone replacement therapy.
17 You've seen the chalk that
18 you've prepared. Can you walk the
19 jurors through the different types
20 of hormone replacement therapy?
21 MR. SOBCZAK: Objection.
22 Leading, non-disclosed, and --
23 THE COURT: Overruled.
24 MR. SOBCZAK: -- in

Superior Court Reporters, Inc.

1 violation of this Court's orders.
2 A So, what I did in this chart, which
3 is the various hormonal therapies
4 and their risk of developing a clot,
5 in the chart I put together.
6 And as you'll notice over
7 here --
8 THE COURT: Excuse me, would
9 you keep your voice up a little bit
10 more, Doctor, because when you start
11 facing the board, I'm afraid
12 sometimes there's a tendency to talk
13 to the board, and not everyone may
14 be able to hear you.
15 Can everyone hear him? No?
16 I see some -- okay, just keep your
17 voice up nice and that will be very
18 helpful for all of the jurors.
19 Thank you.
20 DR. MILLER: I thought I had
21 a booming voice.
22 A So, I tried to address the different
23 types of hormonal replacement
24 therapy that are currently given to

1 women, and contrast that with their
2 risk of developing a clot.
3 As you will notice, the
4 highest risk are women who are
5 pregnant. This is to which
6 everything is compared. Pregnancy
7 really is a prothrombotic state,
8 where the women are at the highest
9 risk of developing a clot during the
10 pregnancy and shortly after
11 pregnancy. So, this should just be
12 one side.
13 Estrogens, women make every
14 day. There are not just estrogens,
15 many different types; there are
16 pills, topical, there are even
17 injections. And they are
18 meaningfully different if they're
19 given as pills, or if they're given
20 topical, which is transdermal, over
21 the skin.
22 They are a moderate risk for
23 developing clots. Estrogen, in and
24 of itself, causes clots.

1 The most common is the
2 combination of estrogen, usually a
3 pill, and progesterone, again in
4 most studies was a pill, but there
5 are many other forms; there could be
6 pills, there's patches, and there is
7 creams and gels. And the
8 combination of the two, in fact,
9 produces a higher incidence of
10 developing a clot than each
11 individually.
12 The next are the
13 progesterones, and there's
14 basically, there's two types.
15 There's one that's synthetic; these
16 are drugs that are made from the
17 basic formula for progesterone, but
18 they're not exactly the progesterone
19 that women make. Multiple different
20 pills for that, used in many times
21 in women during their menstrual
22 cycle.
23 And they have very low
24 incidents, and most of them had no

1 incidents of increased thrombotic
2 events, but in the literature, it
3 would suggest that some of these --
4 and it's interesting, some of the
5 newer ones do have a mild increase
6 in clotting.
7 And the last is the natural
8 progesterone, and this is
9 progesterone derived from soy or
10 from yams, which is identical what
11 women make. So, chemically, it's
12 the same as what women make in their
13 body, so-called natural
14 progesterone.
15 It's available in a pill
16 form; it's available in gels; and
17 it's available in creams, which
18 we'll talk about.
19 And the literature would
20 suggest these drugs have been
21 available for many years; that there
22 is no increased risk for developing
23 thrombotic events, clots, in women
24 who take natural progesterones.

Superior Court Reporters, Inc.

1 MR. SOBCZAK: Motion to
2 strike for complete lack of
3 disclosure and violation of this
4 Court's orders.
5 THE COURT: Overruled.
6 MR. SOBCZAK: And I offer
7 the disclosure as the next Exhibit,
8 Your Honor.
9 THE COURT: It will be
10 marked for identification.
11 Overruled.
12 (TT for Identification, so marked)
13 MR. SOBCZAK: It's a ten-
14 page undated disclosure --
15 THE COURT: Please do not
16 comment. I have marked it for
17 identification. Your objection is
18 noted, Mr. Sobczak.
19 Okay, ask your next
20 question, please.
21 MS. DALPE: Thank you, Your
22 Honor.
23 BY MS. DALPE:
24 Q Doctor, have you prepared another

1 board in this case, as well -- a
2 chalk regarding the symptoms that
3 hormone replacement therapy is used
4 for?
5 A Right. This follows -- this is
6 hormone replacement therapy, that
7 follows up on those in a similar
8 fashion.
9 One would be the symptoms of
10 menopause, you know, which can be
11 distressing, and women ask --
12 require treatment.
13 So, it may treat the
14 hormonal changes that's seen with
15 menopause; depression, difficulty
16 sleeping, decreased libido. It's
17 all hormonally related, and women's
18 hormones are very complicated.
19 To treat low bone density.
20 As women go through menopause, their
21 bone density decreases, and these
22 substances try to prevent that.
23 Also to treat some of the
24 skin changes related to hormonal

1 changes. As women get older,
2 without estrogen on board, or
3 progesterone on board, there's a
4 change in skin texture; the skin
5 becomes thinner and more wrinkled,
6 and less youthful.
7 To treat dysmenorrhea,
8 painful periods; those women who now
9 are not menopausal, but still
10 menstruating, and they have
11 prolonged and painful periods, and
12 the hormonal supplementation treats
13 that.
14 To treat vaginal dryness;
15 these are individuals who don't make
16 enough hormone with that, so
17 dryness, and painful sexual
18 relations, recurrent vaginal
19 infections. It's again, overhaul
20 and changes in the vaginal PA are
21 hormonally needed to mediate it.
22 And the list can go on, but
23 decreased hormone, there's a
24 decrease in woman's libido, an

1 interest in sexual activity, trouble
2 sleeping, mood changes. Estrogen
3 and progesterone play critical roles
4 in a woman's day-to-day life.
5 Q And can you talk --
6 MR. SOBCZAK: Objection.
7 Motion to strike for lack of
8 disclosure.
9 THE COURT: Overruled.
10 BY MS. DALPE:
11 Q Can you tell me, Dr. Miller, what
12 form of hormone replacement therapy
13 was prescribed for Mrs. Doull?
14 A Ms. Doull received a natural
15 progesterone, and it was a cream.
16 Q And can you tell me a little bit
17 more -- do you recall what the
18 dosing was -- what the progesterone
19 dose was?
20 A It was 100 milligrams.
21 Q Okay.
22 A It was 100 milligrams applied for
23 the 14 days of her menstrual cycle.
24 It was applied to the skin, it was a

Superior Court Reporters, Inc.

1 quarter of a teaspoon.
2 Q And would she -- do you remember
3 what time of day she was applying
4 that?
5 A Yes, it was applied in the evening.
6 Q And what was the significance of
7 that?
8 A Well, that's generally when
9 progesterone is applied. It is a
10 cream, and if you apply it during
11 the day, it's easy to wipe off, too.
12 Q And what is the significance of the
13 dosing, 100 milligrams?
14 A Well, she received 100 milligrams --
15 MR. SOBCZAK: Objection.
16 Leading.
17 A -- midway between the --
18 THE COURT: Overruled. I'm
19 sorry, you can answer the question.
20 Is the Doctor still needed
21 here at the chalks, or no?
22 MS. DALPE: The next
23 question calls for this chalk, and
24 then I can have him sit down.

1 THE COURT: Okay, that's
2 fine. I'm sorry to interrupt.
3 Would you ask the question again,
4 please, Ms. Dalpe?
5 MS. DALPE: No problem.
6 BY MS. DALPE:
7 Q Dr. Miller, I think my question was,
8 what was the significance of the
9 dose that Mrs. Doull received?
10 MR. SOBCZAK: Objection.
11 Leading.
12 THE COURT: Overruled.
13 A She received 100 milligrams, a
14 quarter of a teaspoon, to be applied
15 for 14 days for her menstrual cycle.
16 That's midway dosing, but
17 the company recommends is between 50
18 and 200 milligrams of those two
19 weeks.
20 BY MS. DALPE:
21 Q And using the board that you
22 prepared for this case, can you tell
23 me, what were the indications for
24 Ms. Foster to prescribe the

1 progesterone cream to Mrs. Doull?
2 A She had low libido, she had vaginal
3 dryness, multiple visions for
4 bacterial infections, and painful
5 intercourse.
6 She had skin changes; she
7 felt there was wrinkles. She had
8 trouble sleeping. She wasn't fully
9 menopausal, but she did have some of
10 the mood changes associated with
11 being perimenopausal.
12 Q Doctor, let me have you sit down,
13 and I'll ask you a few further
14 questions.
15 MR. SOBCZAK: Are you done
16 with the boards?
17 MS. DALPE: I'm going to use
18 one more later.
19 MR. SOBCZAK: Are you using
20 them now?
21 MS. DALPE: Maybe.
22 BY MS. DALPE:
23 Q Dr. Miller, can you tell me, did
24 Nurse Practitioner Foster discuss

1 the decision to order progesterone
2 with Dr. Miller -- Dr. Bob Miller?
3 A In the chart, there was a notation
4 that she did.
5 Q Okay. Were there other alternatives
6 of hormone replacement therapy
7 available in 2008?
8 A There were some others.
9 Q Okay. And among the other hormone
10 replacement therapies available in
11 2008, how did they compare in terms
12 of a risk of thrombotic event with
13 the progesterone cream that was
14 prescribed?
15 MS. SOBCZAK: Objection.
16 Leading, lack of disclosure.
17 THE COURT: Overruled.
18 A So, what was noted at the time was
19 that the natural progesterones were
20 associated with the least risk of
21 developing thrombotics. And then,
22 topical progesterone was the best
23 tolerated, so -- and there's a more
24 holistic approach than using pills.

Superior Court Reporters, Inc.

Sheet 16 Page 61

61

1 MR. SOBCZAK: Motion to
2 strike for lack of disclosure.
3 THE COURT: Overruled.
4 MR. SOBCZAK: At this point,
5 Your Honor, can I just make a motion
6 for the rest of the doctor's
7 testimony?
8 THE COURT: Yes, you may, of
9 course.
10 MR. SOBCZAK: Okay. Given
11 the lack of the disclosure.
12 BY MS. DALPE:
13 Q In your review of the records, was
14 there any indication as to whether
15 or not Laura Doull had ever
16 indicated a preference for holistic
17 therapies, either in the records or
18 in the deposition testimony that you
19 reviewed?
20 A Yes. Not only by Laura Doull, but
21 by members of her family, both in
22 person, and in fact, how she sought
23 medical care.
24 Q And do you remember what timeframe

Page 62

62

1 Mrs. Doull was taking the
2 progesterone cream for?
3 A It began in April of 2008. Her
4 compliance of the medicine wasn't
5 complete. First prescription was --
6 I believe it was in April of 2008;
7 the next one was in 9 of 2009. The
8 prescription was for 15 grams, so
9 she must have run out and not used
10 it for many times.
11 But also Nurse Foster noted
12 that her compliance with the
13 estrogen cream was an issue.
14 Q And can you describe how
15 progesterone cream -- natural
16 progesterone cream that is
17 compounded compares to what is
18 actually made in the body by women?
19 A So, the natural progesterone cream
20 is the same as the estrogen -- that
21 is the progesterone that women make
22 every day, and it's as close to the
23 structure of the progesterone that
24 women make.

Page 63

63

1 Q And earlier you had touched on the
2 substances that natural progesterone
3 is derived from. Can you tell the
4 jury what those are?
5 A Yes, they're all from soy and yams.
6 Q And how was Mrs. Doull's cream
7 applied?
8 A It was applied -- it comes in a jar,
9 with directions to apply 100 grams,
10 which is a quarter teaspoon, which
11 is provided, and you apply it on the
12 inner aspect of the leg or the
13 abdomen.
14 Q All right. And did Mrs. Doull
15 report a benefit from the use of the
16 progesterone cream?
17 A She did. She said that she felt
18 better on the cream. She said she
19 slept better on the cream. One of
20 the issues she had was hair loss;
21 she said that was also improving.
22 Her moods were better.
23 Q Did Mrs. Doull ever receive a
24 prescription from Nurse Practitioner

Page 64

64

1 Foster for hormone replacement
2 therapy, other than the
3 progesterone?
4 MR. SOBCZAK: Objection.
5 Leading.
6 THE COURT: Overruled.
7 A There is nowhere in the medical
8 record that I saw that Ms. Doull
9 received a prescription for anything
10 else but the natural progesterone.
11 BY MS. DALPE:
12 Q Was there any indication in the
13 materials that you read that
14 indicated that Mrs. Doull applied
15 the cream in any location other than
16 topically on her body?
17 A No.
18 Q And there was some reference in some
19 of the records about the change of
20 the format of the cream, from cream
21 to a gel -- a non-alcoholic gel.
22 Can you speak to the
23 significance of that?
24 A The pharmacy from which she obtained

Superior Court Reporters, Inc.

1 the progesterone came essentially in
2 two forms; a progesterone cream that
3 had perfume, a different base.

4 And there was some skin
5 reaction that Ms. Doull complained
6 with, associated with that product.
7 It was changed to the same dosing,
8 the same instructions, to a non-
9 alcohol based progesterone product,
10 without perfume.

11 Q And did the change in the base
12 affect the risk of a thromboembolic
13 event at all?

14 A No.

15 Q All right. The cream that Mrs.
16 Doull received from Women's
17 International Compounding, did that
18 come with any kind of warnings?

19 A No.

20 Q And can you speak to the
21 significance of that?

22 A This is an over-the-counter product.
23 Matter of fact, there's multiple
24 over-the-counter progesterone

1 products that are available, none of
2 which are required to carry a label.

3 Q Prior to 2008, did Mrs. Doull have
4 any history that would suggest that
5 she was at risk for a thrombotic
6 event?

7 A No, and it's documented by medical
8 records, both by Ms. Foster, Dr.
9 Miller, the physicians at Franklin
10 Medical Center, and the multitude of
11 physicians that had seen her at
12 Brigham & Women's Hospital, that she
13 or any member of her family did not
14 have any evidence of an increased
15 blood clotting disorder.

16 Q And why is that significant?

17 A Well, individuals with a prior
18 history tend to have another clot.
19 Individuals who have family history
20 may inherit one of the genetic
21 defects associated, and make them
22 more likely to clot. She had none
23 of those.

24 Q Was there any reason to suspect that

1 she would be at risk for a blood
2 clot?

3 A No, she was a young woman, she was
4 active; went to the gym three times
5 a week; was on no other medicines
6 that increase the risk of clotting.

7 And outside of her brief
8 period of five years of smoking,
9 which she stopped greater than five
10 years ago, she was at no higher risk
11 for developing a clot.

12 Q Was there any reason that Mrs. Doull
13 should not have been prescribed
14 progesterone cream?

15 A No, not medically.

16 Q And why not?

17 A Well, she had none of the
18 contraindications, and she had
19 symptoms that were referable to
20 perimenopausal systems that are
21 generally treated with hormonal
22 supplementation.

23 Q The jurors have heard some testimony
24 in this case regarding warnings

1 contained in the PDR.

2 Do any of the warnings in
3 the 2008 PDR apply to the cream that
4 Mrs. Doull was prescribed by Nurse
5 Practitioner Foster?

6 MR. SOBCHAK: Objection.
7 Again, leading, and lack of
8 disclosure.

9 THE COURT: Overruled.

10 A So, there are warnings in the PDR
11 for both estrogens and
12 progesterones, and the combination
13 of the two, based on prior studies
14 done by the Women's Health
15 Initiative.

16 Those are different
17 products, different concentrations,
18 different routes of administration,
19 and used for different indications.
20 So, those warnings are really not
21 applicable to the cream that she had
22 received.

23 BY MS. DALPE:

24 Q And does absorption vary by

Superior Court Reporters, Inc.

Sheet 18 Page 69

69

1 application?
2 **A Yes.**
3 **Q** Okay. And can you elaborate a
4 little bit more on that?
5 **A** So, the other products that are
6 available by prescription, that are
7 in the PDR, are vaginal creams, and
8 there are intravaginal creams, and
9 those absorptions are much higher,
10 in contrast to a topical
11 progesterone cream, where the
12 absorptions are less.
13 And moreover, if one looks
14 at even the other one with estrogen,
15 estrogen administered as a topical
16 agent is associated with a marked
17 increase in clotting.
18 So, both the type of hormone
19 and its rooted administration
20 affects its risk of developing side
21 effects.
22 **Q** Doctor, you should have a jury book
23 in front of you. Do you?
24 If we turn to Tab E, page 1.

Page 70

70

1 **A** Tab E, page 1?
2 **Q** Yes. Are you there?
3 **A** Yes.
4 **Q** Okay. Can you tell the jury what
5 this note is?
6 **A** This is a GYN consult when Ms. Doull
7 was an inpatient at Brigham &
8 Women's Hospital, questioning what
9 they should do for hormonal therapy.
10 **Q** And on the third page of that note,
11 at the bottom, what was the
12 recommendation for hormone
13 replacement therapy?
14 **A** Ms. Doull entered -- was transferred
15 to Brigham & Women's Hospital with
16 an ovarian cyst that bled, and it
17 was a very large bleed, and needed
18 to address this to address bleeds in
19 the past.
20 So, she had a history of
21 ovarian cysts, too. So, to prevent
22 recurrent bleeding a hormonal
23 therapy or suppressing ovarian
24 function is critical.

Page 71

71

1 And they discussed in this
2 note there, there are various
3 options. So, they used the word
4 prophylactic hypothalamic pituitary
5 abscess suppression. What it
6 essentially means is turning off
7 ovaries.
8 **Q** Can you just orient the jurors to
9 where you are reading?
10 **A** That's in the last paragraph.
11 **Q** So, on page 3 of Tab E?
12 **A** Right.
13 **Q** The paragraph that starts, "In
14 addition?"
15 **A** Correct.
16 **Q** And because I interrupted you, can
17 you just tell me about the
18 prophylactic hypothalamic pituitary
19 abscess? I'm sorry.
20 **A** Okay. In an uncomplicated term,
21 they essentially wanted to turn off
22 Ms. Doull's ovaries from
23 functioning, because that produces a
24 larger cyst and causes the bleeding.

Page 72

72

1 And there are a number of ways to do
2 it. The GYN people said she's not a
3 good candidate for estrogen;
4 estrogen is a too high risk for her.
5 A single agent progesterone
6 would be ideal, or another agent
7 called Lupron, which is given in an
8 injection, and which totally turns
9 off ovarian function, would be the
10 last option.
11 **Q** And so, what does the significance
12 that gynecology at Brigham & Women's
13 Hospital recommends here on this
14 page, progestin?
15 **A** All right, so this woman has been
16 demonstrated to have major
17 thrombotic events, life-threatening
18 CTEPH. And which is the best
19 hormonal therapy for her, associated
20 with the least likelihood of
21 developing further clots.
22 In their mind, and in my
23 mind, it's progesterone-only agents.
24 **Q** Thank you. And just to recap,

Superior Court Reporters, Inc.

Sheet 19 Page 73

73

1 progesterin is the synthetic form of
2 progesterone?
3 **A Correct.**
4 **Q** Okay. Doctor, you had spoken a
5 little bit about over-the-counter
6 availability of progesterone cream.
7 Is progesterone cream
8 available by prescription at
9 pharmacies like CVS?
10 **A Yes.**
11 **Q** Okay. Is it available over-the-
12 counter at pharmacies like CVS?
13 **A The products that are available**
14 **over-the-counter are the creams, and**
15 **those are not prescription items.**
16 **Q** Okay. So, going back, does the
17 pharmacy -- the actual pharmacy at
18 CVS, provide prescription creams?
19 **A Yes.**
20 **Q** Okay. Are there any differences
21 between the risks of progesterone,
22 whether it's over-the-counter or
23 provided by a compounding company?
24 **A This pregnant pause is because this**

Page 74

74

1 area is complicated.
2 The literature would suggest
3 that progesterone, in and of itself,
4 given both as a pill, and as a
5 cream, and as a gel, is not
6 associated with an increased
7 thrombotic event.
8 The progesterone gel, which
9 is the intervaginal gel, does carry
10 a warning, based on prior studies
11 from the Women's Health Initiative.
12 Progesterone cream, which is
13 available over-the-counter at
14 Walmart, CVS, Costco, hundreds of
15 products of which greater than three
16 million women use, is without a
17 prescription, no warning, and does
18 not have an increased risk of
19 thrombotic events.
20 **Q** Now, the jurors have heard some
21 testimony from Dr. Genecin about FDA
22 regulation.
23 What does it mean to be
24 regulated by the FDA?

Page 75

75

1 **A** So, regulation is a complicated
2 term, too. The FDA really regulates
3 everything, and approve certain
4 items, and therefore has the seal,
5 and they're adamant you can't put
6 the seal on it unless they approve
7 it, which means that that that is
8 presented; they can market it by a
9 company, and it's by prescription.
10 The FDA also has a
11 surveillance for all of this, which
12 is where the regulation comes in.
13 They don't necessarily approve the
14 product, but they observe if there
15 is any side effects for it.
16 What colors you add to food;
17 not approved, but regulated.
18 The best example is ephedra.
19 Ephedra was in multiple products as
20 a diet supplement; not FDA approved,
21 but side effects -- serious side
22 effects were reported to the FDA,
23 and as part of their oversight,
24 their regulatory component, they

Page 76

76

1 withdrew it from the market. So, no
2 products can contain ephedra.
3 Any products, really, that
4 are sold, purchased for human, and
5 even animal consumption, the FDA
6 does have a surveillance role. So,
7 the answer is yes, there is a
8 regulatory component, but not
9 necessarily an approval component.
10 We all use non-approved FDA products
11 every day.
12 **Q** Can you give some examples of non-
13 FDA approved products that are used
14 in medicine?
15 **A** Let's see, all the vitamins we use
16 ginko biloba, Tums, Maalox SR,
17 chondroitin sulfate.
18 All those aisles you walk
19 down in Costco, the hundreds of
20 items that supposedly help your
21 health in many ways, all non-FDA
22 approved. You can buy them -- and
23 while the FDA does watch over them
24 and does regulate what kind of

Superior Court Reporters, Inc.

1 colors you can put in, those are all
2 the non-FDA products, and we all use
3 them each day.
4 Q At some point, after her diagnosis
5 with CTEPH, was Mrs. Doull subject
6 to some blood disorder testing?
7 A Yes.
8 Q And what were the results of the
9 blood disorder testing?
10 A So, she underwent routine studies to
11 see if she had a clotting disorder,
12 and why she would be increased risk
13 for developing CTEPH, both at the
14 Franklin Medical Center and from
15 Brigham & Women's Hospital.
16 And no abnormality of a
17 clotting profile or genetic defects
18 of the clotting system were
19 determined.
20 Q And what's the significance of the
21 negative testing in Mrs. Doull's
22 case?
23 A The significance is that we all try
24 to understand why an individual

1 develops a clot. She had none of
2 the medical reasons to develop a
3 clot. She had none of the reasons
4 why any other agent would make her
5 at higher risk for clotting.
6 She was a young woman, no
7 defect in clotting, no family
8 history of clotting, and
9 unfortunately, this just happens.
10 Q Does the fact that she had negative
11 blood clotting testing rule out a
12 clotting disorder?
13 A Well, I wish I could say yes, that
14 all our tests are perfect and we
15 know all of the answers.
16 The answer is no. We
17 clearly don't have all the tests to
18 define why individuals clot. In
19 fact, the majority of patients who
20 have clotting disorders will have
21 negative clotting studies.
22 They have clotting defects
23 that we don't understand, or may
24 just have bad luck, too.

1 Q Dr. Genecin told the jury Friday
2 that negative genetic testing
3 suggested that progesterone was the
4 cause of the patient's CTEPH.
5 Do you agree with that
6 opinion?
7 A No.
8 Q And why not?
9 A Topical progestin, natural
10 progestin, is not associated with an
11 increased risk of developing clots.
12 She was evaluated -- she
13 spent three months at the Brigham &
14 Women's Hospital, seen by a
15 pulmonologist, thoracic surgeon, GYN
16 people, multiple residents.
17 I had estimated she had
18 interacted with over 40 different
19 physicians. All said that her clots
20 were idiopathic; none of them
21 attributed her clotting disorder to
22 the topical administration of
23 progesterone cream.
24 Moreover, the literature,

1 which I reviewed extensively, there
2 is no controlled trial documenting
3 that topical progesterone is
4 associated with an increased risk of
5 developing clots.
6 Q Can you just describe, what does
7 idiopathic mean?
8 A Idiopathic, it means unknown; that
9 we don't know what the etiology is.
10 Sometimes, intellectually
11 unsatisfying, but disorders like
12 that, that's what all of the
13 physicians attributed to why she
14 developed this.
15 It's a rare, unfortunate
16 disorder that no one -- she had no
17 cause that we can determine why she
18 would develop this, but it was
19 clearly not the progesterone cream
20 that she applied at night.
21 Q And then as my follow-up question,
22 do you have an opinion, to a
23 reasonable degree of medical
24 certainty, as to whether the

Superior Court Reporters, Inc.

Sheet 21 Page 81

81

1 progesterone cream prescribed to
2 Mrs. Doull increased her risk of a
3 thrombotic event?
4 **A I do.**
5 **Q** And what is that opinion?
6 **A My opinion is that the progesterone**
7 **cream that Ms. Doull applied did not**
8 **increase her risk of developing the**
9 **thrombosis; it did not contribute to**
10 **her development of her CTEPH.**
11 **Q** And can you state all the bases for
12 your opinion?
13 **A Basis is on my training and**
14 **experience in treating patients with**
15 **hypocoagulative disorders that**
16 **require hormonal therapy.**
17 **And then based on the**
18 **extensive review of the literature**
19 **on the use of estrogen and estrogen**
20 **containing compounds and their**
21 **development in clots, both in normal**
22 **individuals and in high-risk**
23 **individuals.**
24 **Q** And Doctor, do you have an opinion,

Page 82

82

1 to a reasonable degree of medical
2 certainty, as to whether the
3 progesterone cream prescribed to
4 Mrs. Doull caused her CTEPH?
5 **A Yes.**
6 **Q** And can you tell me that opinion,
7 and a basis for that opinion?
8 **A So, my opinion is that the**
9 **progesterone cream did not in any**
10 **way relate to Ms. Doull's**
11 **development of CTEPH.**
12 **CTEPH is, one, a very rare**
13 **disorder, and it occurs in a**
14 **minority of patients who can develop**
15 **any clots, but there is no**
16 **association in the literature, and**
17 **none of the physicians who had**
18 **experience with CTEPH at the Brigham**
19 **& Women's Hospital -- she was**
20 **referred to the pulmonary**
21 **hypertension clinic and to the**
22 **thoracic surgeon, and they are very**
23 **specialized thoracic surgeons who do**
24 **this surgery, and any way invoked**

Page 83

83

1 that the progesterone cream was
2 responsible for her developing
3 CTEPH. There is not a single
4 notation of that in the chart -- in
5 the chart in the Brigham.
6 **MS. DALPE:** Your Honor, I
7 have no further questions.
8 **THE COURT:** Do you wish to
9 inquire, Mr. Dumas?
10 **MR. DUMAS:** No, Your Honor.
11 **MR. SOBCZAK:** May I be seen,
12 Your Honor, at sidebar?
13 **THE COURT:** Yes.
14 **(SIDEBAR CONFERENCE)**
15 **MR. SOBCZAK:** Your Honor, as
16 I indicated earlier, I move to
17 strike the entire testimony for
18 disclosed lack of disclosure,
19 violation of the Court's order, and
20 the continued leading questions, use
21 of chalks that were not disclosed,
22 and use of leading questions that
23 were not disclosed in the court
24 ordered disclosures over three

Page 84

84

1 months ago.
2 **THE COURT:** Your objection
3 is overruled.
4 **MR. SOBCZAK:** Thank you.
5 **THE COURT:** Are you ready to
6 inquire?
7 **MR. SOBCZAK:** Yes.
8 **(END SIDEBAR CONFERENCE)**
9 **(CROSS-EXAMINATION OF KENNETH**
10 **MILLER, M.D.)**
11 **BY MR. SOBCZAK:**
12 **Q** Good morning, Dr. Miller. You work
13 at Tufts Medical?
14 **A Yes.**
15 **Q** You never worked with me before?
16 **A No.**
17 **Q** And have you ever worked with my
18 wife?
19 **A I don't --**
20 **MR. DUMAS:** Objection.
21 **THE COURT:** Sustained.
22 **A The answer is, I don't know. I**
23 **don't know who your wife is.**
24 **BY MR. SOBCZAK:**

Superior Court Reporters, Inc.

1 Q Okay. So, you never heard of
2 Sobczak before?
3 A No.
4 Q We never talked before today,
5 correct?
6 A No, not that I know of.
7 Q You were served with a subpoena from
8 my office, correct?
9 A The hospital did, yes.
10 Q Yes. Before we go there, did you
11 actually make those boards?
12 A Yes.
13 Q So, you personally made those
14 boards?
15 A I personally -- I didn't put the
16 color on the big board, but all of
17 those diagrams are mine, yes.
18 Q And how long did it take you to make
19 those?
20 A Two to four hours.
21 Q And when did you make them?
22 A A month ago, perhaps.
23 Q Okay, so after you signed off on the
24 disclosure, a reporting of what you

1 will testify to this jury?
2 A That's correct.
3 MR. SOBCZAK: Okay, may I
4 approach the witness, Your Honor?
5 THE COURT: Yes.
6 BY MR. SOBCZAK:
7 Q I'm going to hand you what's been
8 pre-marked as Exhibit QQ, the trial
9 subpoena, and TT, the disclosure
10 that's been sent on your behalf.
11 And before we go any
12 further, can we mark what you are
13 reading from, the jury book. That's
14 not the one that's in evidence,
15 correct? That's your own copy?
16 THE COURT: None of these
17 jury books are in evidence, Mr.
18 Sobczak.
19 A It's the same book that was given --
20 BY MR. SOBCZAK:
21 Q So, there is no difference between
22 this -- you made no notes on it, no
23 extra folders?
24 A No, no, except my CV.

1 Q Do you need this for examination?
2 A No. Well, in case you want me to
3 refer to it.
4 Q Well, I'll hand you the one that's
5 actually been marked, so we are on
6 the same page.
7 A Okay.
8 Q Looking at Exhibit TT, that's the
9 10-page disclosure? Yes?
10 A Yes.
11 Q And if you turn to the last page, is
12 that your signature?
13 A Yes.
14 Q And when did you sign it?
15 A Somewhere about a month ago, six
16 weeks ago.
17 Q Six weeks ago would be --
18 A There is no date on this.
19 Q Do you agree that dating stuff is
20 important in the medical field?
21 A I asked Ms. Dalpe if she wanted me
22 to date it.
23 Q Oh, so on instruction of the defense
24 counsel, you specifically left it

1 ambiguous, so it has no date?
2 MS. DALPE: Objection, Your
3 Honor.
4 THE COURT: Sustained as to
5 the form of the question.
6 BY MR. SOBCZAK:
7 Q Your belief is that you signed this
8 about six weeks ago?
9 A Correct.
10 Q So, that would have been sometime in
11 August of 2017?
12 A I don't recall the exact date.
13 Q If I represent to you that the
14 defendants filed that in June of
15 2017, would you want to change your
16 testimony?
17 A Yes.
18 Q Okay. One of the things that you
19 were required to bring by the
20 subpoena are any and all writings
21 concerning your fees, correct?
22 A Yes.
23 Q And you have been paid in this case?
24 A Yes.

Superior Court Reporters, Inc.

Sheet 23 Page 89

89

1 Q Did you get paid by check?
2 A Yes.
3 Q And when was the first check you
4 received?
5 A I don't recall.
6 Q Was it a week ago, a year ago?
7 A I was contacted by Ms. Dalpe
8 approximately two years ago, so it
9 probably was about two years ago.
10 Q So, since then, you would have even
11 filed taxes concerning the payments
12 you received on this case, correct?
13 A Yes.
14 Q So, you still have those documents?
15 A I still have my old tax forms.
16 Q Which would include all the checks
17 that you received in this case?
18 MS. DALPE: Objection, Your
19 Honor.
20 A I don't save the checks.
21 BY MR. SOBCZAK:
22 Q Did you save your W-2's?
23 A I send it to my accountant.
24 Q Okay. And you keep your taxes?

Page 90

90

1 A I keep my taxes.
2 Q So, you chose not to bring documents
3 that were required of you to, to
4 this case?
5 MS. DALPE: Objection, Your
6 Honor.
7 THE COURT: Sustained as to
8 the form of the question.
9 BY MR. SOBCZAK:
10 Q You did not bring the documents you
11 were required to bring with you,
12 correct?
13 A I believe --
14 MS. DALPE: Objection, Your
15 Honor.
16 THE COURT: Overruled. Can
17 you answer that question?
18 DR. MILLER: Should I answer
19 the question?
20 THE COURT: Go ahead.
21 A In discussing with counsel, she has
22 copies of the statements I sent to
23 her. I don't keep records of my
24 prior billing. I did also have a

Page 91

91

1 computer meltdown. I have a real
2 job. This is not what I do for a
3 living.
4 BY MR. SOBCZAK:
5 Q But you have done this before,
6 correct?
7 A I have done it.
8 Q And you understand that part of you
9 giving testimony, to be fair to both
10 sides, I get to ask you some
11 questions, correct?
12 A Yes.
13 Q And in order to answer those
14 questions, I can ask you to bring
15 some documents, and the Court
16 required you to bring those, and you
17 chose not to?
18 MS. DALPE: Objection.
19 MR. DUMAS: Objection.
20 THE COURT: Sustained.
21 BY MR. SOBCZAK:
22 Q You said Ms. Dalpe --
23 THE COURT: Please move on,
24 if you would.

Page 92

92

1 BY MR. SOBCZAK:
2 Q Did you just say that Ms. Dalpe had
3 those documents that you were asked
4 for?
5 A She has my billing statements that I
6 sent to her.
7 Q And in response to the subpoena, did
8 you ask for those back so you can
9 comply with the court order and
10 bring them here?
11 MS. DALPE: Objection, Your
12 Honor.
13 THE COURT: Please, would
14 you please move on, Mr. Sobczak.
15 BY MR. SOBCZAK:
16 Q Well, all you brought was these two
17 little stacks of documents, correct?
18 A Correct.
19 Q Did you even bring those, or did Ms.
20 Dalpe bring those for you?
21 A I brought them. She sent them to
22 me.
23 Q All right. So, she made those for
24 you?

Superior Court Reporters, Inc.

Sheet 24 Page 93

93

1 A Yes.
2 Q So, the list of things that you
3 claim to have reviewed, you didn't
4 prepare -- defense counsel prepared
5 it for you?
6 A Correct.
7 Q Did you prepare anything in this
8 case concerning Ms. Doull?
9 A I prepared the statement that was
10 presented in court, and that was
11 subsequently filed by Ms. Dalpe.
12 And she assisted in filing it.
13 Q Which statement are you talking
14 about? Oh, the 10-page disclosure,
15 you prepared?
16 A Yes.
17 Q You drafted all of it?
18 A Not all of it. The key parts are
19 mine, and Ms. Dalpe prepared some of
20 it.
21 Q Well, what would you consider key
22 parts? Would you consider the
23 patient's history to be key parts?
24 A Yes.

Page 94

94

1 Q And that was done by you?
2 A That was done by me.
3 Q So, any errors in the patient's
4 history, that would have been your
5 mistake?
6 A I accept that responsibility.
7 Q Okay. And any errors in not
8 disclosing what you're going to talk
9 about, that would be your mistake or
10 Ms. Dalpe's mistake?
11 MS. DALPE: Objection, Your
12 Honor.
13 THE COURT: Sustained.
14 BY MR. SOBCZAK:
15 Q Did you put in your report all the
16 things on the board that you talked
17 about to the jury here?
18 If you need to look at it,
19 feel free to. I want to be fair to
20 you.
21 MS. DALPE: Objection, Your
22 Honor.
23 THE COURT: While the
24 witness is looking at the document,

Page 95

95

1 I will see counsel at sidebar.
2 (SIDEBAR CONFERENCE)
3 THE COURT: I will just note
4 for the record, the purpose for
5 expert witness disclosures is to put
6 on notice everybody as to the topic
7 matters that a particular witness is
8 expected to testify, and for expert
9 opinions to be rendered, those
10 opinions are to be disclosed in
11 sufficient detail.
12 There is absolutely no
13 requirement that every single word
14 that a witness may use on the
15 witness stand be written out
16 verbatim in the disclosures.
17 So, let's move on, please.
18 MR. SOBCZAK:
19 There is a
20 requirement of sufficient detail,
21 Your Honor, and that's why I'm
22 making the record.
23 THE COURT: Sufficient
24 detail is not word-for-word.
MR. SOBCZAK: Correct.

Page 96

96

1 THE COURT: Please move on.
2 (END SIDEBAR CONFERENCE)
3 BY MR. SOBCZAK:
4 Q So, you were going to answer,
5 Doctor? I didn't want to cut you
6 off. What was your answer?
7 THE COURT: Perhaps you can
8 ask the question again, Mr. Sobczak.
9 We've had a little bit of an
10 interruption here.
11 BY MR. SOBCZAK:
12 Q Did you put in your disclosure that
13 you claim to have partially written
14 all the different types of hormone
15 therapy?
16 A I referred to specifically the
17 progesterone and the synthetic
18 progestins, which is -- Ms. Doull
19 had received progesterone.
20 I did not address estrogens
21 in my report.
22 Q Okay, so the portion about estrogen
23 -- estrogen and progestin was stuff
24 that was added after you disclosed

Superior Court Reporters, Inc.

Sheet 25 Page 97

97

1 what you were going to talk about
2 here?
3 **A Yes, as an educational component.**
4 **Q** And you've decided that that would
5 not be important to put in your
6 report as to what you were going to
7 disclose here?
8 **A I believe, to address the question**
9 **at hand, did the progesterone cream,**
10 **which is what I was asked --**
11 **Q** Doctor, the question was, you
12 decided that it was not important
13 for you to put the issues from the
14 boards into your report, correct?
15 MS. DALPE: Objection, Your
16 Honor. Can the witness answer his
17 questions?
18 THE COURT: The witness is
19 permitted to answer. Go ahead. Can
20 you answer that question, Doctor?
21 DR. MILLER: Yes, I can
22 answer it.
23 **A The answer would be -- can you**
24 **repeat the question?**

Page 98

98

1 BY MR. SOBCZAK:
2 **Q** Sure. You decided it was not
3 important for you to put what you
4 were going to talk about here in the
5 report, specifically concerning the
6 different types of HRT's?
7 MS. DALPE: Objection, Your
8 Honor.
9 **A I decided that it was not important**
10 **to include all the material on that**
11 **board in my report.**
12 BY MR. SOBCZAK:
13 **Q** And one of the questions Ms. Dalpe
14 asked you was concerning literature
15 and studies, correct?
16 **A Correct.**
17 **Q** Did you review plaintiff's disclosed
18 literature, the sixty different
19 published peer review journals,
20 books, and studies in this case?
21 **A Yes.**
22 **Q** And do you find them to be
23 authoritative and applicable in this
24 case?

Page 99

99

1 MS. DALPE: Objection, Your
2 Honor.
3 THE COURT: Overruled. Can
4 you answer that question for sixty
5 listed documents?
6 **A Authoritative is -- I find that**
7 **those published in peer reviewed**
8 **journals were peer reviewed**
9 **articles, and there is no one**
10 **article that is authoritative.**
11 **Q** Okay. Did you find any of them
12 authoritative in your thorough
13 review in preparation of this case?
14 **A Not to get into a debate, but**
15 **authoritative has a different**
16 **connotation, meaning that it's**
17 **immutable, unchangeable, and that**
18 **it's something we all believe.**
19 **And there is no one article**
20 **that's authoritative in that**
21 **context.**
22 **Q** And did you review the literature
23 disclosed by the defendants?
24 **A Yes.**

Page 100

100

1 **Q** You did? Because it's zero,
2 correct?
3 **A I had some articles reviewed by the**
4 **defendant.**
5 **Q** You saw documents disclosing
6 literature by defendants?
7 **A A paper from Dr. Potter?**
8 **Q** That was disclosed?
9 I'll represent to you,
10 Doctor, that there has been zero
11 literature disclosed by defendants.
12 **A Okay. The only reason is, I saw one**
13 **e-mail to me on an article that I**
14 **think -- oh, I correct -- that Dr.**
15 **Porter liked.**
16 **Q** So, you communicated with Dr.
17 Porter, or with Ms. Dalpe?
18 **A Ms. Dalpe.**
19 **Q** So, that was via counsel, Doctor;
20 you didn't communicate directly with
21 Dr. Potter?
22 **A No.**
23 **Q** But you saw some communications
24 about article that Dr. Potter liked,

Superior Court Reporters, Inc.

1 but you agree that you saw zero
2 articles disclosed by the
3 defendants, they would be talking
4 about in this case?
5 MS. DALPE: Objection, Your
6 Honor.
7 THE COURT: Sustained.
8 BY MR. SOBCZAK:
9 Q You did not see any disclosed
10 literature studies, peer reviewed
11 articles, books, by defendants,
12 relative to this case, correct?
13 MS. DALPE: Objection.
14 THE COURT: Can you answer
15 that question? Are you able to
16 answer that question?
17 A **There is a list of articles that you**
18 **have there. I don't know if the**
19 **other defendants have used similar**
20 **articles, and therefore, the list**
21 **was put together.**
22 I do not see specific
23 articles, however, from other
24 defendants. I saw this list, and

1 there may have been some redundancy
2 on it. So, I cannot state
3 categorically that they didn't
4 provide the same articles.
5 BY MR. SOBCZAK:
6 Q And when did you see this list?
7 A **Last week, a month ago. There's a**
8 **number of versions of it.**
9 Q Oh, so you saw different versions
10 throughout the time, in
11 communication with counsel?
12 You didn't see anything that
13 was actually filed in Court,
14 correct?
15 MS. DALPE: Objection, Your
16 Honor.
17 THE COURT: Sustained.
18 BY MR. SOBCZAK:
19 Q You reviewed documents in
20 preparation for this case, including
21 medical records, correct? Yes?
22 A **Yes.**
23 Q And you reviewed the forty or so
24 depositions when the defense counsel

1 subpoenaed all friends and family of
2 the Doull family, and brought them
3 in here and asked them questions,
4 correct?
5 A **Yes.**
6 Q And you reviewed the deposition of
7 the pharmacist from Wisconsin,
8 correct?
9 A **Correct.**
10 Q That's the one that the jury heard
11 yesterday, but we'll get into it
12 later.
13 And you also reviewed some
14 filings in this case, correct?
15 A **Correct.**
16 Q You have reviewed the list of
17 articles that plaintiffs filed, that
18 they intend to use at trial,
19 correct?
20 A **Correct.**
21 Q And you did not review a list of
22 articles that the defendants filed,
23 because they did not do so, correct?
24 A **I did not know of any of them -- the**

1 **articles that I submitted were also**
2 **submitted by the other defendants.**
3 Q And those articles were submitted by
4 you to defense counsel only?
5 A **Correct.**
6 Q And those were the sort of documents
7 that you were asked to bring with
8 you, but you did not -- all the
9 communications regarding payments --
10 MS. DALPE: Objection, Your
11 Honor.
12 THE COURT: Sustained.
13 Please move on.
14 BY MR. SOBCZAK:
15 Q Now, Doctor, how long have you been
16 at Tufts?
17 A **Thirty years or so.**
18 Q And you've been there for a long
19 time, and you're affiliated with the
20 leadership. In fact, you are
21 featured in numerous videos on
22 Tufts' website, correct, including
23 the one under patient safety and
24 care website?

Superior Court Reporters, Inc.

Sheet 27 Page 105

105

1 A I'm not sure it's a patient safety
2 and care website, but I think --
3 yes, there is a movie about me, but
4 I haven't seen it.
5 Q You know that Tufts has a quality
6 and safety committee and subsection?
7 A No.
8 Q You're not aware of that?
9 A I'm aware there is a committee,
10 that's all.
11 Q So, are you aware whether or not the
12 institute of which you've been for
13 twenty-plus years has a patient
14 safety committee?
15 A I'm aware there's a patient safety
16 committee.
17 Q Because patient safety is an
18 important aspect of medical care,
19 correct?
20 MS. DALPE: Objection, Your
21 Honor.
22 MR. DUMAS: Objection.
23 THE COURT: Can you answer
24 that question?

Page 106

106

1 A That is not what physicians do. Our
2 role is not to provide safety. Our
3 role as a physician is to provide
4 care, diagnosis, and treatment.
5 The safety committee is to
6 provide and make sure that the
7 hospital is a safe environment.
8 And clearly, I'm concerned
9 about my patient safety, but as a
10 physician, my role is to help
11 diagnose, improve his state of
12 health, and treat his illness.
13 BY MR. SOBCZAK:
14 Q So, you would disagree with Tufts
15 saying, "We are committed to keeping
16 our patients safe by adhering to
17 strict standards?
18 A Well, I think Tufts, as a hospital,
19 as a medical center, is committed to
20 make sure patients are safe in the
21 hospital.
22 The halls are clean, they're
23 not slippery, the elevators work,
24 the stairs are clean, the bathrooms

Page 107

107

1 are fixed, there's police onsite.
2 There are rules and regulations of
3 what nurses can do. We all have to
4 wear badges.
5 So, the environment of the
6 hospital, which I believe that's
7 what we all interpret it, is safe,
8 and I think we all strive -- if you
9 see a wet spot in the hall, to call
10 the appropriate person to clean it.
11 If the bathrooms aren't clean, they
12 should be cleaned. The towels are
13 washed.
14 So, I think a safe
15 environment for a patient to be in
16 is important. But as a physician's
17 role, we care for patients; we
18 diagnose their illness and we treat
19 them.
20 Q And are you testifying here, Doctor,
21 that as part of caring for patients,
22 you are not concerned for the
23 patient's safety and wellbeing?
24 A Oh, I'm concerned about the

Page 108

108

1 patient's safety, but that's not my
2 primary role as a physician. Oh,
3 I'm concerned with patient safety.
4 I won't send a patient home if
5 they've had a narcotic or something
6 that would interfere with their
7 driving. That would be unsafe.
8 However, I still would give
9 that agent to the patient if I
10 believed that it was critical, but
11 not let the patient drive home.
12 My primary role, however, is
13 to diagnose, treat, within the
14 patient. My role is to make people
15 well.
16 Q And would you agree that sending a
17 patient home undiagnosed is also
18 unsafe?
19 A No. I wish I could diagnose all
20 patients on their first visit. I'm
21 not a deity; we're human.
22 I work with a great number
23 of other people that help in the
24 diagnosis of complicated cases.

Superior Court Reporters, Inc.

1 No one physician feels that they, at
2 the first visit or any time, make
3 the diagnosis on every patient
4 alone.
5 MR. SOBCZAK: May we be seen
6 just briefly?
7 THE COURT: Please, over
8 here.
9 MR. SOBCZAK: It's a white
10 board.
11 THE COURT: It's just a
12 plain white board? Okay.
13 MR. SOBCZAK: I am assuming
14 there would be no objection to that.
15 THE COURT: That's fine.
16 I'm interrupting only
17 because there have been a number of
18 issues of people bringing out things
19 that others claim not to have seen,
20 so I wanted to be sure we aren't in
21 that position here, as well.
22 So, a white board is fine.
23 BY MR. SOBCZAK:
24 Q So, Doctor, in this case

1 specifically, we're talking about
2 pulmonary emboli and blood clots,
3 correct?
4 A Part of the case is pulmonary emboli
5 and blood clots.
6 Q Pulmonary emboli is shortened for
7 PE?
8 A PE.
9 Q And during direct, you testified
10 about several likely causes for
11 PE's, correct?
12 A Correct.
13 Q One of them was cancer, correct?
14 A Correct.
15 Q Another one was blood disorders, or
16 history of blood disorders, correct?
17 A Correct.
18 Q Is there a shortening for this
19 disorder that you use?
20 A No, that's fine.
21 Q In that area?
22 A Blood diseases.
23 Q Blood diseases? One of them is
24 hormone replacement therapy,

1 correct?
2 A Correct.
3 Q Including progesterone, correct?
4 A Natural progesterone does not cause
5 blood clotting disorders.
6 Q You testified, Doctor, that
7 progesterone has no likely cause of
8 PE's?
9 A Yes, natural progesterone has not
10 been associated with at all PE's.
11 Q I'm asking you progesterone.
12 A Yes.
13 Q Just progesterone. You are a
14 hematologist, you understand?
15 A Yes.
16 Q Progesterone?
17 MS. DALPE: Objection, Your
18 Honor.
19 A Well, I'll make it very clear, which
20 I did --
21 THE COURT: Overruled. Go
22 ahead, you may answer the question.
23 BY MR. SOBCZAK:
24 Q Is this progesterone one of the

1 likely causes of PE's?
2 A So, I --
3 Q Yes or no, Doctor?
4 A No, that's not a yes or no question.
5 I'm sorry, counselor.
6 As the picture showed, and
7 why I brought that is, there's
8 different types of progesterone.
9 There is natural progesterone, which
10 is what Ms. Doull had received;
11 there is synthetic progesterone,
12 which is a different type of
13 progesterone.
14 And I documented on the
15 board that synthetic progesterone is
16 sometimes associated with the
17 clotting, but --
18 Q So, --
19 A But within -- the question, is it
20 broadly progesterone, I can't answer
21 that.
22 Q So, your position is that non-
23 bioidentical progesterone is
24 associated -- a likely cause of

Superior Court Reporters, Inc.

Sheet 29 Page 113

113

1 PE's, correct?
2 **A I did not use that term. I said no**
3 **natural progesterone.**
4 **Q** Natural, okay. So, have you ever
5 used the term bioidentical?
6 **A I have.**
7 **Q** And you reviewed the testimony from
8 the Wisconsin pharmacy, where they
9 were asked specifically what drug
10 was Laura being prescribed, and she
11 said no, she wasn't getting the
12 natural one, correct -- she was
13 getting the bioidentical one?
14 Do you remember that part of
15 the testimony?
16 **A No.**
17 **Q** So, if the jury recalls that she was
18 asked specifically about there are
19 two types of progesterones she could
20 probably use, natural and non-
21 natural, and the answer was, she was
22 not getting the natural, because
23 that would say "NC Progesterone,"
24 but then she did say --

Page 114

114

1 MS. DALPE: Objection.
2 THE COURT: Sustained.
3 You're testifying, please. You may
4 ask him if he recalls what the
5 deposition testimony indicated.
6 MR. SOBCZAK: I'll rephrase
7 it.
8 BY MR. SOBCZAK:
9 **Q** In your careful review of all of the
10 materials for this case, do you
11 recall reviewing the deposition
12 testimony of the Wisconsin pharmacy
13 rep, wherein she was asked
14 specifically whether or not the drug
15 that was being shipped to Ms. Doull
16 was natural or the non-natural one?
17 Do you recall that, Doctor?
18 **A Yes.**
19 **Q** And do you recall that the answer
20 was that it was not the natural one;
21 it was the non-natural one, because
22 otherwise, the RX order would say
23 "NC Progesterone?"
24 MS. DALPE: Objection.

Page 115

115

1 THE COURT: Sustained.
2 BY MR. SOBCZAK:
3 **Q** Do you recall the answer to that
4 question?
5 **A No.**
6 **Q** But, do you recall that it was
7 identified as bioidentical?
8 **A Yes.**
9 **Q** Okay. And, it's your position that
10 bioidentical hormones, specifically
11 progesterone, have different risks than
12 synthetic ones?
13 **A Yes. As I alluded in the chart, to**
14 **make it clear.**
15 **Q** So, in your chart, you were referring
16 to bioidentical, the one you called
17 natural?
18 **A Yes. Not a term that we all like to**
19 **use, bioidentical.**
20 **Q** Well, the literature uses that term,
21 correct?
22 **A What's the question?**
23 **Q** The literature uses the term
24 bioidentical?

Page 116

116

1 **A The literature is very controversial**
2 **with the word bioidentical.**
3 **Q** You were asked about FDA, correct?
4 **A Yes.**
5 **Q** And, you've given lengthy answers about
6 what the FDA does and does not do.
7 Did you review the FDA's bulletin,
8 specifically addressing bioidentical
9 hormone drugs?
10 MS. DALPE: Objection, Your
11 Honor.
12 **A A number of them.**
13 BY MR. SOBCZAK:
14 **Q** Did you review the FDA bulletin from
15 2008; right around the time that Ms.
16 Doull was --
17 THE COURT: Would you show him
18 the article, 2008?
19 MR. SOBCZAK: I'm just asking
20 the question first, Your Honor.
21 THE COURT: Well, show him the
22 article, so you don't have to read it
23 aloud. Go ahead, show it to him, and
24 ask if he read --

Superior Court Reporters, Inc.

1 MR. SOBCZAK: Am I ordered to
2 show him this first?
3 THE COURT: I am asking you,
4 so that we don't have to go through a
5 lot of questions, to just show him what
6 you're referring to, state on the
7 record what the identifying letter is,
8 and then you can ask him, did he see
9 this article.
10 MR. SOBCZAK: May I approach,
11 Your Honor.
12 THE COURT: Yes.
13 BY MR. SOBCZAK:
14 Q I'm going to hand you Exhibit L, the
15 FDA Bioidentical.
16 Is this one of the articles
17 you reviewed in your careful
18 preparation for this case?
19 A I am familiar with this article.
20 Q Okay. And, in fact, it's done by the
21 FDA, correct?
22 A Yes.
23 Q And, it addresses the differences
24 between FDA regulated drugs and those

1 that are not, that they just have
2 oversight of it, correct?
3 A Correct.
4 Q Precisely what you were testifying to
5 on direct, correct?
6 A Yes.
7 Q Okay. And, you find FDA to be a
8 reliable authority on the issue of the
9 drugs they regulate or oversee,
10 correct?
11 A Can you rephrase your -- what the
12 question is?
13 Q You agree that FDA is a reliable source
14 and authority on the issue of
15 regulating drugs, or supervising the
16 drugs that are not subject to
17 regulation?
18 A The FDA's role is to approve drugs, to
19 regulate -- to approve drugs. They
20 have a regulatory issue with regards to
21 all products that are consumed. And
22 they have a surveillance control for
23 drugs that they don't approve. So, a
24 blanket statement is hard to give a yes

1 or no to, counselor.
2 Q That's not a blanket statement. It's a
3 question. Whether or not you agree
4 that FDA is an authority in dealing
5 with drugs?
6 A I would have to say the answer to that
7 is no.
8 Q You do not agree that FDA is authority
9 that controls drugs?
10 A For the drugs that they approve, yes.
11 Q So, you do --
12 A The drugs that the FDA approves,
13 they're authorities.
14 Q Okay. So, when they're addressing the
15 drugs that they approve and how they
16 differ from the ones that they are not
17 approving, they're still an authority?
18 A They are authorities in the drugs they
19 approve.
20 Q Okay. And, do you agree that
21 bioidentical hormones are also known as
22 bioidentical hormone replacement
23 therapy, BHRT?
24 A Yes.

1 Q And, would you agree that FDA is
2 concerned that the claims made by these
3 BHRT's may mislead women and healthcare
4 professionals, giving them false sense
5 of assurance about using potentially
6 dangerous hormone products?
7 A That statement, yes.
8 Q Okay. And you agree with that
9 statement?
10 A I believe there's a great deal of
11 controversy about that.
12 Q And, you agree that as of 2008, FDA is
13 not aware of any credible scientific
14 evidence to support claims regarding
15 safety and effectiveness of compounded
16 BHRT drugs?
17 A That's a statement that's made by the
18 FDA, more of that.
19 Q And, you agree with that?
20 A There's a great deal of controversy
21 about that.
22 Q There was a controversy, but as of
23 2008, there wasn't enough scientific
24 evidence to claim that they are safer.

Superior Court Reporters, Inc.

1 You agree with that?
2 MS. DALPE: Objection, Your
3 Honor.
4 THE COURT: Overruled.
5 **A The FDA offered an opinion that they**
6 **did not have scientific evidence with**
7 **regards to compounding products,**
8 **correct.**
9 BY MR. SOBCZAK:
10 Q And just because -- they are not safer
11 just because they are neutral, and
12 neutral is in quotes, correct?
13 **A They don't comment on they're not safer**
14 **or more safe.**
15 Q Really? Can you read the next
16 sentence? Go to the next page.
17 **A This isn't the first article.**
18 Q Oh, I know. We'll go through all the
19 other ones, don't worry, Doctor.
20 **A Okay.**
21 Q Can you go to Page 2?
22 You see the statement by the
23 Director of FDA's Office of Women's
24 Health; "They are not safer just

1 because they're neutral?"
2 **A Yes.**
3 Q And, you agree with that, correct?
4 **A Yes.**
5 Q You agree that FDA approved hormone
6 replacement drugs are sold by
7 prescription only?
8 **A That's true.**
9 Q And, FDA advises women to choose those
10 hormones at the lowest dose in the
11 shortest period of time?
12 **A Yes.**
13 Q Okay. And, would you agree that FDA
14 warns that the women should be informed
15 of all risks and benefits of the drug
16 they're being prescribed, including the
17 ones that have not been proven to be
18 safe and effective?
19 **A That's true. And the reason for these**
20 **arguements are is because over three**
21 **million women use over-the-counter non-**
22 **FDA approved products every day.**
23 Q And, has Ms. Doull used over-the-
24 counter progesterone in this case?

1 **A Essentially it was an over-the-counter**
2 **product, though it was prescribed**
3 **through a compounding pharmacy.**
4 Q So, it wasn't over-the-counter, it was
5 by prescription, correct?
6 **A Correct.**
7 Q It was -- in fact, in your careful
8 preparation, you read the Wisconsin
9 Pharmacy deposition where they said it
10 can only be done by prescription,
11 correct?
12 **A That's correct.**
13 Q And, you've read the testimony from the
14 defendants, where they say, "This is
15 only a prescription drug," correct?
16 **A Yes.**
17 Q So, over-the-counter drugs have nothing
18 to do with the ones that were
19 prescribed for Ms. Doull in this case,
20 correct?
21 **A They share the same component, but**
22 **they're not FDA approved.**
23 Q And, they share the same active
24 ingredient?

1 **A So, the studies have addressed this,**
2 **counselor.**
3 Q Yes or no, Doctor?
4 **A No, no, no.**
5 Q They don't?
6 **A The studies have addressed this --**
7 **Can you answer the question, please?**
8 THE COURT: Would you please
9 stop interrupting?
10 **A There are over-the-counter products**
11 **that have been reviewed -- and I do**
12 **have a scientific background -- have**
13 **been demonstrated to contain the active**
14 **ingredient that the company says.**
15 BY MR. SOBCZAK:
16 Q So, the question was, same active
17 ingredient or not?
18 **A Yes.**
19 Q Yes? So, they all have the same active
20 ingredient, progesterone?
21 **A Well, I can't comment on all.**
22 Q Because they're not approved?
23 **A Right.**
24 Q And they're not regulated, they're not

Superior Court Reporters, Inc.

Sheet 32 Page 125

125

1 tested. So, you are aware of the fact
2 that many of the products that are not
3 regulated have issues with them?
4 In fact, you testified about
5 one of them --
6 THE COURT: Excuse me. Would
7 you just ask one question at a time,
8 Mr. Sobczak?
9 BY MR. SOBCZAK:
10 Q Your testimony is that you can't say
11 they're all the same because they're
12 not FDA approved, correct?
13 A **With regards to the studies which are**
14 **done by the FDA, sampling products that**
15 **are over-the-counter; do they contain**
16 **what the products purportedly obtain?**
17 **The studies have documented they do.**
18 Q And, those are studies that you read in
19 the disclosure by the defendants, the
20 zero ones?
21 A **No.**
22 MS. DALPE: Objection.
23 MR. DUMAS: Objection.
24 THE COURT: Sustained as to

Page 126

126

1 the form of the question.
2 BY MR. SOBCZAK:
3 Q Are those the studies that you have
4 identified in your lengthy disclosure?
5 A **I hope so, yes.**
6 Q Okay. Then why don't you go take the
7 time, review those pages, and point out
8 where you have identified a single
9 study in your disclosure.
10 A **I need my disclosures.**
11 Q Say again?
12 A **I'm sorry.**
13 Q In your disclosure.
14 A **In my disclosure, no. In the list of**
15 **articles I gave, that's what I thought**
16 **you were referring to.**
17 Q Okay. So, what you're saying is, in
18 the disclosure that you had to sign as
19 accurate, what you will testify here,
20 you did not disclose a single study?
21 A **True.**
22 Q And, that is what you're coming here
23 and let the other side -- the
24 plaintiffs know what you'll be

Page 127

127

1 testifying to.
2 What you signed for, saying
3 this is what I'm here to talk about,
4 correct.
5 MS. DALPE: Objection, Your
6 Honor.
7 THE COURT: Sustained.
8 BY MR. SOBCZAK:
9 Q This is what I'm being paid to come
10 here to talk about?
11 MS. DALPE: Objection.
12 THE COURT: Sustained.
13 BY MR. SOBCZAK:
14 Q Can you turn to the last page of the
15 disclosure? What did you actually
16 certify, Doctor?
17 A **Are you referring to my last paragraph?**
18 Q Yes. Well, you actually didn't fill
19 out a certificate.
20 It's just the last sentence,
21 correct? If you read the last
22 paragraph before your signature.
23 A **In my disclosure?**
24 Q Yes.

Page 128

128

1 A **"Dr. Miller is expected to testify that**
2 **there was no delay in the diagnosis" --**
3 Q No, Doctor, the last one. The last,
4 last sentence.
5 A **This is the last sentence.**
6 Q It says, "I had reviewed." Right above
7 the signature.
8 A **"I have reviewed the foregoing expert**
9 **disclosure, and I hereby certify that**
10 **the expert disclosure accurately states**
11 **the subject matter and the substances**
12 **of facts and opinions, and a summary of**
13 **the grounds for each opinion, which I**
14 **expect to testify at the trial in this**
15 **case."**
16 Q Okay. And, in your disclosure, you
17 elected not to include the boards you
18 were going to use; you also elected not
19 to identify a single study, peer review
20 journal, book, anything, correct?
21 MS. DALPE: Objection, Your
22 Honor.
23 THE COURT: Sustained.
24 BY MR. SOBCZAK:

Superior Court Reporters, Inc.

1 Q Did you identify a single study, peer
2 review journal, article, or a book in
3 your disclosure?
4 **A I provide no references in my**
5 **disclosure.**
6 Q Now going back to the FDA one.
7 You dispute that at the time
8 of 2008, there was no known scientific
9 studies to show that the bioidenticals
10 are safer, correct?
11 MS. DALPE: Objection, Your
12 Honor.
13 BY MR. SOBCZAK:
14 Q You dispute the FDA's statement? Fact,
15 dispute in the minutes, that as of that
16 timeframe, there is not enough
17 scientific evidence to prove that
18 bioidenticals are safer?
19 **A That's true.**
20 Q Okay. So, if they're not safer, the
21 assumption is, the risks are the same,
22 correct?
23 **A I'm not sure you can make that**
24 **extrapolation. Are they un-safer? No.**

1 Q No, that's okay. So, you can have
2 same, you can have safer, or you can
3 have less safe, correct?
4 All the FDA is saying is that
5 they're not safer. So, at a minimum,
6 they are the same as the ones that are
7 FDA approved, correct?
8 **A It's not addressed by the FDA. They**
9 **don't comment on that.**
10 Q They do; they say they are not safer.
11 So, I'm asking you, Doctor, the only
12 other two options are --
13 THE COURT: Excuse me. That's
14 a statement on your part.
15 MR. SOBCZAK: That's my
16 question.
17 THE COURT: Would you please
18 ask questions.
19 Members of the jury, please
20 disregard that last question by Mr.
21 Sobczak.
22 BY MR. SOBCZAK:
23 Q Okay. So, Doctor, you agree that
24 something can be safer, same, or less

1 safe, correct?
2 **A Or, unknown.**
3 Q Or, unknown. Lack of studies? And,
4 all that FDA is saying is they're not
5 safer, correct?
6 **A They're unknown.**
7 Q No, they're saying there is no studies
8 to show that they're safer? Did you
9 read that again?
10 **A They're unknown, right.**
11 Q So, either they're potentially even
12 less safe, or at minimum, they're the
13 same, correct?
14 **A They're essentially unknown -- their**
15 **safety, because they're not evaluated**
16 **by the FDA.**
17 Q So, as a prudent safe practitioner, you
18 make an assumption -- they're either
19 worse, or at a minimum, they are the
20 same?
21 **A I don't assume they're any worse. I**
22 **assume they're either the same or less.**
23 Q Okay. So, they're the same or less.
24 And, are you familiar with

1 ACOG?
2 **A Yes.**
3 Q And, in your extensive preparation for
4 this trial, did you review ACOG's 2005
5 opinion, reaffirmed in 2007, concerning
6 compounded bioidentical hormones?
7 MR. SOBCZAK: May I approach?
8 THE COURT: Yes.
9 MR. SOBCZAK: Exhibit K.
10 BY MR. SOBCZAK:
11 Q Did you review that prior to coming
12 here?
13 **A Yes.**
14 Q Okay. And, that's because it falls in
15 the applicable timeframe when Laura
16 Doull was being prescribed compounded
17 bioidentical hormones, correct?
18 **A Yes.**
19 Q And, you found ACOG to be an
20 authoritative source of the issue on
21 women's health?
22 **A Yes.**
23 Q So, do you agree or disagree --
24 MR. SOBCZAK: May I take that

Superior Court Reporters, Inc.

1 back? Please let me see that for a
2 second.
3 Q Do you agree that compounded
4 bioidentical hormones are plant-derived
5 hormones that are prepared, mixed,
6 assembled, packaged, or labeled as drug
7 by pharmacists and can be custom-made
8 for patient according to a physician's
9 specifications?
10 A **Yes.**
11 Q In fact, that's what you testified on
12 direct. They're often made from soy or
13 yams, correct?
14 A **Yes.**
15 Q And, just so we're in the realm of this
16 case, in addition to the physician
17 specification, they also can be made to
18 a nurse practitioner's specifications,
19 correct?
20 A **Correct.**
21 Q Because nurse practitioners have a
22 prescriptive authority in
23 Massachusetts?
24 A **Correct.**

1 Q And, in this case, Nurse Practitioner
2 Foster is the one that prescribed this
3 by prescription only drug for the
4 duration of Laura Doull's care,
5 correct?
6 A **Correct.**
7 Q And, do you agree that most compounded
8 products have not undergone rigorous
9 clinical testing for safety or efficacy
10 and issues regarding purity, potency,
11 and quality are a concern?
12 MS. DALPE: Objection, Your
13 Honor.
14 THE COURT: Overruled. Can
15 you answer that question?
16 A **Can you repeat that question?**
17 BY MR. SOBCZAK:
18 Q Sure. It's right on the board, second
19 sentence.
20 Most compounded products have
21 not undergone rigorous clinical testing
22 for safety or efficacy, and issues
23 regarding purity, potency, and quality
24 are of concern?

1 A **Yes.**
2 Q Do you agree that compounded hormone
3 products have the same safety issues as
4 those associated with hormone therapy
5 agents that are approved by the U.S.
6 Food and Drug Administration, and may
7 have additional risks in intrinsic to
8 compounding?
9 A **Yes.**
10 Q That was a yes?
11 A **Yes.**
12 Q And, there is no scientific evidence
13 that supports the claims of increased
14 efficacy or safety for individualized
15 estrogen or progesterone made products?
16 Do you agree with that
17 statement?
18 A **Yes.**
19 Q And, you would agree that the drugs
20 that are in the Physician's Desk
21 Reference are the ones that are FDA
22 approved?
23 MS. DALPE: Objection, Your
24 Honor.

1 THE COURT: Overruled.
2 A **The FDA has really expanded and
3 includes some non-FDA approved products
4 in there, as well.**
5 BY MR. SOBCZAK:
6 Q As of 2008, did you review the 2008
7 version of the PDR, in your preparation
8 for this trial this morning?
9 A **No.**
10 Q Did you see it in both Nurse Foster's
11 deposition testimony and Dr. Miller's
12 testimony, when they said that the only
13 reference they had in 2008 was the PDR?
14 A **Yes.**
15 Q And, you did not find it relevant for
16 you to get the book that the defendants
17 who are paying you to be here have only
18 available in the office.
19 MR. DUMAS: Objection.
20 THE COURT: Sustained as to
21 the form of the question.
22 MR. SOBCZAK: I'll rephrase.
23 BY MR. SOBCZAK:
24 Q You did not find it relevant to get the

Superior Court Reporters, Inc.

1 only book that Dr. Miller and Ms.
2 Foster identified as having in their
3 office?

4 **A No. The information is available
5 without the book.**

6 **Q** It's available online, correct?

7 **A Most of us don't keep old PDR's.**

8 **Q** I understand. That's fine. So, in
9 fairness, this book is updated every
10 year, correct?

11 **A Correct.**

12 **Q** And, every year, new information
13 becomes available and new information
14 comes out?

15 **A Correct.**

16 **Q** So, as a practicing doctor with all
17 these different patients, you don't
18 waste space keeping old ones because
19 you have the new information available
20 there, correct?

21 **A That's correct.**

22 **Q** But, you would agree that information
23 about drugs may have changed just
24 slightly from 2008 to 2017, correct?

1 **A Specific drugs do change, and we do get
2 updates about those, specifically.**

3 **Q** So, that's why for the purpose of this
4 case, we actually are using the 2008
5 version, because that would be
6 information that was available, and
7 should have been available to both Dr.
8 Miller and Nurse Foster, as Ms. Doull
9 was their patient, correct?

10 **A Correct.**

11 **Q** Okay. And, as you just agreed, the
12 compounded form of products have the
13 same issues as those associated with
14 hormone therapy agents that are
15 approved by the U.S. Food and Drug
16 Administration?

17 Those would be the ones in
18 this book, correct?

19 **A Correct.**

20 **Q** And, you didn't review Dr. Miller's
21 trial testimony, did you?

22 **A No.**

23 **Q** Okay. I'll represent to you that when
24 he was asked to find the drugs that he

1 was prescribing to Laura Doull, he went
2 into this book and went to Page 979.

3 MS. DALPE: Objection, Your
4 Honor.

5 MR. DUMAS: Objection.

6 THE COURT: Sustained.

7 BY MR. SOB CZAK:

8 **Q** I'll represent to you that when Dr.
9 Miller was on the stand, he went over
10 three different drugs --

11 MS. DALPE: Objection.

12 THE COURT: Sustained.

13 Why don't you just ask him specific
14 questions, please.

15 BY MR. SOB CZAK:

16 **Q** The warnings, the safety issues, the
17 precautions that are dealing with
18 progesterone in this book, according to
19 ACOG and you, are the same for
20 compounded form of drugs, correct?

21 **A No. The FDA does not rule on
22 compounded drugs, as you eluded to.
23 They don't evaluate compounded drugs.**

24 **Q** Correct, Doctor. We agree FDA doesn't

1 regulate them, but both the ACOG, FDA,
2 and now you, agree that the risks are
3 the same?

4 **A Counselor, not to sidetrack your
5 question; I'm not a pharmacologist.
6 Ms. Doull received cream,
7 which is not an FDA approved product in
8 any form. There is no progesterone
9 cream that's FDA approved.**

10 **Q** You don't believe there's an FDA
11 approved cream?

12 **A Topical progesterone cream.**

13 **Q** Are you familiar with the Mayo
14 Foundation for Medical Educational
15 Research?

16 **A Not specifically.**

17 **Q** Are you familiar with Drs. Julia Files,
18 Marsha Ko, and Sandra Prudie?

19 **A No.**

20 **Q** In your preparation, did you happen to
21 review the article on the bioidentical
22 hormone therapy that was published in
23 2011?

24 **A Yes.**

Superior Court Reporters, Inc.

Sheet 36 Page 141

141

1 Q Okay. And did you read in there that
2 there are bioidentical drugs that are
3 available on the market ---
4 MS. DALPE: Objection, Your
5 Honor.
6 Q -- that are FDA approved?
7 THE COURT: Sustained as to
8 the form of the question. You may show
9 him the article.
10 BY MR. SOBCZAK:
11 Q Are you aware that there are
12 bioidentical --
13 A Yes.
14 Q -- progesterone drugs that are FDA
15 approved?
16 A Right. But, are no bioidentical --
17 going back to the question that I was
18 addressing.
19 There are no bioidentical
20 creams. There are no non-bioidentical
21 creams. There are no FDA approved
22 creams. We're talking different
23 products.
24 Q There are FDA approved gels, correct?

Page 142

142

1 A **Intravaginal gels.**
2 Q And did you also --
3 A **Which is a totally different drug.**
4 Q And did you also review that portion of
5 Wisconsin's Pharmacy deposition, where
6 they said that their progesterone can
7 come in creams, gels, pills,
8 suppositories.
9 It comes in multiple different
10 forms, correct.
11 A **Yes.**
12 Q And, in the end, although the route of
13 application, and as you testified
14 earlier, the absorption rate may be
15 different, the active ingredient is
16 still progesterone, correct?
17 A **According to them, that's what they**
18 **said.**
19 Q So, Wisconsin said active ingredient is
20 the same, Dr. Miller said progesterone
21 is progesterone.
22 Is it your position that
23 progesterone is progesterone?
24 A **I hate to report my question, but**

Page 143

143

1 progesterone is not progesterone,
2 progesterone.
3 There is natural progesterone,
4 closest to what a woman make each day.
5 There are multiple synthetic
6 progestones, which are different.
7 The route of absorption is much
8 different between intravaginal,
9 topical, pill, and intramuscular.
10 So, this blanket statement
11 that you're asking me to agree to is
12 not biologically or clinically
13 relevant.
14 Q You're saying -- okay.
15 Do you agree that currently
16 the FDA requires manufacturers of
17 products approved by the FDA that
18 contain estrogen and progesterone to
19 use a class labeling, black box
20 warning, reflective of the finding of
21 Women's Health Initiative?
22 Do you agree with that?
23 A **That estrogen and progesterone, there**
24 **is a black box warning on that, yes.**

Page 144

144

1 Q Okay. Would you agree that, however,
2 because compounded products are not
3 approved by FDA, and have no official
4 labeling, i.e. package inserts, they
5 are exempt from including the
6 contraindications and warnings required
7 by the FDA in class labeling for
8 hormone therapy?
9 Do you agree with that?
10 A **Yes.**
11 Q That's what you, in part, were just
12 talking about, that these compounded
13 drugs or over-the-counter drugs have no
14 requirement for labels; that's why they
15 don't include them?
16 A **That's right.**
17 Q It's not that they're saying there is
18 no risk to them, they're not saying
19 anything because they don't have to.
20 They're just marketing stuff, correct?
21 A **They don't require a label.**
22 Q Do you agree, given the lack of well-
23 designed and well-conducted clinical
24 trials of these alternative therapies,

Superior Court Reporters, Inc.

Sheet 37 Page 145

145

1 compounded hormone products should be
2 considered with the same safety issues
3 as those associated with hormone
4 therapy agents that are approved by the
5 FDA?

6 **A** There is a controversy about compounded
7 pharmacies and their products, yes.
8 But, I'm not a pharmacist; that is not
9 my field of expertise.

10 **Q** And, if it was not your field of
11 expertise, the safer approach would be
12 following the recommendations --

13 MS. DALPE: Objection.

14 **Q** -- and give the same warnings, correct?

15 THE COURT: Sustained.

16 **A** Excuse me?

17 **Q** If you are --

18 MS. DALPE: It's sustained.

19 THE COURT: I've sustained the
20 objection.

21 MR. SOBCZAK: Sorry, I'll

22 rephrase the question.

23 BY MR. SOBCZAK:

24 **Q** The safer approach when you're not

Page 146

146

1 certain if the risk applies or not, is
2 to give the patient the information
3 about the risk, correct?

4 **A** If there's no statement about the risk
5 provided by the manufacturer, there is
6 nothing else to give.

7 **Q** If, as you just testified, there is
8 controversy, just like it's here --
9 some people say it's safer, some people
10 say it's not.

11 If there is a controversy, and
12 there is not enough sufficient
13 scientific data to prove that it's
14 safer, as a practitioner, the safer
15 approach for you is to give the patient
16 the information about the risk, so then
17 the patient can make the decision
18 whether or not they want to take it,
19 correct?

20 MS. DALPE: Objection.

21 THE COURT: Overruled. Are
22 you able to answer that question,
23 Doctor?

24 **A** So, I have to answer this question in a

Page 147

147

1 number of parts.

2 For one, the patient was
3 prescribed topical cream. There is no
4 FDA approved topical cream product
5 period. So, there is nothing you can
6 compare it to.

7 Is there literature?

8 Extensive literature; The Women's
9 Health Initiative, follow-up literature
10 just recently published is that; is
11 progesterone in its current form safe?
12 And, the answer in my extensive review
13 of the literature, to which I provided
14 you, definitively, without question,
15 documents that that product is not
16 associated with an increased thrombotic
17 risk.

18 Following up on the Women's
19 Health Initiative, since you introduced
20 estrogen and progesterone -- the
21 Women's Health Initiative recently
22 updated their data on 27,000 women and
23 found no increased mortality for women
24 who received estrogen and progesterone

Page 148

148

1 products on their studies.

2 So, that is the most recent
3 data, counselor. I'm only commenting
4 on the product that she had received.
5 And, there is no FDA approved topical
6 natural progesterone product that is
7 FDA approved or not approved.

8 BY MR. SOBCZAK:

9 **Q** Are you done, Doctor?

10 **A** Yes.

11 **Q** Okay. Now, just to answer my question
12 and to correct you. I did not inject
13 estrogen progesterone -- so

14 THE COURT: Please do not
15 argue with the witness. You may ask
16 questions.

17 BY MR. SOBCZAK:

18 **Q** You're board injected progesterone and
19 estrogen. That's not in your
20 disclosure, is it?

21 THE COURT: Asked and
22 answered.

23 BY MR. SOBCZAK:

24 **Q** Yes?

Superior Court Reporters, Inc.

1 **A It is not in my disclosure, correct.**
2 **Q** And, in the disclosure that you signed,
3 the only thing you signed, you didn't
4 talk about any these studies, including
5 the updated studies, correct?
6 **A Correct.**
7 MR. SOBCZAK: Your Honor,
8 we're approaching 11:00 and I've still
9 got awhile.
10 THE COURT: All right. We'll
11 take our recess at this time. We will
12 resume at fifteen after the hour,
13 please.
14 (Court in recess at 11:00 a.m.)
15
16 (Court reconvenes at 11:26 a.m.)
17 (Jury not present)
18 THE COURT OFFICER: Court,
19 all rise. This court is now in
20 session. You may be seated.
21 THE CLERK: Your Honor, you
22 are back on the record.
23 THE COURT: So, when the
24 jurors are brought back in, it's my

1 intention to -- in case the jurors
2 are confused by all this language
3 about disclosures and treatises, I
4 am going to read from the actual
5 Superior Court Rule, the relevant
6 parts of Superior Court Rule 30B on
7 expert disclosures.
8 And indicate that we also
9 have a statute with regard to
10 learned treatises; in other words,
11 treatises, periodicals, books or
12 pamphlets on particular statements
13 and the way in which they have to be
14 disclosed or not disclosed.
15 Okay. Anything else? I'm
16 sorry, did you want to --
17 MR. DUMAS: Your Honor, two
18 brief things.
19 THE COURT: Mm-hmm.
20 MR. DUMAS: One is, I notice
21 that Mr. Sobczak during the break
22 brought up his boards again about
23 patient safety goals. I just want
24 to continue our objection to that.

1 Changing the word from rules
2 to goal doesn't change the meaning,
3 and this witness specifically has
4 disagreed with the statement about
5 patient safety. And he has not been
6 asked about any of this, which
7 relates to my next issue, which is
8 just an observation.
9 THE COURT: Mm-hmm.
10 MR. DUMAS: Mr. Sobczak
11 described his cross as having quite
12 a bit more. He has already covered
13 the FDA, he has already covered
14 disclosure, he has already covered
15 the PDR, he's covered the
16 literature.
17 I am just concerned. We do
18 have fact witnesses that we
19 subpoenaed here today. And,
20 obviously, Dr. Miller is here for a
21 limited time, but just an
22 observation about what the length
23 and scope of the cross-examination
24 may be.

1 But primarily, I do
2 strenuously object to these boards.
3 And we saw a little bit of it this
4 morning. It's the reptile theory.
5 It's safety is the standard of care.
6 And they put the doctor in
7 the untenable position of saying
8 don't you want your patients safe,
9 isn't that your goal? And having a
10 PE is not safe, is it?
11 Therefore, you have breached
12 the standard of care. It's a
13 completely fictitious standard of
14 care. And we even heard it this
15 morning when the doctor was asked,
16 and failure to diagnose isn't safe,
17 is it?
18 This is just a different
19 way, as Mr. Sobczak tends to do:
20 take something that the Court has
21 already excluded, twist a couple
22 words, while the meaning remains the
23 same, in an attempt to get it into
24 evidence.

Superior Court Reporters, Inc.

Sheet 39 Page 153

153

1 And I just want to continue
2 our objection to these types of
3 tactics to steer away from the
4 standard of care.

5 THE COURT: Well, I will
6 say, I thought that the doctor was
7 able to answer actually during
8 cross-examination and explain where
9 safety comes into play.

10 So, perhaps that will be
11 helpful to jurors. Okay. So, let's
12 bring up the jurors, please.

13 MR. SOBCZAK: And for the
14 record, Your Honor, just to correct
15 Mr. Dumas' misstatement, the Court
16 did not exclude those words. They
17 specifically allowed -- the only
18 thing that was excluded was covered
19 up, and then the witness on the
20 stand admitted to everything except
21 for the word rule.

22 THE COURT: Well, and you
23 wrote in the rest of it, but --

24 MR. SOBCZAK: From the doctor's

Page 154

154

1 mouth, Your Honor.

2 THE COURT: We'll see what
3 this witness has to say about the
4 language on there.

5 MR. SOBCZAK: That's the
6 plan. Correct. That is my plan,
7 Your Honor.

8 As for length, Your Honor, I
9 would just say for the record my
10 direct of Dr. Genecin took 40
11 minutes, and the defendants' cross
12 took three-and-a-half hour.

13 Here, we have direct
14 examination of hour and a half, and
15 I have only been crossing less than
16 an hour. I do have a few things
17 more to go.

18 THE COURT: I'm sure
19 everybody has a lot more to ask of
20 this doctor, but I would just point
21 out that it's now 11:30 almost. We
22 have until 1:00 and then until
23 three.

24 And, you know, if you're not

Page 155

155

1 getting anywhere, Mr. Sobczak,
2 perhaps you should move onto the
3 other parts of your intended cross-
4 examination instead of repeatedly
5 asking the same questions. All
6 right. So, can we bring the jurors,
7 please?

8 THE COURT OFFICER: Yes.

9 THE COURT: I am just going
10 to point out that we have quite a
11 bit of work this afternoon,
12 including an arraignment in a
13 homicide case, which is going to
14 take some time.

15 So, we cannot drift much
16 past three o'clock today.

17 MS. DALPE: We understand,
18 Your Honor.

19 THE COURT: That's fine. I
20 stand corrected. We have moved the
21 arraignment to 1:30, so hopefully
22 that will be finished before two.

23 THE COURT OFFICER: Jurors
24 entering.

Page 156

156

1 (JURY ENTERS)

2 THE COURT: And actually,
3 jury, you may be seated.

4 Before Dr. Miller resumes
5 his testimony, because you have
6 heard quite a bit this morning about
7 questions about disclosures, and I
8 put that in quotes because that's
9 the particular word that's been used
10 and you may have heard this language
11 used earlier in the trial as well,
12 but I think it might be helpful for
13 jurors to understand what this is.

14 So, in civil cases, here in
15 Massachusetts and more specifically
16 for purposes of this word disclosure
17 here in the Massachusetts Superior
18 Court, there are certain rules of
19 procedure so that the attorneys know
20 when they're permitted to do some
21 certain things, what things they are
22 required to do or permitted to do
23 before a case actually comes to
24 trial.

Superior Court Reporters, Inc.

1 And among those things are
2 what we call discovery. That is
3 where the parties are expected to
4 exchange the information that each
5 of them has to support their
6 position so that once the case
7 actually comes to trial, both sides
8 know what is expected to be
9 introduced during trial.

10 With regard to that idea of
11 discovery, in certain cases, as I
12 told you at the beginning of the
13 trial and I will remind you of it
14 later today before we recess for the
15 day, because there are certain
16 matters that are outside the
17 understanding of ordinary jurors,
18 people who have expertise, training
19 and education in a particular area
20 are permitted to testify and are
21 permitted to offer their opinions on
22 matters.

23 These are so-called expert
24 witnesses. And I put that in a

1 quote as well. That's just a term
2 of art that is used to talk about
3 what kind of witnesses they are.

4 These witnesses are
5 generally speaking not people who
6 have any personal knowledge in the
7 case in the sense that they weren't
8 personally involved with the person
9 who claims to have been injured in a
10 case such as this one.

11 They are not doctors who
12 personally treated, diagnosed or saw
13 the person who allegedly was injured
14 in some way. But instead, they're
15 permitted to testify in order to
16 assist the jury, whom we expect
17 probably don't have knowledge about
18 these particular areas, but assists
19 the jury in understanding the
20 evidence that is being presented to
21 them and to assist the jury in being
22 able to decide the facts, which you
23 are ultimately going to have to do.

24 So, with regard to these

1 expert witnesses, in the Superior
2 Court, in order to make sure that
3 both sides understand exactly what
4 kind of opinion these types of
5 experts are expected to give during
6 the trial, and I say exactly and
7 I'll rephrase that somewhat.

8 There is a rule in
9 Massachusetts Superior Court which
10 is called expert disclosures it's
11 Rule 30B of the Massachusetts
12 Superior Court Rules.

13 So, I am going to read to
14 you the pertinent part of the rule
15 so you understand what the lawyers
16 are talking about when they talk
17 about disclosures and what may or
18 may not be required to be included
19 in a disclosure.

20 So, the rule reads, in
21 pertinent part, "Each party" -- so
22 that means the plaintiff or
23 plaintiffs on the one hand, the
24 defendant or defendants on the other

1 hand -- "Each party shall set forth
2 the following information in the
3 pretrial conference memorandum" --
4 and this is something that is filed
5 with the Court before the trial --
6 "the name, address and
7 qualifications of each expert a
8 party intends to call, the subject
9 matter on which the expert is
10 expected to testify, the substance
11 of all facts and opinions expected,
12 and a summary of the grounds on
13 which each expert's opinion as
14 detailed, as would be expected in an
15 answer to an expert interrogatory."

16 And, again, interrogatories
17 are those written questions that are
18 sent to witnesses and that they're
19 expected to answer in writing under
20 oath.

21 "The information as set
22 forth in the pretrial memorandum
23 must be signed by that expert."

24 So, again, it's a summary of

Superior Court Reporters, Inc.

1 what the expert is expected to say,
2 the substance of facts and opinions
3 upon which the witness is expected
4 to testify, and the subject matter
5 on which the witness is expected to
6 testify.
7 "Furthermore, the" -- I am
8 reading further from the rule, "the
9 signature of the expert is a
10 certification that the disclosure
11 accurately states the subject
12 matters on which the expert is
13 expected to testify, the substance
14 of the facts and opinions to which
15 the witness -- the expert is
16 expected to testify, and a summary
17 of the grounds for each opinion to
18 which the" witness be -- I'm sorry
19 -- "the expert is expected to
20 testify at trial."
21 So, to the extent you're
22 hearing about disclosures, that's
23 what it is. It is something set
24 forth, filed with the Court prior to

1 trial during a pretrial conference.
2 And that is what it set
3 forth in that disclosure and the
4 disclosure -- there is no
5 requirement that a particular person
6 write out the disclosure, but the
7 expert is actually required to read
8 it and certify it by signing it that
9 this is an accurate disclosure of
10 the expected testimony.
11 You have also been hearing
12 over the course of the trial and
13 more specifically in the context of
14 these questions so if it's about the
15 disclosure today about a number of
16 different articles and books.
17 You have heard testimony at
18 various points about it. But just
19 so that there is no confusion about
20 disclosure and the need to include
21 within that disclosure any
22 indication of any article or
23 treatise or book or pamphlet on an
24 area of, in this case, medical

1 terminology or medical opinions,
2 that is not required to be included
3 in expert disclosure as such.
4 It may be part of what would
5 fit within what I have just
6 described, but it is not required
7 that that be disclosed.
8 Furthermore, with regard to
9 our rules, we have both a statute, a
10 law on our books. We have hundreds
11 of these chapters and sections.
12 And, also, you may have heard me
13 refer earlier, perhaps not, to the
14 Massachusetts Guide to Evidence.
15 That also explains the
16 nature -- the manner in which if a
17 particular party is expecting to use
18 such treatises or pamphlets or
19 booklets on a particular area of
20 science and to utilize them with
21 expert witnesses as they examine
22 expert witnesses, if the party who
23 is offering the expert, so, in other
24 words, if the defendant calls the

1 expert, the defendant would be
2 required to do this.
3 If the plaintiff calls the
4 expert, the plaintiff would be
5 required to do this.
6 And that is to give a list
7 of any of these particular
8 scientific journals, let's say, or
9 books or treatises to the other
10 party in advance and to indicate I
11 intend to ask my expert questions
12 from these materials.
13 On the other hand, if it is
14 the other party, so, in other words,
15 if the plaintiffs' or the
16 defendants' expert witness is
17 testifying, when the other party is
18 entitled to cross-examine the
19 witness, they are not required to
20 disclose those names.
21 So, you only have to
22 disclose the particular documents in
23 advance if you, as the offering
24 party, the person who has called

Superior Court Reporters, Inc.

Sheet 42 Page 165

165

1 that witness as your expert, has --
2 is expected to testify during your
3 questioning, direct questioning of
4 the witness about these particular
5 documents.

6 For cross-examination
7 purposes, it is not required that
8 the party that is either offered as
9 a witness, because, obviously, they
10 don't know if the other side is
11 going to cross-examine about, or the
12 person who is -- the party that is
13 cross-examining, it is not required
14 that they put out this particular
15 list.

16 So, hopefully that will
17 clarify in some ways the nature of
18 the questioning that is going on
19 here right now. I'm sorry.

20 JUROR: A specific question.
21 In scientific journals of particular
22 --

23 THE COURT: I can't answer
24 questions about the facts like that.

Page 166

166

1 JUROR: No, it's not about
2 facts. I'm just saying if they list
3 the name of a journal, they have to
4 have the specific issue of the
5 journal.

6 THE COURT: I am not going
7 to answer that question. I just
8 want you to understand the procedure
9 that's being discussed here. Okay?
10 All right. So, we're ready to
11 resume.

12 MR. SOBCZAK: Thank you,
13 Your Honor. Yes, Your Honor. And
14 in light of the Court's instruction,
15 at this point, plaintiff would offer
16 into evidence, I think it's for ID,
17 plaintiffs' notice of the articles.

18 THE COURT: Yes.

19 MR. SOBCZAK: May I approach
20 the witness?

21 THE COURT: Yes.
22 (CROSS-EXAMINATION OF KENNETH
23 MILLER, M.D., CONTINUED)
24 BY MR. SOBCZAK:

Page 167

167

1 Q Doctor, is this one of the lists --
2 is this the only list of arguments
3 that you saw in preparation for your
4 testimony today?

5 A **This is the only thing I saw signed
6 by you.**

7 Q You did or didn't?

8 A **Yeah.**

9 Q So, you saw that part?

10 A **I believe so, yes.**

11 Q Okay. And it includes entire books,
12 such as the PDR, as well as
13 sometimes the single article, like a
14 one-page flier, correct?

15 A **Yes.**

16 Q So, it has sometimes entire volumes
17 and sometimes very specific articles
18 on a particular issue, correct?

19 And during the break, did
20 you get a chance to review your
21 disclosure and make any of those
22 changes or no?

23 A **I didn't make any changes to
24 anything, no.**

Page 168

168

1 Q Okay. Well, because, I asked you
2 about the typos and you said you're
3 going to make -- you made some and
4 you have to understand that you
5 drafted it.

6 I want to be fair to you if
7 you want to make any changes to your
8 typos, because you have disclosure
9 --

10 THE COURT: Excuse me.
11 There is no evidence of any typos.
12 Let's please move on.

13 MR. SOBCZAK: Okay. May I
14 approach, Your Honor?

15 THE COURT: Yes.

16 BY MR. SOBCZAK:

17 Q If you could turn to your
18 disclosure, Doctor? And turn to
19 page six. Are you there?

20 In the paragraph in the
21 middle that starts with "Laboratory
22 studies," do you see where you put
23 the date 6/18/11?

24 A **Yes.**

Superior Court Reporters, Inc.

Sheet 43 Page 169

169

1 Q But then the evaluation was on
2 6/20/2012?
3 **A Yes.**
4 Q This all talks about the 2012
5 visits. So, the 2011 is just a
6 typo, correct?
7 **A That is a typo.**
8 Q Okay. And I only bring this up --
9 it's not relevant. Your opinion
10 doesn't change in any way. It's
11 just in fairness to you.
12 I am concerned, though, if
13 you could turn to the third page of
14 your disclosure, where you
15 specifically address the history of
16 Ms. Doull as she was being cared for
17 by the defendants. And your
18 testimony is that you prepared the
19 history, correct?
20 **A Yes.**
21 Q And you talk about a visit on March
22 2, 2011, correct?
23 **A Yes.**
24 Q I am going to hand you what's been

Page 170

170

1 marked as Exhibit 1, and it's a copy
2 of the chart. That's one of the
3 things that you reviewed in
4 preparation for this case, correct?
5 **A Correct.**
6 Q Did you review it before or after
7 Ms. McCann was able to uncover the
8 covered-up areas?
9 **A Before.**
10 Q Before. So, you were in the same
11 position as Dr. Genecin. You did
12 not see what's behind the White Out?
13 **A I did not see.**
14 Q And is there a visit on March 2,
15 2011? I'll represent to you it's on
16 page ten, so it will be faster.
17 **A Counsel, there is a page here that
18 is not readable.**
19 Q These are copies of the -- on the
20 bottom of each page, there should be
21 a tiny little Bates number. If you
22 go to page ten.
23 **A Yeah.**
24 Q Are you there?

Page 171

171

1 **A I have page ten.**
2 Q So, there is a visit on March 2 ,
3 correct?
4 **A Correct.**
5 Q Then there is a March 7 T/C,
6 correct?
7 **A Yes.**
8 Q So, it's not an inpatient visit,
9 it's just a phone call. Then you
10 talk about a March 10, 2011 visit.
11 **A Excuse me?**
12 Q In your disclosure, the next
13 paragraph down, the one that you
14 prepared, you talk about March 10,
15 2011, "Mrs. Doull was seen in
16 followup for her asthma."
17 Please point to the jury
18 where is the record for this March
19 tenth visit?
20 **A It's April 10 .**
21 Q Yes. I mean April 10 , sorry.
22 April 10, 2011.
23 **A Are these the records of
24 chiropractor Dean?**

Page 172

172

1 Q No, these are the records of --
2 **A Because that's what that sentence
3 implies, not that she was seen by --**
4 Q You're saying that when you wrote on
5 March 10, 2011, "Mrs. Doull was seen
6 in followup for exacerbation of her
7 asthma," are you saying that that
8 was at the chiropractor, not with --
9 **A So, the next line is, "She was seen
10 by K. Dean for acupuncture."**
11 Q Okay. So, are you saying that's the
12 same thing, not --
13 **A That's what I assume.**
14 Q Well, it's you who wrote this. I
15 don't want you to assume. If
16 that's' what you mean --
17 **A There is no note.**
18 Q Because there is no records,
19 correct?
20 **A I don't see one in the material
21 here. I --**
22 Q I will represent to you that there
23 has been no records from the
24 chiropractor in this case.

Superior Court Reporters, Inc.

Sheet 44 Page 173

173

1 **A Excuse me?**
2 **Q** There has been no records from the
3 chiropractor in this case concerning
4 this visit.
5 So, I am trying to find out
6 if you had a different version of
7 the chart that may have additional
8 visits that we don't have.
9 **MS. DALPE:** Objection, Your
10 Honor.
11 **THE COURT:** Sustained.
12 **A Counsel, my understanding is that,**
13 **in somewhere in this chart, there is**
14 **a reference that on that day she was**
15 **seen by a chiropractor for -- K.**
16 **Dean, but I didn't -- I have no**
17 **preferences on specific dates.**
18 **Q** Can you find it?
19 **A I can't find it in the material**
20 **here.**
21 **Q** Okay. Well, that's the only chart.
22 **A Right. Is it referenced somewhere**
23 **later that she is seen on that date?**
24 **I can go through all the records by**

Page 174

174

1 **this afternoon, but --**
2 **Q** Okay. Well, that's fine. I am
3 trying to find out if there is more
4 records. So, you're not certain,
5 but you're pretty certain --
6 **A Well, I'm not saying who said this.**
7 **I'm not saying that the --**
8 **MS. DALPE:** Objection, Your
9 Honor.
10 **A -- physician said it. All I am**
11 **saying is --**
12 **THE COURT:** Sustained.
13 **A -- it's a fact.**
14 **Q** Hold onto the chart, because we'll
15 use it. And in preparation for this
16 deposition, did you also review the
17 Endocrine Society position standing
18 with bioidentical hormones?
19 **MR. SOBCZAK:** May I
20 approach, Your Honor?
21 **THE COURT:** Yes.
22 **BY MR. SOBCZAK:**
23 **Q** Did you review that?
24 **A I reviewed this.**

Page 175

175

1 **Q** Okay. And do you agree with their
2 position?
3 **MS. DALPE:** Objection, Your
4 Honor.
5 **A What specifically about this do you**
6 **want me to agree or disagree?**
7 **Q** Well, do you agree that bioidentical
8 hormones, particularly estrogen and
9 progesterone, have been promoted as
10 safer and more effective
11 alternatives to more traditional
12 hormone therapies --
13 **MR. DUMAS:** Objection.
14 **BY MR. SOBCZAK:**
15 **Q** -- often by people outside the
16 medical community?
17 **THE COURT:** I'll see you at
18 sidebar.
19 **(SIDEBAR CONFERENCE)**
20 **THE COURT:** What is the
21 objection? Is this one of the
22 articles that was listed in --
23 included in the list of the
24 plaintiffs' -- all right. So,

Page 176

176

1 that's not your objection.
2 **MR. SOBCZAK:** I.
3 **THE COURT:** Would you please
4 let them tell me why they're
5 objecting?
6 **MR. DUMAS:** There has been
7 no foundation established by this
8 witness that this is an
9 authoritative source as required by
10 Sneed.
11 **THE COURT:** Okay.
12 **MR. SOBCZAK:** And I am
13 asking you about something here as
14 in addition to being listed in the
15 disclosure, this was also an exhibit
16 in Ms. Foster's deposition.
17 **THE COURT:** Well, you still
18 have to, before you can question him
19 on it, ask him whether or not he
20 accepts the society or the articles'
21 authors as being authoritative.
22 **MR. SOBCZAK:** Okay.
23 **(END SIDEBAR CONFERENCE)**
24 **BY MR. SOBCZAK:**

Superior Court Reporters, Inc.

1 Q Dr. Miller, are you familiar with
2 what the Endocrine Society is?
3 A No.
4 Q So, you don't know that it's the
5 largest professional organization of
6 doctors dealing with --
7 MR. DUMAS: Objection.
8 THE COURT: Sustained.
9 You're not to testify about it. You
10 may ask him if he is familiar with
11 it.
12 BY MR. SOBCZAK:
13 Q Do you find them to be authoritative
14 in the --
15 A I am not an endocrinologist. I
16 don't know which subspecialty of
17 endocrine is authoritative or not
18 authoritative. It's outside my area
19 of expertise.
20 Q And that particular position
21 statement was attached to Ms.
22 Foster's deposition, correct?
23 MS. DALPE: Objection, Your
24 Honor.

1 THE COURT: Sustained.
2 A I don't recall reading this attached
3 to her deposition.
4 Q Well, you reviewed Ms. Foster's
5 deposition and you know that there
6 were three statements that were
7 introduced as exhibits and she was
8 asked about them, correct?
9 A Yes.
10 Q And you coming here testifying about
11 this looked up those three articles
12 and prepared, correct?
13 MS. DALPE: Objection, Your
14 Honor.
15 THE COURT: Sustained.
16 BY MR. SOBCZAK:
17 Q In preparation for this testimony
18 here at trial, did you take the
19 steps to review the three articles
20 that were used at Ms. Foster's
21 deposition?
22 A I reviewed more than 20 articles in
23 reference to this material, which I
24 provided on my list, dealing with

1 the controversy that you're
2 addressing.
3 Q Okay. Doctor --
4 A I don't think it's relevant. This
5 is not my area of expertise. It is
6 -- I reviewed this as a balance
7 presentation and because it was
8 brought up.
9 Q Doctor, --
10 A So, it's -- I supplied you more
11 definitive articles and the
12 references.
13 Q Doctor, can we focus on the
14 question? This is not your first
15 time testifying, correct?
16 A Correct.
17 Q The question is, you reviewed Ms.
18 Foster's deposition transcript,
19 correct? You certainly are not
20 saying that what Ms. Foster
21 testified to is not relevant to your
22 testimony, correct?
23 A No.
24 Q In fact, because of the extremely

1 limited notes in the medical
2 records, --
3 MR. DUMAS: Objection.
4 THE COURT: Would you please
5 not characterize things in that way?
6 You're arguing your case again,
7 you're not asking questions.
8 BY MR. SOBCZAK:
9 Q You would agree that majority of
10 your opinion is based on what Ms.
11 Foster testified happened, not
12 what's actually documented happened,
13 correct?
14 A No.
15 Q So, everything you testified to on
16 direct you will be able to point to
17 the jury in the chart?
18 A Yes. This does talk -- there is
19 progesterone produced an increased
20 incidences of thrombotic events,
21 that caused this patient's CTEPH.
22 I can document and definitively
23 say the answer is no.
24 Q The question --

Superior Court Reporters, Inc.

1 A And is there literature documenting,
2 which I supplied, worldwide,
3 overwhelming, with no other points
4 of view saying that? The answer is
5 yes.
6 Do these representable
7 societies, including what you have
8 quoted from your other expert,
9 documenting that progesterone in any
10 form, even though this is not the
11 form we're discussing today, is
12 associated with increased risk of
13 thrombotic events? The answer is
14 no.
15 And that's the part which I
16 consider relevant. With regards to
17 acupuncture and other things in the
18 chart, with regards to biosimilars,
19 I am not an expert on that.
20 But I am an expert on
21 clotting disorders and blood
22 disorders. Progesterone does not
23 cause an increased thrombotic event,
24 and I supplied the jury, hopefully

1 to you, hundreds of articles in
2 hopefully a balanced way.
3 MR. SOBCZAK: Your Honor,
4 move to strike. And would you
5 please instruct the witness to
6 actually answer the questions posed?
7 THE COURT: Well, I am not
8 going to strike the answer except
9 for what he might have supplied.
10 You are not to consider that, but
11 will you just listen to the
12 questions, Doctor?
13 BY MR. SOBCZAK:
14 Q Doctor, the question is simple.
15 What you testified to, you are going
16 to be able to point in Mrs. Doull's
17 chart for every single thing that
18 you blamed, correct?
19 MS. DALPE: Objection.
20 MR. DUMAS: Objection.
21 BY MR. SOBCZAK:
22 Q Or did you rely on Ms. Foster's
23 testimony for many of the parts?
24 MR. DUMAS: Objection.

1 MS. DALPE: Objection, Your
2 Honor.
3 THE COURT: Sustained.
4 A I relied primarily in the charts.
5 MR. SOBCZAK: Sustained.
6 BY MR. SOBCZAK:
7 Q Okay. So, you relied on the charts?
8 THE COURT: Sustained.
9 BY MR. SOBCZAK:
10 Q So, it's your position that Ms.
11 Foster's testimony was not relevant
12 to your review?
13 A I did not say that.
14 Q So, it was relevant?
15 A Yes.
16 Q And in preparation, you reviewed her
17 testimony and all the exhibits that
18 were marked at her deposition?
19 A I reviewed the deposition. I don't
20 know if I reviewed all the marked
21 items as well.
22 Q Okay. So, you don't recall if you
23 reviewed this, but looking at it
24 now, your memory is that you

1 probably did not?
2 A I reviewed this article. I am not
3 sure I reviewed it as far as an
4 attached deposition.
5 Q Okay. So, you have reviewed it
6 prior to today and do you agree with
7 their holding?
8 MS. DALPE: Objection, Your
9 Honor.
10 THE COURT: With regard to
11 this, you need to lay the
12 foundation.
13 BY MR. SOBCZAK:
14 Q Did you read it?
15 A Yes, I believe so.
16 MS. DALPE: Objection, Your
17 Honor.
18 THE COURT: He has already
19 --
20 BY MR. SOBCZAK:
21 Q Okay. Did you understand it?
22 A Yes.
23 Q And did you agree with it?
24 MS. DALPE: Objection, Your

Superior Court Reporters, Inc.

1 Honor. Foundation.
2 A Counsel, there were multiple
3 statements in this article. What do
4 you want me to --

5 THE COURT: Excuse me.
6 Doctor, sustained at this time. You
7 have to lay a foundation. And the
8 proper procedure, Mr. Sobczak, is to
9 specifically point to the statement
10 or statements --

11 MR. SOBCZAK: Okay. I'll
12 break it down.

13 THE COURT: -- that you
14 wish. But the foundation is
15 necessary first.

16 BY MR. SOBCZAK:
17 Q Did you read the first introductory
18 paragraph?

19 THE COURT: That is not the
20 foundation. Would you please lay a
21 proper foundation?

22 MR. SOBCZAK: That is a
23 foundation for the document that eh
24 reviewed in preparation, Your Honor.

1 I am not using Sneed.

2 MR. DUMAS: May we be heard?
3 MR. SOBCZAK: I am using
4 documents in preparation for trial
5 testimony.

6 MR. DUMAS: May we be heard
7 briefly?

8 THE COURT: Yes.
9 (SIDEBAR CONFERENCE

10 MR. SOBCZAK: I am not using
11 Sneed, Your Honor.

12 THE COURT: It doesn't
13 matter. You are using something
14 that's a nature of a learned
15 treatise while cross-examining an
16 expert witness. You have to follow
17 the rules, which means you need to
18 first -- and I am reading from the
19 Massachusetts Guide to Evidence,
20 Section 803, 18B.

21 "To the extent called to the
22 attention of an expert witness upon
23 cross-examination, statements," not
24 the entire published treatise,

1 etcetera, but "statements
2 established as a reliable authority
3 by the testimony or admission of the
4 witness."

5 MR. SOBCZAK: Or other
6 experts or the Court. Dr. Genecin
7 already maintained it reliable. If
8 the Court is going to make a ruling
9 now that it's not reliable, then I
10 will move on.

11 But there's two or's in
12 there, Your Honor.

13 MR. DUMAS: Sshhhhhh.

14 MR. SOBCZAK: You can't
15 focus on one and ignore the other
16 two. So, therefore, Dr. Genecin has
17 already established it as credible.
18 I am again moving for the Court to
19 determine if it's credible or not.

20 THE COURT: Well, I am not
21 finding from that specific finding.
22 But this witness has already said
23 he's -- you can certainly show it to
24 him, but he has already acknowledged

1 that he doesn't know because he is
2 not an expert in this particular
3 field, Endocrinology.

4 MR. SOBCZAK: And Sneed does
5 not require the actual witness to
6 acknowledge it. That's why there's
7 two or's in there. It's the
8 witness, it's any other expert, or
9 Court.

10 It's already been
11 established by another expert.

12 THE COURT: I'll let you ask
13 him, but go ahead Mr. --

14 MR. DUMAS: Your Honor, just
15 one comment on this.

16 THE COURT: Mm-hmm.

17 MR. DUMAS: He is again
18 trying to circumvent Sneed by saying
19 it was something that he looked at
20 in a deposition as an exhibit.

21 Nurse Foster specifically
22 testified when she was shown it at
23 her deposition she had no idea what
24 it was. She doesn't know what the

Superior Court Reporters, Inc.

Sheet 48 Page 189

189

1 Endocrine Society is. It's just
2 improper.
3 THE COURT: Well, I don't
4 consider the mere fact something is
5 attached to a deposition of another
6 witness as getting it in through the
7 back door.
8 MR. SOBCZAK: Your Honor,
9 the part that you have read, you
10 stopped at or. The second part is
11 "or another expert or Court."
12 THE COURT: Well, relevance
13 is --
14 MR. SOBCZAK: Dr. Genecin
15 has already established --
16 THE COURT: Well, you may
17 ask the question, but we need to
18 move on. You keep beating the same
19 horse.
20 MR. SOBCZAK: Because I am
21 asking the Court to follow the
22 rules.
23 MR. DALPE: Your Honor, Dr.
24 Genecin did not admit that it was

Page 190

190

1 authoritative. It did not come in
2 through the 40 pages of the very
3 abbreviated direct exam.
4 THE COURT: Well, I'd have
5 to look back at Dr. Genecin's
6 testimony. I will do that during
7 the break. I am not going to do it
8 now.
9 MS. DALPE: Thank you.
10 THE COURT: Move onto
11 another topic.
12 (END SIDEBAR CONFERENCE)
13 BY MR. SOBCZAK:
14 Q So, Doctor, just to be clear, you do
15 not find Endocrine Society
16 authoritative, correct?
17 A I didn't -- I just -- I'm not aware
18 of the Endocrine Society.
19 Q Never heard of them?
20 A No.
21 Q Okay.
22 A I am not -- each society has
23 multiple organizations, some of
24 which represent their society and

Page 191

191

1 some of which just their name.
2 Q That's fine.
3 A I'm not an endocrinologist.
4 Q In fairness, I will not ask you
5 about that. I'll take it back.
6 MR. SOBCZAK: Can we mark
7 this for ID, Your Honor?
8 THE COURT: Yes.
9 BY MR. SOBCZAK:
10 Q You are familiar --
11 THE COURT: Is that SS or
12 are we farther along?
13 THE CLERK: VV.
14 THE COURT: VV. I'm sorry.
15 BY MR. SOBCZAK:
16 Q You are familiar with the JAMA,
17 correct?
18 A Yes.
19 Q In fact, you're a reviewer for them,
20 correct?
21 A In the past, yes.
22 Q And you find it to be a credible
23 journal?
24 A Yes.

Page 192

192

1 Q And did you review the article in
2 JAMA about informed decision-making
3 in outpatient practices?
4 MS. DALPE: Objection, Your
5 Honor.
6 MR. DUMAS: Objection.
7 THE COURT: I'll see you at
8 sidebar.
9 (SIDEBAR CONFERENCE)
10 THE COURT: And what is your
11 objection?
12 MS. DALPE: Well, to start
13 with, Your Honor, this is a very
14 specialized expert in Hematology.
15 The article just on the face of the
16 title sounds like it is a standard
17 or is an attempt to get the expert
18 to comment.
19 THE COURT: Well, the
20 article itself cannot be admitted
21 into evidence. The statements from
22 it can. You may show it to him.
23 If he has acknowledged -- he
24 has acknowledged that JAMA is

Superior Court Reporters, Inc.

1 authoritative -- well, he hasn't
2 actually said that, but he said he's
3 been in the past been a reviewer for
4 them.
5 But you may show him, point
6 his attention to a particular
7 statement in here. Go ahead.
8 MS. DALPE: As the statement
9 to plaintiffs' attorney opens the
10 door to standard of care, then I
11 will not be precluded from asking
12 this expert standard of care
13 questions.
14 So, my meaning is plaintiff
15 has specifically requested that I
16 not present duplicative evidence,
17 and I am trying in good faith not to
18 do that. But should he open the
19 door to standard of care.
20 THE COURT: Absolutely. If
21 he opens the door to standard of
22 care on redirect, you may address
23 that.
24 MR. SOBCZAK: And it will be

1 redirect with the scope of the de-
2 disclosure.
3 THE COURT: No, it will be
4 redirect within the scope of your
5 cross-examination. So, if you're
6 going in that direction, you're
7 treading on thin ice.
8 (END SIDEBAR CONFERENCE)
9 BY MR. SOBCZAK:
10 Q So, going back to my question,
11 Doctor. You are familiar with JAMA?
12 A Yes.
13 Q And you find them to be an
14 authoritative journal?
15 A Yes.
16 MR. SOBCZAK: And may I
17 approach, Your Honor?
18 THE COURT: Yes.
19 BY MR. SOBCZAK:
20 Q And in your preparation, did you
21 review the article by Dr. Berwick
22 et al?
23 THE COURT: After the doctor
24 finishes reviewing it, this is may

1 be marked as WW.
2 A I did not specifically review this
3 article prior.
4 Q You did not. Okay.
5 Did you review the article
6 by Dr. Leape about What Practice
7 Will Most Improve Safety: Evidence-
8 Based Medicine Meets Patient Safety?
9 A I did not review Lucian's article.
10 Q Are you familiar with the IOM
11 studies in patient safety?
12 A No.
13 Q Are you familiar with the IOM?
14 A No.
15 Q Are you familiar with the Institute
16 of Medicine from the National
17 Academies?
18 A Excuse me?
19 Q The Institute of Medicine from the
20 National Academies. Are you
21 familiar with their initial study,
22 To Err is Human?
23 MR. DUMAS: Objection, Your
24 Honor.

1 A No.
2 Q No? Are you familiar with their
3 publication, Patient Safety:
4 Achieving a New Standard of Care?
5 MR. DUMAS: Objection.
6 MS. DALPE: Objection, Your
7 Honor.
8 A May I see it?
9 THE COURT: Well, he may
10 either say, yes he is or no he is
11 not.
12 A No.
13 Q In your practice, you don't deal
14 with patient safety studies and --
15 MS. DALPE: Objection, Your
16 Honor.
17 THE COURT: He's already --
18 A No, I don't write patient safety.
19 Q Say again?
20 A I don't write articles and lecture
21 about patient safety.
22 Q And do you review them in your CMEs?
23 MS. DALPE: Objection, Your
24 Honor.

Superior Court Reporters, Inc.

1 A Somewhere, there may be some line
2 about patient safety. I don't
3 recall.
4 Q You're not a member of the Patient
5 Data Safety Committee?
6 A No. Different -- I am a member of
7 the Safety Data. That's a different
8 organization. I have been a member
9 of two international studies. I was
10 a chair and a member of the safety
11 committee. That has nothing to do
12 with what you're discussing.
13 Q What does your safety committee have
14 to do with?
15 A Evaluating critical studies and to
16 see if there are adverse events.
17 Q Adverse events as in, like, when
18 patients get hurt, correct? Adverse
19 events are when patients get harmed?
20 A Associated with the treatment.
21 Q And so, this is something that you
22 have been a member of?
23 A Yes, I chair and I am a member of
24 prior committees evaluating those

1 reports.
2 Q And you are familiar that, as of
3 most recently, more than 400,000
4 patients die each year according to
5 --
6 MR. DUMAS: Objection.
7 THE COURT: Sustained. The
8 jury will disregard that question.
9 That is not a proper question.
10 Please move on.
11 BY MR. SOBCZAK:
12 Q Are you familiar with the results of
13 the committee that you're a member
14 on?
15 A I'm familiar with what has been
16 published, yes.
17 Q And this is --
18 A But I'm not familiar with everything
19 that's been published by committee -
20 - my committee.
21 Q You're don't review everything, but
22 you're familiar with the major
23 findings of the committee of which
24 you are a member of, correct?

1 A Council, I was part of a specific
2 study, I know the study results of
3 the study. And it has nothing to do
4 with what we're talking about here,
5 but --
6 Q Okay. What was the specific study
7 that you were talking about?
8 A There were two specific studies
9 looking at chronic lymphocytic
10 leukemia, looking for minimal
11 residual disease with randomization
12 between a certain drug and a non-
13 drug.
14 It was a very, very
15 technical study. It was a European
16 trial.
17 Q Okay. So, it's very, very niche.
18 It wasn't a global patient safety
19 study?
20 A Yes.
21 Q You were focused on a particular
22 issue? Nothing to do with
23 progesterone?
24 A It had to do with my field of

1 expertise.
2 Q Looking at that. And that's your
3 specialty of --
4 A Right.
5 Q -- videos all over the Internet,
6 correct?
7 A No, leukemia is one of my areas.
8 Hematology is my specialty.
9 Q Do you agree, Doctor, --
10 MS. DALPE: Objection, Your
11 Honor.
12 MR. SOBCZAK: Your Honor,
13 may I ask the question before I get
14 objected to? What's the objections
15 that you agree to so we can get the
16 record straight?
17 THE COURT: I think perhaps
18 that's a worthwhile observation.
19 MR. SOBCZAK: What's your
20 objection?
21 THE COURT: There was an
22 objection. The question hasn't been
23 asked. The question may be asked
24 before there is an objection.

Superior Court Reporters, Inc.

Sheet 51 Page 201

201

1 BY MR. SOBCZAK:
2 Q Doctor, do you agree that doctors
3 and their extenders must disclose to
4 their patients all material
5 information about their care so the
6 patient has the right to know and
7 make a fully-informed decision?
8 A **I can't -- no, I don't agree**
9 **completely with that statement.**
10 Q You don't. Okay. Doctor, do you
11 agree that doctors and their
12 extenders must timely investigate
13 and diagnose their patients' causes
14 of pain or complaints?
15 A **Say that again.**
16 Q Do you agree that doctors and their
17 extenders, such as nurse
18 practitioners, must timely
19 investigate and diagnose their
20 patients' causes of pain or
21 complaints?
22 A **I really don't know what that**
23 **statement means to agree or not**
24 **agree.**

Page 202

202

1 Q That's fine if you can't agree. Did
2 you review Dr. Miller's deposition
3 testimony and Nurse Foster's
4 testimony when they agreed to those
5 statements?
6 A **Yes.**
7 Q But you didn't understand what they
8 meant, so that's why you can't agree
9 to it?
10 MR. DUMAS: Objection.
11 THE COURT: Would you please
12 not make comments? You may ask
13 questions, Mr. Sobczak. The jury
14 will disregard that comment.
15 BY MR. SOBCZAK:
16 Q Your position is that you cannot
17 agree or disagree to the statement
18 because you don't understand it?
19 A **I can't agree these statements are**
20 **general statements. Out of context,**
21 **do doctors do good things -- try to**
22 **do good things? Yes.**
23 Do we try to evaluate our
24 patients correctly? Yes. These

Page 203

203

1 **blanket statements, difficult to say**
2 **I agree or disagree, but --**
3 Q Okay. So, you're saying it's too
4 global, it's off context; you need
5 to focus specifically on Ms. Doull,
6 correct?
7 A **I deal with the sickest patients in**
8 **the hospital, so.**
9 Q Okay. So, let's go back to Mrs.
10 Doull. One of the things that the
11 defendants asked you on direct is
12 about Tab E. Could you go to the
13 book, please?
14 A **Excuse me?**
15 Q The jury book.
16 THE COURT: There is a black
17 book to your left.
18 BY MR. SOBCZAK:
19 Q Whichever one you feel is easy,
20 that's fine, yours, the Court's.
21 A **They're the same.**
22 Q Tab E, echo. This is the June 20,
23 2012 note from the gynecologist,
24 correct?

Page 204

204

1 A **No.**
2 MS. DALPE: Tab E, not Tab
3 B.
4 BY MR. SOBCZAK:
5 Q E.
6 A E.
7 Q As in echo. Sorry.
8 A **I'm in B.**
9 Q No, E, E. The GY one.
10 A **Yes.**
11 Q And this is the note that was
12 brought to your attention
13 specifically on direct examination
14 because you say that this
15 gynecologist recommended the use of
16 progestin, correct?
17 A **Yes.**
18 Q Correct?
19 A **Do you want me to read the line?**
20 Q No. I'm just asking you if that's
21 what the note is.
22 A **The line says, which is what I**
23 **agreed with, "Patient not a good**
24 **candidate for estrogen. However, a**

Superior Court Reporters, Inc.

Sheet 52 Page 205

205

1 progestin, or Lupron may be of
2 benefit."
3 Q And the next line?
4 A **"Once the patient is stable, will**
5 **plan to discuss options with her."**
6 Q Okay. So, at this point, the doctor
7 has not made any orders for the
8 patient, correct?
9 A **He is a consultant. He makes a**
10 **recommendation.**
11 Q He is making a recommendation for
12 the patient and acknowledges that
13 the patient is still unstable and
14 they have not discussed it with this
15 patient, correct?
16 And it was your position on
17 direct that at the time this
18 recommendation was given, this
19 doctor obviously must have known
20 about Mrs. Doull's prior
21 progesterone use, correct?
22 A **No.**
23 Q Okay. So, this doctor still had an
24 incomplete picture; would you agree

Page 206

206

1 with that?
2 MS. DALPE: Objection.
3 A **I don't know if --**
4 THE COURT: Go ahead. You
5 may answer the question.
6 A **What is the question?**
7 Q The question is, is it your position
8 that at the time, this doctor, that
9 was specifically asked of you on
10 direct, was specifically put into
11 the defendants' selected jury book,
12 had a full picture of Mrs. Doull's
13 health and that's why he was able to
14 recommend progestin or Lupron?
15 A **Yes, present health.**
16 Q Okay. Point to the jury where on
17 those three pages it identifies that
18 this doctor was aware of the history
19 of progesterone use by Ms. Doull?
20 A **It's not. It's irrelevant. How**
21 **this patient is at this time and**
22 **what is the role, which is her best**
23 **agent to prevent further ovarian**
24 **cysts, you have a choice of**

Page 207

207

1 estrogen, estrogen plus
2 progesterone, or Lupron.
3 Which is the best for the
4 patient at this time? Her past -- I
5 don't know. Her past history
6 outside of her having CTEPH,
7 pulmonary emboli, and an unstable
8 high-risk patient.
9 The consultant said overall
10 the safest agent is not estrogen.
11 The safest agent is progesterone or
12 Lupron.
13 Q And what did the consultant actually
14 recommend to the patient once the
15 consultant had spoke with the
16 patient? Is that in the defendants'
17 jury book?
18 A **Discussed with the patient?**
19 Q Yes. You understand that this is --
20 A **Right. So, it's those two options**
21 **for the patient. He left it at**
22 **that.**
23 Q That's it? So, it's your testimony
24 that this is where this doctor left

Page 208

208

1 it at?
2 A **He thought Lupron was a good choice**
3 **and progestin was a good choice.**
4 Q And what happened next, Doctor?
5 A **The patient declined. She did not**
6 **want to receive hormonal therapy.**
7 **And the consultant then referred her**
8 **back to her primary care to probably**
9 **receive Lupron, though talked to**
10 **them about the side effects of**
11 **Lupron.**
12 Q And that's not in the jury book,
13 correct, that's --
14 A **No.**
15 Q But that's one of the records that
16 you did review --
17 A **That's a separate note.**
18 Q Okay.
19 Q You reviewed a different version
20 from today?
21 A **Yes.**
22 Q Is that the note you're referring
23 to, Doctor?
24 A **Yes.**

Superior Court Reporters, Inc.

Sheet 53 Page 209

209

- 1 Q Yes?
- 2 A **I received this note.**
- 3 Q So, in fact, progesterin was never
- 4 ordered for Ms. Doull at Brigham and
- 5 Women's, correct?
- 6 A **Yes.**
- 7 Q Okay. And in fact, progesterin or
- 8 progesterone was never ruled out as
- 9 the cause of Ms. Doull's PE and
- 10 CTEPH at Brigham and Women's,
- 11 correct?
- 12 A **It wasn't addressed.**
- 13 Q It was never ruled out, correct?
- 14 You reviewed all of the records?
- 15 A **Well I thought it was the safest**
- 16 **product for her considering that she**
- 17 **had prior embolic events, had CTEPH,**
- 18 **that progesterin was the safest**
- 19 **product. It was not addressed.**
- 20 Q It wasn't addressed anywhere in the
- 21 5,000 plus pages of Brigham and
- 22 Women's?
- 23 A **Oh that was a remarkable thing, of**
- 24 **the 10,000 pages of 200 Doctor's**

Page 210

210

- 1 seeing this patient, extensive
- 2 review of her history, not one
- 3 physician thought that progesterone
- 4 cream was implicated in the
- 5 development of her PE.
- 6 Q Doctor, the question is did -- of
- 7 those 10,000 pages, 200 plus
- 8 doctors, did one physician document
- 9 ruling out progesterone?
- 10 A **No.**
- 11 Q Okay. And you understand, that's
- 12 how differential diagnoses works?
- 13 When you're dealing with a specific
- 14 patient, like Laura Doull, and you
- 15 have a list of likely causes, you
- 16 rule them out. And in your review
- 17 of the 10,000 pages of records, 200
- 18 plus doctors, did a single doctor
- 19 rule out hormone therapy or
- 20 progesterone as the cause of the PE?
- 21 A **No.**
- 22 Q But you did review those 10,000
- 23 pages, 200 plus doctors, and they
- 24 did rule out blood disorders,

Page 211

211

- 1 correct?
- 2 A **Correct.**
- 3 Q And they did rule out cancer?
- 4 A **Correct.**
- 5 Q And your position is that nobody
- 6 potentially even considered
- 7 progesterone as the cause of PE, in
- 8 your review of 10,000 plus pages,
- 9 200 plus doctors, correct?
- 10 A **No, that's not what I said.**
- 11 Q Okay. So, you are aware of multiple
- 12 doctors that ruled in progesterone
- 13 as a likely source, correct?
- 14 A **No.**
- 15 Q Doctor, I'm going to go to Exhibit
- 16 8, which are the records from Dr.
- 17 Landis. You're familiar with Dr.
- 18 Landis, correct?
- 19 A **Yes.**
- 20 Q He was the pulmonologist that
- 21 actually saw Ms. Doull
- 22 for several months?
- 23 A **Yes.**
- 24 Q And those are some of the multiple

Page 212

212

- 1 thousands of pages of records that
- 2 you saw, correct?
- 3 A **Yes.**
- 4 Q And this is the office note from
- 5 June 13, 2011. Is that the newer
- 6 jury book that you have in front of
- 7 you?
- 8 A **What? I'm near a document but what**
- 9 **is in my jury book?**
- 10 Q I said, is this note in your jury
- 11 book?
- 12 A **I, I ---**
- 13 Q It's not?
- 14 A **I don't know.**
- 15 Q So, that's fine, we'll go through
- 16 the records. Do you see the letter
- 17 that Dr. Landis wrote to Ms. Doull's
- 18 new PCP?
- 19 A **Yes.**
- 20 Q Could you please read that to the
- 21 jury?
- 22 A **Well --**
- 23 Q Could you please read what's been
- 24 admitted as Exhibit 8 and of all the

Superior Court Reporters, Inc.

Sheet 54 Page 213

213

1 documents that you reviewed in
2 preparation for coming here and
3 giving testimony?
4 A "Dear Stephanie, Laura Doull was
5 seen today. Her Factor V Leiden was
6 negative, and I suspect the reason
7 for her hypercoagulability has been
8 the progesterone agent that she has
9 been taking."
10 "She has stopped that and I
11 informed her of her INR today. It
12 was on the low side, so I had her
13 take 7.5 milligrams of Coumadin
14 today and continue with 5 milligrams
15 for the rest of the week and have
16 her INR repeated on Friday."
17 Do you want me to read more?
18 Q No, that's enough. So, you agree,
19 at least Dr. Landis, suspected the
20 progesterone agent, correct?
21 A Well, he thought she was taking
22 pills.
23 Q Where do you see pills?
24 A In one of his notes he said she was

Page 214

214

1 taking pills.
2 Q In the letter he wrote --
3 A It doesn't say she was taking
4 progesterone agent, period.
5 Q In your --
6 A She was taking --
7 Q -- review of all these extensive
8 medical records, how many hormone
9 therapy agents did you see Laura
10 Doull was taking in this case?
11 A One.
12 Q What was that?
13 A Progesterone cream.
14 Q And you didn't see any references to
15 estrogen in the chart?
16 A Well, there was a misprint by Dr. --
17 by Nurse Foster.
18 Q So, you are basing your opinion that
19 it's a misprint according to Ms.
20 Foster's testimony, correct?
21 A And the records from the pharmacy.
22 Q That would be the records from which
23 pharmacy?
24 A The Women's International Pharmacy,

Page 215

215

1 the compounding pharmacy.
2 Q And the note in September of 2009,
3 where it says "stay with by S," is
4 right after this year plus gap of no
5 records from the Wisconsin pharmacy,
6 correct?
7 A Correct.
8 Q So, at that point, Ms. Foster did
9 not send any scripts or
10 prescriptions, which are necessary,
11 to Wisconsin to order the
12 progesterone, correct?
13 A Correct.
14 Q In fact, if you look at the chart
15 that's in front of you, there isn't
16 a single document of a prescription
17 order in the chart, correct?
18 A Correct.
19 Q You reviewed all the testimony and
20 all those have been misplaced,
21 correct?
22 MR. DUMAS: Objection.
23 BY MR. SOBCZAK:
24 Q They're not kept?

Page 216

216

1 THE COURT: Sustained.
2 BY MR. SOBCZAK:
3 Q All of these prescription orders
4 from Dr. Miller's office have not
5 been kept?
6 A I didn't see any prescriptions, yes.
7 Q Yeah. All we have are the singular,
8 here and there, notes and the
9 progress notes and the records from
10 other pharmacies, correct?
11 A That supplied -- that other -- the
12 records from the other pharmacist
13 that supplied the product to Ms.
14 Doull.
15 Q And those records were obtained from
16 the pharmacist based on Ms. Foster's
17 statement that that's the only place
18 she ordered them from, correct?
19 A Correct.
20 Q Did you review the records from the
21 Springfield pharmacy to see if
22 estrogen was ordered from there?
23 MR. DUMAS: Objection.
24 MS. DALPE: Objection.

Superior Court Reporters, Inc.

1 THE COURT: I'll see you at
2 sidebar.

3 (SIDEBAR BEGINS)

4 THE COURT: Did you say
5 records from the Springfield
6 Pharmacy?

7 MR. SOBCZACK: Yes.

8 THE COURT: Where are we
9 going here?

10 MR. DUMAS: Nurse Foster
11 said she used two compound
12 pharmacies for patients who needed
13 compound medication.

14 THE COURT: Huh-hmmm.

15 MR. DUMAS: A pharmacy in
16 Springfield and the Post Womens'
17 International. A supeona was issued
18 to the compound pharmacy in
19 Springfield and they're are no
20 records, this is grossly misleading
21 to astray that they're are missing
22 records or that there's some
23 indication based upon on a lack of
24

1 evidence that she may have gotten
2 something from somewhere else.

3 THE COURT: So, is the
4 response to the subpoena that there
5 are no records for a Laura Doull?

6 MR. DUMAS: They have no
7 record of a Laura Doull getting a
8 prescription from there.

9 THE COURT: Well, unless you
10 have an offer of proof that there
11 was such records.

12 MR. SOBCZACK: No, no I
13 don't.

14 THE COURT: Well then you
15 should not be asking about the
16 Springfield Pharmacy because it
17 suggests that there is something
18 there that's not being produced.

19 MR. SOBCZACK: There is
20 nothing there, that's the
21 point. That's the point.

22 THE COURT: You know why
23 you're asking that question.

24 Stay away from things unless you

1 have a offer of proof that backs up
2 even asking about another pharmacy,

3 I think I'm going to instruct the
4 Jury that there is absolutely no
5 evidence that anything has ever been
6 prescribed to Laura Doull in the
7 pharmacy in Springfield.

8 MS. DALPE: Thank you.

9 (SIDEBAR ENDS)

10 THE COURT: Members of the
11 jury, just so there's no confusion,
12 there's no evidence that there was
13 ever any medication prescribed to
14 Laura Doull from a pharmacy in
15 Springfield.

16 BY MR. SOBCZACK:

17 Q Do you know of any other pharmacies
18 that Ms. Foster used?

19 A She used CVS, Walgreens, that's the
20 only things I recall specifically.

21 Q And the only thing you can talk to
22 are the ones that Ms. Foster
23 testified to during her deposition,
24 because her medical records are

1 completely silent as to any other
2 pharmacies, correct?

3 MS. DALPE: Objection, Your
4 Honor.

5 A What's the question?

6 THE COURT: Overruled.

7 BY MR. SOBCZACK:

8 Q The medical records are silent as to
9 the all prescriptions that -- where
10 they were sent, to what pharmacy?

11 A There are notations in the medical
12 records that pharmacy was sent to
13 CVS or Walgreens. So, there is
14 documentation of that.

15 Q There's some references to Baker's?

16 A Yes, Baker's, yes.

17 Q Baker's. But there is no -- a
18 prescription log or copies of the
19 all prescriptions. All we can --
20 all we have -- all you had, for your
21 review, is the limited information
22 that's in the medical record,
23 correct?

24 A I don't like the adverb. All I had

Superior Court Reporters, Inc.

1 from my review is the medical
2 records.
3 Q Well, I'm getting -- we're starting
4 it. Just like Ms. Dalpe went
5 through with you the list of things
6 you reviewed --
7 THE COURT: Would you please
8 not refer to what other people have
9 done?
10 MR. SOBCZAK: Okay.
11 THE COURT: Would you
12 refrain from that sort of
13 commentary? Please just ask a
14 question of the witness and answer.
15 MR. SOBCZAK: Okay.
16 BY MR. SOBCZAK:
17 Q So, Doctor, I'm going to ask you a
18 multi-part question. In order to be
19 fair, I'm going to stop after each
20 step. I am not done yet, okay?
21 So, in your preparation,
22 what you had to review is the chart
23 which has limited notes about where
24 prescriptions were being sent to,

1 correct?
2 A I can't answer that question because
3 the word "limited" implies a
4 negative tone. What I reviewed was
5 the charts and the medical records
6 and the documentation, period.
7 Q Okay.
8 A Sorry Counselor.
9 Q Okay, that's fine. I will not use
10 the word limited. You reviewed the
11 chart. You agreed that there is an
12 -- I'll go back. You testified that
13 Laura Doull was prescribed
14 progesterone on April 2008.
15 Do you remember that from
16 your direct testimony?
17 A Yes.
18 Q Now, that's, again, just a small
19 mistake. It was August of 2008.
20 A I'm sorry. That's correct it's
21 August of 2008.
22 Q And you agreed that the only way --
23 A And even my note says August of
24 2008.

1 Q Correct. So, it's the same, right?
2 A In my deposition it was 2008 and in
3 the chart it was 2008.
4 Q Correct.
5 A There's a lot of dates. I
6 apologize.
7 Q April was just a misstep.
8 A Yeah.
9 Q But all that's in the record is the
10 August of 2008 note that says
11 progesterone 100 milligrams h.s. 14
12 to 28, correct?
13 A Correct.
14 Q There is no copy of a script that
15 would include how to be applied,
16 whether it's topically or any other
17 way, correct?
18 A Correct.
19 Q There is no copy of a script that
20 would have been faxed to a pharmacy,
21 correct?
22 A Correct.
23 Q All you had is that note, and on the
24 subsequent page, the actual notation

1 when it was called to the patient on
2 8/11/08, correct?
3 You don't have a subsequent
4 script on September of 2009 where --
5 what was prescribed specifically for
6 Ms. Doull, correct?
7 A Right -- .
8 Q You don't have a copy of a --
9 THE COURT: Would you permit
10 him answer the question before you
11 move on to the next one?
12 MR. SOBCZAK: He said no,
13 but I'll slow down. I apologize. I
14 do talk fast.
15 THE COURT: If you don't
16 mind. Thank you.
17 BY MR. SOBCZAK:
18 Q You don't have a copy of a script
19 from September of 2009 that
20 specifically is written out with
21 drug name, dosage, and how to be
22 applied, correct?
23 A Correct.
24 Q All you have is, again, a tiny note

Superior Court Reporters, Inc.

Sheet 57 Page 225

225

1 in a chart that references Biest,
2 correct?
3 **A Again, I just -- the adverb. I have**
4 **a note in the chart.**
5 **Q** Okay. All you have is a note in the
6 chart that says "Stay with Biest,"
7 correct?
8 **A Correct.**
9 **Q** And you understand Biest to mean
10 bioidentical estrogen, correct?
11 **A That's what that abbreviation means**
12 **--**
13 **Q** Yes.
14 **A -- but she mentioned she did not**
15 **receive -- there's no notification**
16 **that she ever received estrogen.**
17 **Q** Because from thereafter, there are
18 records from Wisconsin pharmacy that
19 she was being sent progesterone
20 cream, correct?
21 **A Correct.**
22 **Q** And she was being sent progesterone
23 cream every time the practitioner
24 would fill out a script, correct?

Page 226

226

1 **A Yes.**
2 **Q** And on your direct, you made a
3 comment about Ms. Doull being
4 noncompliant with her drugs, but you
5 reviewed the Wisconsin pharmacy
6 deposition, didn't you?
7 **A Yes.**
8 **Q** And you saw that the times when Ms.
9 Doull was being noncompliant is
10 because the provider failed to send
11 over the new script, correct?
12 **A Sometimes, but the initial gap**
13 **between August and the following**
14 **September, I'm not sure that was due**
15 **to the failure to send the script.**
16 **Q** Well, you reviewed the deposition
17 transcripts, didn't you?
18 **A Right, but some of times was the**
19 **failure of -- I'm not sure that long**
20 **interval was.**
21 **Q** Well, we'll get to that next. You
22 remember that Ms. Foster swore that
23 Ms. Laura Doull was on progesterone
24 cream that entire year, correct? In

Page 227

227

1 fact -- yes?
2 THE COURT: Would you finish
3 - let him?
4 MR. SOBCZAK: Yes.
5 BY MR. SOBCZAK:
6 **Q** Was that a yes?
7 **A Yes.**
8 **Q** Yes. And in fact, she was picking
9 out individual notes in the record
10 in which she claims she specifically
11 addressed progesterone cream, even
12 though there was no reference of it,
13 like the one in June of '09,
14 correct?
15 **A Correct.**
16 **Q** That was before the records from
17 Wisconsin pharmacy were obtained and
18 showed that it was impossible for
19 her to be on progesterone, correct?
20 **A Yes.**
21 **Q** So, to you, as a doctor that has
22 been involved in multiple review of
23 cases, when somebody has -- is
24 telling you that they are doing

Page 228

228

1 something, there's no documentation
2 of it, and then the documentation
3 shows up and proves the opposite --
4 MS. DALPE: Objection, Your
5 Honor.
6 BY MR. SOBCZAK:
7 **Q** -- what does that mean?
8 THE COURT: Sustained as to
9 the form of the question.
10 BY MR. SOBCZAK:
11 **Q** In your review of cases, and when
12 you have an issue where you have a
13 practitioner telling you that
14 they're doing something, there is no
15 record of them actually doing it --
16 MS. DALPE: Objection on
17 standard of care.
18 MR. DUMAS: Objection.
19 THE COURT: Sustained.
20 MR. SOBCZAK: It's the
21 cross-examination of an expert and
22 he's -- and he did testify about --
23 THE COURT: Well, as I said,
24 it may open up the issue of standard

Superior Court Reporters, Inc.

1 of care with this witness. Go
2 ahead.
3 MR. SOBCZAK: And Your
4 Honor, on direct he was asked about
5 the gaps --
6 THE COURT: Please don't
7 make arguments in front of the jury.
8 MR. SOBCZAK: I'm exploring
9 the gaps issue.
10 THE COURT: You may --
11 BY MR. SOBCZAK:
12 Q So, Doctor --
13 THE COURT: Why don't you
14 ask a question more specific to that
15 and not extend it to what it means.
16 MR. SOBCZAK: Okay.
17 BY MR. SOBCZAK:
18 Q So, Doctor, you would agree that on
19 the totality of evidence, the gaps
20 were Ms. Foster's fault not Ms.
21 Doull's fault?
22 MR. DUMAS: Objection.
23 MS. DALPE: Objection.
24 A I don't know.

1 THE COURT: Overruled.
2 BY MR. SOBCZAK:
3 Q You don't know?
4 A I can't answer that question.
5 Q Well, you have. It was the
6 testimony from the pharmacist that
7 tells you we can only send what we
8 have orders for, correct?
9 A Correct.
10 Q What you have are the
11 contemporaneous business records
12 from the pharmacy showing that, each
13 time there was a prescription that
14 was due, they faxed the provider and
15 asked him for a record, correct?
16 A Correct.
17 Q What you have is the testimony from
18 Nurse Foster claiming that she's
19 doing it all along the way, correct?
20 A Yes.
21 Q And what you have is your opinion
22 that it's Ms. Doull being
23 noncompliant with taking the cream
24 that she couldn't get?

1 A On the documentation in the record
2 that she said she was noncompliant.
3 Q That was the one -- the only time in
4 the record that mentions
5 noncompliance is in March of 2011,
6 correct?
7 A Correct.
8 Q And if you recall reviewing the
9 transcript from the Wisconsin
10 pharmacy, that's one of the gaps,
11 when they faxed the request for
12 script in January and it wasn't
13 until March 2nd that the script was
14 sent in, correct?
15 A Yes.
16 Q Would you agree, Doctor, that you
17 cannot take a drug for which you
18 have no prescription for?
19 A That's a very broad statement. You
20 can't take a drug from the
21 compounding pharmacy without a
22 prescription, yes.
23 Q Okay. And that's --
24 A What it's eluded to is available

1 over the counter. It -- yeah.
2 Q So, what you're saying is that Ms.
3 Doull, although she was given a
4 script by her provider, when her
5 provider failed to refill the
6 script, she should somehow, on her
7 own, decide what is the compatible
8 drug available somewhere else and
9 get it on her own so she can
10 continue the --
11 MR. DUMAS: Objection.
12 THE COURT: Sustained.
13 BY MR. SOBCZAK:
14 Q -- treatment that was ordered by --
15 MR. DUMAS: Objection.
16 THE COURT: Sustained.
17 BY MR. SOBCZAK:
18 Q That's not what you're saying.
19 You're not saying that Ms. Doull
20 should, on her own, decide how to
21 replace the prescription that was
22 not being refilled, correct?
23 A Of course not.
24 Q Okay. And in addition to that note

Superior Court Reporters, Inc.

1 from Dr. Landis, you also reviewed
2 the records from Greenfield
3 Hospital, didn't you?
4 **A Yes.**
5 **Q** And that would be Exhibit 2. And
6 did you review the note from August
7 9, 2011?
8 **A Yes.**
9 **Q** And did you read the history when
10 Ms. Doull was apparently in good
11 health until May 20, 2011? And you
12 agree with that based on your review
13 of the records, correct?
14 **A Yes.**
15 **Q** And then she was previously active
16 and was going to the gym three times
17 a week. You agree with that based
18 on your review of records, right?
19 **A Including in my records, yes.**
20 **Q** And would you agree that somebody
21 that is active and goes to the gym a
22 lot and runs a lot, generally have a
23 lower heart rate than a person that
24 may be out of shape?

1 MS. DALPE: Objection, Your
2 Honor.
3 THE COURT: Sustained.
4 BY MR. SOBCZAK:
5 **Q** As a doctor, do you deal with
6 patient's heart rates?
7 MS. DALPE: Your Honor, I'd
8 like to object on the issue of
9 opening the door on pulmonology
10 expertise.
11 THE COURT: Sustained.
12 BY MR. SOBCZAK:
13 **Q** You are an internal medicine doctor
14 first, correct?
15 **A No, I don't do general internal
16 medicine.**
17 **Q** But you did before you specialized.
18 You are -- if you look at the board
19 certifications, your first
20 certification is internal medicine,
21 correct?
22 **A Correct.**
23 **Q** And then you subspecialized,
24 correct?

1 **A Yes.**
2 **Q** So, when you subspecialize, you
3 don't forget everything you learned
4 in med school as an internal
5 medicine doctor, correct?
6 **A Ultimately not.**
7 **Q** Okay. So, you are competent to
8 testify on the issues that Dr.
9 Miller is facing, who was just an
10 internist, correct?
11 **A I'm not testifying as an internist.
12 I'm testifying as a specialist in
13 hematology.**
14 **Q** That's what the defendants called
15 you for, but I asked you a question
16 about internal medicine.
17 MS. DALPE: Objection, Your
18 Honor.
19 BY MR. SOBCZAK:
20 **Q** Do you feel confident answering --
21 THE COURT: I will see you
22 at sidebar, please.
23 (SIDEBAR BEGINS)
24 THE COURT: MR. SOBCZACK if

1 you continue to go down this road
2 with this witness who says he's here
3 to testify as a Hematologist, you
4 resist on asking him questions about
5 his expertise also as an internist.
6 I will permit if, the defendants
7 request, down to open up the
8 standard of care for an internist
9 with this witness. You are opening a
10 front door, it may not be in the
11 disclosure, it may not be what the
12 witness was disclose to testify
13 about but in redirect that may very
14 well be a topic area that I will
15 permit the defendants to go into.
16 You're forewarned.
17 MR. SOBCZACK: That's fine
18 Your Honor, this court has already
19 let this go beyond disclosure anyway
20 so it really makes no difference
21 Your Honor. He is already
22 testifying beyond the disclosure.
23 THE COURT: Well that's your
24 Opinion. Go ahead.

Superior Court Reporters, Inc.

1 MR.SOBCZACK: That's a fact
2 Your Honor we have this disclosure
3 marked for exhibit.
4 THE COURT: Please, continue
5 Your questioning.
6 (SIDEBAR ENDS)
7 BY MR. SOBCZAK:
8 Q Do you agree that after initial
9 admissions, she was worked up for
10 genetic testing and she was being
11 seen by Dr. Landis, correct?
12 A **Excuse me? Can you say that again?**
13 Q Sure. I'm just -- in order, so I
14 don't ask you too many questions
15 about what you are not disclosed
16 for, I'm just moving along.
17 So, I'm going down to later
18 on. She was worked up for pulmonary
19 embolism, you testified to that on
20 direct, correct?
21 THE COURT: Maybe you can
22 point to him where on the chart.
23 A **Right. Where are you?**
24 Q I'm looking at the fourth line from

1 the bottom of the paragraph you can
2 see.
3 "She was worked up for a
4 pulmonary embolism and continuous
5 and genetic testing has been done."
6 Yes?
7 A **Yes.**
8 Q And she's being followed by Dr.
9 Landis at Baystate medical and he
10 thinks the etiology for her
11 pulmonary embolism was the
12 progesterone cream, which she had
13 been using two weeks during each
14 month, correct?
15 A **Yes.**
16 Q So, that's in the medical records
17 that you reviewed?
18 A **Yeah. In this record, yes.**
19 Q Yes.
20 A **In other records he says she was**
21 **taking oral progesterone. And also**
22 **he was the physician who said she**
23 **didn't have CTEPH.**
24 Q So, are you telling this jury that

1 Dr. Landis was negligent in the care
2 of Laura Doull?
3 A **No.**
4 Q What you are saying is that,
5 somewhere throughout this record, he
6 may have talked about a different
7 form of progesterone, correct?
8 A **Correct.**
9 Q Just like the defendants pointed out
10 to Dr. Genecin, whose testimony you
11 reviewed, that in his initial
12 disclosure, he said that the
13 progesterone was to be applied
14 vaginally not topically, correct?
15 A **I can't comment on what --**
16 Q Well, you did read his deposition?
17 A **Not what he thought, but I --**
18 Q You saw that line of questioning in
19 preparation for today, correct?
20 A **What's the question for that?**
21 Q You saw --
22 A **I saw that.**
23 Q -- the line of questioning where the
24 defense Counsel was questioning Dr.

1 Genecin opinion because he mentioned
2 that the cream was applied vaginally
3 and not topically, correct?
4 A **I saw that.**
5 Q And then you saw in the transcripts,
6 as well as with your own eyes, that
7 the record doesn't say how the cream
8 was to be applied, correct?
9 A **Correct, except from the information**
10 **provided by the compounding formula**
11 **gives clear instructions about how**
12 **it's to be provided.**
13 Q It's not, is it? And did you
14 actually review the information that
15 was provided by the compounding
16 pharmacy?
17 A **Yes they provide a picture, it comes**
18 **with a certain spoon and it tells**
19 **how much of the spoon to be applied.**
20 **It shows the container. It shows**
21 **you even how to take off the**
22 **container top.**
23 Q The picture that you saw was of the
24 generic warning for all topical

Superior Court Reporters, Inc.

Sheet 61 Page 241

241

1 applications, correct?
2 **A Provided by the company.**
3 Q And that was something that you saw
4 that was provided from Wisconsin
5 about three or four months ago,
6 correct?
7 **A Yes.**
8 Q So, at the time, when Dr. Genecin
9 was giving his initial review in
10 2013, all that was available was the
11 limited pages from the chart that
12 you have in front of you there,
13 correct?
14 MS. DALPE: Objection, Your
15 Honor.
16 THE COURT: Sustained --
17 BY MR. SOBCZAK:
18 Q You know --
19 THE COURT: -- as to the
20 form of the question.
21 BY MR. SOBCZAK:
22 Q You know that at the time that the
23 initial disclosure was made, all
24 that was available were the select

Page 242

242

1 pages from the defendant's chart,
2 correct?
3 MS. DALPE: Objection, Your
4 Honor.
5 THE COURT: Sustained. Do
6 you know anything about what was
7 provided to Dr. Genecin?
8 MR. MILLER: No.
9 THE COURT: Okay.
10 BY MR. SOBCZAK:
11 Q So, you did not review his trial
12 testimony --
13 **A I did reviewed the Doctor's -- and I**
14 **did review that he thought -- in his**
15 **deposition he thought it was**
16 **vaginally, this compound can't be**
17 **given vaginally.**
18 **It comes in a container.**
19 **It's impossible to give vaginally.**
20 **And anatomically, it's just not**
21 **possible.**
22 Q And my question to you, Doctor, is
23 you know that now that you've seen
24 the deposition from two months ago.

Page 243

243

1 How can you tell that based on
2 what's just in the defendant's
3 records?
4 **A The products cannot be given**
5 **vaginally.**
6 Q How do you know that based on what's
7 in the records? Where does it say
8 in the record that it is a topical
9 only cream? Does it say anywhere in
10 the record of the Miller/Foster
11 records that it's a topical only
12 cream?
13 **A The product as provided, it can't be**
14 **given any other way.**
15 Q Doctor, can you answer the question
16 posed?
17 **A Was it specifically in the record,**
18 **no.**
19 Q Okay. In fact, it doesn't even say
20 what type it is. It doesn't say
21 that it's bioidentical or synthetic,
22 correct?
23 MS. DALPE: Objection, Your
24 Honor; asked and answered.

Page 244

244

1 MR. SOBCZAK: No. That
2 question was not asked yet.
3 THE COURT: He may answer
4 the question. Can you answer that
5 question, Doctor?
6 **A It is not specifically addressed if**
7 **it's bioidentical or synthetic, but**
8 **in prior comments, the -- as Ms.**
9 **Foster pointed out, that the patient**
10 **was very interested in holistic,**
11 **natural agents.**
12 Q And that's based on Ms. Foster's
13 testimony, because that's also not
14 in the records, correct?
15 **A It's based on her testimony and**
16 **family member's testimony.**
17 Q And Doctor, you agreed --
18 **A At least three others.**
19 Q And you agreed that the most
20 reliable source is what's actually
21 in the records, correct?
22 **A That's correct.**
23 Q Yes?
24 **A Yes.**

Superior Court Reporters, Inc.

1 Q And in the records, there is no
2 reference to what type of cream it
3 is, correct? There is not even a
4 reference whether it's bioidentical
5 or synthetic, correct?
6 **A Yes.**
7 Q And you reviewed the deposition
8 testimony of Dr. Miller, who
9 testified that he doesn't know the
10 difference between synthetic and
11 bioidentical and progesterone is
12 progesterone, correct?
13 **A Yes.**
14 Q So, as far as what's in the record
15 and everything that existed until
16 Ms. Foster was sued, all you have is
17 progesterone 100 milligrams q.s. 14
18 to 28, correct?
19 **A Correct, except we know where it was
20 supplied from.**
21 Q Subsequently?
22 **A We know where she sent the
23 prescription to.**
24 Q Subsequently?

1 THE COURT: Are you arguing
2 with the witness or are you asking -
3 -
4 MR. SOB CZAK: I'm asking.
5 THE COURT: -- him a
6 question?
7 BY MR. SOB CZAK:
8 Q How do you know, based on the record
9 alone, where the prescription was
10 sent to? Specifically, go to page
11 43, I believe. That's the August of
12 '08 note.
13 **A Page? Where is it?**
14 Q I gave you the wrong page. I think
15 it's 44. 45 the August of '04 note,
16 please tell the jury where do you
17 see where this drug is coming from?
18 **A It's not there. The prescription
19 sent.**
20 Q Say again.
21 **A There's another note after this that
22 a prescription was sent.**
23 Q So, you're talking about the
24 corresponding C4 that addresses it

1 being called in, correct?
2 **A And since this prescription was
3 sent, it was -- it had to be sent a
4 compounding pharmacy.**
5 Q Well, so this is the corresponding
6 one. I want to be fair to you. So,
7 are you talking about this note?
8 **A I think that we had discussed before
9 that prescriptions were sent and she
10 documents she sent the prescription.**
11 Q Where? Point for the jury. That's
12 what I'm asking you, Doctor. You
13 are here testifying that the record
14 is mightier than the testimony,
15 which I agree with you.
16 MS. DALPE: Objection.
17 BY MR. SOB CZAK:
18 Q Please point to the jury.
19 **A I have all the record. There's a
20 note here that says consent, and I
21 thought we discussed that
22 prescriptions weren't sent. And
23 then I had records from the
24 prescription company that it was**

1 **sent.**
2 Q Doctor --
3 **A And this is not a prescription item
4 that you would send to CVS.**
5 Q The question is --
6 **A It's the only place to get it --**
7 Q The question, Doctor, is since you
8 testified it's in the records, point
9 to the jury where it's in the
10 records. I know you just went
11 through your disclosure, so you have
12 everything before you now.
13 You have the chart, you have
14 your disclosure. Point to the jury
15 the evidence behind your statements.
16 **A Well, there's no record in the
17 chart, that I see, of a sent
18 prescription.**
19 Q Okay. So --
20 **A I had the records and prescription
21 documentation from the compounding
22 pharmacy, that they received the
23 prescription, and this is the order
24 that they filled.**

Superior Court Reporters, Inc.

Sheet 63 Page 249

249

1 The only -- it's only
2 available by prescription from a
3 compounding pharmacy, not from CVS,
4 but I don't have a direct copy of
5 the prescription.

6 Q Okay. So, in the defendant's
7 records, no evidence that it was
8 sent and to where?

9 MR. DUMAS: Objection.

10 THE COURT: Sustained.

11 BY MR. SOBCZAK:

12 Q Did you also review the records from
13 Springfield Hospital, in your
14 preparation for coming here today?

15 A I did.

16 Q And let's look at your report. And
17 did you review the note on page 999.
18 I think I pulled up the wrong
19 records. It's 299. And you
20 reviewed that note.

21 A I have --

22 Q I'm sorry. Did you review that?

23 A Yes.

24 Q And your testimony earlier was that

Page 250

250

1 there was no evidence that Ms. Doull
2 was exhibiting symptoms of PE prior
3 to May 21, 2011, correct?

4 MS. DALPE: Objection, Your
5 Honor.

6 THE COURT: Sustained.

7 BY MR. SOBCZAK:

8 Q Do you recall testifying that Ms.
9 Doull had no risks for PEs and she
10 exhibited no evidence of anything
11 even remotely associated with PEs?

12 MS. DALPE: Objection, Your
13 Honor.

14 THE COURT: Did you testify
15 in that way?

16 A I said she had no history
17 predisposing her to a PE. I never
18 said the second sentence.

19 Q Okay. So, you agree that since mid-
20 March she was experiencing shortness
21 of breath, which is in fact a sign
22 and symptom of PEs?

23 MR. DUMAS: Objection, Your
24 Honor.

Page 251

251

1 MS. DALPE: Objection, Your
2 Honor.

3 MR. DUMAS: That's not what
4 he testified --

5 MR. SOBCZAK: He did.

6 THE COURT: Well, did you --
7 was that your testimony?

8 A I don't think she -- the truth is
9 she did not demonstrate any signs
10 and symptoms of a pulmonary embolus
11 prior to this event. Is shortness
12 of breath a symptom of a pulmonary
13 embolus, yes.

14 But, did she have any of the
15 symptoms where you would think that
16 she had a PE, no.

17 Q In your opinion, correct?

18 A Yes.

19 Q In the opinion of others, for
20 example, like the doctor that saw
21 her on the 22nd. The question that
22 primary care providers alternate
23 theory of shortness of breath,
24 correct?

Page 252

252

1 They put in the record that
2 she has a history of progressive
3 dyspnea since January of 2011 and
4 did not seek attention at Bay State
5 until May.

6 Her primary care physician
7 had an alternate diagnosis of
8 shortness of breath, correct?

9 A What did you say? That she had a
10 prior pulmonary embolus in here?

11 Q The question, Doctor, is in the
12 records I told you, she --

13 A I said the patient --

14 Q She had a history --

15 A -- progressive dyspnea and some
16 right upper quadrant discomfort.

17 THE COURT: Could you point
18 to the particular part of the
19 record.

20 A I don't recall anyone else
21 documenting a pulmonary embolus
22 before this.

23 Q Right. There's no dispute. Nobody
24 is saying that she -- anybody

Superior Court Reporters, Inc.

Sheet 64 Page 253

253

1 diagnosed a PE.
2 A So, none of the people at the
3 Brigham thought she had a PE before?
4 None of the people at Franklin
5 thought she had a PE before, nor did
6 Dr. Silverman thought she had a PE
7 before.
8 Q No. Nobody says that she was
9 diagnosed with PE. Everybody over
10 there thought she was exhibiting
11 signs and symptoms of a PE.
12 THE COURT: Please don't
13 make speeches. You may ask the
14 witness a question.
15 BY MR. SOB CZAK:
16 Q Doctor, you agree that PE was never
17 diagnosed prior to May 22, 23, 2011,
18 correct?
19 A Correct.
20 Q In fact, you reviewed the testimony
21 of Nurse Foster and Dr. Miller, who
22 stand by the position that she never
23 had a PE until she dropped that one
24 night, correct?

Page 254

254

1 A Correct.
2 Q What you see is the records from
3 Springfield and Greenfield, that you
4 reviewed, where doctor after doctor
5 question whether the history of
6 shortness of breath from March to
7 May or, in here, from January to
8 May, may in fact have been PEs,
9 correct?
10 A I don't see that.
11 Q When a doctor puts in her note that
12 the other physician had an alternate
13 diagnosis, is that your --
14 A Can you point that out to me where
15 it says that?
16 Q The last line.
17 A "The patient had progressive dyspnea
18 and right upper quadrant
19 discomfort." There's not PE in
20 there.
21 Q Do you see the last line of the
22 first paragraph you can see here?
23 "Her primary care physician had
24 alternative diagnosis including

Page 255

255

1 shortness of breath." Do you see
2 that?
3 A No.
4 Q You don't see that?
5 A What line is that? "Her primary
6 care physician had alternative
7 diagnosis for her shortness --."
8 Yeah, I see that. That doesn't --
9 Q In your --
10 A -- but that doesn't say -- yeah, I
11 see that line.
12 Q Okay. And in your business, calling
13 something an alternative diagnosis
14 is a polite way of saying they were
15 wrong, correct?
16 MR. DUMAS: Objection.
17 MR. DALPE: Objection.
18 THE COURT: Sustained.
19 A No, the statement stands the
20 statement.
21 Q And this is another record that
22 makes the progesterone, correct?
23 Do you see that where it says, "She
24 was apparently initially evaluated

Page 256

256

1 by a pulmonologist in May and felt
2 to have multiple pulmonary emboli as
3 the cause of her shortness of breath
4 that has been ongoing since 1/21?
5 A Yes.
6 Q Do you still stand by your earlier
7 testimony?
8 A Yes. There's no evidence in the
9 medical records to justify that
10 statement.
11 Q And the reason why there is no
12 evidence is because --
13 A No, she never had --
14 Q -- is the defendants never ordered
15 any tests. They never took full
16 physicals. They never documented
17 anything that was --
18 MR. DUMAS: Objection.
19 MS. DALPE: Objection.
20 THE COURT: This is
21 argument, this is not a question,
22 Mr. Sobczak. You may ask the
23 witness a question.
24 BY MR. SOB CZAK:

Superior Court Reporters, Inc.

Sheet 65 Page 257

257

1 Q Now, I can go through all of the
2 other ones, but you agreed then, in
3 the Greenfield records and the Bay
4 State records and Dr. Landis
5 records, there are multiple
6 instances where progesterone is
7 linked as the likely cause of Ms.
8 Doull's emboli, correct?
9 A No, it's from Doctor Landis, period.
10 Q That's it?
11 A And references are made to his
12 opinion, by others.
13 Q So, then --
14 A Dr. Silverstein. But there was not
15 a single record at Brigham and
16 Women's Hospital, by any of their
17 physicians, which is fathered rather
18 remarkable considering it's
19 mentioned before.
20 Q So, your position is that Dr. Landis
21 is wrong and nobody else is right is
22 ruled out for this correct? --
23 MR. DUMAS: Objection.
24 MS. DALPE: Objection; asked

Page 258

258

1 and answered.
2 THE COURT: Sustained. This
3 question has been asked and
4 answered. Please move on.
5 BY MR. SOB CZAK:
6 Q Your position is that you don't know
7 what caused Laura Doull's emboli,
8 correct?
9 A I know what didn't. I don't know
10 what did.
11 Q No. Doctor, the question is, your
12 position here, as this expert with
13 great vast knowledge, body of
14 experience, who has reviewed
15 thousands of pages of documents, you
16 cannot tell the jury what
17 definitively caused Laura Doull's
18 pulmonary embolism, correct?
19 A Yes.
20 Q And in medicine that happens often.
21 Medicine is not an exact science.
22 That's why you have things like
23 likely causes, correct? And in the
24 process of differential diagnosis --

Page 259

259

1 THE COURT: Would you please
2 ask questions and not make speeches,
3 Mr. Sobczak.
4 MR. SOB CZAK: It's a
5 question, Your Honor.
6 BY MR. SOB CZAK:
7 Q You agree --
8 THE COURT: No, it's not a
9 question, it's a speech.
10 BY MR. SOB CZAK:
11 Q You agree that in medicine, when you
12 can't say something with 100 percent
13 certainty, you are using the process
14 of differential diagnosis, correct?
15 A That's in the - no, I -- no.
16 Q No? You don't rule out possible
17 conditions?
18 A The differential diagnosis is a non-
19 sequential in what we do sorry.
20 Q So, you don't use differential
21 diagnosis in your practice?
22 A Differential diagnosis is upon the
23 evaluation of a patient. You don't
24 use it.

Page 260

260

1 Q It's a system, correct? It's a
2 systematic approach to thinking.
3 When you have a problem or you have
4 a question and you're trying to get
5 the --
6 THE COURT: Again, you're
7 making a speech, Mr. Sobczak.
8 MR. SOB CZAK: Okay.
9 BY MR. SOB CZAK:
10 Q Doctor --
11 THE COURT: Please ask a
12 question of the witness.
13 MR. SOB CZAK: Okay. I'll
14 make it into a question.
15 BY MR. SOB CZAK:
16 Q Doctor, do you agree that
17 differential diagnosis is a system
18 of thinking, of solving a potential
19 problem? It can be used in medicine
20 or it could be used in everyday
21 life, correct?
22 A Yes.
23 Q And when you have a question you're
24 trying to answer, sometimes the

Superior Court Reporters, Inc.

Sheet 66 Page 261

261

1 answer is black and white and right
2 in front of you and sometimes you
3 have to deduct, correct?

4 **A Yes.**

5 **Q** And your testimony is that in cases
6 of Laura Doull, you cannot
7 definitively deduct what caused her
8 PE because those things are
9 sometimes hard to do, correct?

10 **A What was the last part?**

11 **Q** Sometimes it's hard to do. You
12 can't diagnose everything?

13 **A Hard to do is not the correct term.**

14 **Q** Okay. Sometimes --

15 **A** Sometimes we can't figure it out.
16 There's no reason in current medical
17 thinking. All you know is based
18 upon current medical knowledge, she
19 had no family history of a
20 hypercoagulable, she was not
21 receiving a drug associated with a
22 hypercoagulable disorder or
23 thrombosis, progesterone has been
24 documented in the literature as not

Page 262

262

1 causing it, so --

2 **Q** Okay. So, to add to your --

3 **A** And when you're done with -- no
4 hypercoagulable, no family history,
5 no agents known to cause it, then
6 that is in the chart, by multiple
7 authors at the Brigham, as
8 idiopathic, unknown, unclear, those
9 are the words we can use.

10 **Q** So, to add, you say "history."

11 History is also a potential cause
12 and, in Laura Doull's case, it's
13 been ruled out, correct?

14 **A Correct.**

15 **Q** So, all that's left is HRT, hormone
16 replacement therapy, and
17 progesterone. And your testimony is
18 that progesterone never causes the
19 risk of blood clots.

20 And if the jury believes the
21 reference book --

22 MR. DUMAS: Objection.

23 BY MR. SOBCZAK:

24 **Q** -- or anything else --

Page 263

263

1 THE COURT: Would you,
2 again, please not make speeches?
3 You may ask a question.

4 BY MR. SOBCZAK:

5 **Q** So, your position is that
6 everything's been ruled out except
7 for progesterone but, in your
8 opinion, progesterone has no risks
9 of blood clots, correct?

10 **A That's an incorrect statement.**

11 **Q** Okay.

12 **A** It is a fact, progesterone has no
13 implications in blood clots is used
14 individually.

15 **Q** And that's the opinion you will
16 stand by and that's what the 29,000
17 dollars bought the defendants,
18 correct?

19 MR. DUMAS: Objection.

20 **A** And I provided the literature and
21 documentation and I think you read
22 it into the medical record and Dr.
23 Nathan's testimony. It's not my
24 opinion, it's a fact and my opinion.

Page 264

264

1 **Q** Okay. You agree there's a
2 controversy, correct? Because you
3 read -- you agreed with ACOG, you
4 read the --

5 THE COURT: Sustained this
6 is asked and answered.

7 MR. SOBCZAK: Okay, that's
8 fine.

9 BY MR. SOBCZAK:

10 **Q** It's your opinion that progesterone
11 has no risk for blood clots?

12 **A** Natural progesterone is not
13 associated with any increase
14 instances of blood clots, period.

15 **Q** Okay, that's fine.

16 **A** It's documented in the literature
17 it's clearly defined at all the
18 visits to Brigham and Women's --

19 **Q** Doctor --

20 **A** -- where this was not even
21 mentioned.

22 **Q** Doctor, that's fine. That's your
23 opinion. We'll just agree to
24 disagree and the jury will see all

Superior Court Reporters, Inc.

1 the evidence.
2 MR. SOBCZAK: That's all I
3 have, Your Honor.
4 THE COURT: It's 1:00. I'm
5 sorry, unless you have just a few
6 questions?
7 MS. DALPE: If I can keep it
8 to five minutes, Your Honor?
9 THE COURT: Well, that may
10 require some follow-up. Go ahead.
11 I'll give you five minutes. Does
12 the jury mind waiting five minutes?
13 Okay, thank you.
14 (REDIRECT EXAMINATION OF KENNETH
15 MILLER, M.D.)
16 BY MS. DALPE:
17 Q Dr. Miller, you were asked questions
18 about how you know that this cream
19 was applied topically. Did you read
20 Seth Doull's deposition?
21 A Yes.
22 Q Okay. And did Seth Doull, in his
23 deposition, talk about how Laura
24 applied her medication?

1 A Yes.
2 Q And what did he say?
3 A Applied the container and applied it
4 to the skin on the abdomen.
5 MS. DALPE: Your Honor --
6 A And looking at the bottle, there's
7 no other way you -- the vaginal
8 cream comes in a syringe or a
9 tablet. It's not possible.
10 MS. DALPE: Your Honor, may
11 I request permission to read in a
12 portion of Seth Doull's transcript
13 for completeness sake?
14 MR. SOBCZAK: And Your
15 Honor, this is specifically the
16 issue the defendants tried to redact
17 it yesterday and the Court has not
18 ruled on it yet.
19 THE COURT: Well, I'm going
20 to overrule the objection and permit
21 the doctor -- the doctor can at
22 least refer to that portion of the
23 deposition.
24 MR. SOBCZAK: Yeah. He can

1 read whatever you want, but for the
2 defendants to try to do something
3 that the Court previously prohibited
4 yesterday, that's what I'm objecting
5 to.
6 THE COURT: Please, no
7 speeches. The issue was opened up,
8 the defendants are entitled to show
9 evidence to explain the reason for
10 the doctor's opinion that this was
11 applied topically to her skin.
12 MR. SOBCZAK: But that's not
13 a question in dispute, Your Honor.
14 Nobody disputed that point. The
15 issue is it's not in the record.
16 THE COURT: Please, would
17 you just allow the question to be
18 asked.
19 BY MS. DALPE:
20 Q Dr. Miller, you were asked several
21 questions about there being no
22 documentation in the record
23 regarding a method of application;
24 is that right?

1 A Yes.
2 Q Okay. And am I showing you the
3 deposition of Seth Doull taken on
4 Monday, November 7, 2016?
5 A Yes.
6 Q Okay. And let me just read. The
7 question I posed was: "Did you ever
8 see your wife using the cream?"
9 The answer was: "Yes."
10 Question: "And tell me what
11 you observed."
12 "She is pretty good about
13 reading the instructions on things
14 and paying attention to stuff like
15 that because she cares about it.
16 She would rub it on the inside of
17 her thighs, a lot of times when she
18 was putting it on, because
19 apparently that's where it's
20 absorbed the most."
21 Question: "Your memory is
22 that she was applying the cream to
23 her legs; is that right?"
24 Answer: "Yes."

Superior Court Reporters, Inc.

1 Did I read that correctly?
2 **A Yes.**
3 **Q** Okay. So, all the questions that
4 Mr. Sobczak just posed to you about
5 there being no documentation about
6 where the cream was applied, you
7 knew that the cream was applied
8 because Mr. Doull said so, right?
9 **A Yes.**
10 **Q** Okay. And the fact that there's no
11 documentation, does that change the
12 risk at all?
13 **A Nothing -- no. No. it's hard to**
14 **envision the cream getting anywhere**
15 **out -- any other orifice. It just -**
16 **-**
17 **Q** Thank you.
18 **A -- technically, it would be very**
19 **difficult.**
20 **Q** Doctor, you were asked about
21 references to literature in your
22 disclosure --
23 **A Yes.**
24 **Q** -- on the very first page, second

1 paragraph. Did we talk about the
2 fact that you were going to speak of
3 literature on hormone replacement
4 therapy in general and specifically
5 with respect to progesterone cream?
6 **A Yes, and that generated in the**
7 **picture.**
8 **Q** Doctor, you were shown an article
9 called "Bioidentical: Sorting Myths
10 and Facts." Does this article at
11 all reference or pertain to the
12 cream that Mrs. Doull was taking?
13 **MR. SOBCZAK:** Your Honor,
14 before we go any further, this is
15 redirect. It sounds like not only
16 cross, but it sounds like
17 interrogation.
18 **THE COURT:** Well, this is
19 your witness.
20 **MS. DALPE:** I'm sorry, Your
21 Honor. I will try not to lead.
22 **THE COURT:** Thank you.
23 **A It refers to bioidentical creams so**
24 **it does relate to the cream she was**

1 **taking.**
2 **Q** Okay. Does it specifically
3 reference progesterone cream?
4 **A No.**
5 **Q** Okay. Next, you were asked about an
6 ACOG opinion from 2005. Is there a
7 disclaimer on the article that Mr.
8 Sobczak read to you?
9 **A Yes.**
10 **Q** Okay. And what does the disclaimer
11 say?
12 **A "This document reflects emerging**
13 **clinical and scientific advances of**
14 **the date issued and is subject to**
15 **change. The information should be**
16 **construed as dictating an exclusion**
17 **course of treatment or procedure to**
18 **be followed."**
19 **Q** Okay. And does that sentence, does
20 that mean that this article should
21 not be used for planning definitive
22 care?
23 **A Yes.**
24 **Q** Okay. And you've agreed that ACOG

1 is a reliable source, correct?
2 **A Yes.**
3 **Q** And what --
4 **MR. SOBCZAK:** Your Honor,
5 she's crossing her own witness.
6 **MS. DALPE:** I'm sorry.
7 **THE COURT:** This is your
8 witness, please.
9 **MS. DALPE:** Thank you.
10 **BY MS. DALPE:**
11 **Q** Doctor, is ACOG a reliable source?
12 **A It is.**
13 **Q** Were you shown, on cross
14 examination, the article from 2013,
15 reaffirmed in 2015?
16 **MR. SOBCZAK:** No, he was not
17 and I object, Your Honor.
18 **THE COURT:** Would you please
19 not --
20 **MR. SOBCZAK:** Objection.
21 The Counsel is lying. I have never
22 --
23 **THE COURT:** Members of the
24 jury, you will disregard that

Superior Court Reporters, Inc.

Sheet 69 Page 273

273

1 comment by Mr. Sobczak. Please, you
2 may ask a question, I will then rule
3 on whether or not to sustain the
4 objection. Thank you.

5 MS. DALPE:

6 Q Doctor, have you reviewed with Mr.
7 Sobczak the article that I just
8 placed in front of you called,
9 "Postmenopausal Estrogen Therapy
10 Route of Administration and Risk of
11 Venous Thromboembolism"?

12 A It has been reviewed and -- yes.

13 MR. SOBCZAK: Objection;
14 misrepresents what actually
15 happened, Your Honor. And the fact
16 that the defense --

17 THE COURT: Overruled.

18 MR. SOBCZAK: May we be
19 heard because this is --

20 THE COURT: No more

21 speeches, Mr. Sobczak.

22 (SIDEBAR BEGINS)

23 THE COURT: You be asked to
24 Keep your voice down.

Page 274

274

1 MR. SOBCZACK: Yes, Your
2 Honor, I did not show a and 1113
3 article ever during any of my cross
4 examination, the defendants know
5 this. She subpoenaed perjury, that
6 in
7 of itself -

8 THE COURT: Will you please
9 keep your voice down.

10 MR. SOBCZACK: It's Perjury.

11 THE COURT: She is not under
12 oath, she is asking him.

13 MR. SOBCZACK: She is asking
14 him. Not once did I agree.

15 MS. DALPE: I think my
16 question was did you review this
17 with Mr. Sobczack and his answer was
18 I reviewed this article.

19 MR. SOBCZACK: And her
20 question was actually false because
21 she knows that I never agreed with
22 him. So she is subpoenaed perjury.

23 THE COURT: He did not -- He
24 testified that he reviewed it.

Page 275

275

1 MS. DALPE: Your Honor, --

2 THE COURT: Let's please
3 move on. All right then we're going
4 to stop and we will resume at
5 2'oclock.

6 MS. DALPE: Okay.

7 (SIDEBAR ENDS)

8 THE COURT: Members of the
9 jury, we're going to recess until
10 2:05 because I have about an hours'
11 worth of unrelated matters that are
12 waiting to be heard right now.

13 Thank you. Doctor, you may step
14 down for the moment.

15 THE COURT OFFICER: Jurors,
16 please rise.

17 (Jury exits)

18 THE COURT: Doctor, you may
19 step down.

20 MR. SOBCZACK: Your Honor,
21 may we continue? I need to put this
22 on the record.

23 THE COURT: I will make a
24 statement. Suborning perjury is not

Page 276

276

1 asking a witness a question. So,
2 let's move on.

3 MR. SOBCZACK: Your Honor --

4 THE COURT: What is your
5 objection?

6 MR. SOBCZACK: My --

7 THE COURT: You say you
8 didn't show it to the witness, all
9 right.

10 MR. SOBCZACK: My objection
11 is two-fold. One, what Ms. Dalpe is
12 trying to do is somehow show an
13 article that is not disclosed, that
14 is not mentioned during cross,
15 that's not in any of the listings,
16 on a redirect of her own witness.
17 That's just grossly inappropriate by
18 Sneed.

19 And two, the way she
20 approached it and by falsifying
21 stating her questions that I
22 reviewed this with the doctor, the
23 only article I reviewed with the
24 doctor, and the records will be

Superior Court Reporters, Inc.

Sheet 70 Page 277

277

1 perfectly clear, are the ones that
2 have been marked; the ACOG from
3 2007, the endocrine society one, the
4 FDA.

5 The 2013 article never
6 mentioned my lips, was never shown
7 to the doctor, and was never in my
8 cross examination.

9 And for Ms. Dalpe to just
10 gallant over there with the article
11 and say, "Is this what you reviewed
12 with Mr. Sobczak?" and the doctor
13 says, "I reviewed some article."

14 She's asking for the doctor
15 to lie on the stand. That is
16 grossly inappropriate. And
17 unfortunately, all the time, I have
18 to say, and I find this
19 unprofessional and the Court should
20 finally do something about it
21 because this is getting to the point
22 where this is not even remotely
23 close to being a fair trial.

24 THE COURT: Thank you for

Page 278

278

1 your speech, Mr. Sobczak. The
2 question was, in my view, proper.
3 She was simply asking the witness if
4 among the things, among the
5 articles, that he reviewed with you
6 during your examination, cross
7 examination, was this article among
8 those.

9 He didn't actually answer
10 yes or no, but he said he reviewed
11 the article. That is not suborning
12 perjury.

13 I'm sorry. Now, what do you
14 say with regard to his contention
15 that this was not disclosed?

16 MS. DALPE: Your Honor --

17 THE COURT: Yes.

18 MS. DALPE: -- Mr. Sobczak
19 specifically solicited evidence from
20 this witness on his direct exam that
21 ACOG was authoritative. Mr.
22 Sobczak's questioning pertains to
23 ACOG, which pertains to this
24 article.

Page 279

279

1 I am going to ask this pert
2 if this is reliable and then ask for
3 him to read portions of the article
4 and then move to put the article
5 into evidence because Mr. Sobczak
6 has qualified my expert and this
7 literature and this literary body.

8 THE COURT: But is this --
9 under the statute --

10 MS. DALPE: What does that
11 mean?

12 THE COURT: -- notice this
13 as a learned treatise?

14 MS. DALPE: Not under 79C,
15 Your Honor.

16 THE COURT: Okay.

17 MR. SOBCZAK: And Your
18 Honor, just to follow up, this
19 applies to cross of opposing
20 experts. What Ms. Dalpe just
21 represented to the Court that she
22 intends to do, is cross her own
23 expert, which is grossly improper.

24 And for somebody with that

Page 280

280

1 many years of experience, either --
2 it smells like deliberate violation
3 of the rules.

4 THE COURT: All right.

5 Well, I thought I had a copy of it
6 here with me in the courtroom, but I
7 do not. So, we'll pick up this
8 issue after I resolve the other
9 matters that are scheduled for
10 shortly after 1:00. Thank you.

11 (Court is in recess at 1:10 p.m.)

12 (Court back in session at 2:06 p.m.)

13 THE COURT OFFICER: Court,
14 all rise. Superior session is now
15 in session, you may be seated.

16 THE CLERK: Your Honor, we
17 are back on the record.

18 (JURY NOT PRESENT)

19 THE COURT: Again, very
20 briefly, the defendants, more
21 specifically, Ms. Dalpe is seeking
22 to -- through this witness -- use a
23 journal article? I gather that's
24 what it is, Ms. Dalpe?

Superior Court Reporters, Inc.

Sheet 71 Page 281

281

1 MS. DALPE: Yes, Your Honor.
2 THE COURT: That's the best
3 way to describe it? That was not
4 noticed by the defendants under 233
5 79c. Yes, 79c.

6 The cross-examination of
7 this witness, however, there was --
8 as I recall -- cross-examination
9 from an article by the same
10 organization or medical group. Can
11 you just state for the record again
12 the name of the group.

13 MS. DALPE: The name of the
14 group is called -- usually referred
15 to as ACOG.

16 THE COURT: ACOG, okay. But
17 the article to which Mr. Sobzack
18 referred and examined the witness
19 was from an earlier date, I believe
20 around 2008 or 2009, and the article
21 you're seeking to use is 2013.

22 And your suggestion is that
23 because he raised the other article
24 on cross-examination, you should be

Page 282

282

1 permitted to show this later article
2 to the same witness.

3 MS. DALPE: Yes, Your Honor.
4 THE COURT: Do you want to
5 say anything beyond that?

6 MS. DALPE: Not beyond what
7 I've already mentioned.

8 THE COURT: Okay. So, as I
9 said when we recessed, although, it
10 was already after 1, I wanted to
11 talk another look at Sneed with
12 regard to the procedure.

13 Sneed does, of course, say
14 by way of footnote: "In fairness,
15 portions of a learned treatise not
16 called to the attention of a witness
17 during cross-examination should be
18 admitted on request of the expert's
19 proponent in order to explain limit
20 or contradict a statement ruled
21 admissible under rule 803, 18."

22 So, this particular article
23 is not from the same treatise,
24 obviously. But from the same

Page 283

283

1 organizations, ACOG, is that
2 correct?

3 MS. DALPE: Exactly right.
4 Both articles are from the same
5 institution, they are both committee
6 opinions.

7 THE COURT: All right. But
8 they are different dates five years
9 apart?

10 MS. DALPE: Yes, Your Honor.

11 THE COURT: All right. I
12 just don't see that Sneed or the
13 rule -- of course I've left, oh,
14 here it is. Or the guide to
15 evidence specifically address this
16 issue, and I'm just not persuaded
17 that it's permissible, so I'm not
18 going to permit you to use it.

19 All right. Can we please
20 move on. Your objection is noted.

21 MS. DALPE: Thank you, Your
22 Honor. I would like to ask for a
23 protective order.

24 THE COURT: Sure. Go ahead.

Page 284

284

1 MS. DALPE: To keep
2 Plaintiff's counsel from making any
3 other personal attacks against me or
4 my clients for the future duration
5 of the case.

6 THE COURT: Yes, I think
7 that's absolutely appropriate for
8 everybody. This is a civil
9 proceeding. Attorneys are expected
10 to conduct themselves in a
11 professional manner, and in a civil
12 manner toward not only each other,
13 but everybody else involved in the
14 courtroom proceedings.

15 I will say that there had
16 been a number of instances in this
17 case in which it's been pretty clear
18 to me that those professional --
19 professional conduct has been less
20 than admirable.

21 And so, Mr. Sobczack, your
22 calling names to any of these other
23 parties using language such as
24 perjury, which, as I said earlier,

Superior Court Reporters, Inc.

Sheet 72 Page 285

285

1 was absolutely off base with regard
2 to the manner in which Ms. Dalpe
3 asked a question. I heard the
4 question, and it was not in my view
5 in any way suggesting anything in
6 the nature of perjury.
7 So, forewarned is forearmed.
8 Can we bring out the jurors,
9 please?
10 MR. SOBZACK: Your Honor,
11 I'd like to state on the record,
12 just to - about objection and join
13 the motion. The Court order applies
14 to both sides. It's the defendants
15 that have been doing all of the
16 mudslinging so far; and for the
17 record, Your Honor, I do have to ask
18 that the Court issue sanctions to
19 Ms. Dalpe. And ask her what was her
20 good faith basis to ask this doctor
21 whether or not I showed him an
22 article that I never showed him.
23 That in and of itself is a
24 violation of rule 3.3 of

Page 286

286

1 professional conduct, and there is a
2 duty to it report to BBO.
3 Ms. Dalpe is correct, and
4 then subsequently admitted that the
5 only article that I showed him was
6 the 2008 one, and then her argument,
7 which also it violates the rule is
8 that somehow showing one article
9 means that all articles is open
10 game. She specifically --
11 THE COURT: Please, counsel,
12 I've already addressed this issue.
13 If you feel it's a violation, so be
14 it. I do not view it as such.
15 We're going to resume with the jury
16 right now.
17 MR. SOBZACK: Is the Court
18 going to inquire to the good faith
19 basis --
20 THE COURT: You've made your
21 speech.
22 MR. SOBZACK: Is the Court
23 going to inquire to the good faith
24 basis for the question posed?

Page 287

287

1 THE COURT: No. Please.
2 MR. SOBZACK: Thank you,
3 Your Honor, for the record.
4 THE COURT: Could we bring
5 in the jurors.
6 MR. SOBZACK: Your Honor,
7 given what happened last time, can
8 Ms. Dalpe pre-announce what she's
9 about to show to make sure that's
10 admissible.
11 THE COURT: That's not
12 necessary.
13 MR. SOBZACK: So, we can
14 just flop anything we want in front
15 of the jury without good faith basis
16 for it?
17 THE COURT: Mr. Sobzack,
18 please, let us just proceed.
19 There's no need to insert additional
20 conflict into this trial beyond what
21 is necessary for the jury to be able
22 to adequately assess the evidence as
23 being presented, in a way that is
24 not fraught with other problems

Page 288

288

1 about arguments between counsel.
2 MR. SOBZACK: -- evidence --
3 THE COURT: Would you
4 please. I'm not going to insist
5 that she do it. Ms. Dalpe, I
6 assume, just like Mr. Dumas, I
7 assume, just like Mr. Newton, and
8 you understand that when you're
9 about to ask questions of a witness
10 what not to show the witness a
11 particular document or other thing
12 that they are about to refer to.
13 So, I will leave it at that. No
14 further arguments.
15 The jurors are on their way
16 in?
17 THE CLERK: Yes, Your Honor.
18 THE COURT: I saw them
19 lining up as I was coming in, so I
20 assumed that they would be here
21 right away.
22 THE COURT OFFICER: We are
23 just waiting on one or two more.
24 THE COURT: Oh, they are not

Superior Court Reporters, Inc.

Sheet 73 Page 289

289

1 all back yet?
2 THE COURT OFFICER: No, they
3 are.
4 THE COURT: Okay.
5 THE COURT OFFICER: Jurors
6 now entering.
7 (JURY ENTERS)
8 THE COURT: You may be
9 seated. Thank you. Ms. Dalpe?
10 (REDIRECT EXAMINATION OF KENNETH
11 MILLER, MD)
12 BY MS. DALPE:
13 Q Dr. Miller, just a few other couple
14 of quick questions from me. Earlier
15 you were asked on cross-examination
16 about Dr. Landis and Dr. Landis's
17 notes. I'm going to direct you to
18 what's on the screen. Is this one
19 of Dr. Landis's notes?
20 THE CLERK: Hold on, one
21 second. We have some jurors --
22 THE COURT: They can't hear.
23 Ms. Dalpe, I think you have to speak
24 up.

Page 290

290

1 MS. DALPE: I will. I will.
2 I'm sorry.
3 THE COURT: I'm not sure
4 there's a microphone over there.
5 MS. DALPE: I don't think
6 that there is. I will try to and
7 project.
8 (BY MS. DALPE)
9 Q Dr. Miller, before we took the lunch
10 break, on cross-examination, you
11 were asked some questions about Dr.
12 Landis. Do you remember those
13 questions?
14 A Yes.
15 Q Okay. And, the document that I've
16 put on the screen, is this one of
17 the notes that Dr. Landis, where he
18 speaks specifically to his thoughts
19 on the reason behind Ms. Doull's
20 hypercoagulability?
21 A (non-verbal response)
22 Q Can you just answer verbally.
23 A Yes.
24 Q And, can you read that third

Page 291

291

1 sentence there, that I'm pointing to
2 on the screen?
3 A "I suspect the reason for her
4 hypercoagulability may have been the
5 progestational agent that she was
6 taking."
7 Q Okay. Does that statement of Dr.
8 Landis use definitive language?
9 A No.
10 Q Okay. And, what is the exact word
11 that he's using?
12 A May, may have been.
13 Q Okay.
14 A I mean, this is a speculation a
15 query.
16 Q And, Dr. Landis ultimately referred
17 the patient to Brigham and Women's
18 Hospital; is that correct?
19 A Yes.
20 Q And, why did he refer the patient to
21 Brigham and Women's Hospital?
22 A The patient had severe pulmonary
23 hypertension.
24 Q Okay. Is Brigham and Women's

Page 292

292

1 Hospital a different type of
2 facility compared to Bay State, in
3 terms of dealing with CTEPH
4 patients?
5 A Yes. In fact, she referred her to
6 Dr. Waxman, whose specialty is
7 pulmonary hypertension, it's a
8 tertiary care center.
9 Q Okay. And so, Dr. Landis referred
10 the patient to people who had
11 expertise in Ms. Doull's actual
12 disease; is that right?
13 A That's correct.
14 Q Okay. And, you had mentioned
15 earlier that there was some
16 confusion about Dr. Landis and his
17 original diagnosis. Can you explain
18 that to the jury?
19 A So, initially when he saw her in the
20 emergency room, he, in fact, said he
21 doubted that this was CTEPH, which
22 is just a pulmonary embolus and
23 treated her for that; and clearly it
24 was CTEPH.

Superior Court Reporters, Inc.

Sheet 74 Page 293

293

- 1 Q And, do you happen remember, just
2 off the top of your head, how long
3 it was before Dr. Landis saw the
4 patient and he then referred the
5 patient into Boston?
6 **A I think it's about three months.**
7 Q Okay. And, Doctor, you were also
8 asked questions about the type of
9 progestational agent that the folks
10 at Bay State thought Mrs. Doull was
11 taking. I put in front of you a
12 note from Bay State dated -- let's
13 see. That's part of the discharge
14 package, of 08/23/2011. Can you see
15 that?
16 **A Yes.**
17 Q Okay. And the sentence that I've
18 highlighted on the screen. Can you
19 please read that for the jury?
20 **A "Etiology of pulmonary embolus
21 appears to be secondary to her
22 progesterone tablet use."**
23 Q And, what is significant about that
24 statement?

Page 294

294

- 1 **A That she wasn't on a progesterone
2 tablet. She was on topical cream.**
3 Q Okay. Fair to say that Bay State --
4 there was confusion about what she
5 was taking and the cause for her
6 CTEPH?
7 **A Correct.**
8 Q Okay. And, ultimately that's why
9 she was referred to the Specialty
10 Center at Brigham and Women's
11 Hospital in Boston?
12 **A Correct.**
13 Q Okay. Thank you.
14 MS. DALPE: I have no
15 further questions.
16 MR. DUMAS: Just briefly,
17 Doctor. A couple questions, Doctor.
18 (RE-CROSS-EXAMINATION OF MILLER, MD)
19 BY MR. DUMAS
20 Q Do you recall being asked questions
21 about what documentation existed
22 with respect to where and what was
23 filled in terms of Mrs. Doull's
24 prescription of progesterone?

Page 295

295

- 1 **A Yes.**
2 Q Okay. And, you were shown the note,
3 which is on Tab A, page 47 of the
4 juror notebook, or maybe you weren't
5 shown it, but let's look at it.
6 I have it on the screen
7 here. From this note, it appears
8 that on August 11th, 2008 was the
9 first discussion with the patient to
10 start the progesterone cream. In
11 other words, when the prescription
12 was made; is that fair?
13 **A Correct.**
14 Q Okay. And, if we look at the
15 International Women's National
16 Pharmacy, can you tell me when the
17 first record of filling the order
18 and sending the order is?
19 **A 8/15/2008, shipping charge order,
20 and 08/16, credit card payment.**
21 Q Okay. So, within four days of the
22 note in Ms. Foster's note, there's a
23 record from the compounding pharmacy
24 that shows that the prescription was

Page 296

296

- 1 filled, right?
2 **A Yes.**
3 Q And, to be clear, tells you what was
4 filled and that was what?
5 **A Progesterone C, C progesterone 100-
6 milligrams.**
7 Q Okay. And, to be clear, Doctor, the
8 evidence that we do have from the
9 Women's International Compounding,
10 was Ms. Doull ever prescribed a
11 medication with estrogen in it?
12 **A No.**
13 Q Okay. You were also asked some
14 questions about what I think were
15 called, "gaps" in the prescription
16 that she received at Women's
17 International Compound?
18 **A Yes.**
19 Q And that was from 2008 to 2009?
20 **A Yes.**
21 Q Is it fair to say, Doctor, if a drug
22 carries with it risks, you have to
23 take the drug in order for those
24 risks to materialize?

Superior Court Reporters, Inc.

Sheet 75 Page 297

297

1 **A Yes.**
2 **Q** Okay. So, if you do take a
3 medication that does have risks, if
4 you're not taking it regularly, or
5 not taking it at all, what does that
6 do in terms of the chance of those
7 risks materializing?
8 **A It attenuates any risk.**
9 **Q** Thank you. The list that you
10 written here, for you, with respect
11 to PE, there's one missing, isn't
12 there, Doctor? We have PE, likely
13 cause, cancer, blood disorder, HRT,
14 but you also heard, or you testified
15 -- pardon my handwriting,
16 idiopathic, right? What does that
17 mean?
18 **A Unknown, unfortunately is the most**
19 **likely.**
20 **Q** Okay. And, can you explain again to
21 the jury what an idiopathic cause of
22 pulmonary embolism is?
23 **A That means you document the**
24 **pulmonary embolus, but the reason**

Page 298

298

1 **the patient develops it is unknown**
2 **and cannot be determined.**
3 **Q** And, the note that we looked at, or
4 you looked at from -- this is Tab E
5 in the jury notebook, from Brigham
6 and Women's, the GYN note. On the
7 second page, what does the
8 gynecologist document, in terms of
9 the etiology of her pulmonary
10 embolism?
11 **A Pulmonary embolus, unprovoked. That**
12 **means there's no reason for her to**
13 **have it, unknown etiology.**
14 **Q** Okay. And, Doctor, you were asked
15 some questions this morning about, I
16 think it was an article or some
17 questions about informed consent.
18 Do you recall that?
19 **A Yes.**
20 **Q** Okay. And, I want you to assume for
21 me that Nurse Practitioner Foster
22 prescribed 100-milligrams of
23 progesterone bioidentical topical
24 cream and that she had a discussion

Page 299

299

1 with Mrs. Doull about the benefits,
2 but did not discuss the risks of
3 blood clots.
4 Do you have an opinion to a
5 reasonable degree of medical
6 certainty as to whether or not
7 that's appropriate informed consent?
8 **MR. SOBCZAK:** Objection,
9 beyond the scope.
10 **THE COURT:** Sustained.
11 **MR. SOBCZAK:** So, we're
12 opening up this now, Your Honor?
13 **THE COURT:** I've sustained
14 the objection.
15 **MR. DUMAS:** I have nothing
16 further. Thank you.
17 **MR. SOBCZAK:** Your Honor,
18 may we briefly be heard for a very
19 short time. I have that I need to
20 address with you.
21 **THE COURT:** Yes.
22 (SIDEBAR CONFERENCE)
23 **MR. SOBCZAK:** I have to
24 address to Mr. Dumas marking up my

Page 300

300

1 exhibits without permission without
2 asking the Court first.
3 **THE COURT:** He didn't mark
4 an exhibit.
5 **MR. SOBCZAK:** He did. He
6 marked up my chalk. So, am I
7 allowed to take up his chaulks and
8 start crossing stuff out?
9 **THE COURT:** These are not my
10 chalk and your chalk. It happens
11 all the time. If a witness is asked
12 a question, people can -- it's just
13 been written on a board.
14 **MR. SOBCZAK:** So, am I
15 allowed to -
16 **THE COURT:** If you want to
17 take the board down, you're free to
18 do so.
19 **MR. SOBCZAK:** Thank you.
20 (END SIDEBAR CONFERENCE)
21 (RE-CROSS-EXAMINATION OF KENNETH
22 MILLER, MD)
23 **BY MR. SOBCZAK:**
24 **Q** Dr. Miller, I'll be brief. Earlier

Superior Court Reporters, Inc.

Sheet 76 Page 301

301

1 when I asked you about likely
2 causes, the reason why you did not
3 mention idiopathic is because
4 idiopathic is not a cause. It's the
5 lack of information, correct?
6 **A Idiopathic means we don't know the**
7 **cause --**
8 **Q Yeah.**
9 **A -- if fact, that should be counted**
10 **as a definitive statement --**
11 **Q So, --**
12 **A -- that means you've ruled out other**
13 **causes and you accept that the the**
14 **patient developed this for no known**
15 **etiology. So, it should be it is**
16 **listed in perceived positions as**
17 **aligned ---**
18 **Q So, your position is that's the**
19 **answer the Almighty, just, out of**
20 **nowhere, correct? It's an unknown**
21 **cause?**
22 **MR. DUMAS: Objection.**
23 **Q You never ruled it out. It's a**
24 **complete lack of knowledge, correct?**

Page 302

302

1 **A But that is a definition meaning**
2 **that we have evaluated and for many**
3 **illnesses, unfortunately, which is**
4 **bad luck, and we don't know the**
5 **cause.**
6 **Q So, it's not a cause, it's the lack**
7 **of knowledge of cause, correct?**
8 **A But, it's attributed to be a cause**
9 **because --**
10 **Q Doctor, --**
11 **A -- it implies ---**
12 **THE COURT: Would you let**
13 **him answer the question.**
14 **Q If you can answer the question**
15 **asked, we can move along. It's not**
16 **a likely cause, it is the absence of**
17 **likely cause, correct?**
18 **A No. It implies that the cause is**
19 **unknown and that's a cause.**
20 **Q So, if you're saying, "unknown" is**
21 **the cause of everything?**
22 **MR. DUMAS: Objection.**
23 **Q Every diagnosis you make, the**
24 **unknown is something that is one of**

Page 303

303

1 the options, correct?
2 **A No.**
3 **Q Or, it's as you quoted in your**
4 **direct, "just bad luck", correct?**
5 **Those were your words? Bad luck?**
6 **So etiologic --**
7 **THE COURT: Would you let**
8 **him answer the questions?**
9 **-- is unknown, correct?**
10 **A Yes. Unknown, known reason --**
11 **Q Yeah.**
12 **A -- that's really what it is.**
13 **Q Or, as you did on direct, it's, "Bad**
14 **luck"?**
15 **A Well, bad luck implies that good**
16 **things happen to bad people.**
17 **Q Are you saying, Ms. Doull was bad**
18 **people?**
19 **A I'm sorry. Bad things happen to**
20 **good people.**
21 **Q Okay.**
22 **A There's no reason for it.**
23 **Q But, your answer on direct was it's**
24 **just bad luck?**

Page 304

304

1 **A It's unfortunate.**
2 **Q Okay. So, what's left is bad luck,**
3 **or the hormone replacement therapy,**
4 **because neither one of those two**
5 **have been ruled out, correct?**
6 **A No. This is poor thinking, because**
7 **you have -- for most patients there**
8 **is no known etiology. We don't know**
9 **why things occur.**
10 **Q Doctor, --**
11 **A It's an untoward event and that's**
12 **what idiopathic means.**
13 **Q -- if you answer question posed we**
14 **can move along.**
15 **MS. DALPE: Objection.**
16 **THE COURT: Would you please**
17 **not make speeches?**
18 **MR. SOBCHAK: Would you --**
19 **THE COURT: He has answered**
20 **the question. Go ahead.**
21 **(BY MR. SOBCHAK):**
22 **Q You went to the record, all 10,000**
23 **pages of it and you agree cancer is**
24 **ruled out, blood disease is ruled**

Superior Court Reporters, Inc.

Sheet 77 Page 305

305

1 out, (inaudible) were ruled out.
2 What's left was ---
3 THE COURT: You're making a
4 speech. You may ask a question.
5 Q What was left in your total review
6 of all the medical records is that
7 HRT was never ruled out and then the
8 one you added, "bad luck", correct?
9 A **No. HRT should not be considered on
10 that board. Progesterone therapy as
11 I eluded to, does not cause this, as
12 does any other reasons that comes
13 into your imagination. It does not
14 cause this. And, that's why all the
15 physicians at the Brigham, that is
16 not their consideration. There's
17 not a single line about it and
18 everyone assumes that it's
19 idiopathic, meaning unknown cause.
20 And that is a diagnosis in
21 and of itself.**
22 Q Point in the records where HRT was
23 ruled out?
24 MS. DALPE: Objection, Your

Page 306

306

1 Honor.
2 THE COURT: Sustained. This
3 has been asked and answered. Let's
4 move along.
5 MR. SOBCHAK: Your Honor, at
6 this point, I offer the chart into
7 evidence.
8 THE COURT: The chart is
9 already in evidence. All right. Go
10 ahead. Next question.
11 MR. SOBCHAK: It is?
12 THE COURT: That chart?
13 MR. SOBCHAK: Yes.
14 THE COURT: That's a chart.
15 MR. SOBCHAK: Okay. Then, I
16 offer it in as a chart.
17 THE COURT: It is not going
18 to be admitted. It may be marked
19 for identification.
20 (Identification Y, so-marked)
21 BY MR. SOBCHAK:
22 Q And, then the only thing that Mr.
23 Dumas asked you about is about all
24 the confusion in Bay State's records

Page 307

307

1 about the form of progesterone,
2 correct?
3 A **Yes.**
4 Q And, are you blaming Bay State for
5 their diagnoses because they may
6 have been confused in some places
7 about whether the progesterone was a
8 pill or a cream?
9 A **No. I think, as I -- had nothing to
10 do with her illness. Even though
11 Bay State didn't diagnosis her with
12 CTEPH, it was very clear that that's
13 what she had. The progesterone had
14 nothing to do with her development
15 of her PE'S or CTEPH.**
16 Q Well, you saw in the records that,
17 st
18 Dr. Landis initially, on May 21 he
19 didn't notice her CTEPH, but as he
20 saw her the second time, he started
21 leaning toward it and that is, in
22 fact, why he sent her to Brigham,
23 because he was --
24 MR. DUMAS: Objection.
THE COURT: The

Page 308

308

1 objection is sustained. That's an
2 argument.
3 Q Did you see in the records where Dr.
4 Landis did consider CTEPH and that's
5 why he sent Ms. Doull to the Brigham
6 and Women's?
7 A **Yes.**
8 Q So, he initially, having only seen
9 the patient once, did not think it
10 was CTEPH, because he had one day's
11 worth of data, correct?
12 A **Yes.**
13 Q And, subsequently, as he learned
14 more he considered CTEPH decided
15 it's beyond my facility here and
16 sent her to Boston, correct?
17 A **Correct.**
18 Q And, this diagnosis of likely the
19 etiology of progesterone was never
20 undone? At no point, did Dr. Landis
21 ever say it's not progesterone,
22 correct?
23 A **Correct. No one at the Brigham
24 Hospital, the medical, surgical, or**

Superior Court Reporters, Inc.

Sheet 78 Page 309

309

1 anyone sitting on the house staff
2 continued that thought, because it
3 is not accurate.
4 Q They couldn't find anything else?
5 A They never once entertained that
6 thought because it doesn't do it.
7 Q Well, they did entertain that
8 thought because you saw it in the
9 GYN records that when they
10 considered progesterone without the
11 proper history, once they were
12 informed of what's happened to
13 patient before, they went with
14 lupus, correct?
15 MR. DUMAS: Objection.
16 THE COURT: Sustained.
17 Q You saw in the notes that's not in
18 the jury book that progestin and
19 progesterone was considered at
20 Brigham and Women's and the fact of
21 the patient's prior history changed
22 the prescription that the
23 gynecologist suggested, correct?
24 A No. The gynecologist still

Page 310

310

1 recommended progestin or Lupron.
2 Q On June 20th, Doctor?
3 A And, --
4 Q On June 22nd --
5 A Based upon the patient's preference
6 that she did not want to take oral
7 hormonal therapy, they let it go at
8 that. Those were the two options.
9 But, clearly suggesting progesterone
10 does not induce hypercoagulability,
11 therefore, is not contraindicated,
12 therefore not related to her
13 disorder.
14 Q In your opinion.
15 A It was based upon the patient's
16 preference.
17 Q Okay.
18 A That the side effects that they
19 eluded to, which sounded very
20 extreme, are all the side effects
21 associated with Lupron; the hot
22 flashes, that's due to Lupron.
23 Q And, Lupron is the least of the two
24 options initially, absent for

Page 311

311

1 patient history, Lupron is the lower
2 option choice, correct? It's the
3 least desirable one because it has
4 the more negative side effects?
5 A It has more side effects for the
6 women, not dangerous, but more
7 unpleasant.
8 Q But, in fact --
9 A It's more unpleasant.
10 Q But, --
11 A Because women go through menopause
12 symptoms of that time.
13 Q But, two days later, the GYN's only
14 recommendation was Lupron, having
15 considered what happened with
16 progesterone before, correct?
17 A No, no. That's not -- I'm sorry.
18 They presented both options to the
19 patient and they thought
20 progesterone because it does not
21 induce hypercoagulability, does not
22 induce a prothrombin state, is an
23 option.
24 The other option is Lupron,

Page 312

312

1 which turns off ovarian function by
2 an injection, a IM injection. With
3 the patient's preference to not take
4 a hormonal therapy, Lupron then
5 became the only option available for
6 her. But, both were equally safe.
7 One has slightly more side effects,
8 because Lupron induces a menopausal
9 state.
10 Q Okay. Going back to Dr. Landis, you
11 don't think of his opinion any less
12 or any more, because in few
13 instances in the record, the
14 reference to progesterone is cream
15 versus pill or tablets, correct?
16 MS. DALPE: Objection, Your
17 Honor.
18 MR. DUMAS: Objection.
19 THE COURT: Sustained.
20 Q You are not challenging Dr. Landis'
21 opinion just because in the records
22 there's a reference to pill versus
23 tablets versus cream, correct?
24 MS. DALPE: Objection.

Superior Court Reporters, Inc.

1 THE COURT: Overruled.
2 A No. My opinion is not based because
3 he confused the two. It's based
4 because progesterone does not cause
5 an increase in thrombotic events,
6 PE's, or prothrombotic --
7 Q Estrogen does, correct?
8 A -- progesterone does.
9 Q Estrogen does?
10 A Estrogen does.
11 Q And, Nurse Foster, confused those
12 two, correct?
13 MR. DUMAS: Objection.
14 MS. DALPE: Objection.
15 THE COURT: Sustained as to
16 the form of the question.
17 Q You are aware that Nurse Foster
18 confused those two, estrogen and
19 progesterone?
20 A I'm aware there's a note that she
21 used biestrogen, which is an
22 estrogen containing compound, but I
23 see no records that the patient ever
24 received estrogen.

1 MR. SOB CZAK: That's all I
2 have, Your Honor.
3 THE COURT: Anything else?
4 Thank you very much, Doctor. You
5 can go ahead step down.
6 MR. SOB CZAK: Your Honor,
7 before the defense calls the next
8 witness, could we briefly be seen at
9 sidebar, regarding your prior
10 rulings.
11 (SIDEBAR CONFERENCE)
12 MR. SOB CZAK: The defendants
13 next five witnesses are friends of
14 families of the Doulls. I did not
15 talk to them. I did not subpoena
16 them; therefore, I did not inform
17 them of your court orders. I
18 presume the defendants did since
19 they subpoenaed these witnesses, so
20 if and anything these witnesses say
21 is beyond my control. They are not
22 my witnesses.
23 THE COURT: Well, then I
24 will take each of them in turn and

1 explain to them they are not to say
2 anything. And you are not to ask
3 them --
4 MR. SOB CZAK: I know what
5 questions I can't ask.
6 THE COURT: -- such as the
7 one you asked Ms. Doull yesterday
8 about why did you file this lawsuit,
9 no doubt understanding expecting
10 that she would say "so this never
11 happens to another family" is
12 absolutely improper.
13 I didn't hear an objection
14 from either of the attorneys. I
15 think, perhaps, they thought they
16 would just leave well-enough alone.
17 That's an example of the way in
18 which things are coming out. So, I
19 am just simply going to indicate
20 that if any these witnesses whip out
21 with anything - have you spoken to
22 any of them?
23 MS. DALPE: No, Your Honor.
24 We've issued subpoenas. They've

1 been here. We have advised some of
2 the witnesses that they made need to
3 come back another day because of the
4 length of the cross-examine, and
5 that's the extent of my conversation
6 with them.
7 THE COURT: All right. So,
8 how many do you think you'll get
9 through between now and three
10 o'clock?
11 MS. DALPE: I'm not quite
12 sure, Your Honor.
13 THE COURT: It's about a
14 half an hour or so.
15 MS. DALPE: Yeah. Without
16 knowing what Mr. Sobczak is going to
17 do I would estimate two, probably at
18 the max, we'll get done before -
19 THE COURT: So, why don't we
20 excuse the others.
21 MS. DALPE: Okay.
22 THE COURT: Until Monday or
23 Tuesday whenever you think to call
24 them back.

Superior Court Reporters, Inc.

Sheet 80 Page 317

317

1 MS. DALPE: I'm wondering,
2 Your Honor, if you want to a
3 wholesale instruction while they're
4 all in the same place.
5 THE COURT: I'd have to look
6 at all of my instructions.
7 MS. DALPE: Okay, okay. The
8 first witness is - I'm not expecting
9 her to give any difficult language
10 in particular. I'm alright with
11 excusing them but I'll need to talk
12 to them all anyways, so might as
13 well keep them till 3.
14 THE COURT: My preference
15 would be -
16
17 MS. DALPE: Start?
18 THE COURT: Well, to go,
19 yeah, but if I'm going to instruct
20 everybody to have the precise
21 language so I'm not sort of
22 stumbling through and because there
23 were several different motions -
24 MS. DALPE: Yes, I

Page 318

318

1 understand. Witness number 1 is
2 primarily being called because of
3 the location of the cream issue.
4 THE COURT: Okay.
5 MR. SOBCZAK: And, Your
6 Honor, just for the record, these
7 are their witnesses so this is going
8 to be direct examination, not
9 leading.
10 THE COURT: That's correct.
11 MS. DALPE: They are all
12 friends and family --
13 THE COURT: but they're in
14 line. You'll be permitted to cross-
15 examine them, but if you even
16 remotely tread in the area of
17 eliciting any of the types of
18 language that has been kept out,
19 which includes "this should not
20 happen to any other family", which
21 is improper, absolutely improper, I
22 will step in, and I do not like
23 having to conduct myself in this
24 manner as a judge, continually

Page 319

319

1 giving instructions of this sort
2 that I found myself in the awkward
3 and uncomfortable position but I
4 feel necessary position of
5 repeatedly interrupting, instructing
6 jurors on things they've heard, and
7 that these things are improper,
8 particularly with regard to
9 witnesses who are not professionals,
10 who might not really expect to hear
11 that sort of thing, whereas a
12 professional witness may be more
13 inclined to -- not inclined but may
14 have heard that sort of thing in the
15 past. So, you just bear that in
16 mind, with regard to whatever plans
17 you have with cross-examination.
18 MR. SOBCZAK: I have no -
19 THE COURT: Let's proceed.
20 (END SIDEBAR CONFERENCE)
21 MS. DALPE: Your Honor, may
22 I call Ms. Dusek to the stand?
23 THE COURT: Yes.
24 (SANDY DUSEK, Sworn)

Page 320

320

1 MS. DUSEK: I swear.
2 (DIRECT EXAMINATION OF SANDY DUSEK)
3 BY MS. DALPE:
4 Q Good afternoon. Can you please
5 state your name for the record?
6 A **Sandy Dusek.**
7 Q And, can you tell us the town that
8 you live in?
9 A **Colrain, Mass.**
10 Q And, tell me a little bit about your
11 education?
12 A **I graduated high school.**
13 Q And, how is that you knew Laura
14 Doull?
15 A **We were good friends. I've known
16 her since 1994.**
17 Q Okay. And, what is your occupation?
18 A **House cleaning.**
19 Q And, prior to Laura becoming sick in
20 May of 2011, did you have regular
21 contact with her?
22 A **Yes.**
23 Q And, can you describe what that
24 consisted of to the jury, for that

Superior Court Reporters, Inc.

Sheet 81 Page 321

321

1 time-period, namely the five years
2 before May of 2011?
3 **A We'd get together here and there to**
4 **catch a movie or go out to eat,**
5 **maybe go shopping. A few times a**
6 **year, maybe four to six times a**
7 **year.**
8 **Q Did Mrs. Doull ever tell you that**
9 **she was using progesterone cream?**
10 **A She mentioned some kind of cream**
11 **that, yes, she was using.**
12 **Q And, do you remember where it was**
13 **that she told you she applied the**
14 **cream?**
15 **A I believe she said her abdomen.**
16 **Q Okay. Do you remember whether or**
17 **not Laura ever told you about any of**
18 **the symptoms that she was having**
19 **that led to her taking the cream?**
20 **MR. SOBCZAK: Your Honor,**
21 **this is direct?**
22 **THE COURT: Well, close, but**
23 **I think it's a way of directing this**
24 **witness toward particular topic area**

Page 322

322

1 that Ms. Dalpe is seeking to take
2 her attention to, so I will permit
3 it. But, I do remind you, this is
4 your witness.
5 **MS. DALPE: Thank you.**
6 **(BY MS. DALPE)**
7 **Q Do you remember Mrs. Doull ever**
8 **expressing that she was having any**
9 **perimenopausal symptoms to you?**
10 **A I believe that's what she thought it**
11 **was, yes.**
12 **Q And, do you remember what any of**
13 **those were?**
14 **A I don't recall exactly.**
15 **Q All right. Do you recall whether or**
16 **not Laura ever indicated to you that**
17 **she was having any changes in health**
18 **prior to the year 2011?**
19 **MR. SOBCZAK: Objection,**
20 **again leading.**
21 **THE COURT: Overruled. I**
22 **don't know if the interruption may**
23 **have --- would you ask the question**
24 **again, Ms. Dalpe?**

Page 323

323

1 **MS. DALPE: Sure.**
2 **Q When Mrs. Doull was hospitalized in**
3 **May of 2011, what was your reaction?**
4 **A I don't remember.**
5 **Q Okay.**
6 **A I was just surprised, I guess.**
7 **Q Okay. And, why is it that you were**
8 **surprised?**
9 **A Well, she's always been such a**
10 **strong woman.**
11 **Q Had you been aware of her feeling**
12 **unwell at any time prior to her**
13 **hospitalization?**
14 **A No.**
15 **Q At any time, did Laura ever inform**
16 **you that she was planning to get a**
17 **divorce?**
18 **A It was talked about. I don't know**
19 **if it was going to be for sure, but**
20 **it was mentioned.**
21 **Q And, did she ever tell you the**
22 **reason that she was thinking about**
23 **divorce?**
24 **A Just unhappy, I guess. I don't**

Page 324

324

1 **really recall everything.**
2 **Q Did Laura Doull ever suggest to you**
3 **that she felt abandoned in her**
4 **marriage?**
5 **A Maybe at sometimes. He's a big**
6 **hunter, and he would go, you know,**
7 **away maybe here and there you know**
8 **to go hunting. If he was away, she**
9 **might have felt that way sometimes.**
10 **MR. SOBCZAK: Your Honor, I**
11 **object to the leading form of these**
12 **questions.**
13 **THE COURT: Overruled.**
14 **BY MS. DALPE:**
15 **Q Did Laura ever make any complaints**
16 **about her spouse's involvement in**
17 **Troy's care?**
18 **A Any complaints?**
19 **Q Yes.**
20 **A No. He seemed fine with Troy.**
21 **Q Okay. Did Laura ever make any**
22 **complaints about the amount of time**
23 **that Mr. Doll was in the household?**
24 **A Maybe, I can't give an exact answer.**

Superior Court Reporters, Inc.

1 **Probably.**
2 Q Did Mrs. Doull ever complain about
3 whether or not she felt Mr. Doull
4 was keeping up with his
5 responsibilities in the household?
6 A **Again, I mean, maybe sometimes, you**
7 **know. If he was working or**
8 **something, or hunting.**
9 Q Do you remember those complaints
10 occurring prior to 2011?
11 A **Probably some.**
12 Q Did Laura Doull ever express to you,
13 at any time, that she experienced
14 relief when Mr. Doull was out of the
15 house?
16 A **I guess after a while there was ---**
17 **you know, they had been together a**
18 **while sometimes. It was maybe nice**
19 **to have a little break once in a**
20 **while.**
21 Q Did Laura ever talk to you about her
22 difficulties with her intimate
23 relationship with Mr. Doull?
24 A **Not really. I mean, it seems it was**

1 **good.**
2 Q Do you remember whether or not she
3 ever indicated to you that they were
4 having issues with their intimate
5 relationship prior to her getting
6 sick?
7 A **Prior, no.**
8 Q Okay.
9 MS. DALPE: I have no
10 further questions.
11 THE COURT: Mr. Dumas, are
12 you going to ask any questions?
13 MR. DUMAS: Just a couple
14 questions, Your Honor.
15 (CROSS-EXAMINATION OF SANDY DUSEK)
16 BY MR. DUMAS:
17 Q In terms of the discussion about
18 divorce that you had recalled, do
19 you recall that Laura had told you
20 that the reason was Seth wasn't very
21 supportive?
22 A **Supportive with?**
23 Q That he didn't help around the home?
24 A **I mean he did. I don't think it was**

1 **-- no, I don't think so, not all the**
2 **time.**
3 MR. DUMAS: Your Honor, may
4 I approach?
5 THE COURT: Yes.
6 MR. SOBCZAK: There's no
7 question. This is direct. There's
8 no question pending. Your Honor, I
9 object.
10 THE COURT: But there's no
11 question.
12 MR. SOBCZAK: Correct,
13 approaching the deposition of non-
14 party. There's no reason to
15 refresh. The deposition cannot be
16 used ---
17 THE COURT: Why don't we
18 wait for him to ask his question,
19 Mr. Sobczak.
20 MR. SOBCZAK: Okay. Be that
21 way.
22 BY MR. DUMAS:
23 Q And, if we look Page 49 and 50,
24 talking about your conversation with

1 Laura about the divorce ---
2 MR. SOBCZAK: Objection,
3 Your Honor. Now, he's pointing to a
4 testimony with that question pending
5 and need to refresh. There should
6 be some rules as how to treat ---
7 THE COURT: Could we please
8 ask the question. I will rule on
9 the admissibility of the question
10 once I hear it.
11 MR. SOBCZAK: Well, the
12 question shouldn't include pointing
13 out testimony.
14 BY MR. DUMAS:
15 Q The question was, tell me the ways
16 in which she thought he, Seth, was
17 unsupportive and your answer was,
18 "Wasn't home all the time --"
19 MR. SOBCZAK: Objection.
20 Q -- to help her out.
21 THE COURT: Sustained.
22 Q Does that refresh your memory of
23 that conversation?
24 A **Yes. Yeah. It's hard to recall**

Superior Court Reporters, Inc.

1 everything.
2 MR. DUMAS: Okay. That's
3 all I have. Thank you.
4 (CROSS-EXAMINATION OF SANDY DUSEK)
5 BY MR. SOBCZAK:
6 Q Good afternoon, Ms. Dusek. So, you
7 were subpoenaed here by the
8 defendants, correct?
9 A Yes.
10 Q And, you got another subpoena about
11 a week ago sending you to a wrong
12 place, correct?
13 MR. DUMAS: Objection.
14 THE COURT: Sustained.
15 Please, that's not a proper
16 question. Could you please ask
17 proper questions?
18 Q Were you subpoenaed also about a
19 year ago by the defense for a
20 deposition, correct?
21 A For a deposition, yes.
22 Q And, either prior to that deposition
23 for this trial, have you spoken with
24 anyone from my office?

1 A No.
2 Q So, all your testimony is based on
3 what you remember of what you saw
4 with Laura, your friend of a long
5 time?
6 A Yes.
7 Q And, you said that she complained
8 about sometimes having marital
9 issues?
10 A Yeah, just like everybody else.
11 Q I was going to say, nobody has a
12 perfect marriage.
13 A Ups and downs.
14 Q Okay. And, when the defendants
15 asked you was she complaining before
16 she got sick, your answer was no?
17 A Mm-hmm.
18 Q In fact, when they asked you about
19 issues with intimacy, you said it
20 was good.
21 A Yes.
22 THE COURT: Please don't
23 repeat her answers or her testimony.
24 You may ask her questions, please.

1 Q Laura talked about sex a lot,
2 correct?
3 A Well, I wouldn't say a lot, but she
4 talked to me about it, yes.
5 Q Do you recall saying that Laura
6 basically, as far as, Seth gave her
7 food on the table, money at home,
8 and sex; she was satisfied?
9 A Yes.
10 Q And, that was all prior to 2011?
11 A Yes.
12 Q And, that's what their relationship
13 was like?
14 A Yes.
15 Q And then, she got sick?
16 A Yes.
17 Q And that all changed?
18 A Yes.
19 Q She couldn't do the things that they
20 used to do for nearly 20 years?
21 A Yes.
22 Q And, it got more difficult?
23 A Absolutely.
24 Q To the point where in the end, she

1 even contemplated divorce?
2 A Yes.
3 Q And, that's all because she got sick
4 after the treatment with the
5 defendants?
6 A Yes.
7 MS. DALPE: Objection.
8 THE COURT: Sustained. As
9 to that question, the jury is
10 disregard that question and answer.
11 Q Now, did you hear Laura complain
12 about any other things, like the
13 care she received?
14 A I don't remember, no.
15 MR. SOBCZAK: That's all I
16 have, Your Honor.
17 THE COURT: Anything else,
18 Ms. Dalpe?
19 MS. DALPE: Very quickly.
20 (REDIRECT EXAMINATION OF SANDY DUSEK)
21 BY MS. DALPE:
22 Q Ms. Dusek, have you talked anyone or
23 communicated with anyone in the
24 Doull family since your deposition

Superior Court Reporters, Inc.

Sheet 84 Page 333

333

1 of last year?
2 **A Just to check in and see how they**
3 **were doing.**
4 Q Okay. So, the answer is yes?
5 **A Yes.**
6 Q Okay. When's the last time you
7 remember Laura commenting in a
8 positive way on her intimate
9 relationship with her spouse? Do
10 you recall?
11 **A Well, it was before she got sick, so**
12 **before 2011.**
13 Q Okay. So, the last time she told
14 you anything positive about her
15 sexual relationship with her husband
16 was prior to 2011?
17 **A Well, no. I mean, it was okay for a**
18 **while beyond that.**
19 Q Ms. Dusek, after you deposed, did I
20 send you a copy of your transcript?
21 **A Yes.**
22 Q Did you read that before coming in
23 today?
24 **A Yes.**

Page 334

334

1 Q And so, you remember that I asked
2 you that same exact question last
3 year?
4 **A Yes.**
5 Q Okay. And, you remember that your
6 answer last year was that the last
7 time Laura ever commented positively
8 about her relationship with Seth was
9 prior to 2011?
10 MR. SOBCZAK: Your Honor, is
11 this direct, or cross, or
12 interrogation?
13 THE COURT: Well it is
14 redirect.
15 MR. SOBCZAK: Redirect, yes.
16 THE COURT: It's redirect,
17 this is your witness.
18 Q Ma'am, is your testimony here today
19 any different from your deposition
20 testimony?
21 **A No. But, it's probably hard to**
22 **recall everything.**
23 Q Okay. This is a year later, and so,
24 it's tough to remember what you

Page 335

335

1 testified to last year?
2 **A I'm trying to remember everything I**
3 **can.**
4 Q Okay.
5 MS. DALPE: I don't have any
6 other questions.
7 THE COURT: Mr. Dumas?
8 MR. DUMAS: No questions.
9 THE COURT: Mr. Sobczak?
10 MR. SOBCZAK: I'm content.
11 THE COURT: All right.
12 Thank you very much, Ms. Dusek. You
13 may step down. Your next witness
14 please?
15 MS. DALPE: May I have Mr.
16 Stanley.
17 LEE STANLEY, Sworn
18 (DIRECT EXAMINATION OF LEE STANLEY)
19 BY MS. DALPE:
20 Q Good afternoon, Mr. Stanley. If you
21 can't hear me at any point in time,
22 you let me know. Can you tell the
23 jury your full name and address?
24 **A Lee Stanley. 34 Church St.,**

Page 336

336

1 **Colrain, Mass, 01340.**
2 Q Mr. Stanley, can you tell the jury
3 your level of education?
4 **A I got my high school education when**
5 **I was in the service.**
6 Q Okay.
7 **A But, that was --**
8 Q You know what I might have you do,
9 is just pull the microphone a little
10 closer, just in case.
11 **A This one?**
12 Q Yes.
13 THE COURT: Right. If you
14 pull it closer. Actually, Mr.
15 Sumanski (phonetic) will do it for
16 you. That should help keep your
17 voice up. But, if you move your
18 chair in a little bit closer that
19 would help even more. Thank you
20 very much. We just want to be sure
21 everyone can hear you.
22 BY MS. DALPE:
23 Q Mr. Stanley, what was your former
24 occupation?

Superior Court Reporters, Inc.

Sheet 85 Page 337

337

1 A My what?
2 Q What did you used to do for work?
3 A I used to work for the railroad.
4 Q All right. And, you remember when
5 Laura was hospitalized in May of
6 2011; is that right?
7 A I really don't know when she was
8 hospitalized.
9 Q Okay. If you think about that time
10 in your mind, are you able to tell
11 me whether you were seeing your
12 daughter regularly in 2011?
13 A Yes, I would say. I tried to see my
14 girls.
15 Q And, how often were you seeing Laura
16 in 2011?
17 A I don't know, maybe once a week.
18 Q And, during that time in the first
19 six months of 2011, did she ever
20 indicate to you that she was feeling
21 unwell?
22 A No.
23 Q Did you ever observe her to be
24 unwell?

Page 338

338

1 A No.
2 Q Did you ever see her using an
3 inhaler or anything like that?
4 A No.
5 Q Okay. Did she have any trouble
6 going upstairs that you can recall?
7 A I don't know -- what do you mean?
8 Before she was sick or after she was
9 sick?
10 Q The first six months of 2011?
11 A That don't do much for me.
12 Q Okay. When she was hospitalized for
13 the first time in May of 2011, did
14 it come as a surprise to you?
15 A Absolutely.
16 Q All right. And, why was it a
17 surprise?
18 A Because she was so healthy.
19 Q Do you still regularly see Troy?
20 A Yes.
21 Q And, how is he doing?
22 A He's doing fine.
23 Q Okay. And, what do you think of
24 Seven Hills and what they're doing

Page 339

339

1 for him?
2 A I think it's excellent.
3 Q Okay. And, how is Megan currently?
4 A Who?
5 Q Your granddaughter?
6 A How is she?
7 Q Yes.
8 A Oh, she's fine.
9 Q Okay.
10 A I hope.
11 Q She just graduated from school,
12 right?
13 A Yes, she did.
14 Q Okay. And so, she's doing well as
15 well, correct?
16 A Yeah.
17 Q Do you have any knowledge of any
18 tension between Seth and Laura at
19 any time?
20 A No.
21 Q All right. That's not something
22 that she ever talked to you about?
23 A No.
24 MS. DALPE: Thank you. I

Page 340

340

1 have no other questions.
2 THE COURT: Mr. Dumas?
3 MR. DUMAS: I have no
4 questions, Your Honor.
5 THE COURT: Thank you. Any
6 questions?
7 MR. SOBCZAK: Yes, Your
8 Honor. Thank you.
9 (CROSS-EXAMINATION OF LEE STANLEY)
10 BY MR. SOBCZAK:
11 Q Good afternoon, Mr. Stanley. I will
12 speak up so you can hear me.
13 A Thank you.
14 Q So, prior to Laura going to the
15 hospital, you would see her about
16 one time a week?
17 A Yes.
18 Q And, for how long would you see her?
19 A It all depends on what we were
20 doing. I don't know. We might go
21 to Walmart. We go out to eat,
22 whatever.
23 Q So you didn't see her continuously,
24 correct?

Superior Court Reporters, Inc.

Sheet 86 Page 341

341

1 **A No, no.**
2 **Q** So, if she was having trouble
3 breathing an hour before she saw you
4 or an hour afterwards, you wouldn't
5 know?
6 **A Oh, no. Not at all.**
7 **Q** Laura didn't talk to you about her
8 relationship she had with her
9 husband?
10 **A Not at all.**
11 **Q** She didn't talk to you about the
12 details of her medical history?
13 **A No.**
14 **Q** You were there as her father ---
15 **A I'm just a dad, that's all I know.**
16 **Q** --- spend time with your daughter
17 and spend times with your grandkids?
18 **A Exactly.**
19 **Q** So, you wouldn't consider yourself a
20 person to describe Laura's medical
21 history?
22 **A Not at all.**
23 MS. DALPE: Objection.
24 THE COURT: Overruled.

Page 342

342

1 **Q** Now, since Laura's passing, you see
2 your grandchildren still,
3 frequently?
4 **A Sure.**
5 **Q** How has Laura's passing effected
6 Megan?
7 **A It was terrible. I mean, the girl**
8 **lost her mother. I mean, what am I**
9 **supposed to say.**
10 **Q** It's hard to describe, right?
11 **A Yeah.**
12 **Q** It's her own mother, she's a teenage
13 girl and she lost her?
14 **A That's right.**
15 **Q** And, how about Troy? You continue
16 seeing Troy at Seven Hills, correct?
17 **A Yes.**
18 **Q** And, it's a great place? They're
19 taking care of him there?
20 **A Yes, they are.**
21 **Q** Because Laura's no longer around?
22 **A Pardon?**
23 **Q** Because Laura's no longer around, so
24 she can't do it?

Page 343

343

1 **A That's right.**
2 **Q** Prior to Laura getting sick, Laura's
3 the one that did everything for
4 Troy?
5 **A Yes, she certainly did.**
6 **Q** She was managing his care, seeing
7 him 24/7 the whole --
8 **A A hundred percent.**
9 **Q** She lived for her children?
10 **A Absolutely.**
11 **Q** And then, she got sick and then was
12 taken away from her children?
13 **A Yes, it was.**
14 MR. SOBCZAK: Your Honor,
15 may I approach?
16 THE COURT: Yes.
17 **Q** The photograph I just showed you, do
18 you know what that's of?
19 **A Do I know what it's of?**
20 **Q** Yes.
21 **A Absolutely.**
22 **Q** Is that the depiction of the stone
23 where Laura's buried?
24 **A Yes.**

Page 344

344

1 **Q** Is that a fair and accurate
2 depiction?
3 **A Pardon?**
4 **Q** Is it a fair and accurate depiction
5 of the stone?
6 **A Yeah, it looks exactly like it.**
7 MR. SOBCZAK: Your Honor,
8 I'd like this to go into evidence?
9 THE COURT: Any objection?
10 MR. DUMAS: No objection,
11 Your Honor.
12 THE COURT: All right.
13 Exhibit 33.
14 (Exhibit 33, so-marked)
15 BY MR. SOBCZAK:
16 **Q** Do you wish Troy would be able to
17 come back and live with Laura?
18 MR. DUMAS: Objection.
19 THE COURT: Sustained.
20 MR. SOBCZAK: That's all I
21 have, Your Honor.
22 THE COURT: Anything else?
23 MR. DUMAS: Two brief
24 questions, Your Honor.

Superior Court Reporters, Inc.

1 (CROSS-EXAMINATION OF LEE STANLEY)
2 BY MR. DUMAS:
3 Q Sir, Mr. Sobczak asked you some
4 questions about when Laura got sick.
5 The phrase sick. Do you recall
6 testifying in your deposition that
7 you recall, although you don't
8 remember the timeframe that Laura
9 was first hospitalized when she had
10 a seizure?
11 A Yeah, she was hospitalized, yes.
12 Q And, in the times that you saw her
13 before she had her seizure, you
14 never saw her short of breath; is
15 that fair?
16 A I would say, yes. I don't ever
17 remember seeing her short of breath,
18 because she was so healthy.
19 Q Okay. Thank you.
20 MR. DUMAS: That's all I
21 have.
22 THE COURT: Anything else,
23 Ms. Dalpe?
24 MS. DALPE: No thank you,

1 Your Honor.
2 THE COURT: Anything else,
3 Mr. Sobczak?
4 MR. SOB CZAK: No, Your
5 Honor.
6 THE COURT: Okay. Thank you
7 very much, Mr. Stanley. You may
8 step down. You are excused. So,
9 we're reasonably close to 3 o'clock
10 and rather than starting another
11 witness, members of the jury, I
12 remind you that next week we should
13 be all full days and for purposes of
14 trying to at least estimate, just
15 try to keep your calendars clear
16 through at least Thursday.
17 There was one slight change
18 in what we're going to be doing next
19 week, at least the first two days.
20 And, that is this, so by way of
21 background, the highest court in
22 Massachusetts is the Supreme
23 Judicial Court and they use sit in
24 Boston, but once a year or so they

1 go out to a different county to sit
2 for a day and make their appeals of
3 cases. Nothing to do with this case
4 whatsoever.
5 And, so this year, they have
6 chosen to come to Franklin County.
7 They're going to be here on Tuesday
8 and they're going to be using two
9 courtrooms, one of which they're
10 going to be sitting in. There's a
11 number of judges, they all sit up on
12 the bench and they have a full day,
13 so about 2 o'clock worth of work and
14 because of the number of people who
15 are expected to attend, they're
16 going to need two courtrooms.
17 So, one courtroom will be
18 the one which the judges will be
19 sitting and hearing arguments and
20 the other courtroom will be
21 essentially for overflow of people
22 who can watch the proceedings before
23 the Supreme Judicial Court on video
24 camera.

1 And, fortunately or
2 unfortunately, one of the rooms they
3 will use is ours. So, on Monday,
4 even though they're not coming until
5 Tuesday, because there has to be
6 some things set up technically,
7 wires and whatever else, we're going
8 to move over to another courtroom
9 here, on Monday and Tuesday, to the
10 farther end of the building, same
11 floor.
12 I think we also have to move
13 your jury room, yes. Because, I'm
14 told that the Supreme Judicial Court
15 is going to use the room that you're
16 using right now, before they come
17 out on the bench and when they come
18 off the bench, after hearing the
19 cases they'll be hearing.
20 So, that's just by way of
21 53:04. The court officers will show
22 you today, before you leave, the
23 next room you're going to be using.
24 So, if any of you are leaving stuff

Superior Court Reporters, Inc.

Sheet 88 Page 349

349

1 in the room you're using presently,
2 I'm just telling you all this, so
3 you'll pick it up and either move it
4 down there and leave it down there
5 for Monday.

6 And, the other difference in
7 the other courtroom is there is two
8 differences. One of which is
9 there's -- it probably won't
10 interfere with looking at moved
11 screens and whatever, but there is a
12 window that's right behind the
13 jurors. I think we can screen out
14 the sunlight, but sometimes that
15 type of thing causes some reflection
16 on the screen, so hopefully that
17 won't be a problem.

18 And, the other thing is that
19 the room is actually intended for
20 jury trials in which there's six
21 jurors or maybe up to eight jurors.
22 So, quite fewer seats. But, what
23 we're going to give you the same
24 kind of seats, just that four of you

Page 350

350

1 are going to be a little bit like
2 down a step from the others. No
3 reflection on anybody's importance.
4 Hopefully, it won't interfere with
5 anything, but I just wanted to give
6 you all an idea of the fact that
7 this is going to happen for the
8 first two days, so nobody's thrown
9 off base on it.

10 It's got nothing to do with
11 the case at all. We're just
12 accommodating the Supreme Judicial
13 Court for their visit on Tuesday.

14 So, unless there's anything
15 else, we will see you all on Monday
16 morning and I remind you of this,
17 we're going to be session until 4:30
18 at the latest for those days.

19 MR. DUMAS: Do we keep these
20 on 54:39, still?

21 THE COURT: We provide the
22 seats, we'll have everything moved
23 to the other area.

24 MR. SOBCZAK: Your Honor, it

Page 351

351

1 may impact parking as well on Monday
2 and Tuesday.

3 THE COURT: Oh, that's true.
4 Yeah, what about parking. I don't
5 think Monday will be problematic,
6 Tuesday may, so ---

7 MR. DUMAS: Tuesday night,
8 yes.

9 THE COURT: So, don't worry
10 about Monday. On Monday, we'll tell
11 you what accommodations we're going
12 to make sure you don't --- I know
13 parking is already a bit of a
14 nightmare here. But, we want to
15 make sure that our jurors have
16 adequate parking. So, we'll take
17 care of that between now and Monday,
18 and we'll tell you what to do on
19 Monday. Thank you.

20 MR. SOBCZAK: Thank you,
21 Your Honor.

22 (JURY EXITS)

23 MR. SOBCZAK: Do we need to
24 move this stuff now, Your Honor, or

Page 352

352

1 Monday morning, or just for logistic
2 purposes?

3 THE COURT: Well, it
4 probably makes more sense to do it
5 today.

6 MR. SOBCZAK: Okay.

7 THE COURT: I have other
8 matters. So, they're supposed to
9 start in about 15 minutes or so.

10 MR. SOBCZAK: If you can
11 give me about 15-20 minutes, Your
12 Honor?

13 THE COURT: You tell me when
14 you're ready for me.

15 MR. SOBCZAK: All right.
16 Perfect. Thank you.

17 (Court concludes at 3:00 p.m.)
18
19
20
21
22
23
24

Superior Court Reporters, Inc.

C E R T I F I C A T I O N

I, MEREDITH POLLIER, A PER DIEM COURT REPORTER, DO HEREBY CERTIFY THAT THE FOREGOING IS A TRUE AND ACCURATE TRANSCRIPT FROM THE RECORD OF THE COURT PROCEEDINGS IN THE ABOVE-ENTITLED MATTER.

I, MEREDITH POLLIER, FURTHER CERTIFY THAT I NEITHER AM COUNSEL FOR, RELATED TO, NOR EMPLOYED BY ANY OF THE PARTIES TO THE ACTION IN WHICH THIS HEARING WAS TAKEN, AND FURTHER THAT I AM NOT FINANCIALLY NOR OTHERWISE INTERESTED IN THE OUTCOME OF THE ACTION.

MEREDITH POLLIER, PER DIEM COURT REPORTER
PROCEEDINGS RECORDED BY STENOMASK. TRANSCRIPTS
PRODUCED FROM COMPUTER.

MEREDITH POLLIER

DATE