

Page 1

1 # C-25222-vc1  
 2 NO. 99-2625-3  
 3 SEVERA DeLEON ) IN THE DISTRICT COURT  
 4 )  
 4 Plaintiff(s), )  
 VS. ) McLENNAN COUNTY, TEXAS  
 5 )  
 ROBERT W. GRAYSON, M.D. )  
 6 )  
 Defendant(s), ) 74TH JUDICIAL DISTRICT  
 7 )  
 8 .....  
 9 ORAL AND VIDEOTAPED DEPOSITION OF  
 10 ARMANDO G. CORREA, M.D.  
 11 DECEMBER 20, 2000  
 12 .....  
 13  
 14 ORAL AND VIDEOTAPED DEPOSITION of ARMANDO G.  
 15 CORREA, M.D., produced as a witness at the instance  
 16 of the Defendant, and duly sworn, was taken in the  
 17 above-styled and numbered cause on the 20th day of  
 18 December, 2000, from 2:08 p.m. to 7:02 p.m., before  
 19 Velma C. LaChausse, CSR in and for the State of  
 20 Texas, reported by machine shorthand, at the offices  
 21 of Greer & Martin, 815 Walker, Suite 1447, pursuant  
 22 to the Texas Rules of Civil Procedure.  
 23  
 24  
 25

Page 1

INDEX

	Page
1 Appearances.....	2
2 ARMANDO G. CORREA, M.D.	
3 Examination by Mr. Martin.....	4
4 Examination by Mr. Austin.....	36
5 Further Examination by Mr. Martin.....	188
6 Further Examination by Mr. Austin.....	198
7 Signature and Changes.....	201-202
8 Reporter's Certificate.....	203
9	
10	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	

EXHIBITS

13 NO. DESCRIPTION	PAGE
14 Exhibit No. 1.....	9
15 Curriculum Vitae	
16 Exhibit No. 2.....	34
17 "The Medical Letter"	
18 Exhibit No. 3.....	48
19 Affidavit of Armando Correa, M.D.	
20 Exhibit No. 4.....	200
21 Invoice	
22	
23	
24	
25	

Page 2

1

APPEARANCES

2

3

4 FOR THE PLAINTIFF(S):

5 Mr. James F. Martin

6 GREER & MARTIN, L.L.P.

7 815 Walker, Suite 1447

8 Houston, Texas 77002

9 713) 223-0175

10

11 FOR THE DEFENDANT(S) ROBERT W GRAYSON, M.D.:

12 Mr. Robert E. Austin, IV

13 THE JONES LAW FIRM, L.L.P.

14 5307 E. Mockingbird Lane

15 Suite 810

16 Dallas, Texas 75206

17 214) 828-9200

18

19 ALSO PRESENT.

20 Ms. Dottie Lawrence, Videographer

21

22

23

24

25

Page 4

1 THE VIDEOGRAPHER: This is the

2 videotaped deposition of Dr. Armando Correa taken on

3 December 20th, 2000, in the offices of Greer &

4 Martin. The time is approximately 2:08 p.m. and

5 we're on the record.

6 ARMANDO G. CORREA, M.D.,

7 having been first duly sworn, testified as follows:

8 EXAMINATION

9 BY MR. MARTIN:

10 Q. Doctor, would you please state your full

11 name?

12 A. Yes. My name is Armando, my middle initial

13 is G, and my last name is Correa, C-O-R-R-E-A.

14 Q. Are you a medical doctor?

15 A. Yes, I am.

16 Q. Briefly about your personal. Are you

17 married?

18 A. Yes, I am.

19 Q. Where do you live?

20 A. I live in Pearland, Texas.

21 Q. Is that near Houston?

22 A. Yes. It's a suburb of Houston.

23 Q. And do you have any children?

24 A. Yes, I do. I have four children.

25 Q. All right. Tell me a little bit about your

Page 5

1 education in order to become a doctor.  
 2 A. Sure, Mr. Martin. I went to medical school  
 3 in Monterrey, Mexico, where I trained for seven  
 4 years. Following my completion of medical school, I  
 5 did a residency in pediatrics at the children's  
 6 hospital of Austin at Breckenridge. This is a  
 7 pediatric hospital in Austin, Texas. I became the  
 8 chief resident on my last year over there.  
 9 Q. Okay. Is -- what years would you have  
 10 completed your residency?  
 11 A. I completed my residency in 1991.  
 12 Q. Did you -- what -- tell the jury what a  
 13 residency is. Most people don't know what it is.  
 14 They probably heard the term resident, but what is a  
 15 residency?  
 16 A. Most certainly. A residency is the years  
 17 following your medical training. Certainly when you  
 18 become a physician you have general knowledge of many  
 19 aspects of medicine, but the residency is a time  
 20 period where you get further training into a specific  
 21 field. In this case, to mention, in pediatrics.  
 22 Q. All right. Did you do anything, as far as  
 23 training like that, beyond your residency, such as a  
 24 fellowship?  
 25 A. Yes, I did.

Page 6

1 Q. And where did you do your fellowship?  
 2 A. I did my fellowship at Baylor College of  
 3 Medicine here in Houston.  
 4 Q. And what fellowship program were you  
 5 involved in?  
 6 A. Infectious diseases.  
 7 Q. What is your specialty today?  
 8 A. My specialty? I'm actually board certified  
 9 both in pediatrics and in infectious diseases.  
 10 Q. Okay. Explain to the jury what a  
 11 fellowship is.  
 12 A. A fellowship is further training, beyond  
 13 the three years of residency, in my case. I had an  
 14 additional two years of training, specifically with  
 15 infectious diseases.  
 16 Q. After you got this fellowship, what did you  
 17 do in terms of your career?  
 18 A. At the completion of my fellowship, I was  
 19 offered a position as a teacher in Baylor College of  
 20 Medicine, so I'm currently in the faculty at Baylor  
 21 College of Medicine as an assistant professor.  
 22 Q. And how -- and when did that start?  
 23 A. That started in July of 1993.  
 24 Q. And when you -- what do you teach?  
 25 A. I teach pediatrics, infectious diseases and

Page 7

1 related topics to medical students, to other  
 2 residents and to fellows.  
 3 Q. You mentioned before the term board  
 4 certification. What does board certification mean?  
 5 A. Board certification means that you have  
 6 completed the requirements to be board certified and  
 7 that you have passed an exam in order to become board  
 8 certified. That basically means that you're  
 9 qualified to treat and give opinions regarding  
 10 pediatric patients or patients with infectious  
 11 diseases.  
 12 Q. When did you become certified -- board  
 13 certified in infectious diseases?  
 14 A. If I remember correctly, I became certified  
 15 in 1994.  
 16 Q. Have you been -- in your academic  
 17 environment, have you been involved in giving  
 18 lectures?  
 19 A. Yes, I have.  
 20 Q. How often do you give lectures?  
 21 A. Well, I have some formal lectures and  
 22 teaching duties at the college, and that is on a  
 23 regular basis, at least once or twice a month. In  
 24 addition to that, I go and give lectures as an  
 25 invited speaker. And that certainly varies from

Page 8

1 month to month, but on the average, about eight to  
 2 ten times a year.  
 3 Q. And also as part of your academic  
 4 background do you get involved in authoring articles  
 5 and publications?  
 6 A. Yes, I do.  
 7 Q. Can you give us some examples of some  
 8 articles and publications that you've been involved  
 9 in relating to infectious disease?  
 10 A. Most certainly. I have, at this time,  
 11 authored approximately 13 or 14 journal articles in  
 12 peer review journal articles that deal with  
 13 infectious diseases. In the same subject, I have  
 14 written at least five or six chapters in different  
 15 textbooks related to infectious diseases.  
 16 Q. Are you -- is your license on file with the  
 17 State of Texas?  
 18 A. Yes, it is.  
 19 Q. And are you licensed to practice medicine  
 20 here in Texas?  
 21 A. Yes, I am.  
 22 Q. Are you a member of any professional  
 23 societies?  
 24 A. Yes, I am.  
 25 Q. Would you tell us about the professional

1 societies that deal with infectious diseases?  
 2 A. Most certainly. I'm a member of the  
 3 American Society of Microbiology, which is a national  
 4 organization that deals with basically infectious --  
 5 infections and infectious agents. I'm also a member  
 6 of the Infectious Disease Society of America, which  
 7 is a nationwide society of people who specialize in  
 8 infectious diseases, and I'm also a member of the  
 9 Infectious -- Pediatric Infectious Disease Society  
 10 and the Texas Infectious Disease Society.  
 11 Q. Let me hand you what we will have marked as  
 12 Correa Exhibit No. 1 and ask you if you could  
 13 identify what this document is.  
 14 (Exhibit No. 1 was marked)  
 15 A. Yes, sir. What we have here is a copy  
 16 of -- an up-to-date copy of my curriculum vitae.  
 17 Q. (BY MR. MARTIN) And a curriculum vitae, is  
 18 that short for C.V.?  
 19 A. That's correct.  
 20 Q. And tell the jury what a C.V. is.  
 21 A. Well, the C.V. is basically a compilation  
 22 of all of my education, the different appointments  
 23 and different participation that I have had with  
 24 medical institutions, as well as the different talks,  
 25 chapters, abstracts that I have prepared.

1 adults and pediatric patients.  
 2 Q. Now, we've used that term infectious  
 3 diseases, but why don't you tell the jury, what is  
 4 the specialty that you call infectious diseases?  
 5 A. This specialty is basically a specialized  
 6 care of patients who are known to have or are  
 7 suspected to have an infection, whether it's a  
 8 bacteria or a virus. This specialty also deals with  
 9 preventing infections, understanding how infections  
 10 occur, and finally, treating those infections.  
 11 Q. If a doctor has a serious question about  
 12 bacteria, who do they go to?  
 13 A. Most commonly an infectious disease  
 14 specialist.  
 15 Q. If a doctor has a serious question about  
 16 the type of antibiotic to give, who do they go to?  
 17 A. It's the same answer. Typically an  
 18 infectious disease specialist.  
 19 Q. Doctor, to the extent that I ask your  
 20 opinions here today, will you give those opinions  
 21 based upon reasonable medical probability?  
 22 A. Yes, sir, I will.  
 23 Q. All right. Have you reviewed the autopsy  
 24 report for Gloria Bass?  
 25 A. Yes, I have.

1 Q. Concerning your current practice in  
 2 treating patients, where do you currently practice  
 3 when you're treating patients?  
 4 A. Well, as I mentioned, I'm currently an  
 5 assistant professor at Baylor College of Medicine, so  
 6 I participate in the care of patients in hospitals  
 7 affiliated with Baylor College of Medicine,  
 8 specifically will be Ben Taub General Hospital, Texas  
 9 Children's Hospital, Women's Hospital of Texas,  
 10 Shriner's Hospital for crippled children, and I think  
 11 that covers the hospitals that I attend.  
 12 Q. Would it be fair to say that most of your  
 13 current practice is in treating for infectious  
 14 diseases?  
 15 A. Yes.  
 16 Q. Do you treat some adults for infectious  
 17 diseases?  
 18 A. Yes, I do.  
 19 Q. Okay. Is there -- Doctor, I know your  
 20 specialty is in pediatrics. Is there any difference  
 21 in the training in the medical science that you  
 22 learned with the specialty in pediatrics infectious  
 23 diseases versus infectious diseases for adults?  
 24 A. With respect to the basic science of  
 25 infectious diseases, there's no difference between

1 Q. Let me hand you the autopsy report. And  
 2 I'm going to ask you if you could do this for me:  
 3 Would you read the cause of the death under the  
 4 conclusions -- well, read the whole conclusions  
 5 section to the autopsy report prepared by the medical  
 6 examiner.  
 7 A. Most certainly. "Based on the anatomic  
 8 findings in autopsy and investigation information  
 9 available at this time, it is my conclusion that  
 10 Gloria Bass, a 34-year-old white female, died as a  
 11 result of sepsis and bacterial peritonitis after a  
 12 recent intraabdominal surgical procedure. Cause of  
 13 death, sepsis and peritonitis.  
 14 Q. All right, Doctor. Do you agree with that  
 15 opinion?  
 16 A. Yes, I do.  
 17 Q. All right. Now, first of all, some of the  
 18 juries, they probably heard the term medical  
 19 examiner, but explain what a medical examiner is.  
 20 A. Yes. A medical examiner is usually a  
 21 physician who has been assigned by the State to  
 22 determine the cause of death when the patient died  
 23 under unusual circumstances.  
 24 Q. Who is the medical examiner, specifically  
 25 her name, for Gloria Bass, when she died?

Page 13

1 A. In this particular case the medical  
2 examiner was Dr. Peacock, Elizabeth Peacock.  
3 Q. Have you read Dr. Peacock's deposition?  
4 A. Yes, I have.  
5 Q. Have you read where she talks about her  
6 training and experience?  
7 A. Yes, I have.  
8 Q. Is she -- is Dr. Peacock a specialist in  
9 infectious diseases?  
10 A. No, she is not.  
11 Q. All right. In the medical examiner's  
12 report where they talk about the cause of death,  
13 they're talking about tubal ligation surgery?  
14 A. That is correct.  
15 Q. And when did the tubal ligation surgery  
16 occur?  
17 A. The tubal ligation surgery occurred in June  
18 of 1997.  
19 Q. And let me try to be more specific with  
20 you, and let me see if I can find the document. I  
21 want to know the exact date that this surgery  
22 occurred, if I -- if I could find that, Doctor. Do  
23 you have any records that might show that?  
24 A. Yes, I do.  
25 Q. Let's try to get that for the jury. Let me

Page 15

1 Q. The words used by the medical examiner to  
2 describe the cause of death, the first word used was  
3 peritonitis. Is that right?  
4 A. That's correct.  
5 Q. Well, let's talk a little bit about  
6 peritonitis. Tell the jury what peritonitis is.  
7 A. Well, let me explain by explaining to you  
8 what the peritoneum is. The peritoneum is a tissue  
9 that lines the abdominal cavity, and the peritoneum  
10 is basically the space where the gut and other organs  
11 are.  
12 Q. Okay. And that space is called -- Does it  
13 have a name, that space?  
14 A. Yes. It's called the peritoneal cavity.  
15 Q. Or the abdominal cavity?  
16 A. That's correct.  
17 Q. And the surgery that Gloria Bass had on  
18 June 6th was in what part of her body?  
19 A. It was in the abdominal cavity.  
20 Q. Okay. And exactly what is peritonitis  
21 then?  
22 A. Peritonitis means that this lining and this  
23 space has now become infected. Now there is  
24 inflammation and infection of that surface.  
25 Q. And infection inside the abdominal cavity?

Page 14

1 help you with that. Let me hand you the operative  
2 report for this particular surgery. Does that say  
3 the date of this surgery?  
4 A. Yes, sir. It says that the surgery was  
5 performed on June 6th of 1997.  
6 Q. And who performed the surgery?  
7 A. The surgery was performed by a physician by  
8 the name of Robert W. Grayson.  
9 Q. And what date -- looking back to the  
10 autopsy report, what was the date of Gloria Bass'  
11 death?  
12 A. Looking back at the report, that day that  
13 she died was June the 8th of 1997.  
14 Q. So this is -- she died two days after the  
15 operation?  
16 A. That is correct.  
17 Q. All right. Now, the words used to describe  
18 the cause for the death of this --  
19 MR. MARTIN: Can you hear?  
20 THE VIDEOGRAPHER: (Moving head up and  
21 down)  
22 Q. (BY MR. MARTIN) The words used to des--  
23 why don't we take a minute and put that back on,  
24 Doc. Is that coming off?  
25 A. Thank you.

Page 16

1 A. That is correct.  
2 Q. Okay. What is the infection? Is there a  
3 specific type of infection that it has to be? Can it  
4 be bacterial or viral?  
5 A. The vast majority of peritonitis are  
6 bacterial in origin.  
7 Q. Okay. Let's talk a little bit about  
8 bacteria, then. What are bacteria?  
9 A. Bacteria are microscopic organisms that are  
10 capable of causing disease in humans.  
11 Q. Can bacteria be deadly?  
12 A. Absolutely.  
13 Q. What about if treated?  
14 MR. AUSTIN: Objection; form.  
15 A. In the majority of the cases, they are not.  
16 Q. (BY MR. MARTIN) Okay. How do you treat  
17 bacteria?  
18 A. Bacteria is commonly treated with  
19 antibiotics.  
20 Q. All right. And let's talk a little bit  
21 about antibiotics. What are antibiotics?  
22 A. Antibiotics are chemical products that have  
23 been found to destroy or stop the bacteria from  
24 causing serious injury. Antibiotics are probably the  
25 most important discovery of the 20th century.

Page 17

1 Q. They save a lot of lives?  
 2 A. Absolutely. Before antibiotics, a patient  
 3 with a condition such as this would have certainly  
 4 died, but since the introduction of antibiotics, the  
 5 survival rate is almost a hundred percent.  
 6 MR. AUSTIN: Objection; nonresponsive.  
 7 Q. (BY MR. MARTIN) Tell me the types of  
 8 conditions that after discovery of antibiotics saved  
 9 lives.  
 10 A. Well, certainly any infection of the blood  
 11 stream, sepsis, sepsis syndrome, septicemia, all of  
 12 those infections have certainly -- the outcome of  
 13 those infections has certainly improved. Same  
 14 situation with intraabdominal infections, such as  
 15 appendicitis or perforated gut. Infections in the  
 16 lungs, pneumonia, respiratory tract infections,  
 17 meningitis. There's a vast number of infections in  
 18 which the outcome has really changed since the  
 19 introduction of antibiotics.  
 20 Q. Last thing I want you to teach us a little  
 21 bit about is how bacteria grows. Tell the jury, just  
 22 in infectious disease terms, as easy as you can for  
 23 these -- for the people on the jury, how bacteria  
 24 grow.  
 25 A. Yes. Bacteria are typically obtained from

Page 18

1 a tissue sample, and what we do is we put them in a  
 2 special media where there's enough nutrients and  
 3 perfect conditions for them to grow. The most common  
 4 thing that we use is blood. It's something called a  
 5 blood agar, A-G-A-R. It's a plate that has the  
 6 nutrients that allow the bacteria to duplicate and  
 7 reproduce.  
 8 Q. How do they grow inside the body?  
 9 A. Well, in the body, obviously they grow  
 10 in -- under ideal circumstances, in a place that is  
 11 moist, that is warm and a perfect temperature. For  
 12 example, in the blood.  
 13 Q. Does fecal matter from the bowel have  
 14 bacteria in it?  
 15 A. Yes, it does.  
 16 Q. What kind of bacteria does it have?  
 17 A. This bacteria are usually -- we call them  
 18 enteric bacteria, and some of the examples I can give  
 19 you is bacteria like the one called E. coli,  
 20 Klebsiella. These are bacteria that normally live in  
 21 our GI tract.  
 22 Q. All right. And what caused Gloria Bass'  
 23 peritonitis was bacteria?  
 24 A. That's correct.  
 25 Q. Tell us, Doctor, based upon what you have

Page 19

1 reviewed, the reasonably plausible sources for the  
 2 bacteria getting inside of Gloria Bass' abdominal  
 3 cavity during the surgery.  
 4 A. Mr. Martin, there's --  
 5 MR. AUSTIN: Object to form of that.  
 6 MR. MARTIN: What's your objection?  
 7 MR. AUSTIN: He has no basis for  
 8 knowing that.  
 9 Q. (BY MR. MARTIN) Go ahead.  
 10 A. Mr. Martin, I believe that there's just a  
 11 few ways that the bacteria --  
 12 Q. Let me stop you for a second so that I --  
 13 so we don't have to repeat it. His objection was to  
 14 form. I think he's saying no predicate, so I need to  
 15 establish that with you.  
 16 In your training and experience, have  
 17 you been involved in identifying sources of bacteria  
 18 for patients who have undergone operations?  
 19 A. Yes, I have.  
 20 Q. Have you been involved in identifying  
 21 sources of bacteria via punctured bowels?  
 22 A. Yes, I have.  
 23 Q. Are you familiar, based upon your training  
 24 and your experience, are you familiar with the  
 25 various ways that infections can develop in an

Page 20

1 abdominal cavity?  
 2 A. I certainly am.  
 3 Q. All right. Tell us the reasonably  
 4 plausible sources for how bacteria can get inside an  
 5 abdominal cavity, such as in the surgery that Gloria  
 6 Bass had.  
 7 A. Yes. The possibilities include perforation  
 8 of the gut.  
 9 Q. When you say the gut, what are you talking  
 10 about?  
 11 A. The bowel.  
 12 Q. Okay.  
 13 A. The GI tract.  
 14 Q. All right. What else?  
 15 A. You can also have translocation of  
 16 bacteria, bacteria that migrates from inside the gut,  
 17 out into the peritoneal or abdominal cavity because  
 18 of the excessive handling of the gut, as it occurred  
 19 in this case.  
 20 Q. And again, when you say gut, you're  
 21 referring to the bowel?  
 22 A. Yes.  
 23 Q. I just want to be that clear for the jury.  
 24 A. Yes, sir.  
 25 Q. What else?

Page 25

1 Q. Now, the appearance of the bowel upon  
2 autopsy, when she was looking inside, was there pus  
3 around there or -- describe that for us.

4 A. Yes. Her description is that the bowel was  
5 covered with pus.

6 Q. Could she get a clear view of the bowel  
7 surfaces?

8 A. No, she could not.

9 Q. Did she do the type of test that would be  
10 necessary to determine whether there was a puncture  
11 in the bowel?

12 MR. AUSTIN: Object to the form.

13 A. Based on the records, she did not.

14 Q. (BY MR. MARTIN) Let's talk about your  
15 opinion where the bacteria came from that caused  
16 Gloria Bass' peritonitis and the likely type of  
17 bacteria. First of all, do you have an opinion?

18 A. Yes, I do.

19 Q. And what is your opinion?

20 A. My opinion is that the bacteria that caused  
21 Gloria Bass' death -- infection came from her gut,  
22 came from a puncture of her gut, and that the type of  
23 bacteria was the bacteria that normally live in the  
24 gut, called enteric bacteria.

25 Q. That's from fecal matter?

Page 27

1 Q. In your opinion, could microscopic bacteria  
2 that could have gotten in from an unsterile  
3 instrument have resulted in that much pus?

4 A. No.

5 Q. In your opinion, could microscopic bacteria  
6 that could have gotten in during the surgery from  
7 such bacteria that lives on the skin have caused this  
8 amount of pus?

9 A. No.

10 Q. What is -- what is the only source that you  
11 opine could've resulted in this amount of pus being  
12 in her abdominal cavity on autopsy?

13 A. In my opinion, the only source was a  
14 perforation, a puncture of the bowel.

15 Q. Now, is there any significance -- I'm  
16 sorry. Strike that.

17 You've read the medical examiners's  
18 deposition. Right?

19 A. Yes, I have.

20 Q. Is there any significance to her finding  
21 that the severed -- the ovarian artery was severed in  
22 terms of the development of bacteria?

23 A. In terms of developing of peritonitis?

24 Q. Yes.

25 A. No.

Page 26

1 A. That's correct.

2 Q. And when you say gut, again, you also refer  
3 to that as the bowel?

4 A. That's correct.

5 Q. Tell us -- tell this jury why you believe  
6 the bacteria came from a punctured bowel.

7 A. Well, as I had mentioned, it is a known  
8 risk, it's a known complication of this procedure.  
9 We know that Dr. Grayson had introduced a laparoscope  
10 in order to visualize the surgery that he was doing,  
11 and the type of bacteria that would produce this type  
12 of infection must have come from the gut.

13 Q. Okay. Is there anything about the amount  
14 of the pus found inside the abdominal cavity by  
15 Dr. Peacock that you find significant?

16 A. Yes, it is, Mr. Martin. The amount of pus  
17 was over a hundred cc's. That means -- I'm sorry.

18 Q. Was it a hundred?

19 A. Over a thousand cc's. That means over one  
20 liter. So just to give you an idea, we're talking  
21 about roughly a quart of pus. In order for that  
22 amount of pus, you would have had to have a large  
23 amount of bacteria, probably a visible amount of  
24 bacteria, escape from the bowel and then set in to  
25 create this infection.

Page 28

1 Q. Well, I mean, in terms of -- in terms of  
2 how bacteria grow.

3 A. Oh, most certainly. As we had mentioned  
4 earlier, bacteria grows in an ideal media, where you  
5 have nutrients, where you have blood, where you have  
6 warm temperature, and in this case, the fact that the  
7 artery was severed created the perfect place for  
8 bacteria to grow at a faster rate.

9 Q. How do you know that Dr. Grayson severed  
10 the ovarian artery during that surgery?

11 A. Well, it's not only described in the  
12 autopsy where -- and in Dr. Peacock's deposition  
13 where she describes that the artery was severed, but  
14 in addition, in her report, she talks about necrotic  
15 tissue in the ovaries. That means that the blood --  
16 blood was not flowing to these ovaries. These  
17 ovaries were dying from lack of blood supply.

18 Q. In part, as your opinion, are you relying  
19 upon that of Dr. Peacock?

20 A. Yes.

21 Q. All right. I'm going to ask you to read  
22 from Dr. Peacock's deposition, if you could,  
23 beginning on Page 30, Line 6, down to Page 30,  
24 Line 22. If you could read that for the jury, what  
25 she says.

1 A. And finally, contamination.  
 2 Q. Contamination from what sources?  
 3 A. Well, the contamination could occur if a --  
 4 the equipment that we use was not properly  
 5 sterilized. Sometimes, also, in a long procedure and  
 6 despite conditions of sterility from the surgeons and  
 7 the hospital, bacteria may actually find a way of  
 8 getting into the operation, or into the site that is  
 9 being operated.  
 10 Q. Okay. Now, explain -- I want to go over  
 11 each of those to explain how that results in  
 12 bacteria, and I think you just did it for the  
 13 contamination part, but explain how bacteria can get  
 14 into an abdominal cavity with a punctured bowel.  
 15 Explain that to the jury.  
 16 A. Well, it is a known risk of surgery, such  
 17 as the one that Ms. Bass underwent. And what it  
 18 basically is, is you're using a blunt object that has  
 19 certain degree of sharpness, and as it goes through  
 20 the surface of the abdomen, it can go and perforate  
 21 and cause a hole, basically, in the bowel.  
 22 Q. You've read Dr. Grayson's deposition?  
 23 A. Yes, I have.  
 24 Q. Has -- has he punctured a bowel in another  
 25 surgery that's similar to one like this?

1 time, bacteria may find a way of getting access to  
 2 that cavity.  
 3 Q. When Dr. Peacock, the medical examiner, did  
 4 the autopsy on Gloria Bass, was she able to determine  
 5 the exact source of the bacteria and what kind of  
 6 bacteria it was?  
 7 A. No, she was not.  
 8 Q. Why not?  
 9 A. Well, in -- her duty was to determine the  
 10 cause of death, and in her opinion, the cause of  
 11 death was explained by the findings as she  
 12 described. She was not able to determine the exact  
 13 source of that bacteria.  
 14 Q. What is the best way for -- for Dr. Peacock  
 15 to have determined the exact type of bacteria that  
 16 was involved?  
 17 A. Well, in that case, culture is the definite  
 18 way of determining which bacteria is involved.  
 19 Q. And explain to the jury what a culture is.  
 20 A. It's basically taking a sample of that pus  
 21 that was seen in the autopsy and growing it in a  
 22 plate, as I mentioned, a plate that usually contains  
 23 blood, that will allow it to grow, and then we stain  
 24 it and we identify it under the microscope to  
 25 determine which bacteria it is.

1 A. Yes, he has.  
 2 Q. Explain to me -- we've talked about  
 3 punctured bowel, then, how the bacteria gets in.  
 4 Let's explain how it gets into the abdominal cavity  
 5 via excessive handling of the bowel. That was  
 6 another possibility.  
 7 MR. AUSTIN: Object to the form.  
 8 A. Yes. In cases where the gut is manipulated  
 9 for a long period of time, it is possible that the  
 10 union, the joints between cell and cell may become  
 11 open, and so you have a little gap from which a few  
 12 amount of -- a few bacteria can actually go from  
 13 inside the bowel to the outside of the bowel.  
 14 Q. All right. And then explain to the jury  
 15 how contamination -- how bacteria can get into a  
 16 wound during surgery from contamination.  
 17 A. Well, typically in a surgery you have a  
 18 sterile media, so -- and the -- that occasionally,  
 19 the instrument that is being used, may not have been  
 20 properly sterilized and the bacteria may actually be  
 21 living or be colonizing the instrument, and when it's  
 22 introduced into the abdominal cavity, it will become  
 23 an infection.  
 24 In a similar matter, as we described,  
 25 sometimes if a wound is left open for a period of

1 Q. Well, why didn't she perform a culture?  
 2 A. My recollection from her deposition was  
 3 that she did not have the facilities to culture at  
 4 the place that she was performing the autopsy. It  
 5 would have required her to send them to another  
 6 facility.  
 7 Q. Did she trust the other facility that she  
 8 would send it to? Did you get -- did you get any  
 9 implication from her testimony as to that?  
 10 A. When I was reading her testimony, is that  
 11 she did not. This autopsy apparently happened during  
 12 the weekend, and this would have implied waiting  
 13 until the next working day to send the cultures, and  
 14 her interpretation was that this would have been  
 15 unreliable.  
 16 Q. To establish that the bacteria did not come  
 17 from a punctured bowel, what would Dr. Peacock have  
 18 had to do?  
 19 A. Well, she would have either had to do a  
 20 very extensive microscopic examination of the whole  
 21 gut, of the whole bowel, and so we're talking about  
 22 more than ten or twelve yards of gut that she would  
 23 have had to examined, or she could have introduced a  
 24 dye or water into the gut to see if it was leaking at  
 25 any place.

1 A. "Your report seems to find that there was a  
2 severed ovarian artery." Her answer is, "Yes." "Is  
3 that right?" "Yes. Interruption." "Interruption  
4 means that it was apart, wasn't connected, not  
5 intact." "Right." "All right. And you -- do you  
6 know when that became severed, or I guess, not  
7 intact?" Her answer, "I don't have independent  
8 knowledge of it, but with the recent operative  
9 procedure to that area, it would be my strong opinion  
10 that it occurred during the procedure." "And what  
11 runs through the ovarian artery, what material?" The  
12 answer, "Blood."

13 Q. Okay. All right, Doctor. We've talked  
14 about peritonitis in detail and your opinions  
15 relating to that. Have we talked about the second  
16 part of the medical examiner's opinion on the cause  
17 of death, sepsis?

18 A. No, we have not.

19 Q. All right. Let's talk about sepsis. First  
20 of all, what is sepsis?

21 A. Well, sepsis is a term, it's a medical term  
22 that we use to describe a group of symptoms, a group  
23 of signs that are the result of a bacteria of an  
24 infection getting into the bloodstream.

25 Q. Okay. And so how are you saying that

1 whether the punctured bowel caused Gloria Bass'  
2 sepsis and death?

3 A. Yes, I do.

4 Q. And what does --

5 MR. AUSTIN: Object to the form.

6 Q. (BY MR. MARTIN) And what is that opinion?

7 MR. AUSTIN: Object to the form.

8 A. My opinion is that the puncture of the  
9 bowel was what ultimately caused her death. This is  
10 by causing the sepsis that led to her death.

11 Q. (BY MR. MARTIN) All right. Let's  
12 specifically talk about your opinions as to how the  
13 bacteria, from whatever source, whether it be a  
14 punctured bowel or from unsterilized instruments,  
15 whatever source, how it got into the blood. Do you  
16 understand that?

17 A. Yes, I do.

18 Q. All right. First, do you have an opinion  
19 as to how the bacteria, whether it would be from the  
20 bowel or from any other source, got into Gloria Bass'  
21 blood?

22 A. Yes, I do have an opinion.

23 Q. And what is that opinion?

24 A. My opinion is that that bacteria gained  
25 access to the bloodstream from the severed ovarian

1 sepsis develops? Does it develop from bacteria?

2 A. Yes, it does.

3 Q. And the way it develops is it gets in the  
4 blood?

5 A. That is correct.

6 Q. Is sepsis what killed Gloria Bass?

7 A. Yes, it is.

8 Q. Okay. Explain how the sepsis killed Gloria  
9 Bass.

10 A. Yes. As I was mentioning, sepsis means  
11 the -- not only that the bacteria has gained access  
12 to the blood, but now it has created a chain  
13 reaction. It has created the body to respond in  
14 different ways, and one of the things with sepsis is  
15 that it starts affecting other organs. It starts  
16 affecting the lungs, it starts affecting the heart,  
17 it starts affecting the kidney, and it starts causing  
18 all of these organs to shut down, to stop being  
19 effective. So in this case, it was the development  
20 of this failure of the heart, of the lungs, of the  
21 kidneys, that ultimately killed her.

22 Q. Does the medical examiner agree that sepsis  
23 caused Gloria Bass' death?

24 A. Yes, she does.

25 Q. All right, Doctor. Do you have an opinion

1 artery.

2 Q. All right. Now, explain that opinion to  
3 the jury, if you would.

4 A. Yes, most certainly. Normally the blood  
5 is -- let me back up.

6 The abdominal cavity is not open to  
7 blood. There's no blood flowing directly into the  
8 cavity. It's flowing to the organs, but not into the  
9 cavity. That is protected by the walls of the blood  
10 vessels. Now all of a sudden you have a blood vessel  
11 that has been severed. The wall has been severed,  
12 that's been cut, and now there is basically an open  
13 door for the bacteria to gain access into the  
14 bloodstream and cause the sepsis.

15 Q. How does Dr. Peacock describe the area  
16 where the ovarian artery was severed?

17 A. She basically describes this as necrotic  
18 tissue.

19 Q. And that means what?

20 A. That means that the tissue is dead, that  
21 the tissue is shrinking, is dead, because it has not  
22 received the proper blood supply, and by that, the  
23 oxygen supply.

24 Q. All right. So regardless of whether the  
25 bacteria was caused -- was from the bowel -- and I

Page 33

1 want you to set that aside -- or whether it was from  
2 any instruments or from any other source, do you have  
3 an opinion as to what caused the sepsis in Gloria  
4 Bass' death?

5 A. Yes, I do.

6 Q. And what is that opinion?

7 A. My opinion is that the sepsis was caused by  
8 the severed ovarian artery.

9 Q. If the ovarian artery had not been severed  
10 by Dr. Grayson during that operation, do you have an  
11 opinion as to whether Gloria Bass would be alive  
12 today?

13 A. Yes, I do.

14 Q. And what is that opinion?

15 MR. AUSTIN: Let me object to the  
16 form?

17 A. My opinion is that she would have survived.

18 MR. MARTIN: What was your objection  
19 to form?

20 MR. AUSTIN: Predicate.

21 MR. MARTIN: What particular aspect of  
22 predicate?

23 MR. AUSTIN: He's not qualified

24 because he's not a surgeon, in particular, a --

25 MR. MARTIN: Okay.

Page 35

1 A. Yes, I do.

2 Q. Specifically, what does the letter show in  
3 terms of antibiotic therapy for a patient with a  
4 severed ovarian artery?

5 A. Well, in this letter it talks about the use  
6 of antibiotics in vascular surgery involving the  
7 abdominal aorta. The ovarian artery is a branch of  
8 the ovarian -- I'm sorry -- of the abdominal aorta,  
9 and in this case, it indicates that antibiotics  
10 should be administered.

11 Q. All right. Let me ask you this: Assume  
12 for a second that if this ovarian artery had been  
13 discovered that it was severed and the decision was  
14 made to simply remove the ovary, from an infectious  
15 disease standpoint, if you were consulted what would  
16 you have recommended in terms of antibiotic therapy?

17 A. Well, Mr. Martin, in fact, in this same  
18 publication, they talk about antibiotic usage in  
19 gynecologic and obstetric procedures. And for  
20 practical purposes, removing the ovary would fall in  
21 the same category as hysterectomy. And again, in  
22 this case, the recommendation is to administer  
23 antibiotics in those situations.

24 Q. If antibiotics, in either of the  
25 situations, either, in any situation where it was

Page 34

1 MR. AUSTIN: -- gynecological surgeon.

2 Q. (BY MR. MARTIN) One last area of  
3 questions, Doctor. From an infectious disease  
4 standpoint, what should have been the treatment for  
5 Gloria Bass if Dr. Grayson had discovered that he had  
6 severed the ovarian artery and consulted with an  
7 infectious disease expert, such as yourself?

8 A. Strictly from an infectious disease  
9 standpoint, would have been the administration of  
10 antibiotics.

11 Q. Is there any literature that you can point  
12 us to that supports your opinion that in that  
13 situation, with a severed ovarian artery, the  
14 administration of antibiotics is appropriate?

15 A. Yes, there is. In fact, I submitted to you  
16 a copy of the medical letter regarding the use of  
17 antibiotics in surgical procedures.

18 Q. We'll go ahead and have this marked as  
19 Correa Exhibit No. 2. And let me hand it to you. Is  
20 this the medical letter you're talking about?

21 (Exhibit No. 2 was marked)

22 A. Yes, sir, it is.

23 Q. (BY MR. MARTIN) Do you find this medical  
24 letter reliable -- a reliable authority to support  
25 your opinions?

Page 36

1 discovered that that ovarian artery had been severed  
2 by Dr. Grayson during this surgery, if that had been  
3 discovered, what would have been your recommendation  
4 for treatment?

5 A. The administration of broad-spectrum  
6 antibiotics.

7 Q. And would that administration, that  
8 particular treatment, have saved Gloria Bass' life?

9 A. In my opinion, yes.

10 Q. Thank you, Doctor.

11 A. Thank you.

12 MR. MARTIN: Pass the witness. You  
13 want to take a break?

14 MR. AUSTIN: Yeah, let's do that.

15 THE VIDEOGRAPHER: We're off the  
16 record at 2:49 p.m.

17 (Recess taken from 2:49 to 2:57)

18 THE VIDEOGRAPHER: We're back on the  
19 record at 2:57 p.m.

20 EXAMINATION

21 BY MR. AUSTIN:

22 Q. Dr. Correa, my name is Bob Austin, and I  
23 represent Dr. Grayson. You understand who I am and  
24 who I represent?

25 A. Yes, sir, I do.

Page 37

Page 39

1 Q. You understand that Dr. Grayson is the  
2 defendant in this case and the party whom Ms. Bass'  
3 family has sued?

4 A. I am aware of that.

5 Q. Okay. Before we get started here, I want  
6 to talk about some of the materials that you brought  
7 with you, and I saw you brought a large notebook --  
8 well, semi-large notebook with you. Are you able to  
9 look through this notebook and tell me when you  
10 received any of the documents in here?

11 A. Not specifically.

12 Q. Okay. For example, there's a large stack  
13 of medical records which appears to be the hospital  
14 records for Ms. Bass in this case, and it also  
15 appears to have records from Dr. Grayson's office and  
16 also another doctor named Dr. Salinas, Dr. Ralston.  
17 Did those all come together?

18 A. Yes, they did.

19 Q. Was that the first thing that you got in  
20 this case?

21 A. No, it is not.

22 Q. What is the first thing you got in this  
23 case?

24 A. The first thing I received was the medical  
25 examiner's report.

1 A. Yes, sir, it was.

2 Q. And while he was there talking with you  
3 about that case, he handed you the records pertaining  
4 to Gloria Bass?

5 A. That's my recollection.

6 Q. And you say the first thing you got was the  
7 autopsy report?

8 A. That is correct.

9 Q. And that was in -- sometime in the early  
10 part of 1999?

11 A. That's correct.

12 Q. What did Mr. Martin tell you about  
13 Ms. Bass' case during that meeting?

14 A. He gave me some broad ideas of what the  
15 case involved, basically that it was a lady that had  
16 undergone a laparoscopic procedure, a tubal ligation,  
17 and that she had died a couple of days later from  
18 infection.

19 Q. Did he tell you anything else during that  
20 meeting about this case?

21 A. Not that I can recall.

22 Q. Okay. What were your instructions from  
23 Mr. Martin at that point?

24 A. He asked me to review those records and  
25 determine if I felt that there was a cause that could

Page 38

Page 40

1 Q. Okay. Let's just start from the very  
2 beginning. When did Mr. Martin first -- when did  
3 Mr. Martin or someone from his office contact you  
4 about this case?

5 A. It was sometime in 1999.

6 Q. Do you recall when, early or late, 1999?

7 A. Towards the early part of the year.

8 Q. Did you actually speak to Mr. Martin?

9 A. Yes, I did.

10 Q. Was that by telephone?

11 A. No, I met him in my office.

12 Q. He came to your -- I assume some contact  
13 had to be made before he just showed up at your  
14 office.

15 A. Yes.

16 Q. Tell me how that came to pass.

17 A. Well, we had been working in another case,  
18 and so he came to my office to discuss the matters of  
19 the -- of the case, and he then gave me the records  
20 that I have mentioned for me to review.

21 Q. So he was there to discuss another case  
22 that you were reviewing for him?

23 A. That's correct.

24 Q. Was that a case where Mr. Martin  
25 represented a plaintiff?

1 have been -- a cause for her death and what -- and  
2 the explanation for that cause would have been.

3 Q. And you agreed to do that?

4 A. Yes, I did.

5 Q. Okay. When was the next time that you  
6 received any records?

7 A. It was probably also in 1999, and it's --  
8 if I remember correctly, that it was included in that  
9 letter dated October 25th of 1999.

10 Q. Okay. Did you speak with -- well, I see  
11 there's also a letter in your materials dated  
12 August 17th in which Mr. Martin asked you to prepare  
13 a report. Do you see that?

14 A. Yes, that is correct.

15 Q. Up to this point, August 17th, had you  
16 received -- had you reviewed any records other than  
17 those autopsy records?

18 A. Yes, I have.

19 Q. Okay. What had you reviewed up to  
20 August 17th of 2000?

21 A. I basically had reviewed all the documents  
22 that you have here, which includes the medical  
23 examiner's report, the medical records from Gloria  
24 Bass and the depositions of Dr. Grayson and  
25 Dr. Peacock.

Page 41

1 Q. Do you recall when it was -- it was  
2 obviously before August 17th that you got those  
3 records. Correct?  
4 A. That's correct.  
5 Q. Do you know when you received those  
6 additional records? And I mean by that the records  
7 above and beyond the autopsy report.  
8 A. Yes. Those were received, some of them on  
9 October 25th of '99. I think that's where the  
10 confusion is.  
11 Q. Yeah. Forgive me. I didn't look at the  
12 year. I was thinking that was -- October 25th was  
13 after August, but '99 would be before 2000, wouldn't  
14 it?  
15 A. Yes, sir.  
16 Q. All right, then. So in early 1999, you  
17 reviewed the autopsy report, or at least received it?  
18 A. That's correct.  
19 Q. And by the fall of that year, 1999, you got  
20 the other materials that are sitting here before us?  
21 A. With the exception of the deposition of  
22 Dr. Peacock and Dr. Grayson.  
23 Q. Okay. And then at some other time you  
24 received the depositions of Dr. Peacock and  
25 Dr. Grayson?

Page 42

1 A. I believe I received them later on.  
2 Q. Do you have -- do you know for certain when  
3 you received the depositions of Dr. Peacock and  
4 Dr. Grayson?  
5 A. If I may look at the envelopes.  
6 Q. Certainly.  
7 A. I may be able to find the date they were  
8 marked. The postmark is July 14 of this year.  
9 Q. Okay. Sorry for the confusion. Let me see  
10 if I understand what you received and when. At some  
11 point in early 1999, Mr. Martin and you had a  
12 personal visit, at which time he gave you the autopsy  
13 records on Gloria Bass?  
14 A. That's correct.  
15 Q. And then on October 25th of 1999, he sent  
16 you a packet that contained some medical records  
17 pertaining to Gloria Bass?  
18 A. That is correct.  
19 Q. And then on July 14th of 2000, he sent you  
20 the depositions of Dr. Peacock and Dr. Grayson?  
21 A. For sure the deposition of Dr. Grayson. I  
22 do not recall if the deposition of Dr. Peacock came  
23 with the October '99 package or with the --  
24 Q. Or with the July 14th?  
25 A. That's correct.

Page 41

1 Q. Did you speak with Mr. Martin after you had  
2 reviewed the autopsy records but before you received  
3 the October 25th of '99 packet?  
4 A. I -- about this specific case?  
5 Q. Yes, sir.  
6 A. Not that I recall.  
7 Q. Did you ask for those additional medical  
8 records or was that something that Mr. Martin just  
9 sent to you?  
10 A. I believe that's something that he sent to  
11 me.  
12 Q. Okay. And then had you formed an opinion  
13 in this case prior to receiving the medical records  
14 and possibly Dr. Peacock's deposition in late October  
15 of '99?  
16 A. No, I had not.  
17 Q. Had not formulated any opinions?  
18 A. That is correct.  
19 Q. Okay. After you reviewed the material --  
20 the autopsy report that you got in early '99, and  
21 then after you reviewed the materials that were sent  
22 to you in October of '99, after reviewing all that  
23 material, had you prepared -- had you formed an  
24 opinion in this case?  
25 A. I believe I had.

Page 44

1 Q. And what was your opinion at that point?  
2 A. My opinion at that point was that the cause  
3 of Ms. Bass' death was a sepsis episode related to an  
4 intraabdominal infection.  
5 Q. Those are, in a nutshell, the same opinion  
6 that you expressed to Mr. Martin earlier in this  
7 deposition?  
8 A. That is correct.  
9 Q. Okay. And then it was after -- sometime  
10 after you had formulated that opinion that you  
11 received and reviewed Dr. Grayson's deposition?  
12 A. Yes.  
13 Q. Okay. Did -- did Dr. Grayson's deposition  
14 testimony affect your opinions at all, one way or the  
15 other?  
16 A. If anything, it just helped to solidify my  
17 opinion.  
18 Q. In other words, you did not form any  
19 additional opinions after reviewing Dr. Grayson's  
20 deposition but became more confident in the opinions  
21 that you already had?  
22 A. That would be a fair statement.  
23 Q. Okay. Have you received anything from  
24 Mr. Martin's office since you received Dr. Grayson's  
25 deposition in July of this year?

1 A. I had received the -- a number of faxes  
 2 that include requests for dates for a potential  
 3 deposition and this other request for certain  
 4 documents to be presented during deposition.  
 5 Q. Okay. I understand you had received a  
 6 letter asking you for dates for deposition and you  
 7 received a letter pertaining to our trial date in  
 8 this case. Is that correct?  
 9 A. That is correct.  
 10 Q. And then looks like in August 17th of 2--  
 11 on August 17th of 2000, you got a letter that asks  
 12 for a report?  
 13 A. That is correct.  
 14 Q. Were you provided with any additional  
 15 records or materials from Mr. Martin's office after  
 16 July 14th of 2000?  
 17 A. I do not recall having received any other  
 18 records after that time.  
 19 Q. Okay. So the last thing -- the last thing  
 20 you got that you reviewed that would have any impact  
 21 on your opinions in this case would have been  
 22 Dr. Grayson's deposition, which you got sometime in  
 23 July of this year?  
 24 A. That is correct.  
 25 Q. Okay. When you received the letter from

1 says the "Affidavit of Armando Correa," you didn't  
 2 type that up and your secretary didn't type that up?  
 3 A. That's correct.  
 4 Q. Somebody from Mr. Martin's office prepared  
 5 this and then sent it to you?  
 6 A. That is correct.  
 7 Q. And then I assume you reviewed it and felt  
 8 comfortable with it and signed it?  
 9 A. That is correct.  
 10 Q. Did -- after you received this affidavit,  
 11 did you have any conversations with Mr. Martin about  
 12 any modifications you'd like to make?  
 13 A. I think we did the modifications on the  
 14 phone. We went through it together, and I expressed  
 15 what my opinions were and we made the modifications  
 16 necessary.  
 17 Q. You and Mr. Martin worked on it together  
 18 over the phone?  
 19 A. That's correct.  
 20 Q. Do you recall -- well, I guess you're not  
 21 aware if there's in existence anywhere a draft of  
 22 the way this affidavit read originally?  
 23 A. I am not aware.  
 24 Q. If that existed, I assume that would be in  
 25 Mr. Martin's computer system somewhere?

1 Mr. Martin on August 17th asking for a report, what  
 2 did you do? Did you sit down and write a report?  
 3 A. No, I did not.  
 4 Q. Did you call Mr. Martin and tell him you  
 5 didn't want to prepare a report or didn't have time,  
 6 or how did you handle that?  
 7 A. Well, no. What I did was have a telephone  
 8 conversation with him.  
 9 Q. Okay.  
 10 A. August happened to be a very busy month for  
 11 me. I was in charge of the clinical service at Texas  
 12 Children's Hospital. So I did not have the time to  
 13 sit down and formulate a full report, and what we  
 14 basically did was, I -- I asked Mr. Martin if he  
 15 could use a subpoena -- I'm sorry, I apologize -- a  
 16 affidavit, rather than a report.  
 17 Q. Okay. And by that, did you mean that after  
 18 you discussed your opinions with Mr. Martin that he  
 19 would put together that affidavit?  
 20 A. Not he would put it together. We put it  
 21 together over the phone.  
 22 Q. His office actually prepared the affidavit?  
 23 A. I don't know if his office -- I know it was  
 24 typed in his computer.  
 25 Q. This document that's in front of us, it

1 A. That's correct.  
 2 Q. It may not exist at all, as far as you  
 3 know?  
 4 A. As far as I know.  
 5 Q. Are you --  
 6 MR. AUSTIN: Let's go ahead and mark  
 7 this.  
 8 (Exhibit No. 3 was marked)  
 9 MR. AUSTIN: Do you have a paper clip  
 10 or something?  
 11 THE REPORTER: No, I don't.  
 12 MR. AUSTIN: Stapler?  
 13 THE REPORTER: No, I don't have that  
 14 either.  
 15 MR. MARTIN: I'll staple it, if you  
 16 want.  
 17 MR. AUSTIN: Thank you. Thank you.  
 18 Q. (BY MR. AUSTIN) Dr. Correa, we've marked  
 19 your affidavit as Exhibit No. 3 to your deposition.  
 20 Would you look that over for a second?  
 21 A. Most certainly.  
 22 Q. Does Exhibit 3 appear to be a true and  
 23 correct copy of the affidavit that you signed in this  
 24 case?  
 25 A. Yes, it does.

Page 49

1 Q. As you look over Exhibit No. 3, are you  
2 able to recall which items you requested that  
3 Mr. Martin change, as he -- as you and he worked  
4 together on the affidavit?

5 A. If you'll allow me for a second to go over  
6 it.

7 Q. Sure.

8 A. I don't recall any specific changes. It is  
9 my recollection that I wanted to make sure that  
10 transposition of bowel -- transposition from the  
11 bowel could have been the source.

12 Q. When Mr. Martin -- well, I assume he read  
13 it to you over the telephone?

14 A. That is correct.

15 Q. Did he do that sentence by sentence and  
16 then you either approved or suggested changes or did  
17 he review it all at once -- read it all at once and  
18 then you went back and told him what you'd like to  
19 change?

20 A. No, I think it was section by section.

21 Q. And in that original affidavit that  
22 Mr. Martin read to you over the phone, did it contain  
23 any statements about transposition?

24 A. Yes, I think it did.

25 Q. What was it that you requested be added or

Page 51

1 A. That is correct.

2 Q. I guess at that point it was not your  
3 feeling that the affidavit expressed that to your  
4 satisfaction?

5 A. That is correct.

6 Q. Do you recall, as you look at Exhibit  
7 No. 3, anything else that you changed as you and  
8 Mr. Martin read over the deposition -- excuse me --  
9 read over the affidavit over the telephone?

10 A. The original reading used the term  
11 septicemia, and I asked Mr. Martin to change it to  
12 septic shock.

13 Q. What's the difference?

14 A. There's really no difference. It's just  
15 the septic shock is a more widely accept term.

16 Q. Are the terms interchangeable?

17 A. For practical purposes, yes.

18 Q. Is there anything else in your affidavit  
19 that is different from the original reading?

20 A. Not that I can recall.

21 Q. Let's talk about -- we've talked about when  
22 you received various sets of documents.

23 Let's now talk about conversations  
24 that you've had with Mr. Martin about this case, and  
25 you've told me that you had this first conversation

Page 50

1 modified?

2 A. The line that says that the most likely  
3 source was bowel perforation.

4 Q. Okay. It formerly -- the former version  
5 did not say that the bowel puncture was the most  
6 likely source?

7 A. I don't know that we can call it the former  
8 version. I remember I wanted to stress that my  
9 opinion is that the perforation was the most likely  
10 source.

11 Q. Did the original version -- what do you  
12 want to call it, just for purposes of this deposition  
13 to make it easier? Former version, original  
14 version?

15 MR. MARTIN: Objection; form.

16 A. His original reading?

17 Q. (BY MR. AUSTIN) Did -- okay. Did the  
18 original reading have any statement in there about  
19 the transposition?

20 A. Yes, I do believe so.

21 Q. And it also had something in there about a  
22 possibility of a bowel puncture?

23 A. Yes, it did.

24 Q. And to your recollection, you wanted to  
25 stress the bowel puncture was the most likely source?

Page 52

1 in early '99, when he was in your office to discuss  
2 another case. Is that correct?

3 A. That's correct.

4 Q. To your recollection, when was the next  
5 time you spoke to him about this case?

6 A. I will not be able to give you specific  
7 dates. I know that in my invoice, I have dated  
8 July 14 as one of the times when I met with  
9 Mr. Martin, but I'm sure I have discussed it with him  
10 on other occasions.

11 Q. Do you typically bill -- well, in this  
12 case, would you have billed Mr. Martin? Had you  
13 spoke to him about a case -- about this case?

14 A. Would you ask the question again? I'm  
15 sorry.

16 Q. Would you have billed Mr. Martin, had you  
17 spoken to him, whether in person or on the telephone?

18 A. Not necessarily.

19 Q. Is there a cutoff, like a five-minute  
20 conversation doesn't get billed but a ten-minute  
21 conversation does, anything like that?

22 A. No. It's -- it has to do sometimes with --  
23 more with convenience, and on occasions, we have met  
24 to discuss two cases at a time and is for both I  
25 think my convenience and his convenience that I would

Page 53

1 bill it to the one case that we discussed at length,  
2 rather than dividing the number of minutes for the  
3 different cases we discuss.

4 Q. Okay. So you -- in a given conversation,  
5 you may discuss more than one case. Is that what  
6 you're telling me?

7 A. That's correct.

8 Q. And you would bill him for the conversation  
9 that is the longest?

10 A. That's a fair statement.

11 Q. Let's say you had a 30-minute discussion on  
12 the telephone and you talked about the Gloria Bass  
13 case for five minutes and you talked about another  
14 case for 25 minutes. Are you with me?

15 A. Yes, sir.

16 Q. Would you bill for the entire 30 minutes?

17 A. Yes, I would.

18 Q. And you'd bill it to the case that you  
19 discussed for 25 minutes?

20 A. That's correct.

21 Q. All right. So are the only two discussions  
22 that you know you had with Mr. -- well, the only two  
23 discussions that you're able to give me specific  
24 dates or a specific date range would be this first  
25 discussion in early '99, and then again on July 14th?

Page 55

1 entry says that you reviewed various medical records,  
2 the autopsy report and the depositions of  
3 Dr. Grayson, Peacock and had a meeting with  
4 Mr. Martin. Does that mean that you did all those  
5 things on July 14th, or did you just bill for it on  
6 the 14th, or can you explain that?

7 A. No. It means that I had reviewed those  
8 medical records throughout this time, from when I  
9 first received them and that I had specifically met  
10 with Mr. Martin to discuss this specific case.

11 Q. You didn't necessarily do all of these  
12 tasks on the same day?

13 A. That is correct. I know for sure that I  
14 did not.

15 Q. But from the time that you received those  
16 records in October of '99, up through July 14th of  
17 2000, it took you four hours to do all of the tasks  
18 denoted here?

19 A. That is correct.

20 Q. Do you know how long you met with  
21 Mr. Martin during this -- on this -- on July 14th?

22 A. If I remember correctly, about an hour.

23 Q. So it would have taken you three hours to  
24 do the other things?

25 A. That is a fair statement.

Page 54

1 A. Yes, as well as the discussion that we had  
2 in August, when the affidavit was produced.

3 Q. You said August. Do you mean October?

4 A. I'm sorry. October.

5 Q. Without recalling the date, are you able to  
6 give me the substance of any of those discussions  
7 that would have happened prior to July 14th of 2000?

8 A. Yes. Basically the discussion was what my  
9 opinions were based on the records that I had  
10 reviewed.

11 Q. What did you talk about on July 14th where  
12 your statement says, "Meeting with Mr. Martin"?

13 MR. MARTIN: Objection; form.

14 A. I do not recall the specific topic of  
15 discussion. We probably basically talked about the  
16 opinions that I had formulated on this case. The one  
17 thing I specifically recall is Mr. Martin taking note  
18 of my opinions.

19 Q. (BY MR. AUSTIN) Can you explain what you  
20 mean by that?

21 A. Yes. Basically he had a notebook similar  
22 to the one you have, and as I was giving him the  
23 different opinions that I had, he was just writing  
24 them down.

25 Q. Okay. Okay. On your invoice, this first

Page 56

1 Q. Are you telling me, then, that it took you  
2 three hours to review the medical records pertaining  
3 to Gloria Bass from Hillcrest Medical Center,  
4 Hillcrest Family Clinic, Dr. Gerald Salinas, the  
5 autopsy report and the depositions of Dr. Grayson and  
6 Dr. Peacock?

7 A. That's what I billed for, yes.

8 Q. Would there be a time that you spent  
9 reviewing the case that you did not bill for?

10 A. It is quite possible. I am known to  
11 underbill.

12 Q. You -- okay. In your estimation, do you  
13 have any idea how much time, if, in fact, you  
14 underbilled, how much time you would have underbilled  
15 on this case?

16 MR. MARTIN: Objection; form.

17 A. No, I do not.

18 Q. (BY MR. AUSTIN) And then on October 25th  
19 of 2000, you had this telephone discussion with  
20 Mr. Martin where you went over the affidavit with  
21 him. Is that correct?

22 A. That's correct.

23 Q. Did that conversation and reviewing and  
24 signing the affidavit take a full hour?

25 A. Yes, it did.

1 Q. How much of that time would you have been  
2 on the phone with Mr. Martin going through this first  
3 reading of the affidavit?

4 A. Oh, it's hard to estimate, but maybe 25 to  
5 30 minutes.

6 Q. Where did the other 30 minutes come in?

7 A. From getting the papers -- after it was  
8 finalized, he sent it to my office, and I had to go  
9 and get it notarized at a different facility. I do  
10 not have the public Notary in my office.

11 Q. So that 30 minutes involved receiving the  
12 fax, reviewing it and taking it to get notarized?

13 A. That is correct.

14 Q. And then I assume faxing it back to  
15 Mr. Martin?

16 A. That is correct.

17 Q. There's a fax header on Exhibit No. 3 that  
18 says 10-12 of 2000. Do you know if you actually  
19 received a copy of this affidavit before the 25th of  
20 October?

21 A. Well, that's what the stamp says. It may  
22 have been that I received it on the 12th of October.  
23 It was not signed until the 25th of October. I don't  
24 recall the specifics, if I was out of town or I  
25 couldn't find a public Notary, but at least if we

1 with him while he made changes on his computer?

2 A. That is correct.

3 Q. All right. Your invoice -- your first  
4 entry is for four hours and the extended amount is  
5 \$1200. Does that mean that you charge \$300 per hour?

6 A. That is correct.

7 Q. And then your telephone discussion and your  
8 review of the signature -- review and signature of  
9 the affidavit also contains an entry of one hour at  
10 \$300?

11 A. That is correct.

12 Q. Do you charge the same amount for services  
13 like reviewing -- reviewing documents and preparing  
14 reports as you do for attendance at trial or  
15 deposition?

16 A. No. The -- when I do depositions or attend  
17 trial it's \$400 an hour.

18 Q. So as we sit here today, you're charging  
19 Mr. Martin \$400 per hour?

20 A. Yes, sir.

21 Q. And if you were to come to Waco to testify  
22 at trial, you would charge \$400 per hour?

23 A. That is correct.

24 Q. When does the clock start running for you?  
25 Let's say if you were to go to Waco and testify in

1 believe what this stamp says, it says that it was  
2 sent on October 12th, 2000, and the signature is on  
3 the 25th of October.

4 Q. If I understood your testimony, you did not  
5 see a hard copy of the affidavit before your  
6 conversation with Mr. Martin. Is that true? This  
7 telephone discussion where you had the first reading?

8 A. This telephone discussion was when it  
9 occurred, on -- well, that is correct.

10 Q. Does that refresh your recollection, that  
11 that conversation probably happened on October 12th,  
12 instead of the 25th?

13 A. To be honest with you, it does not.

14 Q. Okay.

15 A. I do not recall the timing of the -- of the  
16 conversation, versus the timing of the signature of  
17 this affidavit.

18 Q. At any rate, whenever you received this,  
19 you did not actually get a hard copy to look at as  
20 you were talking to Mr. Martin on the telephone about  
21 it. Is that true?

22 A. I could not tell you "yes" or "no." I may  
23 have had a copy faxed to me at the time of the  
24 conversation.

25 Q. And then you would have gone through it

1 this case, when would the clock start running?

2 A. When -- it's a difficult situation, because  
3 it be honest, it's not something that I do for a  
4 living, and I -- it's very hard to really determine.  
5 For sure the time that I'm on the stand or getting  
6 ready to be on the stand will count, and what I  
7 typically do is bill for an eight-hour day if this  
8 deposition takes the whole day.

9 Q. So you would -- sometime before the day you  
10 would testify, you would prepare for your testimony,  
11 I assume?

12 A. That's correct.

13 Q. And then would you bill from the time that  
14 you got in your vehicle to drive to Waco or left for  
15 the airport or however you got to Waco?

16 A. I wouldn't necessarily count the time. I  
17 would just bill it as a day of work. I would say to  
18 Mr. Martin, "Okay. I spent, you know, one day, it  
19 would have been eight hours of work. This is what I  
20 billed."

21 Q. I see. Even if you're on the stand for an  
22 hour and then travel time rolled in there, Mr. Martin  
23 would get billed for eight hours?

24 A. No. It would depend on what time I would  
25 come back and -- I want to specify that when I mean a

Page 61

1 full day, I don't mean a full day at a rate of \$400  
2 an hour. The \$400 an hour would be for the time that  
3 I'm on the stand or at the deposition. If it implies  
4 other travel time, the rate is considerably less.  
5 \$150 an hour.

6 Q. Oh, I understand. All right. So once you  
7 get on the stand, then your rate is \$400 per hour?

8 A. That is correct.

9 Q. But as you're -- let's say you were to  
10 drive from Waco back to Houston, that time -- your  
11 driving time would be billed at \$150 per hour?

12 A. That's reasonable.

13 Q. Or if you're on an airplane, same deal?

14 A. Yes, sir.

15 Q. You mentioned eight hour -- the figure  
16 eight hours. Is that a minimum or a maximum?

17 A. No. It's just a -- what I'm trying to say  
18 by eight hours is, let's say this would take me  
19 actually ten or 12 hours, I would not go over an  
20 eight-hour billing time, unless, you know, the  
21 circumstances so demanded. Let's say that the trial  
22 was up in the east coast or someplace like that. But  
23 what I meant by eight hours is I would not consider  
24 billing for more than that.

25 Q. Even if the whole day, from your doot to

Page 63

1 any work on this case?

2 A. Not that I recall.

3 Q. And so you picked it up again last week?

4 A. That is correct.

5 Q. How much time have you spent on this case  
6 since November 10th?

7 A. Other than this deposition, probably about  
8 two hours.

9 Q. Two hours to prepare for today's  
10 deposition?

11 A. That is correct.

12 Q. Would that include meeting with Mr. Martin  
13 before the deposition?

14 A. Yes, sir, that's correct.

15 Q. How long did you meet with Mr. Martin  
16 before the deposition?

17 A. Approximately an hour.

18 Q. So one hour to review the materials and  
19 then one hour to meet with Mr. Martin?

20 A. Yes, sir.

21 Q. Have there been any materials in this case  
22 that you've asked Mr. Martin to provide to you that  
23 you have not been provided with?

24 A. No.

25 Q. Now, in this stack of records that we've

Page 62

1 Waco to testify and back to your door at home, took  
2 you, say, 13 hours, you wouldn't bill for more than  
3 eight?

4 A. That's correct.

5 Q. Is this invoice dated November 10th of 2000  
6 the last invoice you sent to Mr. Martin for your work  
7 in this case?

8 A. That is indeed the only one that I have  
9 sent to him.

10 Q. Okay. I assume you've done some work to  
11 prepare for your deposition today?

12 A. Yes, I have.

13 Q. When did you begin preparing for your  
14 deposition?

15 A. Well, I did not receive the notice of the  
16 deposition until last week, so I -- actually,  
17 Mr. Martin mentioned it to me, that he was going to  
18 try to get the deposition done this week. I gave him  
19 some potential dates, and when I received the notice  
20 that it was going to be today, I started preparing,  
21 particularly gathering the documents that you  
22 requested and rereviewing the documents that I had  
23 reviewed in the past.

24 Q. Prior to last week, let's say from  
25 October 25th of 2000, up until last week, did you do

Page 64

1 got in front of us, we've got records from  
2 Dr. Salinas, for example, was there anything in  
3 Dr. Salinas' records that you found pertinent to this  
4 case?

5 A. No, I did not.

6 Q. Was there anything in the records of  
7 Dr. -- anything in Dr. Grayson's office records,  
8 other than those pertaining to his -- to the tubal  
9 ligation he performed that you found significant or  
10 important in this case?

11 A. Not that I can recall.

12 Q. There's some records in this stack from  
13 Dr. Ralston. Do you recall if you've reviewed those?

14 A. Yes, I do recall reviewing those.

15 Q. Is there anything in Dr. Ralston's records  
16 that bear on your opinions at all?

17 A. Not that I recall.

18 Q. I've noticed in this stack that there are a  
19 number of copies, three, maybe four or five copies of  
20 the medical examiner's report in this case.

21 A. That is correct.

22 Q. Do you know why there would be numerous  
23 copies?

24 A. Because as I had mentioned to you,  
25 Mr. Martin had provided me with an initial copy. If

Page 65

1 I remember correctly, he said that all of the copy  
2 may not have been complete, and that's why he sent  
3 the second copy that was included with all those  
4 documents on October 25th of '99.

5 Q. Do you know why you would have had a third  
6 and fourth copy of that report of those records?

7 A. It is possible that they were attached to  
8 one or the other deposition as part of the exhibits.

9 Q. If we were going to make a list of the  
10 items that you reviewed that form the basis of your  
11 opinion, that would be, one, the Hillcrest Hospital  
12 records pertaining to Ms. Bass?

13 A. That is correct.

14 Q. And I say Hillcrest Hospital. I mean only  
15 those relating to her tubal ligation. Is that  
16 correct?

17 A. That is correct.

18 Q. Okay. On that list, would I also include  
19 Dr. Grayson's office chart?

20 A. Yes, that is correct.

21 Q. The medical examiner's report and records?

22 A. That is correct.

23 Q. The deposition of Dr. Peacock?

24 A. Yes, sir.

25 Q. And the deposition of Dr. Grayson?

Page 67

1 A. That is correct.

2 Q. Did you -- did he ask you to do some  
3 research or did you take that upon yourself?

4 A. Well, if I remember correctly, we were  
5 discussing in my office this case, and he asked a  
6 question, is there any publication that addresses the  
7 issue. This is a publication that I have at the top  
8 of my desk, and I immediately pulled it out and gave  
9 him a copy.

10 Q. Was that -- was that just coincidental that  
11 this particular edition of the medical letter would  
12 have information that you believe was pertinent to  
13 this case, or did you have to sift through a couple  
14 of editions to find this?

15 A. No. This is a -- this is one that I keep  
16 on file in my most active articles, if that makes  
17 sense. Those are articles that I frequently have to  
18 make reference to because of the nature of my  
19 specialty.

20 Q. Would these be kept in a notebook of some  
21 fashion?

22 A. They're kept in a drawer.

23 Q. So Mr. Martin asked you if you had any  
24 literature or knew of any literature to support your  
25 opinions?

Page 66

1 A. Yes, sir.

2 Q. Are there any other materials besides those  
3 five things that I just listed that you have reviewed  
4 to prepare your opinions in this case?

5 A. The one thing to add was the document that  
6 Mr. Martin just produced, a copy of the medical  
7 letter.

8 Q. Anything else?

9 A. If you allow me a second to --

10 Q. Certainly.

11 A. -- go over these records to make sure we're  
12 not missing anything.

13 As part of the records from Hillcrest  
14 Baptist Medical Center, there is an attachment, a  
15 record from the Rural Metro Ambulance.

16 Q. Is that the only thing you would add to my  
17 list of things that you reviewed in coming to your  
18 opinions?

19 A. Yes, I believe so.

20 Q. You may need those medical records. I  
21 guess I should put them over there.

22 A. Thank you.

23 Q. Sure. Okay. Let's talk about the medical  
24 letter. Is this something that you provided to  
25 Mr. Martin?

Page 68

1 A. That is correct.

2 Q. And then you turned to your drawer, your  
3 file drawer, and pulled out this copy of the medical  
4 letter?

5 A. That is correct.

6 Q. Is your file drawer organized or divided in  
7 some fashion as to the topics in the medical letter?

8 A. No, it is not. In fact, that is the -- one  
9 of two or three articles from the medical letter that  
10 are in my file. There's only three of them.

11 Q. Oh, I see. Do you take the medical letter  
12 on a -- how is it published, weekly, monthly?

13 A. If I remember correctly, it's published  
14 weekly.

15 Q. Do you take it weekly?

16 A. That is correct.

17 Q. And then you throw away the ones that  
18 aren't pertinent to your practice?

19 A. No. I put them in a separate file.

20 Q. And the ones that go in your desk drawer  
21 are the ones that you believe are pertinent to your  
22 practice area?

23 A. That's correct.

24 Q. And of those, this was one?

25 A. That is correct.

1 Q. You said you had about, what, two or three  
2 besides this one in your drawer?

3 A. That is correct.

4 Q. Do you know what topics those cover?

5 A. The other one is on therapy for parasitic  
6 infections, and the third one is on advice for  
7 travelers.

8 Q. What is the one about parasitic  
9 infections? What does it say? Can you paraphrase  
10 that for me?

11 A. The choice of antimicrobials for parasitic  
12 infections. That's probably the closest.

13 Q. Can you describe what you mean by parasitic  
14 infection?

15 A. Infections caused by parasites, which  
16 unlike bacteria that are microscopic, parasites are  
17 usually, not always, but usually, tend to be of a  
18 larger size than bacteria.

19 In addition, bacteria is a very simple  
20 form. It's just a cell. Parasites tend to be made  
21 of a number of cells.

22 Q. Okay. Are parasites organisms? I mean,  
23 they're more complex than bacteria?

24 A. That's correct.

25 Q. Okay. And this particular copy of the

1 A. No, I have not.

2 Q. And you do not intend to, do you?

3 A. That is correct.

4 Q. Did you review the deposition of the  
5 plaintiff, Severa DeLeon?

6 A. No, I did not.

7 Q. Did you review the deposition of Gloria  
8 Bass' sister, Minni Guerra?

9 A. No, I did not.

10 Q. Did you review the deposition of  
11 Dr. Halbridge?

12 A. No, I did not.

13 Q. Did you review Dr. Halbridge's report in  
14 this case?

15 A. No, I did not.

16 Q. Forgive me here. I'm going to skip around  
17 and try to hit some of the areas that Mr. Martin  
18 talked about with you earlier.

19 I believe you listed out the hospitals  
20 where you practice. Is the Baylor College of  
21 Medicine considered a hospital?

22 A. No, it is not.

23 Q. How about Ben Taub? That's a hospital, is  
24 it not?

25 A. That is correct.

1 medical letter, which has been marked as Exhibit  
2 No. 2, is this the only medical letter that you  
3 believe is pertinent to your opinions in this case?

4 A. I believe so.

5 Q. Do you intend to support your opinions with  
6 any other medical literature, other than the medical  
7 letter that is found as Exhibit 2 to your deposition?

8 A. No, sir.

9 Q. When you had this telephone discussion with  
10 Mr. Martin where you went through this first reading  
11 of the affidavit, was it your understanding that that  
12 had already been -- it was already in existence, as  
13 opposed to something that he was typing or writing as  
14 you went along?

15 A. Yes.

16 Q. And to your assumption, I guess, was that  
17 something that he had probably prepared based on his  
18 previous discussions with you?

19 A. That is correct.

20 Q. Had you reviewed the medical letter before  
21 preparing -- or before signing your October of 2000  
22 affidavit?

23 A. Yes, I had.

24 Q. Have you reviewed any materials after  
25 signing your October 2000 affidavit?

1 Q. Is that a children's hospital?

2 A. No. It is a general hospital.

3 Q. Texas Children's Hospital?

4 A. It is a pediatric hospital.

5 Q. And then you said you had -- you practice  
6 at -- is it called Texas Woman's Hospital?

7 A. That's correct. It's actually -- it's  
8 Women's Hospital of Texas.

9 Q. Women's Hospital of Texas. Is that in  
10 Houston?

11 A. Yes.

12 Q. And you work at Shriners Hospital, as  
13 well?

14 A. That is correct.

15 Q. Is Shriners Hospital a hospital for  
16 children?

17 A. That is correct.

18 Q. Maybe I misunderstood. Do you actually  
19 treat patients at the Baylor College of Medicine?

20 A. No, we do not. That is just a medical  
21 school.

22 Q. Those four hospitals that you listed are  
23 somehow affiliated with the Baylor College of  
24 Medicine?

25 A. That is correct. And if I may take the

1 opportunity, I want to add the Methodist Hospital.  
2 It's another hospital where I have privileges.

3 Q. Okay. I want to talk about your  
4 privileges. You currently have active privileges at  
5 Ben Taub?

6 A. Yes, sir, I do.

7 Q. Active privileges at Texas Children's?

8 A. That is correct.

9 Q. Same at Women's Hospital?

10 A. No. Women's is consulting privilege.

11 Q. Do you have active privileges at Shriner's?

12 A. No. Also consulting, as it is the case  
13 with Methodist.

14 Q. What does consulting -- what do consulting  
15 privileges mean?

16 A. It means that if a treating physician feels  
17 the need of the opinion of an infectious disease  
18 doctor, he can call me and I can go and see patients  
19 that I have the authority to go and make  
20 recommendations.

21 Q. Diagnoses and treatment alternatives?

22 A. That is correct.

23 Q. Recommendations?

24 A. Recommendations regarding diagnosis and  
25 treatment.

1 have consulting privileges at these places?

2 A. That is correct. And that's specifically  
3 for -- the one that I'm aware is Women's Hospital.

4 Q. Are there limits on the number -- strike  
5 that.

6 As a physician with consulting  
7 privileges at Women's and Shriner's and Methodist,  
8 are there limits on -- numerical limits on the number  
9 of cases that you can consult on in a given month or  
10 year, for example?

11 A. No, there are not.

12 Q. So you could be just as quote, unquote.  
13 active in that hospital with consulting privileges as  
14 you could with active privileges?

15 A. You sure can.

16 Q. As a practical matter, are you as active in  
17 Women's and Shriner's and Methodist Hospital as you  
18 are the others?

19 A. Not with Shriner's or Methodist, but  
20 certainly with Women's. We sometimes are actually  
21 busier than with our other hospital.

22 Q. Let's talk about your -- the breakdown of  
23 where you spend most of your time, and let's first  
24 talk about just patient care.

25 A. Sure.

1 Q. What's the difference between consulting  
2 and active privileges?

3 A. I think that it comes down to what your  
4 obligations are to the hospital. If you are part of  
5 the active staff, you also have certain obligations,  
6 such as attending a number of medical staff meetings  
7 and serving in committees of the hospital. When you  
8 are only a consultant, you do not have to attend  
9 those meetings or serve in any of their committees,  
10 if you wish not to do so.

11 Q. Is that the reason that you have not --  
12 well, have you sought active privileges at Women's or  
13 Shriner's or Methodist?

14 A. No, I have not.

15 Q. Is that why, because you don't want to be  
16 involved in the staff meetings and that sort of  
17 thing?

18 A. That is part of that. In addition, it --  
19 it also involves, in some places, an additional cost.

20 Q. What does that mean, you have to pay to get  
21 privileges there?

22 A. That is correct.

23 Q. Is that a yearly fee that they assess?

24 A. I -- since I do not have it, I don't know.

25 Q. Does that mean there's no fee to be -- to

1 Q. Can you tell me what percentage of your  
2 work is done with Ben Taub?

3 A. In general terms, about 60 to 70 percent of  
4 my time is patient care, and out of that time, about  
5 30 percent is at Ben Taub, 60 percent at Texas  
6 Children's Hospital and another ten percent at  
7 Women's, with very occasional visits to Methodist or  
8 Shriner's.

9 Q. Okay. And those would be so minor that  
10 they wouldn't even account for one percent?

11 A. Probably Shriner's one, two percent, but no  
12 more than that.

13 Q. Shriner's and Methodist together make up  
14 the one to two percent?

15 A. Yes.

16 Q. You indicated that 60 to 70 percent of your  
17 time is spent on patient care. Is that right?

18 A. That is correct.

19 Q. Have you ever testified that your -- the  
20 time you devote to patient care is closer to 50 to 60  
21 percent?

22 A. It is possible.

23 Q. Which one is more accurate? Is your  
24 patient care time spent more closer to 50 percent or  
25 70 percent?

1 A. Sometimes it depends on if they're asking  
2 me about my teaching duties, also, because some of my  
3 patient care is at the same time I'm doing teaching,  
4 and some of them is independent of that. I think a  
5 more accurate estimate is the one I have given you  
6 today.

7 Q. Okay. How much of your time since 1993 has  
8 been spent with direct patient care as opposed to  
9 some sort of academic and/or administrative function?

10 MR. MARTIN: Objection; form.

11 A. There's no way of doing an estimate. It  
12 varies from year to year and sometimes even month to  
13 month.

14 Q. (BY MR. AUSTIN) Are you aware that in June  
15 of 1999, you answered that question, "Approximately  
16 50 percent of my time is direct patient care"?

17 MR. MARTIN: Objection; form.

18 A. I do not recall my answer, but certainly it  
19 seems that you have a copy of a deposition where I --  
20 I certainly could have said that.

21 Q. (BY MR. AUSTIN) Do you dispute that answer  
22 today?

23 A. No, I do not.

24 Q. So is it true that your time, since 1993,  
25 has been spent with direct patient care, as opposed

1 A. That is correct.

2 Q. Why is it that after June of 1999 you  
3 increased your direct patient care responsibilities?

4 A. It's a number of factors. Number one, I  
5 have not spent any time doing research since that  
6 time. In addition, one of my colleagues recently  
7 retired, and I have taken a big part of his load in  
8 my practice.

9 Q. What kind of physician was that that  
10 retired?

11 A. An infectious disease physician.

12 Q. Pediatric or just general infectious  
13 diseases?

14 A. Pediatric infectious diseases.

15 Q. So his patients now that you picked up are  
16 predominantly minors?

17 A. I won't say that it's necessarily his  
18 patients. It's the time that he devoted to clinics  
19 or patient care. But, yes, it is mostly minors.

20 Q. In the pedi-- what defines the pediatric  
21 field? Is that birth to what age?

22 A. It depends on different places, but in  
23 general, most of us consider it birth to 18 years of  
24 age.

25 Q. In the patients -- in the pediatric

1 to some academic or administrative function, has been  
2 closer to 50 percent of your time?

3 MR. MARTIN: Objection; form.

4 A. Would you be kind enough to rephrase the  
5 question -- or reask the question?

6 Q. (BY MR. AUSTIN) Sure. Is it true that  
7 since 1993, your time spent with direct patient care,  
8 as opposed to some sort of academic and/or  
9 administrative function has been approximately 50  
10 percent of your time?

11 A. I would agree that from 1993 to the time of  
12 this deposition, 50 percent would have been a  
13 reasonable estimate.

14 Q. Now, you also have some, as you alluded to  
15 earlier, some academic responsibilities?

16 A. That is correct.

17 Q. Would that make up the additional 50  
18 percent of your time, or is there some other  
19 obligation that you spend your time doing?

20 A. I -- I believe I left an additional 30 to  
21 40 percent.

22 Q. I thought you just said that 50 percent of  
23 your time was spent with direct patient time.

24 A. No. Up till 1999.

25 Q. Oh. And that has changed since 1999?

1 patients that you see, do you find that those  
2 patients are pretty evenly distributed throughout  
3 that age range, zero to 18?

4 A. I guess overall there's a reasonable  
5 distribution. Because of the nature of our hospital,  
6 I would think that we may be a little bit skewed  
7 towards the neonates, in general. A good number of  
8 our practice has to do with newborns.

9 Q. You said neonates. Can you explain what  
10 you mean by neonates?

11 A. Well, neonates is a term that we use for  
12 children less than 28 days of life. That's the  
13 strict definition. For our purposes is really  
14 newborns less than three months of age.

15 Q. What percentage of your practice is spent  
16 treating neonates?

17 A. I don't know that I could even guess. I  
18 would say it's among an even distribution. Again --  
19 it's hard for me to estimate.

20 Q. Okay. Do you see more neonates than ten  
21 years old?

22 A. As in strictly a ten-year-old category,  
23 yes.

24 Q. Do you see more neonates than any other age  
25 group, meaning one year olds, two year olds, three

1 year olds, on up to 18?  
 2 A. I don't know that I could say that.  
 3 Q. Besides -- well, the neonates take up a  
 4 heavier percentage than the other -- than the rest of  
 5 the group. Is that what you're telling me?  
 6 A. Yes.  
 7 Q. But you can't estimate what that percentage  
 8 would be?  
 9 A. No, I could not.  
 10 Q. Do you find that as the patients -- the  
 11 older the patient gets, the less percentage of time  
 12 that takes from your practice?  
 13 A. I think that outside of the first year of  
 14 life, I don't think I see that tendency. I do not  
 15 see that tendency.  
 16 Q. Okay. So there may be just as many two  
 17 year olds as 18 year olds?  
 18 A. Well, I think I used 18 -- I guess till the  
 19 time they turn 18, so maybe 17 year olds.  
 20 Q. Okay.  
 21 A. There may be as many. I just couldn't  
 22 really tell you.  
 23 Q. Okay. What is the -- how do you spend the  
 24 other 30 to 40 percent of your practice time? Or  
 25 maybe I -- let me rephrase that. How do you spend

1 Q. Would you agree that one to two percent of  
 2 your practice is adult in nature?  
 3 A. Yes, I would.  
 4 Q. When we talk about adults, are we talking  
 5 about people who are 18 and beyond?  
 6 A. That's correct.  
 7 Q. How much of your adult patients -- how much  
 8 of your work with adult patients involves surgery  
 9 patients?  
 10 A. I would say the majority of it.  
 11 Q. Greater than 50 percent?  
 12 A. Well, I guess patients who have had  
 13 surgery, is that...  
 14 Q. Let me be more specific. Of the one to two  
 15 percent of your patients that are adults, how many  
 16 are in the postoperative period?  
 17 A. About half of them.  
 18 Q. Is it fair, then, if my math is correct,  
 19 that somewhere from half of one percent to one  
 20 percent of your patients are adults in the  
 21 postoperative period?  
 22 A. That's a fair statement.  
 23 Q. How many of those are typically women?  
 24 A. Half and half, probably.  
 25 Q. So somewhere from one-quarter of one

1 the additional 30 to 40 percent of your time?  
 2 A. Mostly either administrative or teaching  
 3 duties.  
 4 Q. When you say administrative, what do you  
 5 mean?  
 6 A. Administrative, I mean answering phone  
 7 calls, returning -- writing papers, writing chapters,  
 8 preparing for talks. And by teaching, I mean giving  
 9 lectures to the medical students, preparing lectures  
 10 for the medical students or the residents, for the  
 11 fellows.  
 12 Q. Does that comprise what we just talked  
 13 about, your private practice and your administrative  
 14 and teaching duties, does that comprise a hundred  
 15 percent of your professional work?  
 16 A. I want to clarify. I do not have a private  
 17 practice. All this time is part of academic practice  
 18 affiliated with Baylor College of Medicine.  
 19 Q. Okay. Let me rephrase that. Would the 60  
 20 to 70 percent that you have -- that you told me you  
 21 spent in patient care, plus the teaching  
 22 responsibilities and the administrative  
 23 responsibilities, does that all comprise 100 percent  
 24 of your professional time?  
 25 A. Yes, it does.

1 percent to half a percent of your patients are female  
 2 adults in the postoperative period?  
 3 A. That's fair.  
 4 Q. And of those, how many of the postoperative  
 5 patients have had tubal ligations?  
 6 A. I would not be able to give you a number.  
 7 Q. Half and half, somewhere less than that?  
 8 A. I don't -- I wouldn't guess. You know,  
 9 it's -- that are there that I'm seeing them because  
 10 of a postop tubal ligation.  
 11 Q. Let me rephrase it. I'm wondering how many  
 12 of your -- well, you said that a quarter of one  
 13 percent to a half of one percent are adult female  
 14 patients in the postop period. Is that correct?  
 15 A. Well, I think you said that and I agreed to  
 16 it.  
 17 Q. Is that correct?  
 18 A. That's correct.  
 19 Q. And how many of those patients are you  
 20 treating after a tubal ligation?  
 21 A. Probably none.  
 22 Q. How many of those are you treating after  
 23 gynecological procedures?  
 24 A. Probably none.  
 25 Q. Have you ever treated a patient in the

Page 85

Page 87

1 postoperative period like Gloria Bass?  
 2 A. Yes, I have treated patients in the  
 3 postoperative period.  
 4 Q. Have you ever treated a patient like Gloria  
 5 Bass, who was a 34-year-old woman, who underwent a  
 6 tubal ligation?  
 7 A. No, I have not.  
 8 Q. Is it true that when you have privileges at  
 9 a hospital, that's something that you have to apply  
 10 for?  
 11 A. That is correct.  
 12 Q. And when you fill out an application for  
 13 privileges at a hospital, do you have to specify what  
 14 privileges you want?  
 15 A. Yes, that is correct.  
 16 Q. In other words, a surgeon might on his  
 17 application for privileges request privileges for  
 18 general surgery?  
 19 A. That is correct.  
 20 Q. What -- what sorts of -- how would you  
 21 describe your privileges as a physician at the  
 22 hospitals where you have active privileges?  
 23 A. At the two hospitals where I have active  
 24 privilege, I requested the privilege to admit,  
 25 diagnose and treat common and uncommon diseases of

1 Q. Have you ever had your privileges at any  
 2 hospital suspended?  
 3 A. No, I have not.  
 4 Q. Revoked?  
 5 A. No, I have not.  
 6 Q. Have you ever voluntarily relinquished any  
 7 privileges at any hospital?  
 8 A. No, I have not.  
 9 Q. Where did you do your medical -- where did  
 10 you go to medical school?  
 11 A. I went to medical school in the Monterrey  
 12 Institute of Technology in Monterrey, Mexico.  
 13 Q. Do you graduate from that school like you  
 14 do here in the States?  
 15 A. Yes, I did.  
 16 Q. Did you graduate?  
 17 A. I sure did.  
 18 Q. And was it after that graduation that you  
 19 decided to come to the States?  
 20 A. No. I think I -- earlier in my medical  
 21 career, I had decided that I wanted to come to the  
 22 United States. In fact, I took the first part of my  
 23 exams before completing medical school.  
 24 Q. Exams that are required in the  
 25 United States?

Page 86

Page 88

1 childhood and in adults. It also includes requesting  
 2 appropriate tests, interpreting those tests and  
 3 requesting appropriate consulting services, or  
 4 appropriate consultations. If I may also say, it  
 5 wouldn't be surprising if at both places I also  
 6 requested privileges for diagnosing and treating  
 7 infectious diseases.  
 8 Q. Okay. You said that the privileges that  
 9 you requested at these places would be admitting,  
 10 diagnosing and treating common and uncommon diseases  
 11 of childhood and adults, including requesting tests,  
 12 interpreting tests and requesting appropriate  
 13 consultations?  
 14 A. That's probably a fair statement.  
 15 Q. At the hospitals where you have active  
 16 privileges being -- and again, that's Ben Taub, Texas  
 17 Children's, it's those two?  
 18 A. Yes, sir.  
 19 Q. At those two hospitals, were you granted  
 20 the privileges that you requested?  
 21 A. Yes, I was.  
 22 Q. The full privileges that you requested?  
 23 A. Yes, I was.  
 24 Q. They didn't cut you short in any respect?  
 25 A. Not that I know.

1 A. That is correct.  
 2 Q. Is that -- that's called a FLEX exam?  
 3 A. I think that's the current name. At the  
 4 time it was called the ECFMG.  
 5 Q. And that's a college -- excuse me -- that's  
 6 an exam that foreign medical graduates have to take  
 7 before they're allowed to come and train or practice  
 8 in the United States?  
 9 A. That is correct.  
 10 Q. And I assume you passed that test for  
 11 foreign-trained medical graduates?  
 12 A. Yes, sir, I did.  
 13 Q. And then you came to the United States,  
 14 where you performed your residency?  
 15 A. That is correct.  
 16 Q. Now, there's an internship involved in  
 17 going to medical school, as well, isn't there, or at  
 18 least in the medical training?  
 19 A. That is correct.  
 20 Q. Had you performed your internship in  
 21 Mexico, or is that something that you did in Texas?  
 22 A. I did it here in Texas.  
 23 Q. So first would have been an internship and  
 24 then the residency?  
 25 A. Yes. And in the case of pediatrics, it is

Page 89

1 really the first year of the pediatric residency.  
 2 That is called internship.  
 3 Q. So it's -- for that first year, the terms  
 4 are interchangeable?  
 5 A. That's correct.  
 6 Q. And your residency, is that the one you did  
 7 at Breckenridge?  
 8 A. Yes, sir, I did.  
 9 Q. That would be a residency in what? Was it  
 10 called pediatrics?  
 11 A. In pediatrics, yes, sir.  
 12 Q. And that qualified you -- after you  
 13 completed that residency -- strike that.  
 14 After you completed that residency at  
 15 Breckenridge, did that, then, qualify you to become a  
 16 pediatrician?  
 17 A. Yes.  
 18 Q. And you could have gone out and hung out a  
 19 sign on a building that said, "Dr. Correa,  
 20 Pediatrician"?  
 21 A. Yes, sir.  
 22 Q. And you completed that in 1991?  
 23 A. That is correct.  
 24 Q. Did you immediately, after that, go into  
 25 the fellowship at Baylor?

Page 90

1 A. Yes, sir.  
 2 Q. There was no time in between, during which  
 3 you practiced solely as a pediatrician?  
 4 A. No, I did not.  
 5 Q. Now, your fellowship at Baylor was in  
 6 infectious diseases?  
 7 A. That is correct.  
 8 Q. Was it in infectious diseases generally or  
 9 was it more specified in pediatric infectious  
 10 diseases?  
 11 A. It was more specified in pediatric  
 12 infectious diseases.  
 13 Q. So those additional two years where you did  
 14 that fellowship at Baylor was in pediatric infectious  
 15 diseases?  
 16 A. Yes, sir.  
 17 Q. After -- when did you complete that  
 18 fellowship?  
 19 A. 1993, June of 1993.  
 20 Q. Since June of 1993, have you had any other  
 21 formal medical education?  
 22 A. No, I have not.  
 23 Q. And I'm not talking about hours that you  
 24 need to do to keep your license current.  
 25 A. I understand. No, I have not.

Page 91

1 Q. Now, you are -- I believe you testified  
 2 earlier that you are board certified in pediatrics?  
 3 A. That is correct.  
 4 Q. And you are board certified in infectious  
 5 diseases?  
 6 A. In pediatric infectious diseases.  
 7 Q. Okay. So you are board certified in  
 8 pediatrics and pediatric infectious diseases. Is  
 9 that correct?  
 10 A. That is correct.  
 11 Q. Is there a separate board certification for  
 12 adult infectious diseases?  
 13 A. Yes, there is.  
 14 Q. Do you hold that?  
 15 A. No, I do not.  
 16 Q. Now, if there are different boards for  
 17 pediatric and adult infectious diseases, does that  
 18 mean that there are differences between treatment of  
 19 pediatric and adult patients with infectious  
 20 diseases?  
 21 MR. MARTIN: Objection, form.  
 22 A. Yes.  
 23 Q. (BY MR. AUSTIN) Can you tell me, what are  
 24 some of the differences in treating pediatric  
 25 infectious diseases versus treating adult infectious

Page 92

1 diseases?  
 2 A. The basic differences have to do with the  
 3 antibiotics or antimicrobials that are approved in  
 4 adults as compared to children. There are a number  
 5 of antimicrobials that have gained approval to be  
 6 used in adults that are not approved for use in  
 7 pediatric patients. And the other has to do with how  
 8 children metabolize those antibiotics or those  
 9 antimicrobials. It may be that children require  
 10 higher dosages or a different dosing schedule, but in  
 11 general terms, those are the main differences.  
 12 The other difference -- you asked  
 13 specifically about treatment, but the other  
 14 difference is that some diseases may be more common  
 15 in one age group than the other.  
 16 THE VIDEOGRAPHER: Mr. Austin, excuse  
 17 me. I've got about two minutes.  
 18 MR. AUSTIN: Okay. You can go ahead  
 19 and change it. We'll take a break.  
 20 THE VIDEOGRAPHER: We're off the  
 21 record at 4:15 p.m.  
 22 (Break from 4:15 p.m. to 4:24 p.m.)  
 23 THE VIDEOGRAPHER: We're back on the  
 24 record at 4:24 p.m.  
 25 Q. (BY MR. AUSTIN) Dr. Correa, we were

Page 93

Page 95

1 testifying about your hospital privileges a while  
 2 back. Do you recall that?  
 3 A. Yes, sir.  
 4 Q. I can't remember if I asked you this.  
 5 Forgive me if I have. Have you ever -- well, you've  
 6 said you've never had any privileges suspended or  
 7 revoked?  
 8 A. That's correct.  
 9 Q. Have you ever applied for any privileges  
 10 and not gotten the full privileges that you  
 11 requested?  
 12 A. No, I have not.  
 13 Q. And you've never been denied any privileges  
 14 anywhere?  
 15 A. That is correct.  
 16 Q. Are you currently licensed by the Texas  
 17 Board of Medical Examiners?  
 18 A. Yes, sir, I am.  
 19 Q. Are you licensed in any other states?  
 20 A. I am licensed in Mexico.  
 21 Q. Are you licensed to practice medicine  
 22 anywhere else other than Texas and Mexico?  
 23 A. No, I am not.  
 24 Q. Now, do I understand that -- that the  
 25 license to practice medicine in Mexico is not

1 A. Not to my knowledge.  
 2 Q. When did you receive your board  
 3 certification in pediatrics?  
 4 A. My certification was in November of 1991.  
 5 Q. And then you became certified in pediatric  
 6 infectious diseases at some time after that in '94?  
 7 A. That's correct.  
 8 Q. Now, you said earlier that you're on the  
 9 faculty as an assistant professor at Baylor College  
 10 of Medicine?  
 11 A. That's correct.  
 12 Q. And in that capacity that requires you  
 13 to -- or gives you the opportunity to teach to  
 14 medical students and fellows alike?  
 15 A. Medical students, residents and fellows.  
 16 Q. Is your title assistant professor or do you  
 17 have a title like assistant professor of something or  
 18 other?  
 19 A. If I -- probably assistant professor of  
 20 pediatrics. Or rather, it's assistant professor of  
 21 the department of pediatrics.  
 22 Q. Your title at Baylor College of Medicine is  
 23 assistant professor of the department of pediatrics?  
 24 A. Assistant professor -- no, I'm sorry. I  
 25 take that back. It's assistant professor of

Page 94

Page 96

1 something that you have to renew once you get it?  
 2 A. That is correct.  
 3 Q. So without taking any action in Mexico,  
 4 you're licensed to practice medicine there for life?  
 5 A. That's correct.  
 6 Q. Whereas in Texas you have to -- you have to  
 7 have that license renewed from time to time?  
 8 A. Yes, sir.  
 9 Q. How often do you have to have your license  
 10 renewed to practice medicine in Texas?  
 11 A. It's on a yearly basis.  
 12 Q. Have you ever had your licenses in Mexico  
 13 or Texas suspended for any reason?  
 14 A. No, I have not.  
 15 Q. Never been revoked?  
 16 A. Never.  
 17 Q. Ever been investigated by the Texas Board  
 18 of Medical Examiners?  
 19 A. No, sir.  
 20 Q. To your knowledge have you ever had a  
 21 complaint filed against you by the board -- let me  
 22 strike that.  
 23 To your knowledge have you ever had a  
 24 complaint filed against you with the Texas Board of  
 25 Medical Examiners?

1 pediatrics.  
 2 Q. Okay. Just so we can get this clear.  
 3 A. Yeah.  
 4 Q. Your current title at Baylor College of  
 5 Medicine is assistant professor of pediatrics?  
 6 A. That is correct.  
 7 Q. You mentioned earlier that from time to  
 8 time I think eight to ten times a year you said you  
 9 lecture as an invited speaker. Is that correct?  
 10 A. That is correct.  
 11 Q. What groups do you talk to as an invited  
 12 speaker?  
 13 A. It -- typically physicians.  
 14 Q. Would that be in the Houston area?  
 15 A. Some of the talks have been in the Houston  
 16 area, some in other parts of the state, the country  
 17 or sometimes out of the country.  
 18 Q. On those occasions do they tell you what  
 19 they'd like to hear about or is it up to you to come  
 20 up with the topic?  
 21 A. Most of the time they pick the topic.  
 22 Q. You said that you belong to the American  
 23 Society of Microbiology. Is that correct?  
 24 A. That's correct.  
 25 Q. Does the American Society of microbiology

Page 97

- 1 have a newsletter?  
 2 A. Yes, they do.  
 3 Q. Do you take it?  
 4 A. I do receive it, yes.  
 5 Q. How often does that come?  
 6 A. I think ASM news comes on a monthly basis.  
 7 Q. How long have you been a member of the  
 8 American Society of Microbiology?  
 9 A. I have been a member since 1993 but took a  
 10 break from the society probably throughout 1999 and  
 11 the first part of this year.  
 12 Q. Did you quit or just let your -- let your  
 13 membership lapse or how did that come to pass in  
 14 1999?  
 15 A. I let my membership lapse.  
 16 Q. Why did you do that?  
 17 A. Because the budget that we had for  
 18 membership fees was limited.  
 19 Q. Okay.  
 20 A. So I couldn't afford to continue to belong  
 21 to ASM.  
 22 Q. In other words, your -- the school, the  
 23 College of Medicine pays those dues for you?  
 24 A. That is correct.  
 25 Q. And there wasn't enough money in the budget

Page 98

- 1 to pay for the American Society of Microbiology  
 2 membership?  
 3 A. I got an amount that I'm able to use for  
 4 fees or other subscriptions, et cetera, and that  
 5 particular year I did not have enough funds to pay  
 6 for ASM membership.  
 7 Q. It was lower on your priority list than  
 8 other memberships you would have liked to maintain?  
 9 A. That is correct.  
 10 Q. Okay. Now, what happened in late -- what  
 11 happened in the latter part of 2000 that allowed you  
 12 to rejoin the American Society of Microbiology?  
 13 A. The fees went down and, in addition, the  
 14 allocation of money changed. Just to give you an  
 15 example, the DEA license is renewed every three  
 16 years. It just happened that mine was up for renewal  
 17 in 1999 and that was an expensive venture, so that  
 18 particular year I decided to allocate my money to my  
 19 renewal rather than continuing with ASM.  
 20 Q. Okay. How about the Infectious Disease  
 21 Society of America, when did you first become a  
 22 member of that?  
 23 A. I became a member in training in 1993 and  
 24 then a formal member according to my C.V. in 1994.  
 25 Q. Has your membership in the Infectious

Page 99

- 1 Disease Society of America ever lapsed?  
 2 A. No, it has not.  
 3 Q. Do they have a newsletter?  
 4 A. Yes, they do.  
 5 Q. How often does that come?  
 6 A. That comes quarterly.  
 7 Q. Do you take it?  
 8 A. I do receive it.  
 9 Q. Do you read it?  
 10 A. Depending on the topic.  
 11 Q. What topics would you want to read about  
 12 from the Infectious Disease Society of America?  
 13 A. Well, in particular over the last year one  
 14 of my colleagues, Dr. Carol Baker, has become the  
 15 president of that society; so certainly that has  
 16 raised the interest in that newsletter. In addition,  
 17 I like to read about upcoming meetings or about  
 18 emerging infections or current problems.  
 19 Q. How long have you been a member of the  
 20 Pediatric Infectious Disease Society?  
 21 A. Probably since 1993.  
 22 Q. Have you been a member continuously since  
 23 '93?  
 24 A. Yes, sir.  
 25 Q. Does the Pediatric Infectious Disease

Page 100

- 1 Society have a newsletter?  
 2 A. They used to.  
 3 Q. Did you take it while they had it?  
 4 A. Yes, I did.  
 5 Q. When did they stop publishing that?  
 6 A. Well, what they did is now they  
 7 incorporated into a journal. It's a journal that we  
 8 receive monthly, and that's where the newsletter is  
 9 included.  
 10 Q. Is a journal -- is it a journal of other  
 11 newsletters?  
 12 A. No. It's a journal of pediatric infectious  
 13 diseases.  
 14 Q. How long have you been a member of the  
 15 Texas Infectious Disease Society?  
 16 A. That membership started recently, I believe  
 17 a year or two ago.  
 18 Q. Is that on your C.V.?  
 19 A. No, it is not.  
 20 Q. Does the Texas Infectious Disease Society  
 21 have a newsletter?  
 22 A. No, they don't.  
 23 Q. Do they have meetings that you go to?  
 24 A. Yes, they do.  
 25 Q. How often do they meet?

1 A. They meet annually.  
 2 Q. Did they meet this year?  
 3 A. Yes, they did.  
 4 Q. When?  
 5 A. They met in San Antonio over the summer. I  
 6 unfortunately was not able to attend.  
 7 Q. How come you couldn't go?  
 8 A. Oh, if I remember correctly it -- it was a  
 9 weekend that I was on call.  
 10 Q. I think when Mr. Martin was asking you  
 11 questions you referred to your -- to the C.V. that's  
 12 Exhibit 1 as an updated or current C.V.  
 13 A. That's correct.  
 14 Q. When was this updated?  
 15 A. I printed it this morning.  
 16 Q. Did you make any changes to it this  
 17 morning?  
 18 A. No, I did not.  
 19 Q. When was the last time that changes were  
 20 made?  
 21 A. When I added the last lecture, shortly  
 22 after my return from Venezuela.  
 23 Q. Well, I guess the one that I have shows  
 24 lectures going up to No. 35 and this one goes up to  
 25 57, does it not?

1 severed ovarian artery?  
 2 A. No, they do not.  
 3 Q. When I said writings did you understand  
 4 that to include the book chapters and the abstracts?  
 5 A. Yes, I do.  
 6 Q. In addition to the journal articles?  
 7 A. Yes, sir.  
 8 Q. Okay. You've also got a list of invited  
 9 lectures on your C.V.?  
 10 A. Yes, sir.  
 11 Q. Do any of these invited lectures touch  
 12 specifically on the issues involved in this case?  
 13 A. Again, as specifically as to infectious  
 14 disease, which is where I'm giving the opinion, I  
 15 believe all of them have a bearing on that. However,  
 16 very specifically as to your second question dealing  
 17 with access to the bloodstream from a severed ovarian  
 18 artery, no.  
 19 Q. For example, the first invited lecture  
 20 which you gave in 1992 is neonatal sepsis?  
 21 A. That is correct.  
 22 Q. And Ms. Bass was not a neonate?  
 23 A. That's correct.  
 24 Q. So that really wouldn't bear on the issues  
 25 involved in this case?

1 A. 57, that's correct.  
 2 Q. So this C.V. has been updated since I got  
 3 mine?  
 4 A. That copy, absolutely.  
 5 Q. Okay. Your C.V. includes a number of  
 6 articles that you've written and I guess journal  
 7 articles and chapters that you've contributed to. Is  
 8 that fair? Is that a fair summary?  
 9 A. That's correct. Yes, sir.  
 10 Q. Are there any journal articles or chapters  
 11 that you've contributed to or articles that you've  
 12 written that bear on the issues involved in this  
 13 case?  
 14 A. Well, being that this is an infectious  
 15 disease case and the opinion that I'm giving, I  
 16 believe that all of them have bearing because they're  
 17 all infectious disease related. Now, to specifics of  
 18 this case, no, I do not.  
 19 Q. Do any of your writings touch on infections  
 20 in the postoperative period from bowel bacteria?  
 21 A. No, they don't.  
 22 Q. Okay. You've also got a number of  
 23 lectures -- well, strike that.  
 24 Do any of your writings touch on  
 25 bacteria gaining access to the bloodstream through a

1 A. That is correct.  
 2 Q. Okay. Same with your fourth invited  
 3 lecture which is diagnosis and treatment of  
 4 sinusitis?  
 5 A. That is correct.  
 6 Q. That really wouldn't have anything to do  
 7 with this case?  
 8 A. Yes, sir, that is correct.  
 9 Q. So except for the fact that all of these  
 10 touch on infectious diseases generally, none bear  
 11 directly on the specific issues in this case. Is  
 12 that fairly said?  
 13 A. That's a fair statement. However, if I may  
 14 clarify the -- you may see that one of my talks in  
 15 the Philippines in 1999 had to do with sepsis and the  
 16 management of sepsis. I guess to a point we can  
 17 apply that to this particular case.  
 18 Q. That's -- is that 44 that talks about  
 19 immunizations and special circumstances, vaginal  
 20 discharge in children and childhood meningitis?  
 21 A. Yes. What it is, what you're seeing there  
 22 is four different talks. The title that you  
 23 mentioned is the other three talks, but I think that  
 24 the original talk was entitled something like the  
 25 role of antimicrobials in sepsis.

Page 105

1 Q. Update on sepsis syndrome?  
 2 A. Update on sepsis syndrome, that's correct.  
 3 Q. Did that talk involve anyone who got sepsis  
 4 in the postoperative period?  
 5 A. Yes, it did.  
 6 Q. Did it talk about women who got sepsis  
 7 following a tubal ligation?  
 8 A. No, it did not.  
 9 Q. And when you give a talk like this, do you  
 10 actually discuss patients and their courses and their  
 11 outcomes?  
 12 A. No, I do not.  
 13 Q. I don't mean by name, but do you give  
 14 examples to illustrate points?  
 15 A. I do not think so.  
 16 Q. And when you say sepsis syndrome, I guess  
 17 you talked about sepsis to some extent?  
 18 A. Yes, that's correct.  
 19 Q. What was it that you talked about with  
 20 regard to sepsis?  
 21 A. I talked about the pathogenesis, how it  
 22 originates, what type of mechanisms occur, and the  
 23 effects of the different changes that go in the body,  
 24 as well as the treatment, the management of a patient  
 25 with sepsis.

Page 106

1 Q. What were the various means of pathogenesis  
 2 that you talked about?  
 3 A. Well, basically I talked about the ways  
 4 that the causative organism can gain access to the  
 5 circulation.  
 6 Q. Did you -- in that talk when you were  
 7 talking about means of access to the bloodstream, did  
 8 you talk about severed blood vessels?  
 9 A. Not specifically.  
 10 Q. And I assume you did not talk about severed  
 11 ovarian arteries being a means of access to the  
 12 bloodstream?  
 13 A. I did not.  
 14 Q. Have you ever been sued relating to your  
 15 medical profession?  
 16 A. Yes, I have.  
 17 Q. How many times?  
 18 A. One time.  
 19 Q. When was that?  
 20 A. That was during my residency.  
 21 Q. Can you tell me the -- in a nutshell the  
 22 circumstances?  
 23 A. Sure. It was a case that was filed in  
 24 Travis County and it involved the death of a child  
 25 who died as complication of a postop -- or in the

Page 107

1 postop course from cardiac surgery.  
 2 Q. Did the patient have an infection of some  
 3 kind?  
 4 A. Not to my recollection.  
 5 Q. What was the outcome of that case?  
 6 A. The case was -- I guess the proper term is  
 7 dismissed by summary judgment.  
 8 Q. You were actually served and you were a  
 9 party to that lawsuit?  
 10 A. That is correct.  
 11 Q. When you were a defendant in that lawsuit,  
 12 did you feel like you had done anything inappropriate  
 13 in treating that patient?  
 14 A. No, I did not.  
 15 Q. Did the fact that you were named in that  
 16 lawsuit make you feel like you had done anything  
 17 wrong?  
 18 A. No, it did not.  
 19 Q. Did you feel like you had acted  
 20 appropriately in treating that patient?  
 21 A. Yes, I did.  
 22 Q. Even though allegations were made against  
 23 you that you had not?  
 24 A. That's correct.  
 25 Q. When did you first begin reviewing medical

Page 108

1 malpractice cases in the capacity as a potential  
 2 expert witness?  
 3 A. Probably in 1994.  
 4 Q. Can you give me an estimate of how many  
 5 cases that you've reviewed, not testified in or not  
 6 been retained in, but how many cases have you  
 7 reviewed either for plaintiff's counsel or defense  
 8 counsel since you began doing this work in 1994?  
 9 A. That I have reviewed, maybe 40 to 45.  
 10 Q. Now, your review of medical malpractice  
 11 cases has increased, has it not?  
 12 A. Yes, it has.  
 13 Q. In the last two years?  
 14 A. Yes, it has.  
 15 Q. Would you agree that from the time you  
 16 began reviewing cases in 1994 up through January 29th  
 17 of 1999 you had looked somewhere in the neighborhood  
 18 of 16 to 18 cases?  
 19 A. That's a fair statement.  
 20 Q. And then from January 29th of '99 to the  
 21 present -- well, strike that.  
 22 Total cases I believe you just  
 23 testified is that you've reviewed what, 40 to 45?  
 24 A. Yes, sir.  
 25 Q. Okay. So if my math is right, is it fair

Page 109

Page 111

1 to say that since January 29th of '99 you've reviewed  
2 somewhere between 24 and 27 cases?

3 A. That is correct.

4 Q. Earlier when we were describing the  
5 percentage of time that you devote to professional  
6 activities, were you including the time that you  
7 spend reviewing cases and testifying at depositions  
8 and so forth?

9 A. No, I do not.

10 Q. What sort of percentage would that  
11 comprise?

12 A. I would guess maybe ten percent.

13 Q. Is that ten percent over the last two  
14 years?

15 A. No, I do believe that over the last two  
16 years that number has increased somewhat.

17 Q. It has increased?

18 A. Yes.

19 Q. Let's say January 1st of '99 -- up to  
20 January 1st of '99, how much time would you devote to  
21 your reviewing litigation matters?

22 A. And you're asking me a time in a given day  
23 or I mean --

24 Q. No, no. I'm just trying to get a ballpark  
25 estimate. Up to that point you would have spent X

1 review cases.

2 Q. Do you recall testifying in January of 1999  
3 that at that time you had about ten open cases?

4 A. That's a reasonable recollection.

5 Q. You don't have any reason to dispute that,  
6 do you?

7 A. No, I don't.

8 Q. How many open cases do you have today?

9 A. I don't know the exact number.

10 Q. Could you give me -- well, is it more than  
11 ten?

12 A. Yes.

13 Q. Is it less than 20?

14 A. Probably.

15 Q. Is that as specific as you can get?

16 A. Yes.

17 Q. So currently you're reviewing litigation  
18 matters at various stages somewhere between 10 and 20  
19 cases?

20 A. That's a reasonable estimate.

21 Q. Of those 10 to 20 cases, in how many have  
22 you been retained by plaintiff's counsel?

23 A. About half of them.

24 Q. The other half defense counsel?

25 A. That is correct.

Page 110

Page 112

1 amount of time with direct patient care, X amount of  
2 time teaching. How much time would you have spent  
3 with litigation matters?

4 A. Okay. In order to answer that question, I  
5 want to clarify that when I was talking about that  
6 time I was talking about my professional time as  
7 related to Baylor College of Medicine. Then this is  
8 really -- it's more on my free time or my time  
9 outside of the capacity of the medical school. But  
10 prior to that time maybe five, seven percent, and as  
11 I mention now it's more ten percent of my time.

12 Q. And the ten percent has been -- has that  
13 been consistent since the beginning of '99?

14 A. No. I think that particularly 2000 has  
15 been busier.

16 Q. Was it five to seven percent throughout  
17 1999?

18 A. I guess we can -- no, more like seven to  
19 ten percent.

20 Q. And ten percent as we sit here today?

21 A. Yes.

22 Q. Why is it that you're increasing the amount  
23 of time that you're devoting to review of litigation  
24 matters?

25 A. I have just been asked more frequently to

1 Q. Now, I believe you testified earlier that  
2 you have had at least one other litigation matter  
3 with -- where you've reviewed a case with Mr. Martin.  
4 Is that correct?

5 A. That's correct.

6 Q. Not counting this one, how many cases have  
7 you reviewed for Mr. Martin?

8 A. Maybe five or six.

9 Q. Not counting this one?

10 A. Not counting this one I would say five.

11 Q. Now, are those cases that you've reviewed  
12 or just cases that you've agreed to testify?

13 A. No. This is cases that I have reviewed.

14 Q. And of those five other cases, on how many  
15 of those occasions have you been retained as an  
16 expert?

17 A. I believe I've been retained -- I'm sorry,  
18 Did I say in addition to this one five?

19 Q. Correct.

20 A. Okay. So maybe four.

21 Q. So total including this case you have  
22 reviewed six cases for Mr. Martin?

23 A. Yeah, six. And again, it's an estimate. I  
24 don't know the exact number but I would say yeah,  
25 six.

1 Q. Is it six to seven, six to eight?  
 2 A. Six to eight.  
 3 Q. Okay. Including this case you've reviewed  
 4 approximately six to eight cases for Mr. Martin?  
 5 A. That's correct.  
 6 Q. And of those you have been retained to  
 7 testify in how many?  
 8 A. That I know for sure four.  
 9 Q. What happened in those other two to four  
 10 cases?  
 11 A. Two of them -- let me tell you. One was  
 12 settled, the other one went to trial, and the other  
 13 two are pending. One has gone through the deposition  
 14 stage.  
 15 Q. Now I'm actually talking about the cases  
 16 where you were not retained.  
 17 A. Okay. I'm sorry.  
 18 Q. By my count there's about two to four cases  
 19 where you reviewed the case but for one reason or  
 20 another you were not retained.  
 21 A. That's correct.  
 22 Q. Is that true?  
 23 A. That's correct.  
 24 Q. Why were you not retained in those other  
 25 cases?

1 A. Well, in some cases because I did not feel  
 2 that there was any contribution that I could do  
 3 either as to standard of care or causation. One  
 4 other case my understanding this was not pursued any  
 5 further by Mr. Martin.  
 6 Q. Okay. So one of those cases was dropped,  
 7 just not pursued?  
 8 A. I don't know the details. I was just told  
 9 that the case -- let me take it back. I think the  
 10 case was dismissed because of the statute of  
 11 limitations and it's now being appealed.  
 12 Q. Okay. Was it dismissed by -- for whatever  
 13 reason was it dismissed before you had told  
 14 Mr. Martin that you couldn't help him out?  
 15 A. No. It was after.  
 16 Q. So you had told him that you, for one  
 17 reason or another, could not testify for him?  
 18 A. No. I -- I had told him I could.  
 19 Q. And then it got dismissed?  
 20 A. And then it got dismissed.  
 21 Q. Okay. And on those other three or four  
 22 occasions you looked at the case and did not find a  
 23 breach of the standard of care?  
 24 A. Or a causation. In some occasions he had  
 25 asked me to look as to standard of care and some of

1 the cases, as this one, as a causation expert.  
 2 Q. Have they all been -- strike that.  
 3 How many times have you given a  
 4 deposition before?  
 5 A. How many times have I given a deposition in  
 6 general?  
 7 Q. Correct. Well, related to medical  
 8 malpractice cases.  
 9 A. Maybe 10 to 12 times.  
 10 Q. So of the 40 to 45 times you've been  
 11 retained -- excuse me -- you've reviewed a case --  
 12 strike that.  
 13 You told me earlier that you've  
 14 reviewed about 40 to 45 cases?  
 15 A. That was my estimation.  
 16 Q. Okay. Of those in how many have you been  
 17 actually retained?  
 18 A. I do not know.  
 19 Q. Could you give me any estimate?  
 20 A. I could not.  
 21 Q. Okay. Well, at any rate some of those you  
 22 were retained and then of those I guess 10 to 12  
 23 times you gave depositions?  
 24 A. That is correct.  
 25 Q. How many times have you given depositions

1 for -- on behalf of the defendant, the healthcare  
 2 providers?  
 3 A. About half of the time.  
 4 Q. The other half for plaintiffs?  
 5 A. That's correct.  
 6 Q. How many times have you testified at trial?  
 7 A. Two times.  
 8 Q. Were those both for plaintiff's counsel?  
 9 A. Yes, sir.  
 10 Q. Was one for Mr. Martin?  
 11 A. Yes, sir.  
 12 Q. Were they both for Mr. Martin?  
 13 A. No.  
 14 Q. What was the outcome in the one case that  
 15 you testified for Mr. Martin?  
 16 A. My understanding is that the case was --  
 17 the verdict was in favor of the defendant.  
 18 Q. What type of case was that?  
 19 A. It was a child who died from a respiratory  
 20 tract infection.  
 21 Q. How old was the child?  
 22 A. I don't recall her exact age. She was  
 23 young. Two or three years.  
 24 Q. How about the other time that you testified  
 25 in trial? What was that case about?

Page 117

Page 119

1 A. It was a case of tuberculous meningitis.  
 2 Q. How old was the patient?  
 3 A. At the time that the injuries occurred,  
 4 about a year old.  
 5 Q. What was the outcome of that case?  
 6 A. The case was settled with some of the  
 7 defendants and was found in favor of the defendant  
 8 for another group of defendants.  
 9 Q. So some of the defendants actually went to  
 10 verdict?  
 11 A. That is correct.  
 12 Q. And those defendants that went to verdict  
 13 the jury found in their favor?  
 14 A. That is correct.  
 15 Q. How many depositions have you given related  
 16 to your review of medical malpractice cases in the  
 17 past two years?  
 18 A. Again, I would have to estimate maybe five  
 19 or six.  
 20 Q. Half and half, half for plaintiffs, half  
 21 for defense?  
 22 A. Yes.  
 23 Q. Can you remember what any of those cases  
 24 are about?  
 25 A. Yes. The majority of them have to do with

1 Q. What were your opini-- strike that.  
 2 What were your opinions relative to  
 3 the physician defendant?  
 4 A. My opinions were not related to standard of  
 5 care.  
 6 Q. What were your opinions related to?  
 7 A. Causation.  
 8 Q. Did you find that some malpractice had  
 9 caused an injury?  
 10 A. Well, it was not my duty to determine if  
 11 malpractice had occurred. It was -- what I was asked  
 12 to do is to determine if the injuries were related to  
 13 the procedure or the lack of a procedure in this  
 14 case.  
 15 Q. Did you find that the injuries were related  
 16 to the lack of a procedure?  
 17 A. Yes.  
 18 Q. What's the next case that you can  
 19 remember? Can you tell me about it?  
 20 A. Yes. It was a case involving a patient  
 21 with Rocky Mountain Spotted Fever.  
 22 Q. Were you retained by the plaintiff or the  
 23 defense?  
 24 A. I was retained by the plaintiff.  
 25 Q. What were your opinions in that case?

Page 118

Page 120

1 infections, although one of the cases involved  
 2 surgical complications.  
 3 Q. Let's talk -- talk about the first one that  
 4 you can remember.  
 5 A. Okay.  
 6 Q. What was that case about?  
 7 A. The most recent one involved the -- a case  
 8 of a child who suffered blindness from retinopathy at  
 9 prematurity.  
 10 Q. Was that an injury that happened at or near  
 11 birth?  
 12 A. It's an injury that happened after birth.  
 13 Q. And how old was the child?  
 14 A. At this time of this injury, a few months  
 15 old.  
 16 Q. Did you testify for the plaintiff or the  
 17 defense?  
 18 A. I testified for the plaintiff.  
 19 Q. Okay. And was your opinion there that a  
 20 physician had violated the standard of care?  
 21 A. No, it was not.  
 22 Q. Was it a hospital -- who were you test--  
 23 who were the defendants?  
 24 A. The defendants were a hospital and a  
 25 physician.

1 A. In that case my opinion was that this child  
 2 died as a consequence of Rocky Mountain Spotted  
 3 Fever.  
 4 Q. Were you testifying on causation or  
 5 standard of care or both?  
 6 A. I was testifying on causation.  
 7 Q. And you found that there was causation?  
 8 A. Yes.  
 9 Q. What's the third case?  
 10 A. The third case involved a child who  
 11 developed necrosis, destruction of the nose and the  
 12 palate as a result of a infection due to a bacteria  
 13 called pseudomonas.  
 14 Q. How old was the patient?  
 15 A. At the time of the injury, he was several  
 16 months old.  
 17 Q. Did you testify for the plaintiff or the  
 18 defense?  
 19 A. I testified for the defendants.  
 20 Q. What was your opinion in that case?  
 21 A. It was my opinion that the cause of the  
 22 infection could not have been prevented and was not  
 23 the result of negligence on the part of the  
 24 physicians.  
 25 Q. What's the next case you can remember?

1 A. It's a case of a neonate suffering injuries  
2 at birth from an infection.

3 Q. Did you testify for the plaintiff or the  
4 defense?

5 A. The defense -- I'm sorry -- the plaintiffs.

6 Q. And what was your opinion in that case?

7 A. My opinion was that the injuries that the  
8 child had were the result of a infection due to  
9 Group B strep bacteria.

10 Q. Were you testifying only on causation or  
11 standard of care?

12 A. Causation.

13 Q. And you found that causation did exist?

14 A. Yes.

15 Q. What's the next case that you can remember  
16 that you gave a deposition in the past two years?

17 A. It was a case involving a child who died  
18 from a -- following surgery for an abdominal --  
19 abdominal stricture.

20 Q. How was that related to infectious  
21 diseases?

22 A. It was related as to if this complication  
23 was expected or could've occurred and as to whether  
24 antibiotics would have made a difference or not.

25 Q. Who did you testify for?

1 testified two times at trial as an expert witness.  
2 Is that true?

3 A. That is correct.

4 Q. And both times were for -- on behalf of the  
5 plaintiff?

6 A. That is correct.

7 Q. And in the past two years you've given by  
8 my count six depositions. Is that -- by your  
9 recollection is that true?

10 A. I think that's the number I said, yes.

11 Q. And of those is it true that four of those  
12 were on behalf of the plaintiffs?

13 A. That's correct. Of the ones that we  
14 counted, yes.

15 Q. Well, the ones we counted were the ones  
16 that you could remember. Correct?

17 A. That's correct.

18 Q. And of the ones you could remember, four of  
19 the six were on behalf of the plaintiffs?

20 A. That's correct.

21 THE WITNESS: Would it be possible to  
22 take a quick time-out?

23 MR. AUSTIN: Sure, sure.

24 THE WITNESS: Thank you.

25 THE VIDEOGRAPHER: We're off the

1 A. On behalf of the defendants.

2 Q. How old is the child who passed away in  
3 that case?

4 A. Maybe four or five years old.

5 Q. Are there any other cases that you can  
6 remember giving depositions in in the past two years?

7 A. I think there's one more.

8 Q. Tell me about that one.

9 A. That I can remember. It was a -- I can't  
10 remember the details and it again involved a child  
11 who was not treated in a timely manner, diagnosed and  
12 treated in a timely manner.

13 Q. What was the illness?

14 A. Meningitis.

15 Q. How old was the child?

16 A. If I remember correctly less than a year of  
17 age.

18 Q. Were you retained by the plaintiff or the  
19 defendant?

20 A. I was retained by the plaintiff.

21 Q. Can you remember giving any other  
22 depositions in the past two years?

23 A. I probably have, but I don't remember on  
24 behalf of who.

25 Q. To the best of your recollection, you've

1 record at 5:06 p.m.

2 (Off the record from 5:06 p.m. to  
3 5:15 p.m.)

4 THE VIDEOGRAPHER: We're back on the  
5 record at 5:15 p.m.

6 Q. (BY MR. AUSTIN) Dr. Correa, just to recap  
7 what we've been talking about, is it true that in the  
8 past -- well, is it true that you've testified two  
9 times at trial as a medical/legal consultant?

10 A. That is correct.

11 Q. Both times have been for plaintiffs'  
12 counsel?

13 A. That is correct.

14 Q. And both of those cases have involved  
15 children, one being a one year old and one being a  
16 two to three year old?

17 A. That is correct.

18 Q. And in the past two years you've given six  
19 depositions that you can remember?

20 A. Yes, that's correct.

21 Q. Four of those were for plaintiff's counsel  
22 and two for defense counsel?

23 A. That is correct.

24 Q. And all of those six cases involved  
25 children, did they not?

1 A. Yes, sir.  
 2 Q. Excuse me.  
 3 Do you belong to any expert witness  
 4 referral services?  
 5 A. No, I do not.  
 6 Q. Do you do any advertising for your  
 7 medical/legal consulting services?  
 8 A. No, I do not.  
 9 Q. So is it fair to say that whether you're  
 10 retained by plaintiff or defense counsel they hear of  
 11 you through word of mouth?  
 12 A. That is very correct.  
 13 Q. And I guess like Mr. Martin, you have some  
 14 repeat customers?  
 15 A. Yes, I do.  
 16 Q. Earlier we talked about the differences  
 17 between treating infectious diseases in pediatric  
 18 patients versus adult patients. Do you remember  
 19 that?  
 20 A. Yes, sir.  
 21 Q. And I think you told me there were  
 22 differences in the antimicrobial drugs that have been  
 23 approved for adults that might not be approved in  
 24 children?  
 25 A. That is correct.

1 heart rate, what other differences are there in the  
 2 bodily function of children versus adults?  
 3 A. Well, there's certainly the -- I guess  
 4 limit of normal for vital signs are different. That  
 5 includes blood pressure, respiration, heart rate,  
 6 et cetera.  
 7 Q. How would respirations differ in -- the  
 8 normal range of respirations differ from children  
 9 versus adults?  
 10 A. Well, in general the younger you are the  
 11 more breaths per minute that you take.  
 12 Q. So in a normal adult would the normal range  
 13 of respirations be around 20?  
 14 A. Even less than that sometimes.  
 15 Q. 18 to 22?  
 16 A. Anywhere from 14 to 22.  
 17 Q. And in children what would that same range  
 18 be for normal respirations per minute?  
 19 A. It depends on the age. It can be as high  
 20 as 50 times in a newborn to a teenager that has a  
 21 more similar rate to that of the adult.  
 22 Q. The older you get the slower your  
 23 respirations get?  
 24 A. In general, yes.  
 25 Q. You mentioned there were some differences

1 Q. And the way children metabolize these  
 2 antimicrobials might be different?  
 3 A. That is correct.  
 4 Q. And some diseases are more common in  
 5 children than adults?  
 6 A. That's correct.  
 7 Q. Or vice versa?  
 8 A. Or vice versa.  
 9 Q. Isn't it true that there are also  
 10 differences in the patients themselves, besides the  
 11 obvious like pediatrics are kids and adults are  
 12 adults?  
 13 A. No, I don't think so.  
 14 Q. For example, a child's resting heart rate  
 15 is not typically different than an adult's?  
 16 A. Yes, it is.  
 17 Q. And typically a child will have less urine  
 18 output than an adult per pound?  
 19 A. I don't know that to be true. It depends  
 20 on the age of the adult and the age of the child, but  
 21 there may be differences in urinary output. That's  
 22 one of the things I was trying to point out on how  
 23 antibiotics may behavior differently in an adult than  
 24 a pediatric patient.  
 25 Q. Besides possible urine output and resting

1 between children and adults with regard to blood  
 2 pressure, as well?  
 3 A. That is correct.  
 4 Q. How do the blood pressures differ?  
 5 A. Again, the younger you are the lower your  
 6 blood pressure in general is, and as you go into  
 7 adulthood those numbers tend to increase. So what  
 8 may be high blood pressure for an adult may -- or I'm  
 9 sorry -- what may be high blood pressure for a child  
 10 may be completely normal for an adult.  
 11 Q. So we've talked about differences in --  
 12 when we talk about children versus adults, we've got  
 13 different resting heart rate. Correct?  
 14 A. All of the vital signs may be different.  
 15 Q. So different resting heart rate, different  
 16 respirations, different blood pressures?  
 17 A. That's correct.  
 18 Q. And you've said that there may be possibly  
 19 different urinary output?  
 20 A. Sure.  
 21 Q. Are there other differences in -- when we  
 22 talk about bodily functions between children and  
 23 adults?  
 24 A. Yes. There -- there -- you know, if we --  
 25 we could actually go organ by organ. Obviously the

Page 129

1 digestive system, maybe the transit time, the time  
2 that it takes for food to go from the mouth to the  
3 stomach and then to the bowel is different in  
4 children than in adults.

5 Q. Is it faster or slower in adults?

6 A. It depends on the age.

7 Q. Generally speaking, are pediatric -- you  
8 said there was a difference between children and  
9 adults, did you not?

10 A. That is correct.

11 Q. And what does that mean? Is the transit  
12 time faster or slower in kids versus adults?

13 A. The transit time is typically faster in  
14 children than in adults.

15 Q. What other organs operate differently in  
16 children versus adults?

17 A. Well, virtually all of them do. The vision  
18 may be different in an adult than in a pediatric  
19 patient, the GI tract, the heart, the lungs, even the  
20 kidneys.

21 Q. Is it fair to say as you just have that  
22 virtually all of the organs function differently in  
23 children versus adults?

24 A. Yes. There may be differences in virtually  
25 every organ between pediatric and adult patients.

Page 131

1 Q. Did you testify earlier that in your  
2 opinion the infection that Gloria Bass got in her  
3 abdomen was bacterial?

4 A. Yes.

5 Q. Now, we can't be sure of that, can we?

6 A. I am very confident that this was bacterial  
7 in nature.

8 Q. Can we be certain that it was bacterial in  
9 nature?

10 A. I can.

11 Q. How can you be certain that it was  
12 bacterial without blood cultures -- excuse me --  
13 without cultures of what was in her abdomen?

14 A. Because the other possibility which there  
15 is viral or fungal or parasitic are not typically  
16 producers of pus, and in this case there was a large  
17 amount of pus present. So we can say with the high  
18 degree of medical certainty that this was bacterial.  
19 In addition, neither of those three would have caused  
20 a picture of septic shock and toxic lung.

21 Q. If you get a viral infection in the  
22 peritoneum, that won't cause -- that can't lead to  
23 shock lung?

24 A. No, it cannot -- I don't think that that  
25 was my answer. A viral infection of the peritoneum

Page 130

1 Q. And we've already discussed the various  
2 vital signs that are typically different in children  
3 than adults, have we not?

4 A. That is correct.

5 Q. Doctor, let me ask you about Deposition  
6 Exhibit 3 which is your affidavit. Do you see that?

7 A. Yes, sir.

8 Q. And we've referred to that from time to  
9 time today, have we not?

10 A. Yes, yes, we have.

11 Q. Are all of your opinions that you have  
12 relative to this case contained within this  
13 affidavit?

14 A. Allow me to review it again.

15 Q. Certainly.

16 A. Yes. All of my opinions are included in --  
17 in this affidavit with the exception of some of  
18 the -- the specific questions that Mr. Martin asked.

19 Q. Generally speaking, though, this affidavit  
20 encompasses your opinions in this case?

21 A. Yes, sir, it does.

22 Q. And there are opinions that you have  
23 relative to this case, generally speaking, that are  
24 not in here?

25 A. That is correct.

Page 132

1 does not cause the amount of pus that was found in  
2 this case.

3 Q. Can a viral infection in the peritoneum  
4 cause pus at all?

5 A. Not that I'm aware of.

6 Q. How about a fungal infection?

7 A. Yes, it can produce some pus.

8 Q. How about a parasitic infection, can that  
9 cause pus in the abdomen if it gets in the  
10 peritoneum?

11 A. Not the parasites that are found in this  
12 country.

13 Q. Now, let's talk about the actual bacteria.  
14 You've surmised -- or you've expressed the opinion  
15 that the bacteria that was in Ms. Bass' abdomen  
16 originated in the bowel. Is that true?

17 A. Yeah, that's correct.

18 Q. And I think you expressed an opinion as to  
19 what family of bacteria that might have been in?

20 A. Yes, sir.

21 Q. What family is that?

22 A. Well, the most likely is what we call the  
23 enteric gram-negative bacteria.

24 Q. Is that enterococcus?

25 A. No. Actually enterococcus is not a

1 gram-negative bacteria, but an enterococcus is  
 2 included among the enteric bacteria. This term  
 3 enteric means they live within the bowel.  
 4 Q. Okay. And are there -- there's a family of  
 5 enteric bacteria?  
 6 A. I guess we don't call them a family. We  
 7 call them a group.  
 8 Q. So there's a group of enteric bacteria?  
 9 A. That is correct.  
 10 Q. You're not able to tell us, are you, the  
 11 specific identity of the bacteria that caused that  
 12 infection, are you?  
 13 A. No, I am not.  
 14 Q. And the only way to do that would be to  
 15 have -- would be to take a culture from the pus that  
 16 was in her abdomen. Is that fair?  
 17 A. That is fair.  
 18 Q. And that was not done in this case?  
 19 A. That is correct.  
 20 Q. I think you said earlier that it was your  
 21 understanding that Dr. Peacock didn't do -- didn't  
 22 culture the pus because she didn't feel it would  
 23 be -- the results would be reliable. Is that your  
 24 understanding?  
 25 A. No, because she said that the yield would

1 Q. Did you say earlier that fecal material in  
 2 the bowel contains bacteria?  
 3 A. That is correct.  
 4 Q. I think you listed -- you said that was the  
 5 enteric bacteria?  
 6 A. That is correct.  
 7 Q. Does that include in that group E coli?  
 8 A. Yes, it does.  
 9 Q. Did Dr. Peacock's autopsy report reflect  
 10 any findings of fecal material in Ms. Bass' abdomen?  
 11 A. In my opinion, the finding of pus indicates  
 12 that there was fecal material, but she did not  
 13 specifically describe it as such.  
 14 MR. AUSTIN: Objection;  
 15 nonresponsive.  
 16 Q. (BY MR. AUSTIN) Did Dr. Peacock's autopsy  
 17 report reflect that she found any fecal material in  
 18 Gloria Bass' abdomen?  
 19 A. No, it did not.  
 20 Q. In your opinion, how small a defect in the  
 21 bowel would it take to release the bacteria to give  
 22 the result that we had with Gloria Bass?  
 23 A. I don't know that I can give you a specific  
 24 size, but certainly it would have had to be at least  
 25 a few millimeters in size.

1 not be reliable.  
 2 Q. What does that mean?  
 3 A. It means that in order to process a culture  
 4 appropriately you have to get the swab that you get  
 5 the pus with and plate it and then put that plate  
 6 under the appropriate conditions. In this case she  
 7 would have had to hold onto those plates for a number  
 8 of days before getting it to the appropriate facility  
 9 where the appropriate conditions would have  
 10 occurred. So the word that she used is the yield  
 11 would not have been sufficient or worth of this test.  
 12 Q. In your practice if you want to find out  
 13 what bacteria is growing in a person's body, what do  
 14 you do?  
 15 A. Well, ideally, if I can obtain a culture  
 16 that would be the way to do it. However, that's not  
 17 always possible. Depending on what bacteria I'm  
 18 looking for, sometimes there are blood tests that can  
 19 be done, serologic tests that can be done, or you can  
 20 do some of the newer techniques; one of them is  
 21 called PCR.  
 22 Q. Were any blood tests done on Gloria Bass to  
 23 determine whether she had bacteria in her  
 24 bloodstream?  
 25 A. Not to my knowledge.

1 Q. When you say a few, does that mean three,  
 2 three to five, two to three?  
 3 A. Five to ten.  
 4 Q. Which one are you talking about?  
 5 A. I mean, you gave me several numbers and my  
 6 answer was five to ten.  
 7 Q. Okay. In order for the bacteria to be  
 8 released from a defect in the bowel and have the  
 9 result that we saw with Gloria Bass, you would expect  
 10 that puncture of the bowel to be 5 to 10 millimeters  
 11 large?  
 12 A. Well, I mean, like I said, there's not a  
 13 easy estimate to make but I would say yes, somewhere  
 14 in the neighborhood of, you know, three to five to  
 15 ten millimeters.  
 16 Q. Well, you said five to ten earlier and now  
 17 you're saying three to five to ten.  
 18 MR. MARTIN: Objection; form.  
 19 A. I'm correcting my answer. I would say  
 20 somewhere in the neighborhood of three to ten  
 21 millimeters.  
 22 Q. (BY MR. AUSTIN) Okay. So in order for a  
 23 defect in the bowel to cause the result that we saw  
 24 with Gloria Bass, is it your testimony that you would  
 25 expect that defect to be -- to measure three to ten

1 does occur, but I certainly do not know what that number would be.  
 2 Q. My question really was whether it's  
 3 uncommon, is it something that is uncommon? I  
 4 assume if it was uncommon it wouldn't be in your list  
 5 of possibilities, would it?  
 6 A. That is correct.  
 7 Q. So it's not uncommon for translocation to  
 8 cause bacteria to get into someone's peritoneum  
 9 during surgery. Is that fair?  
 10 A. That is correct.  
 11 Q. Now, you also mention that translocation  
 12 can occur from what you called excessive handling of  
 13 the bowel. Do you recall saying that?  
 14 A. I think I did.  
 15 Q. Okay. Now, the translocation can occur  
 16 even in the absence of excessive handling, can it  
 17 not?  
 18 A. It can.  
 19 Q. Simply manipulating the bowel, for example,  
 20 moving the bowel around during surgery, that can  
 21 cause translocation of bacteria, can it not?  
 22 A. Yes, it can.  
 23 Q. And then the third possibility that you  
 24 mentioned as far as bacteria getting into Mrs. Bass'

1 millimeters?  
 2 A. I would say that that would be the minimum  
 3 I would expect it to be.  
 4 Q. It could be larger?  
 5 A. Sure.  
 6 Q. I believe earlier you testified that one  
 7 possible mechanism of allowing bacteria to get into  
 8 the peritoneum would be something called  
 9 translocation?  
 10 A. That is correct.  
 11 Q. And that means that -- does it not, that  
 12 bacteria can get into the abdomen simply by means of  
 13 handling the bowel?  
 14 A. That is correct.  
 15 Q. Is that because the bowel -- the lining of  
 16 the bowel is porous to some extent?  
 17 A. I would use the word classic maybe or  
 18 there's a potential for some gapping of the union of  
 19 the cells, yes.  
 20 Q. It's not unusual, is it, for -- for this  
 21 translocation to allow bacteria to get into the  
 22 peritoneum, is it, during surgery?  
 23 A. I honestly don't know how frequent it is.  
 24 I don't think it's a common finding. Otherwise, we  
 25 would have a large number of infections. I'm sure it

1 was from perforation, and it's unlikely that it was  
 2 from translocation or contamination.  
 3 Q. (BY MR. ALSTIN) Why is it that you've  
 4 chosen perforation of the bowel as the most likely  
 5 culprit in this case?  
 6 A. Based on how rapidly this disease  
 7 progressed and the amount of pus that was  
 8 encountered. If -- if this had been translocation as  
 9 you pointed out something that does occur but it  
 10 means that one or two bacteria have gotten access to  
 11 the abdominal cavity, it would take several days for  
 12 the bacteria to replicate and cause the damage that  
 13 it caused in Mrs. Bass. A similar thing would happen  
 14 with a contaminated wound. In a contaminated  
 15 wound -- or sorry. From contamination you would  
 16 expect to see maybe a local infection at the wound  
 17 site but certainly not the degree of peritonitis and  
 18 sepsis that this patient had within a matter of 48  
 19 hours or less.  
 20 Q. Was it your testimony that infection from  
 21 surgery is a known risk of any surgery?  
 22 A. Yes, it is.  
 23 Q. And that can happen even in the best of  
 24 care?  
 25 A. Absolutely.

1 abdomen would have been contaminated instruments?  
 2 A. I think I used contamination in general,  
 3 but yes, that includes an instrument.  
 4 Q. That includes instruments. It also  
 5 includes bacteria getting into the abdomen by other  
 6 means, even though the surgeon and the surgical team  
 7 used aseptic technique?  
 8 A. That is correct.  
 9 Q. And no matter how hard a surgeon or a  
 10 surgical team tries to keep a field sterile and to  
 11 keep the surgery germ free, it does happen, does it  
 12 not, that sometimes germs still get in the body?  
 13 A. Yes, it does.  
 14 Q. And people can get infections from that?  
 15 A. That is correct.  
 16 Q. (BY MR. ALSTIN) Is it true that you  
 17 believe that the perforation of the bowel is a more  
 18 likely cause than these other possibilities?  
 19 A. Yes, it is.  
 20 Q. Are they all likely and perforation is just  
 21 more likely?  
 22 MR. MARTIN: Objection, form.  
 23 A. No, I think that if I was going to use a  
 24 percentage I think that it's 95 plus likely that it

1 Q. Just because an infection happens from a  
2 surgery does not mean that the surgeon was  
3 negligent. Is that fair?

4 A. That is correct.

5 Q. Likewise, it is your understanding that  
6 during surgery instruments can and sometimes do  
7 puncture a patient's bowel?

8 A. That is correct.

9 Q. And that is, again, something that just  
10 happens even in the greatest of care?

11 A. That is correct.

12 Q. Simply because if an instrument does  
13 puncture the bowel, that does not in and of itself  
14 mean that the surgeon was negligent, does it?

15 A. That is correct.

16 Q. Do you find any fault with Dr. Peacock's  
17 autopsy?

18 A. No, I do not.

19 Q. Do you think that she performed it properly  
20 from what you can glean by reviewing the report?

21 A. From my knowledge as a physician I believe  
22 that her autopsy was appropriate, and I do not see  
23 any fault in her procedure.

24 Q. Or her findings?

25 A. Or her findings.

1 to be in the peritoneum?

2 A. Not necessarily fecal material. It's bowel  
3 content. Depending on what part of the bowel is  
4 perforated, the consistency can be different. I  
5 think when I talk about fecal material or when we use  
6 the term fecal material, that has to do with the  
7 large intestine, the large bowel. The smaller bowel  
8 depends on what state you are. The upper part of the  
9 small bowel contains basically still unprocessed food  
10 or partially digested food, so the concept of fecal  
11 material has to be carefully used here. I think that  
12 bowel content would have been a better choice -- a  
13 better word.

14 Q. To get the result that we saw in Gloria  
15 Bass' abdomen, you would expect to see a visible  
16 amount of bowel content in the abdomen. Is that  
17 true?

18 A. That would be my expectation, yes.

19 Q. Did you note anywhere in Dr. Peacock's  
20 report where she says anything about bowel content?

21 A. I would not have expected to see it in  
22 Dr. Peacock's report but on Dr. Grayson's operative  
23 report. That's where I would expect that it would  
24 have been visible.

25 Q. Did you see anything in Dr. Peacock's

1 Q. And you know -- you're aware that she did  
2 not find any defect in the bowel. Correct?

3 A. I'm aware that she did not specify that  
4 there was a defect in the bowel. That's correct.

5 Q. If she had found a defect in the bowel,  
6 would you -- wouldn't you expect it to be in her  
7 report?

8 A. Yes, I would.

9 Q. And that's not in there, is it?

10 A. That is correct.

11 Q. When Mr. Martin was asking you questions I  
12 think you said that in order to generate the amount  
13 of pus that was found in Ms. Bass' abdomen you'd  
14 need, and these are your words, a large amount of  
15 bacteria. Do you remember saying that?

16 A. Yes, sir.

17 Q. And I believe you described that as a  
18 visible amount of bacteria.

19 A. What I meant was a visible amount of bowel  
20 content, not -- the bacteria itself is not visible,  
21 that's by definition, but I meant the amount of bowel  
22 content that came through that defect.

23 Q. In other words, to produce the result that  
24 we saw with Gloria Bass you would expect actual fecal  
25 material -- a visible amount of actual fecal material

1 report about her noting seeing any -- any visible  
2 bowel content in the abdomen?

3 A. No, she couldn't. The bowel was covered  
4 with pus.

5 MR. AUSTIN: Objection; nonresponsive.

6 Q. (BY MR. AUSTIN) Does Dr. Peacock's report  
7 say anything about her having seen visible bowel  
8 content during her -- during her autopsy?

9 A. No.

10 Q. Now, Dr. Grayson was the surgeon who was  
11 actually there performing the surgery. Correct?

12 A. That is my understanding.

13 Q. Now, by convention -- I realize you're not  
14 a surgeon, but by convention if something happens  
15 like a -- if a surgeon knows that there's been a  
16 puncture to the bowel, that's typically noted in the  
17 operative report, isn't it?

18 A. That's correct.

19 Q. And have you read -- you've read  
20 Dr. Grayson's deposition?

21 A. Yes, I have.

22 Q. Have you seen where he says nothing about  
23 knowing anything about a puncture of the bowel during  
24 this procedure?

25 A. Yes, I have.

Page 145

1 Q. And you've certainly seen in his operative  
2 report where he mentions nothing about having  
3 punctured the bowel?

4 A. That is correct.

5 Q. You're not suggesting, are you, that he  
6 knew that he punctured the bowel but didn't put it in  
7 his report, are you?

8 A. No, I'm not suggesting that.

9 Q. And if Dr. Grayson had seen a visible  
10 amount of bowel contents during his procedure,  
11 wouldn't you expect him to have put that in his  
12 operative report?

13 A. Yes, I would.

14 Q. I believe you testified earlier that the  
15 severed artery created, these are your words, the  
16 perfect place to grow. Can you explain what you mean  
17 by that?

18 A. Yes, I can. The severed artery now meant  
19 that anything distal to that artery was not getting  
20 appropriate blood supply. So now --

21 Q. Let me cut you off there. When you say  
22 distal do you mean downstream?

23 A. Downstream, that's a better way to say it.  
24 Anything downstream from that artery was not getting  
25 appropriate blood supply. So now you have tissue

Page 47

1 the other side from the necrotic ovary, would that  
2 have any effect on your opinions?

3 A. No, it would not.

4 Q. How would the bacteria from the other side  
5 of the body, the other side of the abdomen get to the  
6 side where the necrotic ovary was?

7 A. Well, even though I'm saying that the ovary  
8 was the perfect grow place, the bacteria had also  
9 been growing in the other side, if that was indeed  
10 the case. The peritoneum extends throughout all the  
11 abdominal cavity. So the bacteria because as I have  
12 said, I opine that it is -- was in large amount, it  
13 prob-- very, very rapidly spread from one side to the  
14 other of the abdomen and then found the ovary as the  
15 perfect place to grow further and to invade the  
16 bloodstream.

17 Q. You believe that the infection that she had  
18 in her abdomen grew quickly and spread to that  
19 necrotic ovary?

20 A. Yes.

21 Q. So even if that necrotic ovary were not  
22 present, the bacteria in Ms. Bass' abdomen would  
23 still have spread quickly?

24 A. I think it would have spread. I don't know  
25 that it -- I do not think it would have spread as

Page 146

1 that is dying or is dead that becomes the perfect  
2 grow media for any bacteria. You have a place where  
3 there's still nutrients, there's still food for the  
4 bacteria to grow, and at the same time it's an area  
5 that goes unchecked by the immune system. So that is  
6 the perfect place for the bacteria to proliferate and  
7 grow.

8 Q. In order for the bacteria to proliferate  
9 and grow, feeding on you're talking about the  
10 necrotic ovary?

11 A. That is correct.

12 Q. Okay. In order for the bacteria to  
13 propagate and grow because of this ovary, would they  
14 not have to be in -- the bacteria would have to be in  
15 contact with that ovary, would they not?

16 A. That is correct.

17 Q. Do we know -- you've speculated or --  
18 strike that.

19 You've expressed the opinion that you  
20 believe there was a puncture to the bowel. Correct?

21 A. Yes, sir.

22 Q. Do you know where that puncture to the  
23 bowel occurred?

24 A. No, I do not.

25 Q. If the puncture to the bowel occurred on

Page 148

1 quickly.

2 Q. But you said it spread quickly to the  
3 ovary, did you not?

4 A. Well, yes, but not to the extent where you  
5 would see a liter of pus.

6 Q. How much pus do you think would have been  
7 in Ms. Bass' abdomen under the same circumstances if  
8 we take away the necrotic ovary?

9 A. I don't know that I can give you a guess.  
10 I would say certainly less than what was found.

11 Q. How much less?

12 A. I cannot give you a number.

13 Q. Even without the necrotic ovary you would  
14 still have a infection that was spreading and  
15 creating pus. Correct?

16 A. Yes, sir.

17 Q. And by your testimony it would even spread  
18 quickly, would it not?

19 A. That's correct.

20 Q. It would just spread more quickly -- it  
21 began to spread more quickly once it got to the  
22 necrotic ovary?

23 A. I think that it was the case.

24 Q. Now, I'm not a physician. So as a  
25 layperson can you explain to me how it is that

1 bacteria -- I think you've told us that you think the  
 2 bacteria that were proliferating in Mrs. Bass'  
 3 abdomen gained access to her bloodstream via the  
 4 ovarian artery. Is that true?  
 5 A. The severed ovarian artery, uh-huh.  
 6 Q. Can bacteria -- well, as I understand it  
 7 from my high school biology, the blood is flowing  
 8 away from the heart through the arteries. Is that in  
 9 a nutshell correct?  
 10 A. That is correct.  
 11 Q. And then it flows back to the heart through  
 12 the veins?  
 13 A. That is correct.  
 14 Q. If -- so the blood is running -- in the  
 15 ovarian artery is it running away from the heart?  
 16 A. It's running away from the heart.  
 17 Q. Can the bacteria go -- for lack of a better  
 18 term, can the bacteria swim upstream?  
 19 A. Yes, it can, but a more likely mechanism is  
 20 if you interrupt the flow on the side, the blood  
 21 doesn't just stop there. It will go back and find  
 22 another pathway. And that's the most likely  
 23 mechanism by which it found other places or it went  
 24 into the bloodstream in other sites. In addition,  
 25 the artery itself, the walls of the artery have blood

1 A. The most likely scenario was that by  
 2 severing the artery, the bacteria that was in the  
 3 abdominal cavity found an open door in which to get  
 4 access to the bloodstream.  
 5 Q. Is the open door you're talking about the  
 6 upstream portion of the severed artery?  
 7 A. It would be the upstream portion of the  
 8 severed artery.  
 9 Q. Now, if you have a severed artery, would  
 10 you expect to find bleeding?  
 11 A. Not necessarily. It depends on which way  
 12 it was severed.  
 13 Q. Well, in this case if an artery was severed  
 14 you said there was still some collateral circulation  
 15 that would be going through the vessel. Is that  
 16 right?  
 17 A. I said it's a possibility. I don't know in  
 18 this particular case.  
 19 Q. So you don't know if the blood would still  
 20 be running through the artery?  
 21 A. Again, very specifically, you're asking me  
 22 collateral circulation, means an organ, and then you  
 23 ask me to the artery. If you're asking me will blood  
 24 still be running through the artery, yes, to the part  
 25 that is upstream of where the severance occurred.

1 vessels, have veins that will eventually go back to  
 2 the heart, carry blood that goes back to the heart.  
 3 And then finally the distal part, the part that was  
 4 downstream that has been severed also will continue  
 5 to have blood being taken away from it. Even though  
 6 it has very limited blood coming into it, the veins  
 7 are much more than the arteries. There's usually  
 8 just one artery and there's many veins coming out of  
 9 the same place. So the bacteria can also gain access  
 10 from that downstream place.  
 11 Q. There's no blood flowing through the distal  
 12 portion of the severed artery, is there?  
 13 A. There's very little blood flowing.  
 14 Q. If it's connected from the -- if it's  
 15 disconnected from the upstream portion of the artery,  
 16 how can blood still be getting pumped through that  
 17 ovarian artery?  
 18 A. Because there's always a small amount of  
 19 collateral circulation. There's always the  
 20 possibility I guess of collateral circulation. But  
 21 again, I don't think that's the most likely scenario  
 22 here. I was just trying to present to you the  
 23 different scenarios where this -- how this could have  
 24 happened.  
 25 Q. What is the most likely scenario?

1 Q. Right. To the point where it was cut?  
 2 A. That's correct.  
 3 Q. And if it's severed wouldn't you expect  
 4 blood to spill out of that artery into the abdominal  
 5 cavity?  
 6 A. It would depend on how -- which way it was  
 7 severed. If it was a clean cut severance with a  
 8 knife, yes, you would expect to see blood coming out  
 9 of there. However, if the severance occurred by a  
 10 laser or an electric mechanism, something that will  
 11 cauterize, that will close that opening, then you may  
 12 not see any bleeding.  
 13 Q. Did you see any reference in Dr. Grayson's  
 14 operative report that he was using any lasers during  
 15 this procedure?  
 16 A. No.  
 17 Q. Was he using any electrocautery devices?  
 18 A. I believe so.  
 19 Q. Dunning -- in the procedure in the abdomen?  
 20 A. I -- I cannot recall specifically.  
 21 Q. Okay. So -- so let's assume that he was  
 22 not taking -- using electro -- if we assume that he  
 23 was not using a laser or an electrocautery device, is  
 24 there any other way that the -- you can sever an  
 25 artery and not have blood spilling out of the

Page 153

1 upstream portion of the artery?  
 2 A. Yes. If the artery was confused or taken  
 3 with the ovar-- with the fallopian tube, it is very  
 4 possible. In fact, I think that that was a high  
 5 possibility that he put a clip or a device to prevent  
 6 blood flow thinking that he was clipping just the  
 7 ovarian tube, and then when he cut on the ovarian  
 8 tube then he cut on the artery; but you had  
 9 interrupted the flow already upstream.

10 Q. Via the clip?

11 A. I don't know what he used in his specific  
 12 procedure. It could be a clip, it could be a rubber  
 13 band. There's different techniques.

14 Q. Well, you don't know at all if he clipped  
 15 the ovarian artery or --

16 A. I do not know at all.

17 Q. And you don't know if he put a rubber band  
 18 on it?

19 A. I do not know.

20 Q. Or a clip or anything?

21 A. I do not know.

22 Q. If he did clip the ovarian artery or put a  
 23 band on it, would that not close off the upstream  
 24 portion?

25 A. No, it would not.

Page 154

1 Q. It wouldn't prevent blood from coming out  
 2 of the artery?

3 A. The blood will continue to flow into that  
 4 area, except that once it found a dead end it will  
 5 return and go back upstream.

6 Q. And how did the bacteria get into that dead  
 7 end?

8 A. How did the bacteria get into the dead  
 9 end? Well, it's -- he had just opened the door for  
 10 it by severing the artery.

11 Q. Well, if it's clipped off so that the blood  
 12 can't get out, how did the bacteria get in?

13 A. Well, the clip is far from being perfect,  
 14 you know. It is not a complete clipping to a  
 15 microscopic. I mean, you have now tissue that is --  
 16 the clipping is enough to prevent a very -- a clear  
 17 flow of blood, but it certainly will allow the  
 18 bacteria to go back in. And again, it's not  
 19 necessarily that it went directly to the artery but  
 20 it could have gone in one of the blood vessels that  
 21 take blood or take blood away from the wall of the  
 22 artery.

23 Q. In this case, since no electrocautery  
 24 device was used and since no laser was used, would  
 25 you expect to see bleeding in the abdomen?

Page 155

1 A. Umm...

2 Q. If the ovarian artery was severed?

3 A. If the ovarian artery was severed and there  
 4 was no mechanism to cauterize or to close that  
 5 opening, yes, I would have expected to see so.

6 Q. Did you see any mention in Dr. Townsend --  
 7 excuse me -- in Dr. Peacock's report about bleeding  
 8 in the abdomen?

9 A. I would not have expected to see it in her  
 10 report but in Dr. Grayson's report.

11 MR. AUSTIN: Objection;  
 12 nonresponsive.

13 Q. (BY MR. AUSTIN) Did you see anything in  
 14 Dr. Peacock's report about blood, free blood in the  
 15 abdomen?

16 A. No, I did not.

17 Q. Did you see anything in Dr. Peacock's  
 18 report about any clotting relative to the ovarian  
 19 artery?

20 A. No, I did not.

21 Q. Doctor, did you say earlier that your  
 22 treatment area involves treating patients who are  
 23 known or suspected to have infectious diseases?

24 A. I think I said that was one of my areas. I  
 25 also treat and diagnose patients with noninfectious

Page 156

1 problems.

2 Q. Part of your practice area, then, is  
 3 involved in treating patients who are known or  
 4 suspected to have infectious diseases?

5 A. That's correct.

6 Q. And you can't treat an infectious disease  
 7 until you know it's there, can you?

8 A. Well, I disagree. We very often treat  
 9 empirically for infections that we suspect or  
 10 consider as possibilities.

11 Q. What are some of the things that you look  
 12 at to tell you -- that raise your suspicion or tell  
 13 you that there's a possibility that there's an  
 14 infection?

15 A. It depends on what organ or what site  
 16 you're thinking of.

17 Q. Well, in this case with Gloria Bass, what  
 18 symptoms would you have expected to see in her  
 19 indicating that she had an infection going on in her  
 20 abdomen?

21 A. I would certainly have expected to see  
 22 abdominal pain. I -- you could have seen fever, an  
 23 elevated white count and --

24 Q. Poor appetite?

25 A. That's a possibility, sure.

Page 157

1 Q. What else would you expect to see in a  
2 patient that had an abdominal infection going on like  
3 Ms. Bass?

4 A. Well, in her case at the terminal stages  
5 you could expect to see alteration in consciousness  
6 and profusion in blood pressure related to the septic  
7 shock that she was on. But earlier I would have  
8 expected to see, again, abdominal pain which I  
9 believe she did have. You may have expected to see  
10 discomfort when using the restroom or having a bowel  
11 movement. Certainly you may have seen discomfort in  
12 certain positions such as when bending over or  
13 getting up from laying flat on your back. And again,  
14 the ones that I had mentioned, a fever or if you did  
15 a blood count, maybe an elevated blood count.

16 Q. Aren't there maybe other factors that you  
17 can look at to see -- that would tip you off that  
18 there's an infection going on in the bowel --

19 A. Well --

20 Q. -- excuse me -- in the abdomen?

21 A. In terms of examinations, definitely. I  
22 mean, the tenderness when you palpate the abdomen,  
23 when you push on the abdomen. You could, again, look  
24 at changes in heart rate or blood pressure or  
25 temperature as I had mentioned earlier. Sometimes

Page 159

1 count done in the postop period.

2 Q. What would a normal white count be in the  
3 postoperative period in a patient such as Gloria  
4 Bass?

5 A. Somewhere between 5,000 and 15,000.

6 Q. If Gloria Bass' white count the morning  
7 after surgery was 8500, is that normal?

8 A. That is normal.

9 Q. Did you see any indication in the records  
10 that Ms. Bass' heart rate was above or below normal  
11 limits after the surgery?

12 A. No, I did not see any such indication.

13 Q. Did you see any indication that Ms. Bass'  
14 respirations were above or below normal limits in the  
15 postoperative period?

16 A. No, I did not.

17 MR. AUSTIN: Read the last question  
18 back

19 (Requested portion was read)

20 Q. (BY MR. AUSTIN) Dr. Correa, did you see  
21 any indication that Ms. Bass' blood pressure was  
22 above or below normal limits in the postoperative  
23 period?

24 A. Not that I recall.

25 Q. You did see -- I believe you mentioned that

Page 158

1 you can see changes in the skin or you may be able to  
2 find an infection that's likely to be occurring by  
3 doing a blood culture or doing an abdominal  
4 ultrasound or a CAT scan. Unfortunately in this case  
5 none of those tests were done.

6 Q. Is there anything else you would expect to  
7 see in a patient such as Gloria Bass if she had an  
8 abdominal infection going on, things that would tip  
9 you off to let you know that an infection was in her  
10 abdomen?

11 A. Other than the ones I mentioned, you know,  
12 certainly you can see all kinds of things but those  
13 are the ones that I would expect to see.

14 Q. Did she have -- was her temperature outside  
15 normal limits for a patient in the postsurgical  
16 arena?

17 A. Her temperature outside of where?

18 Q. Let me rephrase that. Prior to her  
19 discharge from the hospital, did Gloria Bass have a  
20 temperature that was higher than you would expect to  
21 see from a patient in the postoperative period?

22 A. Not that I recall.

23 Q. Did she have an elevated white count?

24 A. I -- if you'll allow me to look at the  
25 records very quickly. I do not recall seeing a white

Page 160

1 you think Ms. Bass had some abdominal pain in the  
2 postoperative period?

3 A. Yes, I did.

4 Q. Would you expect a patient who had had a  
5 laparotomy and had their abdomen opened up to have  
6 pain in the postoperative period?

7 A. Yes, I would.

8 Q. Did you see any indication that Ms. Bass  
9 had pain in your opinion above and beyond what you'd  
10 expect from a patient who had just undergone a  
11 laparotomy?

12 A. I do not have an opinion.

13 Q. Okay. So you do not have an opinion as to  
14 whether she had pain above and beyond what a normal  
15 patient would have. Is that correct?

16 A. That's correct.

17 Q. And you saw no indication of fever?

18 A. That is correct.

19 Q. If the white count was 8500, you see no  
20 indication that the white count is elevated?

21 A. That is correct.

22 Q. You saw no indication that her heart rate  
23 was above or below normal limits?

24 A. That is correct.

25 Q. No indication that her blood pressure was

Page 161

1 above or below normal limits?  
 2 A. That is correct.  
 3 Q. No indication that her respirations were  
 4 above or below normal limits prior to discharge?  
 5 A. That is correct.  
 6 Q. Are you critical -- strike that.  
 7 Are you aware that the morning after  
 8 the surgery that Saturday -- strike that.  
 9 Are you aware that the Saturday after  
 10 the surgery Dr. Grayson was actually off?  
 11 A. I remember reading it from his deposition.  
 12 Q. And that he was -- are you aware that  
 13 Dr. Konop was actually covering for him?  
 14 A. Yes, I do.  
 15 Q. Are you critical of Dr. Grayson or  
 16 Dr. Konop -- well, strike that.  
 17 Do you believe that Dr. Grayson or  
 18 Dr. Konop failed to see signs or symptoms of  
 19 infection in Gloria Bass?  
 20 A. No, I have not formulated an opinion as to  
 21 whether they did see or not any symptoms of  
 22 infection.  
 23 Q. So you're not of the opinion that there  
 24 were symptoms there that went undiagnosed whether by  
 25 Dr. Grayson or Konop. Is that true?

Page 161

1 period?  
 2 MR. MARTIN: Objection; form.  
 3 Q. (BY MR. AUSTIN) That was a terrible  
 4 question. Let me rephrase that.  
 5 Do you believe that all patients who  
 6 have tubal ligations that start by laparoscopy and  
 7 end by laparotomy need to have antibiotics in the  
 8 postoperative period?  
 9 A. No, I do not.  
 10 Q. But patients here where you feel like there  
 11 was a punctured bowel and a severed ovarian artery  
 12 require antibiotics in the postoperative period?  
 13 A. I think that if the -- there is a  
 14 possibility that a punctured bowel occur or that a  
 15 severance of the ovarian artery occur, that  
 16 antibiotics are indicated, postop antibiotics are  
 17 indicated.  
 18 Q. Isn't it always a possibility that a bowel  
 19 has been punctured or that other complications have  
 20 occurred during a surgery?  
 21 A. I think that it depends on the surgeon.  
 22 There's certainly many qualified surgeons that do not  
 23 consider that perforation has occurred.  
 24 MR. AUSTIN: Objection; nonresponsive.  
 25 Q. (BY MR. AUSTIN) Didn't you tell me earlier

Page 162

1 MR. MARTIN: Objection; form.  
 2 A. What I answer is I don't have an opinion  
 3 one way or the other.  
 4 Q. (BY MR. AUSTIN) Okay. You have no opinion  
 5 one way or the other as to whether Dr. Konop or  
 6 Dr. Grayson failed to appreciate or evaluate signs of  
 7 infection in the postoperative period?  
 8 A. That is correct.  
 9 Q. Do I understand your opinion correctly that  
 10 if we take away the severed bowel -- excuse me.  
 11 Strike that.  
 12 If we take away your belief that the  
 13 bowel was punctured and if we take away your belief  
 14 that there was a severed artery there would be no  
 15 need for antibiotics in the postoperative period. Is  
 16 that true?  
 17 A. If we take away those two factors, that is  
 18 correct.  
 19 Q. So in a patient who has a -- strike that.  
 20 In a patient such as Gloria Bass who  
 21 undergoes an attempted tubal ligation by laparoscopy  
 22 that's later converted to a laparotomy, you do not  
 23 believe that if that surgery goes according to plan  
 24 and if there's no indication of complications that  
 25 that patient need antibiotics in the postoperative

Page 162

1 that a puncture to the bowel is a known risk of a  
 2 surgical procedure?  
 3 A. Yes, it is.  
 4 Q. And if it's a known risk, then doesn't that  
 5 mean that there's always a possibility that the bowel  
 6 will be punctured during a surgery?  
 7 A. Yes.  
 8 Q. And it is true, isn't it, that sometimes  
 9 when surgeons do surgery in the abdomen and then  
 10 close up the abdomen, sometimes it happens that  
 11 that -- that a puncture to the bowel isn't known at  
 12 that time?  
 13 A. Absolutely.  
 14 Q. And we don't know until maybe hours or days  
 15 later that there's actually been a defect in the  
 16 bowel. Is that true?  
 17 A. That is correct.  
 18 Q. I want to make sure I understand your  
 19 testimony. Is it the possibility of a punctured  
 20 bowel in combination with the possibility of a  
 21 severed ovarian artery that leads you to think that  
 22 Ms. Bass should have had antibiotics in the  
 23 postoperative period?  
 24 A. No. It is one or the other or both. I  
 25 think that it comes down many times to a judgment

1 call. The physician knows that his surgery, his  
2 planned surgery is not going according to plan. He  
3 knows that the patient is obese, that there is the  
4 possibility that at the time of the introduction of  
5 the laparoscope a bowel puncture could have occurred,  
6 then a consideration for postop antibiotics needs to  
7 be made on a case by case.

8 Q. Does that mean that every time an  
9 obstetrician or gynecologist encounters difficulty  
10 with a laparoscopy, that he then needs to give the  
11 patient antibiotics in the postoperative course?

12 A. No, it does not.

13 Q. Okay. So your belief that this patient  
14 should have had antibiotics in the postoperative  
15 period turn on, one, the possibility of a punctured  
16 bowel, and two, the possibility of a severed artery,  
17 or either of those independent of each other?

18 A. That is correct.

19 Q. So if we take away the severed artery, she  
20 still -- you think she still should have had  
21 antibiotics?

22 A. I think that if he considered that a  
23 puncture could have occurred, yes.

24 Q. If Dr. Grayson thought that he had possibly  
25 punctured the bowel, then he should have given her

1 standpoint. If you want to take it another way,  
2 you're welcome to.

3 Q. (BY MR. AUSTIN) Let me rephrase that. If  
4 Dr. Grayson did not see a severed artery or believe  
5 that he had severed the ovarian artery, do you fault  
6 him for not ordering antibiotics in the postoperative  
7 course?

8 MR. MARTIN: Objection; form.

9 A. I believe that that question has to do with  
10 standard of care, and I am not qualified to give you  
11 an opinion.

12 Q. (BY MR. AUSTIN) So you don't feel  
13 qualified to say whether Dr. Grayson should have  
14 ordered antibiotics in the postoperative period in  
15 the presence of the possibility of a severed artery?

16 MR. MARTIN: Objection; form.

17 A. Would you please repeat the question?

18 Q. (BY MR. AUSTIN) I'll rephrase that. I'm  
19 trying to get a handle on what you don't feel  
20 qualified to answer. Is it that -- let me back up.  
21 I think you said earlier that if there's a  
22 possibility of a punctured bowel, you think  
23 antibiotics should have been ordered. Is that true?

24 A. Yes.

25 Q. And you're expressing an opinion based on

1 antibiotics?

2 A. Yes, sir.

3 Q. And if Dr. Grayson didn't think that he had  
4 punctured the bowel, is it appropriate in your  
5 opinion that he did not order antibiotics?

6 A. That is correct.

7 Q. Same with the severed artery. If it's  
8 Dr. Grayson's belief that he had possibly severed the  
9 artery, then he should have given her antibiotics?

10 A. Yes, in addition to -- and I don't know the  
11 details, but fixing or consulting somebody about how  
12 to repair that.

13 Q. And if Dr. Grayson did not see or believe  
14 that there was a severed artery, you don't fault him  
15 for not ordering antibiotics, do you?

16 MR. MARTIN: Objection; form.

17 MR. AUSTIN: What's the objection.

18 MR. MARTIN: He's not qualified to  
19 testify as to the standard of care of an OB/GYN and  
20 all your question --

21 MR. AUSTIN: He's been doing it all  
22 day.

23 MR. MARTIN: No. He's talking about  
24 causation and he's talking about when antibiotic  
25 therapy is appropriate from an infectious disease

1 something that Dr. Grayson -- that you think  
2 Dr. Grayson should have done. True?

3 A. No. I'm basing it on any case where there  
4 is the possibility that the bowel was punctured,  
5 antibiotics are indicated.

6 Q. In Gloria Bass' case do you believe that  
7 Dr. Grayson should have ordered antibiotics in the  
8 postoperative period if he believed that there was  
9 the possibility of a punctured bowel?

10 A. In that case as you're asking me as to  
11 standard of care, I do not have an opinion.

12 Q. Okay. And same question with regard to the  
13 severed artery. If Dr. Grayson believed that there  
14 was a possibility of a severed artery, should he have  
15 ordered antibiotics in the postoperative course?

16 A. Again, specifically as to Dr. Grayson in  
17 this particular case, I do not have an opinion.

18 Q. Do I understand you correctly that you have  
19 no opinion as to whether Dr. Grayson should or should  
20 not have ordered antibiotics in the postoperative  
21 care of this patient?

22 A. That is correct.

23 Q. Let's say hypothetically you had been  
24 consulted on this case.

25 A. Yes.

- 1 Q. While the patient was still in the  
2 hospital.
- 3 A. Okay.
- 4 Q. What antibiotics would you have ordered?
- 5 A. If I had been consulted and told what the  
6 difficulties of the surgery had been, I could have  
7 chosen maybe 20 to 25 different combinations. My  
8 personal preference would have been ampicillin,  
9 gentamicin and clindamycin.
- 10 Q. Okay. Let's say you've been consulted on  
11 this case before anyone knows that there's actually  
12 an infection in Mrs. -- in Ms. Bass' abdomen. Okay?
- 13 A. Okay.
- 14 Q. So let's say in the postoperative period if  
15 someone just thought there was a possibility that  
16 Ms. Bass had a punctured bowel and a possibility that  
17 she had a severed ovarian artery, would you as the  
18 consulting infectious disease physician put her on  
19 antibiotics?
- 20 A. Yes, I would.
- 21 Q. Would you put her on oral or intravenous?
- 22 A. Intravenous.
- 23 Q. And would she have stayed in the hospital?
- 24 A. Yes, she would.
- 25 Q. For how long?

- 1 A. Until you determine whether an infection  
2 has occurred or not.
- 3 Q. How can the infection occur if she's on  
4 antibiotics?
- 5 A. That still can happen. It has to do with  
6 the number of bacteria, whether the bacteria are  
7 susceptible or not, and whether the bacteria are  
8 hiding in a place where antibiotics are not reaching  
9 it.
- 10 Q. Where do -- where would antibiotics --  
11 excuse me -- where would bacteria hide where they  
12 can't be reached by antibiotics?
- 13 A. Most commonly in dead tissue, necrotic  
14 tissue.
- 15 Q. Like the ovary in this case?
- 16 A. It's possible.
- 17 Q. Can antibiotics get to the -- let's say  
18 you've got an infection causing pus in the peritoneum  
19 like Ms. Bass had.
- 20 A. Yes.
- 21 Q. Can -- are there antibiotics that can get  
22 to that area and fight that infection?
- 23 A. Yes, there are.
- 24 Q. Don't antibiotics work in the bloodstream?
- 25 A. No. They actually work on the tissue.

- 1 Q. In the perito-- if the bacteria are  
2 propagating in the peritoneum, that's not actually in  
3 the tissue? Not all of it is in the tissue, is it?
- 4 A. That's correct.
- 5 Q. Now the part of the bacteria that's getting  
6 into the tissue, now that's treatable with  
7 antibiotics because the bloodstream can reach it. Is  
8 that fair?
- 9 A. Yes.
- 10 Q. But the bloodstream doesn't reach the  
11 bacteria that are free-floating in the peritoneum, do  
12 they?
- 13 A. No, that's incorrect. There is also an  
14 amount of antibiotic that will go into the  
15 free-flowing fluid. It is only the one that is able  
16 to find dead necrotic tissue that can on occasion  
17 hide from the antibiotics.
- 18 Q. So if there's a infection flourishing in  
19 necrotic tissue, that can't be reached by an  
20 antibiotic?
- 21 A. It may not.
- 22 Q. In this case if you've got a bacteria that  
23 is propagating and growing in the abdomen including  
24 with a necrotic ovary because there are portions of  
25 that infection that will not be able -- may not being

- 1 able to be reached by antibiotics. Is that true?
- 2 A. That is true, but by the same times the  
3 antibiotics would have been able to reach the  
4 bacteria that was propagating on her bloodstream,  
5 thus preventing her demise.
- 6 Q. And if the antibiotics can't reach the  
7 necrotic tissue, would that bacteria continue to  
8 grow?
- 9 A. It is possible.
- 10 Q. And that would continue to propagate in her  
11 abdomen?
- 12 A. Sure.
- 13 Q. And that would cause symptoms like we  
14 talked about earlier?
- 15 A. Yes, sir.
- 16 Q. Okay. Did I understand you correctly that  
17 you're not expressing opinions relative to the  
18 standard of care for Dr. Grayson as a obste-  
19 obstetrician and gynecological surgeon?
- 20 A. That is correct.
- 21 Q. You're not qualified to do that, are you?
- 22 A. That is correct.
- 23 Q. You haven't had any residency training in  
24 obstetrics or gynecology?
- 25 A. That is correct.

Page 173

1 Q. Have you had any residency training in  
2 surgery?  
3 A. No, I have not.  
4 Q. You're not board certified in surgery, are  
5 you?  
6 A. I am not.  
7 Q. And you're not board certified in  
8 obstetrics or gynecology?  
9 A. I am not.  
10 Q. Have you ever performed a laparoscopic  
11 tubal ligation?  
12 A. No, I have not.  
13 Q. Have you ever performed a tubal ligation by  
14 laparotomy?  
15 A. I have assisted in some of them.  
16 Q. Was that during your early medical  
17 training?  
18 A. That is correct.  
19 Q. You haven't done that since 1990, have you?  
20 A. Since 1986.  
21 Q. Paragraph 4 of your affidavit says, "From  
22 an infectious disease standpoint, the standard of  
23 care in antibiotic therapy was to provide Ms. Bass  
24 with antibiotics after the surgery, because of the  
25 nature of the surgery, the increased risk of bowel

Page 175

1 A. That's correct.  
2 Q. So you're not faulting him for not ordering  
3 antibiotics, are you?  
4 MR. MARTIN: Objection; form.  
5 Q. (BY MR. AUSTIN) You're not expressing any  
6 opinion one way or the other on whether Dr. Grayson  
7 should have ordered antibiotics. Is that true?  
8 A. That's correct.  
9 Q. On the other hand, had there been an  
10 infectious disease physician consulted on this case,  
11 that's what you would expect that physician to do?  
12 A. That is correct.  
13 Q. Would you agree with me -- strike that.  
14 We went through the various symptoms  
15 that you would expect to see in a patient such as  
16 Gloria Bass if she had an intraabdominal infection.  
17 Do you recall that?  
18 A. Yes.  
19 Q. And I think you said you had no opinion as  
20 to whether her pain was greater or lesser than you  
21 would expect a normal patient in the postoperative  
22 period?  
23 A. That's correct.  
24 Q. To your knowledge, did she have any  
25 symptoms prior to discharge that would have indicated

Page 174

1 perforation and the severed ovarian artery." Do you  
2 agree with that?  
3 A. Yes, I do.  
4 Q. You would not hold Dr. Grayson to that  
5 standard, would you?  
6 MR. MARTIN: Objection; form.  
7 A. I don't understand what you mean by that.  
8 Q. (BY MR. AUSTIN) You understand that  
9 Dr. Grayson is not an infectious disease specialist?  
10 A. I do understand that.  
11 Q. And you're testifying as to what you would  
12 expect an infectious disease doctor to do?  
13 A. That is correct.  
14 Q. You're not testifying as to what you would  
15 expect Dr. Grayson to have done under these  
16 circumstances?  
17 A. No, that's not correct. I'm -- I'm  
18 testifying as to from an infectious disease  
19 standpoint, not from an infectious disease expert but  
20 from infectious disease -- disease standpoint. The  
21 administration of antibiotics was indicated in light  
22 of the factors that you have mentioned.  
23 Q. But you've already said that you're not  
24 going to testify on the standard of care for  
25 Dr. Grayson. True?

Page 176

1 that she had an intraabdominal infection?  
2 A. I have not given my opinion as to whether  
3 she had any symptoms or not.  
4 Q. Do you not -- do you have an opinion?  
5 A. No, I do not.  
6 Q. Do you have an opinion as to when Ms. Bass  
7 would have begun to exhibit symptoms of an  
8 intraabdominal infection after her discharge?  
9 A. No, I do not.  
10 Q. Well, this is a woman who had a thousand --  
11 roughly a thousand cc's of pus in her abdomen?  
12 A. That is correct.  
13 Q. That's a pretty severe infection? By your  
14 own testimony that's a pretty severe infection?  
15 A. Yes, sir.  
16 Q. Would you not expect to see a patient with  
17 an infection like that to have very high fever?  
18 A. Not necessarily.  
19 Q. In all medical probability, though, a  
20 patient with that sort of infection would have high  
21 fever, wouldn't they not?  
22 A. At least at some point, yes.  
23 Q. Fever in excess of a hundred degrees?  
24 A. Sometimes, yes.  
25 Q. Would that patient have -- would you expect

Page 177

1 to see that patient have severe abdominal pain, too?  
 2 A. Yes, I would.  
 3 Q. Decreased appetite?  
 4 A. Possibly.  
 5 Q. Decreased urine output?  
 6 A. Not necessarily.  
 7 Q. Would you expect a patient with an  
 8 infection like Ms. Bass had to have -- to just  
 9 overall feel -- have general malaise and feel bad?  
 10 A. Yes, I would.  
 11 Q. When would you expect the onset of those  
 12 symptoms to occur? For example, in this case  
 13 Ms. Bass went to the hospital, returned to the  
 14 emergency room I believe shortly after 10:00 a.m. on  
 15 Sunday morning, June 8th, and she had collapsed prior  
 16 to that. Do you have any opinion as to how long  
 17 before she collapsed you would expect to see those  
 18 symptoms?  
 19 A. No, I do not.  
 20 Q. None whatsoever?  
 21 A. None whatsoever.  
 22 Q. Do you think she'd have a fever even five  
 23 hours before she collapsed?  
 24 A. It is possible.  
 25 Q. Isn't it likely?

Page 178

1 A. Not necessarily. Sometimes these  
 2 infections go the other way. They may cause low  
 3 temperature depending on how overwhelming the  
 4 infection is.  
 5 Q. Do you know one way or the other whether  
 6 Ms. Bass had fever on the morning before she went to  
 7 the hospital on June 8th?  
 8 A. No, I do not know.  
 9 Q. Do you have any knowledge as to whether she  
 10 had abdominal pain before she went to the hospital on  
 11 June 8th?  
 12 A. My recollection is that she did have  
 13 abdominal pain.  
 14 Q. Where -- where did you get that  
 15 information?  
 16 A. If I remember correctly it's from the  
 17 deposition of Dr. Grayson.  
 18 Q. If Ms. Bass was going to have a fever that  
 19 went along with this infection that she had, when  
 20 would you have expected her to have it?  
 21 A. Anytime before her death.  
 22 Q. Did you see where Dr. Peacock said that  
 23 Ms. Bass would likely be exhibiting symptoms of her  
 24 infection 12 to 24 hours before her death?  
 25 A. I don't recall that specifically, but I

Page 179

1 wouldn't be surprised if she said so.  
 2 Q. Do you disagree with that?  
 3 A. Yes, I do.  
 4 Q. Tell me why or tell me with what part of  
 5 that opinion do you disagree.  
 6 A. I disagree because I don't think anybody  
 7 could give a time frame of when she would have the  
 8 symptoms. This was a overwhelming, rapid-progressing  
 9 infection that may have exhibited symptoms five  
 10 minutes before she collapsed or 12 hours before she  
 11 collapsed. There's just no way of knowing especially  
 12 since we don't have a monitoring that occurred during  
 13 that time period and there was not somebody taking  
 14 her temperature or heart rate or respiratory rate  
 15 during that time. It's pure speculation as to when  
 16 she could have shown the symptoms.  
 17 Q. It's not medically likely, is it, that she  
 18 exhibited symptoms five minutes before she collapsed,  
 19 is it?  
 20 A. I don't think so.  
 21 Q. Isn't it more likely that she exhibited  
 22 symptoms of her infection hours before she died?  
 23 A. It is.  
 24 THE VIDEOGRAPHER: Mr. Austin, excuse  
 25 me. We've got about two minutes left on this tape.

Page 180

1 MR. AUSTIN: Okay. Yeah. Go ahead  
 2 and change it.  
 3 THE VIDEOGRAPHER: We're off the  
 4 record at 6:30 p.m.  
 5 (Off the record from 6:30 p.m. to  
 6 6:36 p.m.)  
 7 THE VIDEOGRAPHER: We're back on the  
 8 record at 6:36 p.m.  
 9 Q. (BY MR. AUSTIN) Dr. Correa, is it your  
 10 understanding or your belief that the patient died  
 11 from, what is it called, is it acute respiratory  
 12 distress syndrome?  
 13 A. Adult respiratory distress syndrome.  
 14 Q. Do you think she died of adult respiratory  
 15 distress syndrome?  
 16 A. I think she had adult respiratory distress  
 17 syndrome based on the autopsy report. I think she  
 18 died from sepsis and peritonitis.  
 19 Q. Can you have -- is it possible to have  
 20 adult respiratory distress syndrome without having  
 21 bacteria in the bloodstream?  
 22 A. Yes.  
 23 Q. That's not unusual, is it?  
 24 A. It is unusual but it's possible.  
 25 Q. It's unusual to have ARDS without a

1 septicemia?  
 2 A. You can have ARDS from other causes.  
 3 Q. All right. My question is: Is it unusual  
 4 to have ARDS without a septicemia?  
 5 A. It is unusual.  
 6 Q. But that's something that can happen?  
 7 A. It can happen.  
 8 Q. Would the only way to know whether there  
 9 was bacteria in Ms. Bass' blood be from a blood  
 10 culture?  
 11 A. Yes.  
 12 Q. You're not aware of any blood cultures that  
 13 were done?  
 14 A. No, I am not.  
 15 Q. Is the -- you're not testifying on the  
 16 standard of care for Dr. Grayson. We've covered that  
 17 at length, have we not?  
 18 A. We have.  
 19 Q. And you agree with that?  
 20 A. Yes, sir.  
 21 Q. But you are here to testify about causation  
 22 meaning that the bacteria, however it got into  
 23 Ms. Bass' abdomen, got into her blood via the severed  
 24 artery?  
 25 A. That is correct.

1 this was my opinion as a general physician.  
 2 Q. Would you expect a surgeon such as  
 3 Dr. Grayson to know the difference between ligation  
 4 and severance?  
 5 MR. MARTIN: Objection; form.  
 6 A. I would expect so.  
 7 Q. (BY MR. AUSTIN) Would you expect  
 8 Dr. Peacock to know the difference between ligation  
 9 and severance?  
 10 A. Yes, I would.  
 11 Q. And it is your understanding that ligation,  
 12 if I understand correctly, means something that has  
 13 interrupted the flow?  
 14 MR. MARTIN: Objection; form.  
 15 Q. Yeah. I kind of believe that. What did  
 16 you say?  
 17 MR. MARTIN: Objection; form.  
 18 A. In a blood vessel, yes, after they have  
 19 interrupted the flow or the lumen if it's something  
 20 else. Now, you can have ligation and severance. In  
 21 fact, that's the most common situation. You first  
 22 ligate and then you do the severance or cut or  
 23 interruption, whatever term you want to use.  
 24 Q. (BY MR. AUSTIN) At any rate when we're  
 25 talking about a blood vessel ligation means something

1 Q. Is that a fair summary?  
 2 A. Yes, it is.  
 3 Q. And the severed artery, then, is important  
 4 to your opinion, is it not?  
 5 A. Yes, it is.  
 6 Q. What's the difference between severed and  
 7 ligated from a surgical standpoint?  
 8 A. Well, being that I'm not a surgeon, I can  
 9 give you what my interpretation is. Ligation just  
 10 means there's something interrupting the flow.  
 11 There's -- ligas is actually Latin or the root ligas  
 12 means rubber, like rubber band. And so it's  
 13 something just interrupting the flow, and it could be  
 14 string like suture string or suture material. It  
 15 could be, again, a rubber band or a piece of plastic  
 16 or a clip. Severance means that there's actually  
 17 interruption, that there is a cut.  
 18 Q. Now, before you started giving me that  
 19 explanation, you qualified that to some extent by  
 20 saying that you're not a surgeon. Correct?  
 21 A. That is correct.  
 22 Q. Why did you do that?  
 23 A. Because the terms that you're asking me to  
 24 define are terms that a surgeon will be more  
 25 qualified to discuss, and that's why I qualified that

1 that is interrupting it, something tied off, like  
 2 string or clipping it like a clip?  
 3 A. That's correct.  
 4 Q. Whereas severance means dividing it into  
 5 two parts?  
 6 A. Sure.  
 7 Q. Or more parts if you have more than one  
 8 severance?  
 9 A. Sure.  
 10 Q. There's a clear difference, is there not?  
 11 MR. MARTIN: Objection; form.  
 12 A. In my opinion, yes.  
 13 Q. (BY MR. AUSTIN) Are you familiar with  
 14 Dorland's Medical Dictionary?  
 15 A. I've heard the name.  
 16 Q. Is that something that you use in your  
 17 practice?  
 18 A. I don't think I've ever used it.  
 19 Q. You're familiar with it as a text, as a  
 20 medical dictionary?  
 21 A. Sure.  
 22 Q. Would you believe it -- would you believe  
 23 the definitions that are in here?  
 24 A. I -- since I've never used it, I don't know  
 25 if I would believe them or not.

Page 185

1 Q. Okay. Let me read you a definition and see  
2 if you agree. Dorland's defines to ligate as "to tie  
3 or bind with a ligature." Do you agree with that?  
4 A. I think that's what I said in other words.  
5 Q. Do you agree with that?  
6 A. Yes.  
7 Q. And then ligature is defined, tell me if  
8 you agree with this. "Any substance such as catgut,  
9 cotton, silk or wire used to tie a vessel or  
10 strangulate a part." Do you agree with that  
11 definition?  
12 A. Yes, I do.  
13 MR. MARTIN: Can I see that?  
14 Q. (BY MR. AUSTIN) Do you have Dr. Peacock's  
15 records in front of you?  
16 A. The records or the --  
17 Q. The -- well, the report.  
18 A. Yes, I do.  
19 Q. That should do it. That should do it.  
20 A. Okay.  
21 Q. Wait. It doesn't have the --  
22 A. Okay.  
23 Q. It doesn't have the conclusions.  
24 A. That is the one that is incomplete. Here.  
25 Q. Dr. Correa, we've talked a lot about the

Page 187

1 to testify, are you, as to how that infection got  
2 into the abdomen, are you, as opposed to how the  
3 infection got into the bloodstream?  
4 A. No, that's not correct. I have testified  
5 that I believe the infection got to the abdomen by a  
6 perforation of the gut.  
7 Q. Which is not a causation opinion, is it?  
8 MR. MARTIN: Objection; form.  
9 A. I honestly don't know. That's a legal term  
10 that I have no idea what it means.  
11 Q. (BY MR. AUSTIN) Okay. On the Page 4 which  
12 is the findings section of Dr. Peacock's autopsy  
13 report, will you read to me what it says under Roman  
14 Numeral II?  
15 A. "Interruption with ligation of the right  
16 ovarian artery with necrosis of the right ovary."  
17 Q. It doesn't say severance of the ovarian  
18 artery, does it?  
19 A. Not in this sentence.  
20 Q. It says ligation, does it not?  
21 A. That's correct.  
22 Q. And ligation means to -- as you said  
23 earlier, to -- to tie off rather than cut. Correct?  
24 A. The word ligation, yes. Now, there could  
25 be cutting in addition to ligation. I don't know if

Page 186

1 possibilities in your opinion as to how the infection  
2 got into Ms. Bass' bloodstream. Correct?  
3 A. That is correct.  
4 Q. And we've talked a lot about the  
5 possibilities as to how that infection got there in  
6 the first place, have we not?  
7 A. Yes, we have.  
8 Q. And we've also talked about your statement  
9 that you're only here to talk about causation.  
10 Correct?  
11 A. Yes, we have.  
12 Q. Are you -- the causation aspect of your  
13 testimony would only involve how that bacteria got  
14 into the bloodstream. Is that fair?  
15 MR. MARTIN: Objection; form.  
16 A. No.  
17 Q. (BY MR. AUSTIN) You're assuming an  
18 infection, correct, from whatever source?  
19 MR. MARTIN: Objection; form.  
20 A. I have no doubt that an infection occurred.  
21 Q. (BY MR. AUSTIN) Right, and I wasn't asking  
22 you that. You -- you believe that there was an  
23 infection?  
24 A. Yes.  
25 Q. And you're not here to -- you're not here

Page 188

1 that occurred in this case or not.  
2 Q. Dr. Peacock didn't note any cutting in her  
3 report, did she?  
4 A. Not in her report but in her deposition.  
5 Q. Okay. The severance, which is important to  
6 your opinions, is not mentioned anywhere in the  
7 autopsy report. Is that true?  
8 A. There is mention of the severance of the  
9 fallopian tubes but not specifically to the ovarian  
10 artery.  
11 Q. The severance of the ovarian artery, which  
12 you've said is important to your conclusions, is not  
13 mentioned in Dr. Peacock's report, is it?  
14 A. That is correct.  
15 MR. AUSTIN: I'll pass the witness.  
16 FURTHER EXAMINATION  
17 QUESTIONS BY MR. MARTIN:  
18 Q. Dr. Correa, let's just talk about that  
19 point right away. We already read to the jury  
20 Dr. Peacock's deposition testimony. Is that right?  
21 A. We sure have.  
22 Q. And she uses the term severance  
23 interchangeably with interruption. Is that right?  
24 A. That is correct.  
25 Q. Is that a reasonable construction to make

Page 189

Page 191

1 of those words?  
 2 A. Yes, it is.  
 3 Q. Do you have an opinion whether there was --  
 4 whether interruptions as used in Dr. Peacock's report  
 5 means severance?  
 6 MR. AUSTIN: Objection; form.  
 7 A. Yes, I do.  
 8 Q. (BY MR. MARTIN) And what is that opinion?  
 9 MR. AUSTIN: Objection; form.  
 10 A. My opinion is that it's used as similar  
 11 terms, exactly the same.  
 12 Q. (BY MR. MARTIN) Is it your opinion -- do  
 13 you have an opinion whether that ovarian artery was  
 14 severed?  
 15 A. Yes, I do.  
 16 Q. And what is that opinion?  
 17 MR. AUSTIN: Objection; form.  
 18 A. My opinion is that it was.  
 19 Q. And Dr. Peacock is the one -- the only one  
 20 that looked inside to see whether that ovarian artery  
 21 was severed?  
 22 A. That is correct.  
 23 Q. She looked in at her autopsy?  
 24 A. She did.  
 25 Q. She gave a deposition?

1 A. Yes.  
 2 Q. (BY MR. MARTIN) And what is your opinion?  
 3 MR. AUSTIN: Objection; form.  
 4 A. My opinion is that the -- Dr. Peacock was  
 5 unable to see bowel content because of the shaggy,  
 6 yellowish-green, purulent material that was covering  
 7 the organs.  
 8 Q. (BY MR. MARTIN) Let's talk a minute about  
 9 the medical education of a pediatric infectious  
 10 disease medical doctor such as yourself versus an  
 11 adult infectious disease doctor such as yourself.  
 12 First of all, are there differences in the medical  
 13 education you receive?  
 14 A. No, there are not.  
 15 Q. All right. In terms of the test to become  
 16 board certified, what -- are there differences in the  
 17 information that a pediatric infectious disease  
 18 doctor would need to know that are on the board  
 19 certification test for adult infectious disease? Are  
 20 there differences?  
 21 A. Not for the pediatric person.  
 22 Q. All right. What about for the adult -- for  
 23 the adult infectious disease person?  
 24 A. Yes, there are.  
 25 Q. And what are those differences?

Page 190

Page 192

1 A. She sure did.  
 2 Q. And that deposition was noticed by the  
 3 defendants?  
 4 A. That is correct.  
 5 Q. All right. A couple other follow-ups about  
 6 Dr. Peacock's report. Why did Dr. Peacock fail to  
 7 see blood in the abdominal cavity when she did the  
 8 autopsy?  
 9 MR. AUSTIN: Objection; form.  
 10 A. Because the abdominal organs were covered  
 11 with a purulent material. Actually I think she uses  
 12 the word shaggy fibro-- fibrinopurulent exudate. So  
 13 that is in my opinion the likely reason she was not  
 14 able to see any bowel content or blood.  
 15 Q. All right. Just to clarify his objection.  
 16 Do you have an opinion as to why Dr. Peacock did not  
 17 see blood in the abdominal cavity?  
 18 MR. AUSTIN: Objection; form.  
 19 A. Yes. In my opinion it was because the  
 20 peritoneal surfaces were covered with a shaggy,  
 21 yellow-green, purulent material.  
 22 Q. (BY MR. MARTIN) Do you have an opinion as  
 23 to why Dr. Peacock failed to see bowel content during  
 24 the autopsy?  
 25 MR. AUSTIN: Objection; form.

1 A. The difference is that the adult  
 2 doctor does not have the knowledge or is not required  
 3 to have the knowledge and understanding about how  
 4 certain antibiotics interact in children, how they  
 5 are metabolized by children, how they are processed  
 6 by children.  
 7 Q. So to be board certified in pediatric  
 8 infectious disease are there more or less  
 9 requirements than to be board certified in adult  
 10 infectious disease?  
 11 MR. AUSTIN: Objection; form.  
 12 A. There are more because a pediatrician -- a  
 13 pediatric infectious disease specialist needs to know  
 14 how these antibiotics behave in the newborn period as  
 15 compared to an older child, as to an adolescent, as  
 16 to an adult. As we have been mentioning throughout  
 17 this deposition, there are differences in the way  
 18 those medications are metabolized or processed by the  
 19 body. So a pediatric infectious disease person has a  
 20 knowledge of how those antibiotics act both in the  
 21 very young and the older.  
 22 Q. Let's me talk about tubal ligations,  
 23 laparoscopies converted to minilaparotomies. You've  
 24 never performed one of those operations?  
 25 A. No, I have not.

Page 193

1 Q. You have to -- you're not qualified to know  
2 the intricate risks and the increased risks  
3 associated with those operations?  
4 A. That's correct.  
5 Q. Let me ask you this: Assume for a second  
6 that in an OB's patient there is an increased risk of  
7 bowel perforation when performing a laparoscopy -- a  
8 laparoscopy. From an infectious disease standpoint,  
9 do you have an opinion as to what proper -- whether  
10 there would be proper antibiotic therapy for such  
11 procedures after completion?  
12 A. Yes, I do have an opinion.  
13 Q. And what is that opinion?  
14 A. My opinion is that antibiotics should be  
15 administered because of that increased risk.  
16 Q. Is there any reason in the world that you  
17 can think of why antibiotic therapy would not be  
18 appropriate given an increased risk of bowel  
19 perforation?  
20 A. Not a single one that I can think of.  
21 Q. Is there any quest-- problem you'd have  
22 with someone gaining, what do you call it, gaining an  
23 immunity from an antibiotic because of such therapy  
24 after a tubal ligation?  
25 A. Such thing does not happen in a short-term

Page 194

1 situation like that.  
2 Q. Let's talk about Gloria Bass' conduct.  
3 Based upon what you've reviewed -- by the way, you've  
4 reviewed the Hillcrest medical records?  
5 A. Okay.  
6 Q. Have you done that?  
7 A. Yes, I have.  
8 Q. And that's pertaining to her visita-- her  
9 stay in the hospital after the surgery. Is that  
10 right?  
11 A. That is correct.  
12 Q. And at least in terms of the ambulance  
13 records, you've reviewed the ambulance records.  
14 Right?  
15 A. Yes, I have.  
16 Q. Is there anything at all that you've seen  
17 in any of those records that make you critical that  
18 Gloria Bass may have caused her own death?  
19 A. No, not at all.  
20 Q. Are you critical in any way of Gloria Bass  
21 for her death?  
22 A. No, no, certainly not.  
23 Q. Do you have an opinion as to whether she  
24 caused that bacteria to get in her abdomen in the  
25 first place?

Page 195

1 A. Yes. My opinion is that she had no bearing  
2 in that.  
3 Q. Did she have anything to do with the  
4 bacteria getting into her blood?  
5 A. No, certainly not.  
6 Q. Last series of questions, Doctor. Do you  
7 review cases for both lawyers who represent  
8 plaintiffs and lawyers who represent doctors who are  
9 being sued?  
10 A. Yes, I do.  
11 Q. Do you review cases for large defense  
12 firms --  
13 A. Yes, I do.  
14 Q. -- who defend doctors?  
15 A. Yes, I do.  
16 Q. Are those in different states -- I'm  
17 sorry -- in different counties throughout Texas?  
18 A. Yes, they are.  
19 Q. Do you turn down a case simply because a  
20 plaintiff lawyer asks you to review it?  
21 A. No.  
22 Q. Do you review cases equally for both sides?  
23 A. Yes, I do.  
24 Q. Are you willing to review a case sent to  
25 you by any defense lawyer in this state and any

Page 196

1 plaintiff's lawyer in this state?  
2 A. Yes, I am.  
3 Q. Are you -- do you have any opinion whether  
4 some doctors are hesitant to testify in lawsuits  
5 against other doctors?  
6 MR. AUSTIN: Objection; form.  
7 A. Yes, I do have an opinion.  
8 Q. (BY MR. MARTIN) And upon what is that  
9 opinion based?  
10 A. Well, that opinion is based on my  
11 experience with colleagues and friends in the medical  
12 field.  
13 Q. And what is that opinion?  
14 MR. AUSTIN: Objection; form.  
15 A. Unfortunately, many of them refuse to  
16 testify just because a case is coming from a  
17 plaintiff's lawyer.  
18 Q. (BY MR. MARTIN) What about do -- in your  
19 experience do some of these doctors have problems  
20 testifying against other doctors?  
21 A. Yes, they do.  
22 Q. Do you feel that is fair?  
23 A. No, I do not.  
24 Q. Why are you willing to express your  
25 opinions even if they are against a doctor in a

1 lawsuit?  
 2 A. Because I believe firmly that I have an  
 3 ethical obligation to do so. I believe that even  
 4 large organizations like the American Medical  
 5 Association have said that physicians do have a role  
 6 in these medical/legal cases and that that role  
 7 should be unbiased whether it's -- they're testifying  
 8 for the defense or the plaintiffs. I think that my  
 9 ethical obligation is to present the facts as I  
 10 understand them, as I -- as to what my professional  
 11 conclusion is. I know that sometimes the physician  
 12 involved or the -- sometimes the plaintiff's lawyer  
 13 involved may not like what I have to say but it is --  
 14 I feel it's my obligation to say so.  
 15 Q. You have reviewed other cases for me?  
 16 A. Yes, I have.  
 17 Q. Do you tell me when you review some cases  
 18 that they have no merit?  
 19 A. I certainly have.  
 20 Q. Do you call them like you see it?  
 21 A. I call them like I see it.  
 22 Q. For both sides, defense lawyers and  
 23 plaintiff's lawyers?  
 24 A. I certainly do.  
 25 Q. Is it fair that you're compensated for your

1 A. I'm expressing the opinion that in a  
 2 situation like the one Dr. Grayson had encountered  
 3 antibiotics were indicated.  
 4 Q. From an infectious disease standpoint?  
 5 A. That is correct.  
 6 Q. Okay. Dr. Grayson is not an infectious  
 7 disease specialist, is he?  
 8 A. No, he is not, but as a physician he does  
 9 have the knowledge of general infectious diseases.  
 10 Q. So now you are testifying that  
 11 Dr. Grayson -- as to the standard of care regarding  
 12 Dr. Grayson?  
 13 A. No, I'm not. I'm testifying as to from an  
 14 infectious disease standpoint.  
 15 Q. So you're not testifying one way or the  
 16 other whether Dr. Grayson as an OB/GYN should or  
 17 should not have prescribed antibiotics. Is that --  
 18 A. That is correct.  
 19 Q. Would it change -- does it change your  
 20 opinions at all -- strike that.  
 21 Would it change your opinions at all  
 22 in this case if the ovarian artery were ligated  
 23 rather than severed?  
 24 A. I don't think so.  
 25 Q. Why not?

1 time when you review these cases?  
 2 A. Yes, it is.  
 3 Q. Doctor, certainly if -- well, let me ask  
 4 you this: If you were told that Gloria Bass had a  
 5 perforated bowel, what would your treatment have  
 6 been?  
 7 A. I would have recommended starting  
 8 antibiotics immediately.  
 9 Q. Do you have an opinion whether that would  
 10 have saved her life?  
 11 A. Yes, I do.  
 12 Q. And what is that opinion?  
 13 A. That it would have certainly served --  
 14 saved her life.  
 15 MR. MARTIN: No further questions.  
 16 FURTHER EXAMINATION  
 17 QUESTIONS BY MR. AUSTIN:  
 18 Q. Dr. Correa, is it true that you're not  
 19 expressing an opinion one way or the other as to  
 20 whether Dr. Grayson or Dr. Konop should have ordered  
 21 antibiotics in the postoperative period?  
 22 A. As to specifically Dr. Grayson, no, I'm  
 23 not.  
 24 Q. You're expressing no opinion either way,  
 25 are you?

1 A. Because you still have a situation where  
 2 tissue is dying. The tissue that is dying would have  
 3 continued to foster the infection and could have been  
 4 the entry site for the infection to reach the  
 5 bloodstream. Irrespectively of whether there was a  
 6 cut of the artery or not, you had tissue that is  
 7 dying to where there's still some amount of blood  
 8 flow and to which still can act as an entry site for  
 9 an infection.  
 10 Q. Would it have entered the blood as quickly  
 11 had the artery been ligated rather than severed?  
 12 A. More likely no.  
 13 Q. It would have taken a while to enter the  
 14 bloodstream, longer than it would have had the artery  
 15 been severed?  
 16 A. That's correct.  
 17 Q. Okay.  
 18 MR. AUSTIN: I'll pass the witness.  
 19 MR. MARTIN: Thank you, Doctor.  
 20 THE WITNESS: Thank you.  
 21 THE VIDEOGRAPHER: Off the record at  
 22 7:02 p.m.  
 23 (Exhibit No. 4 was marked)  
 24 (Proceedings concluded/recessed at  
 25 7:02 p.m.)

Page 201

CHANGES AND SIGNATURE		
PAGE LINE	CHANGE	REASON
1		
2		
3		
4		
5		
6		
7		
8		
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

Page 203

NO. 99-2625-3  
 SEVERA DeLEON ) IN THE DISTRICT COURT  
 )  
 Plaintiff(s), )  
 VS. ) McLENNAN COUNTY, TEXAS  
 )  
 ROBERT W. GRAYSON, M.D. )  
 )  
 Defendant(s), ) 74TH JUDICIAL DISTRICT

REPORTER'S CERTIFICATION  
 DEPOSITION OF ARMANDO G. CORREA, M.D.  
 DECEMBER 20, 2000

I, Velma C. LaChausse, Certified Shorthand  
 Reporter in and for the State of Texas, hereby  
 certify to the following:  
 That the witness, ARMANDO G. CORREA, M.D.,  
 was duly sworn by the officer and that the transcript  
 of the oral deposition is a true record of the  
 testimony given by the witness;  
 That the deposition transcript was submitted  
 on , 2000, to the witness or to the  
 attorney for the witness for examination, signature  
 and return to me by , 2000;  
 That the amount of time used by each party  
 at the deposition is as follows:  
 Mr. Robert E. Austin, IV - 3:30  
 Mr. James F. Martin - 00:52

That pursuant to information given to the

Page 202

I, ARMANDO G. CORREA, M.D., have read the  
 foregoing deposition and hereby affix my signature  
 that same is true and correct, except as noted above.

ARMANDO G. CORREA, M.D.

THE STATE OF )  
 COUNTY OF )

Before me, , on this  
 day personally appeared ARMANDO G. CORREA, M.D.,  
 known to me (or proved to me under oath or through  
 ) (description of identity card or  
 other document) to be the person whose name is  
 subscribed to the foregoing instrument and  
 acknowledged to me that they executed the same for  
 the purposes and consideration therein expressed.  
 Given under my hand and seal of office this  
 day of

NOTARY PUBLIC IN AND FOR  
 THE STATE OF

Page 204

deposition officer at the time said testimony was  
 taken, the following includes counsel for all parties  
 of record:  
 Mr. James F. Martin, Attorney for  
 Plaintiff(s)  
 Mr. Robert E. Austin, IV, Attorney for  
 Defendant(s)

I further certify that I am neither counsel  
 for, related to, nor employed by any of the parties  
 or attorneys in the action in which this proceeding  
 was taken, and further that I am not financially or  
 otherwise interested in the outcome of the action.  
 Further certification requirements pursuant  
 to Rule 203 of TRCP will be certified to after they  
 have occurred.  
 Certified to by me this 22nd day of December,  
 2000.

Velma C. LaChausse, Texas CSR 5782  
 Expiration Date: 12-31-2000  
 Esquire Deposition Services, Inc.  
 3401 Louisiana, Suite 300  
 Houston, Texas 77002-9547  
 (713) 524-4600

1 NO. 99-2523-1  
 2 SEVERA DELEON ) IN THE DISTRICT COURT  
 3 Plaintiff(s) )  
 4 VS. ) McLENNAN COUNTY, TEXAS  
 5 )  
 6 ROBERT W. GRAYSON, M.D. )  
 7 )  
 8 Defendant(s) ) 14TH JUDICIAL DISTRICT

9 FURTHER CERTIFICATION UNDER RULE 201 FREP  
 10 The original deposition was/was not  
 11 returned to the deposition officer on  
 12 If returned, the attached Changes and  
 13 Signature page contains any changes and the reasons  
 14 therefor.  
 15 If returned, the original deposition was  
 16 delivered to Mr. James F. Martin, Custodial Attorney,  
 17 That is to the deposition  
 18 officer's charges to the Plaintiff for preparing the  
 19 original deposition transcript and any copies of  
 20 exhibits.  
 21 That the deposition was delivered in  
 22 accordance with Rule 201 J, and that a copy of this  
 23 certificate was served on all parties shown herein on  
 24 and filed with the Clerk.  
 25 Certified to by me this day of  
 .2000

Verma C. LaChausse, Texas CSR 5782  
 Expiration Date: 12-31-2000  
 Esquire Deposition Services, Inc  
 1431 Louisiana, Suite 200  
 Houston, Texas 77002-9347  
 (713) 524-4600