

0001

1                   DISTRICT COURT  
2                   EL PASO COUNTY, COLORADO

3                   ANDREW VITETTA and JANINE  
4                   VITETTA, Individually and as  
5                   Parents and Next Friends for  
6                   KRYSTA MARIE VITETTA, A Minor,  
7                   Plaintiffs,

8                   vs.                   Case No. 04CV2023  
9                   Div.: 5

10                  CATHOLIC HEALTH INITIATIVES  
11                  OF COLORADO d/b/a PENROSE  
12                  COMMUNITY HOSPITAL; MARY LAIRD, M.D.;  
13                  KEVIN CORRIGAN, M.D.; STEVEN REICH, M.D.;  
14                  COLORADO SPRINGS HEALTH PARTNERS, P.C.;  
15                  JANET DUBRICK, NNP; MARY STILSON, NNP; and  
16                  PEDATRIX MEDICAL GROUP OF COLORADO,  
17                  Defendants,  
18                  ~~~~~

19                  DEPOSITION OF

20                  ARMANDO CORREA, M.D.

21                  May 12, 2006  
22                  1:28 p.m.

23                  2320 Paseo Del Prado, Building B-106  
24                  Las Vegas, Nevada

25                  Cynthia K. DuRivage, CSR No. 451

26

27

28

29

30

31

32

33

34

35

0002

1 APPEARANCES:

2 FOR THE PLAINTIFFS:

3 TIM BUXTON, ESQUIRE

4 LEVENTHAL, BROWN & PUGA, P.C.

5 950 South Cherry Street

6 Suite 600

7 Denver, Colorado 80246

8 (303) 759-9945

9 FOR THE DEFENDANT KEVIN CORRIGAN, M.D.:

10 G. GREGORY TIEMEIER, ESQUIRE

11 TIEMEIER & HENSEN

12 1515 Arapahoe Street

13 Tower 1, Suite 1300

14 Denver, Colorado 80202

15 (303) 572-1515

16 gtiemeier@thlaw.net

17 FOR THE DEFENDANTS PENROSE COMMUNITY HOSPITAL,

18 JANET DUBRICK, NNP, AND MARY STILSON, NNP:

19 JOHN O. MARTIN, ESQUIRE

20 MARTIN CONKLIN

21 101 University Boulevard

22 Suite 440

23 Denver, Colorado 80206

24 (303) 321-1980

25 jmartin@martinconklin.com

0003

1 APPEARANCES, CONTINUED

2 .

3 FOR THE DEFENDANT STEVEN REICH, M.D.:

4 JOSEPH C. JAUDON, ESQUIRE

5 JAUDON & AVERY LLP

6 1660 Wynkoop Street

7 Suite 1010

8 Denver, Colorado 80202

9 (303) 832-1122

10 jjaudon@jalegal.com

11 .

12 FOR THE DEFENDANTS MARY LAIRD, M.D.

13 AND PEDIATRIX MEDICAL GROUP:

14 SCOTT S. NIXON, ESQUIRE

15 PRYOR JOHNSON CARNEY KARR NIXON

16 5619 DTC Parkway

17 Suite 1200

18 Greenwood Village, Colorado 80111-3061

19 (303) 773-3500

20 snixon@pjckn.com

21 .

22 .

23 .

24 .

25 .

0004

1 APPEARANCES, CONTINUED

2 .

3 FOR THE INTERVENOR FORTIS:

4 KEITH VAN DOREN, CPCU

5 BURGSIMPSON

6 40 Inverness Drive East

7 Englewood, Colorado 80112

8 (303)792-5595

9 .

10 .

11 .

12 .

13 .

14 .

15 .

16 .

17 .

18 .

19 .

20 .

21 .

22 .

23 .

24 .

25 .

1                   Deposition of Armando Correa, M.D.

2                   May 12, 2006

3                   ARMANDO CORREA, M.D., having been

4                   first duly sworn to testify to the truth, the

5                   whole truth, and nothing but the truth, was

6                   examined and testified as follows:

7                   (Exhibit-1&2 were marked for

8                   identification by the reporter.)

9                   EXAMINATION

10                  BY-MR.TIEMEIER:

11                  Q.   Hello, Dr. Correa. My name is

12                  Greg Tiemeier. I'm the attorney for Dr.

13                  Corrigan in this case.

14                  Could we have your name and

15                  professional address, please.

16                  A.   Certainly. My name is Armando

17                  Correa, C-o-r-r-e-a. My address is 1620

18                  South Friendswood Drive, No. 133, in

19                  Friendswood, Texas 77546.

20                  Q.   And your occupation?

21                  A.   I am a physician.

22                  Q.   And what is your specialty?

23                  A.   Pediatric infectious diseases.

24                  Q.   And where do you practice?

25                  A.   I am an assistant professor at

1       Baylor College of Medicine in Houston, Texas.

2           Q.    And what does an assistant

3       professor do?

4           A.    An assistant professor is

5       responsible for patient care, teaching,  
6       research, and some administrative duties as it  
7       pertains to the patients of the institution.

8           Q.    And percentagewise in terms of the

9       time it takes you, could you tell me how  
10      much time you spend in each of those four  
11      areas, patient care, teaching, research and  
12      administration.

13           A.    Well, patient care and teaching are

14       together. Basically, the teaching is done as  
15       you have patients.

16           That is the bulk part of my time,

17       about 90 percent.

18           Presently, I am not doing any

19       research, and the other 10 percent would be  
20       administrative.

21           Q.    About how much time do you spend

22       on medical-legal work?

23           A.    Maybe --

24           Q.    And if you don't --

25           A.    -- five hours a month.

1           Q.    Okay. You've given many  
2       depositions before, and you've been asked lots  
3       of questions about how much time you spend  
4       and how many cases you review.

5                   Was the information you gave in  
6       those depositions accurate and truthful as of  
7       the time you gave it?

8           A.    Yes.

9           Q.    So I don't have to reiterate at  
10      all?

11       A.    That's correct.

12       Q.    You understand that you're under  
13      oath now, the same as if you were in a court  
14      of law?

15       A.    Yes, sir.

16       Q.    And you have testified in court  
17      before, have you not?

18       A.    Yes, I have.

19       Q.    And you understand that if you  
20      give an answer in the deposition room that's  
21      different from what you give in the  
22      courtroom, the attorney is entitled to use  
23      your deposition to imply that perhaps you're  
24      not being truthful or not recalling correctly?

25       A.    I understand that.

1       Q.    You also know that you can take a  
2       break anytime you want. Just ask me, I'll  
3       be happy to accommodate you with the caveat  
4       that if there's a question pending, I'd like  
5       an answer to the question before we take a  
6       break. Okay?

7       A.    Thank you.

8       Q.    You're welcome.

9           And is there any reason that you  
10       are tired, distracted, ill, anything that  
11       would prevent you from listening to and  
12       responding to my questions?

13      A.    No, sir.

14      Q.    If, for whatever reason, I don't  
15       ask the question in a way that you understand  
16       it, please don't answer it. Point out to me  
17       that I've asked it incorrectly or that you  
18       don't understand it or ask me to repeat it  
19       because I will assume that if you answer my  
20       question that you understood it.

21           Is that a fair assumption for me?

22      A.    That's a fair assumption.

23      Q.    Okay. Thank you.

24           To prepare for your deposition  
25       today, you had earlier here in front of you

1       a pile of materials probably about a  
2       foot-and-a-half high.

3           What of that did you review to  
4       prepare for your deposition today?

5       A.   I reviewed the medical records. I  
6       reviewed the expert disclosure, both from  
7       plaintiff and defense.

8           I reviewed the guidelines for the  
9       newborn nursery at Penrose Hospital.

10       Q.   And feel free to refer to any of  
11       your records anytime you need.

12       A.   Sure. I reviewed depositions of  
13       Dr. Corrigan, Gail O'Hare, Pamela Brooks,  
14       Steven Reich, Janet Dubrick and Ms. Stilson.

15       Q.   Did you review Dr. Laird's  
16       deposition?

17       A.   Yes, sir, I did.

18       Q.   Could you get Dr. Corrigan's  
19       deposition out here in front of you. You  
20       may need it a little later on. Put a little  
21       red flag on the top of it.

22           Your notice of deposition has been  
23       marked as Exhibit 1, and in there, we've  
24       listed some materials that you have published,  
25       textbooks, as well as presentations you've

1 done.

2 First of all, I'd ask if those  
3 materials that are on the second page, items  
4 7, 8, and 9, are those textbook chapters that  
5 would contain information that's relevant to  
6 the issues in this case?

7 A. From the standpoint that this case  
8 involves an infection, yes, they would be  
9 relevant as they talk about specifically the  
10 topic of infections.

11 Q. Okay.

12 A. Whether they have some specific  
13 issues that I'm going to testify to, I don't  
14 think that there's anything in those chapters  
15 that would be of relevance to this case.

16 Q. Okay. Do you have copies of those  
17 chapters in your possession?

18 A. I can certainly obtain those for  
19 you.

20 Q. Could you provide those to Mr.  
21 Buxton, and then, he can forward those to us?

22 A. I certainly will.

23 Q. All right. Thank you. I  
24 appreciate it.

25 If you turn to the third page,

1       there's a number of lectures in there, most  
2       of which I believe have to do with infectious  
3       disease topics.

4           Did any of those lectures address  
5       issues that are relevant to the topics in  
6       this case?

7       A.    Yes, there are several that do.

8       Q.    Okay. When you give these  
9       lectures, do you use Power Point presentations  
10      to illustrate your presentation?

11      A.    Some of them are from the 1990s  
12      before Power Point became so popular. So  
13      some of them are in slide form, but the most  
14      recent ones are in Power Point or were given  
15      in Power Point presentation.

16      Q.    Could you please for me circle the  
17      ones that you have Power Points for.

18      A.    At the present time, I only have  
19      the Power Point presentation for 96.

20      Q.    Okay.

21      A.    No. 96.

22      Q.    No. 96, and that's the impact of  
23      bacterial resistance in pediatrics?

24      A.    Yes, sir.

25      Q.    Okay. The 2001 presentation at

1 Hilton Head Island, you no longer have that  
2 Power Point?

3 A. I -- I may be able to retrieve it  
4 from a CD where this information was.

5 Q. Okay. You have a copy of the  
6 notice of deposition, don't you?

7 A. Yes, I do.

8 Q. All right. I saw it in your  
9 materials.

10 Would you do me the favor of  
11 looking to see in your materials if you have  
12 either 68 or 96, and then provide those to  
13 Mr. Buxton.

14 I presume you can e-mail them?

15 A. Yes, I certainly can.

16 Q. And then, they can be forwarded to  
17 us?

18 A. I certainly will.

19 Q. And then, we've marked this as  
20 Deposition Exhibit 2, and that's a list of  
21 your testimony in the last four years.

22 Is that an accurate and complete  
23 listing of your testimony under oath in the  
24 last four years?

25 A. As best as I was able to get that

1 information, this is correct.

2 Q. Okay. Do you think that there's  
3 cases that you may have testified in that  
4 aren't listed there?

5 A. It is possible.

6 Q. You just don't know?

7 A. I just don't know.

8 Q. Of these cases that are listed  
9 here in Exhibit 2, were any of those cases  
10 involving an alleged failure to diagnose  
11 meningitis or bacteremia slash septicemia?

12 A. Yes, sir.

13 Q. Okay. Could you put a checkmark  
14 next to those items, the testimony that  
15 involved either one of those issues.

16 Actually, I got a better idea.

17 Why don't you put an "M" and an "S" to  
18 indicate whether it's meningitis or septicemia.  
19 And if both, put "both," please.

20 A. I have marked the ones that I  
21 recall involve meningitis or septicemia.

22 Q. Okay. Thank you.

23 (There was a discussion off the  
24 record.)

25 ///

1 BY MR. TIEMEIER:

2 Q. Have you testified for any of the  
3 attorneys at Mr. Leventhal's office before?

4 A. Yes, I have.

5 Q. Who?

6 A. Ms. Brown.

7 Q. Anyone else?

8 A. No. I don't believe so.

9 Q. So other than the case that you  
10 had with Ms. Brown, this is only the second  
11 case that you've had with anyone at that  
12 office?

13 A. No, that's not correct.

14 Q. Okay. Have you had any other  
15 cases with Mr. Leventhal?

16 A. I do not recall so.

17 Q. Who else did you have cases with  
18 at Mr. Leventhal's office, other than Ms.  
19 Brown?

20 A. I've been asked to review a case  
21 for Mr. Puga.

22 Q. Does that case involve either  
23 septicemia or meningitis?

24 A. I don't believe so.

25 Q. Do you know a Dr. Lowell Young?

1 A. I know of him.

2 Q. Is he considered an expert in

3 pediatric infectious disease?

4 MR. BUXTON: Object to form.

5 THE WITNESS: Dr. Young is a  
6 well-respected infectious disease specialist.

7 BY MR. TIEMEIER:

8 Q. But not in pediatric infectious  
9 disease?

10 A. In pediatric infectious diseases,  
11 he has a very well-recognized expertise.

12 Q. So you would consider him an  
13 expert in pediatric infectious disease?

14 A. If you would be kind enough to  
15 tell me what you mean by "expert."

16 Q. Sure.

17 A. I mean, he's a Board-certified  
18 infectious disease specialist.

19 Q. Sure. For example, you're an  
20 expert in pediatric infectious disease, right?

21 A. I consider myself, yes.

22 Q. You're Board-certified in  
23 pediatrics?

24 A. Yes.

25 Q. Board-certified in infectious

1 disease?

2 A. And pediatric infectious disease,

3 yes.

4 Q. He is not Board-certified in

5 pediatric infectious disease, is he?

6 A. I do not know.

7 MR. BUXTON: Objection.

8 Foundation.

9 BY MR. TIEMEIER:

10 Q. I presume pediatric infectious  
11 disease specialists have conferences that they  
12 go to?

13 A. Yes, that's correct.

14 Q. Have you ever seen Dr. Young  
15 invited to speak at any of those conferences?

16 A. Yes, I have.

17 Q. Do you know Dr. James Todd of  
18 Denver?

19 A. I know his name, yes, sir.

20 Q. And is he considered an expert in  
21 pediatric infectious disease?

22 MR. BUXTON: Object to the form.

23 THE WITNESS: He is recognized as  
24 a pediatric infectious disease specialist.

25 ///

1 BY MR. TIEMEIER:

2 Q. He also attends those conferences  
3 you were just talking about?

4 A. Yes, sir.

5 Q. And sometimes he speaks at those,  
6 doesn't he?

7 A. Yes, sir.

8 Q. Have you ever seen him speak?

9 A. I do not recall seeing him speak.

10 Q. When I asked whether you considered  
11 him to be an expert in pediatric infectious  
12 disease, you said he was a specialist.

13 Do you not consider him to be a  
14 specialist above and beyond what most  
15 pediatric disease specialists have?

16 MR. BUXTON: Objection to  
17 foundation.

18 THE WITNESS: I do not know enough  
19 of him to qualify him or compare him to  
20 other ones of my peers.

21 I have shared patients with him,  
22 and I know he has great expertise in certain  
23 areas, in which I have consulted with him.

24 BY MR. TIEMEIER:

25 Q. And what are those areas?

1           A.    Infections due to unusual  
2       organisms, immune system dysfunction and  
3       function of white cells. That's basically  
4       it.

5           Q.    Did you take any notes in your  
6       review of this case?

7           A.    No, I didn't.

8           Q.    The materials that were here in  
9       front of you, have you reviewed all of those  
10      materials?

11          A.    Yes, I have.

12          Q.    And how much time did you spend  
13      reviewing those?

14          A.    I have not kept track of my time.  
15      I would estimate it's about 16 to 20 hours.

16          Q.    And at some point in time after  
17      reviewing those materials, did you speak with  
18      someone from Mr. Leventhal's office to relay  
19      your opinions about the case of the various  
20      defendants in this case?

21          A.    Yes, I did.

22          Q.    And at that time, did you have  
23      sufficient information to comment intelligently  
24      on the care of the defendants in this case?

25          A.    Yes, I do.

1           Q.   Did you ever have to ask for any  
2        additional information because you didn't have  
3        enough information?

4           A.   No, I don't recall having asked  
5        for anything specific.

6           Q.   Okay. Have you submitted a bill  
7        yet?

8           A.   No, I have not.

9           Q.   In looking at your materials, I  
10       saw that they sent to you a copy of your  
11       expert witness disclosure.

12          A.   That is correct.

13          Q.   And did you make any changes to  
14       that expert witness disclosure draft that they  
15       sent to you?

16          A.   Yes, I did.

17          Q.   And what changes did you make?

18              And you can get that out, if you  
19       want, to show me.

20          A.   If I remember correctly, I  
21       corrected the spelling of my name, my last  
22       name, and the spelling of gentamicin.

23          Q.   Anything else?

24          A.   Not that I recall.

25          Q.   Does the expert witness disclosure

1       accurately reflect all the opinions that you  
2       hold in this case with respect to the  
3       standard of care of the defendants?

4           A.    With respect to standard of care  
5       and causation, I believe it does.

6           Q.    All right. Are there any opinions  
7       you hold with respect to the standard of care  
8       of the defendants or causation of Krysta  
9       Vitetta's injuries that are not included in  
10      your disclosure?

11          A.    No, sir.

12          Q.    How did you first learn about this  
13      case?

14          A.    I received a call from Mr.  
15      Leventhal's office inquiring if I would be  
16      available to review this case.

17          Q.    And what did they tell you about  
18      the case?

19          A.    From what I can recall, they  
20      basically told me it was -- it involved a  
21      neonate who had developed Citrobacter  
22      meningitis.

23          Q.    So before you got the records in  
24      this case, you were aware that Krysta Vitetta  
25      had developed Citrobacter meningitis?

1 A. I believe so is.

2 Q. Have you ever been sued before?

3 And I don't mean to be insulting.

4 A. No, certainly. Yes, I have.

5 Q. And can you tell me the

6 circumstances of that.

7 A. Yes, it was a lawsuit that took  
8 place during my residency, and it was the  
9 death of a child following cardiac surgery.

10 Q. And what was your involvement in  
11 the case?

12 A. I was the resident taking care of  
13 the patient in the intensive care unit.

14 Q. And what was the disposition of  
15 the case?

16 A. A summary judgment was awarded on  
17 behalf of the defendants, and no moneys were  
18 awarded.

19 Q. Have you been sued since that  
20 time?

21 A. No, I have not.

22 Q. Have you ever had your privileges  
23 at a hospital suspended, curtailed, or limited  
24 in any way?

25 A. No, sir.

1 Q. Have you ever had any complaints  
2 filed against you by medical boards in any  
3 state you've practiced in?

4 A. No, sir.

5 Q. Ever had any limitations or  
6 suspensions or revocations of any of your  
7 licenses by the medical board in any state  
8 you've ever practiced in?

9 A. No, sir.

10 Q. Have you ever been convicted of a  
11 felony?

12 A. No, sir.

13 Q. Okay. Got that out of the way.  
14 When you -- would you agree with  
15 me that, knowing that Krysta Vitetta had  
16 Citrobacter meningitis when you first started  
17 looking at the records gave you an advantage  
18 over the people who were involved in Krysta  
19 Vitetta's care who didn't know the outcome  
20 when they were treating her?

21 MR. BUXTON: Object to form.

22 THE WITNESS: I would ask you to  
23 please --

24 BY MR. TIEMEIER:

25 Q. Explain?

1           A. -- explain what you mean by that.

2           Q. Be glad to.

3                 At 2345 on May 18, when Dr.

4                 Corrigan got a phone call about Krysta  
5                 Vitetta and the fever of 101.1, he did not  
6                 know that she was going to go on the next  
7                 day to develop meningitis?

8           A. That's correct.

9           Q. But when you looked at the records  
10          and saw that at 2345, he was called, and  
11          Krysta Vitetta had a fever of 101.1, you knew  
12          that she was going to go on in the next 24  
13          hours to develop meningitis, true?

14          A. That's correct.

15          Q. That's a distinct advantage that a  
16          reviewing -- an expert witness reviewing the  
17          case has over the physician who actually  
18          participated in the care, true?

19          A. Certainly.

20                 MR. BUXTON: Objection.

21                 BY MR. TIEMEIER:

22          Q. Do you do anything -- well, first  
23          of all, have you ever read any of the  
24          articles that have been published on the  
25          influence of retrospective bias or hindsight

1 bias and the influence that that has on  
2 physicians whose are evaluating the care of  
3 other physicians?

4 A. I am aware that such data exists.

5 Q. Okay. And what does the data say?

6 MR. BUXTON: Object to foundation.

7 THE WITNESS: The data suggests  
8 that there is an inherited bias when you are  
9 doing a retrospective review.

10 BY MR. TIEMEIER:

11 Q. And that bias is that if there is  
12 a bad outcome, a physician is more likely --  
13 the reviewing physician is more likely to  
14 find something to criticize about the actual  
15 physician, the treating physician's care, true?

16 A. I don't know that I would  
17 characterize it as you've described it.

18 I mean, basically, what the studies  
19 have shown is that there is an inherited bias  
20 in such a situation.

21 Q. Okay. When I say, "bias," that's  
22 what I meant.

23 What do you mean when you say, "an  
24 inherent bias"?

25 A. Any retrospective study or

1 discussion has the disadvantage that reviewer  
2 already knows what the outcome of the subject  
3 being evaluated is.

4 Q. Do you do anything when you  
5 evaluate a case to compensate for that  
6 inherent bias?

7 A. Yes, I do.

8 Q. Tell me what it is you do.

9 A. Well, I try to look at the case  
10 as if I was faced with a similar situation  
11 and what my train of thought would be under  
12 such circumstances.

13 Q. And that's what you did in this  
14 case?

15 A. Yes, sir.

16 Q. I'd like to talk a little bit  
17 about the specifics of this case.

18 In your disclosure, it was  
19 indicated, if I recall correctly, that Krysta  
20 Vitetta was considered by you to be at higher  
21 risk for infection because she was born at 36  
22 weeks' gestation; is that true?

23 A. Yes, sir.

24 Q. Is it true that many of your  
25 professional colleagues consider, instead of

1       the cutoff being 37 weeks, place it at 36  
2       weeks?

3                   MR. BUXTON: Object to form.

4                   BY MR. TIEMEIER:

5       Q.   For an infant at higher risk for  
6       infection because of prematurity?

7       A.   The well-recognized standard is  
8       that a premature child is at higher risk for  
9       infection, and by definition, that involves a  
10      child that is at 37 weeks of gestation.

11      Q.   You would agree with me, though,  
12      that many of your professional colleagues have  
13      published in peer review literature articles  
14      that placed that cutoff at 36 weeks rather  
15      than 37 weeks, true?

16      MR. BUXTON: Object to form.

17      THE WITNESS: I am not aware of a  
18      specific published paper that addresses that  
19      specific time line.

20                   BY MR. TIEMEIER:

21      Q.   And if there were such a published  
22      paper that was published in a peer reviewed  
23      -- respected peer-reviewed journal, would you  
24      agree that that at least indicates that the  
25      cutoff point being 36 or 37 weeks is an area

1       in which respected pediatricians may differ in  
2       their opinion --

3            MR. BUXTON: Object to form,  
4       foundation.

5            BY MR. TIEMEIER:

6       Q. -- without one being wrong?

7       A. You're asking me a theoretical  
8       situation because I am not aware of a paper  
9       that has looked at the difference between 37  
10      or 36 weeks of gestation.

11           The standard definition of  
12       prematurity is that of a child less than 37  
13       weeks of gestation, and we know that  
14       premature babies are at increased risk for  
15       infection.

16       Q. Is the Journal Of Pediatrics  
17       considered a respected peer-reviewed journal?

18       A. It is.

19       Q. Do you know who Sandra Herr is?

20       A. No, I don't.

21       Q. If in October of 2001 an article  
22       was published regarding the -- and the date  
23       that was used there was 36 weeks rather than  
24       37 weeks, would you agree that this is an  
25       issue about which respected pediatricians may

1 differ in their opinions?

2 MR. BUXTON: Object to form and  
3 foundation.

4 THE WITNESS: No, I do not agree  
5 with you.

6 I do not know the context in which  
7 this 36-week time line is being used.

8 I don't know if that was at the  
9 time of their study or if it was a review  
10 article that looked at different publications.

11 I just don't -- I'm hesitant to do  
12 that without seeing the article.

13 BY MR. TIEMEIER:

14 Q. The article was entitled "Enhanced  
15 urinalysis improves identification of febrile  
16 infants ages 60 days and younger at low risk  
17 for serious bacterial illness," and in there,  
18 they define "low risk" as including, among  
19 other things, "full term," and the definition  
20 of "full-term" was "greater than 35-6/7ths  
21 weeks of gestation, which would be 36 weeks  
22 and up.

23 A. Okay. And here in the context,  
24 that's the definition that they use for this  
25 particular study.

1           It doesn't mean that they are  
2       endorsing that being 36 weeks or older is  
3       considered a standard for definition of  
4       prematurity.

5           Q.   Well, it was a standard for being  
6       at low risk for a serious bacterial illness?

7           MR. BUXTON: Objection.

8       Foundation.

9           THE WITNESS: It was their  
10      standard.

11          BY MR. TIEMEIER:

12          Q.   Correct. And that's what I'm  
13       saying.

14          Dr. Herr published this in  
15       Pediatrics, which is a well-respected,  
16       peer-reviewed journal, correct?

17          A.   I don't know if this she published  
18       it there.

19          Q.   Well, it was. I mean, you've not  
20       read it; I have.

21          But in any event, it is a  
22       well-respected journal, correct?

23          A.   Yes. The journal is  
24       well-respected.

25          Q.   And the Journal of Pediatrics

1       wouldn't publish information that they felt  
2       would be misleading or dangerous to the  
3       pediatricians who are reading it, would they?

4            MR. BUXTON: Object to form and  
5       foundation.

6            THE WITNESS: In the sense that  
7       it's written for physicians whose would  
8       understand that she's not giving a definition;  
9       she is defining what her study cohort was.

10          BY MR. TIEMEIER:

11          Q.    Okay.

12          A.    It doesn't mean that they are --  
13       that Pediatrics or the editors of Pediatrics  
14       are endorsing that as an appropriate  
15       definition.

16          That is just what they use in  
17       their criteria in their center for this  
18       specific study.

19          Q.    But the editor certainly thought  
20       the study was of sufficient import to include  
21       it in their publication, true?

22          MR. BUXTON: Object to foundation.

23          THE WITNESS: If it was published,  
24       I suspect that was the case.

25       ///

1 BY MR. TIEMEIER:

2 Q. You've edited, I know, the Red  
3 Book.

4 Have you edited other journals?

5 A. I am a reviewer for a number of  
6 journals including Pediatrics.

7 Q. If you saw something that you  
8 thought was just flat wrong, would you say:  
9 Yeah, I think this is appropriate, or would  
10 you think no, I don't think this is  
11 appropriate, and we shouldn't publish it as  
12 it is because it simply is wrong?

13 A. I certainly wouldn't publish  
14 something that I thought was wrong.

15 Q. In the field of pediatrics or  
16 pediatric infectious disease, there is  
17 sometimes something between respected peers  
18 where you think, for example, 37 weeks should  
19 be the cutoff date, and another of your peers  
20 would think 36 would be, true?

21 MR. BUXTON: Object to form.

22 BY MR. TIEMEIER:

23 Q. I'm just using that as an example.  
24 I'm not saying that that's a fact.

25 Isn't there a frequently

1 disagreement between pediatric infectious  
2 disease specialists?

3 MR. BUXTON: Object to form.  
4 THE WITNESS: Disagreement in terms

5 of what sense? In terms of definitions?

6 BY MR. TIEMEIER:

7 Q. Yes. In terms of definitions like  
8 what would be an infant considered an infant  
9 at low risk or higher risk for infections,  
10 which is what we're talking about in this  
11 case.

12 A. This article does not address what  
13 is considered low risk and high risk.

14 This articles addresses the value  
15 of urinalysis in a group of patients that  
16 they define as low risk by virtue of the  
17 characteristics that you have described.

18 Q. And they called full term as being  
19 greater than 35 and 6, 7 weeks, which you  
20 would say is premature, not full term, true?

21 A. That's correct.

22 Q. But you think that if that's what  
23 they meant by "full term," that they're  
24 wrong; that it's not 36 weeks, true?

25 MR. BUXTON: Object to form.

1               THE WITNESS: Again, they are not  
2       trying to define what "full term" is.

3               They're trying to define what their  
4       requirements to be included in the study  
5       were.

6               The purpose of describing the  
7       cohort that was studied is not to define what  
8       is considered prematurity or not. They're  
9       just trying to describe what their group that  
10      they look at was.

11              BY MR. TIEMEIER:

12              Q.   Do I understand correctly that you  
13       have not read this article?

14              A.   That's correct.

15              Q.   Then how do you know what it is  
16       they're trying to define?

17              MR. BUXTON: Object to form.

18              THE WITNESS: Very simple, because  
19       of the name of the article. It clearly is  
20       not a review article to try to define what  
21       "prematurity" or "low risk" is.

22              BY MR. TIEMEIER:

23              Q.   The issue we're discussing in this  
24       case or one of the issues we're discussing in  
25       this case in which you mentioned in your

1 disclosure is that Krysta, because she is  
2 preterm, in your opinion, less than 37 weeks,  
3 is at a higher risk for infection, bacterial  
4 infection, true?

5 A. That's correct.

6 Q. And if in this article, they  
7 determine that prematurity for purposes of  
8 being a risk factor for bacterial infection  
9 was 36 weeks or less -- or, actually, less  
10 than 36 weeks, that would be different than  
11 your definition, true?

12 MR. BUXTON: Object to form.

13 BY MR. TIEMEIER:

14 Q. And I'm not asking you to agree  
15 because you've not read the article.

16 But if that is what they're  
17 defining it as, that would be a disagreement  
18 between yourself and Dr. Herr, correct?

19 MR. BUXTON: Object to form.

20 THE WITNESS: Without having access  
21 to that article, I cannot answer your  
22 question.

23 BY MR. TIEMEIER:

24 Q. But you can answer a hypothetical  
25 question, and the answer to that would be,

1 yes, it's true, correct --

2 MR. BUXTON: Object to form.

3 BY MR. TIEMEIER:

4 Q. If what I'm saying is correct,

5 that that is how they define "full term" or

6 "premature," rather, for purposes of

7 stratifying risk for infants vis-a-vis

8 bacterial infection. True?

9 MR. BUXTON: Object to form.

10 BY MR. TIEMEIER:

11 Q. I'm not asking you to say that I

12 am correct.

13 I am just saying that, if I am

14 correct, you would agree that you have a

15 different opinion than Dr. Herr, true?

16 A. Again, you're asking me to say

17 that that's her opinion.

18 Q. No, I'm not.

19 I'm saying that if that is her

20 opinion, that would be a different opinion

21 than yours, true?

22 MR. BUXTON: Object to form.

23 BY MR. TIEMEIER:

24 Q. That's all I'm asking.

25 A. Yes, would it be.

1           Q.    Okay. What is it that happens --  
2       for example, did you get out a gestational  
3       age calculator to determine exactly how many  
4       weeks and days Krysta was in terms of her  
5       gestation?

6           A.    No, sir.

7           Q.    I did, and it came out to 36  
8       weeks and 5 days.

9                   So she is two days short of your  
10      definition of "premature," correct?

11       A.    Yes, sir.

12       Q.    Assuming that to be the case for  
13      the following questions, what is it that  
14      would happen in those next two days to Krysta  
15      Vitetta that would make her go from being low  
16      risk -- or, go from being a high risk to a  
17      low risk with respect to age?

18       A.    When the large studies have been  
19      done looking at risk factors for infection in  
20      newborns, there is clearly an increased  
21      incidence in those that are less than 37  
22      weeks of gestation.

23                   Now, whether that is the result of  
24      maternal antibody or function of the white  
25      cells or immaturity of the immune system, we

0037

1 don't know which one is the main factor for  
2 that.

3 But we know that the immune system  
4 of a premature baby is less functional than  
5 one at term.

6 Q. Okay. So it could be a number of  
7 factors that are affecting this change from  
8 premature to mature, correct?

9 A. That's correct.

10 Q. In terms of the immune system?

11 A. Yes, sir.

12 Q. Isn't it true that 37 weeks is a  
13 line that has been selected that some infants  
14 may mature more quickly with respect to their  
15 immune system; some infants may mature more  
16 slowly with respect to their immune system;  
17 but 37 weeks is a guideline that's given to  
18 pediatricians to use?

19 A. Yes, sir.

20 Q. Is there any way to tell in  
21 looking at an individual baby after it's born  
22 whether it has indicia of a compromised  
23 immune system?

24 A. Not by a regular newborn exam.

25 Q. What tests would you want to rely

0038

1       on to determine whether an infant had a  
2       compromised immune system, either tests or  
3       clinical observations, reaction to external  
4       forces, whatever?

5           A.    It would require some very  
6       intensive immune evaluation that would require  
7       blood testing and testing of function of  
8       numerous components of the immune system to  
9       determine if he or she is immunocompetent or  
10      immunocompromised.

11       Q.    Putting the immune system aside and  
12      looking just at developmental milestones for  
13      the gestational infant, a premature infant is  
14      more likely to have glucose abnormalities than  
15      a mature infant, true?

16       A.    Yes, sir.

17       Q.    Did Krysta Vitetta have any glucose  
18      abnormalities?

19       A.    No, sir.

20       Q.    Lung development is also something  
21      that a premature infant will lag behind in  
22      and perhaps have respiratory problems, whereas,  
23      a mature neonate, fully-developed, not  
24      premature would have, true?

25       A.    Yes, sir.

1 Q. And did Krysta have any lung  
2 abnormalities?

3 A. No, sir.

4 Q. Did you see anything in the way  
5 Krysta reacted to the Citrobacter infection  
6 that caused you to think that her immune  
7 system was compromised in terms of its  
8 maturity?

9 MR. BUXTON: Object to form.

10 THE WITNESS: Other than the fact  
11 that we know she was born prematurely, no,  
12 sir.

13 BY MR. TIEMEIER:

14 Q. Is there something that you would  
15 see, either clinically or from a laboratory  
16 basis, that would happen to an infant who had  
17 a compromised immune system with a Citrobacter  
18 infection versus a fully developed immune  
19 system with a Citrobacter infection? Is  
20 there some difference you'd expect to see?

21 A. Not in a clinical setting.

22 Q. How about laboratory? CBAs?

23 A. It's possible that some  
24 abnormalities would be more pronounced in a  
25 preterm infant than in a term infant.

1           Q.    And did you see that in Krysta  
2       Vitetta's reaction to her Citrobacter  
3       infection?

4           A.    No, because I don't have the  
5       opportunity of seeing what her reaction would  
6       have been if she was term.

7           Q.    What would you be looking for to  
8       distinguish between the two?

9           A.    I wouldn't -- I wouldn't do a  
10      specific test to look for that.

11                  You asked me if there was a way.

12                  You know, there is, but I wouldn't be looking  
13      for anything in particular.

14           Q.    But you've seen infants who have  
15      -- who are premature, and you've seen how  
16      they react to a bacterial infection, and  
17      you've seen infants who are fully mature in  
18      terms of their gestational development and how  
19      they react to a bacterial -- the same  
20      bacterial infection, correct?

21           A.    Yes, sir.

22           Q.    In what places would you look, the  
23      white blood cell count, the platelet count,  
24      the inflammatory -- some inflammatory  
25      responses, CIE?

1           Where would you be looking where  
2        you ordinarily would see a difference between  
3        those two groups?

4           A.    You would see a difference in the  
5        blood counts, specifically the white count and  
6        the response of the white count to infection.

7           Q.    What would the difference be  
8        between a mature and an immature in terms of  
9        the white blood count?

10          A.    Well, the white blood count in the  
11        immature child is less likely to rise and is  
12        also more likely to be depleted as the  
13        infection progresses.

14          Q.    In any neonate, though, even if  
15        they're mature -- and when I say neonate, I'm  
16        talking about someone Krysta's age, four or  
17        five years old -- the white blood cell count  
18        is going to go down significantly in response  
19        to an infection, will it not, more often than  
20        not?

21          A.    You mentioned four or five days?

22          Q.    Yes, four or five days.

23          A.    It is not unusual that they will  
24        have a drop in the white count.

25          Q.    It's not only not unusual; it's

1 more probable, more likely than not, true?

2 A. In the setting of sepsis, yes.

3 Q. Speaking of white blood cell

4 counts, Krysta's CBC at 0005 on May 19th,

5 just after midnight, the first one that was

6 drawn, that was normal, was it not?

7 A. That is my recollection, yes, sir.

8 Q. You didn't see anything abnormal

9 about it?

10 A. Allow me for a second.

11 Q. Sure. Go right ahead.

12 Like I said, feel free to review

13 the records if you need to.

14 A. Okay. That is correct.

15 Q. You don't see a left shift there,

16 do you?

17 A. No, sir.

18 Q. I'm going to ask you for a couple

19 of definitions here, three words, bacteremia,

20 septicemia, and sepsis.

21 How do you define bacteremia?

22 A. Bacteremia is the presence of

23 bacteria in the bloodstream.

24 Q. And septicemia is what?

25 A. Septicemia is an old term that

0043

1       indicates that the presence of such bacteria  
2       is also causing symptoms.

3           Q.    So it's bacteremia that's causing  
4       symptoms?

5           A.    Either bacteremia or a viral  
6       infection that is causing symptoms.

7           Q.    And does it mean that the pathogen  
8       is in the bloodstream, septicemia?

9           A.    No. Bacteremia is that the  
10      pathogen is in the bloodstream.

11          Q.    But septicemia may or may not be  
12      in the bloodstream, but it's causing symptoms?

13          A.    Well, the old definition of  
14      septicemia is that it's in the bloodstream.

15          Q.    And is there a new definition for  
16      septicemia, or is that word just not used  
17      anymore?

18          A.    We do not use that really.

19          Instead, we use sepsis or sepsis syndrome.

20          Q.    What does sepsis mean? What is  
21      the definition?

22          A.    Sepsis is an alteration in vital  
23      signs and function as a result of an  
24      infection.

25          Q.    And again, the infection can be in

1       the bloodstream or localized?

2           A.    That's correct.

3           Q.    What is a localized infection?

4           A.    Well, a localized infection is an  
5           infection that is limited to one specific  
6           organ.

7           Q.    Not necessarily in the bloodstream,  
8           though?

9           A.    That's correct.

10          Q.    Is it harder for neonates to  
11          localize an infection than mature infants?

12          A.    In general terms, the more  
13          premature that the child is, the more likely  
14          that the infection will disseminate rather  
15          than stay in a specific organ.

16          Q.    If a physician were to say that a  
17          neonate like Krysta Vitetta, in terms of  
18          gestational age and size, would not be able  
19          to localize an infection, would you agree or  
20          not agree with that person?

21           MR. BUXTON: Object to foundation.

22           THE WITNESS: That would be too  
23          broad of a question to be able to answer.

24           BY MR. TIEMEIER:

25          Q.    Well, you're familiar with Krysta

1       Vitetta, are you not?

2           A. Yes, I am.

3           Q. Okay. Do you think she is able  
4       or not able to localize an infection?

5           A. It defends what infection you're  
6       asking.

7           Q. Citrobacter.

8           A. Citrobacter, by its nature, is an  
9       infection that is rarely localized unless it's  
10      introduced by an external vehicle.

11          Q. Meaning? "External vehicle"  
12       meaning?

13          A. Meaning a needle, a piece of sharp  
14       metal.

15          Q. Okay.

16          A. That's about the only time that we  
17       see this infection as being localized.

18          Q. Did you have an opinion as to how  
19       the Citrobacter was introduced into Krysta  
20       Vitetta's system? And I mean other than  
21       where it's supposed to be.

22          A. Yes.

23          Q. And what is your opinion?

24          A. Very likely, this infection was  
25       acquired in the peripartum period by spread

1 from the maternal vaginal tract to the  
2 child's bloodstream by one of many different  
3 possible entry sites.

4 Q. Like mouth, nose, eyes, ears?

5 A. Umbilical stump.

6 Q. And by peripartum, you mean at the  
7 time of birth?

8 A. Around the time of birth. Either  
9 a few hours before to a few hours after.

10 Q. And do you believe that,  
11 essentially, the infection went directly to  
12 her bloodstream, it didn't localize?

13 A. We have no evidence that her  
14 infection localized.

15 Q. Let me back up just one step.

16 You said it's very likely in your  
17 previous response.

18 Do you think it's probable, and by  
19 "probable," I mean more likely than not, the  
20 legal term that we all use and that you used  
21 before?

22 A. Yes.

23 Q. And when you say, "We have no  
24 evidence that her infection localized," again,  
25 that's an opinion that you hold to a

1 probability, a reasonable degree of medical  
2 probability?

3 A. Yes, sir.

4 Q. Okay. Now, once the Citrobacter  
5 -- first of all, tell me about your  
6 experience in managing neonates who have a  
7 Citrobacter infection.

8 A. I have treated a number of  
9 neonates with Citrobacter infections.

10 Q. Okay. Do you know how many?

11 A. No, sir.

12 Q. Just so you know, most of what  
13 we've been hearing is maybe one or two, some  
14 of them up to perhaps five or half a dozen.

15 Do you think you've seen more than  
16 half a dozen?

17 A. No, sir.

18 Q. Somewhere between maybe one and  
19 five?

20 A. Yes, sir.

21 Q. Do you remember specifically any of  
22 those cases or their outcomes?

23 A. Yes, I do.

24 Q. Okay. Why don't you tell me, just  
25 starting with the first case you remember,

1 and tell me how the infection came about and  
2 the course of the infant and the outcome and  
3 intervention as well.

4 A. Yes. There was a particular case  
5 that I recall was a newborn who developed a  
6 fever while in the newborn nursery, was  
7 probably improperly evaluated and placed on an  
8 antibiotic regimen.

9 Q. And what was the antibiotic  
10 regimen?

11 A. Ampicillin and cefotaxime.

12 Q. Okay.

13 A. He had CSF parameters consistent  
14 with early meningitis and was treated for 21  
15 days with no neurologic sequela.

16 Q. So the sepsis had already been  
17 introduced into the -- excuse me -- the  
18 Citrobacter had already been introduced into  
19 the meninges by the time the antibiotic  
20 regimen was started?

21 A. Yes, sir.

22 Q. Any other cases that you recall?

23 A. Not that I recall the specifics  
24 of, no.

25 Q. Okay. How long ago did this case

1 you've just discussed happen?

2 A. Five years ago.

3 Q. And were you the one who made the  
4 diagnosis?

5 A. No, sir.

6 Q. Were you called in to consult on  
7 the case?

8 A. Yes, sir.

9 Q. At what point in the case were you  
10 called in to consult?

11 A. I was called in to consult when  
12 the CSF parameters indicated the presence of  
13 meningitis.

14 Q. Do you know how long that was  
15 after the neonate's first notice of a fever?

16 A. No, sir.

17 Q. You don't know whether it was  
18 hours or days?

19 A. No, sir.

20 Q. When a neonate has sepsis from a  
21 Citrobacter like this one did, what happens  
22 to it? Does it just get worse unless there's  
23 some intervention, unless the doctors step in  
24 and do something?

25 A. The natural course in a Citrobacter

1       infection is for progression of the disease  
2       without intervention.

3           Q.     Okay. So if someone doesn't step  
4       in to provide something to the neonate like,  
5       in this case, it was Ampicillin and  
6       cefotaxime, then that sepsis is going to get  
7       worse and worse and worse and then perhaps  
8       develop into meningitis?

9           A.     Yes, sir.

10           MR. BUXTON: When you say, "in  
11       this case"?

12           MR. TIEMEIER: The case that he  
13       just described to us.

14           MR. BUXTON: Okay.

15           MR. TIEMEIER: Sorry. I wasn't  
16       clear. I apologize.

17           THE WITNESS: Yes, sir.

18           BY MR. TIEMEIER:

19           Q.     Did you understand that when I  
20       asked the question?

21           A.     Yes, sir, I did.

22           Q.     Okay. Is Ampicillin and gentamicin  
23       also an appropriate administration of  
24       antibiotics for a Citrobacter diversus or  
25       koseri, whichever term you prefer to use for

1       an infection in a neonate?

2           A.    It depends on the location of the  
3           infection.

4           Q.    Tell me what you mean by that.

5           A.    If the infection is in the urinary  
6           tract or the bloodstream, Ampicillin and  
7           gentamicin is a reasonable empiric choice  
8           until you identify the organism.

9           Q.    And then, once the organism is  
10          identified, what should be done?

11           And when I say, "should," I mean  
12          to comply with the standard of care.

13           A.    Well, in the theoretical situation  
14          that we are discussing, it would be to switch  
15          to an antibiotic with better activity against  
16          this organism, particularly a third-generation  
17          cephalosporin, such as cefotaxime.

18           Q.    Is Citrobacter koseri -- is that  
19          the term you used?

20           A.    Yes, sir.

21           Q.    Is Citrobacter koseri more  
22          susceptible to a cefotaxime than to  
23          gentamicin?

24           A.    No, not necessarily.

25           Q.    I must have misunderstood your

1 previous answer, then.

2 I thought you said that it was

3 more susceptible.

4 A. I said more appropriate.

5 Q. More appropriate. In what way is  
6 cefotaxime more appropriate?

7 A. I was referring in comparison to

8 ampicillin.

9 Q. Oh, I see. I'm sorry.

10 In terms of gentamicin and

11 cefotaxime, are they relatively equivalent in  
12 terms of the sensitivity of Citrobacter koseri  
13 to those antibiotics?

14 A. If you have a bacteria that is  
15 susceptible to cefotaxime and gentamicin in a  
16 tissue where they both can reach good levels,  
17 there's no advantage of one versus the other.

18 Q. What is a normal respiratory rate  
19 for a four- or five-day infant?

20 A. Usually a respiratory rate of less  
21 than 40.

22 Q. Is there a rate? Because I  
23 imagine 1 or 2 would probably be abnormal.

24 A. Yes, sir.

25 Q. Okay. What is the range?

1           A. 20 to 40 breaths per minute.

2           Q. Where did you get that number  
3           from?

4           A. It's just my personal knowledge.

5           Q. Have you ever heard of a range  
6           that goes from 20 to 60 as being normal?

7           A. Yes, sir.

8           Q. Okay. So you use 40, but you're  
9           aware that other pediatricians will use up to  
10          60?

11          A. That's correct.

12          Q. There is nothing wrong with that;  
13          that's not below the standard of care, is it?

14          A. It depends on the setting, but if  
15          you're just talking about that in general  
16          terms, that is correct.

17          Q. Yes. Just a four- or five-day-old  
18          neonate.

19          A. And again, it depends on in the  
20          context of what this child's other symptoms  
21          may be.

22           But if we are just talking about  
23          what would be considered a normal range for  
24          vital signs, that is correct.

25          Q. 20 to 60?

1           A.    Yes, sir.

2           Q.    What is a normal range for heart  
3                          rate in a four- or five-day-old neonate?

4           A.    120 to 160.

5           Q.    What are the types of things that  
6                          will affect the respiratory rate in a four-  
7                          or five-day-old neonate?

8           A.    There are numerous reasons why the  
9                          respiratory rate can be abnormal in a child  
10                         that age.

11           Q.    Such as? Let's talk about, first,  
12                          what are the nonpathologic things that could  
13                          affect a respiratory rate?

14                          For example, I've heard that crying  
15                          can affect a respiratory rate.

16           A.    Certainly, when a child is crying,  
17                          when a child has an elevated temperature.

18           Q.    Okay. I said nonpathologic.

19           A.    Well, elevated temperature can be a  
20                          nonpathologic situation. It can be related  
21                          to the warmer that the child is in, for  
22                          example.

23           Q.    Okay.

24           A.    I guess that would be one, crying.

25           Q.    I've heard that having a bowel

1 movement can change the respiratory rate.

2 Is that consistent with your

3 experience?

4 A. That's possible.

5 Q. Can all of those things that

6 you've just mentioned all affect the heart

7 rate?

8 A. Yes, it can.

9 Q. Is a transient change into the

10 heart rate, and by transient, I mean on just

11 one occasion, is that considered to be

12 something that has to be investigated?

13 MR. BUXTON: Object to form.

14 BY MR. TIEMEIER:

15 Q. And if you need an example, I can

16 give you one.

17 A. It depends on the setting that

18 you're describing such a transient change.

19 Q. Well, let's say that the infant

20 has had respirations of 40 or 43 or 42

21 regularly, and then, there is one episode

22 where it's up over 60 but the child doesn't

23 have any other symptoms, is not febrile, does

24 not have an abnormal heart rate, is not

25 desaturated.

1           Would you think that isolated  
2       change -- and then, it goes back down to 40.  
3       Would you think that isolated change needs to  
4       be investigated?

5           A.   In the theoretical situation that  
6       you have just described, without any  
7       knowledge, no.

8           Q.   Same question with a transiently  
9       elevated heart rate, one that's just slightly  
10      above normal, say 162 instead of 159.

11          A.   In a normal term infant, no.

12          Q.   You mentioned a while ago that an  
13      infant can develop a fever, an elevated  
14      temperature.

15          First of all, what is your  
16      definition of "fever"? And I'd prefer if  
17      you'd use Fahrenheit. It's just easier for me  
18      to relate to.

19          A.   Yes. For the purposes of  
20      evaluating neonates for possible infection, we  
21      use a temperature of 100.4, although, some  
22      newborn units have other definitions as to  
23      what is the case, as in the particular case.

24          Q.   But at Baylor, you use 100.4,  
25      don't you?

1 A. Yes, sir.

2 Q. Now I forgot where I was going.

3 Sorry. Give me just a second.

4 You said that environmental factors  
5 that are nonpathologic can cause an infant to  
6 have a fever, true?

7 A. To have -- there are environmental  
8 factors that can cause elevation of  
9 temperature.

10 Q. One of those things would be if an  
11 infant is overbundled, correct?

12 A. Yes, sir.

13 Q. And the appropriate intervention if  
14 the physician believes that the temperature is  
15 due to -- or, the fever is due to  
16 overbundling is to unbundle the infant and  
17 see if the temperature comes down, correct?

18 A. The appropriate intervention is to  
19 do an exam on this child to make sure there  
20 are not other factors, and if it's determined  
21 that the most likely reason is the  
22 overbundling, it would be appropriate to do  
23 so.

24 Q. Okay. And if the temperature does  
25 come down, then it's reasonable to assume

1       that the fever was caused by environmental  
2       factors as opposed to -- and there's no other  
3       intervention, no antipyretics, it's reasonable  
4       for the physician to assume that the  
5       temperature was due to environmental factors  
6       in the circumstance that we've just described?

7           A.    In the theoretical circumstance  
8       that we've just described, that's correct.

9           Q.     Now, in this case, it's your  
10      opinion, is it not, that that was not a  
11      reasonable assumption for Dr. Corrigan to  
12      make?

13           A.    That is correct.

14           Q.     Okay. And why is it, in your  
15      opinion, that it was not a reasonable  
16      assumption for him to make that this fever  
17      was due to environmental factors?

18           A.    Because in this case, there were  
19      other abnormalities that needed to be  
20      considered as potential sources for the fever.

21           Q.     And what are those other abnormal  
22      amounts?

23           A.    The fact that this was a premature  
24      baby.

25           Q.     Anything else?

1           A.   The fact that she was small for  
2   gestational age.

3           Q.   Anything else?  
4           A.   The fact that she had a distended  
5   abdomen and abnormal heart rate.

6           Q.   Anything else?  
7           A.   No, sir.  
8           Q.   Okay.

9           A.   I apologize. There was  
10   irritability.

11          Q.   Irritability?  
12          A.   Yes, sir.

13          Q.   If neonate Nurse Practitioner  
14   Dubrick described the infant as, I think it  
15   was irritable and due to feed, do sometimes  
16   neonates act irritable if they're hungry?

17           MR. BUXTON: Object to form.

18           THE WITNESS: Yes, they can.

19           BY MR. TIEMEIER:

20          Q.   And if the infant feeds and is no  
21   longer acting irritable, would that suggest  
22   that it was irritable because it was hungry?

23          A.   It's a possibility.  
24          Q.   It's a probability, isn't it?

25           MR. BUXTON: Object to form.

1           THE WITNESS: I don't know that I  
2        could quantify to that extent.

3           BY MR. TIEMEIER:

4           Q.    Let me ask it a different way.

5           Would it be reasonable to assume  
6        that if an infant is acting fussy because  
7        it's due to feed, you give it food, it's not  
8        fussy anymore, that the reason it was being  
9        fussy is because it was hungry?

10          A.    In such a theoretical situation,  
11        yes.

12          Q.    And with respect to the distended  
13        abdomen, would you agree that there was  
14        nothing on the x-ray, the KUB that was done  
15        on Krysta Vitetta on the 19th of May, or  
16        anytime thereafter, that indicated that she  
17        had anything other than a normal gas in her  
18        bowels?

19          A.    I would agree with you that the  
20        x-ray indicated a normal gas pattern.

21          Q.    And no radiologist ever looked at  
22        any of her KUB films and noted an air fluid  
23        level that would suggest ileus, true?

24          A.    That's correct.

25          Q.    And Krysta was feeding and, through

1       the morning hours of May 19, having bowel  
2       movements and peeing appropriately, true?

3           A.   Yes, sir.

4           Q.   And that would also suggest that  
5       she does not have ileus at that time, true?

6           A.   That's true.

7           Q.   And did you see anything from the  
8       testing that was done in the early morning  
9       hours of May 18th -- excuse me -- right  
10      around midnight of May 18-May 19, and then,  
11      at the time Dr. Corrigan examined her the  
12      morning of May 19 that indicated that the  
13      distended abdomen was anything other than just  
14      the baby has gas in her colon just like  
15      babies sometimes get gas in their colon?

16           A.   No. I disagree with your  
17      statement.

18           Q.   Okay. What is it that you saw?  
19           A.   I saw that the patient had a  
20      fever, had an elevated heart rate, had  
21      irritability, which in the context of  
22       prematurity should raise the possibility that  
23      the distended abdomen is indicative of an  
24      infectious process.

25           Q.   How does an infection cause a

0062

1       distended abdomen?  
2           A.   Probably by release of cytokines  
3       and/or inflammatory mediators.  
4           Q.   And how do the inflammatory  
5       mediators cause the abdomen to distend?  
6           A.   The inflammatory mediators lead to  
7       alterations in blood perfusion to the GI  
8       tract, which is frequently reflected as  
9       distended abdomen.

10          Q.   That changes in perfusion causes  
11       ileus, and that's what causes a distended  
12       abdomen, true?

13          A.   Ileus is just one of the most  
14       severe manifestations of an infection.

15          Q.   If it's not ileus, how is change  
16       in perfusion of the gut going to make the  
17       abdomen distend?

18           I mean, mechanically, how does it  
19       happen?

20          A.   Mechanically, it happens because  
21       the gut is getting less oxygen than what it's  
22       supposed to be getting.

23          Q.   That just means it has less  
24       oxygen.

25           How mechanically does it cause it

1       to become larger in circumference?  
2           A.   That causes decrease peristalsis or  
3       movement of the gut, which leads to  
4       distention of the walls of the bowel and may  
5       be reflected as an increase in the size of  
6       the abdomen.

7       Q.   And that, again, is what you're  
8       describing as ileus, and I thought we agreed  
9       that she didn't have ileus, true?

10           MR. BUXTON: Object to form.

11           THE WITNESS: No. What I'm  
12       describing is just the changes that occur.

13           Ileus is the ultimate, is the  
14       extreme, is the situation where the paralysis  
15       of the gut is so extreme that it's now  
16       causing an air fluid level in complete  
17       dysfunction of the gut.

18           BY MR. TIEMEIER:

19       Q.   Looking at the intake and output  
20       levels, what evidence do you have that Krysta  
21       had a decreased bowel motility?

22       A.   That is not where I would look for  
23       such an indication.

24       Q.   Where would you look?

25       A.   I would look at my physical exam

1 and determine if she does, indeed, have  
2 abdominal distention or not.

3 Q. And if the physical exam showed  
4 that she had a soft abdomen, that would be a  
5 benign finding, true?

6 A. Yes, sir.

7 Q. And if the distention was going  
8 down, that would indicate that she probably  
9 doesn't have decreased motility, that she just  
10 had transient gas, true?

11 A. It may indicate so.

12 Q. Well, it probably would indicate  
13 that, true?

14 MR. BUXTON: Object to form.

15 THE WITNESS: No.

16 BY MR. TIEMEIER:

17 Q. Okay.

18 A. We do know that in patients who  
19 have a systemic infection, whether it's the  
20 flu or a more severe infection, like  
21 Citrobacter, that there is abnormality in the  
22 perfusion and function of the gut by --  
23 mediated by the presence of cytokines.

24 Q. And as that sepsis progresses, then  
25 there will be an increased release of those

1 mediators and the inflammation and peristalsis

2 -- if inflammation will increase, and the

3 peristalsis will decrease, true?

4 A. It may or it may not.

5 Q. Well, it probably will?

6 A. No, sir.

7 MR. BUXTON: Object to form.

8 THE WITNESS: No, sir. In

9 fact --

10 BY MR. TIEMEIER:

11 Q. Well, if it probably won't, then  
12 what you're saying is that the peristalsis  
13 will probably decrease as the baby becomes  
14 more and more infected? Because it's got to  
15 be one or the other.

16 A. No, it doesn't.

17 MR. BUXTON: Object to form.

18 BY MR. TIEMEIER:

19 Q. Well, if it's not increasing, then  
20 it's decreasing, right? And you just said it  
21 probably will not increase.

22 So are you saying it probably will  
23 decrease?

24 A. No.

25 MR. BUXTON: Object to form.

1           THE WITNESS: It could stay the  
2        same. It doesn't have to move one way or the  
3        other. It could stay the same.

4           BY MR. TIEMEIER:

5           Q.    Why is it staying the same?  
6           A.    Well, because there's only so much  
7        inflammation that has occurred.

8           I mean, not every patient that has  
9        Citrobacter infection will get ileus, just  
10      like not every patient who has Citrobacter  
11      infection will have a progressive abdominal  
12      distention. I mean, there are patients who  
13      may just have a degree, and it stops at that  
14      point.

15          Q.    Even though the sepsis is  
16      worsening?

17          A.    Even though the sepsis is worsening  
18      because the sepsis is not directly affecting  
19      the inflammation of the gut.

20          Q.    The KUBs never were read as  
21      showing any inflammation of the bowel, were  
22      they?

23          A.    The KUBs are only helpful in some  
24      types of inflammation of the bowel;  
25      specifically, ileus and necrotizine and/or

1        colitis.

2            Q.    So unless it's a complete ileus,  
3        the KUB is not going to show any evidence of  
4        inflammation of the bowel wall?

5            A.    That's correct.

6                    MR. BUXTON: Can we take a break?

7                    MR. TIEMEIER: Yes, sure.

8                    (There was a recess taken.)

9                    MR. TIEMEIER: Back on the record,  
10        please.

11                  BY MR. TIEMEIER:

12                  Q.    You mentioned that Krysta Vitetta  
13        had an abnormal heart rate.

14                  When did she have an abnormal  
15        heart rate?

16                  A.    She had an abnormal heart rate at  
17        2145 on May 15th.

18                  Q.    2145 on May 15?

19                  A.    That's correct.

20                  Q.    Okay. That was three days before  
21        Dr. Corrigan was called, correct?

22                  A.    I'm sorry. That's the first  
23        record of an abnormal heart rate.

24                  Q.    Okay.

25                  A.    There is one at 8:00 a.m. on 5-17.

1 Q. And at that time, did she have any  
2 sign or symptom of infection?

3 A. No, she did not.

4 Q. And how about the 5-15 entry, does  
5 she have any sign or symptom of an infection  
6 at that time?

7 A. No, she did not.

8 Q. Okay. What is the next one?

9 MR. BUXTON: What was the entry on  
10 5-15? I'm sorry.

11 THE WITNESS: On 5-15, it was 162.

12 MR. BUXTON: Thank you.

13 MR. JAUDON: And 5-17?

14 THE WITNESS: 164.

15 MR. TIEMEIER: 164.

16 THE WITNESS: Then there is the  
17 record of an abnormal heart rate at 2340 on  
18 the 18th.

19 BY MR. TIEMEIER:

20 Q. Okay.

21 A. At which time, she had evidence of  
22 infection.

23 Q. And that evidence of infection  
24 would be the temperature of 101.1?

25 A. Temperature of 101.1, the abdominal

1       distention, and the irritability.

2           Q.    How does infection cause an  
3       abnormal heart rate?

4           A.    It can be by a number of  
5       mechanisms.

6                  Just simply by causing an elevation  
7       in temperature, that can lead to increased  
8       heart rate.

9                  In addition, an infection places an  
10      increased demand on the heart so that the  
11      heart has to pump faster to deliver oxygen to  
12      infected tissues.

13           Q.    Did the capillaries dilate, or what  
14      happens that causes the increased demand?

15           A.    That could be one of the  
16      mechanisms by which there is an increase in  
17      demand, but in general terms, it's just an  
18      increased need for perfusion to tissue.

19           Q.    But that has to be manifest  
20      mechanically somehow for the heart to sense  
21      that there's a need to increase the pressure,  
22      true?

23           A.    That's correct.

24           Q.    So is that what happened is the  
25      capillaries dilate in the area of the

0070

1 infection?

2 A. Not necessarily in the area of the

3 infection.

4 The capillaries can either dilate  
5 or constrict, depending on the shift that the  
6 body does to improve perfusion to one organ  
7 at the expense of decreasing perfusions with  
8 others.

9 Q. And how does the body react to a  
10 Citrobacter infection sepsis in the  
11 bloodstream? In terms of the demand on the  
12 heart? How does that change the demand on  
13 the heart? What happens to the body?

14 A. When you have a bacteremia with an  
15 organism like Citrobacter, you have  
16 vasodilation that is sensed as a decrease in  
17 volume within blood vessels, which, in turn,  
18 sends a signal to the heart to increase the  
19 output.

20 Q. A fever alone can cause that,  
21 can't it?

22 A. A fever alone can cause increased  
23 heart rate, yes.

24 Q. Even if that fever is the result  
25 of an environmental cause, that will cause

1       the capillaries at the skin to dilate, to  
2       throw off excess heat, and that will send a  
3       trigger to the heart to start beating faster,  
4       right?

5           A. Yes, sir.

6           Q. You mentioned that Krysta was small  
7       for gestational age, true?

8           A. Yes, sir.

9           Q. What would be normal for  
10      gestational age for her?

11           Well, first of all, what was her  
12      weight?

13           A. All right. Her weight was 1982  
14      grams.

15           Q. And that would be normal? 2,000  
16      or above?

17           A. We have graphs that give you what  
18      would be considered normal -- or, normal  
19      range, depending on the weeks of gestation  
20      the child is.

21           Q. And Krysta has such a graph,  
22      doesn't she?

23           A. Yes, she does.

24           Q. And where does she fall in terms  
25      of her weight on that graph?

1 A. That graph?

2 Q. I've got it here if you want to  
3 look at it. This is right here.

4 A. Krysta falls on the 10th  
5 percentile.

6 Q. So she's right at the point where  
7 normal becomes small, true?

8 A. That's correct.

9 Q. So she is borderline?

10 A. Yes, sir.

11 Q. She would actually have to be  
12 below that line to be small for gestational  
13 age, right?

14 A. Yes, sir.

15 Q. And in the graph, they actually  
16 have a little dot above that line, don't  
17 they?

18 A. In that graph that you just showed  
19 me, they do.

20 Q. Okay. So in that respect, the  
21 folks that are taking care of Krysta are  
22 being extra cautious by saying she's small  
23 for gestational age even though she's actually  
24 a little bit above that tenth percentile  
25 line, correct?

1 A. Yes, sir.

2 MR. BUXTON: Object to foundation.

3 THE WITNESS: Yes, sir.

4 BY MR. TIEMEIER:

5 Q. How does being small for  
6 gestational age affect the ability of Krysta  
7 Vitetta to respond to an infection?

8 A. In general terms, the fact that a  
9 child is small for gestational age may  
10 indicate that the immune system, as well as  
11 other organs, may not be fully developed.

12 Q. Now, again, going back to what we  
13 went through before on the prematurity, did  
14 you see anything in Krysta's, either her  
15 laboratory tests or any of the clinical  
16 observations of the nurses or the physical  
17 examinations by the physicians, that indicated  
18 to you that she had any of the adverse  
19 sequela of being small for gestational age?

20 A. No, I did not.

21 Q. Did you see anything in Dr.  
22 Corrigan's 9:00 a.m. note on May 19 that  
23 indicated that Krysta Vitetta was abnormal?  
24 Just taking that alone.

25 It's actually not a timed note.

1       It's either 8:00 or 9:00, we're not quite  
2       sure which.

3            MR. BUXTON: I've got an objection  
4       to form.

5            THE WITNESS: Yes, there is an  
6       indication in this note that there were  
7       abnormalities.

8            MR. TIEMEIER: Okay. Let me see  
9       if I asked the question the way I wanted to.

10          BY MR. TIEMEIER:

11          Q.    Okay. What do you see?

12          A.    The patient had had a temperature  
13       elevation to 101, and she had distention of  
14       the colon with air.

15           Those would be the notations that  
16       he has that are indicating that he had  
17       noticed an abnormality.

18          Q.    And the rest of the physical  
19       examination, in terms of his examination of  
20       her abdomen, of her organ systems, of her  
21       heart, all that was normal, true?

22          A.    There is no notes describing the  
23       heart exam.

24          Q.    RRR means regular rate and rhythm,  
25       true?

1           A. Yes, but I --

2           Q. If she was tachypneic, that would

3       be where he would be entering that, true?

4           A. If she was tachypneic, he would

5       have entered on the lung exam.

6           Q. I'm sorry. Tachycardic.

7           A. Assuming that what it says here is

8       "RRR," that's where he would have indicated

9       such a finding.

10          Q. And regular rate and rhythm means

11       just that, that her heart rate is regular;

12       it's not abnormal, true?

13          A. That's correct.

14          Q. And the rhythm is also normal,

15       true?

16          A. That's correct.

17          Q. And the temperature of 101.1, he

18       indicates on there that the temperature came

19       down with unbundling with pyreptics, true?

20          A. Yes, sir.

21          Q. Again, I'd like you to divorce

22       yourself of your knowledge of the outcome in

23       this case.

24           That would ordinarily suggest to

25       you that the cause of that was environmental,

1 true?

2 MR. BUXTON: Object to form.

3 THE WITNESS: No, that would not  
4 necessarily indicate that.

5 BY MR. TIEMEIER:

6 Q. Why not?

7 A. Because we know that there are a  
8 number of reasons why the temperature can  
9 fluctuate that are not environmental in cause.

10 Q. Did that indicate that the  
11 temperature fluctuated or that the temperature  
12 came down to a normal temperature?

13 MR. BUXTON: Object to form.

14 THE WITNESS: This note indicates  
15 that the temperature had gone down to 99.9.

16 BY MR. TIEMEIER:

17 Q. Okay. That was the first reading.  
18 Do you remember what the second reading was?

19 A. I can get it right away.

20 Q. Okay.

21 A. 99.4.

22 Q. And then, what was the temperature  
23 when Dr. Corrigan examined Krysta?

24 A. He did not record the temperature  
25 at that time.

1 Q. The nurses did, though. Can you  
2 look in the nursing notes and see?

3 A. I can see --

4 Q. It's not on that page. It's on  
5 that page.

6 Do you see the note down there, it  
7 says, "Dr. Corrigan in"?

8 A. There is no temperature recorded at  
9 the time that Dr. Corrigan was there.

10 Q. What is the temperature immediately  
11 before he got there? I think it's 8:45, and  
12 the nurse indicates he was there at 9:00.

13 MR. BUXTON: Object to form.

14 THE WITNESS: It was 98.8.

15 BY MR. TIEMEIER:

16 Q. You'd say that's a normal  
17 temperature, wouldn't you?

18 A. Yes, sir.

19 Q. So the temperature has come down  
20 to normal with no intervention whatsoever by  
21 the physician, in terms of antipyretics or  
22 antibiotics, true?

23 A. Yes, sir.

24 Q. And the only intervention was  
25 unbundling, true?

1 MR. BUXTON: Object to form.

2 THE WITNESS: That's true.

3 BY MR. TIEMEIER:

4 Q. That ordinarily would suggest to

5 you that the cause of the fever was

6 environmental, over unbundling, true?

7 A. No, sir.

8 Q. Why not?

9 A. Because, as I have mentioned, there

10 are numerous reasons why the temperature can

11 go back to normal that may not be related to

12 a change in the environment.

13 Q. Are you saying that if Krysta

14 Vitetta has a Citrobacter bacteremia that is

15 causing an inflammatory response that -- and

16 that bacteremia is worsening over time that

17 it's normal for her temperature to come down

18 to a normal temperature in that circumstance?

19 A. I am saying that that's exactly

20 what happened in this case.

21 Q. Okay, but you wouldn't expect that

22 to happen, would you? You would expect that

23 if Krysta has a Citrobacter infection and

24 that that bacteremia infection is worsening

25 over the course of eight or nine hours that

1       her temperature would either stay high as a  
2       fever or fluctuate at an above-normal range,  
3       true?

4           A.    No, sir.

5           Q.    Why not?

6           A.    Because fever is just one of the  
7       responses to infection, and it's not a direct  
8       correlation indicator.

9                 In other words, an infection, just  
10      because the temperature continues to go up  
11      doesn't indicate that the infection is getting  
12      worse.

13                 By the same token, if the  
14      temperature comes down, that doesn't  
15      necessarily mean that the infection is  
16      improving.

17           Q.    Okay. Well --

18           A.    This is -- it's a vital sign that  
19      is just one of the indicators of  
20      inflammation. But there could be a number of  
21      reasons why that change occurred.

22                 It's not only reflected at that  
23      point, but there's other times where you see  
24      that her temperature fluctuated from abnormal  
25      to normal.

1       Q.    True, but that's after Dr. Corrigan  
2       examined her, and right now, we're talking  
3       about the 9:00 examination, not 1:30 in the  
4       afternoon. Okay?

5       A.    That is correct. But I'm --  
6       again, using that as an example to illustrate  
7       that this is not unusual to see changes in  
8       temperature from abnormal to normal.

9       Q.    But that afternoon, it never came  
10      down to 98.8, did it?

11      A.    It came down to 99.3, which is  
12      still within normal range.

13      Q.    It didn't come down to 98.8, did  
14      it?

15      A.    That's correct.

16      Q.    Now, the reason that an infant  
17      gets a fever is because the bacteria in the  
18      bloodstream is causing a release, is causing  
19      cytokines to release what?

20      A.    The bacteria is causing an  
21      inflammatory reaction that is mediated by  
22      cytokines, and cytokines are what is  
23      responsible for the fever.

24      Q.    So it's not the inflammation that  
25      causes the fever; it's the cytokines are

1 reacting to the inflammation, and they are  
2 releasing factors in the blood that cause the  
3 fever; is that right?

4 A. That is correct. And cytokines  
5 are part of inflammation.

6 Q. So the bacteria is growing in  
7 Krysta's bloodstream as time goes on, true?  
8 It's multiplying?

9 A. Yes, sir.

10 Q. And because there's no  
11 intervention, it's multiplying at what you  
12 call a logarithmic rate?

13 A. That's a reasonable assumption.

14 Q. In other words, it's not just  
15 doubling; it's like quadrupling and then times  
16 16 and then times hundreds because each  
17 bacteria is multiplying, right?

18 Isn't that how bacteria multiplies?

19 A. Yes, but that's not what is  
20 happening in real life because she does have  
21 an immune system that is keeping things under  
22 check.

23 The infection is not going  
24 completely unchecked. There are certain  
25 processes that are occurring that are keeping

1 it from being in that logarithmic phase that  
2 you're describing.

3 Q. Did you say earlier that Krysta  
4 had a compromised immune system or did not  
5 have a compromised immune system?

6 A. I said I cannot tell you if she  
7 did or not.

8 Q. In your opinion, she was premature,  
9 right?

10 A. That's correct.

11 Q. And one of the things you said  
12 about premature infants is that they are  
13 likely, and certainly as an infectious disease  
14 specialist, you do have to consider that they  
15 have a compromised immune system, correct?

16 A. By definition, a premature infant  
17 has a compromised immune system.

18 Q. And same with small for gestational  
19 age, true?

20 A. No, not necessarily.

21 Q. Okay. So a baby could be small  
22 for gestational age, and that doesn't  
23 necessarily mean that they're at a higher  
24 risk for infection?

25 A. That's correct.

1           Q.    So Krysta is premature, in your  
2       opinion, and she's got Citrobacter diversus.  
3       Citrobacter is a very aggressive bacteria, is  
4       it not?

5           A.    Yes, sir.

6           Q.    It tends to overwhelm a neonate's  
7       antibody response?

8           A.    In general terms, it does.

9           Q.    And it's more likely to go to the  
10      meninges?

11          A.    It's a type of infection that has  
12      a predilection for the meninges.

13          Q.    How many Citrobacter bacteria does  
14      it take to cause meningitis? How many have  
15      to cross from the bloodstream, have to cross  
16      the blood brain barrier and get into the  
17      meninges to cause a meningitis?

18          A.    I don't know that anybody could  
19      define that. It depends how immunocompetent  
20      the patient is.

21          Q.    How about a single bacteria, is  
22      that a reasonable definition of meningitis, if  
23      one bacteria causes the blood brain barrier  
24      and gets in the meninges?

25          A.    That would be very unlikely.

1           Q. You don't think that's a reasonable  
2       definition of Citrobacter meningitis, when one  
3       bacteria crosses the blood brain barrier?

4           A. I don't know that that's the  
5       definition of meningitis. I would not say  
6       that that's the definition of meningitis.

7           Q. When do you believe that Krysta  
8       Vitetta first had bacteremia? At what point  
9       in time?

10          A. She had bacteremia on the evening  
11       of May 18.

12          Q. At what time, if you can tell me?  
13          A. Well, we know for sure that -- my  
14       opinion, she had bacteremia around the time  
15       that she developed a fever on the late hours  
16       of May 18th.

17          Q. And was a blood culture drawn  
18       shortly after that?

19          A. Yes, it was.

20          Q. And that blood culture showed no  
21       growth after three days?

22          A. That's correct.

23          Q. Would you agree that although blood  
24       cultures are not 100 percent effective in  
25       showing the existence of bacteria in the

1       bloodstream, that more often than not, they  
2       do?

3            MR. BUXTON: Object to form.

4            THE WITNESS: If the bacteria is  
5       present in the bloodstream, the blood culture  
6       is a reliable indicator in most cases.

7            BY MR. TIEMEIER:

8       Q.     70 to 80 percent?

9       A.     Yes, sir.

10      Q.     And why do you think, then, that  
11     Krysta probably had bacteremia if her blood  
12     culture was negative?

13      A.     Because she had clinical evidence  
14     of infection at that time, which continued to  
15     progress as the hours and days went by and  
16     which indicates to me that she was already  
17     infected at the time.

18      Q.     And the clinical evidence that you  
19     were just referring to would be the  
20     abnormalities we discussed earlier, those being  
21     premature, small for gestational age, distended  
22     abdomen, abnormal heart rate, and irritability,  
23     correct?

24      A.     Yes, that's correct.

25      Q.     And I didn't mention fever, but

1       that would be included in there too, right?

2       A.     Yes, sir.

3       Q.     Okay. When do you think -- well,

4       let me just ask you this.

5           If a pediatrician thought that,

6           based on the results of the blood culture

7           that Krysta did not have an infection when

8           you think she did late in the evening of the

9           18th, would you say that that pediatrician

10          would be wrong, or is this just an area

11          where reasonable experts can differ?

12          MR. BUXTON: Object to form.

13          THE WITNESS: Well, you must be

14          asking me a theoretical situation because, at

15          the time, they did not have the results of

16          the blood cultures.

17          BY MR. TIEMEIER:

18          Q.     True. So getting back to my

19          question.

20          A.     So getting back to the question,

21          if a pediatrician uses a blood culture to

22          determine if a patient is infected or not,

23          that would be an appropriate assumption.

24          Q.     Inappropriate or an appropriate?

25          A.     An appropriate.

1       Q.   When do you -- in your opinion, to  
2       a reasonable degree of medical probability,  
3       when did Krysta develop meningitis, as you  
4       define it?

5       A.   Krysta developed meningitis  
6       somewhere during the May 19 time period.

7       Q.   That's 24 hours. Can you be any  
8       more specific than that?

9       A.   No. I don't think anybody could  
10      pinpoint the moment that meningitis developed.

11           This is a process that develops  
12      over time, and because we don't have the  
13      privilege of having access to a lumbar  
14      puncture during that time period, nobody can  
15      specify the time when this developed.

16           Q.   Okay. And based on what I've been  
17      reading on this -- and obviously, I'm not an  
18      expert like you -- but it seems to me that  
19      meningitis can develop pretty much anytime in  
20      an infant who has bacteremia, true, because  
21      these bugs are circulating through the system  
22      and going in and out of the area of the  
23      brain?

24           A.   That is correct.

25           Q.   So this could have happened pretty

1       much -- the meningitis could have begun  
2       anytime after Krysta developed her bacteremia  
3       until up to the time late that evening that  
4       she had more obvious signs of meningitis?

5           A. Yes, sir.

6           Q. And you can't narrow down any more  
7       than that time period from, say, 1:00 in the  
8       morning until 9:00 the evening of the 19th?

9               And I'm not saying you should.

10          I'm just trying to figure out what you're  
11       saying.

12           A. No, no. You are correct. I  
13       mean, there is -- there is nothing on the  
14       records to suggest that she had meningitis in  
15       the morning of May 18th.

16           Q. Okay.

17           A. There were no clinical signs to  
18       suggest the presence of meningitis.

19           Q. You mean the 19th or the 18th?

20           A. The 19th.

21           Q. 19th, okay.

22           A. As the day -- as the evening went  
23       by, she started developing symptoms that  
24       indicated the presence of meningitis.

25           Q. How about 8:00 or 9:00 in the

1 morning, we're not sure which, when Dr.  
2 Corrigan is examining Krysta, do you think  
3 she had meningitis then?

4 A. I don't see anything to suggest  
5 that she had meningitis.

6 Q. Does that mean that you think  
7 probably, she didn't or probably, she did?

8 A. Probably, she did not.

9 Q. And how do you define meningitis,  
10 just so we're clear, because we talked about  
11 that a little earlier?

12 A. Sure. Meningitis is the presence  
13 of inflammation in the meninges or covering  
14 of the brain.

15 Q. Caused by either bacteria or a  
16 virus?

17 A. Most commonly caused by bacteria or  
18 a virus.

19 Q. What is fulminant meningitis?  
20 A. Fulminant meningitis is a rapidly  
21 progressing form of meningitis, one that  
22 develops very quickly.

23 Q. Did Krysta's meningitis develop  
24 very quickly?

25 MR. BUXTON: Object to foundation.

1           THE WITNESS: In terms of her  
2       symptoms, it did progress very quickly.

3           BY MR. TIEMEIER:

4           Q.   So she would probably fall into  
5       that category of fulminant?

6           MR. BUXTON: Object to form.

7           THE WITNESS: Yes, I would say so.

8           BY MR. TIEMEIER:

9           Q.   And certainly with a disease like  
10      Citrobacter, as aggressive as it is, that  
11      wouldn't surprise you, would it?

12          A.   That is correct.

13          Q.   What are some of the risks of  
14      prescribing antibiotics to neonates who do not  
15      have an infection?

16          I take it from reading some of the  
17      titles of your presentations that that's  
18      something you talk about from time to time?

19          A.   That's correct.

20          Q.   Okay. Can you tell me what some  
21      of those risks are?

22          A.   The risks are very few.

23          They can include allergic reaction,  
24      which are very unusual in this age group.

25          Q.   Anything else?

1           A. They can include the development of  
2        bacteria resistance.

3           MR. JAUDON: I'm sorry. I didn't  
4        hear that answer.

5           THE WITNESS: The development of  
6        bacteria resistance.

7           BY MR. TIEMEIER:

8           Q. Anything else?

9           A. That's the inherited risk of  
10      putting an IV, which may include some  
11      discomfort or the possibility of introducing  
12      an infection by that route.

13          Q. Anything else?

14          A. No, sir.

15          Q. How about prolonged hospitalization?

16          A. I don't consider that a risk of  
17      administering antibiotics. It may be a  
18      consequence.

19          Q. Do sometimes people -- what is the  
20      name of -- is it nosocomial infections,  
21      hospital-acquired infections? Am I using that  
22      term correctly?

23          A. That's correct.

24          Q. So that's something that an infant  
25      would get if they're in the hospital and they

1       wouldn't get if they're out of the hospital,  
2       true?

3           A.    That's correct.

4           Q.    And I don't know if this is  
5       something that is really a risk or  
6       consequence, but in terms of the bonding  
7       between the mother and the child, is that  
8       inhibited at all by the infant being in the  
9       hospital?

10          A.    We could argue that, indeed, the  
11       administration of antibiotics may have somewhat  
12       of an effect on the bonding that is occurring  
13       at that time.

14          Q.    Okay.

15          A.    But when we put in the context of  
16       this minimal risk and all the potential  
17       benefits, we can understand why --

18          Q.    I'm sorry.

19          A.    -- infectious disease specialists,  
20       pediatricians, and neonatologists recommend the  
21       administration of empiric antibiotics until an  
22       infection is ruled out under circumstances  
23       such as the ones that Krysta encountered.

24          Q.    Do you know who Leonard G. Feld  
25       is?

1 A. Yes, sir, I do.

2 Q. And who is Leonard Feld, Dr. Feld?

3 A. Dr. Feld is a physician that has  
4 written articles on the management of fever  
5 in the newborn.

6 Q. Is he considered an expert in that  
7 area?

8 MR. BUXTON: Object to foundation.

9 THE WITNESS: I do not know.

10 BY MR. TIEMEIER:

11 Q. Do you consider him an expert in  
12 the area?

13 A. I do not know enough of him to  
14 say if he is or not.

15 Q. How about Dr. Jeffrey Hymans,  
16 H-y-m-a-n-s?

17 A. I do not know him.

18 Q. How about Alan Meltzer?

19 A. I do not know him.

20 Q. Keith Powell?

21 A. Yes, I do know him.

22 Q. And who is Keith Powell, Dr.  
23 Powell?

24 A. Keith Powell is an infectious  
25 disease pediatric physician in Memphis,

1       Tennessee.

2           Q.    He is a pretty well-known pediatric  
3           infectious disease specialist?

4           A.    He's somebody that is well-known.

5           Q.    Respected?

6           A.    Yes.

7               MR. BUXTON: Object to the form.

8               BY MR. TIEMEIER:

9           Q.    Is Dr. Feld a respected physician  
10          with respect to diagnose and treatment of  
11          fever in infants?

12          A.    I don't know that.

13               MR. BUXTON: Object to form,  
14          foundation.

15               BY MR. TIEMEIER:

16          Q.    How about Jeffrey Avner?

17          A.    I do not know.

18          Q.    Would you agree that there are  
19          different schools of thought among  
20          pediatricians when assessing an infant with a  
21          fever, whereas, some will immediately order  
22          antibiotics and then proceed with testing, and  
23          the other school of thought is that you  
24          should test first, and if the tests indicate  
25          a risk, that the infant is at risk for

1       infection, only then should you treat?

2           MR. BUXTON: Object to form.

3           THE WITNESS: That's too broad a

4       question because it doesn't give me the

5       specifics of the situation we're discussing.

6           BY MR. TIEMEIER:

7       Q.    Okay. A situation where some  
8       physicians, some of the experts in this case  
9       have said that as soon as Krysta presented  
10      with an infection -- excuse me -- presented  
11      with a fever the evening of May 18 and  
12      report of a distended abdomen that that --  
13      that immediately, the physician should have  
14      ordered antibiotics, and then after ordering  
15      the antibiotics begin the testing.

16           And what I'm asking -- so that's  
17      one school of thought.

18           The other school of thought would  
19      be that the physician needs to examine the  
20      child or have the child examined, do a CBC,  
21      and examine the results of the CBC, determine  
22      whether the distended abdomen is a result of  
23      ileus or if there's pneumatosis as opposed to  
24      just normal gas in the bowel.

25           And then, if those things show

1       that there is a problem that is attributable  
2       to an infection, then they should go ahead  
3       and treat.

4           Those are the two schools that I'm  
5       talking about.

6           MR. BUXTON: Object to form.

7           THE WITNESS: I don't know that I  
8       would characterize those -- I could not  
9       characterize those as school of thoughts.

10          I think that the unified concept  
11       is that fever in a newborn infant should be  
12       taken seriously and should be properly  
13       evaluated and treated, depending on age, risk  
14       factors, and clinical presentation.

15          BY MR. TIEMEIER:

16          Q.    What was the first thing you said?  
17       Age?

18          A.    Clinical picture --

19          Q.    I think you had said age, risk  
20       factors?

21          A.    Risk factors and clinical  
22       presentation.

23          Q.    And if the physician evaluates the  
24       age, the risk factors and the clinical  
25       presentation and determines that the child's

1 clinical presentation is most likely not the  
2 result of an infection, then it's appropriate  
3 to not administer antibiotics?

4 A. No, that is not correct.

5 I said that the standard of care  
6 indicates that fever in a newborn should be  
7 evaluated appropriately and that the  
8 administration of antibiotics would depend on  
9 the age, risk factors, and clinical  
10 presentation.

11 Q. But not on the results of the  
12 examination?

13 A. That is part of the clinical  
14 presentation.

15 Q. Okay. Okay. So it's not a  
16 situation where, if the infant has a fever,  
17 you immediately administer antibiotics?

18 That's not what you're advocating?  
19 Just because the infant -- ipso facto, the  
20 infant has a fever, you have to administer  
21 antibiotics. You're not saying that, are  
22 you?

23 A. That's correct.

24 Q. What you're saying is if the  
25 infant has a fever, you need to take into

1 account its age, the clinical presentation and  
2 the risk factors, and if those suggest the  
3 need for antibiotics, then you administer  
4 antibiotics?

5 A. There are clear guidelines when  
6 antibiotics should be administered based on  
7 those factors, the age, the clinical  
8 presentation, and the risk factors.

9 Q. And where are those guidelines  
10 found?

11 A. Well, every -- I won't say.

12 Most newborn nurseries that I have  
13 been involved with have such guidelines. All  
14 of the institutions that I've worked for have  
15 had such guidelines.

16 Those guidelines are well-documented  
17 in the literature.

18 Q. Can you give me one citation so I  
19 would know where to look or even an author  
20 and a publication?

21 A. Sure. Remington and Klein Textbook  
22 Of Infections In The Newborn and Fetus.

23 Q. Thank you. Any particular edition?

24 A. Well, because those guidelines have  
25 changed over time, I would want to get the

1       most recent edition.

2           Q.    Okay. What would be the one  
3       applicable for the care provided in 2002 in  
4       this case? Which edition, do you know?

5           A.    No, I don't.

6           Q.    Would it be the 2001 edition?

7           A.    I don't know if there's one  
8       specific on that year.

9           Q.    Okay. This may sound unusual, but  
10      in your opinion, does a doctor have a duty  
11      -- first of all, you know what informed  
12      consent is, don't you?

13           A.    Yes, I do.

14           Q.    Does a doctor have a duty under  
15      the doctrine of informed consent to discuss  
16      with every patient the possible treatments  
17      that are available for treating a disease  
18      that the doctor does not think the patient  
19      has?

20                  In other words, let's say you  
21      examine an infant, and you don't think that  
22      the infant has, let's say, hip dysplasia.

23                  Are you required at that point to  
24      inform the parents of the risks, benefits,  
25      and alternatives of treatment for hip

1 dysplasia?

2 A. Certainly not.

3 MR. BUXTON: Object to form.

4 BY MR. TIEMEIER:

5 Q. And why not?

6 A. It would take many days to discuss

7 all the diagnoses that are not being

8 considered on a particular patient.

9 MR. TIEMEIER: At this point, sir,

10 I will pass the questioning on to my

11 colleagues. Thank you very much for your

12 time.

13 MR. BUXTON: Can we take a quick

14 bathroom break?

15 MR. TIEMEIER: Sure.

16 (There was a recess taken.)

17 EXAMINATION

18 BY-MR.NIXON:

19 Q. Dr. Correa, are you ready to

20 continue?

21 A. Yes, sir.

22 Q. My name is Scott Nixon, and I

23 represent Dr. Laird in the case. I have

24 some follow-up questions for you.

25 A. Sure.

1       Q. First of all, Doctor, do you agree  
2       that in giving your testimony in this matter  
3       that you have a professional obligation to be  
4       truthful and accurate in your testimony?

5       A. Yes, sir.

6       Q. And do you agree that you have an  
7       obligation to offer opinions that are current  
8       or, rather, consistent with the current state  
9       of the medical and scientific knowledge as of  
10      the time of the care that we're reviewing in  
11      this case, that is, May of 2002?

12      A. Yes, sir.

13      Q. Do you agree that you have an  
14      obligation to be objective in forming your  
15      opinions and not to be an advocate for one  
16      side of the case or the other?

17      A. That's correct.

18      Q. Do you agree that you are required  
19      or you should be prepared to modify or even  
20      withdraw opinions that you may have initially  
21      formed in this case if you're presented with  
22      compelling evidence that requires you to do  
23      so?

24      A. Yes, sir.

25      Q. And I believe you've already told

1       Mr. Tiemeier that you believe you've been  
2       provided with sufficient information regarding  
3       the issues in this case in order to form  
4       your opinions; is that right?

5           A.   Yes, sir.

6           Q.   And you haven't asked for any  
7       additional documentation or medical research or  
8       other information?

9           A.   That's correct.

10          Q.   Now, when you were first provided  
11       the records in this case, sir -- excuse me.

12           When you were first provided any  
13       materials in this case, did you just receive  
14       the medical records without the transcript of  
15       depositions?

16           And I think you were first  
17       contacted in 2004, and I know that was before  
18       any depositions were taken in the case.

19           A.   That is correct.

20          Q.   Okay. And did you review the  
21       records from Penrose Community Hospital when  
22       you were first provided them in February of  
23       2004?

24           A.   Yes, sir.

25          Q.   And did you form your initial

1       opinions concerning the care and treatment of  
2       Krysta by the defendants in this case based  
3       upon your initial review of just the medical  
4       records at that time?

5           A. Yes, sir.

6           Q. And when you subsequently reviewed  
7       the depositions that you have identified for  
8       us at a later date, did that modify or  
9       change your opinions in any way?

10          A. I do not recall specifically if  
11       they modified it.

12           If anything, they may have added  
13       or substantiated some of my opinions.

14          Q. But would it be accurate to say  
15       that as a result of reviewing the  
16       depositions, you did not withdraw any opinions  
17       that you had already formulated in which you  
18       were critical of any of the defendants?

19          A. Not that I recall specifically.

20          Q. Would it be accurate to say that  
21       the general opinions that you formed in this  
22       case regarding the conduct of the defendants  
23       is primarily based on your review of the  
24       medical record alone, independent of the  
25       deposition testimony?

1           A. That would be a fair statement.  
2           Q. Now, you made contributions to the  
3         medical literature, and those are included in  
4         your curriculum vitae, correct?

5           A. Yes, sir.

6           Q. And you have made contributions to  
7         periodicals, such as medical journals; is that  
8         right?

9           A. Yes, sir.

10          Q. And also to textbooks?

11          A. That's correct.

12          Q. And you've also given presentations  
13         and done grand rounds at various institutions  
14         and medical centers?

15          A. Yes, sir.

16          Q. And when you do that, when you  
17         publish and when you speak, would you agree  
18         that you always are trying to be as accurate  
19         and current as possible in the information  
20         that you're presenting?

21          A. Yes, sir.

22          Q. And you would agree that one of  
23         the reasons you always try to be as accurate  
24         and current as possible in the information  
25         that you publish and speak about is because

1       there may be students or clinicians relying  
2       upon the information that you provide,  
3       correct?

4           A. Yes, sir.

5           Q. And in some of the medical  
6       literature that you have published, you have  
7       actually made recommendations or suggestions on  
8       how to treat patients under certain  
9       circumstances, correct?

10          A. Yes, sir.

11          Q. And you think that it would be  
12       reasonable for clinicians to rely upon those  
13       recommendations as of the time that you  
14       publish them or speak about them?

15          A. Yes, sir.

16          Q. You've also served as a reviewer,  
17       I think you said, for a number of different  
18       peer review journals; is that correct?

19          A. Yes, sir.

20          Q. Which journals?

21          A. Pediatric Infectious Disease  
22       Journal, Journal of Pediatrics, Pediatrics,  
23       Journal of Respiratory Infections.

24          Q. The Red Book?

25          A. The Red Book. That's a little bit

1       of a different type of publication. So  
2       that's why I didn't include it.

3       Q.     We'll deal with it separately,  
4       then.

5       A.     Those would be the main ones.

6       Q.     And in your opinion, are the  
7       journals that you review for as a reviewer  
8       all journals that are reasonable and reliable  
9       within the fields they publish?

10           MR. BUXTON: Object to form.

11           THE WITNESS: Yes, they are.

12           BY MR. NIXON:

13       Q.     Now, you said the Red Book is a  
14       little bit different type of publication.

15           First of all, what is the Red  
16       Book?

17       A.     The Red Book is a common term that  
18       we use for a guideline that is published by  
19       the infectious disease immunizations committee  
20       of the American Academy Of Pediatrics.

21       Q.     You're described in your CV and I  
22       think you mentioned earlier that you are a  
23       primary reviewer?

24       A.     That is correct.

25       Q.     What does that mean, and what do

1 you do as a primary reviewer?  
2 A. The Red Book editors select a  
3 number of primary reviewers to look at a  
4 specific topic or topics so that they can be  
5 the first ones to modify or update the  
6 recommendations for that specific condition.

7 Q. And what specific area or topic  
8 were you asked to be primary reviewer for in  
9 the 2003 Red Book?

10 A. My involvement with that edition  
11 was in the area of fungal infections.  
12 Different parts of fungal infections, including  
13 treatment and diagnosis.

14 Q. And in the 2006 Red Book, were you  
15 also asked to be a primary reviewer?

16 A. Yes, sir.

17 Q. And what areas or topics were you  
18 asked to review in that edition?

19 A. The same ones.

20 Q. And in your opinion, is the 2003  
21 Red Book report of the committee of  
22 infectious or uninfecious diseases by the AAP  
23 a reliable source for pediatricians and  
24 clinicians to use for researching pediatric  
25 infectious disease issues?

1 A. Yes, sir.

2 Q. Is that a consensus document -- is  
3 that term -- as you understand that term?

4 A. It is a consensus document.

5 Q. Do you know a Dr. George Pater,  
6 that's P-a-t-e-r?

7 A. Yes, I do.

8 Q. How do you know Dr. Pater?

9 A. Dr. Pater was the chief editor of  
10 the Red Book for a number of years.

11 Q. Have you met him before?

12 A. I have -- I have met him, yes.

13 Q. Have you heard him speak or make  
14 any presentations?

15 A. Yes, I have.

16 Q. Do you recognize him as an  
17 authority in the area of pediatric infectious  
18 diseases?

19 MR. BUXTON: Object to form.

20 THE WITNESS: I believe he is a  
21 well-respected physician in that area.

22 BY MR. NIXON:

23 Q. But you have never shared patients  
24 or made referrals with him, I assume, because  
25 he practices on the East Coast?

1 A. That's correct.

2 Q. You earlier identified Pediatrics  
3 as a respected peer review publication,  
4 correct?

5 A. Yes, sir.

6 Q. Do you believe that the Remington  
7 and Klein text that you just referred to Mr.  
8 -- referred Mr. Tiemeier to is also a  
9 respected peer review publication?

10 A. That textbook is not a  
11 peer-reviewed publication.

12 Q. I stand corrected. Obviously, it's  
13 not. It's a textbook.

14 Is it a respected textbook in the  
15 area of pediatric infectious diseases?

16 A. Yes.

17 MR. BUXTON: Object to form.

18 THE WITNESS: It's a respected and  
19 well-recognized reference.

20 BY MR. NIXON:

21 Q. Are you familiar with Menkes text  
22 on child neurology?

23 A. No, I'm not.

24 Q. Are you familiar with the Feigin &  
25 Cherry text on pediatric infectious diseases?

1 A. Yes, I am.

2 Q. Is that a recognized and respected  
3 resource for pediatric infectious disease  
4 issues?

5 MR. BUXTON: Object to form.

6 THE WITNESS: Yes, it is.

7 BY MR. NIXON:

8 Q. Are you familiar with the Pediatric  
9 Clinics Of North America?

10 A. Yes, I am.

11 Q. And is that a respected  
12 peer-reviewed publication in the area of  
13 pediatrics?

14 MR. BUXTON: Object to form.

15 THE WITNESS: I do not believe it  
16 is a peer-reviewed publication.

17 BY MR. NIXON:

18 Q. Setting aside the peer review  
19 issue, to your knowledge, is it a respected  
20 publication with respect to pediatric issues?

21 MR. BUXTON: Object to form.

22 THE WITNESS: I do not know or  
23 use this publication enough to tell you that  
24 is the case.

25 ///

1 BY MR. NIXON:

2 Q. To comment on that, that's fair.

3 Have you ever heard of Dr. Jeffrey

4 Gerdes from Children's Hospital of

5 Philadelphia?

6 A. I do not recognize that name.

7 Q. He was endorsed as an expert

8 witness by the Vitetta family in this case.

9 Did you know that his name was

10 included on the endorsement?

11 A. Yes.

12 Q. But you didn't recognize it when

13 you saw it?

14 A. That's correct.

15 Q. Did you recognize or know Dr.

16 Modanlou?

17 A. No, sir.

18 Q. He is a neonatologist that

19 practices in Southern California?

20 A. That's my understanding.

21 Q. Did you recognize or do you know

22 the name of Dr. Nelson, a pediatric

23 neuroradiologist in Southern California?

24 A. I recognize the name.

25 Q. Did you recognize the name or do

1 you know Dr. Rine, a neonatologist at Packard  
2 Children's Hospital at Stanford?

3 A. No, I do not.

4 Q. Would you agree, Doctor, that in  
5 cases of Citrobacter meningitis in neonates  
6 that approximately 70 percent of that patient  
7 population will develop brain abscesses?

8 A. Earlier reports had indicated that  
9 a number as large as 70 percent or 75  
10 percent would go on to develop brain  
11 abscesses.

12 However, there's been some more  
13 recent publications that show a somewhat  
14 decreased number.

15 Q. One publication in particular is  
16 the Remington and Klein 2001 text which talks  
17 about the reported figure of approximately 75  
18 percent of that patient population experiencing  
19 brain abscesses.

20 Are you familiar -- can you  
21 identify for me any reports since 2001 that  
22 would indicate it's a lesser occurrence than  
23 75 percent?

24 A. I could not identify it  
25 specifically for you.

1       Q.    Do you have any memory of where  
2       you may have seen that, what you base your  
3       answer to me?

4       A.    It is in one of the infectious  
5       disease journals, but I do not recall any  
6       more specific information.

7       Q.    And when you say somewhat less  
8       than the 75 percent, do you have a current  
9       memory of what you believe you read or heard  
10      about in terms of more current reports of the  
11      occurrence of brain abscesses in Citrobacter  
12      meningitis in neonates?

13      A.    More around the 50 percent range.

14      Q.    Would you be able to locate one or  
15      more references that supports that testimony?

16      A.    I could certainly look for them.

17      Q.    Would you do that and then provide  
18      that to Mr. Buxton if you find it?

19      A.    I'd be glad to.

20      Q.    Thank you.

21           The Remington and Klein text, at  
22      least as of 2001, also reports that mortality  
23      rates for neonates experiencing Citrobacter  
24      meningitis is approximately 30 percent.

25           Is that consistent with your

1 understanding of that occurrence?

2 A. Yes, it is.

3 Q. It also reports, that is, the

4 Remington and Klein in 2001, that of the

5 survivors in this patient population, neonates

6 with Citrobacter meningitis, greater than 50

7 percent of those survivors experience some

8 form of permanent neurologic injury.

9 Is that consistent with your

10 understanding of the current state of the

11 literature?

12 A. Yes, sir.

13 Q. You haven't been provided any

14 actual imaging studies to review in this

15 case, have you?

16 A. No, I have not.

17 Q. Just the reports?

18 A. That's correct.

19 Q. Have you relied on the information

20 contained in any of the imaging reports for

21 Krysta Vitetta in forming any of your

22 opinions relating to whether or not any of

23 the defendants breached or met the standard

24 of care?

25 A. I have not.

1       Q.    Have you relied on any of the  
2       information contained in the imaging reports  
3       for Krysta Vitetta in forming any opinions  
4       about causation in this case?

5       A.    No, I have not.

6       Q.    In this matter, this case involving  
7       Krysta Vitetta, have you been asked to  
8       formulate any opinions as to what Krysta  
9       Vitetta's current life expectancy is given her  
10      current physical and neurologic condition?

11      A.    No, I have not.

12      Q.    So if this case were ever to go  
13      to trial, you would not be offering opinions  
14      on what her life expectancy is; is that  
15      correct?

16      A.    That is correct.

17      Q.    Mr. Tiemeier asked you some  
18      questions about when meningitis, in your  
19      opinion, was first present in Krysta's  
20      condition -- excuse me -- in Krysta's case.

21      And I want to refer you in that regard to a  
22      note that appears in the chart at 4:00 p.m.  
23      on May 19th.

24           And I don't know how your pages  
25      are numbered, but it is the note of Ms.

1       Stilson.

2           MR. BUXTON: I'll find it.

3           MR. NIXON: Mr. Buxton knows where  
4       it is.

5           BY MR. NIXON:

6       Q.   And in the fourth line down, she  
7       describes the fontanel as full and soft.

8           Do you see that?

9       A.   Yes.

10      Q.   And what is the significance of a  
11       neonate of Krysta's age of having a full  
12       fontanel?

13      A.   It may indicate that there is an  
14       infectious process that has developed.

15      Q.   And I don't know if you've  
16       reviewed the chart for that purpose, but I  
17       can tell you that I believe this is the  
18       first instance where the word "full" is used  
19       to describe her fontanel.

20           If that's the case, would you  
21       agree that that is likely an indication that  
22       there is inflammation in the brain or  
23       meninges that's caused by the presence of the  
24       Citrobacter?

25      A.   You are correct that this is the

1 first time it is referenced, and you are also  
2 correct that that may indicate the development  
3 of meningitis.

4 Q. Would you agree that it's more  
5 likely than not that we have a report of a  
6 neonatal nurse practitioner of a full fontanel  
7 as we do at that time at 4:00 p.m. that she  
8 probably had at that time at least the  
9 beginnings of her meningitis?

10 A. Yes, that's correct.

11 Q. And then, later, there is a note  
12 between 7:20 and 8:00 p.m., and that is on  
13 the nursing focus notes. Again, Mr. Buxton  
14 can find it.

15 I can show you mine, Doctor. I'm  
16 referring to the progress note between 1920  
17 and 2000.

18 Do you see that?

19 A. Yes, sir.

20 Q. And I've underlined where it says,  
21 "anterior --"

22 A. Fontanel tense."

23 Q. "Tense," right. And "tense" would  
24 indicate that there's some pressure associated  
25 with the fullness that the person is

1 reporting, correct?

2 A. That's correct.

3 Q. And you would again agree that

4 that would be a further indication that it's

5 more likely than not at that point in time

6 that Krysta had meningitis?

7 A. It would be an additional

8 indication to suggest that meningitis had

9 developed.

10 Q. And if you were asked to suggest

11 whether it was probable or possible, you

12 would agree that it's probable, at that time,

13 she had meningitis?

14 A. Yes, I would agree.

15 Q. And if we use the rubric of the

16 medical-legal arena, you would agree with me

17 it's more likely than not or to a reasonable

18 degree of medical probability that she has

19 meningitis at that time, correct?

20 A. I would agree with that.

21 Q. Is a full and tense fontanel a

22 clinical indication of an increased degree of

23 intercranial pressure?

24 A. A full and tense fontanel may be

25 an indication of an increasing intracranial

1 pressure.

2 Q. Do you have an opinion at the time  
3 the note was recorded between 1920 and 2000  
4 or between 7:20 and 8:00 p.m. on the 19th  
5 that Krysta Vitetta had increased intracranial  
6 pressure?

7 A. No, I do not.

8 Q. So she may or may not; you don't  
9 know?

10 A. That's correct.

11 Q. Now, at approximately 8:00 p.m. or  
12 shortly thereafter, based on your review of  
13 the chart, would you agree that, by that  
14 point in time, Krysta had been reported as  
15 suffering desaturation into the 50s with apnea  
16 and bradycardia?

17 A. That is correct.

18 Q. And by 8:00 on the 18th, she has  
19 been reported several times as having skin  
20 coloration that is gray and mottled?

21 A. Yes, sir.

22 Q. She is reported by that time as  
23 being irritable and crying?

24 A. That is correct.

25 Q. She is reported by that point in

1 time as having retractions with breathing and  
2 rapid, shallow respirations, correct?

3 A. Yes, sir.

4 Q. She has been reported by 8:00 on  
5 the 19th as having a diastolic blood pressure  
6 in the 20s on at least one occasion, and  
7 that's in Dr. Reich's notes at 1930.

8 A. Yes, sir.

9 Q. And there, he also notes she has a  
10 full fontanel, is gray and mottled, and has a  
11 diastolic blood pressure in the 20s.

12 Do you see that?

13 A. Yes, sir.

14 Q. And as a result of that, he  
15 actually gave her a fluid bolus?

16 A. That's correct.

17 Q. As of 8:00 p.m. on the 19th, there  
18 were reports by the nursing staff that Krysta  
19 had experienced episodes of desaturation with  
20 simple care activities, such as placing a  
21 peripheral IV, correct?

22 A. Yes, sir.

23 Q. She had been determined to have a  
24 coagulopathy they based on her bloodwork?

25 A. Correct.

1           Q.    By that time, she had also been  
2           determined to have both neutropenia and  
3           thrombocytopenia based on her bloodwork?

4           A.    The neutropenia as present at that  
5           time.

6           Q.    Her platelets were -- I don't have  
7           that figure. Pardon me. Is that what  
8           you're looking for?

9           A.    Yes. I'm trying to confirm what  
10          you just stated.

11                 There was a decreased number of  
12          platelets at that time.

13           Q.    Which would be a thrombocytopenia?

14           A.    That's correct.

15           Q.    And she had been shown to be  
16          acidotic, correct?

17           A.    Yes, sir.

18           Q.    And tachycardic?

19           A.    That's correct.

20           Q.    Would you agree that, based upon  
21          all of those signs and symptoms and lab  
22          results, as of 8:00 p.m. on the 19th that  
23          Krysta Vitetta was unstable and critically  
24          ill?

25           A.    I am of the opinion that she was

1       critically ill.

2           Q.    And would you agree that when Dr.  
3           Laird arrived at the hospital at approximately  
4           8:00 and found Krysta in that condition that  
5           she was required to assess Krysta and provide  
6           supportive measures to address those  
7           conditions?

8           A.    Yes, sir.

9           Q.    And would you agree that that was  
10          required in order to save Krysta's life at  
11          that time?

12          A.    Yes, that's correct.

13          Q.    And would you agree that, based on  
14          your review of the record, you have not been  
15          critical of any of the interventions that Dr.  
16          Laird initiated to treat the clinical  
17          condition of this child?

18           And I'm talking now about  
19          supportive measures.

20          A.    Specifically as to supportive  
21          measures, no, I am not.

22          Q.    Would you agree that, based upon  
23          the fact Krysta was critically ill at the  
24          time Dr. Laird saw her at about 8:00 p.m. on  
25          the 19th that it was a reasonable exercise of

1 Dr. Laird -- I'm sorry -- reasonable exercise  
2 of Dr. Laird's clinical judgment to defer the  
3 lumbar puncture until she initiated supportive  
4 measures to treat Krysta's condition?

5 A. I would agree that that was the  
6 decision that Dr. Laird took.

7 I don't necessarily agree with  
8 completely postponing the lumbar puncture based  
9 on what was happening at that time.

10 Q. Do you agree whether or not a  
11 physician who is assessing a child in this  
12 condition decides to defer or not defer an LP  
13 at that point in time is something that is a  
14 decision, rather, that is best made by the  
15 physician who is at the bedside assessing the  
16 patient in a hands-on fashion?

17 A. Yes, I would.

18 Q. Would you, as a person who is  
19 reviewing the records in retrospect, give Dr.  
20 Laird the benefit of that advantage in  
21 concluding that it was within a reasonable  
22 range of decisions for her to conclude that  
23 the lumbar puncture should be deferred at  
24 that point in time until Krysta was  
25 stabilized, whether or not you agree or

1       disagree?

2           MR. BUXTON: Object to form.

3           THE WITNESS: Yes, I would.

4           BY MR. NIXON:

5           Q.    In other words, this is an issue,  
6   the deferral of the lumbar puncture at that  
7   point in time, on which reasonable physicians  
8   who are well-trained can disagree?

9           MR. BUXTON: Object to form.

10          THE WITNESS: Well, that's not  
11   what your previous question was.

12          You said if I would give Dr. Laird  
13   the benefit of --

14          BY MR. NIXON:

15          Q.    Of being there.

16          A.    -- of being there.

17          Q.    Right.

18          A.    And I would say yes.

19          Q.    What are the risks to a neonate in  
20   the condition like Krysta was in at  
21   approximately between 8:00 and 9:00 on the  
22   19th of performing a lumbar puncture?

23          A.    The risks would be very few,  
24   depending, again, on the clinical condition at  
25   that time, but could include bleeding and

1 further compromise of the cardiorespiratory  
2 status.

3 Q. Here is a statement I'm taking  
4 from Remington and Klein, 2001, regarding the  
5 risks of lumbar puncture.

6 I will just ask if you agree or

7 disagree. It says, quote:

8 "The physician may choose to  
9 withhold or delay lumbar puncture in some  
10 infants who would be placed at risk,  
11 compromise, cardiac or respiratory function by  
12 the procedure."

13 A. I would agree with that.

14 Q. Initial choice of antibiotics in  
15 this case by Dr. Reich, and that is, the  
16 administration of Ampicillin and gentamicin  
17 late the afternoon of the 19th, do you agree  
18 that that was a reasonable choice within the  
19 standard of care for treating a child like  
20 Krysta at that time with suspected sepsis?

21 A. At the time that these antibiotics  
22 were ordered, Krysta had already developed  
23 signs and symptoms suggestive of meningitis,  
24 as we have already established.

25 When meningitis is a consideration,

1       in addition to Ampicillin and gentamicin, the  
2       recommendation is to administer a  
3       third-generation cephalosporin, such as  
4       cefotaxime.

5           Q.   Would you agree with me, Doctor,  
6       that the choice of whether or not to add  
7       cefotaxime to the regimen of amp and gent in  
8       a patient with suspected meningitis is an  
9       option but is not reported anywhere in the  
10      medical literature as being a requirement in  
11      order to meet the standard of appropriate  
12      medical practice?

13           MR. BUXTON: Object to the form.

14           THE WITNESS: I don't qualify it  
15       an option. It's actually a recommendation  
16       when meningitis is diagnosed or suspected.

17           BY MR. NIXON:

18           Q.   Can you direct me to or identify  
19       for me a citation that would support that?

20           A.   Certainly the guidelines for the  
21       management of newborns that we have in our  
22       hospital nurseries would indicate such a  
23       recommendation.

24           Q.   And I will come back to that in a  
25       moment.

1               First, let me ask you: Do you  
2       agree that studies of the treatment of sepsis  
3       and meningitis in neonates who have  
4       gram-negative infections show that the use of  
5       cephalosporins is comparable but not superior  
6       to the use of Ampicillin and gentamicin?

7               MR. BUXTON: Object to the form.

8               THE WITNESS: Are you giving me a  
9       citation as such? I mean, are you saying  
10      this is a statement that's been published?

11       BY MR. NIXON:

12       Q. I am reading from Remington and  
13       Klein 2001, quote:  
14               "Clinical and microbiologic results  
15       of studies of sepsis and meningitis in  
16       neonates suggest that the third-generation  
17       cephalosporins are comparable but not superior  
18       to the traditional regimens of a penicillin  
19       and an aminoglycoside."

20       MR. BUXTON: Object to form.

21       BY MR. NIXON:

22       Q. Is it your understanding or would  
23       you agree with me that that is an accurate  
24       statement, not only as of 2001 but also as  
25       of today?

1 MR. BUXTON: Object to foundation.

2 THE WITNESS: In the broad terms

3 that it's used in this context, it is

4 correct.

5 However, we need to read that

6 whole chapter to understand that in the

7 specific setting of meningitis due to

8 gram-negative organisms, cefotaxime does have

9 an advantage over gentamicin because of its

10 penetration in the cerebrospinal fluid.

11 BY MR. NIXON:

12 Q. Is there any reported scientific

13 literature that states or concludes that

14 because of the increased levels a child in

15 the CSF or the higher levels of cefotaxime

16 achieved in the CSF than gentamicin that it

17 is, therefore, more effective in treating

18 gram-negative meningitis and, therefore,

19 results in better outcomes?

20 A. I am not aware to the specifics

21 that you have just asked, but there is

22 literature that indicates that cefotaxime has

23 better penetration in the cerebrospinal fluid

24 and should be the drug of choice when dealing

25 with a meningitis due to disorganisms.

1       Q.    Let's break your answer down into  
2       two parts.

3              Number one, I don't dispute at all  
4       your opinion that studies shows that  
5       cefotaxime achieves higher concentrations in  
6       the CSF than gentamicin in a child with  
7       meningitis.

8              My question addresses the second  
9       part of your statement, which is: Are there  
10      any studies which demonstrate that the higher  
11      concentration levels of the cefotaxime result  
12      in better outcomes?

13         A.    Those studies have not been done  
14       because we already have cefotaxime as a  
15       standard of care in gram-negative meningitis,  
16       and to compare that to gentamicin would not  
17       be ethical.

18         Q.    Doctor, I am reading from a 2004  
19       article from the Pediatric Clinics of North  
20       America by Dr. Gerdes, one of plaintiff's  
21       other experts in this case that states:

22              "Gram-negative meningitis may be  
23       treated with Ampicillin and gentamicin or with  
24       Ampicillin and cefotaxime. Although,  
25       cefotaxime has superior CSF penetration and is

1 preferred by many clinicians, clinical studies  
2 have shown equivalent results would be either  
3 regimen."

4 First of all, do you agree or  
5 disagree with that?

6 MR. BUXTON: Object to form and  
7 foundation unless he sees the article.

8 BY MR. NIXON:

9 Q. I will be happy to show you.

10 MR. BUXTON: Let's take a break  
11 and let him read it.

12 MR. NIXON: I'm not taking a  
13 break, Tim.

14 MR. BUXTON: Well, I'm going to  
15 object unless he gets to read the article,  
16 unless he's familiar with it.

17 BY MR. NIXON:

18 Q. I'd like you to look at the  
19 section that I read in the lower right-hand  
20 corner, Doctor, and tell me, do you have any  
21 basis to agree or disagree with that  
22 statement?

23 MR. BUXTON: Object to form.

24 THE WITNESS: This statement is  
25 made based on only one study that compare

1 regimens with cefotaxime versus those with  
2 gentamicin.

3 I do not know enough about the  
4 particulars of this study to be able to agree  
5 or disagree.

6 BY MR. NIXON:

7 Q. Would you agree -- let me ask you  
8 a different question.

9 Would you agree that a reasonable  
10 clinician reading this statement in 2004 would  
11 be justified in concluding that he or she  
12 would be within the standard of care to treat  
13 even known gram-negative meningitis with either  
14 amp and gent or cefotaxime?

15 MR. BUXTON: Object to foundation.

16 BY MR. TIEMEIER:

17 Q. Well, if such --

18 THE REPORTER: Hold on a minute.

19 I'm having a problem with my paper.

20 (There was a discussion off the  
21 record.)

22 BY MR. NIXON:

23 Q. Dr. Correa, let me start the  
24 question over again so we have a complete  
25 record.

1           Would you agree that a reasonable  
2        clinician reading the statement that I showed  
3        you reading from Dr. Gerdes' article from  
4        2004 would be justified in concluding that he  
5        or she would be within the standard of care  
6        to treat even known gram-negative meningitis  
7        with either the regimen of amp and gent or  
8        cefotaxime?

9           MR. BUXTON: Object to form and  
10       foundation.

11          THE WITNESS: When as a physician  
12       I make a decision based on the standard of  
13       care, I rarely use just one source to make  
14       such a statement.

15          However, if you encounter a  
16       physician that only read that particular  
17       article, I would understand why that could  
18       have been an assumption, although I do not  
19       agree with it.

20          BY MR. NIXON:

21          Q.    Let me ask it just a little  
22       differently, and then, I'll move on.

23          Do you agree with me that what Dr.  
24       Gerdes says in his article, in a nutshell, is  
25       that it's reasonable to use either amp and

1 gent or cefotaxime to treat gram-negative  
2 meningitis?

3 MR. BUXTON: Object to foundation.

4 BY MR. NIXON:

5 Q. Whether you agree with it or not,  
6 when you read his articles, that's what it  
7 says?

8 MR. BUXTON: Object to foundation.

9 He hasn't read the article.

10 THE WITNESS: I haven't had the  
11 privilege of reading his article. I've read  
12 seven or eight lines of that article, and if  
13 we just take that isolated without the  
14 privilege of reading the rest of the article,  
15 I would agree with you.

16 BY MR. NIXON:

17 Q. Now, you were never shown this  
18 article before today? Mr. Buxton didn't give  
19 it to you before your deposition as part of  
20 your materials in the case?

21 A. Not to my knowledge. Not that I  
22 know of.

23 Q. The 2003 Red Book of which you  
24 were a contributor -- correct?

25 A. That's correct.

1           Q. -- states, quote:  
2                 "Initial empiric treatment for  
3                 suspected bacterial septicemia or meningitis in  
4                 neonates is Ampicillin and an aminoglycoside."

5                 Did you know that?

6           A. Yes.

7           Q. Do you agree with that?

8           A. Yes, I do.

9           Q. The next sentence, and I'm not  
10          taking it out of context. I'm just taking  
11          this one line at a time.

12                 "As an alternative regimen of  
13                 Ampicillin and an expanded spectrum  
14                 cephalosporin such as cefotaxime can be used,  
15                 but rapid emergence of cephalosporin-resistant  
16                 strains," and that means a couple, "can occur  
17                 when it's used routinely."

18                 Do you agree with that?

19           A. That's correct.

20           Q. And it finally says:

21                 "Hence, routine use of an expanded  
22                 spectrum cephalosporin is not recommended  
23                 unless gram-negative bacterial meningitis is  
24                 strongly suspected."

25                 Do you agree with that?

1           A. Yes, I do agree with that.

2           Q. Now, in this case, in the case of  
3 Krysta Vitetta, until CSF cultures or blood  
4 cultures were returned, what about her  
5 clinical condition should have caused a  
6 reasonable physician to have a strong  
7 suspicion of gram-negative meningitis?

8           A. I think you and I have already  
9 established that there were enough signs and  
10 symptoms at that time that suggested the  
11 presence of meningitis.

12          Q. I agree.

13          A. However, there is no way that  
14 clinically, you can distinguish gram-positive  
15 from gram-negative meningitis. Hence, my  
16 recommendation that cefotaxime be added when  
17 you suspect bacterial meningitis until you  
18 determine if it is a gram-positive or  
19 gram-negative meningitis.

20           The one thing that could make a  
21 difference is a lumbar puncture, which  
22 unfortunately, was not performed at that time.

23          Q. You stated your preference, but  
24 would you agree with me that even the Red  
25 Book does not state or recommend under its

0136

1 treatment section regarding gram-negative  
2 bacilli that cefotaxime or another  
3 third-generation cephalosporin should be given  
4 when meningitis is suspected?

5 Isn't that true?

6 A. That is true, with the  
7 understanding that, here, they are referring  
8 to a suspicion of meningitis that has the  
9 advantage of having a gram stain or a CIE to  
10 favor a possibility of a gram-positive  
11 organism.

12 However, if you have the spinal  
13 fluid in C gram-negative organisms or if you  
14 have a CIE for a group B step that is  
15 negative, then that should increase your  
16 suspicion of gram-negative meningitis, and I  
17 would, under those circumstances, recommend the  
18 addition of cefotaxime.

19 Q. Would you agree that there are  
20 reasonable, well-trained physicians in the  
21 fields of neonatology and pediatric infectious  
22 diseases that disagree with you?

23 MR. BUXTON: Object to form.

24 BY MR. NIXON:

25 Q. On that very issue.

1           A. I do not know anybody that  
2        disagrees with me in that specific issue.

3           And again, if we take the whole  
4        context of what this Red Book chapter is,  
5        they're talking about a patient who has been  
6        evaluated for the possibility of meningitis  
7        and in which you have the advantage of having  
8        cerebrospinal fluid available.

9           Q. Isn't the standard practice or one  
10      of the standard reasonable practices to begin  
11      treatment of a neonate with suspected  
12      meningitis with amp and gent and then obtain  
13      a CSF in order to get the microbiologic  
14      results in order to plan further adjustments  
15      to antibiotics?

16           A. Not only microbiologic results, but  
17      some of their information is helpful.

18           Q. And then, based on the results of  
19      those microbiologic tests, if it's  
20      gram-negative or if there's a specific bug  
21      identified with specific sensitivities, further  
22      adjustments can be made to the antibiotic  
23      regimen to more accurately hone in on what  
24      you're dealing with?

25           A. Yes, that is correct.

1       Q.    But you would agree that the  
2       initial use of cefotaxime as an empiric  
3       treatment for neonates with suspected  
4       meningitis is not presented as a standard or  
5       required practice anywhere in the literature,  
6       correct?

7       A.    No. I would disagree with you.

8           When we have polled the major  
9       children's hospitals in the country, about  
10      half of them use a regimen of Ampicillin and  
11      cefotaxime. The other ones use Ampicillin  
12      and gentamicin as empiric therapy when you  
13      have the advantage of having all the  
14      information together.

15           In this specific case, the lack of  
16      cerebrospinal fluid was, in my opinion, an  
17      indication to start cefotaxime rather than  
18      gentamicin.

19       Q.    Would you agree with me that even  
20      if Dr. Laird had started cefotaxime sometime  
21      after she arrived and had time to evaluate  
22      Krysta and treat her immediate clinical needs  
23      after 8:00 p.m. on the 19th that you cannot  
24      state to a reasonable degree of medical  
25      probability that Krysta's outcome would have

1       been improved?

2           MR. BUXTON: Object to form.

3           BY MR. NIXON:

4           Q.    That would be speculation on your  
5       part, true?

6           MR. BUXTON: Object to form.

7           THE WITNESS: I would disagree  
8       with that.

9           I am not saying that she would  
10      have had a completely normal outcome, but it  
11      is quite possible that in this early stage of  
12      meningitis, the administration of cefotaxime  
13      would have led to at least a better outcome  
14      than what we had.

15           BY MR. NIXON:

16           Q.    Well, you used the word "possible,"  
17      and I want to remind you that we've already  
18      established that there are no studies or  
19      reports that better outcomes are achieved  
20      using cefotaxime versus gentamicin despite the  
21      fact that it achieves higher concentrations in  
22      the CSF.

23           Based on that, would you agree  
24      that you cannot say with probability, that  
25      is, with greater than 50 percent likelihood,

1       that if sometime after 8:00 p.m. on the 19th,  
2       cefotaxime had been added, that Krysta's  
3       outcome would have been better?

4            MR. BUXTON: Object to form.

5            THE WITNESS: I disagree with your  
6       statement because we have not established that  
7       cefotaxime is not superior to gentamicin.

8            You have shown me one piece -- one  
9       part of an article that indicates or suggests  
10      that possibility, but I would not say that --  
11      I cannot say that we have established that to  
12      be a true fact.

13           BY MR. NIXON:

14           Q. And I'm not trying to beat a dead  
15      horse, but you keep coming back to this  
16      issue.

17           Can you identify for me anywhere,  
18      anecdotally, in the literature, or otherwise,  
19      that provides scientific proof that the use  
20      of cefotaxime results in better outcomes,  
21      clinical outcomes for patients, than gentamicin  
22      when dealing with gram-negative meningitis?

23           A. No, I cannot specifically point out  
24      to such an article.

25           Q. And if that's the case, would you

1 agree that you cannot state with probability  
2 that giving Krysta cefotaxime approximately 18  
3 to 20 hours earlier than she did receive it,  
4 considering she was already receiving  
5 Ampicillin and gentamicin, would have resulted  
6 in a better outcome in this case?

7 MR. BUXTON: Object to form.

8 THE WITNESS: Not based on a  
9 specific published article that looks at such  
10 a delay in administration of antibiotics.

11 But there is numerous articles that  
12 support the theory that cefotaxime is  
13 sufficient to gentamicin based on antibiotic  
14 penetration and based on the pharmacokinetics  
15 of the antibiotic.

16 BY MR. NIXON:

17 Q. Please identify one for me.

18 A. I'd be glad -- I mean, I don't  
19 have the citation with me, but there's  
20 numerous articles that show that cefotaxime  
21 has a better penetration to gentamicin.

22 Q. And I'm not disputing that. I'm  
23 going to the second point again.

24 Can you provide me with any  
25 citations that show that the better

1 penetration or the higher concentrations of  
2 cefotaxime compared to gentamicin actually  
3 produces better results?

4 MR. BUXTON: Object to the form.

5 THE WITNESS: No. As I  
6 established earlier, I am not aware of such  
7 literature.

8 BY MR. NIXON:

9 Q. What was the sensitivity of this  
10 specific bug in Krysta's case to gentamicin?

11 A. It was a susceptible isolate.

12 Q. It was less than 1, correct?

13 A. That's correct.

14 Q. And would you agree with me that  
15 it is more likely than not, it is probable  
16 that the concentration of gentamicin achieved  
17 in the CSF in Krysta's case exceeded the MIC  
18 for the Citrobacter?

19 MR. BUXTON: Object to foundation.

20 THE WITNESS: No, I cannot  
21 quantify that.

22 BY MR. NIXON:

23 Q. Can you testify with probability  
24 that the MIC of gentamicin did not -- excuse  
25 me -- that the concentration of gentamicin

1 did not reach the MIC of the Citrobacter in  
2 her CSF?

3 A. No, I cannot.

4 Q. Is there any way to do that?

5 A. Well, we know that the penetration  
6 of gentamicin is approximately 10 percent of  
7 that of the serum levels.

8 Assuming that Krysta had  
9 therapeutic levels despite the fact that she  
10 was getting a less-than-recommended dosage of  
11 gentamicin, a reasonable assumption would be  
12 that her blood levels were about 8 micrograms  
13 per ml in the blood.

14 That means that the amount that  
15 was getting to her spinal fluid was  
16 approximately .8, which would not be  
17 sufficient to -- would not be above that MIC.

18 Q. But it was probably at approximate  
19 MIC?

20 A. It may have been at the MIC.

21 Q. And if more than 10 percent of the  
22 serum level is achieved in the CSF, that  
23 would mean that it is -- the MIC of less  
24 than 1 was achieved?

25 MR. BUXTON: Object to form.

1               THE WITNESS: It may have been  
2       achieved at one point in time.

3               BY MR. NIXON:

4               Q.     Can you tell me from the chart  
5       what the susceptibility or sensitivity of  
6       Citrobacter in her case was with respect to  
7       cefotaxime?

8               A.     It was susceptible.

9               Q.     Which of the antibiotics are you  
10      referring to on that susceptibility report?

11       A.     I am referring to ceftriaxone.

12       Q.     Ceftriaxone, is that the same as  
13      cefotaxime or similar?

14       A.     It's in the same class and family.

15       Q.     And is it always the case that if  
16      a bug is susceptible to ceftriaxone, it is  
17      also susceptible to cefotaxime?

18       A.     Yes.

19               MR. NIXON: This is probably a  
20      good time to take a short break.

21               (There was a recess taken.)

22               BY MR. NIXON:

23       Q.     Dr. Correa, with respect to my  
24      client, Dr. Laird, would it be accurate to  
25      state that it's your opinion that if she

1 deferred the lumbar puncture based upon the  
2 exercise of her clinical judgment because she  
3 felt Krysta was clinically unstable and it  
4 was unsafe that it's your opinion that she  
5 should have at that time, under those  
6 circumstances, administered or added cefotaxime  
7 to the antibiotic regimen to account for the  
8 possibility that this was a gram-negative bug?

9 A. Yes, sir.

10 Q. And based on our discussion before  
11 the break, am I correct in stating that,  
12 although you personally may disagree with her  
13 choice to defer the lumbar puncture because  
14 of Krysta's condition, you are not going to  
15 testify that it was negligent or below the  
16 standard of care for her to do so, setting  
17 aside the issue of changing antibiotics for  
18 the moment?

19 A. Because it's not within the realm  
20 of my specialty, I'm not willing to testify  
21 whether that was consistent with the standard  
22 of care or not.

23 Q. Okay. And I was going to ask you  
24 about that.

25 As a pediatric infectious disease

1 consultant, you aren't typically the physician  
2 who is in the NICU providing the supportive  
3 therapy and treating a child such as Krysta  
4 as the neonatologist did; is that correct?

5 A. That's correct.

6 Q. You don't perform lumbar punctures  
7 yourself, do you?

8 A. Occasionally, I do.

9 Q. But that's not something you  
10 routinely do?

11 A. That's correct.

12 Q. Typically, you're called in on a  
13 meningitis case after the diagnosis has been  
14 made for your recommendations on which  
15 antibiotics to use?

16 A. Not necessarily after the diagnosis  
17 has been made, but once the diagnosis is  
18 suspected.

19 Q. So with respect to Dr. Laird,  
20 anyway, is it your only criticism of her  
21 where you believe she fell below the standard  
22 of care for a neonatologist that she did not  
23 add cefotaxime to the antibiotic regimen based  
24 upon her decision to defer the lumbar  
25 puncture?

1           A. That is correct.

2           MR. NIXON: That's all I have.

3           I'm going to pass the questioning to Mr.

4           Martin. Thank you.

5           EXAMINATION

6           BY-MR.MARTIN:

7           Q. Doctor, I represent the hospitals

8           and the NNPs, and I don't have too many

9           questions, you'll be happy to hear.

10           I wanted to start by asking you  
11           some questions about your background, though.

12           You went to medical school or at  
13           least got your M.D. degree in Mexico; is that  
14           right?

15           A. Yes, sir.

16           Q. And tell me about the course of  
17           study to get an M.D. degree in Mexico.

18           A. Yes, sir. It's a seven-year  
19           program that basically includes the equivalent  
20           of premedicine as an undergrad and medical  
21           school all in one system.

22           So I did my six years at the  
23           Monterey Institute of Technology, and I did a  
24           seventh year as what we call social service  
25           work where you go and work for one year in

1       an underserved community.

2           Q.    And in terms of what took you to  
3       Mexico to get your M.D. degree, did you grow  
4       up there, or did you grow up in the United  
5       States, and you went to Mexico for that  
6       education?

7           A.    Although I was born in the United  
8       States, I grew up in Mexico. That's where I  
9       went to primary and high school.

10          Q.    So you are -- I'm not trying to  
11       be offensive about this at all in view of  
12       our recent immigration debate here in this  
13       country, but I just want factually to make  
14       sure I understand this.

15           You are a United States citizen by  
16       virtue of being born here?

17          A.    Yes, sir.

18          Q.    And your family, though, was living  
19       in Mexico, and so, you grew up in Mexico?

20          A.    That is correct.

21          Q.    And so, whatever would be the  
22       equivalent in Mexico of elementary education  
23       and secondary high school education, that all  
24       was in Mexico as well?

25          A.    Yes, sir.

1       Q.   And then, after you got your M.D.  
2       degree, you came to the United States -- came  
3       back to the United States, I should say, and  
4       did your internship and your residency and  
5       your fellowship?

6       A.   That is correct.

7       Q.   Okay. And after your fellowship,  
8       then, it looks like you were -- your CV says  
9       you were an attending physician at several  
10      hospitals, including the Texas Children's  
11      Hospital; is that right?

12      A.   Yes, sir.

13      Q.   Is the Texas Children's Hospital --  
14      and I've been down there to that complex, and  
15      it's pretty confusing -- but is the Texas  
16      Children's Hospital the Children's Hospital  
17      that's associated with the Baylor College Of  
18      Medicine where you did your pediatric  
19      infectious disease fellowship?

20      A.   Yes, sir.

21      Q.   And there's another Children's  
22      Hospital right there in that same complex,  
23      isn't there?

24      A.   Yes, there is. Harmon Hospital  
25      has a children's center, which is a hospital

1       within a hospital, if that makes sense.

2           Q.    And what educational institution is

3       the Harmon Children's Hospital associated with?

4           A.    University of Texas at Houston.

5           Q.    So your affiliations, if you will,

6       have always been with the Baylor College of

7       Medicine rather than the University of Texas

8       School of Medicine?

9           A.    That is correct.

10          Q.    And --

11          A.    And presently with Tulane

12       University.

13          Q.    And when you -- I'm sorry. Tulane

14       in addition to the Baylor College of

15       Medicine?

16          A.    Well, as you are aware, because of

17       the New Orleans hurricane, the medical school

18       and the residents have moved to Houston. So

19       they're under the supervision of Baylor

20       physicians.

21          Q.    Okay.

22          A.    Three major medical schools within

23       one block of each other.

24          Q.    And then, when you were from '93

25       to 2002 listed as attending physician at the

1 Children's Texas Hospital and attending  
2 physician at the VenTaub, that's capital  
3 V-e-n, capital T-a-u-b General Hospital, what  
4 does that mean that you were an attending  
5 physician?

6 A. My employer was Baylor College of  
7 medicine, and Baylor provides the physicians  
8 to do the medical care at Texas Children's  
9 and Ven Taub Hospital.

10 Q. And is your employment currently by  
11 Baylor College of Medicine, or is it also by  
12 Tulane?

13 A. No. It's by Baylor College of  
14 Medicine.

15 Q. So if I'm understanding right,  
16 since you graduated or finished your  
17 fellowship, you have been employed by the  
18 Baylor College of Medicine?

19 A. No, that is not correct.

20 I just returned to Baylor College  
21 of Medicine.

22 Before that, I spent three years  
23 at the Mayo Clinic in Rochester, New York.

24 Q. Oh, I gotcha. Okay. Okay.

25 Now, the questions get even easier.

1       And I know the answers to these by looking  
2       at your CV, but I just need to go through  
3       it.

4           You have never been a registered  
5       nurse, have you?

6       A.   That's correct.

7       Q.   And you have never been trained as  
8       a registered nurse, have you?

9       A.   That's correct.

10      Q.   You have never been a neonatal  
11       nurse practitioner, have you?

12      A.   That is correct.

13      Q.   And you have never been trained as  
14       a neonatal nurse practitioner?

15      A.   That's correct.

16      Q.   Have you ever testified in a case  
17       before as an expert in the standard of care  
18       for nursing?

19      A.   From the standpoint of the medical  
20       care, yes, I have.

21      Q.   And what case or what cases were  
22       those?

23      A.   I don't know that I can tell you  
24       specifically which cases they were.

25           If the list is still available, I

1 may take a quick look and --

2 MR. MARTIN: Okay. Is Exhibit 2

3 still available there somewhere?

4 MR. NIXON: Which one is that?

5 MR. BUXTON: It's his history.

6 BY MR. MARTIN:

7 Q. Is this the same thing you've

8 looking at, Exhibit 2?

9 A. Yeah. I am sorry, but I am not  
10 able to tell you specifically which cases I

11 did testify as to the nursing standard of  
12 care.

13 Q. Have you ever testified as an  
14 expert witness before in a case where you  
15 were testifying as an expert in the standard  
16 of care for neonatal nurse practitioners?

17 A. I don't think so.

18 Q. Have you ever written anything or  
19 published anything about nursing practice?

20 A. No, I have not.

21 Q. Have you ever written anything or  
22 published anything about neonatal nurse  
23 practitioner practice?

24 A. No, I have not.

25 Q. Have you ever given a lecture

1 about nursing practice?

2 A. Yes, I have.

3 Q. Tell me about that, please.

4 A. As part of my role as an academic

5 physician, I frequently teach in nurses

6 courses or nurse practitioner courses on

7 selected IV topics.

8 Q. And so, you teach nurses about

9 infectious disease?

10 A. That's correct.

11 Q. And you would agree that nurses

12 aren't as knowledgeable as you are about

13 infectious disease, true?

14 A. That's correct.

15 Q. And likewise, you'd agree that

16 neonatal nurse practitioners aren't as

17 knowledgeable as you are about infectious

18 disease? Agree?

19 A. Certain infections, that's correct.

20 Q. Okay. The last thing I think I

21 wanted to ask you about.

22 You indicated, as I understood it,

23 that in terms of the period surrounding

24 midnight between May 18th and May 19th -- you

25 got the time period there?

1           A. Yes.

2           Q. It's your opinion that, at that  
3 time, Krysta Vitetta did not have meningitis;  
4 is that true?

5           A. That's correct.

6           Q. Would you agree, then, that if a  
7 lumbar puncture had been performed around that  
8 time, around midnight between May 18 and May  
9 19, that the findings resulting from that  
10 lumbar puncture would not have been abnormal?

11          A. More likely than not, they would  
12 not have been abnormal.

13          Q. So just to put it in the terms  
14 that we use, to a reasonable degree of  
15 medical probability, the findings from a  
16 lumbar puncture at that time would not have  
17 been abnormal, true?

18          A. That's correct.

19           MR. MARTIN: That's all the  
20 questions I have. Thank you, sir.

21           THE WITNESS: Thank you.

22           EXAMINATION

23           BY-MR.JAUDON:

24          Q. Doctor, we met off the record.  
25 I'm Joe Jaudon, and I represent Dr. Reich.

1       So I'd like to ask you a few questions.  
2       I'll try not to duplicate anything that has  
3       been done.  
4           Would you agree, sir, that not all  
5       cases of death or severe brain damage  
6       resulting from gram-negative septicemia or  
7       gram-negative meningitis are due to substandard  
8       care?

9           A. I would agree with that.  
10          Q. And that there are cases where  
11       babies die or sustain significant brain damage  
12       where the medical care was appropriate?

13          A. That is correct.  
14          Q. And the fact in this particular  
15       case, the fact alone that Krysta developed an  
16       infection that caused extensive brain damage,  
17       that fact alone does not mean that the  
18       conduct of Dr. Reich fell below the standard  
19       of care, does it?

20           MR. BUXTON: Object to form.  
21          BY MR. JAUDON:  
22          Q. I am asking you to consider just  
23       the mere fact of damage to Krysta.  
24           That fact alone does not mean that  
25       Dr. Reich, his conduct fell below the

1 standard of care?

2 MR. BUXTON: Object to form.

3 THE WITNESS: That by itself does  
4 not necessarily indicate that Dr. Reich's care  
5 fell below the standard of care.

6 BY MR. JAUDON:

7 Q. Okay, sir.

8 And the infection experienced by  
9 Krysta and the resultant brain damage is the  
10 type of complication that can and does occur  
11 even though the physician is practicing within  
12 the standard of care?

13 A. That is correct.

14 Q. Would you agree, sir, that the  
15 type of an infection experienced by Krysta  
16 and the resultant brain damage is something  
17 that, tragically, cannot always be prevented?

18 A. Yes, that is correct.

19 Q. Now, without going back over the  
20 literature again, are you familiar with the  
21 fact that there are multiple publications  
22 where there is a reference to appropriate  
23 initial antibiotic therapy where you have a  
24 strong suspicion of sepsis that appropriate  
25 initial empiric antibiotic therapy is

1 Ampicillin and gentamicin?

2 A. That is correct.

3 Q. Okay. And that initial empiric  
4 treatment for the newborn with suspected  
5 bacterial sepsis or meningitis is also  
6 reported -- and I'm saying the initial  
7 treatment -- as Ampicillin and gentamicin?

8 Are you aware of that literature?

9 A. Yes, I am.

10 Q. And that literature was in effect  
11 in 2002, at the time Krysta was born?

12 A. Yes, sir.

13 Q. And sir, are you familiar with the  
14 publication Krugman's Infectious Diseases of  
15 Children?

16 A. I am.

17 Q. And is that a respected publication  
18 as it relates to diagnose, care, and  
19 treatment of neonates with meningitis and  
20 sepsis?

21 MR. BUXTON: Object to form.

22 THE WITNESS: I do not know enough  
23 about that publication to be able to give you  
24 such opinion.

25 ///

1 BY MR. JAUDON:

2 Q. Do you know whether that  
3 publication appears in the library of your  
4 hospital?

5 A. Yes, it is.

6 Q. And is it used as a resource by  
7 the physicians in training at your  
8 institution?

9 MR. BUXTON: Object to foundation.

10 THE WITNESS: I do not know if it  
11 is used by them on a regular basis.

12 BY MR. JAUDON:

13 Q. I didn't ask you if it was used  
14 on a regular basis, but is it one of the  
15 tools that is used by residents in training  
16 at your institution?

17 A. Yes, it is.

18 Q. And for physicians who have staff  
19 privileges at your institution?

20 A. That's correct.

21 Q. I want you to assume as true that  
22 Dr. Gerdes in this case testified that the  
23 initial evaluation by Dr. Reich of Krysta and  
24 the treatment of her was appropriate.

25 Would you have any reason to

1 dispute that, that opinion?

2 MR. BUXTON: Object to form and  
3 foundation.

4 BY MR. JAUDON:

5 Q. That opinion?

6 A. And again, you're asking me to  
7 assume that?

8 Q. Yes, sir, please.

9 A. I don't -- I have no reason to  
10 dispute that Dr. Reich might have testified  
11 as to such statement.

12 Q. Do you have any reason to dispute  
13 that opinion, the opinion of Dr. Gerdes?

14 MR. BUXTON: Object to form.

15 THE WITNESS: No. I would -- I  
16 would disagree with that.

17 BY MR. JAUDON:

18 Q. Okay. And Doctor, in your  
19 opinion, is that the subject upon which  
20 reasonable experts can disagree?

21 MR. BUXTON: Object to form.

22 THE WITNESS: You're asking me to  
23 give a factual statement based on a  
24 theoretical situation, so I don't know that I  
25 can answer your question.

1 BY MR. JAUDON:

2 Q. Oh, okay.

3 It is your feeling that the  
4 initial evaluation by Dr. Reich was not  
5 adequate, or do you have an opinion in that  
6 regard?

7 A. It is my opinion that his initial  
8 evaluation was appropriate.

9 Q. Okay, sir. I want you to assume  
10 as true that his working diagnosis at that  
11 time was sepsis with the possibility of NEC  
12 and the possibility of meningitis. All  
13 right?

14 A. I would to assume that.

15 Q. Would that be a reasonable  
16 diagnosis, in your opinion?

17 A. It would.

18 Q. I want you to assume as further  
19 true that he ordered Ampicillin and  
20 gentamicin, and the order from that, it's  
21 unclear from the record, but the first  
22 medication, the Ampicillin was given at 5:00  
23 followed by the gentamicin at 6:00.

24 Okay, sir?

25 A. That's what the records reflect.

1           Q.   And I want you to assume as true  
2        that Dr. Reich had two conversations with  
3        nurse -- with neonatal Nurse Practitioner  
4        Stilson. The first conversation was sometime  
5        after 4:00, and she advised him of the  
6        information contained in her 1600 note.

7           Okay?

8           A.   Okay.

9           Q.   With the exception of the addendum  
10      that appears on the side of the note.

11           Further assume as true that after  
12      hearing this information, Dr. Reich said he  
13      was on the way in and got in his car and  
14      was going to the hospital, and while still in  
15      his car, I believe literally in the parking  
16      lot of the hospital, he received a second  
17      call from Nurse Stilson advising of the white  
18      count that had fallen to, I believe it's 2.7;  
19      is that correct?

20           A.   2.4.

21           Q.   2.6.

22           MR. BUXTON: 2.6.

23           THE WITNESS: 2.6.

24           BY MR. JAUDON:

25           Q.   To 2.6 from 6.4 is the earlier

1 count?

2 A. That's correct.

3 Q. She also advised him of the  
4 differential, and he told her at that time to  
5 immediately get the order in for amp and gent  
6 and have the baby transferred to the  
7 intensive care unit.

8 Was that reasonable?

9 A. Yes, it was.

10 Q. Okay. And when I say,  
11 "reasonable," that also fell within the  
12 standard of care?

13 A. Up to that point, yes.

14 Q. Yes. And then, I want you to  
15 assume as true that he comes in, sees the  
16 baby, evaluates the baby's condition -- oh, I  
17 left out two things.

18 One was that during the phone  
19 conversation, Nurse Stilson -- the first phone  
20 conversation, Nurse Stilson said to Dr. Reich  
21 that she believed the child looked quite ill  
22 and that Dr. Reich then, when he sees the  
23 child after he arrives at the hospital, 5:00,  
24 5:15, somewhere in that time zone, he too  
25 feels -- looks at the child and feels the

1 child is very sick and believes at that time  
2 that the child is septic.

3 Is that reasonable?

4 A. Is it reasonable to assume that?

5 Q. Yes. For him to assume that.

6 A. Yes.

7 Q. And that he felt the child -- I

8 want you to assume the following are true.

9 These signs appeared between 5:00

10 and 7:00. The child had runny respirations;

11 is that correct?

12 A. That's correct.

13 Q. Distended abdomen; is that right?

14 A. That is correct, but it had been

15 present even before.

16 Q. Yes, sir. But it was present at

17 the time he sees the child?

18 A. Yes, sir, that's correct.

19 Q. Tender abdomen?

20 A. Yes, sir.

21 Q. Tense abdomen?

22 A. Yes, sir.

23 Q. Tachypnea?

24 A. Yes, sir.

25 Q. Respiratory rate 60 breaths per

- 1 minute?
- 2 A. Yes, sir.
- 3 Q. Color gray?
- 4 A. Yes.
- 5 Q. Decreased perfusion?
- 6 A. Yes, sir.
- 7 Q. Respiratory difficulty?
- 8 A. Yes, sir.
- 9 Q. Extremities cool to the touch?
- 10 A. Yes, sir.
- 11 Q. Pallor?
- 12 A. Yes, sir.
- 13 Q. Mottling extremities?
- 14 A. Yes, sir.
- 15 Q. Unstable temperature?
- 16 A. That's correct.
- 17 Q. A history of temperatures, series
- 18 of temperatures greater than a hundred?
- 19 A. That is correct.
- 20 Q. Neutropenia?
- 21 A. Yes, sir.
- 22 Q. Thrombocytopenia?
- 23 A. Yes, sir.
- 24 Q. Irritability?
- 25 A. Yes.

1 Q. And he found full fontanel?

2 A. That's correct.

3 Q. Color pale, skin feels hot

4 centrally, and extremities very cool; is that

5 correct?

6 A. Yes, sir.

7 Q. And at 1844, the baby became

8 apneic with desats to 58?

9 A. Yes, sir.

10 Q. And the white count of 2600, what

11 does that demonstrate, a white count that has

12 fallen to 2600?

13 A. That is in the context of --

14 Q. This case?

15 A. -- what is going on that the

16 sepsis has progressed, and this patient is

17 now exhibiting multiple signs consistent with

18 sepsis and the development of meningitis.

19 Q. And literally, a life-threatening

20 condition?

21 A. That is correct.

22 Q. And sir, the platelets falling from

23 164,000 to 127, what does that signify?

24 A. Well, it indicates that the

25 platelets are being consumed, are being used

1 somewhere.

2 Q. And does it reflect a potential

3 coagulopathy problem?

4 A. Yes, sir.

5 Q. And the next platelet that was

6 reported at 8:00 that evening, the platelets

7 were 102,000.

8 Does that support a coagulopathy

9 problem?

10 A. Yes, sir.

11 Q. And sir, the falling white count,

12 does that signify or indicate that the pool

13 of white cells that fight bacteria is being

14 exhausted?

15 A. Yes, sir.

16 Q. Would you agree the total

17 neutrophil count of less than 4,000 suggests

18 depletion of bone marrow reserves?

19 A. Yes.

20 Q. And in this particular case, her

21 total neutrophil count was 1.3, was it?

22 A. Are you referring later on that

23 day?

24 Q. This is, I believe, roughly 8:00

25 p.m. that evening.

1           Do you see it?

2           MR. TIEMEIER: I show it 1.5.

3           Oh, wait. Platelet count?

4           THE WITNESS: Neutrophil.

5           MR. JAUDON: Neutrophil.

6           THE WITNESS: That is correct.

7           BY MR. JAUDON:

8           Q.     And sir, would you agree that this  
9           represents a depletion of the bone marrow  
10          reserves and can literally mean overwhelming  
11          sepsis?

12          A.     Yes, sir.

13          Q.     And how long had that been going  
14          on? How long, in your opinion, would the  
15          neutrophil count have been critically low?

16          MR. BUXTON: Object to foundation.

17          THE WITNESS: There's no way of  
18          knowing how long that had been going on.

19          We do know that a CBC done around  
20          midnight of the 19th still showed normal  
21          white count, but we don't know what -- how  
22          long had it been since the development of the  
23          abnormalities in white count and neutrophil  
24          count and platelets.

25          ///

1 BY MR. JAUDON:

2 Q. You said that the white count  
3 showed a normal white count at midnight on  
4 the 19th.

5 Did you mean the 18th, sir?

6 A. It's really -- I'm sorry.

7 Midnight of the 18th.

8 Q. Okay. And also, the white count  
9 on the afternoon of the 19th was 6400, wasn't  
10 it?

11 A. No, sir. It was --

12 Q. The afternoon of the 19th?

13 A. The afternoon of the 19th, the  
14 white count was 2,600.

15 Q. I apologize. Now I'm doing it.

16 The afternoon of the 18th, the  
17 white count was 6400?

18 MR. BUXTON: Can you show him?

19 MR. JAUDON: Yes.

20 MR. BUXTON: I don't have it.

21 THE WITNESS: I don't have a CBC  
22 on the 18th.

23 MR. JAUDON: Okay.

24 MR. BUXTON: Do you have the 19th?

25 ///

1 BY MR. JAUDON:

2 Q. I apologize. This is the 19th at

3 5 minutes after midnight.

4 A. And that's why I refer to it as

5 the 19th.

6 So the one at midnight of the 18th

7 and that transition between the 18th and the

8 19th was normal.

9 Q. Okay.

10 A. That's what I was referring to.

11 Q. And then, the next white count

12 recorded was the 2.6; is that correct?

13 A. That's correct. So somewhere  
14 between those 16 hours, the white count, the  
15 platelet count, the neutrophil count went  
16 below normal.

17 Q. And further, I want you to assume  
18 as true that he looks at this baby, and in  
19 his medical judgment, he feels that this  
20 baby's condition is so critical that she  
21 cannot undergo a lumbar puncture at that  
22 time.

23 Would you agree that that is a  
24 reasonable judgment call on his part, taking  
25 into consideration the total clinical picture

1       that he had available to him?

2            MR. BUXTON: Object to form.

3            At what time?

4            BY MR. JAUDON:

5            Q.     At the time he sees the patient.

6            MR. BUXTON: Because the symptoms

7        you gave, you said happened between 5:00 and

8        7:00.

9            MR. JAUDON: That's correct.

10          During that time. He's there the entire

11        time.

12            BY MR. JAUDON:

13            Q.     If you assume those facts are  
14        true, was that a reasonable judgment call on  
15        his part to defer lumbar puncture at that  
16        time?

17            MR. BUXTON: Object to the form.

18            THE WITNESS: Although I disagree  
19        that the condition was critical enough that a  
20        lumbar puncture could not be performed, I do  
21        not disagree that that was a judgment call.

22            BY MR. JAUDON:

23            Q.     And a reasonable one within the  
24        standard of care?

25            MR. BUXTON: Object to form.

1               THE WITNESS: And again, as I  
2       answered before, I don't know that I could  
3       answer that in terms of the standard of care  
4       because, again, I disagree as a pediatrician  
5       and pediatric infectious specialist that there  
6       was a contraindication to do a lumbar  
7       puncture.

8               BY MR. JAUDON:

9               Q.     Well, that's your opinion, and you  
10      would have, under this set of circumstances,  
11      probably done a lumbar puncture or had  
12      somebody else do it.

13               As a primary care pediatrician  
14      caring for this child at that time, I ask  
15      you to assume as true that the items that I  
16      listed, the signs that I listed, were the way  
17      the baby appeared at that time, and Dr. Reich  
18      made a judgment call to not do a lumbar  
19      puncture at that time.

20               Within the broad spectrum of  
21      standard of care, forgetting about the issue  
22      relating to antibiotics for a moment, would  
23      that be a reasonable decision?

24               MR. BUXTON: Object to form.  
25               Could you define "at that time"?

1 BY MR. JAUDON:

2 Q. I'm talking about between 5:00 and  
3 7:00.

4 MR. BUXTON: Object to the form.

5 THE WITNESS: I don't disagree  
6 that the standard of care is to make a  
7 decision based on what you are faced with.

8 What I disagree with is that there  
9 were enough concerns to withhold the  
10 performance of the lumbar puncture.

11 BY MR. JAUDON:

12 Q. All right. Do you acknowledge  
13 that in the peer-reviewed medical literature  
14 and the textbooks that you referred to  
15 earlier that it does provide that a physician  
16 evaluating a patient, if that physician feels  
17 that to do a lumbar puncture would run the  
18 risk of cardiovascular compromise for the  
19 patient that the physician can exercise the  
20 judgment to defer the lumbar puncture?

21 A. Yes, sir, I would.

22 Q. Okay. And would that be within  
23 the standard of care, then, in your opinion,  
24 to defer the lumbar puncture in this case for  
25 a period of time?

1 MR. BUXTON: Object to the form.

2 THE WITNESS: Yes, it would.

3 BY MR. JAUDON:

4 Q. Now, as I understand it, Dr.

5 Reich, at approximately 7:30 p.m., calls for

6 Dr. Laird to consult on the case.

7 Are you aware of that?

8 A. Yes.

9 Q. And according to the chart, that

10 occurs at roughly 7:30 p.m., and at roughly

11 8:00, Dr. Laird arrives and assumes the

12 primary care of Krysta.

13 Is that your understanding?

14 A. Yes, sir.

15 Q. Was that a reasonable thing for

16 Dr. Reich to do, to bring in a neonatologist?

17 A. Yes, it was.

18 Q. Okay. Am I correct that the

19 primary focus of your criticisms with Dr.

20 Reich deal with this point that you raised,

21 and that is, not bringing onboard an

22 additional antibiotic, namely, cefotaxime?

23 MR. BUXTON: Object to the form.

24 THE WITNESS: My criticisms have

25 been not -- are around the -- or, focus

1 around the lack of enough suspicion to bring  
2 an antibiotic onboard such as cefotaxime.

3 BY MR. JAUDON:

4 Q. Okay. Now, you have told us, I  
5 believe, that you feel that meningitis --  
6 that this baby had meningitis when her  
7 fontanel was reported as full; is that  
8 correct?

9 A. I have said that once the fontanel  
10 was full, that raises the possibility that  
11 meningitis had already established.

12 Q. Did you not testify here, sir,  
13 that your opinion with reasonable medical  
14 probability was that when the fontanel was  
15 reported as full in this case that meningitis  
16 had already been established?

17 A. That's -- that is correct.

18 Q. And that still is your opinion  
19 now?

20 A. Yes, it is.

21 Q. All right. Now, in order for  
22 meningitis to exist, does not the bug, in  
23 this case, Citrobacter, have to at least some  
24 of it cross the blood brain barrier?

25 A. Yes, it does.

1           Q.   And seeded in the meninges?  
2           A.   That's correct.  
3           Q.   And how long would it be from the  
4         time the bug crossed the barrier and seeds in  
5         the meninges before the fontanel would appear  
6         as full?

7           A.   It is impossible to know how long,  
8         but it's certainly -- it's not an  
9         all-or-nothing phenomena. It's not one thing  
10        that there wasn't meningitis one moment, and  
11        then meningitis developed a minute later.

12           It's an evolving process. So it  
13         will depend on how many bacteria cross, how  
14         much inflammation it's causing.

15           There's no way to determine how  
16         long it took from the time that meningitis  
17         developed to the time that the fontanel  
18         became full.

19           Q.   But you do agree that some period  
20         of time had to pass?

21           A.   Yes.

22           Q.   And can you give us your best  
23         estimate as to how much time that would be?

24           MR. BUXTON: Object to the form.

25           THE WITNESS: That is not

1 possible.

2 BY MR. JAUDON:

3 Q. Can you give me a range?

4 MR. BUXTON: Object to form.

5 THE WITNESS: Well, we know that

6 Krysta did not have meningitis in the late

7 hours of the 18th and the early hours of the

8 19th, and we know that she likely had

9 meningitis by 6:00 p.m. that day. So

10 somewhere in between was when meningitis

11 developed, and then after that, the finding

12 of a full fontanel.

13 BY MR. JAUDON:

14 Q. Okay. And you said 6:00 p.m., but

15 I think you also told us that, looking at

16 the 4:00 p.m. entry that talks about fontanel

17 full, it is your opinion with reasonable

18 degree of medical probability that, at that

19 time, she had meningitis?

20 A. That is correct.

21 Q. All right.

22 A. And I meant to say 16 hours.

23 Q. 1600 hours.

24 A. So 4:00 p.m. yes.

25 Q. Now, do you have an opinion with

1 reasonable degree of medical probability as to  
2 whether -- as to when there should have been  
3 a change in the antibiotic treatment that had  
4 been initially ordered by Dr. Reich? And  
5 that was the Ampicillin and gentamicin? Do  
6 you have an opinion as to, first of all,  
7 whether there should be a change in it, and  
8 secondly, when that should have occurred?

9 A. It's my opinion that at the time  
10 that Dr. Reich decided not to perform a  
11 lumbar puncture because of the suspicion of  
12 meningitis that the addition or substitution  
13 of cefotaxime should have taken place.

14 Q. Okay, sir.

15 I'm going to shift gears to  
16 another subject for just a moment, and then,  
17 I'll come back to what we're talking about.

18 This is a deposition transcript for  
19 a deposition you gave in McKinnin versus  
20 Blanchett in September 2003 in the State of  
21 Minnesota.

22 Do you recall that deposition, that  
23 case?

24 A. Tell me again the case, sir.

25 Q. The case is Brenda McKinnin and

1       others versus Suzanne Blanchett Macki,  
2       M-a-c-k-i, M.D.

3                  Do you remember that?

4       A.   I remember the --

5       Q.   The case?

6       A.   The name of the case, yes.

7       Q.   In the deposition -- and this case

8       dealt with meningitis, and in the deposition

9       in this case, I just want to read some

10      questions and answers to you and have you

11      tell me what you meant.

12                  All right, sir? It says:

13                  "Okay. We're going to get into  
14                  that in a minute, but Doctor, let me go back  
15                  to the antibiotics of choice here.

16                  "Given the condition of this child  
17                  and not having the benefit of cultures that  
18                  -- because you wouldn't have the cultures  
19                  back at that period of time, correct?

20                  "Answer: That's correct.

21                  "Question: How would you know  
22                  what to use to treat the child's condition?

23                  "Answer: We make the decision  
24                  based on our knowledge of what the most  
25                  common and likely organisms are in this age

1 group with this -- with these particular  
2 characteristics. So typically, we choose an  
3 antibiotic that will cover organisms like  
4 group B strep, pneumococcus, E. coli,  
5 Klebsiella, and other gram-negative bacteria."  
6 And this is the part I'm talking about.

7 "The choice varies from institution  
8 to institution, but it is typically a  
9 third-generation cephalosporin, such as  
10 cefotaxime." Okay? What did you mean by  
11 "this choice," referring to antibiotics,  
12 "varies from institution to institution? What  
13 did you mean by that?

14 A. As I alluded to earlier, what I  
15 meant is that some institutions have  
16 guidelines that call for using Ampicillin and  
17 gentamicin for the empiric treatment of  
18 suspected sepsis while other institutions, such  
19 as the Mayo Clinic, who I was working for at  
20 that time, call for cefotaxime and Ampicillin.

21 Q. And I think you said that the  
22 survey or poll that was conducted was almost  
23 most like a 50-50 split?

24 A. That was my recollection.

25 Q. Where 50 percent were like amp and

1       gent and 50 percent were cefotaxime?

2           A.    That's correct.

3           Q.    And we're talking about for the

4       empiric administration of antibiotics in

5       suspected meningitis?

6           A.    No way. In suspected sepsis.

7           Q.    All right. Would you agree that

8       there is no clinical or scientific study

9       reported in the peer review literature that

10      establishes there is improvement in morbidity

11      or mortality with the use of cefotaxime as

12      compared to Ampicillin and gentamicin in the

13      treatment of gram-negative bacterial sepsis or

14      meningitis?

15           MR. BUXTON: Object to form.

16           BY MR. JAUDON:

17           Q.    Would you agree with that?

18           A.    As we have discussed earlier, I am

19      not aware of such a complication.

20           Q.    Would you agree that there is no

21      scientific study reported in the peer review

22      medical literature that has established that

23      antibiotic treatment with third-generation

24      cephalosporin, cefotaxime, instead of amp and

25      gent results in an increased survival or more

1        rapid recovery from gram-negative bacterial  
2        meningitis?

3            MR. BUXTON: Object to form.

4            BY MR. JAUDON:

5        Q.    Would you agree with that  
6        statement?

7        A.    I would agree with that statement  
8        with the qualifier that I am not aware of  
9        such a publication.

10      Q.    Such information appearing in the  
11     peer review literature?

12      A.    That's correct.

13      Q.    Doctor, could you describe for us  
14     -- and I'm going to talk specifics now --  
15     the specific types of deficits that Krysta  
16     has as the result of her brain damage?

17            MR. BUXTON: Object to foundation.

18            BY MR. JAUDON:

19      Q.    Or do you feel that that's outside  
20     your area of expertise?

21      A.    I have not looked at her deficits  
22     from the standpoint of a pediatrician or a  
23     neurologist, so I'm not prepared to give an  
24     opinion as to that.

25      Q.    And would you agree, sir, that

1 under that set of circumstances, you do not  
2 have a basis to give an opinion as to  
3 whether earlier administration of cefotaxime in  
4 this case would have prevented the brain  
5 damage sustained by Krysta?

6 MR. BUXTON: Object to form.

7 BY MR. JAUDON:

8 Q. Would you agree with that?

9 A. I don't see how that is a  
10 correlation.

11 I am prepared to testify that, in  
12 my opinion, the administration of antibiotic  
13 at an earlier time -- of cefotaxime,  
14 antibiotic, at an earlier time would have,  
15 more likely than not, made a difference in  
16 the ultimate neurologic outcome of this child.

17 Q. Have you in your practice evaluated  
18 and treated children for neurological deficits  
19 like Krysta?

20 A. Yes, I have.

21 Q. Do you call in a neurologist,  
22 pediatric neurologist, to participate, to  
23 consult in those kind of cases?

24 A. Very frequently, I do.

25 Q. And can you tell me how many times

1       in your career that you have actually done  
2       the evaluation and management of a child with  
3       damage like Krysta's?

4            MR. BUXTON: Object to the form.

5            THE WITNESS: If you -- how many  
6       times I have --

7            BY MR. JAUDON:

8           Q.     Yes.

9           A.     -- cared for patients like this?

10          Q.    Cared for -- and I'm talking about  
11       the brain damage aspect of the care.

12          MR. BUXTON: Object to form.

13          THE WITNESS: I do not provide the  
14       care for the brain damage aspect of it.

15          BY MR. JAUDON:

16          Q.    Okay. That's outside of your  
17       field?

18          A.    That's correct.

19          Q.    Would you agree, sir, that you are  
20       not qualified to express an opinion as to  
21       whether or not Krysta's brain damage, the  
22       brain damage she sustained in this case,  
23       would have been prevented by the  
24       administration, adding onto the antibiotic  
25       regimen, cefotaxime?

1 MR. BUXTON: Object to form.

2 THE WITNESS: No. I disagree with  
3 your statement.

4 I am qualified to do that because,  
5 as it has been established here, antibiotics  
6 given at an earlier time would have prevented  
7 the development of meningitis as a total.

8 BY MR. JAUDON:

9 Q. Okay. Tell me when that time is.

10 You say, "earlier time."

11 You say that at an earlier time,  
12 antibiotic put onboard would have prevented  
13 the meningitis.

14 A. That's correct.

15 Q. Is that before the meningitis  
16 started, then?

17 A. This is before the meningitis had  
18 developed.

19 Q. Okay. Had crossed the blood brain  
20 barrier?

21 A. That's correct.

22 Q. And when in history -- when in  
23 point of time did that occur?

24 A. We don't know. Nobody knows.

25 Q. Would you agree that there are no

1 scientific studies that appear in the  
2 peer-reviewed medical literature that support  
3 the proposition that adding cefotaxime on the  
4 evening -- and I'm talking about from 5:00  
5 p.m. on -- on the evening of 5-19 would have  
6 prevented the brain damage sustained by this  
7 child?

8 MR. BUXTON: Object to form.

9 THE WITNESS: I do not think that  
10 the administration of antibiotics at that time  
11 would have prevented the development of this  
12 neurologic outcome that she has.

13 BY MR. JAUDON:

14 Q. Okay, sir.

15 A. What I am saying is that  
16 antibiotics given at that time would have,  
17 more likely than not, had an effect in the  
18 overall outcome of this child.

19 Q. And the nature and extent of that  
20 effect, you are not qualified to say; is that  
21 correct, sir?

22 A. That is correct.

23 Q. Okay. And the opinions that you  
24 have just expressed are based upon reasonable  
25 medical probability?

1 A. Yes, sir.

2 Q. Okay. Other than what you've now  
3 testified to, do you have any other  
4 criticisms of Dr. Reich and his care in this  
5 case?

6 A. No, sir.

7 MR. JAUDON: Thank you, Doctor.

8 No further questions.

9 MR.VAN DOREN: No questions.

10 MR. TIEMEIER: Go ahead.

11 FURTHER EXAMINATION

12 BY-MR.NIXON:

13 Q. Just quickly, Doctor, do you know  
14 who Hal Jensen, M.D. is?

15 A. Yes, sir, I do.

16 Q. And who is Dr. Jensen?

17 A. Dr. Jensen is a pediatric  
18 infectious disease specialist who, until  
19 recently, was in San Antonio, and I believe  
20 now, he is in Virginia.

21 Q. He was at the University of Texas?

22 A. He was at the University of Texas  
23 Healthside Center in San Antonio.

24 Q. How are you familiar with him?  
25 Have you ever worked with him or run into

1       him?

2           A. Yes. I ran into him at a number  
3           of meetings.

4           Q. As far as you are aware, is he a  
5           well-qualified pediatric infectious doctor?

6           A. Yes, he is.

7           Q. Did you know he was the pediatric  
8           infectious disease editor of the 16th edition  
9           of Nelson's Textbook of Pediatrics?

10          A. I did not realize that until you  
11          just mentioned it.

12          Q. Is Nelson's a respected and  
13          well-respected resource for physicians?

14           MR. BUXTON: Object to form.

15           THE WITNESS: Nelson is a  
16          respected reference source.

17           MR. NIXON: Okay. That was my  
18          only follow-up. Thank you.

19           MR. TIEMEIER: Nothing.

20           THE WITNESS: We're going to be  
21          here out of time.

22           MR. JAUDON: Thank you, Doctor.

23           THE WITNESS: Thank you.

24           MR. JAUDON: And thank you for  
25          your courtesy.

1                   (The deposition was concluded at

2       6:05 p.m.)

3       .

4       .

5       .

6       .

7       .

8       .

9       .

10      .

11      .

12      .

13      .

14      .

15      .

16      .

17      .

18      .

19      .

20      .

21      .

22      .

23      .

24      .

25      .

1                   DESCRIPTION OF EXHIBITS

2       Exhibit Description

3       1      Notice of Deposition

4       2      Depositions in last 4 years for

5                   Dr. Armando Correa

6       .

7       .

8       .

9       .

10      .

11      .

12      .

13      .

14      .

15      .

16      .

17      .

18      .

19      .

20      .

21      .

22      .

23      .

24      .

25      .

## 1 CERTIFICATE OF REPORTER

2 STATE OF NEVADA )

3 SS:

4 COUNTY OF CLARK )

5 I, Cynthia K. DuRivage, a duly  
6 commissioned Notary Public, Clark County, State  
7 of Nevada, do hereby certify:

8 That I reported the taking of the  
9 deposition of the witness, ARMANDO G. CORREA,  
10 M.D., commencing on Friday, May 12, 2006, at  
11 1:28 p.m.

12 That I thereafter transcribed my  
13 said shorthand notes into typewriting and that  
14 the typewritten transcript of said deposition  
15 is a complete, true and accurate transcription  
16 of my said shorthand notes taken down at said  
17 time.

18 That review of the transcript was  
19 requested.

20 I further certify that I am not a  
21 relative or employee of an attorney or  
22 counsel of any of the parties, nor a relative  
23 or employee of an attorney or counsel  
24 involved in said action, nor a person  
25 financially interested in the action.

0192

1 IN WITNESS WHEREOF, I have hereunto  
2 set my hand in my office in the County of  
3 Clark, State of Nevada, this 23rd day of May,  
4 2006.

5 \_\_\_\_\_

6 Cynthia K. DuRivage, CCR 451

7 .

8 .

9 .

10 .

11 .

12 .

13 .

14 .

15 .

16 .

17 .

18 .

19 .

20 .

21 .

22 .

23 .

24 .

25 .

## 1                   CAPTION

2                 The Deposition of Armando Correa,  
3                 M.D., taken in the matter, on the date, and  
4                 at the time and place set out on the title  
5                 page hereof.

6                 It was requested that the deposition  
7                 be taken by the reporter and that same be  
8                 reduced to typewritten form.

9                 It was agreed by and between counsel  
10                and the parties that the Deponent will read  
11                and sign the transcript of said deposition.

12               .  
13               .  
14               .  
15               .  
16               .  
17               .  
18               .  
19               .  
20               .  
21               .  
22               .  
23               .  
24               .  
25               .

1                   CERTIFICATE

2       STATE OF                   :

3       COUNTY/CITY OF           :

4                  Before me, this day, personally

5                  appeared, Armando Correa, M.D., who, being

6                  duly sworn, states that the foregoing

7                  transcript of his/her Deposition, taken in

8                  the matter, on the date, and at the time and

9                  place set out on the title page hereof,

10                 constitutes a true and accurate transcript of

11                 said deposition.

12

13                 Armando Correa, M.D..

14                 .

15                 SUBSCRIBED and SWORN to before me this

16                 day of           , 2006 in the

17                 jurisdiction aforesaid.

18

19                 My Commission Expires   Notary Public

20                 .

21                 .

22                 .

23                 .

24                 .

25                 .

## 1 DEPOSITION ERRATA SHEET

2 .

3 RE: SetDepo, Inc.

4 File No. 10142

5 Case Caption: Andrew Vitetta, et Al. Vs.

6 Catholic Health Initiatives of Colorado, et al.

7

8 Deponent: Armando Correa, M.D..

9 Deposition Date: May 12, 2006

10 .

11 To the Reporter:

12 I have read the entire transcript of my  
13 Deposition taken in the captioned matter or  
14 the same has been read to me. I request  
15 that the following changes be entered upon  
16 the record for the reasons indicated. I  
17 have signed my name to the Errata Sheet and  
18 the appropriate Certificate and authorize you  
19 to attach both to the original transcript.

20 .

21 Page No. Line No. Change to:

22

23 Reason for change:

24 Page No. Line No. Change to:

25

0196

1      Reason for change:

2      Page No.    Line No.    Change to:

3

4      Reason for change:

5      Page No.    Line No.    Change to:

6

7      Reason for change:

8      Page No.    Line No.    Change to:

9

10     Reason for change:

11     Deposition of Armando Correa, M.D..

12     .

13     Page No.    Line No.    Change to:

14

15     Reason for change:

16     Page No.    Line No.    Change to:

17

18     Reason for change:

19     Page No.    Line No.    Change to:

20

21     Reason for change:

22     Page No.    Line No.    Change to:

23

24     Reason for change:

25     Page No.    Line No.    Change to:

0197

1

2      Reason for change:

3      Page No.    Line No.    Change to:

4

5      Reason for change:

6      .

7      .

8      SIGNATURE:\_\_\_\_\_ DATE:\_\_\_\_\_

9      Armando Correa, M.D..