

A P P E A R A N C E S

For the Plaintiff:

LAWRENCE LANDSKRONER & ASSOCIATES, LLC
 55 Public Square, Suite 1040
 Cleveland, Ohio 44113
 By: Joshua M. Dolesh, Esq.
 jdolesh@landskroner.com

For the Defendant Cleveland Clinic Foundation:

REMINGER COMPANY, LPA
 1400 Midland Building
 101 Prospect Avenue, West
 Cleveland, Ohio 44114-1273
 By: William A. Meadows, Esq.
 wmeadows@reminger.com

I N D E X

EXAMINATION OF CARL ADAMS, MD, FACS, FAACP	PAGE
By Mr. Meadows	3
SIGNATURE/CORRECTION PAGE	107/108
CERTIFICATE OF COMPLETION OF DEPOSITION	109

E X H I B I T S

FORMALLY MARKED/IDENTIFIED	PAGE
1 -- Contents of Dr. Adams's File	63
2 -- Case List of Depositions, Arbitration, And Court Testimony by Dr. Adams	64
3 -- Deposition of Thomas Watson, MD	98

Ellis Reporting Service (505) 327-3477

1 CARL ADAMS, MD, FACS, FACCP,
2 after having been first duly sworn under oath, was
3 questioned and testified as follows:

4 EXAMINATION

5 BY MR. MEADOWS:

6 Q. State your name, please, for the record.

7 A. **Carl Warren Adams.**

8 Q. Doctor, as you know, my name's Bill Meadows. I
9 represent the Cleveland Clinic with regards to a lawsuit
10 that was filed against it by Gerald Meeker. I'm going
11 to ask you some questions this morning that have to do
12 with your opinions in that case. You know that you've
13 been identified as an expert on behalf of the
14 Plaintiffs.

15 A. **Correct.**

16 Q. You've given deposition or two or three or more
17 before, so I won't belabor the preliminaries, but I
18 would like to make sure that we have an agreement at the
19 outset here that if I ask you any question that you do
20 not understand that you'll let me know that.

21 A. **I will.**

22 Q. Okay. What is your current address?

23 A. **My home address is 101 Becket Lake Drive,**
24 **Durango, Colorado, and my office address is St. Joseph**
25 **Hospital, 2700 East Dolberr, D-o-l-b-e-r-r, in Eureka,**
 Ellis Reporting Service (505) 327-3477

1 **California.**

2 Q. So the report that you've issued in this case
3 bears the address -- your home address, true?

4 **A. That's correct.**

5 Q. And the CV that I was provided also shows the
6 address as your home address.

7 **A. Correct.**

8 Q. Do you have an updated curriculum vitae with
9 you? The one I have is dated December, 2010.

10 **A. Yes, I have one updated April, 2011.**

11 Q. Do you know offhand what changes were necessary
12 from the December, 2010 to the April, 2011?

13 **A. Basically called back to Reserve in the Army
14 and a couple presentations, but basically -- oh, and my
15 general surgery recertification.**

16 Q. Okay. So the first question that I'd like to
17 ask you about your background is how is it that you live
18 in Durango, Colorado, and work in Eureka, California?

19 **A. Basically, I left my private practice in
20 Honolulu in 2000 and had visited here before with my
21 father, so I bought property here. And I was looking
22 for a -- basically, a position where I could work part-
23 time and do other things, some charitable work.**

24 Q. So in 2000 you left your practice in Hawaii and
25 moved your home to Durango.

1 some point then you began to only work at Eureka?

2 A. In 2005, I gave up the work in Rapid City,
3 South Dakota. We were trying to start a heart program
4 there and that fell through, so I was employed by
5 Parkview and Sister Mary Corwin in Memorial in Pueblo
6 and Colorado Springs. And I worked more, I worked three
7 weeks on and one week off, and then I was covering in
8 California on a less frequent basis.

9 In 2008, the Heart Institute in
10 California asked me to do a contract with them, so now
11 it's basically 190 days a year exclusive St. Joseph
12 Hospital, Eureka, California, and no longer in Pueblo
13 and Memorial in Colorado Springs.

14 Q. So that's been true since 2008.

15 A. Correct. And it's reflected on my CV.

16 Q. So tell me about the efforts that you made in
17 Rapid City to set up a heart program.

18 A. They asked two of us, Jim Oury and myself, to
19 help start a heart program based from the hospital, the
20 hospital employees. So we went through the contract
21 negotiations and we had finalized an agreement. We
22 wanted another guy, so we got another fellow, Tom
23 Garcia, to join us. And during the midst of our
24 starting to move forward, the cardiologists decided that
25 they weren't going to allow cardiac surgeons to work for
Ellis Reporting Service (505) 327-3477

1 the hospital, that we needed to be employed by them. We
2 said we're not working for cardiologists, so we had to
3 basically sue the hospital for a contract violation.

4 Q. And what came of that litigation?

5 A. They settled with me.

6 Q. When was that resolved?

7 A. 2005.

8 Q. So, essentially, for the last three years
9 you've been working 190 days at St. Joseph in Eureka.

10 A. Correct, exclusively.

11 Q. And how is the 190 days scheduled?

12 A. Basically, the other guy is there, John
13 Postell, who's the guy who started the program there in
14 1999. We had set up an agreement where we'll work on
15 two, off two, sometimes together for three or four days.
16 And just we plan like two or three months ahead of time.

17 Q. So in any given four-week period of time,
18 you're working two weeks.

19 A. Right. Or three, off two.

20 Q. But as it turns out in a given year, you're
21 working about fifty percent of the year in Eureka.

22 A. Correct. Well, I have a 1099 contract employee
23 with the hospital to provide them up to 190 days a year.

24 Q. Up to.

25 A. Correct.

1 Q. How many days did it turn out to be in 2010?

2 A. **About 180 plus.**

3 Q. And what are you on track for in 2011?

4 A. **The full year, 190 days, the full.**

5 Q. 190?

6 A. **Right.**

7 Q. And when you're there for those 190 days -- or
8 let's do it this way. If you're there for a two-week
9 stint, how many hours are you working during those two
10 weeks?

11 A. **I'm on 24/7 because I'm the only cardiac**
12 **surgeon there. And California has a thing called**
13 **Title 21 where because the cardiologists do invasive**
14 **procedures that we have to have in-house cardiac**
15 **surgery. We're linked with the general surgery group**
16 **and they are our first assistants and help us.**

17 Q. Do you see patients on an outpatient basis?

18 A. **Correct. Every Friday we have clinic.**

19 Q. Okay. So tell me about the nature of your
20 practice when you're there for those 190 days.

21 A. **It's primarily cardiovascular and thoracic**
22 **surgery, some vascular if the general surgeons need**
23 **help, and trauma call.**

24 Q. So that's pretty wide ranging. Between the
25 various areas that you just described, can you break it

1 down for me in terms of percentages where you spend your
2 time?

3 A. It's probably 60 percent cardiovascular and
4 thoracic, 20 percent probably vascular. And then I do
5 surgical critical care for that hospital as part of my
6 agreement, so consults from the ICU in the remaining
7 time.

8 Q. And when you say consults, do you round
9 routinely in the ICU, or is it on a consult basis?

10 A. Consult basis.

11 Q. Are there intensivists that cover the ICU
12 otherwise?

13 A. Right, our cardiac anesthesiologist is also a
14 critical care specialist, so he runs the ICU. And then
15 there's two pulmonologists who work part-time. It's
16 only a 12-bed ICU, so it's not that bad.

17 Q. How big a hospital is it?

18 A. It's 160 going to 240 beds. It's the only
19 regional hospital on the northern coast. It's part of
20 the St. Joseph Sisters of Orange County system.

21 Q. And is it just you and the other cardiothoracic
22 surgeon that covers the cardiothoracic surgery service?

23 A. Correct. John has limited himself to just
24 hearts. John Postell, the guy that's there, just likes
25 to do cardiac only. But I help them with the thoracic

1 and the vascular because the general surgeons have their
2 Thoracic Department, quote-unquote, that we help them
3 with.

4 Q. Is there a separate thoracic surgery group?

5 A. No, it's the general surgeons. One of the
6 general surgeons thinks he's a thoracic surgeon.

7 Q. Between you and the general surgeon who thinks
8 he's a thoracic, who does more thoracic?

9 A. He does more thoracic, simple lobectomies.
10 We'll do esophageal surgery with him and we'll do large
11 vessels because he does endovascular thoracic things.

12 Q. If there's an esophageal surgery at St.
13 Joseph's and you said you do it with him --

14 A. Correct.

15 Q. -- who's the lead surgeon?

16 A. What we do are co-surgeons.

17 Q. How do you divide the responsibility?

18 A. Well, it's 50/50. Because if he's out of town,
19 then I take care of the patients, and when I leave for
20 my two weeks, then he's taking care of the patients.

21 Q. I guess intraoperatively, how do you divide
22 responsibility?

23 A. I can't answer that, it's a hard one. I mean,
24 he'll do a thoracotomy, I'll mobilize the esophagus.

25 Sometimes whoever is closer will do the anastomosis. If

1 we're doing a colonic in position, he'll mobilize the
2 colon and then I'll help do the anastomosis in the neck.

3 Q. Are there times when patients at St. Joe's need
4 to be sent to a tertiary care facility?

5 A. Correct.

6 Q. And what tertiary care facility is utilized?

7 A. Stanford or UCLA. Sometimes for our
8 complicated cardiac cases, we'll send them to Pacific
9 Medical Center in San Francisco.

10 Q. You've described for me your practice during
11 the last three years or so. In terms of how you divided
12 your time amongst the various areas of surgery, was it
13 different when you were doing what you were doing
14 between 2000 and 2005 than 2005 and 2008?

15 A. A lot more general surgery and trauma at
16 Parkview and Corwin Memorial.

17 Q. What time frame was that?

18 A. 2002 to 2008. Predominantly cardiac and
19 vascular in Rapid City and less general surgery thoracic
20 in Eureka until 2008.

21 Q. Does the contract that you have for St.
22 Joseph's have a term?

23 A. Three years.

24 Q. When's the three years up?

25 A. I just re-signed one last year, so two more
Ellis Reporting Service (505) 327-3477

1 **years.**

2 Q. And do you have plans on what you intend to do
3 after that?

4 **A. I'm going to stay there.**

5 Q. So where do you currently have privileges?

6 **A. At St. Joseph Hospital in Eureka, California**
7 **only.**

8 Q. Have your privileges ever been suspended,
9 revoked, or limited for any reason?

10 **A. Never.**

11 Q. Where do you have a license currently?

12 **A. California and Colorado.**

13 Q. Has your license ever been revoked, suspended,
14 or limited for any reason?

15 **A. Never.**

16 Q. What areas are you currently certified?

17 **A. Board certified in cardiovascular and thoracic,**
18 **which is called the Thoracic Board, and just have**
19 **completed my recertification in surgery and gave up my**
20 **surgical critical care -- well, didn't give it up, I**
21 **just didn't recertify in 2005.**

22 Q. In critical care?

23 **A. Correct.**

24 Q. Does the Board Certification in thoracic
25 require recertification?

1 **A. Yes, every ten years. I just recertified last**
2 **year, so this is my third ten-year stint.**

3 **Q. Have you performed the surgery that**
4 **Dr. Pettersson was performing on Mr. Meeker?**

5 **A. Yes.**

6 **Q. On how many occasions?**

7 **A. In my career, probably over 50, 60 times. I'm**
8 **not a current fan of the maze procedure the way this was**
9 **performed. This was a radiofrequency ablation, so --**

10 **Q. When was the last time you did a mitral**
11 **tricuspid repair?**

12 **A. September of last year.**

13 **Q. So September, 2010?**

14 **A. Correct, on both a tricuspid and mitral**
15 **annuloplasty using the Cosgrove Ring.**

16 **Q. And how do you remember that specifically from**
17 **2010?**

18 **A. Because I'd just had two coronaries in December**
19 **of that year.**

20 **Q. Wait, say that again. I lost it.**

21 **A. So September of last year I did a bilateral --**
22 **a dual valve repair and then in December I had to go**
23 **back and do a coronary.**

24 **Q. The same patient?**

25 **A. The same patient, right.**

1 Q. In the last three years, how many times have
2 you done that type of procedure, a mitral tricuspid
3 repair?

4 A. **Infrequently for dual valve, usually just**
5 **mitral valve or isolated aortic valve.**

6 Q. So you're saying infrequently. Over the last
7 three years, how often have you performed that procedure
8 that Dr. Pettersson performed?

9 A. **Probably three times.**

10 Q. In the last ten, how many times have you -- the
11 last ten years?

12 A. **About 50 times, but not with a maze procedure.**
13 **Because the maze procedure, we usually do it**
14 **endoscopically.**

15 Q. So 50 times -- you told me you had done it
16 50 or 60 times in your career, so all 50 of those were
17 in the last 10 years?

18 A. **No, no, not in my career. In my career, I've**
19 **probably done 250 plus. In the last 10 years probably**
20 **50.**

21 Q. So earlier when I asked you how many times have
22 you done the procedure Dr. Pettersson was doing, you
23 said 50 to 60. What were you referring to?

24 A. **In the last 10 years.**

25 MR. DOLESH: Objection.

1 Q. So that I'm clear, you believe that you've done
2 the procedure that Dr. Pettersson did in this case 250
3 times in your career.

4 A. **Correct, if not more.**

5 Q. And the last 10 years 50 to 60 times.

6 A. **Correct. Without the maze procedure.**

7 Q. Okay. Based upon the types of surgeries you're
8 doing now, how frequent is a transesophageal
9 echocardiogram utilized in conjunction with your
10 procedures?

11 A. **I would say over the last two years, the
12 cardiac anesthesiologist uses it on every case.**

13 Q. Every open heart case?

14 A. **Yeah. Is it necessary, probably not.**

15 Q. Have you voiced that opinion?

16 A. **I do. Because I think it's very helpful when
17 you're doing valvular surgery. If you have a sick
18 patient with a low injection fraction, it can help you.
19 But it's not really used -- should be used to every
20 case.**

21 Q. What is the response that your opinion is met
22 with?

23 A. **We're doing it. Because the cardiac
24 anesthesiologist does it and they want to bill for it.**

25 Q. Prior to the last two years, how often was it
Ellis Reporting Service (505) 327-3477

1 used?

2 **A. Mostly for valve cases, particularly when we're**
3 **doing a mitral valve repair.**

4 Q. Have you ever passed a probe intraoperatively?

5 **A. Not in the operating room, but in the ICU.**

6 Q. And what was the circumstances?

7 **A. The surgical critical care guy couldn't pass**
8 **the probe, so I passed it. Most of the probes are now**
9 **passed by techs.**

10 Q. In your experience, they're passed by techs in
11 the operating room?

12 **A. Either the anesthesia tech or the**
13 **anesthesiologist. If they're done preoperatively,**
14 **they're done by the cardiologist or the cardiologist**
15 **tech.**

16 Q. But when they're done intraoperatively, they're
17 done by somebody on the anesthesia team.

18 **A. Correct.**

19 Q. You are not an anesthesiologist.

20 **A. That's correct.**

21 Q. You have never practiced as an
22 anesthesiologist.

23 **A. That's correct.**

24 Q. You're not Board Certified in anesthesia.

25 **A. That's correct.**

1 Q. And as I understand your testimony, you have
2 passed an echo probe once in an ICU setting.

3 A. Correct.

4 Q. And you did that because the intensivist could
5 not?

6 A. Correct.

7 Q. What was it that was preventing the intensivist
8 from doing so?

9 A. The patient was also orally intubated, so it
10 was difficult for him to pass the probe around the
11 endotracheal tube.

12 Q. Did you have any difficulty?

13 A. No.

14 Q. What did you do that allowed you to pass it and
15 the intensivist didn't do that prevented him or her from
16 passing it?

17 A. Xylocaine Jelly.

18 Q. In light of the difficulty that was encountered
19 passing it when the intensivist attempted, was there any
20 consultation with thoracic surgery or gastroenterology
21 or any other specialist to evaluate the esophagus?

22 A. No. It was a postop heart patient and we had a
23 concern for pericardial fluid collection, so we didn't
24 consult. It was one of our patients, a thoracic surgery
25 patient.

1 Q. My question probably wasn't a good one. Based
2 upon the fact that the intensivist couldn't pass the
3 probe and you had to pass the probe on a subsequent
4 attempt --

5 A. Um-hmm.

6 Q. -- based upon that fact alone, did you evaluate
7 the esophagus to determine whether or not there was any
8 perforation or pathology?

9 A. No, I did not.

10 Q. If you had to estimate for me how many times
11 you've been in the operating room when a TEE probe was
12 passed, what number would you give?

13 A. Well, over the last probably seven years since
14 we've been using it, a thousand plus cases, not only me
15 as the surgeon but being a co-surgeon.

16 Q. Has there ever been an occasion in the
17 operating room where whoever was attempting to pass the
18 probe made you aware of difficulties encountered in
19 doing so?

20 A. No.

21 Q. Was there ever an occasion when the plan was to
22 use a transesophageal echo probe but for whatever reason
23 a conversion to an echocardial probe was utilized?

24 A. No.

25 Q. Do you know of any instance in those thousand

1 cases where injury to the esophagus occurred as a
2 consequence of passing a probe?

3 **A. Not to my knowledge.**

4 Q. Prior to this case, had you ever heard or read
5 about the risk of perforation to the esophagus by virtue
6 of passing a TEE probe?

7 **A. I have heard of it. It's very rare.**

8 Q. How had you heard of it? Where did you hear it
9 from?

10 **A. For recertification. It's one of the questions
11 in our recertification test booklet.**

12 Q. Do you still have that?

13 **A. It's CSAT, so it's on the computer. It's the
14 thoracic surgery --**

15 Q. What did it ask?

16 **A. Basically what symptoms from esophageal
17 perforation after a patient has been instrumented.**

18 Q. And by instrumented, is it limited to a TEE
19 probe or is it any instrumentation that's passed through
20 the esophagus?

21 **A. It was a TEE probe.**

22 Q. Let me back up for a moment and ask you whether
23 or not you've contributed to the literature on any
24 issues that you think are relevant in this case.

25 **A. No, I have not.**

1 Q. Have you given any presentations or lectures on
2 issues that you think are relevant to this case?

3 A. I've given some talks in the ICU, but not, you
4 know, about esophageal perforation. But not
5 specifically with a TEE probe. You know, as a thoracic
6 surgeon, we get called for other instrumentation EGD
7 rigid esophagoscopy, rigid bronchoscopy where there's a
8 perforation.

9 Q. Have you reduced any of those talks to writing?

10 A. No.

11 Q. In other words, a power point or anything like
12 that?

13 A. No, I haven't given many talks in the last
14 three years because predominantly we're busy doing our
15 heart program at St. Joe's.

16 Q. When is the last time you contributed to the
17 medical literature?

18 A. Ooh. I'd have to look at my CV, but it's been
19 a long time ago.

20 Q. I thought I saw 1996.

21 A. Yeah. I'm out of the publications mode after
22 28 years of doing this.

23 Q. What was the nature of your practice in Hawaii?

24 A. It was a very busy cardiac, vascular, thoracic,
25 trauma, general surgery -- as my partner called it,
Ellis Reporting Service (505) 327-3477

1 anything that had blood in it surgery. We covered five
2 hospitals on the island and covered Maui Memorial and
3 Kauai Wilcox Hospital.

4 Q. And you were there from '92 to 2001?

5 A. Correct. After Desert Storm, I returned and
6 was released from the Army and went into private
7 practice to Honolulu Medical Group.

8 Q. Where were you stationed during Desert Storm?

9 A. I was actually in Iraq.

10 Q. For how long?

11 A. A year. Not exclusively in Iraq but in Damon
12 and then Bahrain.

13 Q. So you left the Army in 1992 and went into
14 private practice. And then were you in the Reserves
15 after that?

16 A. No. I resigned my commission and they called
17 me back in three years ago, so I'm in the Reserve now.

18 Q. How does that work in terms of getting called
19 back in? What's the mechanism or criteria for being
20 called back in once you've resigned your commission?

21 A. Because I was a Barry planner, which was a
22 scholarship program, and took a regular Army commission.
23 The fine print is that you have to serve -- they can
24 call you back up until 20 years if you haven't completed
25 20 years of service. And I served 17 years and 9

1 months, so I got the fateful letter from the War Powers
2 Act in 2008.

3 Q. So what have been your obligations since then
4 relative to the Army?

5 A. Doing a weekend at Fort Carson, teaching the
6 trauma ATLS course at Fort Sam Houston in San Antonio,
7 Texas. And they want me to do a deployment, but we'll
8 see.

9 Q. When does that happen, or how does that happen?

10 A. Negotiation.

11 Q. What are the possibilities?

12 A. High.

13 Q. And where might you go?

14 A. Well, I'm assigned to Fort Carson, so they have
15 an ongoing operation in Afghanistan, Somalia, and in
16 Kenya. They're part of what's called Africa Command.
17 So if I'm going to do something, it has to be short term
18 because I have my commitment at St. Joe's, so a six-week
19 to eight-week commitment.

20 Q. When are you finally done?

21 A. Next May.

22 Q. Okay.

23 A. Unless we go to some other nice place.

24 Q. So when you're spending your 190 days back in
25 Durango, how do you spend your time?

1 A. I do expert consulting work. I travel and go
2 to South Africa four times a year and I play golf and
3 scuba dive.

4 Q. So you have -- you enjoy life.

5 A. Trying to, correct. Yeah. Yeah. After 28
6 years of being on call.

7 Q. Four times to Africa. What do you do there?

8 A. There's a charity, Richard Branson's Pride and
9 Purpose, which is in Ulusaba, so we have a hospital
10 there and I bring back supplies that are expired and
11 help them do things in Ulusaba, which is in South
12 Africa. And then in Tanzania, we have a program at the
13 Heart Institute there helping them do some pediatric
14 heart stuff -- ASD closures, simple things.

15 Q. How long do you stay there?

16 A. Two weeks at a time if I'm lucky enough. If I
17 work three weeks and then I have three weeks off, so
18 then I can plan that -- you know, to be gone a little
19 bit longer.

20 Q. You've been reviewing medical legal cases for
21 how long?

22 A. I did my first one in 2001, so coming up on
23 10 years.

24 Q. How many have you done over that span?

25 A. In the beginning I was probably doing maybe
 Ellis Reporting Service (505) 327-3477

1 four or five a year, but in the last five years I think
2 I've probably reviewed 10 cases a month.

3 Q. So about 120 a year.

4 A. Correct, um-hmm.

5 Q. And that's been for about five years?

6 A. Correct. It's reviews for attorneys and then
7 I'm an expert reviewer on the Medical Board for
8 California, so I do reviews for the Medical Board.

9 Q. And that's based upon their system of panel of
10 physicians that review cases before the cases are filed
11 or --

12 A. If there's a complaint against a physician or
13 they're tracking a physician or there's a hospital that
14 has issues, then it's referred to the Quality Assurance
15 Division of the Medical Board and we're sent the cases
16 as a panel to review them.

17 Q. Of the 120 approximately on average a year that
18 you do, how many are from lawyers with regard to a
19 pending or potential lawsuit?

20 A. Probably about a hundred. Probably -- maybe
21 fair enough to say 15 to 20 a year from the Medical
22 Board.

23 Q. And do you list your name with any services?

24 A. No, I do not.

25 Q. Have you in the past?

1 **A. No, I do not.**

2 Q. Have you ever advertised your services as an
3 expert?

4 **A. No, hm-umm. We're bound by the Society of**
5 **Thoracic Surgeons and the College of Surgeons not to do**
6 **that, so I do not do that.**

7 Q. How much do you charge?

8 **A. I charge \$500 per hour for review of medical**
9 **records and a written report, and I charge \$700 per hour**
10 **for deposition testimony with a four-hour minimum. And**
11 **I charge \$8,000 a day for trial testimony. That's**
12 **inclusive -- exclusive of travel. But I don't charge**
13 **travel charges or anything like that.**

14 Q. So if you have to come in the day before and
15 wait around and go on the next day, is it 16,000?

16 **A. No, it's 8,000. I charge for a day.**

17 Q. How much did you earn doing medical legal work
18 or doing expert reviews in 2010?

19 **A. Between 85 to \$100,000 I think is a fair**
20 **estimate.**

21 Q. And has that been the range in terms of what
22 you've earned over the last five years?

23 **A. That's fair.**

24 Q. How does it break down between cases that
25 you're sent on behalf of plaintiffs versus defense?

1 year and maybe four trial testimonies a year. And it
2 varies from year to year. This year I think I've done
3 eleven-plus depositions.

4 Q. I saw testimony where you had kept a list of
5 cases that you had testified in both in deposition. Do
6 you still maintain that list?

7 A. I do.

8 Q. Did you bring a copy with you today.

9 A. I do have a copy of it, um-hmm.

10 Q. May I see that?

11 A. (Witness complies.)

12 Q. Obviously, the copy that was just handed to me
13 came from counsel for the plaintiffs. Why is it that a
14 counsel for the plaintiff had your copy?

15 A. I gave it to him last night.

16 Q. Okay. Did you give him anything else out of
17 your file?

18 MR. DOLESH: Objection.

19 A. No.

20 Q. Has anything been removed from your file?

21 A. No.

22 Q. Did counsel go through your file last night?

23 A. He looked at it, correct.

24 Q. How long did you meet with counsel?

25 A. We had dinner probably an hour and a half, two

1 hours. Told me what a great guy you were.

2 MR. MEADOWS: Now you've lost all
3 credibility.

4 Q. Had you previously conferenced with Jonathan
5 Tsilimos in preparation for today?

6 A. I talked to him about a month ago, tried to
7 organize the deposition time and dates and whether he
8 was going to come or Jonathan was going to come.

9 Q. For what purpose did you prepare this?

10 A. I'm required to by the Society for Thoracic
11 Surgeons and the American College of Surgeons to
12 maintain a list of deposition testimony. I've been
13 asked in a federal court to provide one, so I started
14 keeping it since 2004.

15 Q. Obviously, I was just handed this, but do you
16 know whether you've ever reviewed a case, regardless of
17 whether it came to deposition or trial, where the issue
18 was perforation of the esophagus?

19 A. I did in Hawaii back in 2004. I think it's the
20 Kumar case. It was a perforation of the esophagus.

21 Q. And what was the issue there?

22 A. Basically a thoracic surgeon perforated her
23 esophagus through the scope, failed to recognize it in a
24 timely fashion, and she died. That's the Kumar case, I
25 think it's on there, Kumar versus Morris.

1 Q. You were retained by the plaintiffs?

2 A. Correct, Dr. Kumar. He was the doctor. It was
3 his wife against Dr. Morris.

4 Q. Oh. And what happened with the case?

5 A. They settled before we -- before the jury had a
6 verdict, I believe.

7 Q. You gave trial testimony?

8 A. I did. Deposition and trial testimony.

9 Q. Do you keep that? Do you keep the transcripts?

10 A. I do not.

11 Q. What did you say that they should have done
12 that they didn't do?

13 A. Well, basically, the perforation occurred and
14 Dr. Morris, the thoracic surgeon, let her smolder and
15 she developed all the complications -- mediastinitis,
16 pleural effusion, and subsequently died from sepsis.

17 Q. After it was diagnosed?

18 A. Correct, um-hmm. It's so long ago I don't know
19 the exact details, but it was nasty.

20 Q. Any other cases involving esophageal
21 perforation?

22 A. No. I take that back. I am doing a case of
23 esophageal perforation in Arizona. It was an ENT doctor
24 who did a rigid scope that perforated the esophagus.
25 But I haven't written a report on that yet.

1 Q. What's the issue there?

2 A. Exactly misdiagnosis or failure to diagnose
3 esophageal perforation. The ENT physician did a scope
4 on a Friday, the patient immediately complained of pain
5 in her neck, they sent her home, she came back to the
6 Emergency Room with an abscess in her neck.

7 Q. Over your career, have you surgically treated
8 perforated esophagus?

9 A. Unfortunately, yes.

10 Q. On how many occasions?

11 A. From trauma, probably 20 cases. And I mean by
12 trauma, car accidents, gunshot wounds.

13 Q. Um-hmm.

14 A. Most of the cases that we get as thoracic
15 surgeons are iatrogenic perforations from patients that
16 have cancer, so my last one that I did was in 2008 was a
17 traumatic esophageal perforation from a rigid
18 bronchoscopy.

19 Q. The last one you did was in 2008?

20 A. Yeah, the total esophagus that I had to do,
21 um-hmm.

22 Q. And when you say total esophagus, is that
23 different than an esophagectomy?

24 A. Correct.

25 Q. How is it different?

1 **drainage procedure. And then the GI doctor put a**
2 **covered stent over the hole.**

3 Q. And when you say covered stent over the hole,
4 endoscopically inserted the stent?

5 A. **Correct. Did a balloon stent, a wall stent,**
6 **placed it, then I drained the mediastinum.**

7 Q. At your hospital at St. Joseph's, does the
8 gastroenterologist do the stenting procedures?

9 A. **Dr. Palmer, who is the thoracic surgeon,**
10 **vascular surgeon, likes to do the stents with the GI**
11 **doctors there. Since it's a relatively new procedure,**
12 **they always have us stand by when they do it.**

13 Q. So you haven't -- you don't yourself do the
14 stenting.

15 A. **I've done stenting. I don't prefer stenting.**
16 **I like to let the GI doctors do the stenting.**

17 Q. And that's because they're more expert in
18 stenting than you?

19 A. **Right. They intubate the esophagus more than**
20 **we do.**

21 Q. How many stenting procedure have you done
22 yourself where you were the primary physician?

23 A. **Less than three in my entire career.**

24 Q. Do you defer to gastroenterology as to whether
25 stenting is an option?

1 A. Correct, I do. Once we have a fresh
2 perforation that's identified, the treatment is stenting
3 preferably, and then antibiotics. If we get called late
4 in the game 24 hours plus, then it's us because we have
5 to establish draining. And then it's depending on the
6 tissue friability when they do their endoscopy whether
7 or not it's going to be stenting or surgery.

8 Q. And after 24 hours, stenting is usually not an
9 option, true?

10 A. That's a true statement. The thoracic
11 literature is between 24 to 36. But the problem is if
12 you have a small hole in the esophagus and you have
13 spillage and the mediastinum is contaminated, that is a
14 surgical event that you need a drain, to establish
15 drainage.

16 Q. Even if you can do stenting.

17 A. Correct. Correct.

18 Q. So if you can't do stenting, the surgical
19 options are what?

20 A. You have to establish diversion and drainage.

21 Q. And within the broader category of diversion
22 and drainage, is esophagectomy within that category?

23 A. It's rare that we do an emergency esophagectomy
24 because the patients are so critically ill at that
25 point. The key is to go in, do what's called a proximal

1 diversion either through a neck incision or do a
2 stapling anastomosis in the chest, and then do
3 orogastric tube and then distally staple and then
4 perform a gastrostomy, then drainage of the mediastinum
5 around the area of the perforation, come back another
6 day and do the procedure that you need to do, which is
7 either a colonic pull-through or the stomach.

8 Q. So eventually do an esophagectomy -- eventually
9 do what Dr. Rice did in this case but initially divert
10 but not necessarily resect.

11 A. Correct.

12 Q. And we can agree that more likely than not
13 after 24 hours a diversion and drainage is the option
14 that's available.

15 A. After 24 hours, a diversion and drainage is
16 required.

17 Q. Is required. It would be below the standard of
18 care not to do diversion and drainage after 24 hours.

19 A. Correct.

20 Q. Is primary repair ever an option?

21 A. Yes.

22 Q. When is primary repair an option?

23 A. We like to think before we call it the 12 hour
24 mark, 12 hours. That's the old thinking. But people
25 are extending that to 24.

1 Q. What's your thinking?

2 A. I like to do it earlier than later. The reason
3 I like to do that is because once you have contamination
4 in the space, then even putting sutures in that area, it
5 tends to break down. Again, you always combine the
6 primary closure with a drainage procedure and diversion.

7 Q. And diversion?

8 A. Not a total diversion, but a diversion. In
9 other words, you do a gastrostomy feeding tube,
10 depending where the location of the perforation is, and
11 you put drains in the area of your primary repair and
12 keep the patient NPO with a tube above the level of
13 perforation to suck on it. So that's a partial
14 diversion.

15 Q. So there's not an actual diversion --

16 A. Correct. It's a physiological diversion.

17 Q. Is ability to perform a primary repair
18 correlated to the size of the defect?

19 A. Well, that makes common sense. I mean, if you
20 have a large rent, it's probably greater than two-thirds
21 of the circumference of the esophagus, you're not going
22 to get a good primary repair.

23 Q. What is that distance?

24 A. It's probably a centimeter and a half. If the
25 esophagus is, of course, torn in half, certainly you can

1 **resect proximally and distally you can do a primary**
2 **anastomosis and then wrap it with a piece of plura.**

3 Q. But generally speaking, if the hole in the
4 esophagus is greater than or equal to a centimeter and a
5 half, primary repair is not going to be a reasonable
6 option.

7 A. **No. No.**

8 Q. What is the failure rate of primary repair?

9 A. **It depends on the stage at which you attack the**
10 **esophagus when you're involved in it.**

11 Q. How about up till 12 hours?

12 A. **Yeah. The sooner the better. And the sooner**
13 **before the mediastinum becomes contaminated.**

14 Q. Is there any percentage that you're aware of in
15 the literature or from your own experience that you
16 associate with the failure rate of a primary repair of
17 up to 12 hours?

18 A. **Well, it depends on the situation. If we're**
19 **going to just keep it to iatrogenic perforations,**
20 **usually those patients are already prepped, so you have**
21 **a better chance of having a successful primary repair as**
22 **to a trauma case, where someone just had a bag of**
23 **Fritos, you know, some beer, and their esophagus gets**
24 **torn in half.**

25 Q. Setting aside the trauma cases, is there a
 Ellis Reporting Service (505) 327-3477

1 number or percentage that you're aware of that you
2 associate with a failure rate for primary repair up to
3 12 hours?

4 **A. No, I don't have a solid number.**

5 **Q. Is there a range?**

6 **A. I would say that you probably have a greater**
7 **than 80 to 90 percent chance of success with an isolated**
8 **perforation within 12 to 24 hours. I did bring a**
9 **landmark article back that was published in the Annals**
10 **of Surgery in 1964 that pretty much still is the**
11 **standard for today.**

12 **Q. We'll get to that in a moment.**

13 **A. Yeah. It has the numbers that, you know,**
14 **earlier is better than later.**

15 **Q. Do you know what the failure rate is for**
16 **stenting?**

17 **A. It depends on who you believe. I mean, the**
18 **stenters say that they have a 90-plus success rate**
19 **depending on the size and location of the perforation.**

20 **Q. What other numbers have you seen in the**
21 **literature?**

22 **A. For carcinoma with perforation, it's miserable,**
23 **50/50 chance of sealing that. And that's palliative**
24 **only.**

25 **Q. Do you know Dr. Thomas Watson?**

1 **A. No. Just by reading his deposition.**

2 Q. I think in his deposition he said there's an
3 18 percent failure rate associated with -- and I'm going
4 from memory, we can look at it -- with stenting.

5 **A. That's reasonable, depending on location, size**
6 **of the perforation.**

7 Q. Did you read Dr. Watson's deposition before you
8 authored your report?

9 **A. No. I just read it this last week.**

10 Q. Did you know of Dr. Watson before this case?

11 **A. No, I did not.**

12 Q. Do you agree that stenting is not an option if
13 the hole is in the area of tortuosity?

14 **A. I would agree with that. Most stents don't**
15 **work in areas that have a large annular division,**
16 **whether esophagus or a vessel.**

17 Q. Did you see any evidence of tortuosity with
18 regards to the esophagus in Mr. Meeker's case?

19 **A. Only by the reports. I haven't seen the**
20 **images, but just by report. There was some deviation of**
21 **the left carina.**

22 Q. And it was in the area of the perforation?

23 **A. Correct.**

24 Q. And does that lead you to conclude that in all
25 probability stenting would not be an option in light of

1 that deviation to the left?

2 **A. Without actually looking at it, I can't make**
3 **that statement.**

4 **Q. By the same token, you can't say that stenting**
5 **could have been performed in that area in light of that**
6 **angulation as described in the report, correct?**

7 **A. Well, based upon Dr. Rice's operative note, I**
8 **would that think that stenting may have been an option**
9 **to go.**

10 **Q. Isn't it his report that mentions the**
11 **deviations in the left?**

12 **A. Right, it does. But I don't know the degree of**
13 **angulation, but a carina usually -- that's a good place**
14 **for stenting, but esophageal is a good place for a**
15 **stent.**

16 **Q. Is it a good place for a stent if there happens**
17 **to be a deviation in the esophagus at that point in the**
18 **hole?**

19 **A. Well, it depends on the degree of angulation.**

20 **Q. Have you looked at any imaging in this case?**

21 **A. Just the reports.**

22 **Q. Do you intend to?**

23 **A. Yes. If asked, I will. And I particularly**
24 **would like to see the chest x-rays, the actual hard**
25 **copies.**

1 Q. Why?

2 A. Well, it's important. Because when I reviewed
3 the case, looking at the imaging studies, at what point
4 would there be classic findings on a chest x-ray to
5 suggest an injury to the esophagus. And on
6 postoperative Day Number 2, there is significant change
7 in the patient's chest x-ray. The radiologist states a
8 large rapid accumulation of a right pleural fluid
9 accumulation that wasn't present the day before. It's
10 unusual for heart surgery.

11 Q. The radiologist doesn't say rapid accumulation,
12 those are your words?

13 A. Correct.

14 Q. When making decisions to what surgical option
15 is best for a patient with an iatrogenic esophageal
16 tear, are the patient's comorbidities important to
17 consider?

18 A. Absolutely.

19 Q. And is that because if there is a re-leak,
20 the mortality rate increases even in the absence of
21 comorbidities?

22 A. It depends. You re-leak if you've done what?

23 Q. Well, regardless of what you've done, can we
24 agree that the morbidity and mortality associated with a
25 re-leak is greater than the initial tear?

1 failure rate associated with the primary repair in
2 somebody with comorbidities like Mr. Meeker.

3 **A. That's all predicated at the time in which you**
4 **make the diagnosis.**

5 Q. Well, regardless of when you make the
6 diagnosis, there is a risk of re-leak with a primary
7 repair and stenting that is greater than the risk of
8 re-leak with a diversion and drainage, true?

9 MR. DOLESH: Objection.

10 **A. Again, it's all predicated at the time you make**
11 **the diagnosis. As we said earlier, if you make the**
12 **diagnosis sooner than later, a primary repair with wide**
13 **drainage has a higher success rate than doing it 40**
14 **hours or 72 hours later.**

15 Q. But everything being equal, the risk of re-leak
16 is greater with a primary repair in stenting than it is
17 with diversion, no matter when the intervention is
18 pursued.

19 **A. That's absolutely a true statement.**

20 MR. DOLESH: Objection.

21 Q. So regardless of time of diagnosis, if you
22 wanted to give the patient the best chance to avoid
23 re-leak, you would do the diversion and drainage.

24 MR. DOLESH: Objection.

25 Q. Correct?

1 **A. I would disagree. It's all predicated on time.**

2 **Q. I thought we established, though, that**
3 **regardless of time, the patient has the best chance of**
4 **avoiding re-leak if there is a diversion and drainage.**

5 **A. Right. Because you haven't repaired the leak.**

6 **Correct? I mean, if you're comparing a primary division**
7 **to a primary repair, with a primary repair, you also do**
8 **a diversion, whether it's a physiological diversion or**
9 **anatomical diversion, and do wide drainage. If that's**
10 **done earlier than later, you have a higher success rate**
11 **at that point than you do at 24, 48, 72.**

12 **Q. Compared to a primary repair done later.**

13 **A. Correct. Correct. Because the way we would**
14 **attack in this case specifically would be to get a rapid**
15 **diagnosis, do a staple dissolvable suture closure of the**
16 **proximal esophagus and distal esophagus, do a primary**
17 **repair, wide drainage gastrostomy. Over seven days**
18 **those dissolvable sutures that open -- and you can**
19 **actually open with a bougie, you've isolated that**
20 **segment that has the hole in it and you've done wide**
21 **drainage.**

22 **Q. But you still have a risk of a re-leak.**

23 **A. You do, but it's very, very small in seven**
24 **days.**

25 **Q. What is it?**

1 A. God, less than one percent.

2 Q. But if you do have that re-leak in somebody
3 with comorbidities, the likelihood is they're not going
4 to survive that --

5 A. It depends on how big the leak is. And if
6 you've left your tubes in and established drainage, then
7 sometimes a leak will heal on its own. The key is to
8 attack it surgically earlier than later.

9 Q. Have you done a primary repair that has failed?

10 A. Not in an iatrogenic perforation. In a trauma
11 perforation, I have.

12 Q. On how many occasions?

13 A. I've had about two to three leaks in my career.
14 But I've had established drainage so we let them heal by
15 their own secondary closure. I've never had to take
16 anyone back for a reclosure of an esophageal injury.
17 Because I was trained the old-fashioned way.

18 Q. What does that mean?

19 A. Basically, you do the primary closure and you
20 put a large Jackson-Pratt drain or a large drain in that
21 area, bring it out through the abdomen in your chest
22 tube drains, and you don't remove that drain until you
23 have confirmation by an esophagram that that has been
24 sealed. And the way you get a leak after a primary
25 closure is someone pulls that drain prematurely and then
 Ellis Reporting Service (505) 327-3477

1 **that seal tract doesn't close.**

2 Q. Have you ever had a patient of yours, whether
3 you're following as a cophysician or not, where they get
4 stenting that had failed?

5 A. **No, I have not. No.**

6 Q. Have you ever had a patient die as a
7 consequence of an iatrogenic perforated esophagus?

8 A. **I have when I was a resident.**

9 Q. Tell me the circumstances of that.

10 A. **It was a patient who was getting a rigid**
11 **bronchoscopy. And not only did they perforate the**
12 **bronchus, but they also perforated the esophagus because**
13 **the posterior wall of the bronchus is very soft. When I**
14 **recognized by that service -- I was on the pulmonary**
15 **service, and they consulted us, and it was, you know, an**
16 **older fellow that had esophageal carcinoma and lung**
17 **cancer and it was sepsis and death, even in the presence**
18 **of a surgical procedure.**

19 Q. Do you know how the plaintiffs got your name in
20 this case?

21 A. **I'm not sure, but it may have been American**
22 **Medical Experts.**

23 Q. What is that?

24 A. **It is a referral service that's located in**
25 **Virginia.**

1 Q. I asked you earlier whether you were listed
2 with any services and I thought you said no.

3 A. I'm not listed, no.

4 Q. How does that work?

5 A. They may know who I am and they've connected me
6 with them.

7 Q. What's the difference? I'm losing --

8 A. Well, I'm not published on a web site or in a
9 book.

10 Q. What other services are you associated with?

11 A. The only other one right now is TASA.

12 Q. What others have you been associated with in
13 the past?

14 A. Medical Review Foundation and Consolidated
15 Consultants. I don't work with the last two any more.

16 Q. Why not?

17 A. I don't like the system that they have.

18 Q. What about the system do you not like?

19 A. Limited medical records, opinions without
20 getting all the facts, premature letters.

21 Q. They ask you for premature letters?

22 A. Well, they send you limited medical records.
23 And as an expert, I take this very seriously and I want
24 to have all the documents to formulate my opinion. If
25 it takes weeks or months to get them, I have little

1 **tolerance for that.**

2 Q. Did you formally disassociate with them?

3 A. **Yes, um-hmm.**

4 Q. And did you give them reasons why?

5 A. **I did.**

6 Q. Of all the cases you reviewed, where did most
7 of them come from?

8 A. **Basically, primary attorney referrals to me.**

9 Q. How many do you get from the American Medical
10 Experts out of Virginia?

11 A. **Maybe twenty plus a year.**

12 Q. How many do you get from TASA?

13 A. **Five to ten a year.**

14 Q. Can we agree that those services work primarily
15 with plaintiff lawyers and personal injury lawyers?

16 A. **I'm not familiar with their business practice,
17 I don't know. I think TASA does everything, because
18 I've gotten called for product liability and insurance
19 issues from TASA.**

20 Q. How does it work with American Medical Experts?

21 A. **Generally -- the person that runs it, his name
22 is Eric Jacobs. He will give me a call and he'll say
23 that he has a case that needs to be reviewed. So I
24 don't know who the attorney is or what volume of
25 documents, but he'll send it to me and I'll review it.**

1 If I need more information, I'll call him. Generally,
2 he's very good about getting that information to me.

3 Q. Is that what happened in this case?

4 A. I believe it was Eric that called me about this
5 case.

6 Q. And at what point, then, are you put in touch
7 with the lawyer?

8 A. I think after I do my review the way it works
9 is I do a letter. It's not address to an attorney, it's
10 addressed to whom it may concern, and I send it to Eric
11 and --

12 Q. So in this case the letter was to whom it may
13 concern. So that went to Eric?

14 A. Correct. Correct.

15 Q. And then are you paid through Eric?

16 A. Eric -- I do \$500 for the initial review from
17 Eric.

18 Q. So Eric pays you that?

19 A. Correct.

20 Q. And how does Eric get paid?

21 A. Hopefully directly from the attorneys. I don't
22 know.

23 Q. Well, does the payment that's ongoing in this
24 case go through Eric?

25 A. Once the attorney contacts me, then I request a
Ellis Reporting Service (505) 327-3477

1 **retainer fee. And if I need any additional records, we**
2 **have conferences, then I specifically bill for that.**

3 Q. To Eric or to the attorney?

4 A. **To the attorney. Eric is sort of like the**
5 **matchmaker I guess you'd call him.**

6 Q. So once you get your \$500 from Eric --

7 A. **Um-hmm.**

8 Q. -- and it gets to the point where the lawyer
9 that contacted Eric contacts you, Eric's out of the
10 picture.

11 A. **Correct. And a lot of times I'll never hear**
12 **from the attorney again after review of a case.**

13 Q. Do you have a contract with American Medical
14 Experts?

15 A. **No.**

16 Q. Did you have a letter that memorializes your
17 understanding with them?

18 A. **No.**

19 Q. And how long have you been working with them?

20 A. **With Eric, probably five years.**

21 Q. How many different states have you reviewed
22 cases in?

23 A. **Wow. A lot, to include Puerto Rico.**

24 Q. So if you had to estimate out of the 50 states,
25 how many have you been involved in litigation?

1 **A. Maybe 20, 25 states, if not more.**

2 Q. And Puerto Rico?

3 **A. Correct.**

4 Q. Have you done any other cases where the
5 Cleveland Clinic was involved?

6 **A. I'm reviewing one right now.**

7 Q. And what are the facts in that?

8 **A. It's a mitral --**

9 MR. DOLESH: Objection. I don't know
10 what stage this is in, I don't know if he's been
11 retained, I don't know if it's been disclosed. I just
12 don't want him to -- if this is something that's in
13 suit, it's one thing, but before we go down this line of
14 questioning, I want to make sure that he --

15 Q. Are you retained on behalf of the plaintiff's
16 attorney?

17 **A. Correct. It's in the initial phases of review.**

18 Q. Do you know whether you've been disclosed as an
19 expert?

20 **A. We haven't gotten that far yet.**

21 Q. Any other reviews involving the Cleveland
22 Clinic?

23 **A. Not that I know of, no.**

24 Q. Have you ever been to the Cleveland Clinic?

25 **A. No.**

1 Q. Do you know any of the physicians involved?

2 A. I do not know any of the physicians involved in
3 this case, no, but I know some physicians at the
4 Cleveland Clinic.

5 Q. Who do you know?

6 A. Dr. Cosgrove, Dr. Sundt.

7 Q. How do you know Dr. Cosgrove?

8 A. From meetings, STS.

9 Q. When was the last time you talked to Dr.
10 Cosgrove?

11 A. God, probably five, six years ago.

12 Q. What were the circumstances?

13 A. It was an STS function.

14 Q. Do you know Dr. Pettersson by reputation?

15 A. No.

16 Q. Have you ever read anything that he's written?

17 A. No.

18 Q. Do you know Dr. Starr?

19 A. No.

20 Q. Not by reputation or any other way?

21 A. No.

22 Q. Do you know Dr. Rice?

23 A. No.

24 Q. Do you know any of the defense experts?

25 A. No.

1 Q. Have you been provided the defense expert
2 reports?

3 A. No.

4 Q. Have you been told who the defense experts are?

5 A. No.

6 Q. Then how do you know you don't know them?

7 A. I guess that's true. I don't know who they
8 are, so I've not been told. So since I really don't
9 know who they are --

10 Q. Do you know Dr. Rafael Bueno, B-u-e-n-o?

11 A. No.

12 Q. Michael Cody?

13 A. No.

14 Q. Daniel Nyhan?

15 A. No.

16 Q. Bruce Rosengard?

17 A. No.

18 Q. Stanton Shernan?

19 A. No.

20 Q. You said you don't know Dr. Rice? I forget.

21 A. I do not.

22 Q. Do you know him by reputation?

23 A. No.

24 Q. Let me ask you just some general principles.

25 Can we agree that a physician can act within the

1 standard of care and still have a bad result?

2 **A. Sure. I agree.**

3 Q. A bad result or unexpected outcome does not
4 necessarily mean the doctor was negligent.

5 **A. That's correct.**

6 Q. Two physicians can disagree as to a diagnosis
7 and/or treatment and yet both can hold views within the
8 standard of care.

9 **A. Correct. I agree.**

10 Q. When you reviewed this case, you knew at a
11 minimum that there was litigation or potential
12 litigation, true?

13 **A. That's correct.**

14 Q. Is it fair to say that you also knew that as a
15 consequence of there being potential litigation at a
16 minimum, there's likely a bad outcome.

17 **A. A bad outcome for?**

18 Q. The litigant, the patient.

19 **A. Sure. The patient had a bad outcome.**

20 MR. DOLESH: Bad medical outcome.

21 **A. Correct.**

22 Q. In other words, you wouldn't be asked to review
23 a case if there wasn't a bad outcome.

24 **A. Correct.**

25 Q. Can we agree that knowing that there's a bad

1 outcome before you set out to look at records, just by
2 the mere nature of the process of review injects some
3 degree of hindsight bias into the equation.

4 A. No. I like to think that because there's a bad
5 outcome that once I review the records, I put myself in
6 the shoes of a physician and I try to give an unbiased
7 straightforward opinion.

8 Q. And I'm not suggesting that you do anything
9 devious --

10 A. No, no.

11 Q. I'm just saying that knowing there's a bad
12 outcome, isn't there just by the nature of the review
13 process, some degree of hindsight bias that goes into
14 the review?

15 A. I would disagree with that. As you do this
16 over a period of time, and for me it's always easy to be
17 the Monday morning quarterback and have a retrospective
18 analysis, but you try to set aside that bias. I agree
19 there probably is some, but just because a patient has a
20 bad outcome does not negate that there's malpractice.

21 Q. And I think you're agreeing, but let me tease
22 it out a little bit. You'd agree that the nature of the
23 process injects some hindsight bias but you do your best
24 to set that aside.

25 A. Correct.

1 Q. All right. Let's take a look at what your file
2 consists of.

3 A. Sure. I brought all the medical records
4 concerning the preoperative in-patient operative
5 treatments of Mr. Meeker.

6 Q. Is there any you didn't bring?

7 A. No.

8 Q. This is your entire file?

9 A. Correct. Deposition of Dr. Watson --

10 Q. Is the August 17th, 2011 report the only report
11 you prepared in this case?

12 A. Yes.

13 Q. Did you make any drafts of that report before
14 coming upon the version that's now been exchanged?

15 A. No.

16 Q. Do you type it yourself?

17 A. I did. Well, actually I have Dragon software,
18 so --

19 Q. In your file we have a report from Dr. Berens
20 and a report from Dr. Watson. Did you have those before
21 you wrote your report?

22 A. No.

23 Q. When did you receive those?

24 A. Shortly after I discussed the case with the law
25 firm. And I think John had mentioned there had been two

1 previous expert reports, one by a cardiologist and then
2 by a thoracic surgeon.

3 Q. Your letter reports -- and you have a copy
4 there if you want to take a look at it.

5 A. Um-hmm.

6 Q. It talks about on Page 2 in the third full
7 paragraph down, you say, "It is my opinion based upon my
8 training and 27 years of cardiovascular and thoracic
9 experience, my detailed review of the medical records of
10 the Cleveland Clinic, with particular attention to the
11 nursing notes, operative reports, progress notes,
12 consultations, and laboratory studies, that the
13 physicians, Dr. Norman Starr and Pettersson deviated..."
14 In reaching your opinions as set forth in your letter
15 report, did you review anything other than the medical
16 records that are described in that paragraph?

17 A. No.

18 Q. Were you provided the deposition testimony of
19 Dr. Starr and/or Dr. Pettersson?

20 A. No, I was not.

21 Q. Were you provided the deposition of Mr. Meeker?

22 A. No.

23 Q. Were you provided any deposition?

24 A. The only deposition, Dr. Watson.

25 Q. Typically, when you review cases, do you expect

1 that available deposition testimony will be made
2 available to you before you issue your opinions?

3 **A. My practice is to base my opinions on the facts
4 and the medical records entirely.**

5 Q. Is it your policy not to look at the
6 depositions?

7 **A. I'll look at them later on as the cases
8 progress. I always reserve the right to change or
9 modify opinions if new or additional information becomes
10 available.**

11 Q. When you review a case most often to get at
12 your initial opinions that you set forth in your letter
13 report, do you usually have the depositions that are
14 available from the defendant physicians?

15 **A. I generally don't. Do I deem them important?
16 If I have questions, sometimes. Generally, I make my
17 opinion based upon what's in the medical records. And
18 I'll modify that opinion if something occurs later on
19 during the course of the suit.**

20 Q. Well, I'm here to ask you your opinions, and I
21 likely won't have another opportunity until we meet at
22 trial. Do you have any intention between now and trial
23 of reviewing the depositions of Dr. Pettersson, Dr.
24 Starr, or any other physician?

25 **A. Not unless asked.**

1 Q. Up till now you have not asked to look at those
2 depositions?

3 A. That's correct.

4 Q. Have you been given any summary, whether verbal
5 or in writing, of what any physician has said under
6 oath?

7 A. No.

8 Q. Have you asked for information regarding any
9 such testimony?

10 A. No.

11 Q. Is it important for you to know in reaching
12 your opinions in this case what Dr. Pettersson and
13 Dr. Starr testified to about the circumstances
14 surrounding the surgery of Mr. Meeker?

15 A. It's important that I know information
16 concerning his care. I don't believe that their
17 deposition testimony is going to change my opinion.

18 Q. How do you know?

19 A. Because basically the facts of the records
20 speak for themselves what happened to this gentleman.

21 Q. If you were sued for medical malpractice and
22 you gave a deposition, would you want the reviewing
23 expert to read what you had to say?

24 MR. DOLESH: Objection.

25 A. I don't know how to answer that.

1 Q. Why not?

2 A. Well, I was sued once, and certainly they made
3 their opinion before they read my deposition.

4 Q. Do you recall that?

5 A. I do recall that.

6 Q. Did that bother you that they didn't wait to
7 hear what you had to say?

8 A. No.

9 Q. Did they ultimately review your deposition?

10 A. I don't know. My case went to the Supreme
11 Court in Hawaii twice. I don't know.

12 Q. What was the issue in your case?

13 A. Basically a young woman fell into a hole after
14 being intoxicated and she went to the Emergency Room at
15 Maui Memorial Hospital. And the Emergency Room
16 physician diagnosed as a tibial plateau fracture.

17 Orthopedic surgeon put her leg into a long-leg splint
18 and the third day her toes died. They shipped her to me
19 on a Christmas Eve. She had compartment syndrome that
20 included the popliteal artery. The orthopedic surgeon
21 and I put her knee back together, I thrombectomized her
22 popliteal artery trifurcation vessels, did a short
23 graft. She lost a couple toes, but she sued us for
24 chronic pain. What we didn't know, they had sued the
25 other physicians on Maui and settled with them first, so

1 we lost our right to a counterclaim. So we settled the
2 case after I think four years of going back and forth to
3 the Supreme Court.

4 Q. Is that the only case you were sued in?

5 A. Correct. It was Troyer versus Adams versus
6 Dang versus the Medical Group.

7 Q. I don't see in your file material any
8 correspondence between you and anybody.

9 MR. DOLESH: I believe there's a second
10 compartment.

11 Q. Oh, I'm sorry. I stand corrected. So tell me
12 how this folder file works. It seems to be --

13 A. Basically, I had my CV and testimony there and
14 I had my letter there. There was two expert reports
15 that I reviewed. There are some selected medical
16 records that I copied of the operation, there is my
17 letter to the office confirming the deposition, two
18 invoices your office paid me, thank you, and there is an
19 e-mail that I downloaded and copied. And then there's
20 the article.

21 Q. The article that you have here, how did you
22 come about this?

23 A. It's a classic esophageal injury perforation
24 article.

25 Q. And this is dated --

Ellis Reporting Service (505) 327-3477

1 A. 1964. I believe it's somewhere there at the
2 top.

3 Q. Yeah. May, 1965. Did you do an internet
4 search to find this or --

5 A. Well, basically what I was looking in and
6 reading about esophageal perforation, I always like to
7 go over in my own mind, you know, what you would do.
8 And there's landmark articles about how to treat
9 esophageal perforation. And I did that as a reference
10 point, just for review.

11 Q. What I'm asking is did you remember a 1965
12 article and go find it?

13 A. I did.

14 Q. So you didn't do an internet search and type
15 in --

16 A. No.

17 Q. -- esophageal injury and repair.

18 A. No. But I do that because that is the landmark
19 article in my training. When I finished my training,
20 that was the article that I always bring up on the
21 Boards. I just wanted to see how that has changed to
22 the recent CSATs and how much it's changed.

23 Q. Had you -- where did you go to get this?

24 A. I think I went on line to the annals.

25 Q. And what did you type in to pull it up?

1 **A. Esophageal injury, perforation.**

2 Q. And did anything else come up other than this
3 1965 article?

4 **A. Nothing else specific to the annals article.**

5 Q. And you're talking about a Google search, I'm
6 assuming, Google search?

7 **A. I just put the annals, esophageal perforation.**

8 Q. So in all likelihood, all sorts of articles
9 came up.

10 **A. Probably more recent articles, too, I'm sure.**

11 Q. Did you look at any other articles other than
12 the 1965 article when you Googled esophageal
13 perforation?

14 **A. No. Just that one.**

15 Q. Why not?

16 **A. This is the classic article. It's the one you
17 always bring up in Journal Club, the one the residents
18 here have to read.**

19 **(Off the record.)**

20 MR. MEADOWS: So back on the record
21 here.

22 Q. Your folder file, is this all the medical
23 records?

24 **A. Correct, it is.**

25 Q. Do you make notes as you review the records?

Ellis Reporting Service (505) 327-3477

1 **A. Not in the records, but I just have the expert**
2 **report.**

3 Q. Okay. You have one tab I see.

4 **A. Right, um-hmm.**

5 Q. But otherwise you don't make notes as you go
6 through?

7 **A. No. I don't deface the medical records.**

8 MR. MEADOWS: Okay. So let's do this.
9 Let's mark your folder file as Exhibit 1.

10 (Exhibit 1 marked for identification.)

11 Q. I'll hand you what I've marked as Exhibit 1.
12 Is that, in fact, the green folder that you brought here
13 today that includes those items that you've reviewed,
14 including expert reports, your report, some
15 correspondence, and one article from 1965?

16 **A. My invoices and my testimony letter, correct.**

17 Q. Okay. The only other thing that makes up your
18 file is the medical records. Fair to say the medical
19 records you looked at, are those limited to the
20 admission of August 27th, 2009?

21 **A. Correct.**

22 Q. You have not looked at any subsequent medical
23 records?

24 **A. The medical records have some postop clinic**
25 **visits in them, and that's it.**

1 Q. What's the most recent medical record?

2 A. **There's about 45 days after discharge, so --**

3 Q. Okay, that's good enough.

4 A. **Yeah. That was a cardiology follow-up and**
5 **thoracic surgery follow-up, Dr. Rice.**

6 Q. So you see no records with regards to the take
7 down of the esophagectomy, the reversal?

8 A. **No.**

9 Q. You see no records with regards to his most
10 recent examinations and his current condition?

11 A. **Correct.**

12 MR. MEADOWS: I'll also mark as
13 Exhibit 2 the case list of depositions.

14 (Exhibit 2 marked for identification.)

15 THE WITNESS: Trial arbitration.

16 Q. Right. Can you just identify that for the
17 record?

18 A. **Correct. That's the case list.**

19 Q. All right. And then, as we said earlier, the
20 only deposition that you reviewed is that of Dr. Thomas
21 Watson, correct?

22 A. **Correct.**

23 Q. And you received that you said in the last
24 week?

25 A. **Correct, last week.**

1 Q. And why was this sent to you?

2 A. **Just for my information only.**

3 Q. Did you ask for it?

4 A. **No, hm-umm.**

5 Q. Did the lawyer give you an explanation as to
6 why he was sending you only Dr. Watson's deposition?

7 A. **No.**

8 Q. Did you make any notes in this?

9 A. **No.**

10 Q. And I'll ask you some questions about your
11 report if you want to get that out in front of you.

12 A. **Sure.**

13 Q. Can we agree that the surgery performed by
14 Dr. Pettersson was indicated based upon the presentation
15 of Mr. Meeker back in August of 2009?

16 A. **Correct.**

17 Q. It was an appropriate procedure to perform?

18 A. **Absolutely.**

19 Q. And in your report, in fact, you stated that
20 there was a technically good cardiovascular result,
21 correct?

22 A. **Correct.**

23 Q. So from the perspective of what they were
24 attempting to achieve relative to the surgery itself,
25 that was a success.

1 **A. Correct.**

2 Q. Can we agree that the use of transesophageal
3 echocardiogram was necessary in conjunction with the
4 surgery that Dr. Pettersson was performing?

5 **A. It's a necessary adjuvant to ensure the repair.**

6 Q. Okay. In other words, it was reasonable to
7 make the decision to use transesophageal echocardiogram.

8 **A. Yes.**

9 Q. There was nothing about Mr. Meeker's history,
10 medical history, that rendered it contraindicated,
11 correct?

12 **A. That's correct.**

13 Q. We can agree that the use of a transesophageal
14 echocardiogram intraoperatively carries with it the risk
15 of perforated esophagus, correct?

16 **A. One of them, correct.**

17 Q. And can you quantify the risk associated with
18 the use of a TEE as relates to an esophageal
19 perforation?

20 **A. Quantify --**

21 Q. What is the risk as reported in the literature?

22 **A. The risk rate?**

23 Q. Yeah.

24 **A. Is less than one percent. It's a very rare**
25 **complication.**

1 Q. Can we agree that that perforation can occur in
2 the absence of negligence?

3 A. I would have to qualify that.

4 Q. Okay.

5 A. And the way I qualify it is in those patients
6 once resistance is met, then an explanation for the
7 resistance has to be sought. So a blind passing of the
8 TEE probe once a resistance is met should be terminated
9 and then the reasons for the inability to pass a probe
10 need to be investigated promptly.

11 Q. We'll get to that opinion, but I'm talking
12 about the mere fact that a perforation occurs doesn't
13 mean that the operator did anything wrong.

14 A. I'd have to disagree with that because most
15 perforations occur with resistance.

16 Q. Well, let me ask you directly. Do you feel
17 that in this case Dr. Starr or his resident was
18 negligent or were negligent merely by virtue of the fact
19 that perforation occurred or is it your opinion that
20 they were negligent because they didn't pursue a
21 possible perforation once resistance was met?

22 A. The latter, with the qualification that once
23 the resistance was met, the procedure needed to be
24 terminated and then needed to be reattempted, that the
25 reason for the obstruction needed to be addressed at

1 that point. And what you would do is go to a flexible
2 pediatric EGD scope and look instead of trying to
3 attempt it, because that perforation occurred more
4 probably than not in the second attempt.

5 Q. What's your understanding of how many attempts
6 occurred?

7 A. Two.

8 Q. Where do you gain that understanding?

9 A. Well, just logically the resident attempted
10 once, had difficulty. I don't know how many times she
11 went in and out because it's not documented. And then
12 Dr. Starr attempted and could not complete. And just
13 reason to believe that it would be once by the resident
14 intubation and then next by Dr. Starr intubation.

15 Q. Where do you gain that from the medical record?

16 A. I can't. It's assuming that's the normal
17 course of once you place the probe you don't withdraw it
18 back and forth, you try to advance it back and forth.

19 Q. Has any summary of the facts been provided to
20 you?

21 A. No.

22 Q. What information did Eric give you when the
23 records were first sent?

24 A. That he had a case that needed to be reviewed
25 concerning esophageal perforation.

1 Q. So you're telling me that you have just guessed
2 that the resident tried once and then Dr. Starr tried
3 once?

4 A. I'm not guessing, I'm postulating that in the
5 normal course of placing a TEE probe, that's what we do.
6 We go in the esophagus and if you can't advance it, you
7 go back and forth. You don't withdraw it and reattempt
8 to place it.

9 Q. Did you ask anybody for whether or not there
10 was any deposition testimony that spoke to how many
11 attempts and who attempted?

12 A. No.

13 Q. Did counsel summarize their view of what they
14 recalled the testimony, what they thought happened?

15 A. No. It's not logical that you'd take the scope
16 out back and forth several times.

17 Q. When, along the time line of when the patient
18 got into the operating room, do you feel the first
19 deviation from the standard of care occurred?

20 A. It would be the attempt by Dr. Starr to do the
21 repeated attempt or trying to pass the obstruction.

22 Q. Show me where in that record there's anything
23 that suggests the resident attempted and then Dr. Starr
24 attempted.

25 A. It's not. It's just by what is in the record
Ellis Reporting Service (505) 327-3477

1 **it, no.**

2 Q. All right. So despite the fact that you're not
3 an anesthesiologist, you're critical of Dr. Starr for
4 attempting to pass the probe after the resident had
5 passed the probe?

6 **A. I'm critical not as an anesthesiologist but as**
7 **someone who passes probes that once you meet resistance,**
8 **you stop and then you search for the reason why you**
9 **can't pass the probe. Because it's so uncommon not to**
10 **be able to pass the probe.**

11 Q. Do you have an opinion as to when the
12 perforation occurred?

13 **A. I don't have -- well, prior to them commencing**
14 **the open heart procedure. Before.**

15 Q. Do you have an opinion as to how big the hole
16 in the esophagus was at that point in time?

17 **A. No. But I would assume it would be the**
18 **diameter of the probe.**

19 Q. How big is the probe?

20 **A. The tip of the probe is between .7mm and a**
21 **centimeter.**

22 Q. In the second paragraph of your report, you
23 state, "Unfortunately, even though Dr. Pettersson was
24 advised by Dr. Starr of the extreme difficulty with
25 attempts to intubate the esophagus," what do you draw

1 upon to reach the conclusion "extreme difficulty"?

2 **A. Because it couldn't be passed. So that is**
3 **extreme difficulty in my mind, because generally you can**
4 **pass the probe.**

5 Q. What do you draw upon in the next line to reach
6 the conclusion that there were multiple passes?

7 **A. Because that makes sense. If you can't pass it**
8 **once, you try to pass it twice. And they abandoned the**
9 **procedure.**

10 Q. Do you agree that it was reasonable for them to
11 proceed with the planned surgical procedure before
12 further evaluation of the esophagus was performed?

13 **A. I agree.**

14 Q. So, in other words, Dr. Pettersson was
15 reasonable in proceeding with the procedure before he
16 took any steps that you believe necessary to evaluate
17 the esophagus.

18 **A. That's correct. Simply stated, because there**
19 **was difficulty passing the probe would not make the**
20 **cardiac surgeon say stop this procedure, we're not doing**
21 **this. Because we have other ways of evaluating the**
22 **valves.**

23 Q. But any evaluation of the valve, in your
24 opinion, could have occurred after Dr. Pettersson
25 completed his repair of the valves in the maze

1 procedure.

2 **A. Correct.**

3 Q. Do you know at what time the maze -- strike
4 that. Do you know at what time the operation was
5 complete?

6 **A. Not exactly, no.**

7 Q. Do you know generally?

8 **A. In the afternoon.**

9 Q. In your report under commentary on the standard
10 of care, you say, "The incidence of esophageal
11 perforation appears to be increasing in frequency."
12 Where do you get that?

13 **A. From the medical -- our medical conferences
14 that we have. With the advent of multiple devices used
15 for instrumentation, it's logical that the incidence of
16 esophageal perforations are becoming higher.**

17 Q. Did you reiterate anything that you found in
18 the literature in your report here?

19 **A. Basically that article, I took out some of the
20 high notes that, you know, an earlier surgery is better.
21 It shows surgical emergency for perforation.**

22 Q. And, in fact, you reiterated verbatim some
23 statements that were made in the article.

24 **A. Correct.**

25 Q. Can you tell me which parts of your report you

1 lifted verbatim from the article?

2 MR. DOLESH: Objection.

3 A. I think with prompt aggressive surgical
4 treatment, perforated esophaguses are treated
5 surgically.

6 Q. I'm sorry, where are you at?

7 A. Second page.

8 Q. So can we agree that the first page at the
9 bottom under commentary on the standard of care, you
10 lifted from the article?

11 A. Correct, um-hmm.

12 Q. And then on the second page --

13 A. Not lifted, but rather --

14 Q. Well, it's verbatim, isn't it?

15 A. Verbatim, correct.

16 Q. And then the balance of the first paragraph
17 there on Page 2, is that right out of the article?

18 A. Correct, um-hmm.

19 Q. And then what about the next paragraph that
20 begins with "prompt"?

21 A. Correct.

22 Q. How far down does it go?

23 A. I can't tell you exactly without comparing the
24 two, but I know I took that from the article and put
25 this into the base of my report.

1 Q. And then what about next paragraph,
2 perforations of the esophagus. Is that lifted from the
3 article?

4 MR. DOLESH: Objection.

5 A. I don't know lifted word for word, but it's
6 basically what the article states.

7 Q. And that's the 1965 article.

8 A. Correct.

9 Q. Was it reasonable for Dr. Starr to allow the
10 resident to attempt to pass the probe?

11 A. Sure.

12 Q. Can we agree that once the mediastinum is
13 contaminated, primary repair is not an option?

14 A. Disagree.

15 Q. Under what circumstances can primary repair be
16 pursued if the mediastinum is contaminated?

17 A. Well, once you get the perforation, by
18 definition the mediastinum is contaminated. I mean, the
19 minute you put a hole through it, you get saliva and
20 contents. So to qualify, the difference between
21 contamination and infection. So once contamination
22 occurs, the key is to close the hole rapidly, establish
23 wide drainage. Once you have an established infection,
24 which occurs 12, 24 hours afterwards, it's less likely
25 that you're going to get a good closure with a primary

1 **repair.**

2 Q. After 12 hours, primary repair is not an
3 option.

4 A. Well, 12 to 24 is what generally we accept,
5 **depending on the mechanism.**

6 Q. Is it your belief that the right pleural
7 effusion as seen on chest x-ray on August 29th is a
8 manifestation radiographically of what was flowing from
9 the hole in the esophagus into the right chest?

10 A. **Yes, it is.**

11 Q. The right chest was contaminated at that point
12 in your opinion?

13 A. **The mediastinum and the pleura is contaminated,**
14 **correct.**

15 Q. Certainly by that point, even in your opinion,
16 a primary repair was no longer an option.

17 A. **At that point, correct.**

18 Q. In your opinion, some point between 12 and 24
19 hours after the surgery was commenced, primary repair
20 was no longer an option.

21 A. **Primary repair with diversion is acceptable in**
22 **this case, not a stand-alone primary repair.**

23 Q. What diversion are you envisioning?

24 A. **We use the absorbable sutures above and below,**
25 **seven days.**

1 Q. At what point in time do you believe an actual
2 resection of the esophagus would have been necessary?

3 A. A resection? Are we talking about a partial or
4 a complete?

5 Q. Either one.

6 A. Well, certainly after 48 hours, the chances of
7 you having the ability to sterilize that area without
8 resecting the esophagus is high. So you need to resect
9 probably at 48 hours, greater than 48 hours.

10 Q. So you believe that after 48 hours from the
11 point in time the surgery was commenced, what Dr. Rice
12 ultimately had to do would have been necessary.

13 A. Correct, um-hmm.

14 Q. Although you believe that a primary repair with
15 diversion with these absorbable sutures above and below
16 the perforation could have been accomplished within 12
17 to 24 hours, can we agree that others may differ and it
18 would have been reasonable for them to approach it more
19 aggressively at that time and do the surgery that
20 Dr. Rice did later.

21 A. Your question -- the key is the time from
22 contamination, so within 24 hours, a primary repair has
23 the highest success rate for stenting. And then
24 certainly after 48 hours, you need to do what Dr. Rice
25 did.

1 Q. Would it have been reasonable for Dr. Rice to
2 make the decision to do what he did ultimately sooner if
3 he had been involved in order to give the patient the
4 best chance at not experiencing a re-leak?

5 A. You're talking about the last surgery or the
6 second-to-last surgery? Dr. Rice's ultimate procedure
7 or Dr. Rice's procedure where he did the drain in the
8 mediastinum? Because, remember, Dr. Rice did a
9 thoracoscopic examination and found the pleura was
10 contaminated.

11 Q. Right.

12 A. Then he did a formal thoracotomy and he did a
13 diversion in the neck and did a gastrostomy feeding and
14 resected that section of the esophagus.

15 Q. Can we agree it would have been reasonable for
16 Dr. Rice to have done that diversion even if he was
17 involved after the surgery on Day 1 or Day 2?

18 A. Not Day 1, you wouldn't want to do that.

19 Q. What about Day 2?

20 A. Day 2, sure. Once the chest x-ray showed that
21 there was contamination of the pleural space, then
22 you're behind the eight-ball.

23 Q. At what point in time along the time line would
24 it not have been reasonable for Dr. Rice to have done
25 the diversion that he did?

1 **A. It's always reasonable to do what he did.**

2 Q. Okay. That's my question. It would have been
3 reasonable if he had been involved right after surgery
4 to do what he did. It's just not what you would have
5 done.

6 **A. Well, within 12 hours if the patient has gone**
7 **to the ICU after his open heart surgical procedure and**
8 **they would have done an EGD or a gastrograph and**
9 **insufflation if the patient wasn't awake and found the**
10 **leak, then what Dr. Rice would have more probably than**
11 **not done is establish drainage at that point, either**
12 **done a primary closure and put two large chest tubes or**
13 **Jackson-Pratt in the area of the hole, and that would**
14 **have been it.**

15 Q. Would it have been reasonable for him to do the
16 diversion that he ultimately did even if he was involved
17 at that early point in time?

18 **A. Not at that point.**

19 Q. When along the time line would it have become
20 unreasonable -- strike that. When along the time line
21 does it become reasonable for him to do the diversion
22 that he ultimately did?

23 **A. That's at the 48-hour mark, generally speaking.**

24 Q. I thought you told me a moment ago at Day 2 it
25 would have been reasonable, so at 24 hours --

1 experience in the days following his surgery?

2 **A. None. Remarkably he was afebrile and had a**
3 **white count less than 10.**

4 Q. You also in your report say that other classic
5 findings include subcutaneous emphysema. He did not
6 have that, correct?

7 **A. Correct.**

8 Q. When did the radiologist first describe a
9 hydropneumothorax?

10 **A. It was on the third postoperative day.**

11 Q. Do you believe that the report from the
12 radiologist described the hydropneumothorax on the third
13 postoperative day?

14 **A. On Postop Day Number 3. Postop Day Number 2**
15 **the chest x-ray, according to the report, demonstrates**
16 **the patient has a new right pleural effusion with**
17 **compression of the right lower lobe.**

18 Q. Does the radiologist use the word hydropneumo-
19 thorax in Postop Day 3?

20 **A. No.**

21 Q. Well, then, why did you just say that --

22 **A. He describes air with a fluid. He doesn't say**
23 **hydropneumothorax. He describes pleural effusion with**
24 **air.**

25 Q. Can you find that?

1 correct. Serosanguinous -- the finding of
2 serosanguinous fluid after placement of the chest tube
3 is a normal postop finding, is it not, indicative of
4 infection.

5 **A. Correct. But it's indicative of an**
6 **inflammation reaction.**

7 Q. Which can occur many times after any open heart
8 surgery, correct?

9 **A. Not a large volume after surgery in a**
10 **previously clean chest.**

11 Q. Can it ever happen in the absence of a
12 perforated esophagus?

13 **A. It can happen in the absence of a perforated**
14 **esophagus. It can also occur in the presence of**
15 **transudation of fluid from a pulmonary artery rupture or**
16 **of loss of fluid into the chest.**

17 Q. Can it happen as a normal postop reaction
18 without--

19 **A. Not a normal, no.**

20 Q. Can it happen postoperatively without a
21 ruptured vessel and without a perforated esophagus?

22 **A. No. You wouldn't expect a new collection of a**
23 **right pleural effusion in a previously clean chest x-ray**
24 **after open heart surgical procedure.**

25 Q. Is there any explanation for it other than

1 perforation or a ruptured vessel?

2 **A. Or transudation of fluid from a lymphatic**
3 **injury.**

4 Q. Any other explanation?

5 **A. Heart failure.**

6 Q. Any other explanation?

7 **A. No.**

8 Q. So you pulled out now September -- an exam from
9 September 1st.

10 **A. Correct.**

11 Q. That's more than four days, is it not?

12 **A. Postop Day 4-1/2.**

13 Q. Well, isn't this the chest x-ray that led to
14 Dr. Rice's involvement?

15 **A. Correct, um-hmm.**

16 Q. So to be clear, on Postop Day 2, there was no
17 finding of hydropneumothorax.

18 **A. That's right. There was a finding of a new**
19 **pleural fluid.**

20 Q. So when you said that in your report, that was
21 wrong. The last paragraph on Page 2.

22 **A. Right. Rapid accumulation of a right pleural**
23 **effusion. I termed it a hydropneumothorax. In reality,**
24 **I should not call it that until the Postop Day 4-1/2.**

25 Q. Right.

1 A. Which developed into an empyema.

2 Q. Okay. But you were wrong when you called what
3 was found on Day 2 a hydropneumothorax.

4 A. Well, I don't think I'm wrong, I haven't seen
5 the chest x-ray. But I believe it's a
6 hydropneumothorax.

7 Q. Even then?

8 A. I do.

9 Q. So you think that the radiologist got it wrong?

10 A. I do.

11 Q. So you're critical of the radiologist's report?

12 A. You know why? I'll tell you why. Because in
13 his report he says that there's a new right pleural
14 effusion with consolidation of the right lower lobe.
15 Usually when you use a chest x-ray, pleural effusions
16 are not loculated above the lung. They contain the
17 whole space. So if you see a lung volume below, that
18 means you have a loculation of a pleural effusion.
19 There's got to be an air space in there so you can see
20 the compression on the bottom.

21 Q. So you reached that conclusion without looking
22 at the film?

23 A. By looking at the chest x-ray and what the
24 radiologist says but doesn't interpret to what is
25 actually happening. I'm not critical of what the

1 radiologist said, but being a critical care doctor, when
2 I look at a chest x-ray and someone says there's a new
3 right pleural effusion with atelectasis of the right
4 lower lobe, that means that there's fluid above the
5 right lower lobe fissure pressing down. So there has to
6 be an air fluid level. Because either the chest is
7 filled with fluid or it's not.

8 Q. When you wrote your report, did you attempt to
9 include all significant opinions that you held at that
10 time?

11 A. My report has all my opinions that I stand by.

12 Q. Where in your report with regards to Dr. Starr
13 do you say that it was below the standard of care for
14 him to make an attempt after the resident did so?

15 MR. DOLESH: Objection.

16 A. Well, I didn't say it in the report, but it
17 makes sense. I mean, that's -- you know.

18 MR. MEADOWS: I don't know.

19 MR. DOLESH: Objection. You asked him.

20 MR. MEADOWS: And he said you know, and
21 I'm telling him I don't know.

22 MR. DOLESH: No, I meant you asked him
23 about whether or not he believed Dr. Starr had fallen
24 below the standard of care. And he answered your
25 question.

1 MR. MEADOWS: And your point is?

2 MR. DOLESH: You're asking what was in
3 his report.

4 MR. MEADOWS: Right, I am. So what's
5 your point? Seriously, I have no idea what you're
6 talking about.

7 MR. DOLESH: You asked him whether or
8 not the opinion was contained in the report.

9 MR. MEADOWS: I did. I'm still trying
10 to understand where you're coming from.

11 MR. DOLESH: Well, the inference was
12 that it should have been.

13 MR. MEADOWS: Exactly. So now what's
14 your point?

15 MR. DOLESH: But you had asked him
16 earlier whether or not he had a criticism.

17 MR. MEADOWS: Okay. So still where are
18 you going?

19 MR. DOLESH: I put the objection on the
20 record.

21 MR. MEADOWS: You put more than the
22 objection on the record and that's why I'm going through
23 this with you. Just object and let me ask my questions.
24 Because I'm allowed to ask him why he didn't put what he
25 appears to think is a significant opinion in a report

1 that he said just a moment ago said includes all of his
2 opinions. So just object and let me ask my questions.

3 MR. DOLESH: Okay.

4 Q. So can we agree nowhere in your report did you
5 include that opinion relative to Dr. Starr.

6 A. That's correct. I did not.

7 Q. When did you come up with that?

8 A. From what I -- you asked me the question what
9 is my thought process in forming my opinion that is
10 below the standard of care. Generally speaking, if you
11 can't pass a probe, to stop.

12 Q. How do you know?

13 A. Do I know what?

14 Q. If that's the standard of care.

15 A. Well, it's common sense.

16 Q. Is that what you draw upon, common sense?

17 A. Well, you know, I'm not going to argue about
18 it, but if you have the inability to pass the probe --

19 Q. You can argue.

20 A. If you have the inability to pass the probe,
21 then you have to worry about the presence of an
22 esophageal carcinoma, an obstructing esophageal lesion.
23 You're a doctor, you have to find out why the probe
24 wouldn't pass.

25 Q. That's a different point. You said that it was
Ellis Reporting Service (505) 327-3477

1 negligent for him to manipulate the probe after the
2 resident attempted to pass the probe and met resistance.
3 And I want to know --

4 A. No, no, no, maybe that's a misunderstanding.
5 It's not --

6 Q. Maybe it's not negligent --

7 A. It's not negligent for him to try to pass the
8 probe, it's negligent for him not to follow up on a
9 further study.

10 Q. We're not communicating. Because I thought
11 earlier you said it was below the standard of care for
12 Dr. Starr to make an attempt after the resident's
13 attempt.

14 A. Oh, no. No.

15 Q. Okay.

16 A. My -- my mistake, then. That's a
17 misunderstanding. It's now below the --

18 Q. I'm glad I pursued it further.

19 A. It's not below the standard of care for
20 Dr. Starr to try to attempt to pass the probe.

21 Q. Okay.

22 A. The standard of care violation as outlined in
23 my report is that once he couldn't pass the probe, not
24 to follow up on the reasons why. Because it's common
25 sense that if you can't pass the probe in a gentleman of

1 his age group, you're worried about an obstructive
2 carcinoma, esophageal lesion, et cetera.

3 Q. So there are reasons other than a perforation
4 that you believe require follow-up on the inability to
5 pass the probe.

6 A. Right. Right.

7 Q. And some of those don't involve the need for
8 acute intervention.

9 A. Correct. It is so rare that you can't pass
10 that TEE probe that if you meet resistance, then you
11 have to think about anatomical variation, presence of an
12 obstructing esophageal carcinoma, presence of an
13 esophageal leiomyoma or problems with esophageal
14 junction. And you let the surgeon know you can't pass
15 the probe. Common sense would say let's work this thing
16 up, find out why.

17 Q. And then the question becomes how quickly does
18 it need to be worked up.

19 A. Right.

20 Q. And, in your opinion, how quickly does that
21 need to occur?

22 A. Immediately.

23 Q. Immediately after the surgery?

24 A. Correct.

25 Q. And in terms of hours, how soon after the

1 surgery is immediately?

2 A. Well, once the patient, after open heart
3 surgery, demonstrate vital -- stable vital signs and
4 stable hemodynamics, then you have the ability to do
5 your test. Call the GI doctor and have him come in and
6 do, you know, an EGD while the patient's still intubated
7 after his heart surgery.

8 Q. When was that -- when did that occur in this
9 case?

10 A. When did what occur?

11 Q. When the hemodynamic stability was achieved so
12 as it would have been reasonable to call somebody in to
13 evaluate.

14 A. Well, that afternoon -- that evening, the
15 patient was doing very well hemodynamically. He wasn't
16 doing very well respiratory-wise, but the hemodynamics
17 were very good.

18 Q. So when do you believe the evaluation should
19 have first been considered or occurred?

20 A. That postop evening or Postop Day Number 1.

21 Q. Can you be more specific in terms of hours
22 after surgery?

23 A. No. I mean, just, you know --

24 Q. Okay. So it would have been reasonable --

25 A. Let me just say that we don't do a lot of

1 interventions to our heart patients right after surgery.
2 We like to give them a couple hours to get the effects
3 of the anesthesia to calm down, to get stable
4 hemodynamics. So according to the PACU, the ICU report,
5 he was doing very well from a cardiovascular standpoint
6 but he wasn't doing very well from an oxygenation
7 standpoint.

8 Q. So you wouldn't want to work the esophagus up
9 while he's still having problems from a respiratory
10 standpoint, correct?

11 A. No, from a cardiac standpoint. Respiration
12 standpoint, since he's ventilated, intubated, we can
13 manipulate that. When the patient's intubated and
14 asleep, you can certainly slip a scope down, an EGD
15 scope.

16 Q. Can we agree that it would have been reasonable
17 for them to first evaluate the esophagus first thing the
18 following morning on the 28th?

19 A. I would agree with that completely.

20 Q. Would it have been reasonable to evaluate the
21 esophagus first after the patient was first extubated?

22 A. I would disagree with that because actually you
23 get a good examination while the patient is asleep. I
24 mean, Mr. Meeker didn't have a good respiratory effort
25 after his surgery, he had difficulties.

1 Q. When is the latest point along the time line on
2 the 28th that it would have been still reasonable to
3 evaluate the esophagus?

4 A. The latest point?

5 Q. Yeah.

6 A. I don't understand. Certainly --

7 Q. You said it would have been reasonable to
8 evaluate it first in the morning. When -- by noon, mid
9 afternoon --

10 A. I don't know. I mean --

11 Q. Can we agree that it would have been reasonable
12 to evaluate that esophagus for the first time sometime
13 during that first postop day on the 28th?

14 A. I would certainly do it within the first 12 to
15 24 hours. And the reason being is because he couldn't
16 pass the scope. And you have a patient that's
17 intubated, that's probably the best time to evaluate him
18 with an EGD or do a squirt and then take a chest x-ray
19 on him. Your concern is always there that, you know, I
20 couldn't pass the scope. Like I said, I wasn't there, I
21 don't know how much difficulty the resident or Dr. Starr
22 had passing the scope, because it is a matter of
23 resistance with the operator who passes the scope.

24 Q. Is the --

25 A. If he leans over to me and says, hey, Carl, you

1 know, get a swallow after this because I had a hard time
2 getting that scope down, I'd do it that evening in the
3 ICU.

4 Q. Has that ever happened to you where you've
5 evaluated the esophagus because of concern over
6 perforation?

7 A. No. Not personally, no.

8 Q. If your cardiac anesthesiologist said I had
9 some -- met some resistance passing the probe, probably
10 something you should consider is evaluating the
11 esophagus at some point into the future, particularly if
12 you think you might have to do another TEE, would that
13 sense of urgency necessarily require that you do it
14 within 12 to 24 hours?

15 A. I would.

16 Q. Again, it would be reasonable to do it up to 24
17 hours under those circumstances?

18 A. Sure. All predicated on the patient's
19 hemodynamic stability.

20 Q. And one of the reasons that you would want to
21 wait 12 to 24 hours is, one, to make sure the patient is
22 stable to undergo that evaluation.

23 A. Right.

24 Q. And, again, many of the things that you're
25 concerned about relative to the resistance are things

1 that don't necessarily need acute or immediate
2 intervention. You're worried about obstruction from
3 carcinomas and other things, correct?

4 A. True. But the biggest thing is once a patient
5 passes that 12-hour point on the ventilator, you have
6 decompressive stomach, so you've got to pass an NG tube.
7 So you'd be concerned that your nurses who are passing
8 the NG tube, if they had a hard time getting a TEE probe
9 in, you know, certainly passing an NG tube for
10 decompression is going to be a concern.

11 Q. We can agree that other than the accumulation
12 of fluid in the right chest, Mr. Meeker showed no other
13 signs or symptoms of a perforation.

14 A. Absolutely, um-hmm. When he got his
15 preoperative antibiotic coverage, he was afebrile and
16 hemodynamically stable. His biggest problem was
17 respiratory.

18 Q. He had some rising in his creatinine. Can we
19 agree that that can occur as a consequence of going on
20 bypass?

21 A. Absolutely.

22 Q. Is that what you attribute it to in this case?

23 A. Correct.

24 If I could just say something real
25 quick? I mean, I have -- my basic two opinions are
Ellis Reporting Service (505) 327-3477

1 against the two doctors. The one is that, you know, the
2 perforation occurred during the TEE placement, the probe
3 placement. They couldn't pass it. That's one.

4 The other issue is that a trained
5 cardiovascular thoracic surgeon, even in a busy
6 practice, gets a patient who he knows has had a problem
7 with intubation and then on the second postoperative day
8 develops a new loculated right pleural effusion, the
9 first thing you got to think of is perforation. That's
10 the only thing that causes it.

11 Q. But you would agree, then, by that point the
12 likely option available to Dr. Rice or whatever thoracic
13 surgeon was involved was exactly what Dr. Rice ended up
14 doing seven days later.

15 A. That's -- that's a reasonable assumption.
16 Although Mr. Meeker didn't show signs and symptoms of
17 sepsis, the key would have been to drain that region and
18 then even consider a stent plus-minus. But Dr. Rice's
19 procedure was certainly reasonable at that point.

20 Q. So, in other words, even if Dr. Pettersson had
21 called in Dr. Rice on Postop Day Number 2 when the right
22 pleural effusion developed, there's a likelihood that
23 the same result would have occurred; that is, he would
24 have performed the surgery that he had performed on the
25 2nd on the 29th or 30th.

1 A. Correct. But the key is that because there was
2 a problem -- there was an inability to pass the probe
3 and you had a patient in that setting that you probably
4 should have done a workup on Post Evening 1 or done it
5 the next day before the pleural effusion sets in.

6 Q. As we move along that time line closer to 24
7 hours, the likelihood that Dr. Rice is going to do the
8 same thing is -- increases, true?

9 A. That's reasonable. The key is to save the
10 esophagus, save the patient.

11 Q. Have you been provided any information as to
12 how he's doing now?

13 A. No, I have not.

14 Q. Do you know whether he's alive?

15 A. I think he's alive. I hope so.

16 Q. Do you know whether his esophagectomy was taken
17 down?

18 A. I know in the notes there's plans to do that.
19 I'm sure it was done.

20 Q. Do you know whether he can eat now?

21 A. I would hope he could.

22 Q. Do you know what his cardiovascular status is?

23 A. No, I do not. No. I can't comment. I haven't
24 seen any records except the notations that that was the
25 plan.

1 Q. Would you agree that even when a patient's NPO
2 with a perforation, the saliva that leaks out of the
3 hole can cause contamination?

4 A. Absolutely.

5 Q. And is there also some retrograde flow of the
6 gastric contents?

7 A. Correct. Generally, that's where the
8 contamination comes from is the saliva.

9 Q. Was there anything in Dr. Watson's deposition
10 that you disagreed with?

11 A. Not really. I mean, he's a younger guy. He
12 finished his training in '96, so they have a different
13 take on what we were taught.

14 Q. How does that translate into what he testified
15 to?

16 A. Just that we tend to be more aggressive
17 surgeons that tend to think that they can spend up to,
18 you know, 48, 72 hours and establish percutaneous
19 drainage. But nothing, really. I mean his deposition
20 -- I didn't rely on it for my opinion.

21 MR. MEADOWS: Let's mark the deposition
22 as Exhibit 3.

23 (Exhibit 3 marked for identification.)

24 Q. What is your understanding of any conversation
25 that occurred between Dr. Starr and Dr. Pettersson at
Ellis Reporting Service (505) 327-3477

1 the time of surgery as it relates to the transesophageal
2 echocardiogram probe passage?

3 A. Just what Jonathan had mentioned to me, that
4 they had talked about it. But I don't -- you know, I
5 don't have -- there isn't a note in the chart.

6 Q. Okay. So you did get that piece of information
7 from Jonathan.

8 A. Sure, um-hmm. Um-hmm.

9 Q. Did he tell you anything about the specifics?

10 A. No, hm-umm. And that's hearsay, I mean, to me.

11 Q. Did he say that there's testimony out there
12 about what was said?

13 A. He told me that the cardiac anesthesiologist,
14 Dr. Starr, told the cardiac surgeon that there was
15 trouble passing the scope and maybe he ought to have the
16 patient looked at. But if it's not documented in the
17 chart, it's not in my report.

18 Q. So the only source for that information is
19 Jonathan Tsilimos?

20 A. Correct.

21 Q. One of the defense experts, Dr. Cody, stated in
22 his report, "Right pleural effusion is a common
23 occurrence after cardiac surgery." Do you agree with
24 that?

25 A. Disagree.

1 Q. "Transient renal failure is explained by the
2 fact he was on bypass." I think you've agreed with
3 that.

4 A. I agreed with that. The thing with the pleural
5 effusion is a new pleural effusion on Postoperative Day
6 Number 2 is not usual during cardiac surgery.

7 Q. You have no opinions on his life expectancy
8 inasmuch as you haven't seen his most recent records?

9 A. That's correct.

10 Q. When was he no longer NPO on the time line?

11 A. I think they actually fed him after he was
12 extubated on Postop Day Number 3 or 4.

13 Q. Can we agree that the right pleural effusion
14 manifested before he was fed?

15 A. Correct.

16 Q. Where did the fluid come from that represents
17 the pleural effusion?

18 A. It's a mediastinal reaction from the pleura.
19 And what Dr. Rice dictated in his operative report when
20 he explored him was that it was a well organized pleural
21 peel. So you know it's been there for at least five to
22 seven days.

23 Q. My question wasn't a very good one. When it
24 first manifested on Day 2, the 29th, did that fluid come
25 from the saliva and from the gastric retrograde flow?

1 **A. In conjunction with pleural reaction. The**
2 **pleura is the only organ besides the pancreas that when**
3 **you piss it off, it produces fluid in response. So then**
4 **the normal absorption of pleural fluid is hindered, so**
5 **you have more production than you have absorption.**

6 **Q. Would you agree that the majority of**
7 **perforations that are older than 24 hours old are**
8 **treated with an esophagectomy or similar diversion?**

9 **A. Depends on location in the --**

10 **Q. Mid esophagus.**

11 **A. That would be a reasonable statement.**

12 **Q. Do you agree that there may be times when**
13 **resistance is encountered in passing a probe when**
14 **consultation or further evaluation of the esophagus is**
15 **not necessary?**

16 **A. Was the probe passed or not? Your question --**

17 **Q. Let's assume either way, that resistance was**
18 **met in the passing of the probe whether the procedure**
19 **was aborted or whether it was completed.**

20 **A. I would say if you have resistance while**
21 **passing the probe but you pass it, consultation is not**
22 **indicated. Just keep your radar up. But if you cannot**
23 **pass the probe, then a consultation is needed for the**
24 **reasons I mentioned.**

25 **Q. In your experience, you've never seen a**

1 situation where the imaging was converted from TEE to
2 epicardial?

3 **A. No.**

4 Q. Do you ever use epicardial imaging?

5 **A. Hardly. It's rare that I use it.**

6 Q. Have you ever?

7 **A. Yeah, when I was little.**

8 Q. How long ago was that?

9 **A. Probably maybe five years ago, seven years ago.**

10 **We used to use it for looking for plaque in placing our**
11 **cannulas, but we don't use it that much any more. It's**
12 **certainly worth -- very much in the standard of care to**
13 **use it to look at valve function.**

14 Q. So to be clear, you have no criticism of the
15 anesthesia team by virtue of the fact the perforation
16 occurred. Your criticism is that they didn't evaluate
17 in a timely manner the esophagus after resistance was
18 met and they couldn't pass the probe.

19 **A. Correct.**

20 Q. Would you agree that it was reasonable to
21 attribute the hypotension, acidosis, kidney failure in
22 the right pleural effusion to normal postop changes?

23 **A. With exception of the right pleural effusion.**

24 Q. You would agree with it except for the right
25 pleural effusion?

1 **A. Correct, yes.**

2 Q. Would you agree that not all pleural effusions
3 are hydropneumothorax?

4 **A. That's true. The key is loculation and**
5 **consolidation.**

6 Q. Would you agree that if Dr. Starr did not have
7 concern about a perforation that there was no need to
8 urgently -- and by urgently, I mean within 12 to 24
9 hours -- evaluate the esophagus?

10 **A. Well, I would disagree with that because of the**
11 **fact he couldn't pass the probe.**

12 Q. When was the chest tube removed that was placed
13 on August 29th?

14 **A. I think it was taken out on the sixth**
15 **postoperative day because the fluid reaccumulated. If**
16 **my memory serves me correctly.**

17 Q. And what's your understanding as to what was
18 draining from the chest tube placed on August 29th
19 through the time it was taken out?

20 **A. Serosanguinous fluid.**

21 Q. Would you have expected that drainage to remain
22 constant if, in fact, there was a perforated esophagus?

23 **A. Well, it depends where the tube was placed. If**
24 **it's in the periphery, then you're going to get**
25 **serosanguinous drainage. Only until you're in the area**

1 of the perforation would you get pleural material.

2 Q. Do you intend to do any further research in the
3 medical literature?

4 A. I do not.

5 Q. Do you have plans to come to Cleveland to
6 testify?

7 A. If you want me to, sure.

8 Q. Do you know when the trial is?

9 A. I do not.

10 Q. So you don't have your flight made yet.

11 A. No.

12 (Off the record.)

13 Q. Dr. Bueno, one of the experts who reviewed the
14 case at the request -- at my request from Brigham and
15 Women's Hospital stated in his report, "I believe it
16 would be risky to operate on the right chest of a
17 patient immediately post open heart surgery,
18 particularly after double valve repair." Do you agree
19 with that?

20 A. I disagree with that.

21 Q. Why?

22 A. Because basically if you have a patient that
23 has a hole in the esophagus, you have to drain it. And
24 you can do it thoracoscopically, which Dr. Rice
25 attempted to do, and reconvert to a thoracotomy. I

1 mean, we take the patients back to the Operating Room
2 immediately after open heart surgery for bleeding, for
3 ruptured pulmonary arteries. You got to do what you got
4 to do.

5 Q. He states, "Given that the patient had two
6 prosthetic rings placed within his heart, I believe that
7 the operation chosen by Dr. Rice, diversion and
8 resection, was the operation of choice at any time to
9 prevent potential seeding of the valves with
10 microorganisms."

11 A. Disagree with that.

12 Q. Why?

13 A. Because basically if you could have made the
14 diagnosis sooner than later, drain the space, double
15 antibiotics, you could put a stent or a patch over the
16 esophagus. Again, the longer you wait --

17 Q. Is a patch the same thing as primary repair?

18 A. Well, you always do a primary repair and do a
19 pleural patch. That's part of primary repair.

20 Q. But I think his point is that in light of the
21 failure rate associated with both options you just
22 outlined, the risk of seeding if failure occurs is too
23 great, and therefore diversion and resection is the
24 better course of action. Do you believe that's a
25 reasonable position to take?

1 **A. It's a reasonable position. I disagree with**
2 **it. And these are rings, not valves.**

3 **Q. I think I said rings.**

4 **A. Right. I mean, I would be more concerned if he**
5 **had double valves because the risk of bacterial carditis**
6 **is double or triple with basic sepsis.**

7 **Q. There still is a risk of seeding with the**
8 **prosthetic ring, true?**

9 **A. True. Minimal, though.**

10 **Q. Have we covered all the opinions that you**
11 **intend to give in this case?**

12 **A. You have.**

13 MR. MEADOWS: Okay. I think that's all
14 I have. Thank you.

15 THE WITNESS: Thank you.

16 MR. DOLESH: That's it.

17 THE WITNESS: I'll read and sign.

18
19
20
21
22
23
24
25