

IN THE CIRCUIT COURT, FOURTH JUDICIAL CIRCUIT
IN AND FOR DUVAL COUNTY, FLORIDA

CASE NO.: 16-2014-CA-004950
DIVISION: CV-G

DEBORAH ANN BISHARA, an)
incapacitated adult, by)
and through her attorney)
in fact, SHAWN W. BISHARA,)
and VICTORIA A. BISHARA,)

Plaintiffs,)

vs.)

ST. VINCENT'S AMBULATORY)
CARE, INC., a Florida)
Corporation, doing business)
as ST. VINCENT'S CARDIOLOGY,)
MARIANO B. MIKULIC, M.D.,)
BROOKE L. MCDUFFIE, A.R.N.P.,)
JOSE M. GARMENDIA, M.D., and)
GARMENDIA MEDICAL ASSOCIATES,)
LLC, a Florida limited)
liability company,)

Defendants.)

_____)

1190 Northwest 95th Street
Suite 402
Miami, Florida 33150
9:25 a.m. - 12:17 p.m.
Monday, March 6, 2017

DEPOSITION OF KENNETH FISCHER, M.D., P.A.

1 Taken on behalf of the Defendants before
2 Catherine L. Pflueger, Registered Professional
3 Reporter, Certified LiveNote Reporter and Notary
4 Public in and for the State of Florida at large,
5 pursuant to Defendants' Notice of Taking Deposition
6 in the above cause.

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 APPEARANCES:

2

3 On behalf of the Plaintiffs

4 TERRELL HOGAN
5 FADI M. CHAKOUR, M.D., J.D.
6 MATTHEW W. SOWELL, ESQ.
7 WILLIAM J. SCOTT, ESQ.
8 233 East Bay Street, 8th Floor
9 Jacksonville, Florida 32202
10 (904) 632-2424
11 chakour@terrellhogan.com

8

9

10 On behalf of the Defendants:

10

11 SMITH HULSEY & BUSEY
12 WILLIAM E. KUNTZ, ESQ.
13 225 Water Street, Suite 1800
14 Jacksonville, Florida 32202
15 (904) 359-7700
16 wkuntz@smithhulsey.com

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

WITNESS	PAGE
KENNETH FISCHER, M.D., P.A.	
Direct Examination by Mr. Kuntz.....	6
Cross-Examination by Mr. Chakour.....	117
Redirect Examination by Mr. Kuntz.....	124

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

DEFENDANT'S EXHIBITS

NUMBER	DESCRIPTION	PAGE
EXHIBIT 1	Curriculum Vitae.....	8
EXHIBIT 2	Neurological Consultation Report.....	14
EXHIBIT 3	St. Vincent's Imaging, November 22, 2012, December 15, 2012.....	11
EXHIBIT 4	Baptist South Imaging January 6, 2016, January 7, 2016, January 8, 2016, December 12, 2016.....	14
EXHIBIT 5	Notice of Deposition.....	19
EXHIBIT 6	Dr. Chabolla's report.....	20
EXHIBIT 7	Case List for Depositions and Trials 2016.....	44
EXHIBIT 8	Dr. Gama's records.....	44
EXHIBIT 9	Final Report, Bates-stamped A001149.....	94
EXHIBIT 10	Final Report, Bates-stamped A001152.....	95

1 THE COURT REPORTER: Please raise your
2 right hand. Do you swear or affirm that the
3 testimony you shall give will be the truth, the
4 whole truth, and nothing but the truth?

5 THE WITNESS: I do.

6 (KENNETH FISCHER, M.D., P.A.)

7 DIRECT EXAMINATION

8 BY MR. KUNTZ:

9 Q. Dr. Fischer, my name is Bill Kuntz, and I
10 represent St. Vincent's Ambulatory Care, and also
11 known as St. Vincent's Cardiology, and Dr. Mikulic
12 and Brook McDuffie.

13 Would you please state your full name for
14 the record.

15 A. Yes, sir. My name is Dr. Kenneth Fischer.

16 Q. And where are we today here, Doctor?
17 What's the address and what is this?

18 A. This is my medical office. The address is
19 1190 Northwest 95th Street, Suite 402, Miami,
20 Florida 33150.

21 Q. And you are a practicing neurologist at
22 this time, Doctor?

23 A. Yes, sir, I am.

24 Q. And you're board certified?

25 A. Yes, sir.

1 Q. Are you board certified in anything other
2 than neurology?

3 A. Yes.

4 Q. What?

5 A. By the American Board of Quality Assurance
6 and Utilization Review and credentialed by the
7 American Academy of Pain Management.

8 Q. Okay. Have you ever done any residencies
9 or fellowships in cardiology?

10 A. No, sir.

11 Q. Cardiac surgery?

12 A. No.

13 Q. You've never been a nurse, I assume?

14 A. No, I've not.

15 Q. And do you hold any teaching positions
16 with nursing schools?

17 A. No.

18 Q. All right. In connection with this
19 deposition, we provided a notice of taking
20 deposition with a subpoena duces tecum attached,
21 which has in turn attached Exhibit A, a list of
22 records that are subpoenaed for your deposition.

23 Did you receive a copy of this through
24 counsel?

25 A. I saw it this morning from the gentleman

1 here to my right. I had not seen that prior to
2 today. But I think the only thing I have not
3 complied with, and it's not been intentional, is my
4 original record file I received back in 2013 was
5 placed in storage because the records were old, so I
6 don't have those. The more recent records I
7 received this year are present as well as everything
8 else.

9 Q. All right. And you've provided us with a
10 current CV for yourself, correct?

11 A. Yes, sir.

12 (Photocopy of Fischer Composite Exhibit 1
13 was marked for identification.)

14 BY MR. KUNTZ:

15 Q. And that's marked as Exhibit 1 to this
16 deposition.

17 Is there any literature or articles that
18 you wrote that are referred to in your CV or
19 otherwise that pertain to the topics you'll be
20 discussing here today?

21 A. No, sir.

22 Q. Have you reviewed any literature of any
23 kind with respect to your opinions here today?

24 A. I have not.

25 Q. I notice that the last publication that's

1 at least referenced in your CV is from 1976; is that
2 correct?

3 A. That is correct.

4 Q. Since then you haven't done any scientific
5 or medical writing, correct?

6 A. That is correct, sir.

7 Q. And you practice here in this office by
8 yourself; is that correct?

9 A. I do.

10 Q. And your supporting staff, of course?

11 A. Certainly.

12 Q. And which hospital, if any, do you
13 normally practice at?

14 A. North Shore Medical Center, and for my
15 stroke rehabilitation patients St. Catherine's
16 Rehabilitation Hospital. I also have privileges
17 primarily for teaching at Jackson Memorial Hospital.
18 I also have privileges primarily for teaching at
19 Jackson Memorial Hospital and University of Miami
20 Hospital.

21 Q. You have appointments there you said?

22 A. Yes.

23 Q. That is you can see patients there or
24 admit patients there?

25 A. No. Not -- I gave those up. I'm an

1 honorary, but I can teach there and do teach there
2 on a regular basis.

3 Q. At the University of Miami?

4 A. Yes.

5 Q. Do you get paid for that?

6 A. No, I do not.

7 Q. When's the last time you've taught there?

8 A. It will be December -- the last Wednesday
9 in December. My next rotation is scheduled the
10 first Wednesday in April.

11 Q. And what will that consist of?

12 A. The Wednesdays I spend there in the clinic
13 at the University of Miami Jackson, instruct the
14 residents in neurology.

15 Q. You went to -- did your internal medicine
16 internship at University of Miami?

17 A. I did.

18 Q. And also your residency in neurology also
19 University of Miami?

20 A. Yes, sir.

21 Q. You've been retained to testify on behalf
22 of the plaintiffs in this case and against the
23 defendants; is that correct?

24 A. Yes.

25 Q. And can you tell us when you were

1 retained?

2 A. I have a ledger that will tell me. I
3 think you wanted that. Let me get that.

4 I can't seem to come up with that, but I
5 know it was around July of 2013.

6 Q. Now, you indicated to me that you had a
7 bunch of records that were provided to you back
8 around that point in time but they're not here with
9 you today, correct?

10 A. That is correct.

11 Q. And do you have a listing of what those
12 records were?

13 A. Yes.

14 Q. Could you tell us?

15 A. The hospitalization of Ms. Bishara at St.
16 Vincent's Medical Center beginning 12/7/12 and
17 records of Brooks Rehabilitation Center beginning
18 12/15/12. And the other records I have are present,
19 subsequent records, including those of Dr. Gama and
20 Dr. Chabolla, C-h-a-b-o-l-l-a, and the X-rays which
21 are present, which include -- may I borrow that for
22 a second to --

23 (Photocopy of Fischer Exhibit 3 was marked
24 for identification.)

25

1 BY MR. KUNTZ:

2 Q. Sure. I'll show you what's been marked as
3 Exhibit 3, which is just going to be a copy of the
4 disk that pertains some imaging.

5 A. Yes. This was the St. Vincent's imaging
6 between 11/22/12 and 12/15/12, and the more recent
7 Exhibit No. 4, which is Baptist South Imaging
8 including CT head, 1/16/16; MRI brain, 1/7/16; MRA
9 head, 1/9/16; MRI brain, 12/12/16.

10 Q. And these are the only imaging you've been
11 provided?

12 A. Yes, sir.

13 Q. And you were just provided these recently?

14 A. Yes.

15 Q. When?

16 A. I'd say -- well, actually these came in
17 Thursday late. I didn't look at them until late
18 Friday.

19 Q. So what would that date be?

20 A. That would be March 3rd.

21 Q. 2017?

22 A. Yes, sir.

23 Q. Had you ever asked for any of the imaging
24 before?

25 A. I don't recall. I might have. Typically

1 I like to look at imaging contemporaneous with my
2 review. I just don't recall my discussions with
3 Mr. Sowell back in 2013.

4 Q. These images that relate to the year 2016,
5 in January of 2016 and December of 2016, do you know
6 why they were taken?

7 A. No. I did ask for more updated records.
8 I did receive some of Dr. Chabolla. I was informed
9 she'd seen another neurologist and also Dr. Gama. I
10 also was informed she saw another neurologist
11 Dr. Boehm, B-o-e-h-m, but those records have not
12 been received. That may have some explanation of
13 why they were requested.

14 Q. So you're saying that you did receive
15 records from Chabolla and Gama, but not from
16 Dr. Boehm?

17 A. That is correct.

18 Q. You requested them?

19 A. I did. I had a conference with Mr. Sowell
20 about ten days ago at which time I received these
21 records from Chabolla and Gama. He told me the
22 records from Boehm, I asked for them, but I have not
23 received them.

24 Q. And with respect to all these images that
25 you were provided, did you review them all?

1 A. Yes, sir.

2 Q. Not just the reports, but actual images?

3 A. I don't have the reports of this latter
4 disk.

5 (Photocopy of Fischer Exhibit 4 was marked
6 for identification.)

7 BY MR. KUNTZ:

8 Q. The Exhibit 4 from 2016?

9 A. Yes. Just the images themselves.

10 Q. Can I see that Exhibit A again. I'll have
11 the subpoena duces tecum to you today delivered to
12 Mr. Sowell that was supposed to be delivered to you
13 that you're saying really wasn't delivered to you
14 until this morning, correct?

15 A. That is correct.

16 Q. And so you have the records that you
17 described that you have here today. And when you
18 were telling me which records you were provided back
19 in 2013, what were you referring to? How do you
20 know what you were provided?

21 A. I just remember -- looking at my report, I
22 can remember what I had sort of stopped at the time
23 of the discharge from Brooks Rehab.

24 (Photocopy of Fischer Composite Exhibit 2
25 was marked for identification.)

1 BY MR. KUNTZ:

2 Q. And when you refer to a report, are you
3 referring to this report that we marked as Exhibit 2
4 dated February 22nd, 2017?

5 A. Yes, sir.

6 Q. Okay. Is that the only report that you
7 have in this case?

8 A. It is.

9 Q. All right. And tell me what we've marked
10 as Composite Exhibit 2 is?

11 A. This is my entirety of the chart. Since I
12 saw Ms. Bishara in my office in patient
13 circumstances, I did a regular patient chart on her.
14 The entire contents of that chart are copied here
15 for Exhibit No. 2.

16 Q. And was February 22nd the date that you
17 saw her?

18 A. It was.

19 Q. Okay. And why did you see her?

20 A. To determine her neurological deficiency
21 and relate that to her circumstances which occurred
22 back in 2012.

23 Q. And was that done at the request of the
24 plaintiffs' counsel?

25 A. It was.

1 Q. How long did you spend with her when you
2 saw her?

3 A. Hour and 15 minutes.

4 Q. And obviously she came to your office?

5 A. Yes, she did.

6 Q. And who was she accompanied by?

7 A. Mr. Sowell.

8 Q. All right. Do you know how she traveled
9 here?

10 A. Yes.

11 Q. How?

12 A. They drove from Jacksonville.

13 Q. And do you know if they drove back as
14 well?

15 A. Yes, they did.

16 Q. Do you know why they drove as opposed to
17 flew?

18 A. Yes. I asked, because to me it's a long
19 drive. But Mr. Sowell said the connections weren't
20 good. They just felt they might as well go ahead
21 and drive.

22 Q. And what time of the day did you see her?

23 A. I think it was initially scheduled for the
24 morning, but I had a conflict. So I think we did it
25 in the midafternoon. If you would like, I could get

1 the exact time for you.

2 Q. Well, I take it, if you were scheduled in
3 the morning, they must have driven at least the day
4 before?

5 A. No. They came in -- no. Initially
6 scheduled in the morning. I had to change their
7 appointment to the afternoon because I had a
8 conflict. So they came, as I recall, sometime
9 around 1:00 o'clock, I believe.

10 Q. And do you know if they had driven that
11 same morning all the way down?

12 A. Yes, they had.

13 Q. Okay. So they left early in the morning
14 and got here about 1:00 o'clock?

15 A. Correct.

16 Q. And after your appointment was over, do
17 you know if they went and drove back the same day?

18 A. Yes, they were.

19 Q. Just the two of them, no family members or
20 anybody else?

21 A. No family members with Ms. Bishara, no.

22 Q. Now, we were provided expert disclosure
23 with respect to your opinions prior to
24 February 22nd, so I'm assuming that you had formed
25 your opinions prior to that date?

1 A. Yes, that is correct, sir.

2 Q. And you then saw her on February 22nd.
3 Did that change any of your opinions?

4 A. Well, no. But it really gave me a much
5 better feeling for her, the specifics of her
6 residual neurological deficiency currently.

7 Q. All right. But my question was: Did it
8 change any of your opinions? And I think you said,
9 no, it did not change?

10 A. It did not.

11 Q. Okay. But nice to see her in person and
12 then be able to put the paperwork that you had seen
13 previously and the imaging together with your actual
14 visual examination?

15 A. Yes, that is correct.

16 Q. Okay. But you could have expressed
17 essentially the same opinions that you had even
18 without seeing her; is that correct?

19 MR. CHAKOUR: Objection.

20 THE WITNESS: In terms of causation, that
21 is true. In terms of the specifics of her
22 damages, I feel better fortified to discuss
23 that having done a personal examination as
24 opposed to relying on other people's
25 examinations.

1 (Photocopy of Fischer Composite Exhibit 5
2 was marked for identification.)

3 BY MR. KUNTZ:

4 Q. Now, let's mark this as Exhibit 5.

5 Were you provided any depositions?

6 A. No, none.

7 Q. Did you ask for any?

8 A. I did not.

9 Q. When you did -- I take it you did some
10 review in 2013. Had you done any review since then
11 prior to this review you did in February of this
12 year?

13 A. The initial records I alluded to earlier,
14 the records of Dr. Chabolla, the records of
15 Dr. Gama. Again, my report did not include any
16 discussion of the X-rays because it was issued prior
17 to receiving such.

18 Q. So I take it did the X-rays change any of
19 your opinions, the imaging studies?

20 A. No, sir.

21 Q. With respect to Dr. Chabolla and
22 Dr. Gama's records, I understood you just received
23 those?

24 A. That was prior to February 22nd.

25 Q. When?

1 A. It was probably within a week prior to
2 that.

3 Q. So it was within -- in February of 2017?

4 A. Yes.

5 Q. And were those something you requested or
6 just something you were provided?

7 A. Way back in 2013 I had requested updated
8 records of the patient's records. They had stopped
9 after the Brooks Rehabilitation discharge, and some
10 of those records were supplied to me in 2017, the
11 ones I mentioned.

12 Q. I see some underlining or red markings and
13 some stickers, are those things you put on here?

14 A. Yes, sir.

15 Q. Okay. With respect to Dr. Chabolla's
16 records in here that's under Tab 1, it says "Office
17 note of Dr. Chabolla, 2/12/13." Is that all you
18 received from -- with respect to Dr. Chabolla?

19 A. Other than his involvement with her as an
20 inpatient at St. Vincent's in 2012.

21 (Photocopy of Fischer Composite Exhibit 6
22 was marked for identification.)

23 BY MR. KUNTZ:

24 Q. In Dr. Chabolla's report, which I'll mark
25 as Exhibit 6, you've highlighted it says, "She has

1 normal strength of the left upper extremity and both
2 lower extremities."

3 Is that consistent with your observation?

4 A. No.

5 Q. Okay. How is your observation different
6 from that of her treating neurologist Dr. Chabolla?

7 A. I found spastic weakness, which I graded
8 4+ over 5 in the right lower extremity. I did agree
9 with him that Ms. Bishara exhibited normal strength
10 on her left side.

11 Q. So it's confined to the right side, any
12 weakness; is that correct?

13 A. Correct.

14 Q. And that indicates an injury to the brain
15 of the left side; is that correct?

16 A. It does, sir.

17 Q. And indicates no injury to the right side
18 of her brain, correct?

19 A. Well, at least the strength would not be
20 indicative of that.

21 Q. Okay.

22 A. She has other things which are indicative
23 of bilateral involvement.

24 Q. Are they outlined in the report that you
25 just prepared?

1 A. Yes, sir.

2 Q. Okay. What are they?

3 A. Namely, her reflexes were excessive
4 bilaterally, although asymmetrically to the right.

5 Q. What does that mean? Would you explain
6 that?

7 A. Oh, sure. When a patient has their
8 reflexes examined, the patient is seated on
9 examining table and the doctor, neurologist
10 typically, has a hammer and taps several reflexes
11 both the arms and legs. And there is a grading
12 system of zero to 4. Zero being absent, which is
13 typically not normal; 4 being grossly excessive.

14 And the patient, Ms. Bishara, exhibited 3+
15 reflexes on the left and 4+ on the right, so both
16 are abnormal although asymmetrically so to the right
17 side, which means that she had bilateral cerebral
18 dysfunction more pronounced in the left side of her
19 brain.

20 Additionally, as part of the examination,
21 the neurologist performs what are called cortical
22 release signs. When a baby is born, the baby has
23 rooting and sucking and snouting reflexes, which are
24 primitive reflexes secondary to disinhibition of the
25 frontal lobes. As a person ages, the frontal lobes

1 become more developed and those reflexes dissipate,
2 typically by age three, and they should not return.

3 However, in senescence, they can return or
4 with pathological conditions, conditions like
5 stroke, brain tumor, multiple sclerosis, Lou
6 Gehrig's Disease, Parkinson's and Alzheimer's.

7 Ms. Bishara is, when I examined her, 55
8 years of age. So a person of that age should not
9 exhibit these reflexes, but she had the whole
10 consortium of them, although, again, asymmetrically
11 to the left side of her brain. She had specifically
12 a snout, jaw jerk, glabella, and a right palmomental
13 sign, which again were indicative of left-side
14 dysfunction. And a right Hoffman sign again
15 indicative of left cerebral dysfunction. So these
16 reflexes are indicative of the patient having
17 bilateral brain disease more pronounced on the more
18 affected left side of her brain.

19 Q. Now --

20 A. Let me --

21 Q. I'm sorry. I thought you were finished.

22 A. There's just one other issue, her gait was
23 a spastic ataxic gait bilaterally more pronounced on
24 the right side. So, again, there's an asymmetry to
25 the presentation exhibited by Ms. Bishara, but there

1 is involvement of both sides of her brain, again,
2 the left side of her brain affecting the right side
3 of the body having more pronounced defect.

4 Q. And is what you highlighted here from
5 Dr. Chabolla, who I guess was her treating
6 neurologist both in the hospital during the
7 December 7th admission and at least up through
8 February of 2013, he didn't find those same things
9 at that point in time, correct?

10 A. May I borrow that back?

11 Q. Sure.

12 A. I'll see what he -- there is a difference
13 between his exam and my exam to be sure.

14 Now, he did not do as intricate
15 examination on 2/13/13 as I did on 2/22/17. So I
16 can't compare them completely. But I found I had
17 findings listed, but he did not discuss.

18 Q. Okay. And he did not -- also did multiple
19 exams back in the hospitalization in connection with
20 the December 7th, 2012, admission as well, correct?

21 A. He did.

22 Q. And he didn't find any of these things you
23 found during those multiple exams either, did he?

24 A. Well, you said he didn't find them much
25 for he looked for them. You see, I mean, the

1 documentation does not demonstrate the performance
2 one way or the other. So he may not have sought
3 them; therefore, he didn't document them. I don't
4 know if he just didn't find them or whether he just
5 didn't do those parts of the examination I did.

6 Q. A neurologist doing examinations of a
7 patient like this should be doing those
8 examinations, shouldn't they?

9 A. They should, but doesn't mean they
10 necessarily do.

11 Q. All right. So -- but I just want to make
12 sure. In all the examinations Dr. Chabolla did in
13 2012 and a year later in 2013, he did not find these
14 things that you find existing in February of 2017;
15 is that correct?

16 MR. CHAKOUR: Objection.

17 THE WITNESS: That's correct. But also
18 take note that during the time of the earlier
19 examination, the patient was poorly responsive,
20 could not cooperate with the kind of testing I
21 performed in 2017. She was not able to do
22 that.

23 BY MR. KUNTZ:

24 Q. Well, she was in December of 2013, wasn't
25 she, Doctor?

1 A. Yes.

2 Q. Okay. Because that was a year later.

3 A. Yes.

4 Q. Okay. So that doesn't explain any

5 differences between 2012 and 2013 and now

6 February 2017, does it?

7 MR. CHAKOUR: Objection.

8 THE WITNESS: Well, again, the differences

9 may be that he didn't do the things. In other

10 words, frankly, I do a very thorough

11 examination of my patients, probably more than

12 most people do.

13 And certainly I just had one chance to

14 see this lady coming from 400 miles away. So

15 I was going to take my time, do every little

16 intricate thing, which may not be done in a

17 routine follow-up visit, 15 minutes by a

18 neurologist who's seeing a patient on a

19 regular basis.

20 That may explain the difference between

21 his examination and mine. The length of it

22 was much more substantial in mine than his.

23 I'm not criticizing him. He's seeing the

24 patient for follow-up. Those little things

25 don't make that much difference in terms of

1 what he's doing. It makes a difference in
2 what I'm doing.

3 BY MR. KUNTZ:

4 Q. And what you're doing is examining so that
5 you can testify in court against the defendants in
6 this case, right?

7 MR. CHAKOUR: Objection.

8 THE WITNESS: I wouldn't put that. I'm
9 examining so I have a good understanding of the
10 patient so I can convey it appropriately to you
11 or anybody else.

12 BY MR. KUNTZ:

13 Q. I understand, but you're not a treating
14 physician of Ms. Bishara, are you?

15 A. You are correct, I am not.

16 Q. You're hired to be an expert and testify
17 in the case against my clients, correct?

18 MR. CHAKOUR: Objection.

19 THE WITNESS: Yes, I am.

20 BY MR. KUNTZ:

21 Q. Okay. Now, would it be correct that you
22 have not spoken to any of the treating physicians
23 involved in this case?

24 A. That is correct, I have not.

25 Q. And, obviously, you've talked to

1 Mr. Sowell, the counsel for the family, correct?

2 A. Yes, sir.

3 Q. And he has another lawyer named Mr. Scott.

4 Have you spoken with Mr. Scott?

5 A. No.

6 Q. And, of course, you've spoken with your

7 lawyer here today?

8 A. He's not my lawyer, but I spoke with him,

9 yes.

10 Q. Okay. How many times have you spent --

11 have you talked with these various attorneys prior

12 to testifying here today?

13 A. Okay. I spoke with Mr. Sowell back in

14 2013 for a period of 15 minutes. I spoke with

15 Mr. Sowell in 2017 for half an hour. Mr. Sowell was

16 in attendance of my examination on February 22nd,

17 2017. I spoke with his associate here this morning

18 briefly prior to our deposition. So I guess if you

19 include all those things, it would be four times.

20 Q. You mean, during your medical examination

21 of Mrs. Bishara, the lawyer was here for an hour and

22 15 minutes.

23 A. Yeah, yeah. I told you. I mentioned that

24 earlier, yes.

25 Q. I didn't hear that.

1 A. Yes. He drove her here.

2 Q. That's pretty unusual to have a lawyer
3 sitting in during a medical examination of a
4 patient, isn't it?

5 MR. CHAKOUR: Objection.

6 THE WITNESS: Not at all.

7 BY MR. KUNTZ:

8 Q. It's common for you?

9 A. Most of the times I do CMEs and almost
10 always the -- the plaintiff's lawyer is sitting in
11 while I'm doing the CME. It happened Friday, as a
12 matter of fact, so it's not unusual at all.

13 Q. These are all when you're involved in
14 litigation, correct, not patient care?

15 A. That is correct.

16 Q. Did you speak with the lawyer during your
17 examination?

18 A. He was a passive observer.

19 Q. No. My question is, did you speak with
20 him during the examination?

21 A. I said hello, and he mentioned to me he
22 had driven in, and he watched me examine her, but he
23 didn't say anything otherwise.

24 Q. Do you have your billing in connection
25 with this case? That was one of the things --

1 A. I did. But I can tell you exactly what it
2 is point by point.

3 Q. Okay. Where is it?

4 A. I can get it for you. It's not that much,
5 so I can tell you. There's a \$1,000 charge for the
6 initial record review. That was back in summer of
7 2013.

8 Q. Is that just a flat rate that you do
9 initial records review for?

10 A. No. It was \$500 an hour; it was two
11 hours. It was \$200 charge at the same time frame
12 for a conference, 15 minutes with Mr. Sowell. There
13 was a \$400 charge for a conference, half an hour, I
14 had with Mr. Sowell in February of this year. There
15 was an \$800 charge for the examination I conducted
16 of Ms. Bishara on February 22nd, 2017. And there
17 was a \$625 charge, which is not in my records yet,
18 but I can tell you I did over the weekend, included
19 my review of the materials and the X-rays, which we
20 discussed earlier.

21 Q. Okay. And that's what all your records
22 would show?

23 A. Yes. Well, the records don't have this
24 last \$625 charge, but everything else I've
25 discussed, they do.

1 Q. Understood. So it sounds like you charge
2 \$500 an hour for your review and you charge \$800 an
3 hour for other stuff; is that right?

4 A. Yes, sir, that is correct.

5 Q. So for the honor of me speaking to you
6 today, what are you charging my clients?

7 A. \$800 an hour.

8 Q. So if you're talking to the plaintiffs'
9 counsel or to me, it's \$800 an hour?

10 A. It is, sir.

11 Q. And if you come to trial, how much would
12 that be?

13 A. Flat fee of \$5,000.

14 Q. I have had the opportunity to see a lot of
15 testimony you've given in other cases. And I gather
16 from that that you have served as an expert in
17 medical malpractice cases hundreds of times; is that
18 correct?

19 A. I think that's fair.

20 Q. And that is probably in excess of 400
21 cases. Would that be fair?

22 A. I don't know that. I don't have the
23 count, so I can't answer that question. It's in the
24 hundreds. Whether it's 300 or 400, I do not know.

25 Q. And the bulk of your work is on behalf of

1 plaintiffs in cases?

2 A. In medical negligence cases, that is true.
3 In other kinds of cases, it is not.

4 Q. Yes. I'm just asking you about medical
5 negligence cases. You usually are testifying for
6 plaintiffs against healthcare providers, correct?

7 A. That is true. At the current time, the
8 last 10, 15 years, that is accurate.

9 Q. And, in fact, you've testified against
10 healthcare providers throughout the state of
11 Florida; is that correct?

12 A. Yes.

13 Q. That would include Tampa?

14 A. Sure.

15 Q. Lakeland?

16 A. Yes.

17 Q. Miami?

18 A. Oh, yes, many times.

19 Q. Ocala?

20 A. Yes.

21 Q. Jacksonville?

22 A. Yes.

23 Q. Hillsborough County, Tampa area?

24 A. Yes.

25 Q. And other parts of the state, right?

1 A. Yes, that is correct.

2 Q. And you testified against healthcare
3 providers in the state of Texas many times, have you
4 not?

5 A. Not recently. But I'd say overall I've
6 been to Texas four -- I'd say five or six times over
7 30 years.

8 Q. You say "been there," that means you've
9 been there to go to trial?

10 A. Yes.

11 Q. Okay. And in addition to that, you've
12 reviewed and given depositions in many other cases
13 besides the times that you went to trial?

14 A. Not many, but some, yes.

15 Q. All right. And many in Massachusetts as
16 well?

17 A. Many in Massachusetts, yes.

18 Q. And many in the state of Ohio, correct?

19 A. Yes.

20 Q. And in New Hampshire?

21 A. Yes.

22 Q. And in North Carolina?

23 A. Yes.

24 Q. And in San Francisco, California?

25 A. No. One case in San Francisco, which was

1 a motor vehicle case. I gave a deposition, but I
2 never went to trial there.

3 Q. All right. And in Georgia it was with
4 respect to medical malpractice cases where you've
5 testified against healthcare providers?

6 A. I have.

7 Q. And in Kentucky?

8 A. Both for and against in Kentucky.

9 Q. And in Michigan?

10 A. I've never been to Michigan, but I have
11 testified in deposition in about five medical
12 malpractice cases in Michigan.

13 Q. Against healthcare providers?

14 A. Yes.

15 Q. And in Maryland?

16 A. Yes.

17 Q. And in New York?

18 A. Yes.

19 Q. And in Illinois?

20 A. Yes.

21 Q. Including Chicago, Illinois?

22 A. Correct.

23 Q. And in South Carolina?

24 A. Illinois I also had one as a defense
25 witness.

1 Q. One, okay. And in South Carolina?

2 A. By deposition only, not in trial.

3 Q. And West Virginia?

4 A. One case in deposition, not in trial.

5 Q. And Pennsylvania?

6 A. No. I had a -- I had one motor vehicle
7 case, but not --

8 Q. Not a medical case?

9 A. No.

10 Q. All right. Except for the clarifications
11 you've made, the rest of them were all medical
12 malpractice cases, right?

13 A. Yes.

14 Q. And one of the things I asked you for in
15 the subpoena are a list of cases?

16 A. That I have right away.

17 Q. All right.

18 A. This is a three-year list from 2014, 2015,
19 2016.

20 MR. KUNTZ: All right. Thank you. I'll
21 have that marked as an exhibit.

22 BY MR. KUNTZ:

23 Q. Have you ever been hired by counsel in
24 this case, plaintiffs' counsel in this case, for
25 other cases against healthcare providers?

1 A. I have.

2 Q. How many times?

3 A. I'm guesstimating four or five times other
4 than this case.

5 Q. Okay. And against healthcare providers,
6 hospitals and others in the Jacksonville, Florida,
7 area?

8 A. Yes. It was in Jacksonville or it's one
9 of the St. Augustine county area, those two areas.

10 Q. Okay. And you have brought criticisms and
11 testimony against the Baptist system hospitals up
12 there, haven't you?

13 A. Yes, sir.

14 Q. And the St. Vincent's system of hospitals
15 up there?

16 A. Yes.

17 Q. And Memorial Hospital up there?

18 A. Yes, sir.

19 Q. Any others?

20 A. Not that I recall, sir.

21 Q. All right. And down in St. Augustine, do
22 you recall what healthcare providers or hospitals
23 you were critical of there?

24 A. I do not know the name of the hospital.

25 Q. Would it have been Flagler?

1 A. Yes, I think so.

2 Q. Okay. Are there any up there that you
3 haven't been critical of?

4 MR. CHAKOUR: Objection.

5 THE WITNESS: Any of the hospitals? I'm
6 sure there are other hospitals in Jacksonville
7 besides those, but those are the ones I recall
8 being involved with.

9 BY MR. KUNTZ:

10 Q. How about the Shands Hospital or the UF
11 hospital up there? It used to be called University
12 Medical Center. It's had different names.

13 A. There was a case against Shands. I'm not
14 sure it was the Shands in Gainesville or the Shands
15 in Jacksonville, but it was not with Mr. Sowell. It
16 was with a different attorney.

17 Q. Okay. Do you know how Mr. Sowell got
18 linked up with you in these five or six cases that
19 you've done with him?

20 A. No, sir, I do not.

21 Q. How many years have you been serving as an
22 expert in the kind of cases we're talking about?

23 A. Since 1979.

24 Q. And what percentage, roughly, of your
25 professional time do you devote to doing this work

1 as opposed to patient care?

2 A. You say "this work," you're talking about
3 medical negligence or nonmedical activities?

4 Q. Well, let's say medical negligence.

5 A. Okay. I'd say 6 percent of my time is
6 involved with medical negligence matters.

7 Q. And what percentage of your income is with
8 respect to medical negligence?

9 A. The same percentage, more or less.

10 Q. Same percentage?

11 A. Yes, sir.

12 Q. That would suggest that you get \$800 an
13 hour for being a neurologist; is that right?

14 A. I earn pretty close to that doing the work
15 that I do, yes.

16 Q. How many hours do you work?

17 A. I work every day about 16 hours.

18 Q. Sixteen hours every day?

19 A. Yes. Some days on Sundays I may take a
20 little bit less. But Monday through Friday more or
21 less 16 hours a day.

22 Q. Is that patient care except for the
23 medical negligence work?

24 A. The whole composite of things. I'll be
25 glad to discuss them if you'd like.

1 Q. Well, what percentage of other things do
2 you do other than patient care?

3 A. Charity work, teaching. There's a company
4 I run, which is unrelated to medical care, my late
5 wife's company. I have certain responsibilities
6 there I get involved with with Dade County.

7 Q. What kind of -- what's the general work of
8 that company?

9 A. It's a social service concern. It
10 evaluates social service products -- projects for
11 the county.

12 Q. So of 100 percent of your business time,
13 professional time, how much of it is devoted to
14 patient care?

15 A. Overall 80 percent is patient care. I see
16 a lot of patients.

17 Q. Okay. So the other 20 percent is other
18 stuff?

19 A. Yes, sir.

20 Q. So 80 percent of 16-hour days would be 12
21 or 13 hours a day doing patient care, correct?

22 A. That's typical, yes, sir.

23 Q. And that's six days a week or maybe more?

24 A. No. That's Monday through Friday. I have
25 no office hours on Saturday. So it would be fewer

1 number of hours on Saturday and Sunday, just
2 hospital rounds.

3 Q. So just five days a week, not even
4 counting the weekends, that's 60 hours of patient
5 care a week or medical/legal work?

6 A. Sixty hours, yeah. Typically, I have
7 9:00 to 5:00 is outpatient, and I see patients
8 typically 7:00 to 9:00 and then 5:00 to 6:30 or
9 7:00, so I'd say that's my patient care time
10 allotment other than when I'm like with you today.

11 Q. You're not relying on any textbooks or any
12 written materials, other than what we've talked
13 about already; is that correct?

14 A. That is correct, sir.

15 Q. Do you have any transcripts of the
16 testimony from the cases that are listed in
17 Exhibit 6 here?

18 A. Only if it's gone to deposition, hasn't
19 gone to trial, I retain them until such time it goes
20 to trial, so I can't -- let's see. I'm looking
21 at -- these are old ones. Actually, let's see.

22 Do you want me to go over each one? I can
23 tell you most of these have settled and the stuff is
24 shredded. I see one case which is still existing,
25 which I was deposed on. I probably still have that

1 deposition.

2 Q. Well, that was just one of the things
3 covered on the subpoena is transcripts of your prior
4 testimony.

5 A. It would be very hard to access them
6 because I would have to go each individual case.

7 Q. Okay. In any event, you did not bring any
8 of those transcripts with you here today?

9 A. No, sir.

10 Q. Okay. And you didn't even know they were
11 asked for until this morning, correct?

12 A. That is correct, sir.

13 Q. All right. Let's go ahead and move those
14 aside. I want to go back to these records that you
15 were provided recently. We've talked about
16 Dr. Chabolla's, and then we have this list or a
17 stack of documents. I think you said these are all
18 Dr. Gama's records from what you understand --

19 A. Yes, sir.

20 Q. -- and you've reviewed all these?

21 A. Yes, sir.

22 Q. And there are lots of markings, red
23 underlining of things. Is that all yours?

24 A. Yes, sir.

25 Q. All right. And then there's a -- I don't

1 know why there's a rubber band around part of them.

2 Do you know?

3 A. I think it was separated out. I separated
4 out from other records. I'm not sure.

5 Q. Okay.

6 A. I don't recall why.

7 Q. And there's some stickers on some of the
8 pages. That's something you put on there as well?

9 A. It is, sir.

10 Q. Are you aware of whether or not
11 Mrs. Bishara has suffered any further neurological
12 problems separate and apart from those that she had
13 in December of 2012 since December of 2012?

14 A. Well, she has the aftermath of that, which
15 requires neurological care. I think you're
16 suggesting that she had some new neurological
17 problems.

18 Q. I'm asking if you are aware of any.

19 A. No.

20 Q. Okay.

21 A. I think she was evaluated for such, but I
22 don't think they found any new structural
23 neurological process.

24 Q. When do you think she was evaluated for
25 those --

1 A. If I could borrow that, I could probably
2 tell you exactly. Thank you, sir.

3 The answer is, I don't see any new
4 neurological process described in these records of
5 Dr. Chabolla we discussed before, nor Dr. Gama.

6 But something of note, which I'm not sure
7 I like to comment on now, but of interest, in
8 reports of Dr. Gama, he notes, similar to me, that
9 the patient has bilateral hyperreflexia more
10 pronounced on the right side.

11 Q. When was that?

12 A. Several occasions. For example, his visit
13 of 1/24/17, which is not far removed from mine,
14 there's only about four weeks' difference, he
15 mentions the same exact findings I had documented.

16 Q. Okay. And when did Dr. Gamma's records
17 start in terms of time earliest?

18 A. I see a visit here of 5/29/13. I think
19 that's the earliest visit I have.

20 Q. At that time did he notice bilateral
21 hyperreflexia that he noticed in January of 2017?

22 A. I will verify that in a second. He does
23 note on that visit right-side weakness, arm greater
24 in the face, greater in the leg. So he did indeed
25 note right leg weakness on that visit.

1 And he also notes on that visit reflexes
2 were increased in the upper and lower extremities,
3 worse on the right, which is symmetrical with my
4 visit, not consistent with Dr. Chabolla in a very
5 similar time frame.

6 MR. KUNTZ: I believe I noted -- marked
7 earlier Dr. Chabolla's records. Does anybody
8 know if we did or didn't?

9 THE WITNESS: You did. That packet you
10 did mark. It was one packet that had all
11 Dr. Chabolla's and Dr. Gama's records.

12 MR. KUNTZ: All right, Doctor. I don't
13 think I marked Dr. Chabolla's record that you
14 had earlier with your red highlighting on it,
15 so I'm going to mark that as Exhibit 7 now.
16 And then these Dr. Gama's records that we were
17 just talking about I'll mark as Exhibit 8.

18 THE WITNESS: Good enough.

19 (Photocopy of Fischer Composite Exhibit 7
20 and 8 were marked for identification.)

21 BY MR. KUNTZ:

22 Q. Let me show you an imaging report of a CT
23 angio from Exhibit 8, Dr. Gama's records. The
24 report is dated 8/20/2015 consisting of three pages.

25 You put a sticker on that. I want you to

1 tell me why that one was of interest to you.

2 A. Well, it was interval study after the
3 studies she had at St. Vincent's in 2012. I was
4 interested in seeing if there had been any changes
5 in her status demonstrated on that study.

6 Q. And were there?

7 A. No. It was well consis- -- well, actually
8 the only thing I can say is my recollection it had
9 the same degree of stenosis on the left side and
10 perhaps slightly increased degree of stenosis on the
11 right as compared to the previous study. Other than
12 that, no. But the degree of stenosis on the right
13 was not critical; the one on the left was more
14 significant.

15 Q. What were the degrees?

16 A. Sixty on the right, 70 on the left.
17 Seventy is considered the cutoff from being
18 clinically significant.

19 Q. And this is a stenosis or narrowing of
20 what?

21 A. The carotid artery.

22 Q. Do you know why they did that study in
23 August of 2015?

24 A. It says, "Rule out occlusive disease."
25 Now, Ms. Bishara's an individual with factors

1 suggesting development of large vessel stenosis, and
2 it's appropriate for a neurologist following her to
3 determine if there's any increasing degree of
4 disease, which would require any intervention.

5 So I'm surmising that is the reason why
6 Dr. Gama requested those studies.

7 Q. The factors you referred to, would they be
8 fairly characterized as risk factors for heart and
9 other vascular diseases?

10 A. Yes, sir.

11 Q. What are they that she had?

12 A. Hypertension, dyslipidemia.

13 Q. What's that?

14 A. Dyslipidemia, high cholesterol of the
15 lipids. Diabetes and family history of antecedent
16 cardiovascular and cerebral vascular disease.

17 Q. Is that something you looked into, the
18 family history?

19 A. Yes.

20 Q. What did you find?

21 A. Stroke and heart attack in her heritage.

22 Q. Where?

23 A. Mother -- let me see specifically. I
24 think both sides of her family, as I recall.

25 Q. What about her siblings; did you take any

1 history with respect to the vascular diseases, if
2 any, that her family suffered from?

3 A. Yes.

4 Q. Her brothers and sisters?

5 A. Yes. Hypertension and diabetes were often
6 in them, and cardiac disease as well.

7 Q. Did she have all those risk factors before
8 December -- well, in November of 2012 when she had
9 her triple bypass surgery?

10 A. She did.

11 Q. And she, I assume, continues to have all
12 those?

13 A. Yes. Now, one other risk factor she had
14 was tobacco abuse. She no longer has that, but she
15 did at the time of this incident.

16 Q. And up until the time that she had the
17 triple bypass, do you know how long she was an
18 abuser of tobacco?

19 A. Many years. I don't remember. I don't
20 think she told me exactly the number of years, but
21 it was a substantial number of years.

22 Q. Okay. And did she tell you the degree
23 of -- I take it that's what you call a smoker, an
24 abuser?

25 A. Yes.

1 Q. How many -- how much she smoked?

2 A. More than a pack a day.

3 Q. Is that substantial?

4 A. Yes.

5 Q. Maybe better question is, is it medically
6 significant?

7 A. Yes.

8 Q. In what way?

9 A. Tobacco abuse increases the risk of
10 cardiovascular and cerebrovascular disease. I can
11 talk with some authority on cerebrovascular disease,
12 it raises the risk of stroke by a factor of two.

13 Q. And prior to the time that she had the
14 triple bypass in December of -- excuse me,
15 November the 20th, I believe, of 2012, do you know
16 if her disease caused her to need and receive any
17 other interventional treatment?

18 A. Prior to -- I believe she had a stent put
19 in before the events of winter of 2012.

20 Q. And when you say "stents," could you tell
21 us what you're talking about?

22 A. Cardiac stents.

23 Q. So what are they for?

24 A. To avoid occlusion of the coronary
25 arteries.

1 Q. Is that only done when there's a very
2 large blockage in the coronary arteries?

3 A. Yes.

4 Q. Do you know what is the percentage of a
5 coronary artery that exists before stents are place?

6 MR. CHAKOUR: Objection.

7 THE WITNESS: That would be getting beyond
8 my capabilities as a neurologist. I
9 understand, but I'm not speaking as an expert,
10 so I'd rather not answer. I'd refer to a
11 cardiologist in making that determination.

12 BY MR. KUNTZ:

13 Q. And I appreciate that and understand what
14 you're saying. But based upon your understanding,
15 and I understand you're a neurologist and not a
16 cardiologist, but earlier you talked about what was
17 significant with respect to carotid artery
18 blockages, correct?

19 A. Yes, sir.

20 Q. So with respect to coronary artery
21 blockages, what do you think is significant?

22 MR. CHAKOUR: Objection.

23 THE WITNESS: My understanding is
24 70 percent or greater is considered a level
25 requiring intervention. But, again, I would

1 defer to a cardiologist in terms of exactly
2 that determination.

3 BY MR. KUNTZ:

4 Q. I think you said smoking for a long period
5 of time, to the degree at least that she smoked for
6 a long period of time, would double a person's risk
7 of stroke; is that right?

8 A. Yes.

9 Q. When you add in the other risk factors
10 that you described that she has with the family
11 history of -- on both sides, and her siblings and
12 her high cholesterol and I think you said diabetes;
13 is that right?

14 A. Yes.

15 Q. When you put all those together, how many
16 multiples of a risk would she have for a stroke?

17 A. I would estimate that she had eight-fold
18 increase of stroke compared to the average person
19 with none of these risk factors.

20 Q. So for a similarly aged person back in
21 2012, she had 800 percent -- she was 800 percent
22 more likely to have a stroke?

23 MR. CHAKOUR: Objection.

24 THE WITNESS: That would be my estimation.

25 That being said, at the time of the incident,

1 she was only 50 years of age, so that still is
2 a relatively small risk of stroke, but reticent
3 person, most people her age are the reasons I
4 expressed.

5 (Brief Interruption.)

6 BY MR. KUNTZ:

7 Q. Are you familiar with a Modified Rankin
8 Scale?

9 A. Yes, sir, I am.

10 Q. What is it?

11 A. It's a scale used for research purposes
12 and also for rehabilitation purposes to determine a
13 patient's status neurologically after a neurological
14 process, typically, stroke, although not exclusively
15 stroke. It goes from zero to 5, zero being totally
16 normal, 5 being basically wiped out, total care,
17 bedbound and so forth.

18 Q. Well, would it be fair to put a Modified
19 Rankin Scale on Ms. Bishara; in other words, would
20 she be the kind of person you could apply that scale
21 to?

22 A. Sure. She's a stroke victim or similar
23 ischemic hypoxic encephalopathy victim, so, yes, it
24 could be utilized in her.

25 Q. What would you rank her?

1 A. Okay. Let me look at my report and I can
2 answer that question. I would assess her as a high
3 2, low 3.

4 Q. Okay. And I've got a description here,
5 and I want you to tell me whether you agree with
6 these descriptions. But a 2 would be a -- excuse
7 me -- a 3 would be moderate disability requiring
8 some help but able to walk without assistance?

9 A. That would be indicative of her. I don't
10 think she had a cane with her. Let me look at that.
11 Yes, that would be fair.

12 Q. And a 2, which you said she would be in
13 your view a high 2 is -- would you agree with the
14 description of slight disability, unable to carry
15 out all previous activities, but able to look after
16 her own affairs without assistance?

17 MR. CHAKOUR: Objection.

18 THE WITNESS: Yes.

19 BY MR. KUNTZ:

20 Q. Have you, in the course of your career,
21 had other patients who had an event of some sort
22 that left them in a condition similar to
23 Ms. Bishara?

24 A. Many times.

25 Q. Hundreds?

1 A. Yes.

2 Q. Thousands?

3 A. Probably so.

4 Q. Okay. That you would rank, if you were
5 asked, in the similar range of high 2, low 3
6 Modified Rankin score?

7 A. Yes, sir.

8 Q. None of those people require full-time
9 attendant care, do they?

10 A. Some do; some don't, depends on their
11 cognitive aspects.

12 Q. Of their what?

13 A. Cognitive aspects. In other words,
14 Ms. Bishara can walk unassisted albeit with some
15 abnormality. But her cognition is significantly
16 impaired. In other words, her cognition is
17 disproportionately affected compared to her motoric
18 status. She's ambulatory, but significant reduction
19 of cognition, which is concerning for her ability to
20 be giving self-care.

21 Q. All right. Well, I've watched her for a
22 couple of hours being questioned by lawyers. Did
23 you have the benefit of looking at that
24 deposition --

25 A. I've seen no depositions in this matter.

1 Q. Well, in the one that I saw, she was able
2 to understand every question asked to her and give a
3 response to --

4 MR. CHAKOUR: Objection.

5 BY MR. KUNTZ:

6 Q. Would that be consistent with what you
7 saw?

8 MR. CHAKOUR: Objection.

9 THE WITNESS: No. She had some difficulty
10 in carrying out some requests during the exam.
11 She had some aphasia, some reduction of
12 comprehension, some inability, some apraxic
13 tendencies. So the answer is no.

14 BY MR. KUNTZ:

15 Q. Okay.

16 A. Superficially, other than her voice being
17 very affected, she doesn't look that bad when you do
18 formal testing on her. I don't know if she's had
19 formal neuropsychological testing. But just doing a
20 Mini-Mental Status score, she had a score between 22
21 and 24, which is a substantial reduction of one
22 should have.

23 Q. What's the norm under that scoring system?

24 A. Normal, 30.

25 Q. Okay. And what do you call that?

1 A. It's the Folstein, F-o-l-s-t-e-i-n,
2 Mini-Mental Status Examination.

3 Q. Is that a written or verbal?

4 A. It's in the packet that you have. What I
5 do is I have a 30-question sheet. I asked her
6 questions as we go.

7 Q. Oh, okay. I see, yeah.

8 A. Now, she had -- reason two, I could not
9 score the last two numbers, so I gave her credit for
10 it. In other words, she had a score of 24, but it's
11 really only 22 out of 28. She didn't have two
12 things because of her inability to write. She could
13 not write a sentence, nor could she copy a figure.

14 Q. Is this your numbers that you put on the
15 right there?

16 A. It is, sir.

17 Q. Okay. And the other writing on this --

18 A. That's all mine.

19 Q. -- page within Exhibit 2, that's all your
20 handwritings?

21 A. It is, sir.

22 Q. All right. Did she write anything at all
23 in connection with your visit?

24 A. No. She could not.

25 Q. With respect to the new-patient profile,

1 who filled that out?

2 A. I think she dictated to my assistant. She
3 couldn't write.

4 Q. All right. Looks like she gave a
5 signature on one of your consent forms, although it
6 was I'll say shaky looking, for lack of a better
7 description?

8 A. Yes, that's right.

9 Q. Was she pleasant during your interview and
10 examination of her?

11 A. I'm sorry?

12 Q. Was she pleasant?

13 A. Oh, very pleasant, yes.

14 Q. Did you talk to her at all about the
15 things that she enjoys doing?

16 A. Yes.

17 Q. And what did she tell you?

18 A. Well, she used to like to go out to
19 dinner. She used to like to --

20 Q. No. I'm talking about now.

21 A. Now?

22 Q. Yes.

23 A. Now she feels very restricted. She can't
24 drive. Her balance is impaired. She's afraid. Her
25 vision is impaired. Her strength is impaired. She

1 feels clumsy. Afraid of falling. She feels
2 uncertain about her thinking. She feels confused.
3 Feels depressed. So she does not feel -- she's not
4 a happy camper now, sir.

5 Q. Okay. So she doesn't enjoy anything?

6 A. No.

7 Q. Okay. Have you ever had any other cases
8 of these, I think you estimated three or four -- you
9 didn't know if it was 300 or 400 cases you've worked
10 on that involved a patient who suffered a
11 neurological injury that you related to having
12 postop pericardial tamponade? Have you had any
13 other cases like that?

14 A. The answer is I don't recall any such
15 case.

16 Q. Have you had any other cases that you've
17 been involved in, in the hundreds you've been
18 involved in, where a patient had postop pleural
19 effusion?

20 A. Maybe they had them, but was not part of
21 the neurological issues. In other words, the answer
22 is, no. In other words, I was not testifying in
23 terms of any neurological ramifications of a pleural
24 effusion.

25 Q. I take it you've taken care of many

1 patients who have had cardiac bypass surgeries?

2 A. Oh, sure.

3 Q. Okay. And do you know if any of those
4 patients that you've taken care of that had cardiac
5 bypass surgeries had pleural effusions or
6 pericardial effusions after the surgery?

7 A. Yes.

8 Q. Would you agree that that's a very common
9 thing to have after open heart cardiac bypass
10 surgery?

11 A. You say very common. I don't know the
12 answer to that question. I only see them if they
13 have neurological ramifications, so I couldn't
14 answer that question in an expert manner.

15 Q. Okay. So you've seen a number of patients
16 after bypass surgery who have had effusions?

17 A. Yes.

18 Q. Okay. Either pericardial or pleural or
19 both?

20 A. Yes.

21 Q. And do you know anything about what is the
22 most common course with respect to postoperative
23 pleural and pericardial effusions?

24 MR. CHAKOUR: Objection.

25 THE WITNESS: Most often they resolve

1 spontaneously. That's my understanding.

2 Again, we're getting beyond my expert abilities
3 here. Just my personal exposure, whether
4 that's reflective of the literature or not, I
5 do not know.

6 BY MR. KUNTZ:

7 Q. Okay. Have you ever had a patient who a
8 week or more after open heart surgery had a
9 tamponade from an effusion of any kind?

10 A. I do not recall that specific occurrence,
11 no, sir.

12 Q. Do you use -- make use of CT scanning in
13 your practice and care of patients?

14 A. Of course.

15 Q. And the same for MR scanning of various
16 types?

17 A. Yes, sir, I do utilize that technique.

18 Q. And would you agree that typically it
19 takes six hours for a CT scan to demonstrate an
20 acute stroke?

21 A. Usually, although not always, that is
22 accurate, yes.

23 Q. Now, do you know whether or not a CT was
24 done after -- well, let me back up.

25 What is your understanding of the time

1 that Mrs. Bishara on December the 7th of 2012
2 suffered any kind of event that resulted in lower
3 than normal oxygenation for her?

4 A. That event was sustained approximately
5 1:00 p.m. on the date in question.

6 Q. And what do you base that on?

7 A. The documentation in the chart that she
8 had a Code Blue.

9 Q. Okay. And is that the chart that you
10 don't have with you anymore, it's the one from 20 --
11 that you were provided back in 2013?

12 A. Yes, sir.

13 Q. You just remember that from that chart or
14 how do you --

15 A. I looked at it the other week. It was
16 brought back to my office from storage. I reviewed
17 it. My assistant dutifully put it back to storage,
18 but shouldn't have done that, but I did review it
19 just a few weeks ago.

20 Q. Okay. And is it your opinion that it is
21 the time of that approximately 1:00 p.m. on
22 December 7th, 2012, that caused Ms. Bishara to have
23 any neurologic impairments that you believe she has
24 today?

25 A. Yes, sir, it is.

1 Q. Okay. And if the start time was around
2 1:00 o'clock, and I take it you're referring -- I
3 think there was a code sheet somewhere in that time
4 zone. Is that what you're referring to?

5 A. Yes, sir.

6 Q. And you recall that after that or during
7 the course of that, there was the pericardiocentesis
8 done?

9 A. Yes.

10 Q. And that then sometime shortly after that
11 she was taken for emergent surgery, open surgery?

12 A. Yes, sir.

13 Q. And I think that was called a pericardial
14 window, if I'm using the term right?

15 A. Yes, you are.

16 Q. And that was somewhere in the 2:00 o'clock
17 zone on that day?

18 A. Yes.

19 Q. Okay. Now, is it your opinion that
20 whatever injury that Mrs. Bishara currently has,
21 from a neurological perspective, is attributable to
22 that time period from 1:00 to 2:00 p.m. on
23 December 7th?

24 A. That is my opinion.

25 Q. Okay. And do you have any opinion as to

1 whether or not she had any neurological event that
2 caused injury to her prior to that time that is
3 1:00 p.m. on December 7th?

4 MR. CHAKOUR: Objection.

5 THE WITNESS: I see no indication of that.

6 BY MR. KUNTZ:

7 Q. Okay. And do you have -- and is that an
8 opinion you have within medical probability?

9 MR. CHAKOUR: Objection.

10 THE WITNESS: Yes, sir.

11 BY MR. KUNTZ:

12 Q. And with respect to the -- after, say,
13 2:00 o'clock p.m. on December 17, was there any
14 neurological event or event of any kind that caused
15 neurological injury to Mrs. Bishara in your opinion?

16 MR. CHAKOUR: Objection.

17 THE WITNESS: After the 2:00 o'clock time
18 frame?

19 BY MR. KUNTZ:

20 Q. Yes.

21 A. No. It's my opinion that the majority, if
22 not the entirety, of her neurological deficit is
23 related to the events in the 1:00 to 2:00 p.m. time
24 frame on 12/7/12.

25 Q. Okay. And you're not aware of any injury

1 after 2:00 o'clock p.m., any event that -- let me
2 start over. That's such a poor question.

3 Is it your opinion, within a reasonable
4 degree of medical probability, that she did not have
5 any event after approximately 2:00 o'clock p.m. on
6 December 7, 2012, that resulted in any neurological
7 impairments that she has today?

8 A. I saw no such other events.

9 MR. CHAKOUR: Objection.

10 BY MR. KUNTZ:

11 Q. Okay. And so is that your opinion within
12 a reasonable degree of medical probability?

13 A. Yes.

14 Q. Are you aware of whether or not she was
15 hospitalized at any time after December of 2012 for
16 a stroke or suspected stroke?

17 A. I do not have records of any
18 hospitalizations, so the answer is I don't
19 believe -- let me look at the records of Dr. Gama
20 and specifically to see if he alludes to any such
21 hospitalizations.

22 Q. All right. So I'm handing you back
23 Exhibit 8.

24 A. Thank you, sir.

25 MR. KUNTZ: You're welcome to answer that,

1 Doctor.

2 THE WITNESS: I'm in the middle of a
3 deposition. I'll speak to you later.

4 I'm sorry.

5 MR. KUNTZ: No problem.

6 THE WITNESS: Now, Dr. Gama alludes to, in
7 his visits of 3/29/16, he says that she is post
8 hospitalization in January, revisit secondary
9 to dehydration, renal insufficiency with no
10 evidence of recurrent strokes.

11 So apparently, she had a hospitalization
12 and there was some change in her status, but
13 Dr. Gama at least concluded there was no new
14 stroke, but rather it was secondary to
15 metabolic factors superimposed on her previous
16 strokes. I do not have records of that
17 hospitalization to discuss in more detail.

18 Otherwise, again, I don't have the
19 entirety of her records of hospitalizations,
20 and I've asked for other records from
21 Dr. Boehm, who also has seen her, but I don't
22 see any indication of a new stroke in a time
23 frame after 2012 to the present.

24 BY MR. KUNTZ:

25 Q. Do you have any opinions as to the cause

1 of the -- of any neurological injuries that you
2 believe she suffered between that 1:00 p.m. and
3 2:00 p.m. time on December 7th?

4 A. Yes. Ms. Bishara -- I'm sorry. I'll let
5 you finish.

6 Q. What is your opinion?

7 A. During that interval, Ms. Bishara
8 sustained a so-called hypoxic ischemic injury. She
9 had a lowering of her blood pressure. She had
10 insufficient cardiac output. She was in asystole
11 for a significant period of time, which was measured
12 by some observers as 14 minutes. And she had
13 asymmetric bilateral cortical process develop.
14 Asymmetric likely because she had antecedent disease
15 particularly in the left internal carotid artery
16 system which subjected her to greater potential
17 insult in her dominant left hemisphere.

18 So the brunt of the deficiency was in the
19 left middle cerebral artery circulation. But it was
20 not a typical arteriosclerotic stroke, but rather
21 one induced by reduction of blood flow because of
22 her cardiac insult.

23 In other words, if a person has some
24 stenotic disease of an important vessel as the
25 carotid artery and one is subjected to hypotension,

1 reduced cardiac output, that particular area of the
2 brain will have a greater propensity to be damaged.
3 That explains the significant asymmetry in
4 Mrs. Bishara's presentation then, and it's remained
5 that way to the present.

6 Q. By significant asymmetry, you're saying
7 substantially more injury to the left side of her
8 brain resulting in more impairments on the right
9 side of her body?

10 A. Yes. As well as cognitive, because her
11 being a right-handed individual, her speech and
12 cognitive function would be disproportionately
13 affected on the left side of her brain, which was
14 indeed the area of the brain that was involved most
15 substantially.

16 Q. And you explain this substantial asymmetry
17 with damage to the left side of the brain resulting
18 in right-side deficits by reason of what you claim
19 is a greater blockage or stenosis of the left
20 internal carotid artery?

21 MR. CHAKOUR: Objection.

22 THE WITNESS: Yes, sir.

23 BY MR. KUNTZ:

24 Q. Okay. And what do you base that on?

25 A. They did testing just to measure the

1 patency of the left internal carotid artery and
2 found it to be 50 to 70 percent stenotic.

3 In fact, her treating neurologist at that
4 time, Dr. Chabolla, had a similar conclusion. He
5 stated in his visit of 2/13/13, he discussed her
6 findings and said she had, quote, a left middle
7 cerebral artery territory ischemia after her cardiac
8 decompensation with hypotension.

9 Q. All right. My question is more about,
10 is -- what is -- let me start over.

11 What is your basis for the conclusion that
12 there was a greater blockage of the left internal
13 carotid artery as opposed to the right internal
14 carotid artery?

15 A. She had carotid studies done at that time
16 demonstrative of such.

17 Q. Okay. And is that one that you claim
18 showed that she had a 50 to 70 percent, you call it
19 a blockage or stenosis?

20 A. Yes.

21 Q. Stenosis, okay.

22 A. This was a carotid ultrasound of 12/11/12.

23 Q. Eleven --

24 A. I'm sorry. The blockage was on both
25 sides. But for some reason it affected more

1 profoundly the left side and it occluded the left
2 middle cerebral artery.

3 Q. Okay. So now you're saying that the
4 blockage of the carotid artery was on both sides,
5 the left and the right?

6 A. Yes. Now, subsequently, the more studies
7 and basically it's -- the patency is about the same,
8 70 percent on the left, 60 percent on the right.

9 Q. And it was about the same prior to this
10 event as well, wasn't it?

11 A. I suspect so.

12 Q. So there was no greater blockage on the
13 left side than there was on the right side; is that
14 correct?

15 MR. CHAKOUR: Objection.

16 THE WITNESS: Of the carotids, but the
17 middle cerebral artery. Now, we don't know
18 antecedent. There's no testing antecedent to
19 this what disease she had in the middle
20 cerebral artery.

21 BY MR. KUNTZ:

22 Q. Okay. So if I understand correctly, you
23 can't explain the fact that she had a injury to the
24 left middle cerebral artery by any claim that there
25 was a greater stenosis on the left side of the

1 carotid artery than the right carotid artery; is
2 that correct?

3 MR. CHAKOUR: Object to form.

4 THE WITNESS: Not quite, because, again,
5 the more recent studies which may be -- I don't
6 know the quality of the study in 2012; I did
7 not see it. Subsequently it was a good study
8 and it showed 70 percent on the left,
9 60 percent on the right. It's a minor
10 difference, but there is a difference.

11 BY MR. KUNTZ:

12 Q. What studies showed 70 percent on the left
13 and 60 percent on the right?

14 A. That was 2015.

15 Q. 2015. Is that in those Dr. Chabolla
16 records that you refer to?

17 A. No. That's in Dr. Gama's records.

18 Q. All right. I'm going to give those back
19 to you again and ask you to show me that.

20 A. Sure. This is a CTA angio of the neck
21 with and without contrast performed 8/20/15.

22 Q. And you think this study from 2015 would
23 explain the fact that her primary injury was to the
24 left middle cerebral artery territory of the brain?

25 A. Well, this is -- well, the main study is

1 demonstrative of the MRI scans, CT scans done in
2 2012 and subsequently. They all show significant
3 involvement of the left middle cerebral artery
4 distribution.

5 Q. Correct. But I'm asking you about the
6 carotid artery that you claim explains why the
7 injury was on the left side.

8 A. Well, she had stenosis of that --
9 70 percent stenosis of that artery, which is a
10 significant amount then and now.

11 Now, could she have had bilateral disease?
12 She could have had, but she's fortunate she didn't.

13 Q. With respect to the studies done at the
14 time at or around December the 7th of 2012, either
15 shortly before or shortly after, within a month or
16 two either direction, was there any difference in
17 the carotid artery stenosis between the left and the
18 right?

19 A. No.

20 Q. Okay. So there being no difference at
21 about the time that you say that she suffered the
22 neurological impairments to the left middle cerebral
23 artery territory, if there's no difference in the
24 stenosis, what, if any, explanation do you have for
25 that?

1 A. Well, the luck of the draw is one, and
2 also I don't know specifically antecedently what
3 disease she had specifically in the left middle
4 cerebral artery versus the right middle cerebral
5 artery. We know it was occluded after the stroke
6 had occurred. Now, whether that's indicative that
7 she had a higher degree of stenosis of that
8 particular vessel before or not, we don't know.
9 There's nothing we have to compare antecedent to
10 this.

11 Q. Now, I take it you did look at the CT scan
12 done on December the 8th, 2012, did you not?

13 A. Yes.

14 Q. And what impairments, if any, were there
15 that were reflected by the December 8 CT scan?

16 A. Was it the 7th or 8th, sir?

17 Q. I believe it was December the 8th, sir.
18 You tell me if you think differently.

19 A. I thought there was one -- I think you're
20 correct. I'm sorry, you're, correct.

21 Q. All right. So when was that December 8th
22 scan, Doctor?

23 A. The one I have is I think 4:33 p.m.

24 Q. 4:33 p.m. So that would be how many hours
25 after the time period that you say that all of her

1 neurological injury occurred?

2 A. That would be 20 -- if I'm correct, it
3 would 27 hours, something like that.

4 Q. All right. And what neurological injury
5 to the middle -- left middle cerebral artery area,
6 if any, is shown on that CT scan done 27 hours after
7 the time you claim the injury occurred?

8 A. I don't think -- I don't recall seeing
9 very much in that particular scan.

10 Q. You didn't see any, did you?

11 A. No.

12 Q. Okay. Now, earlier you testified under
13 oath here that usually within six hours CT scan will
14 show any acute infarct, correct?

15 A. No. When you asked me the question, if
16 you look at the tape, I'm sure it will -- the CT
17 scan will be off normal in six hours, it will be.
18 It may show something earlier, usually it does not.
19 You may not see abnormalities in the CT scan for a
20 period of 24 hours or more. You may see some, but
21 you don't have to see any.

22 Q. All right. Let me ask you this one more
23 time to make sure I have it correct, sir. Typically
24 it takes six hours for a CT scan to demonstrate an
25 acute stroke, correct?

1 A. Yes. You will not see it earlier than
2 that, but you may not see it at that time frame.

3 Q. Okay. Well, you have testified earlier
4 today and in other cases that within six hours, a CT
5 will show an acute stroke, haven't you, sir?

6 MR. CHAKOUR: Objection.

7 THE WITNESS: No, it may. You may see
8 some sluka (phonetic) edema, things of that
9 sort within six hours. You don't have to.

10 BY MR. KUNTZ:

11 Q. Typically, you see it, don't you, sir?

12 A. Tremendous variability. No, I would not
13 say that.

14 Q. Okay.

15 A. Often you do, but not necessarily.

16 Q. Okay. And if you don't see -- so you see
17 it often at the six-hour mark or before, correct?

18 A. Often you do.

19 Q. All right. And if in those less often
20 cases where you don't, you would see it within 12
21 hours, wouldn't you, sir?

22 MR. CHAKOUR: Object to the form.

23 THE WITNESS: The farther you go, the more
24 likely you'll see it.

25

1 BY MR. KUNTZ:

2 Q. Okay. So within 12 hours, in the vast
3 majority of cases, if there was an acute infarct
4 injury to the brain, you would see it within 12
5 hours, would you not, sir?

6 MR. CHAKOUR: Object to form.

7 THE WITNESS: Of an acute ischemic
8 infarct. No, this was not the typical acute
9 ischemic infarct. This was an hypoxic
10 hypoperfusion type of insult, which is less
11 often seen early, so that's compatible with the
12 clinical course sustained by Ms. Bishara.

13 BY MR. KUNTZ:

14 Q. So you're saying she didn't have an acute
15 infarct. Is that what you're saying now?

16 MR. CHAKOUR: Object to form.

17 THE WITNESS: No, she did not have a
18 classic thrombotic infarct. People like
19 Ms. Bishara have a tendency to have those.
20 They can have an acute blockage of an artery
21 second to atherosclerosis. That is not what
22 happened to Ms. Bishara.

23 Ms. Bishara had antecedent disease of her
24 carotid system to be sure. She was subjected
25 to an abrupt reduction of her blood pressure

1 and blood flow because of the cardiac arrest,
2 and that induced an asymmetrical hypoxic
3 ischemic injury more pronounced in the left
4 hemisphere. And people who have that kind of
5 insult, the scan may not be demonstrative of a
6 stroke as early as an typical ischemic
7 infarct, which is not what Ms. Bishara had.

8 BY MR. KUNTZ:

9 Q. So she didn't have it at six hours, she
10 didn't have it at 12 hours, she didn't have it at 18
11 hours and she didn't have it at 24 hours?

12 A. Radiographically that is correct.

13 Q. Okay. And she didn't have it at 27 hours
14 either, did she?

15 A. Radiographically that is correct.

16 Q. And since the stenosis of the carotid
17 arteries was roughly the same both in the left and
18 the right, stenosis of the carotid arteries cannot
19 explain the left-sided infarct as opposed to the
20 right, can it?

21 MR. CHAKOUR: Objection.

22 THE WITNESS: Well, the reason why she had
23 the left cannot be explained by that.

24 BY MR. KUNTZ:

25 Q. Okay. You cannot explain --

1 A. Let me finish my answer.

2 Q. Okay.

3 A. Bear in mind carotid ultrasound is not as
4 exacting a technique as CTA angiography. She did
5 not have contemporaneously a CTA angiogram.
6 Subsequently when she had CTA angiography, there was
7 an asymmetry of a slight degree, but that test was
8 not done contemporaneous to her stroke. The carotid
9 ultrasound was read fairly symmetrical. It is more
10 or a screening technique; it is not a -- as
11 profoundly an accurate technique as CTA would be.

12 Q. Were you provided the CT angiogram that
13 was done on her because of a suspected stroke in
14 July of 2014?

15 A. 2014, I don't recall that, sir. No, I
16 don't recall that.

17 Q. Okay. Would you agree that if a CT
18 angiogram done on or about that day in July of 2014
19 showed a mixed hard/soft plaque resulting in
20 66 percent stenosis of the proximal left internal
21 carotid artery and 65 percent stenosis of the
22 proximal right internal carotid artery, that means
23 that they are essentially identical?

24 A. Yes, I would agree with that.

25 Q. And, again, that kind of difference in

1 stenosis of the carotid arteries would not in any
2 way explain the fact that there was a middle
3 cerebral artery infarct that showed up in
4 Mrs. Bishara's imaging study, the MRI study done in
5 December of 2012, would it?

6 MR. CHAKOUR: Objection.

7 THE WITNESS: Well, it wouldn't explain
8 the asymmetry, but there's enough stenosis
9 there that that stenosis coupled with ischemic
10 hypoxic insult could cause a stroke on either
11 side.

12 BY MR. KUNTZ:

13 Q. I'm asking you about the asymmetry. Why
14 is it on the left middle cerebral artery if you're
15 saying it's due to hypoperfusion?

16 A. Yes.

17 Q. And you have no explanation for that; is
18 that correct?

19 MR. CHAKOUR: Objection.

20 THE WITNESS: I have no explanation for
21 the substantial asymmetry in her problem.
22 She's not pristine on the right, but she's much
23 worse on the left than the right. Just based
24 on the carotid studies, that does not explain
25 the asymmetry.

1 BY MR. KUNTZ:

2 Q. Is there any other study that explains it?

3 A. No.

4 Q. Okay. So as you sit here today, you have
5 no explanation for why her primary injury is in the
6 left middle cerebral artery territory, correct?

7 MR. CHAKOUR: Objection.

8 THE WITNESS: Well, I would -- I have
9 suspicions, but I can't prove them, because
10 tests weren't done simultaneously.

11 BY MR. KUNTZ:

12 Q. Now, the one thing that would explain a
13 left middle cerebral artery infarct that did not
14 show up 27 hours after her arrest between 1:00 and
15 2:00 p.m. on December 7th would be a blood clot that
16 went -- either formed or went to her left middle
17 cerebral artery territory; isn't that correct?

18 MR. CHAKOUR: Objection.

19 THE WITNESS: Could that happen, sure.

20 BY MR. KUNTZ:

21 Q. Yes. And that would explain that finding,
22 would it not?

23 A. A blood clot, yes. If she had an embolism
24 either from the carotid artery going rostrally or
25 from the heart going distally, that could explain

1 such an insult, yes.

2 Q. Okay. And if that occurred sometime after
3 the 1:00 to 2:00 o'clock p.m., that could also
4 explain why nothing, no irregularity showed up on
5 the CT scan 27 hours after the time you claimed the
6 injury occurred, wouldn't it?

7 MR. CHAKOUR: Objection.

8 THE WITNESS: Well --

9 BY MR. KUNTZ:

10 Q. Wouldn't it, Doctor?

11 A. Bear in mind -- yes, that's true.

12 Q. Okay.

13 A. Let's assume for a second she had clots in
14 her carotid artery, clots in her heart, the profound
15 hypotension that she sustained and the asystole
16 could promote the propagation of those clots. So
17 either way the events of 12/7/12 are the proximate
18 cause of her neurological ischemic insult.

19 Q. That isn't your opinion of what happened
20 at all, is it? You just came up with that right
21 now, correct?

22 MR. CHAKOUR: Objection.

23 THE WITNESS: No. You asked me a question
24 about could she have a clot. I said, yes, a
25 possibility. But such clot more likely than

1 not would be propagated by the same event that
2 she had.

3 BY MR. KUNTZ:

4 Q. So you just came up with that explanation
5 because that advances the side that you're on in
6 this case, right?

7 MR. CHAKOUR: Objection.

8 THE WITNESS: No, sir.

9 BY MR. KUNTZ:

10 Q. You never came up with that in your
11 report, did you, sir?

12 A. No. The most likely cause of this was the
13 substantial hypoxia she sustained during the episode
14 itself. Now, you asked me a question, let me answer
15 it.

16 Q. Sure.

17 A. You asked me a question, could she have a
18 clot. Yes, that's a possibility. I'm not saying
19 it's medically probable. If that clot had occurred,
20 the same mechanism that produced that clot as
21 produced the -- as my postulation of her deficit.

22 Q. Okay. The patient's -- I think you've
23 said you've had -- let me strike that.

24 Is it correct that you have cared for many
25 patients similar to Mrs. Bishara in the sense of the

1 kind of risk factors and the kind of status she
2 currently has?

3 A. Many, yes.

4 Q. And is it true that within the degree of
5 probability, the combination of those factors
6 produces a reduced life expectancy?

7 MR. CHAKOUR: Objection.

8 THE WITNESS: Yes.

9 BY MR. KUNTZ:

10 Q. And roughly what would you -- what degree
11 of reduction of life expectancy occurs?

12 MR. CHAKOUR: Objection.

13 THE WITNESS: Let me make sure I
14 understand your question so I answer it
15 appropriately. Let's assume Ms. Bishara did
16 not have the events of December 2012, that she
17 had -- she was back to the way she was prior to
18 this, what would be her life expectancy. Is
19 that your question, sir?

20 BY MR. KUNTZ:

21 Q. That's a good one, yeah, I want to ask
22 that one.

23 A. Okay. She was 51 years of age then. A
24 Caucasian of 51 years of age based on the life
25 tables -- most recent life tables we have are 2014

1 by the U.S. Public Health Service, 51-year-old
2 Caucasian woman typically would have a 32-year life
3 expectancy. That would be reduced in Ms. Bishara
4 because of her premorbid issues, diabetes, the
5 hypertension, the cardiac disease, the smoking. I
6 would say her life expectancy would probably be
7 reduced from 31 years to about 23 years.

8 Q. So maybe eight years, maybe something like
9 that?

10 A. Yes.

11 Q. So nothing like -- nothing -- none of the
12 December 7th events occurred, and then you would
13 have estimated at that time she had 23 years life
14 expectancy?

15 A. Yes.

16 Q. Within a reasonable degree probability?

17 A. Yes.

18 Q. And taking into account the events of
19 December 7th, as you understand them to have
20 occurred, as you've described here today, what do
21 you believe would have been her life expectancy at
22 that point in time in say December 8th or the end of
23 December of 2012? What do you think her life
24 expectancy would be?

25 MR. CHAKOUR: Objection.

1 THE WITNESS: After this event?

2 BY MR. KUNTZ:

3 Q. Yes. After this event, the way you
4 understand this event occurred and what happened
5 with this event.

6 A. Okay. Well, I think, again, I'll try to
7 paraphrase your question, so I -- in other words,
8 what effect would this event have in her life
9 expectancy basically is what you're -- I think
10 you're asking. Maybe I'm wrong.

11 Q. I think that's a fair way to say it, but
12 let me try to do it better.

13 A. Thank you, sir.

14 Q. As of say December the 10th, 2012, given
15 your understanding of what her status was on
16 December 10th, 2012, what do you -- in your opinion,
17 what was her life expectancy?

18 MR. CHAKOUR: Objection.

19 THE WITNESS: Just looking at that day,
20 horrible. I mean, she looked like she was
21 moribund. So I would have expected -- wouldn't
22 have given her a year. I mean, she's very
23 fortunate that she recovered to the degree she
24 has based on the events of December 7th, 2012.

25 So just looking at the records

1 dispassionately not knowing what happened
2 after that date, I would say this lady was
3 going to be very severely impaired, not
4 ambulatory aphasic, plegic and so forth. I'm
5 surprised how she is today. She's not good,
6 but she's much better than what was expected
7 looking at the records of December 7th and
8 December 10th of 2012.

9 BY MR. KUNTZ:

10 Q. All right. So that's fair. Now, let's
11 take it out past December 10th to a point in time
12 where she's stabilized.

13 A. Okay.

14 Q. From the event of December 7th. Okay?

15 A. You're talking about her now, for example.

16 Q. But I want to go back. At some point she
17 sort of stabilized out from that December 7th event?

18 A. Yes. In other words, if you take the
19 neurological events that she had, it's typically 18
20 months to two-year hiatus in which they can improve.

21 Q. Okay.

22 A. So she would be stabilized neurologically
23 by December 2014, a little more than two years ago.

24 Q. All right. So December 2014. Then her
25 life expectancy, according to the sort of the

1 calculation you did earlier, the opinion you
2 expressed earlier, would be about another 21 years?

3 MR. CHAKOUR: Objection.

4 BY MR. KUNTZ:

5 Q. Without the event of December 7th, right,
6 just based upon her other risk factors?

7 A. Yes.

8 Q. But now adding in the now stabilized
9 December 2007 event, instead of 21 years, what in
10 your opinion would be her reasonable life
11 expectancy?

12 MR. CHAKOUR: Objection.

13 THE WITNESS: So going back 2014.

14 BY MR. KUNTZ:

15 Q. Yes.

16 A. Okay. So 2014 she would have been a
17 53-year-old Caucasian woman with the same risk
18 factors, plus a stroke, minus her smoking. In other
19 words, the smoking had stopped, the stroke could
20 happen.

21 We'll call it stroke, this neurologic
22 episode. Now, that episode would not curtail her
23 life expectancy very much, while it might curtail,
24 reduce the quality of her life, the life expectancy
25 would not be changed because she is ambulatory,

1 would not be subject to certain potential
2 ramifications as deep vein thrombosis and aspiration
3 pneumonia and decubiti.

4 So I don't think her life expectancy would
5 be changed by this episode to a significant degree.
6 It will be slightly improved by the cessation of the
7 smoking, which was a negative in her. So I think
8 she has probably about the same life expectancy in
9 2014 as she would have had in 2012.

10 Q. Okay. So that would be about 21 years?

11 A. Yes, I think that's a fair statement, sir.

12 Q. And if you move that up to today, March of
13 2017, three more years have passed by, so it would
14 be about 18-year life expectancy from today?

15 A. Yes, sir, I think that's a fair statement.

16 Q. Versus the tables which would say what?

17 A. Let's see, now she's 55, the 55-year-old
18 Caucasian, forgetting about her risk factors, would
19 have like a 26-year life expectancy, 27-year life
20 expectancy.

21 Q. All right. So about nine-year reduction
22 of life expectancy?

23 A. Yes.

24 Q. You said 26 or 27?

25 A. Yes.

1 Q. So eight- or nine-year reduction?

2 A. Yes, sir.

3 Q. And, again, that's your opinion, within a
4 reasonable degree of medical probability?

5 A. Yes, sir.

6 MR. KUNTZ: Why don't we take a short
7 break. I'll try to see what else I got.

8 (Recess was taken.)

9 BY MR. KUNTZ:

10 Q. When you think about strokes, which you
11 deal with frequently, do you fit strokes into
12 different categories?

13 A. Yes.

14 Q. What categories do you use?

15 A. First ischemic versus hemorrhagic. Then
16 localization, anterior circulation versus posterior
17 circulation. And if it's for ischemic, I just look
18 at thrombotic, embolic or other causes.

19 Q. And then you said anterior or --

20 A. Anterior.

21 Q. Anterior or posterior?

22 A. Yes, sir. In other words, the chronic
23 circulation versus the vertebral vascular
24 circulation.

25 Q. Which category would you put

1 Mrs. Bishara's stroke in?

2 A. She is in the ischemic not the hemorrhagic
3 side to be sure. And she's anterior as opposed to
4 posterior circulation.

5 Now, in terms of the mechanism, which is
6 the other area I alluded to, her mechanism would be
7 hypoxic ischemic as opposed to thrombotic or
8 embolic. And I can explain that further if you
9 would like me to do so.

10 Q. Sure. That would be great.

11 A. A typical stroke I see, I say 70 or
12 80 percent of them, a person has in situ blockage of
13 an artery secondary to antecedent risk factors for
14 stroke: Hypertension, diabetes, dyslipidemia,
15 smoking. And then as time progresses, with lack of
16 attention to those, that a thrombus occurs, blocks a
17 vessel and, of course, there's a stroke. That's the
18 most common kind of stroke we see.

19 A certain percentage of strokes, probably
20 20 to 30 percent, are embolic, that's to say a
21 person has atrial fibrillation or cardiac vascular
22 disease or blockage of the large vessels in the neck
23 subclavian of the carotids, and an embolism travels
24 from the blockage upstream, catches in a small
25 vessel, blocks it and causes a stroke.

1 Those are the two most common mechanisms
2 of ischemic as opposed to hemorrhagic stroke.
3 Again, hemorrhagic stroke has nothing to do at all
4 with Ms. Bishara.

5 There are other mechanisms besides that.
6 People with vasculitis or people with things like
7 lupus or sudden surgeries and blood pressure and
8 hypertensive encephalopathy or cocaine, and then
9 people who have dissection, which is some trauma,
10 some other causes inside part of the artery to sort
11 of unravel and block up. That doesn't pertain to
12 Ms. Bishara either.

13 And there are other people who have an
14 abrupt reduction of blood flow through vessels
15 through cardiac arrest, and that's the likely
16 mechanism of the stroke which occurred in
17 Ms. Bishara.

18 And, again, the asymmetry of this is not
19 classic, but is not terribly unusual. It's mediated
20 by the person antecedent to this may have had some
21 blockages which were asymptomatic.

22 We know she had bilateral carotid artery
23 disease. Likely she had middle cerebral artery
24 disease. We don't know that for a fact. No testing
25 was done to determine that. We know she had carotid

1 disease from the carotid studies we discussed before
2 in detail.

3 Q. You said that she likely had middle
4 cerebral artery disease?

5 A. Yes.

6 Q. And by that you mean a buildup of plaque?

7 A. Yes.

8 Q. Normally that would be on both sides, not
9 just one side, right?

10 A. Sure. We just don't know the extent the
11 one side versus the other. So a person like her
12 would be more prone to have vessel disease than a
13 person who had the same insult, but did not have
14 these risk factors that she had.

15 Q. Have you ever seen strokes categorized as
16 a large vessel territory stroke versus a small
17 vessel territory stroke?

18 A. Yes. I was alluding to that. I didn't
19 use that terminology. But the carotids and the
20 vertebral vascular arteries are considered large
21 vessel, and you can have strokes emanating from them
22 by one or two mechanisms. One is the degree of
23 stenosis becomes so profound, typically 90 percent,
24 where no blood gets through and you have a stroke.

25 The other mechanism is, there's stenosis

1 and the stenosis causes sludging of the blood
2 developing an emboli, and emboli stem from the
3 carotid arteries or the vertebral vascular system to
4 small tributaries, clog them and cause a stroke.

5 Q. And I think you said carotid and something
6 else. Did you say --

7 A. Carotid and vertebral vascular, vertebral
8 arteries, the two vertebral arteries join become the
9 vascular artery and then small vessels branch out
10 from the vascular artery.

11 Q. And would you describe -- would you fit
12 Mrs. Bishara's stroke into a large vessel territory
13 stroke?

14 A. Well, if you consider the middle -- the
15 answer is the middle cerebral artery is the next
16 biggest artery after the carotids. So, yes, she had
17 a middle cerebral artery stroke. It's not the
18 biggest vessel, but it's the second greatest vessel
19 of the anterior circulation.

20 Q. So if it fits into the large vessel
21 territory stroke, it would not fit into the small
22 vessel territory stroke category; is that correct?

23 A. Yes, sir.

24 Q. And then a third category might be a
25 watershed distribution stroke?

1 A. Yes. I was alluding to that. That's
2 typically one sees with reduction of blood flow from
3 an event like this, strokes all over the place
4 bilaterally.

5 Q. So usually when there's a hypoperfusion
6 event, sort of by definition, that covers the whole
7 brain not the middle cerebral, left middle cerebral
8 artery territory?

9 A. That's true.

10 Q. Okay.

11 A. Again, if a patient has certain antecedent
12 anatomical disfigurement, it may be more in the
13 realm of that particular vessel as opposed to
14 global.

15 Q. But she doesn't have that, correct?

16 MR. CHAKOUR: Objection.

17 THE WITNESS: I'm sorry?

18 BY MR. KUNTZ:

19 Q. Well, she didn't have one side versus the
20 other side stenosis --

21 MR. CHAKOUR: Objection.

22 BY MR. KUNTZ:

23 Q. -- greater than the other -- one side
24 greater --

25 A. We don't know the middle cerebral artery.

1 We just don't know that.

2 Q. Oh, okay, sure. Okay. I was talking
3 about the carotid artery.

4 A. Yes, we agreed to that before.

5 Q. Okay. So most commonly, if there were a
6 hypoperfusion event, which is what you're
7 postulating occurred between 1:00 and 2:00 o'clock
8 p.m. on December 7 which result in a watershed
9 distribution of injury, correct?

10 MR. CHAKOUR: Objection.

11 THE WITNESS: That's the most common
12 thing. Bear in mind, let me amplify a little
13 bit here. She does have clinically and
14 radiographically bilateral disease. In other
15 words, it's not symmetric. It's very
16 asymmetric, but it's not unilateral. In other
17 words, the examinations I conducted and
18 Dr. Gama also show bilateral disease.

19 BY MR. KUNTZ:

20 Q. Well, do you have the December 8th CT scan
21 that we talked about earlier?

22 A. Do I have it, not with me now. No, sir, I
23 don't.

24 Q. All right. Let's see if I can find it.

25 A. Thank you.

1 Q. So I'll show you the 12/8/2012, 1633 hours
2 CT scan. I think that's the one we talked about
3 earlier and about 27 hours after the time of injury
4 as you have testified here, correct?

5 A. Yes, sir.

6 Q. And if you take a look at the report, I
7 understand you've looked at the image as well,
8 correct?

9 A. Yes, I do recall this. I did look at
10 this, yes.

11 Q. And that's a completely normal report?

12 MR. CHAKOUR: Can I take a look at it?

13 THE WITNESS: Sure.

14 BY MR. KUNTZ:

15 Q. And when you looked at the image, the
16 image was no different, correct?

17 A. I didn't see anything on that image.

18 Q. Okay. I'll mark that as the next number
19 exhibit, which would be --

20 THE COURT REPORTER: It'll be 9.

21 (Photocopy of Fischer Exhibit 9 was marked
22 for identification.)

23 MR. CHAKOUR: Is this the entire report,
24 Bill, or is there a page missing?

25 MR. KUNTZ: It says page 1 of 1, so I'm

1 thinking this is it.

2 MR. CHAKOUR: That's the entirety.

3 MR. KUNTZ: But you tell me if you think
4 there's something else.

5 MR. CHAKOUR: No, I'm just asking.

6 THE WITNESS: I don't recall anything
7 besides that one page.

8 BY MR. KUNTZ:

9 Q. All right. Now, then we talked about the
10 MRI done, which was 12/10. I'm not sure the exact
11 time.

12 A. I think that scan was done 11:13 a.m. from
13 my recollection.

14 Q. And you looked at this image as well,
15 correct?

16 A. Yes.

17 Q. And did you make any notation as to your
18 interpretation of that image that is in any way
19 different from this file report?

20 A. I recall the report, so may I borrow the
21 report?

22 Q. You may.

23 (Photocopy of Fischer Exhibit 10 was
24 marked for identification.)

25

1 BY MR. KUNTZ:

2 Q. Let me mark this report MRI from
3 December 10th, which is the first one after the
4 arrest event that we've discussed as No. 10.

5 A. Yes, I do recall this report. I do recall
6 reviewing the films. I had no discrepancy of my
7 interpretation versus this of Dr. Dunn.

8 Q. Now, in terms of showing neurological
9 injury to the brain, would it be generally true that
10 the MR study is considered more revealing than a CT?

11 A. Yes.

12 Q. Okay. Is that -- did I describe it in a
13 fair way? Or medically how would you describe it
14 the difference between an MRI, what it should show,
15 the sensitivity of it for showing --

16 A. That's the key word "sensitivity."
17 Sensitivity of MRI is much greater than a CT.

18 Q. Okay. And what did the much greater
19 sensitive MRI study of December 10th show with
20 respect to brain injury for Mrs. Bishara?

21 A. The description is left temporal lobe
22 gyral, g-y-r-a-l, edema.

23 Q. Okay. Is that it?

24 A. That's the only thing that's noted here.

25 Q. Okay. And did you notice anything besides

1 that?

2 A. I did not.

3 Q. And what does it show with respect --

4 A. The answer is I found -- I saw some areas
5 bilaterally of old ischemia, not significant,
6 nothing to do with the current state.

7 Q. You saw some findings of old ischemic
8 brain injury?

9 A. Correct.

10 Q. By old you mean well prior to December of
11 2012?

12 A. Yes.

13 Q. And what would have explained old
14 ischemic; that is, low blood flow injuries prior to
15 December 2012 for Mrs. Bishara?

16 A. Many people with hypertension, diabetes,
17 dyslipidemia have such changes, so-called silent
18 stroke.

19 Q. Okay. So she had silent stroke or strokes
20 prior to December of 2012?

21 A. Yes.

22 Q. Based upon your review of this
23 December 10th, 2012, MRI?

24 A. Yes.

25 Q. How many?

1 A. I'm sorry?

2 Q. How many?

3 A. I don't recall that. They were not
4 significant. They were small.

5 Q. Okay. So what right-sided hypoxic injury
6 did this much more sensitive MRI from December 10th,
7 2012, show?

8 A. I didn't see anything on this particular
9 scan.

10 Q. Have you seen any imaging studies that
11 show right-sided injury apart from these old silent
12 strokes that you were referring to?

13 A. Here's the issue. I've seen more recent
14 studies, including we have the copy -- you have the
15 report -- the exact dates in the -- thank you.
16 These studies of 2016 January and December, they
17 show bilateral findings. They show the same left
18 temporal insult but maturing; in other words, this
19 was -- this study was early, showed some edema. Now
20 you see a drop out in the left temporal lobe of an
21 old looking infarct.

22 There are some similar but much less
23 profound changes on the right, particularly in the
24 right parietal region. But I don't know when they
25 occurred. They're old looking in 2016/2017. I

1 don't have interval studies demonstrative of them,
2 so I don't know when they occurred.

3 Q. So they could have occurred 2013?

4 A. I don't know.

5 Q. Could it have occurred 2014?

6 MR. CHAKOUR: Objection.

7 THE WITNESS: Yes, sir.

8 BY MR. KUNTZ:

9 Q. Could have occurred 2015?

10 MR. CHAKOUR: Objection.

11 THE WITNESS: Yes, sir.

12 BY MR. KUNTZ:

13 Q. Could have occurred 2016 prior to the
14 studies themselves?

15 MR. CHAKOUR: Objection.

16 THE WITNESS: Well, it was older -- the
17 first study here is January 9, 2016. It was
18 older than a week or so. But the answer is it
19 could it have occurred mid-2015 or earlier. I
20 can't age it.

21 BY MR. KUNTZ:

22 Q. Okay. So and you looked at these
23 particular images closely, right?

24 A. Yes.

25 Q. Do you remember where they were taken?

1 A. Where? What facility?

2 Q. Yes.

3 A. Let's see if it says that. Baptist South.

4 Q. Okay. And you're saying they showed
5 something on the right side of the brain?

6 A. As well as the left. Again, I'll stress
7 to you the more significant lesion was in the left
8 hemisphere.

9 Q. The same one that showed up in the
10 December 10th MRI that we've talked about, Exhibit
11 10?

12 A. Well, it looked different, but it was the
13 same area of involvement. In other words, I think
14 that's the maturation of what you see in December
15 2012. The 2016 and 2017 images are reflective of
16 that lesion.

17 Q. Not reflective of a new event in your
18 opinion?

19 A. That is correct.

20 Q. And I may have asked you this before, but
21 I've just forgotten. Why were these studies done?

22 A. Well, some of them, as I recall,
23 Dr. Gama's indicated there was some question about a
24 new neurological event in which she had some
25 increasing confusion. She was hospitalized. There

1 was dehydration and some electrolyte imbalance. He
2 felt there was no new stroke, but maybe to be on the
3 safe side, he wanted studies to see if there's
4 something new imaging-wise.

5 Q. There was something new, at least compared
6 to the December 10th, 2012, correct?

7 MR. CHAKOUR: Objection.

8 THE WITNESS: Oh, yes, but these were not
9 acute findings relative to that
10 hospitalization.

11 BY MR. KUNTZ:

12 Q. But there was something new after
13 December 10th, 2012?

14 MR. CHAKOUR: Objection.

15 THE WITNESS: Yes, that is correct.

16 BY MR. KUNTZ:

17 Q. Some new neurological event, correct,
18 after December 10, 2012?

19 MR. CHAKOUR: Objection.

20 THE WITNESS: Not necessarily. In other
21 words, you can have silent strokes, as I said,
22 which are not reflected by any clinical
23 situation. The one on the left was not new.
24 That was just a maturation of the one in 2012.
25 And then saw something on the right, which was

1 much smaller. I'm not sure what it is
2 reflective.

3 BY MR. KUNTZ:

4 Q. Whether they were silent or not, they were
5 something that did not show up on December 10th,
6 2012, but did show up in these images referred to on
7 Exhibit 4 here from 2016?

8 MR. CHAKOUR: Objection.

9 THE WITNESS: Yes.

10 BY MR. KUNTZ:

11 Q. Now, the event that occurred and caused
12 the damage between 1:00 and 2:00 p.m. on
13 December 7th, and you have already said this, but
14 what was it that you believe caused the arrest that
15 caused the hypoperfusion injury?

16 A. Cardiac tamponade.

17 Q. Okay. Now, and you saw I think that there
18 was a sort of an emergent pericardiocentesis done
19 during the time of that arrest?

20 A. There was.

21 Q. By the emergency room doctor I think, and
22 it removed some amount of fluid?

23 A. Yes. Dr. Garmendia.

24 Q. No, it wasn't Dr. Garmendia. He was
25 there, I think, but it was Dr. Dietrich I believe?

1 A. I'm sorry. I stand corrected. Dr.
2 Dietrich was the one.

3 Q. He was an emergency room physician there
4 with Dr. Garmendia?

5 A. Yes.

6 Q. All right. And you know that emergent
7 pericardiocentesis was done at that time?

8 A. That is correct.

9 Q. And removed some amount of fluid from
10 around the heart?

11 A. That is correct.

12 Q. And there was a return of blood pressure
13 and color at that time, correct?

14 A. Yes.

15 Q. Now, when that occurred, recognizing that
16 she was then taken to the OR and they did a
17 so-called pericardial window, but do you believe
18 that the return of blood pressure and color that
19 occurred before she got up to the OR signified an
20 end of any hypoperfusion injury?

21 MR. CHAKOUR: Objection.

22 THE WITNESS: No. Because she still had
23 asystole subsequent to that. In other words,
24 they took the fluid out. At 1:23 she still had
25 a significant abnormal rhythm.

1 So the answer is eventually she did
2 recover from the asystole, and I'm sure the
3 removal of fluid was instrumental in that, but
4 it wasn't an immediate response, because six
5 minutes after Dr. Dietrich's intervention, she
6 still was in asystole according to the code
7 sheets.

8 BY MR. KUNTZ:

9 Q. Okay. I understand what you're saying
10 there.

11 It looks like, did you look -- were you
12 provided, I assume, the anesthesia record from the
13 pericardial window that was done?

14 A. Yes, sir.

15 Q. And the records showed that anesthesia
16 started there at about 1350, about 1:50?

17 A. That comports with my recollection, yes.

18 Q. So that's between that 1:00 and 2:00
19 o'clock time frame that we talked about earlier?

20 A. Yes, it is.

21 Q. And from my looking at this, it looks like
22 the blood pressures at the beginning of that
23 sometime around that 1350 I guess time zone were
24 about maybe 120/80. Is that how you would interpret
25 that?

1 A. Yes, sir.

2 Q. And then you see that they jumped up
3 higher than that?

4 A. Let's go back. It was about 120/60 is
5 what I see here.

6 Q. 120/60. Did I say something else?

7 A. You said 80.

8 Q. I meant 60.

9 A. They came up after that to a higher level.

10 Q. Okay.

11 A. A higher level -- excuse me, a higher
12 level systolic, but the diastolic remained in the
13 level of 40 to 50. So the -- this did not
14 improve -- improve the systolic pressure to a
15 certain adequate degree, but did not improve the
16 diastolic pressure.

17 Q. Okay. So prior to this time reflected on
18 this anesthesia record from December 7th for the
19 pericardial window, the blood pressure had been
20 lower than the 120 over it looks like 70 to me.
21 Does that look like 70 if you go to the top of the
22 arrow?

23 A. Yeah. The little triangle used is the
24 triangle is as low as 57 and as high as 70, so in
25 that vicinity. Look at the very first one's below

1 the 60 level and the other one just nudges just
2 right to the 70 level.

3 Q. Okay. So between 60 and 70 is what you're
4 saying for the three ones that were recorded there?

5 A. Yes, sir.

6 Q. Now, prior to this point in time, 1350 or
7 so, the blood pressures had been much lower than
8 that?

9 A. They had been.

10 Q. Okay. And it's that period of time when
11 they were much lower than 120/65 or 70 that you
12 believe the hypoperfusion occurred that caused the
13 damage; is that correct?

14 A. Yes, sir.

15 Q. Okay. So once the pressures got back up
16 to over 100 in terms of systolic, there's probably
17 no more hypoperfusion injury at that point?

18 MR. CHAKOUR: Objection.

19 BY MR. KUNTZ:

20 Q. Is that correct?

21 A. Yeah, I would not attribute her damage to
22 those blood pressures you just cited.

23 Q. Right. It was prior to that?

24 A. Yes, sir.

25 Q. Okay. Now, and the thing that caused them

1 to go from the much lower level that you would
2 attribute her damage to to these levels shown here
3 of roughly 120/60 or 70 was due, at least in part,
4 to the emergent pericardiocentesis done by
5 Dr. Dietrich somewhere in the 1:30 or so time frame?

6 A. Yes, sir.

7 MR. CHAKOUR: Objection.

8 BY MR. KUNTZ:

9 Q. Okay. And then she also received some
10 medications too, of course, in connection with --

11 A. Yes.

12 Q. So a combination of those things caused
13 the blood pressure to go back up to a level where
14 she was no longer being hypoperfused?

15 MR. CHAKOUR: Objection.

16 THE WITNESS: Yes, sir.

17 BY MR. KUNTZ:

18 Q. Okay. That's your opinion within a
19 reasonable degree of medical probability?

20 A. Yes, sir.

21 Q. Okay. And prior to the 1:00 o'clock
22 period when you say the hypoperfusion injury
23 started, you know she got to the emergency room
24 around 7:00 in the morning?

25 A. That is correct.

1 Q. And so at that point in time, had she say
2 had a pericardiocentesis done, presumably could have
3 avoided the whole arrest?

4 MR. CHAKOUR: Objection.

5 THE WITNESS: Now we're getting into areas
6 of expertise beyond my capability. I think
7 that is correct, but I'm not testifying as a
8 cardiologist here, so I would defer to
9 cardiology. So the answer -- I think the
10 answer is correct, but I'm not giving you
11 expert opinions in that regard.

12 BY MR. KUNTZ:

13 Q. Okay. Well, you did give us the opinion
14 that in your opinion it was a tamponade that caused
15 the arrest that caused the hypoperfusion that caused
16 the brain injury, correct?

17 A. Yes, sir.

18 Q. Okay. And the tamponade is due to a
19 buildup of fluid around the heart, correct?

20 A. Yes, sir.

21 Q. Okay. So whatever buildup there was, it
22 didn't evidence itself in an arrest and
23 hypoperfusion until around 1:00 o'clock, correct?

24 A. That is correct, sir.

25 Q. So normally a tamponade could be corrected

1 by pericardiocentesis, correct?

2 A. It can be.

3 Q. Okay. And so if that was done at
4 7:00 o'clock or 8:00 o'clock or 9:00 o'clock, that
5 should have prevented what you say caused the
6 neurological injury, shouldn't it?

7 MR. CHAKOUR: Objection.

8 THE WITNESS: I would think so, but I'm
9 not imparting how bad the tamponade was or what
10 kind of procedure should have been utilized.
11 That's beyond my expertise. If there were
12 similar degree of fluid at 7:00 o'clock as
13 there were at 1:00 o'clock, the answer is yes
14 to your question.

15 I don't have opinions as far as how
16 severe the pericardial infusion was or how
17 severe the tamponade was at those different
18 time frames. All I can say is what happened
19 neurologically to her and how it coincided
20 with the vital signs and what was going on
21 with her between 12:00 and 1:00.

22 BY MR. KUNTZ:

23 Q. 1:00 and 2:00 you mean?

24 A. 1:00 and 2:00, excuse me, sir. Thank you.

25 Q. Okay. Now, I understand what you just

1 said. But what I want to make sure of is,
2 regardless of how bad it was, if it had been
3 relieved; that is, the amount of fluid had been
4 relieved by a pericardiocentesis at 7:00 or 8:00 or
5 9:00 or 10:00 or 11:00, then the amount of tamponade
6 would have never progressed to the point where there
7 was an arrest, correct?

8 MR. CHAKOUR: Objection.

9 THE WITNESS: I think that is correct.

10 BY MR. KUNTZ:

11 Q. Now, I take it that you do not intend to
12 express any opinions in this case as to the standard
13 of care exercised by anybody?

14 A. You're correct, I will not express any
15 such opinions.

16 Q. Now, you commonly do express opinions
17 about standard of care when it's within your area of
18 neurology, do you not?

19 A. Yes, sir.

20 Q. Okay. So the reason you're not is because
21 whatever the other physicians did of any category
22 other than neurology, you don't -- you're not
23 qualified to express opinions about them; is that
24 correct?

25 A. That is correct, I am not.

1 Q. With respect to this report that you gave
2 me -- and I'm sorry, I didn't have time -- I mean, I
3 wish I had seen it earlier. It could have saved me
4 some time or you some time here today -- this was
5 based upon your consultation with her, what you
6 learned from that, plus all the medical records and
7 imaging studies you had been provided; is that
8 correct?

9 A. Yes. The last imaging batch is not
10 incorporated into this report because I had only
11 received that on Thursday or Friday.

12 Q. That's the 2016 imaging?

13 A. Yes.

14 Q. But you had been provided what's been
15 marked as Exhibit 3, the 11/22, the
16 12/15/2012 images?

17 A. Yes.

18 Q. You've previously been provided those?

19 A. Yes.

20 Q. So all of that together is what went into
21 this consultation?

22 A. Yes, sir.

23 Q. Okay. Do you have any other opinions that
24 you intend to express in this case beyond what we've
25 talked about here today?

1 A. Only to say that the neurological deficits
2 expressed by Ms. Bishara when I saw her are
3 permanent in nature, they will not improve by any
4 treatment, but she does require substantial
5 supportive care as a result of these deficits.

6 Q. So no matter what treatment she gets, it's
7 not like her speech impairment or her right-sided
8 impairment or the other impairments that you believe
9 exist, they will not get any better?

10 A. That is correct, sir.

11 Q. Okay.

12 A. In fact, that's mentioned specifically in
13 my report in the last paragraph.

14 Q. Where it says "impression"?

15 A. Yes, sir.

16 Q. Now, did you talk to her about what her
17 current abilities are in terms of her ability to
18 eat, for example?

19 A. Yes. She can swallow. She had some
20 difficulty utilizing typical instruments in her
21 right hand. She's clumsy. She can eat on her own.

22 Q. Can or can't?

23 A. Can eat on her own.

24 Q. All right.

25 A. She can dress herself. She can bathe

1 herself, but she has uncertainty of her balance.

2 She falls easily.

3 MR. CHAKOUR: Excuse me. Matt just texted
4 me. They got cut off, so we may need to call
5 them again.

6 (Brief Interruption.)

7 BY MR. KUNTZ:

8 Q. Okay, Doctor, I think you were just
9 starting to tell us Mrs. Bishara can swallow?

10 A. She can swallow. Let's see. She does
11 have -- let's see, let me just check something. She
12 had reduction of swallowing, but she was able to
13 swallow.

14 Q. Okay. She can eat herself?

15 A. Yes.

16 Q. She didn't have to be fed by anybody?

17 A. No, she does not.

18 Q. She can dress herself?

19 A. Correct.

20 MR. CHAKOUR: Objection.

21 BY MR. KUNTZ:

22 Q. She can bathe herself?

23 A. She can bathe herself, but there's some --
24 a little bit problem here because her balance is
25 poor and she gets -- like stepping into a bathtub,

1 for example, or in the shower with the water in her
2 eyes, she has a tendency she could fall, so she
3 might need some supervision for some of these
4 activities.

5 Q. Okay. Do you know that at one point in
6 time she actually got in her car and drove to the
7 doctor's office and back?

8 A. I understand that. I think that would be
9 a -- let me just check something here. Yeah, that
10 would be a precarious --

11 Q. Now, I'm not asking you if you think it's
12 precarious.

13 A. The answer is she could do it physically,
14 but she should be prohibited from that because she
15 has a field defect and it would be unsafe for her to
16 drive.

17 Q. Okay. And my question is pretty simple.
18 Are you aware that she got in her own car, she
19 started it, she drove to the doctor's office and she
20 drove home again?

21 MR. CHAKOUR: Objection.

22 THE WITNESS: I'm aware of that, yes.

23 BY MR. KUNTZ:

24 Q. She did not have any accidents?

25 A. Fortunately.

1 Q. Okay. And it's her family that told her
2 not to drive, not some legal authority that told her
3 not to drive, correct?

4 MR. CHAKOUR: Objection.

5 THE WITNESS: Yes.

6 BY MR. KUNTZ:

7 Q. And are you aware that she can put her
8 food in the microwave if she wants to heat it up?

9 A. Yes.

10 Q. And she can use her left hand to pick up
11 objects?

12 MR. CHAKOUR: Objection.

13 THE WITNESS: She can.

14 BY MR. KUNTZ:

15 Q. And she was right-handed, so she has more
16 difficulty doing things with her left hand, for
17 example, writing, but she can write to some degree
18 with her left hand?

19 MR. CHAKOUR: Objection.

20 THE WITNESS: To a very minimal degree.

21 We see the signature that she issued.

22 BY MR. KUNTZ:

23 Q. I understand.

24 A. I tried to have her write a sentence for
25 me. She was unable to do that. I had her draw

1 something for me. She was unable to do that. So
2 her writing is substantially limited.

3 Q. All the things you said she can do about,
4 not all of them, but, for example, eating, she does
5 with her left hand, right?

6 A. Yes.

7 MR. CHAKOUR: Objection.

8 BY MR. KUNTZ:

9 Q. And dressing, to the extent she dresses,
10 she can do that with her left hand?

11 MR. CHAKOUR: Objection.

12 THE WITNESS: Yes.

13 BY MR. KUNTZ:

14 Q. And with respect to bathing herself,
15 again, that's her left hand that she uses to bathe
16 herself, correct?

17 A. Yes.

18 Q. She can move her right arm and right hand.
19 It's just not functional, I guess, for real useful
20 purposes?

21 A. That's a fair statement, sir, yes.

22 MR. KUNTZ: Okay. Doctor, I think I've
23 hopefully covered what I needed to cover. I
24 appreciate your courtesy here today.

25 THE WITNESS: Thank you, sir. I

1 appreciate your courtesy.

2 CROSS-EXAMINATION

3 BY MR. CHAKOUR:

4 Q. I just have a few follow-up questions.

5 Doctor, you just said that Ms. Bishara can
6 eat, but given the deficit that she has in her right
7 arm and right hand, can she use a knife to cut her
8 food?

9 MR. KUNTZ: Objection.

10 THE WITNESS: She can --

11 MR. KUNTZ: Form.

12 THE WITNESS: -- use her left hand -- the
13 answer is it would be very difficult for her to
14 cut food because the right hand is poorly
15 functional. She would have to hold something
16 down, use the left hand to cut. It would be
17 difficult to do it.

18 BY MR. CHAKOUR:

19 Q. Okay. And we were talking about
20 Ms. Bishara's driving. Do you think Ms. Bishara can
21 ever drive again?

22 A. Absolutely she should not drive for
23 several reasons: Her cognitive function is
24 impaired. Her right-sided strength and coordination
25 and sensation are impaired. And she has a field

1 cut; in other words, she can't see things to the
2 right properly. So she would be prone to have
3 accidents. She would move to the right and not see
4 a car behind her. So the answer is she's not had a
5 formal driving (cough). I'm quite certain she'd
6 fail if she submitted to that.

7 Q. In your opinion, can Ms. Bishara ever work
8 again?

9 A. No. She's totally and permanently
10 disabled from any and all useful work.

11 Q. And in your opinion, will Ms. Bishara now
12 need 24-hour assistance and supervision?

13 MR. KUNTZ: Object to the form.

14 THE WITNESS: Yes.

15 BY MR. CHAKOUR:

16 Q. And is that to make her life safer?

17 MR. KUNTZ: Object to the form.

18 THE WITNESS: Yes. Because of her
19 deficits, her weakness, coordinative,
20 sensitive, sensory, gait and capacities and her
21 cognitive dysfunction, her speech dysfunction,
22 she would be subjected to falls, other things,
23 untoward things that she needs supervision.

24 BY MR. CHAKOUR:

25 Q. Okay. The activities that she may need

1 supervision with that you were talking about, do
2 they include taking her medications?

3 MR. KUNTZ: Objection. Leading.

4 THE WITNESS: Yes. Any cognitive issues.

5 In other words, her memory is impaired, recent
6 memory particularly. Her executive function is
7 impaired. Her planning organization impaired.
8 So that she would not be capable of remembering
9 her medications properly, and she requires a
10 whole slew of them for her various conditions.

11 BY MR. CHAKOUR:

12 Q. And the 24-hour supervision and
13 assistance, is that also -- would that also make her
14 life safer?

15 MR. KUNTZ: Object to the form.

16 THE WITNESS: In other words, as I
17 mentioned before, she has all these
18 coordinative sensory, motor, visual, cognitive
19 speech difficulties. She's prone to having
20 falls and accidents. Having such supervision
21 would prevent those from happening.

22 BY MR. CHAKOUR:

23 Q. Okay. Do you also think that having such
24 supervision will help her exercise daily?

25 MR. KUNTZ: Object to the form.

1 THE WITNESS: In other words, she does not
2 do that on her own accord. She needs that,
3 needs to be constantly reminding, reminding of
4 medications, reminding of carrying on normal
5 function. She does not do that when prompted.

6 BY MR. CHAKOUR:

7 Q. Okay. And we were talking about risk
8 factors that affects or affected Ms. Bishara's life
9 expectancy.

10 If she has the care and supervision that
11 she needs to take her medications timely and
12 exercise and eat healthy, don't you think that will
13 reduce the risk factors that impact her life
14 expectancy?

15 MR. KUNTZ: Object to the form. Leading
16 again.

17 THE WITNESS: The patient has endogenous
18 factors which are negative. These -- to some
19 extent, these can be supervened by proper
20 attention. In other words, if the blood
21 pressure is controlled, her diabetes is
22 controlled, her lipids are controlled, her
23 activity and dietary considerations are well
24 maintained, this will reduce the effects of
25 these comorbidities and enhance her life

1 expectancy.

2 But because of her incapacities
3 intellectually, cognitively, she needs
4 constant supervision to insure this
5 compliance.

6 BY MR. CHAKOUR:

7 Q. Okay. Now, we've touched a little bit
8 upon her speech defect now, how would that affect
9 her life let's say in terms of calling 911 if she's
10 in a medical emergency?

11 MR. KUNTZ: Object to form.

12 THE WITNESS: Let's take that possibility.
13 Something happens to her and she calls 911.
14 Her speech is very slurred and dysarthric. She
15 also has what's called a nonfluid aphasia. She
16 can't get the words out. If someone says who
17 is this, she might say ur, ur, ur, some
18 guttural manner. What's your location, she
19 might not be able to express it. They may be
20 able to tell by Caller ID where she is and so
21 forth, but she will not be able to convey
22 quickly and appropriately the circumstances of
23 her distress.

24 BY MR. CHAKOUR:

25 Q. Okay. And if there's somebody there 24/7,

1 they could take care of that emergency or that phone
2 call and relay that information accurately, correct?

3 MR. KUNTZ: Object to the form.

4 THE WITNESS: It's one of the reasons I've
5 indicated she needs 24/7 supervisory care.

6 BY MR. CHAKOUR:

7 Q. Now, when you did the neurological exam on
8 Ms. Bishara very recently, you did that neurological
9 exam on her just like you would on any one of your
10 patients, regular patients, correct?

11 MR. KUNTZ: Object to the form.

12 THE WITNESS: That is correct. But I
13 spent -- because I've only seen her one time,
14 she's not going to come back from Jacksonville
15 tomorrow, I spent substantial time going over
16 things in great detail.

17 And she was a new patient. So the answer
18 I do the same exam on all new patients, but it
19 was painstaking my time with her in order to
20 get every detail possible in her situation.

21 MR. CHAKOUR: Okay. I may have finished,
22 I'm just going to take a quick look at my
23 notes, Bill.

24 TELEPHONIC MALE SPEAKER: Fadi, check your
25 e-mail, please.

1 MR. CHAKOUR: Okay.

2 BY MR. CHAKOUR:

3 Q. Now, Doctor, let me ask you this. Are all
4 the neurological deficits you noticed when you
5 examined Ms. Bishara related to the left middle
6 cerebral artery infarct?

7 MR. KUNTZ: Object to the form.

8 THE WITNESS: No. As I mentioned to your
9 colleague, you know, about two hours ago, she
10 has bilateral dysfunction asymmetric to be
11 sure, worse in the left hemisphere, but she has
12 abnormal reflexes in left and both sides. It's
13 worse on the right than the left, which means
14 the left hemisphere is worse than the right,
15 but the right hemisphere is involved.

16 She also had these cortical release
17 signs, which are clearly indicative of
18 bilateral cortical dysfunction and indicative
19 of more global as opposed to a simple
20 hemispheric process.

21 MR. CHAKOUR: Okay. I believe I have no
22 further questions.

23 THE WITNESS: Thank you, sir.

24

25

1 REDIRECT EXAMINATION

2 BY MR. KUNTZ:

3 Q. I have a couple follow-up, Doctor.

4 A. Sure.

5 Q. You said this is just like any other exam
6 that you did on Ms. Bishara just like any of your
7 other patients, right? Is that more complete?

8 A. Yeah. The only difference is different
9 people come for different things. If I see a person
10 that comes in with back pain, I'm not going to spend
11 substantial time with mental status, unless I see
12 something strange, or checking intricacies with a
13 facial sensation. Any new stroke patient gets a
14 similar kind of exam as Ms. Bishara did.

15 Q. Okay. So my question to you was, sir,
16 you're saying your testimony is that the exam that
17 you did of this lady, Ms. Bishara, was just like the
18 exam you do of any other neurological patient that
19 you see in your office. Is that your testimony?

20 MR. CHAKOUR: Object.

21 THE WITNESS: New patient.

22 BY MR. KUNTZ:

23 Q. Okay. Now, when's the last time you had a
24 new patient who had a lawyer sit here with you for
25 an hour and 15 minutes or for any period of time

1 while you examined her?

2 MR. CHAKOUR: Objection.

3 THE WITNESS: I wasn't here. It was
4 Friday.

5 BY MR. KUNTZ:

6 Q. When's the last time you had a regular
7 patient --

8 A. Not regular patients.

9 Q. Okay. I'm not talking about legal/medical
10 work that you do. I'm talking about just like your
11 other patients.

12 MR. CHAKOUR: Objection.

13 THE WITNESS: I had a patient --

14 BY MR. KUNTZ:

15 Q. She's not your patient, is she?

16 MR. CHAKOUR: Objection.

17 THE WITNESS: I rendered treatment. I've
18 given you opinions. I would make
19 recommendations for future care, yes. In other
20 words, if she called me tomorrow or someone
21 called me from her family on her behalf
22 tomorrow, I could intelligently give
23 recommendations in her care.

24 BY MR. KUNTZ:

25 Q. What treatment did you provide

1 Ms. Bishara, Doctor?

2 MR. CHAKOUR: Objection.

3 THE WITNESS: Nothing in terms of
4 medication.

5 BY MR. KUNTZ:

6 Q. Well, you just said you provided her
7 treatment. What treatment did you give her?

8 MR. CHAKOUR: Objection.

9 THE WITNESS: I didn't give prescriptions,
10 sir, but giving treatment is more than that. I
11 made recommendations for future care. That's a
12 treatment.

13 BY MR. KUNTZ:

14 Q. Okay. So when's the last time a regular
15 patient, not one of these patients where you're
16 getting paid \$800 an hour or \$500 an hour to talk to
17 lawyers and testify against other healthcare
18 providers, when's the last time you had a lawyer sit
19 in and during your initial examination?

20 MR. CHAKOUR: Objection.

21 THE WITNESS: Well, a lawyer, regular
22 patients, lawyers don't sit in.

23 BY MR. KUNTZ:

24 Q. Doctor, it's a simple question. When is
25 the last time you had a lawyer sit in on your

1 initial examination for a regular patient, not a
2 medical/legal?

3 MR. CHAKOUR: Object to form.

4 THE WITNESS: I guess it would be a lawyer
5 spouse. In other words --

6 BY MR. KUNTZ:

7 Q. Oh, so there was a spouse involved, not a
8 lawyer, correct?

9 A. No, no, no. I mean, you're asking me what
10 a --

11 Q. When's the last time a practicing lawyer
12 who's not a spouse sat in during your initial
13 neurological examination of a new patient?

14 MR. CHAKOUR: Objection.

15 THE WITNESS: If this is not a legal case,
16 they wouldn't be there.

17 BY MR. KUNTZ:

18 Q. So is the answer never, Doctor, it never
19 happened?

20 A. Well, I've had cases like this. No. I've
21 had cases like this where lawyers sit in on their
22 clients or the opposing lawyer sits in on a client.

23 Q. I said not on medical/legal cases.

24 MR. CHAKOUR: Objection. Asked and
25 answered.

1 BY MR. KUNTZ:

2 Q. I'm asking about a regular, new patient.

3 MR. CHAKOUR: Objection.

4 THE WITNESS: I can think of a time where,
5 again, it was sort of like a legal case. A
6 person's competency was involved, and somebody
7 sat in to watch me doing the examination in
8 terms of competency. I was not a retained
9 expert or anything.

10 BY MR. KUNTZ:

11 Q. Okay. Again, I'm not asking you about
12 legal cases. I'm asking you about a regular medical
13 patient. When's the last time you had a lawyer sit
14 in for an hour and 15 minutes for any initial exam?

15 MR. CHAKOUR: Objection.

16 THE WITNESS: That's unusual. I can't
17 recall that.

18 BY MR. KUNTZ:

19 Q. Never, is that the answer?

20 A. Not in nonlegal cases.

21 MR. CHAKOUR: Objection.

22 BY MR. KUNTZ:

23 Q. Okay. Now, you also said that she has a
24 speech deficiency that the implication was, if she
25 calls 911, she's not going to get help from a

1 emergency rescue team?

2 A. That's not what I said, sir.

3 MR. CHAKOUR: Objection.

4 BY MR. KUNTZ:

5 Q. Isn't that the implication of what you
6 were talking about, Doctor, that she can't call 911
7 and get help?

8 MR. CHAKOUR: Objection.

9 THE WITNESS: I didn't say that at all,
10 sir. I did not say that.

11 BY MR. KUNTZ:

12 Q. You didn't say that because you know that
13 if she calls 911 and she has garbled speech, she
14 will get attention from 911?

15 MR. CHAKOUR: Objection.

16 THE WITNESS: I mentioned that, sir.

17 BY MR. KUNTZ:

18 Q. All right. And you know that since 2012
19 she hasn't had 24-hour-a-day attendant care, has
20 she, Doctor?

21 MR. CHAKOUR: Objection.

22 THE WITNESS: I do not know the role of
23 her family in her current care. That's what
24 she requires, however. She's fortunate nothing
25 has happened untowardly.

1 MR. KUNTZ: All right. I have nothing
2 else. Thanks again.

3 THE WITNESS: Thank you, sir. I'd like to
4 read if I may.

5 (Thereupon, the deposition was concluded
6 at 12:17 p.m.)

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF OATH

STATE OF FLORIDA

COUNTY OF MIAMI-DADE

I, the undersigned authority, certify that
KENNETH FISCHER, M.D., P.A., personally appeared
before me and was duly sworn.

WITNESS my hand and official seal this 6th day
of March 2017.

Catherine L. Pflueger, RPR, CLR
Notary Public - State of Florida
My Commission No. CC906758
Expires: March 19, 2020

1 STATE OF FLORIDA
2 COUNTY OF MIAMI-DADE

3
4 I, CATHERINE L. PFLUEGER, Registered
5 Professional Reporter, Certified LiveNote Reporter
6 certify that I was authorized to and did
7 stenographically report the foregoing deposition of
8 KENNETH FISCHER, M.D., P.A.; that a review of the
9 transcript was requested; and that the pages 1
10 through 134, inclusive, are a true and complete
11 record of my stenographic notes.

12 I further certify that I am not a relative,
13 employee, attorney, or counsel of any of the
14 parties, nor am I a relative or employee of any
15 of the parties' attorney or counsel connected
16 with the action, nor am I financially interested
17 in the action.

18 Dated this 13th day of March, 2017.
19
20
21

22 _____
23 CATHERINE L. PFLUEGER, RPR, CLR
24
25

1 DEPOSITION ERRATA SHEET

2 Assignment No. 33274

3 Case Caption: DEBORAH ANN BISHARA, et al.

4 vs. ST. VINCENT'S AMBULATORY CARE, INC., et al.

5 Witness: KENNETH FISCHER, M.D., P.A. - March 6, 2017
6

7 DECLARATION UNDER PENALTY OF PERJURY

8 I declare under penalty of perjury
9 that I have read the entire transcript of
10 my Deposition taken in the captioned matter
11 or the same has been read to me, and
12 the same is true and accurate, save and
13 except for changes and/or corrections, if
14 any, as indicated by me on the DEPOSITION
15 ERRATA SHEET hereof, with the understanding
16 that I offer these changes as if still under
17 oath.

18 Signed on the _____ day of
19 _____, 20__.

20 _____

21 KENNETH FISCHER, M.D., P.A.

22 Sworn to and subscribed before me this _____ day
23 of _____, 20__.

24 _____
Notary Public

25 My commission expires_____

1 DEPOSITION ERRATA SHEET

2 Page No. _____ Line No. _____ Change to: _____

3 _____

4 Reason for change: _____

5 Page No. _____ Line No. _____ Change to: _____

6 _____

7 Reason for change: _____

8 Page No. _____ Line No. _____ Change to: _____

9 _____

10 Reason for change: _____

11 Page No. _____ Line No. _____ Change to: _____

12 _____

13 Reason for change: _____

14 Page No. _____ Line No. _____ Change to: _____

15 _____

16 Reason for change: _____

17 Page No. _____ Line No. _____ Change to: _____

18 _____

19 Reason for change: _____

20 Page No. _____ Line No. _____ Change to: _____

21 _____

22 Reason for change: _____

23

24 SIGNATURE: _____ DATE: _____

25 KENNETH FISCHER, M.D., P.A.

DEPOSITION ERRATA SHEET

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

Page No. _____ Line No. _____ Change to: _____

Reason for change: _____

SIGNATURE: _____ DATE: _____

KENNETH FISCHER, M.D., P.A.