

Page 1

IN THE CIRCUIT COURT
 THIRD JUDICIAL CIRCUIT
 MADISON COUNTY, ILLINOIS

ARTRISCHIA KINGERY, Individually
 and as Administrator of the Estate of
 JOHN J. KINGERY, Deceased,
 Plaintiff,
 vs. No. 09-L-216
 ST. ANTHONY'S HEALTH CENTER,
 Defendant.

DEPOSITION OF KENNETH C. FISCHER, M.D.

Monday, April 22, 2013
 1:10 p.m. - 2:21 p.m.
 1190 NW 95th Street, Suite 402
 Miami, FL 33150
 Stenographically Reported By:
 JANINE P. CARROLL, FPR
 Florida Professional Reporter

Page 3

1 Deposition taken before Janine P. Carroll,
 2 Florida Professional Reporter and Notary Public in
 3 and for the State of Florida at Large in the above
 4 cause.
 5 THE COURT REPORTER: Swear or affirm that the
 6 testimony you're about to give will be the truth, the
 7 whole truth, and nothing but the truth?
 8 THE WITNESS: Yes, I do.
 9 (Documents marked Defendant's Exhibits A and B
 10 for identification.)
 11 THEREUPON,
 12 KENNETH C. FISCHER, M.D.
 13 having been first duly sworn, was examined and testified
 14 as follows:
 15 DIRECT EXAMINATION
 16 BY MS. KAMYKOWSKI:
 17 Q. Will you please state your name, for the
 18 record?
 19 A. It's Dr. Kenneth C. Fischer.
 20 Q. Dr. Fisher, I'm Mandy Kamykowski. I'm here to
 21 take your discovery deposition in the case Artrischia
 22 Kingery has brought against my client, St. Anthony's
 23 Health Center.
 24 It's my understanding that you have been retained
 25 by Ms. Kingery's attorney to offer expert opinions in

Page 2

1 APPEARANCES:
 2 On behalf of the Plaintiff:
 3 COOK, YSURSA, BARTHLOMEW,
 4 BRAUER & SHEVLIN, LTD
 5 12 West Lincoln Street
 6 Belleville, IL 62220
 7 Phone: (618) 310-3500
 8 Fax: (618) 235-7286
 9 BY: JOSEPH A. BARTHLOMEW, ESQ.
 10 (Via telephone)
 11
 12 On behalf of the Defendant:
 13 GREENSFELDER, HEMKER & GALE, PC
 14 10 South Broadway, Suite 2000
 15 St. Louis, MO 63102-1774
 16 Phone: (314) 241-9090
 17 Fax: (314) 241-4245
 18 BY: MANDY J. KAMYKOWSKI, ESQ.
 19
 20
 21
 22
 23
 24
 25

I N D E X

14 Deposition of KENNETH C. FISHER, M.D.
 15 Direct Examination by Ms. Kamykowski: Page 3
 16 Cross Examination by Mr. Bartholomew: Page 53
 17 Redirect Examination by Ms. Kamykowski: Page 56
 18
 19 DEFENDANT'S EXHIBITS
 20 A Notice of Deposition Page 3
 21 B CV Page 3
 22 C File Page 57
 23
 24
 25

Page 4

1 this case. Is that your understanding of your
 2 involvement?
 3 A. Yes, ma'am, it is.
 4 Q. And can you tell me, do you remember when you
 5 were first contacted by Mr. Bartholomew?
 6 A. I would estimate it to be a few weeks prior to my
 7 receipt of a submission from Mr. Bartholomew dated
 8 7/16/08 -- I'm sorry, 5/15/08, which is the medical
 9 records that he had at that time on Mr. Kingery. So I
 10 think our discussion may have taken place in late April
 11 or early May of 2008.
 12 Q. Okay. And we're here today at your office at
 13 North Shore -- North Shore Medical Center, is that what
 14 it's called?
 15 A. Yes, ma'am, it is.
 16 Q. In Miami.
 17 Have you ever met Mr. Bartholomew in person?
 18 A. No.
 19 Q. Just spoken to him on the phone?
 20 A. That is correct.
 21 Q. All right. And I understand, I know I have not
 22 taken your deposition before, but I think my partner, Ed
 23 Bott, has deposed you once or twice before, so I know you
 24 have given depositions in the past. Correct?
 25 A. I have.

Page 5

1 Q. On average now as an expert witness, how many
2 depositions are you giving in a year?
3 A. I'd estimate 30.
4 Q. And can you break those down into how many you --
5 in medical malpractice cases?
6 A. No.
7 Q. Okay. What other types of cases do you offer
8 opinions in?
9 A. Premise liability cases, motor vehicle accidents,
10 workers' compensation matters, maritime cases.
11 Q. And you're a neurologist, correct?
12 A. Yes.
13 Q. So the cases that aren't medical negligence cases
14 you're offering causation and damages testimony; is that
15 correct?
16 A. Yes, ma'am, that's correct.
17 Q. And in all the 30 cases that you give depositions
18 per year, how many are for the plaintiff and how many for
19 the defendant?
20 A. Okay. It's a strange breakdown. For medical
21 negligence cases it's primarily for the plaintiff. In
22 other non-medical negligence cases, other than the
23 treating physician, it's primarily for the defense.
24 Q. Okay. Can you give me just a little bit of
25 background? I've marked an updated copy of your CV,

Page 6

1 which has a date, it looks like it was dated January of
2 this year, 2013, and it's been marked as Defendant's
3 Exhibit B. Can you just give me a quick background
4 on your medical training and your employment history
5 until today?
6 A. Sure. I received my MD degree from Duke
7 University School of Medicine in North Carolina in 1971.
8 I did a year of internal medicine training at the
9 University of Miami, completing that in '72. I did my
10 residency in neurology at the University of Miami,
11 completed that in '75.
12 In July, '75 I was on the full-time faculty as
13 Assistant Professor of Neurology at the University of
14 Miami. I had that position for one year and went into
15 private practice in the northern part of Dade County from
16 '76 through '93 in a group. It was called different
17 things, as people came and went, but basically it was a
18 multi-physician single specialty group in neurology.
19 However, in 1993 I went to solo practice at this
20 location, and I've been here the last 20-plus years.
21 I retained a teaching position as a voluntary
22 Associate Professor at the University of Miami. I've
23 been teaching consistently since '75, on a voluntary
24 basis since '76.
25 Q. And when you say teaching, did you actually do

Page 7

1 didactic teaching or are you doing -- teaching in the
2 classroom or teaching when you do clinic here, or at the
3 hospital?
4 A. I teach at the University of Miami School of
5 Medicine in Jackson Memorial Hospital, which is a
6 teaching hospital, and I teach the residents and students
7 in neurology. It's basically hands-on clinical work.
8 Q. Are you Board Certified in neurology?
9 A. I am.
10 Q. And when did you obtain your Board
11 certification?
12 A. April, 1978.
13 Q. And have you had to re-sit for the Board exam or
14 have you been grandfathered in?
15 A. You're correct. I'm sorry to interrupt you.
16 Q. That's okay.
17 A. Up until 1992 or '93 there was no reassessment
18 process, and those people who had been Board Certified
19 before then were, so-called, grandfathered in.
20 Q. Explain to me what it means when you testify
21 you're voluntary faculty for University of Miami.
22 A. I don't get paid.
23 Q. Are there other -- so prior to that, prior to
24 when you became voluntary faculty, were you actually
25 getting paid as a faculty member there?

Page 8

1 A. Yes.
2 Q. And you have admitting privileges at what
3 hospitals currently?
4 A. North Shore Medical Center and what's called
5 University of Miami Hospital, used to be called Cedars.
6 You'll see in old CVs it says Cedars. It didn't lose the
7 name, it just changed its name in late 2007, became
8 University of Miami Hospital. Also at St. Catherine's
9 Rehabilitation Hospital, and also Jackson Memorial
10 Hospital. That's a teaching hospital. I have privileges
11 there, but I don't typically have patients there other
12 than my teaching responsibilities.
13 Q. Okay. And as far as your practice here, are you
14 the only neurologist in your practice right now?
15 A. Yes.
16 Q. And give me a typical week. How many patients do
17 you see and what kinds of conditions do you treat?
18 A. Sure. I see, in the office, approximately 20
19 patients a day, so it's about a hundred a week. In the
20 hospital, typically five to eight per day, so in a given
21 week that's 35 to 50. And in a hospital half the
22 patients are stroke patients. The last five years I've
23 been director of the stroke center where -- a joint
24 commission certified primary stroke center. I'm in
25 charge of that since October of 2008.

Page 9

1 And I review every stroke patient, but I see a
2 large number of the stroke patients personally in the
3 hospital on an acute basis.
4 Q. I'm sorry to interrupt. Are you talking about
5 here at North Shore?
6 A. That's correct. I'm sorry. That's what I meant.
7 Q. And, continue. I'm sorry, I didn't mean to
8 interrupt you.
9 A. So I see, as I say, about 50 patients in the
10 hospital per week, half of whom are stroke patients. I
11 see about a hundred patients per week in the office,
12 about 25 percent of whom are stroke patients, and the
13 other whole gamut of neurological adult disorders,
14 seizures, migraine, neck pain, back pain, dementia,
15 Parkinson's Disease, multiple sclerosis, and the like.
16 Q. Okay. And you are here today to offer opinions
17 with respect to the care and treatment that Mr. Kingery,
18 who is now deceased, received back in 2007 at St.
19 Anthony's health center; is that correct?
20 A. Yes, ma'am.
21 Q. Can you tell me what you reviewed to prepare for
22 your deposition?
23 A. Sections of the hospitalization of St. Anthony's
24 which began on April 3rd, 2007. Antecedent outpatient
25 records from Mr. Kingery from Dr. Patel, and a deposition

Page 10

1 given by Dr. Carlos Yu, Y-U, in October, 2011.
2 Q. The cardiologist who implanted the pacemaker in
3 this case, Dr. Lutan, has also been deposed. You haven't
4 reviewed his deposition?
5 A. No, ma'am, I have not.
6 Q. Do you think there's any need for to you review
7 that before you can offer final opinions in this case?
8 A. Not particularly because I'm looking at the
9 neurological aspect. I mean, I wouldn't object to
10 reading it, but I doubt it would change my opinions.
11 Q. Okay. And the select hospital records that you
12 have, are they all from the April 3rd admission to St.
13 Anthony's in 2007?
14 A. Yes, ma'am, they are.
15 Q. And it doesn't look to me like it's the entire
16 hospitalization so I may at some point --
17 Joe, if we could maybe have the doctor make a
18 copy of what you sent him?
19 Just so when I get back to my office I have every
20 page that you reviewed.
21 A. Sure. I can give it to Madam Court Reporter
22 before we leave.
23 Q. That's fine with me. Whatever you guys want to
24 do.
25 And what you have in front of you here today, and

Page 11

1 what you just described on the record, that's the sum
2 total of what you have reviewed to prepare for your
3 deposition today, correct?
4 A. Yes, ma'am.
5 Q. Okay. And I have been provided by
6 Mr. Bartholomew, the Plaintiff's, and it's the amended
7 designation of expert witnesses -- or witness --
8 witnesses that was filed in this case in -- last August,
9 of 2012. And in there it offers, apparently what appear
10 to be the opinions that you are prepared to give in this
11 case.
12 I'm going to hand you that exhibit, ask you to
13 just look it over quickly.
14 You don't need to read it out loud, but look it
15 over quickly and I'll ask you questions.
16 A. I'll do that.
17 Q. Thank you.
18 A. Yes, ma'am. Thank you.
19 Q. And you can keep that in front of you. I have a
20 copy myself in case -- and feel free to look at the -- or
21 any of the records at any time I ask you questions, if
22 you need to.
23 A. Thank you, ma'am.
24 Q. And take your time.
25 The purpose here today is for me to discover all

Page 12

1 the opinions that you have that relate to the, either the
2 violation of the standard of care, causation, or damages
3 that you might have and will be prepared to offer if you
4 were asked to come and testify at trial. Okay?
5 So after looking at Exhibit A, which is
6 Plaintiffs' Amended Designation Of Expert Witnesses,
7 there are two paragraphs there that have been provided to
8 me as far as the opinions that you are prepared to offer
9 in this case.
10 After having an opportunity to look at those two
11 paragraphs, is there anything else outside of those two
12 paragraphs, and we'll go through them in great detail,
13 that you believe you would want to add to this disclosure
14 as far as your opinions in this case?
15 A. No, I think this sums up my opinions
16 appropriately and accurately.
17 Q. Okay. Let's start first, then -- well, and the
18 opinions that you are prepared to offer in this case, or
19 the way I understand it, and I'm just going to
20 paraphrase, is that the stroke that Mr. Kingery had on
21 the 6th of April, 2007 was either caused, or contributed
22 to be caused by an infection that resulted from the
23 hematoma he developed in his pacemaker site after his
24 pacemaker insertion on the 4th of April. Is that
25 correct?

Page 13

1 A. That is correct.

2 Q. Let's start first and talk about standard of

3 care, which it looks like, you know, one of the

4 statements that is in this disclosure is, that it's

5 obviously below the standard of care to have left a

6 sponge in a surgical procedure that was not intended.

7 So is it fair to say that your opinion is that

8 the hospital staff, in allowing a sponge to be retained

9 in the pacer site, breached a standard of care?

10 A. Yes, it is, madam.

11 Q. And is it your opinion that any time a sponge

12 is left in a patient's body after a surgery, that the

13 standard of care is breached by someone?

14 A. Yes.

15 Q. There's no circumstances that a sponge could be

16 retained and the standard of care not be breached?

17 A. To my knowledge, that is correct.

18 Q. Okay. What experience do you have, if any, in

19 the performance of, or treatment of patients that have

20 just undergone pacemaker insertion?

21 A. Well, people who have undergone pacemaker

22 insertion have cardiac disease, and concomitantly, many

23 of them have neurologic disease, particularly stroke.

24 And it's not uncommon for pacemaker patients to have

25 abnormal heart rhythm, which would cause a higher

Page 14

1 incidence of cardioembolic disease, that is to say emboli

2 stemming from the heart, going into the brain, causing

3 stroke.

4 So it's not uncommon for me to see a patient who

5 recently had pacemaker insertion who's having stroke

6 symptoms, either TIA or full-blown stroke, to evaluate

7 them and determine the cause of their stroke in

8 relationship to their underlying cardiac disease, if

9 any.

10 Q. And can you just tell me, then, in your words

11 what you believe the mechanism of the causal link between

12 the retained sponge and Mr. Kingery's pacemaker site and

13 his stroke that occurred two days after the pacemaker was

14 inserted?

15 A. Sure. Mr. Kingery was not a well man. He had

16 significant cardiac disease, and when he entered the

17 hospital on April 3rd he was having symptoms of left

18 cerebral TIA. He had had episodes of loss of vision,

19 blurred vision in his left eye for up to ten minutes,

20 followed by restoration of vision. He also had

21 difficulty -- he'd lost consciousness and he had

22 difficulty with his speech after these episodes.

23 He was having documented pauses, indicating that

24 he was having significant bradyarrhythmia, low heart

25 rate, and what was happening was the low heart rate was

Page 15

1 causing reduced cerebral circulation, and he had an

2 impairment of circulation which can be due to his left

3 hemisphere, particularly to the left carotid artery,

4 which is the main artery, subserving the left side of his

5 brain, which would control the vision in the left eye,

6 and also would control his speech mechanism.

7 So what he was having, as the doctors correctly

8 suspected, he was having these bradyarrhythmias being

9 symptomatic, and the result of that, they appropriately

10 decided to install a pacemaker.

11 Now, Mr. Kingery eventually was found, not before

12 the pacemaker insertion, but after the stroke, to have a

13 total occlusion of the left internal carotid artery.

14 This was documented on a CTA, that's a computerized

15 tomogram of the arteries, on April 10th. This occlusion

16 likely, within reasonable medical probability, was acute.

17 Acute because there was no previous problems of this

18 nature. It was totally -- you wouldn't be walking around

19 with a total occlusion like this in the vast majority of

20 patients, and it became occluded during his

21 hospitalization.

22 In other words, it was probably significantly

23 stenotic and that stenosis caused him, when his blood

24 flow -- his blood flow was diminished by the pauses and

25 the reduced cardiac output, the reduced flow through the

Page 16

1 -- particularly through the left internal carotid

2 circulation to have the amaurosis fugax, that's

3 A-M-A-U-R-O-S-I-S, F-U-G-A-X, and the transit aphasia,

4 and then what's happening is he would -- the highgrade

5 stenosis he was having with reduced flow would have small

6 emboli stemming from the carotid artery, going to the

7 left middle cerebral artery circulation causing the

8 aphasia, and going to the left ophthalmic artery causing

9 the visual change.

10 Q. Can you tell me the timing of when those changes

11 were occurring?

12 A. When he came to the hospital April 3rd he was

13 documented to have episodes -- several episodes like

14 that.

15 Okay. So they put the pacemaker in.

16 Unfortunately, the sponge was left in, the hematoma and

17 an infection developed. And he was sick. Over the next

18 two days, between the 4th and the 6th, he had pain, he

19 had fever, he had infection, active infection. This

20 caused them, eventually, to remove the pacemaker. They

21 found the hematoma, and later on -- and they also found

22 the retained sponge. The retained sponge, more likely

23 than not, was the cause of this infection and hematoma.

24 But when a person has a significant stenotic

25 lesion, and is subjected to an acute infection, which he

Page 17

1 was, and also to substantial fluctuation of his blood
2 pressure, which he was, that would cause total occlusion
3 of that highgrade stenosis. And that total occlusion was
4 a direct cause of the major stroke Mr. Kingery sustained
5 the evening hours of 4/6/2007. He was suddenly found to
6 be fully unresponsive, unable to speak, and had a total
7 right-sided weakness. He had a total left cerebral
8 syndrome. And he was found to have, basically a left
9 middle artery -- left middle cerebral artery stroke.

10 The mechanism of that stroke was, as the carotid
11 artery closed up, either one of two things happened;
12 either there was no circulation through the carotid
13 artery causing a stroke, or more likely, another large
14 embolism fled the highly stenotic and now occluded left
15 carotid artery, traveled upstream, and created a large
16 occlusion of the left middle cerebral artery causing the
17 stroke that he sustained on April the 6th, 2007.

18 So that's basically a synopsis of it. The acute
19 illness stemming from the hematoma and infection caused
20 an occlusion of a highly stenotic artery which
21 Mr. Kingery had antecedent to his admission.

22 Q. And is it your opinion that the occlusion from
23 the infection from the hematoma, the occlusion was caused
24 from inflammation, or what's the mechanism of the --
25 A. I'm sorry, say that again.

Page 18

1 Q. The occlusion of the carotid artery that you
2 talked about that caused the stroke, is it your opinion
3 that the infection caused inflammation that caused the
4 occlusion, or what's the mechanism of the occlusion that
5 you're opining about?

6 A. Abrupt changes in the -- people with infection
7 have a higher incidence of stroke, it's been well
8 demonstrated, plus the abrupt changes in his blood
9 pressure up and down, probably the most likely direct
10 cause of the occlusion.

11 Q. And he had a history of coronary artery disease;
12 is that correct?

13 A. He did.

14 Q. And he had poorly treated hypertension;
15 correct?

16 That's a bad one. Not poorly treated. He had
17 poorly controlled blood pressure; is that correct?

18 A. Well, I was looking at his blood pressures from
19 Dr. Patel. He had one episode which was very high, and
20 then he had actually better control. It wasn't great
21 control, but it was not -- he seemed to be complying and
22 Dr. Patel was monitoring him pretty carefully and he --
23 actually, the latter part of the records I have from
24 Patel, he was doing better. His blood pressure wasn't a
25 hundred percent controlled, but it was reasonably

Page 19

1 controlled, and he was coming in on a fairly regular
2 basis and probably taking his medication.

3 So up until the hospitalization -- when he came
4 to this hospitalization, though, it got way out of
5 control, and it was right after the pacemaker had been
6 inserted.

7 Q. And you talked about infection at the pacemaker
8 site. Can you show me, or point to me in the records
9 what the basis of your opinion that he developed an
10 infection at his pacemaker site is?

11 And the reason I ask is, when I look at the
12 laboratory results in this case, they did multiple
13 cultures of his blood from the hematoma site, and from
14 his pacer site, and maybe you can direct me to a page
15 that I haven't been able to see, but I haven't seen
16 anything that shows he actually has -- objective evidence
17 that he developed an infection at that site.

18 A. Well, it was described as being weeping, which
19 would be suggestive of an infection.

20 Okay. Looking at the note from Dr. -- and this
21 is a tough name for me, Sivaswami, S-I-V-A-S-W-A-M-I,
22 this is on 4/7/07, he describes the patient has a large
23 lump on his left upper chest that is his pacemaker that
24 is somewhat discolored and appears to have hematoma.
25 There is an incision and it seems to be healing and is

Page 20

1 slightly tender.

2 So right there, that's some suggestion of some
3 infection when you have tenderness.

4 Q. And do you know what the date of that examination
5 was?

6 A. Yes, 4/7.

7 Q. So the pacemaker was inserted on 4/4, correct?

8 A. Correct.

9 Q. And on 4/7 he's got tenderness. Is tenderness
10 something that you would expect a patient to have at a
11 pacemaker insertion site less than, you know, three days
12 after it was implanted?

13 A. Maybe, maybe not, but it was there. Again,
14 tenderness is a sign of infection.

15 Q. And the stroke that you're opining about today
16 happened on the 6th, correct?

17 A. That is correct, ma'am.

18 Now, okay, here's an operation from a James A.
19 K-L-I-E-F-O-T-H. This is on 4/7/07. This is
20 pre-operative diagnosis; hematoma of the pacemaker
21 pocket. Post-operative diagnosis; hematoma of the
22 pacemaker pocket, and he evacuated the pacemaker.

23 And he notes a 76-year-old man who had a
24 pacemaker placed and the pocket has been expanding and he
25 spiked a fever.



Page 21

1 Now, a hematoma by itself without infection would
2 not likely cause a fever, and the man did have a fever.
3 So this Dr. Kliefloth is suggesting. And he also notes in
4 his description, there were bubbles that came from the
5 pocket and the old blood was brownish in color.
6 So the presence of bubbles, again, that's
7 something that's commonly seen with infection, and it's
8 more than one would see with just a plain hematoma. So
9 he's not using the word infection, but we have a fever
10 and bubbles, and expanding lesion. That suggests an
11 infectious process.
12 Q. Did you see anywhere in the records where the
13 signs and symptoms that you're talking about here, the
14 fever, the bubbles, and I think you talked about the
15 tenderness of the site, actually bore out to show any
16 objective evidence of an infection by way of laboratory
17 tests, or anything like that?
18 A. Okay. Well, if you look at the pattern, when he
19 came in Mr. Kingery had a normal white count and had a
20 normal differential. That was on the 3rd. By the 5th,
21 his white count was slightly up, but not enormously up,
22 but his differential changed and he had more than 70
23 percent neutrophils, which is a shift to the left, and a
24 shift to the left is often a sign of an infection.
25 Q. And when you're talking about infection, Doctor,

Page 22

1 just so that the record is clear, are you talking about a
2 bacterial process that causes an infection?
3 A. Yes, ma'am.
4 Q. And can a left shift also happen if there's a
5 viral process going on?
6 A. No. That's a good question. And as a matter of
7 fact, a viral process causes a lymphocytosis, the
8 opposite. So the fact that Kingery developed a left
9 shift would be suggestive of a bacterial as opposed to a
10 viral infection.
11 Q. Now, Doctor, wouldn't you also, I mean, and
12 please correct me if I'm wrong, wouldn't you also --
13 although he had some of these laboratory values and the
14 other signs, subjective signs that you talked about,
15 blood cultures were done from the hematoma evacuation,
16 and I don't know if you saw the results of those, but
17 maybe we can find them and you can look --
18 A. Yeah, I have them.
19 Q. Okay.
20 A. They were negative, but blood cultures aren't a
21 hundred percent. And also, he was on antibiotics, which
22 would suppress -- in other words, they put him on
23 antibiotics empirically, and that would suppress the
24 development of any positive cultures. It was
25 appropriate. You want to get the patient treated, so I

Page 23

1 wouldn't argue with the antibiotics, but that would
2 suppress any blood cultures.
3 So the blood cultures were done -- the results
4 were the 11th, the 13th, and so forth, and he was already
5 on antibiotics by that time.
6 Q. As far as your testimony that the blood cultures
7 would suppress --
8 A. No, antibiotics would suppress --
9 Q. Yeah, I'm sorry. Antibiotics would suppress the
10 evidence of growth of bacteria in the blood cultures.
11 Would you also expect, though, that the antibiotics would
12 then also suppress his other signs and symptoms that
13 you're talking about today, the bubbles, the tenderness
14 around the site, the --
15 A. Yes, it would. It probably was less marked than
16 it would have been. In other words, he had -- he would
17 have been much worse had they not given antibiotics, and
18 he would probably have had a higher white count than just
19 a shift to the left, but that was partially suppressed by
20 the provision of antibiotics.
21 Q. And, Doctor, do you know what date the records
22 show that the hematoma first developed?
23 A. Let's see here. It was removed on the 7th. Let
24 me look at the notes.
25 I know it was there on the 7th. It wasn't there

Page 24

1 on the 4th. I don't have -- I don't know, I don't have
2 good documentation of the status on the 5th and 6th. I
3 know he had the stroke on the 6th. They removed it -- I
4 gave you the date of the removal.
5 Q. Do the records you have from the hospital include
6 the nurses notes or is it --
7 A. No.
8 Q. So consult notes and radiology, and labs is that
9 what you have, pretty much?
10 A. And some In Progress notes too.
11 Q. And In Progress notes.
12 Well, Doctor, let me -- I went through the
13 records, and I'll make representation as to what I found.
14 I did have the opportunity to look at the nurses
15 notes, and you're correct on the timeline, that he had --
16 the pacemaker was inserted on April 4th, correct?
17 A. Yes, ma'am.
18 Q. And he developed the signs and symptoms of the
19 stroke on April 6th, and I have the time of that about
20 17:00, so 5:00 p.m. Does that sound correct to you?
21 A. That's consistent with my understanding, yes,
22 ma'am.
23 Q. And that Dr. Kliefloth evacuated the hematoma on
24 the 7th.
25 A. Yes.

Page 25

1 Q. Which we already talked about.
2 In that interim time, from my review of the
3 records, I show that on April 5th at midnight the nurses
4 are documenting -- they're looking at his surgical wound,
5 and that's what nurses are supposed to do when they're
6 monitoring a patient post-operatively. Then at midnight
7 his dressings were dry and intact. This is on April 5th.
8 At 4:20 a.m. his dressing was dry and intact; at 7:40
9 a.m. his dressing was dry and intact and he was in no
10 distress.
11 At noon on the 5th, both Dr. Gondi, who was a
12 cardiologist, as well as a representative from the
13 pacemaker company, examined him and found his dressings
14 to be dry and intact. And that on the 6th of April, the
15 date that he had his stroke, the dressings -- or his
16 wound was not noted to have any oozing, and he continued
17 to stay on the antibiotics for -- IV antibiotics
18 post-operatively, and he was also taking aspirin, a daily
19 dose of aspirin.
20 Was that information that you knew before that?
21 A. Well, it wouldn't change my thinking because the
22 kind of infection he had would not be reflected on the
23 external dressings. He had a retained sponge which was
24 causing the infection. That would be something inside.
25 You wouldn't see that. The nurses would not see that,

Page 26

1 even with a careful evaluation of his wound site.
2 Q. Okay. Well, maybe I'm misunderstanding part of
3 your opinion. Is your opinion that the development of
4 the hematoma was a direct cause of the retained sponge?
5 A. I'm not sure about that. I mean, but the
6 retained sponge was causing the infection.
7 Q. Okay.
8 A. The infection was what I'm saying is the
9 proximate cause of the stroke.
10 Q. So your testimony today is that the outward
11 signs, what his dressings looked like when they were
12 changing his dressings on his pacer site -- and my
13 understanding is the pacer is actually just a
14 subcutaneous pocket that's placed in the patient's body
15 and then there are leads that go from that into his
16 heart. Correct?
17 A. Yes.
18 Q. Okay. So the site of the infection, are you
19 opining that it's not just in that pocket or was --
20 A. Well, let's see. The description -- let's go
21 back to this, where the gentleman describes it here. Let
22 me find that for you. One second.
23 And this is a report of Dr. Lutan who actually
24 put the pacemaker in and then replaced it subsequently.
25 Q. And what date is that report?

Page 27

1 A. This is on 4/16/07.
2 Q. Okay.
3 A. On the second page of his report, this is the
4 procedure to remove the current system, replace it with a
5 new system.
6 He says the left side was then exposed. One
7 percent Xylocaine was infiltrated to create an area of
8 local anesthesia along the original incision line
9 superior to the implanted pacemaker. An incision was
10 made along the original incision line. Old suture
11 material and scar tissue encountered while dissecting
12 to the pacemaker and suture sleeves of the leads was
13 excised. The old myotonic Cylosdr-T, that's
14 C-Y-L-O-S-D-R, hyphen T, serial number 76044928, was
15 explanted from the pocket. After the pacemaker was
16 explanted, so it's below the pacemaker, a Raytec,
17 R-A-Y-T-E-C, sponge was discovered and removed.
18 So this is something that was below the
19 pacemaker. There's no way the nurses, even with a
20 careful inspection of his surgical site, and his wounds
21 could be clean as gold, and they would not have seen
22 that. It was below the pacemaker. So that's why it was
23 not visualized during that period of time you specified.
24 Q. So essentially what you're testifying is, that
25 where the sponge was located underneath the pacemaker,

Page 28

1 which is the metal -- actual medical device that was put
2 into the patient's subcutaneous pocket --
3 A. That's what Dr. Lutan is describing, yes,
4 ma'am.
5 Q. And your testimony is that it is in that area
6 that this infection occurred, not more superficially
7 where the nurses who were changing his dressings and
8 documenting what the exterior of his skin looked like
9 would be able to see?
10 A. That's correct.
11 Q. Okay. If I told you that Dr. Lutan has testified
12 during his deposition that during this procedure where he
13 discovered the retained sponge he saw no signs or
14 symptoms of infection, would that change your opinion?
15 A. No. I mean, you can't visualize -- you would
16 have to -- well, first of all, he's seeing scar tissue,
17 but you can't -- he's not doing a biopsy, he's just
18 taking the thing out. So the answer is, that shouldn't
19 be in there, and a retained sponge where it was would
20 cause inflammation and infection. There's no way it
21 could not do that.
22 Q. Okay. And that's the opinion that you're
23 offering here today, is that the sponge itself caused an
24 infection and you believe that based on the fact that the
25 patient had tenderness, that there was bubbles upon



Page 29

1 evacuation of the hematoma, and that he was on
2 antibiotics, and he had a fever, that he had an
3 infection?
4 A. Plus, as you mentioned, he had developed a change
5 in his white blood count pattern with a shift to the
6 left, which is indicative of bacterial infection.
7 Q. And, again, you believe that even though no
8 bacteria grew on any of the cultures that were done on
9 Mr. Kingery, that was just a function of the antibiotics
10 doing what they were supposed to do?
11 A. Yes. In other words, the cultures were drawn
12 after the antibiotics were started, so that would
13 suppress any blood culture growth.
14 Q. Okay. Doctor, do you know, based on your
15 education, training, or experience, what the incidence of
16 hematoma development after a pacemaker insertion is?
17 A. No.
18 Q. You don't know that?
19 A. I don't know that.
20 Q. Do you know what the incidence of infection at
21 the pacemaker insertion site is without a retained
22 sponge?
23 A. I do not know that.
24 Q. And you briefly touched on the -- I don't know if
25 it's a phenomenon or a condition, and I'm going to

Page 30

1 pronounce it incorrectly, I guarantee it, but amaurosis
2 fugax?
3 A. Fugax.
4 Q. Oh. So you pronounce -- not French, it's --
5 A. It's Greek, actually.
6 Q. Okay. So amaurosis fugax, can you describe that
7 to me a little bit more in detail, please?
8 A. Sure. The carotid artery is the main artery of
9 the interior circulation. For example, if I take the
10 left carotid artery, which is most important in
11 right-handed people, it subserves 80 percent of the left
12 side of the brain. And the first artery -- it's a
13 bounding artery right in here if you put your hand on
14 your neck (indicating). The very first artery off that
15 is the ophthalmic artery.
16 Now, if one has a significant stenosis of the
17 internal carotid artery measuring greater than 70
18 percent, one can have little clots of blood -- see the
19 reason why it's important, 70 percent, if you have 70
20 percent stenosis, that causes a 50 percent reduction of
21 the circulation.
22 Q. 50 or 15?
23 A. Five-oh.
24 Q. Okay. Thank you.
25 A. When you get that reduction you start having a

Page 31

1 sludging of the blood and clots form. Now, if the clots
2 form, the first artery which it can go is the ophthalmic
3 artery. Okay? So a small clot will come from the
4 stenotic carotid artery, circulate through going to your
5 ophthalmic artery. The ophthalmic artery controls the
6 ability to see. So the person will have a little clot
7 going to the ophthalmic artery, and for a period of
8 typically between two and 15 minutes, it could be 30
9 seconds, but usually it's something around two minutes up
10 to 15 minutes, the patient will have isolateral, same
11 side, loss of vision just in the eye on the same side of
12 the stenosis. That's called -- that's what's called --
13 it's a fleeting loss of vision, and in Greek that's
14 amaurosis fugax.
15 Q. And this patient, Mr. Kingery, was documented as
16 having that condition when he had his TIA on the 3rd,
17 correct?
18 A. Yes, ma'am.
19 Q. And are you aware of any literature that
20 indicates that when you have amaurosis fugax that an
21 impending stroke is more likely going to happen?
22 A. Oh, there's ample literature on that. If you
23 look at any standard textbook of neurology, look at the
24 -- any book, talking about TIA, if you look at the
25 American Stroke Guidelines, they talk about amaurosis --

Page 32

1 it's a classic thing you tell, you know, a third year
2 medical student taking neurology, I lecture to the
3 students, or the residents about, you see TIA, you look
4 immediately for chronic stenosis. That's well known.
5 Q. And they did that in this case, correct?
6 A. Yes.
7 Q. And they found it?
8 A. Yeah, but unfortunately they found it late. In
9 other words, what happened, and this was a little
10 complicated. Mr. Kingery had cancer of the larynx and he
11 had scarring on his neck. So they did on -- when he came
12 in they were concerned about that symptom, and
13 appropriately they did a carotid ultrasound. The carotid
14 ultrasound showed some disease on the right, but they
15 couldn't visualize the flow on the left because of the
16 scarring from the previous laryngeal surgery, so they
17 couldn't evaluate on the ultrasound the carotid artery,
18 its patency. Likely, it was stenotic, but not occluded
19 at that time. You don't have a pre-stroke study to
20 verify that.
21 Q. But would you know that he was at least throwing
22 small enough clots that were causing him to have this
23 amaurosis fugax in his eye?
24 A. Correct.
25 Q. Okay. Is that evidence that he did have some,

Page 33

1 like you said, some stenosis --

2 A. Oh, absolutely.

3 Q. -- but the level of occlusion we don't know?

4 A. Well, I wouldn't use the word occlusion. Level

5 of stenosis.

6 Q. Okay.

7 A. He had no -- I don't think he had any occlusion,

8 to see if you're occluding prior to stroke. He likely

9 had highgrade stenosis, probably -- definitely greater

10 than 70 percent, but less than a hundred.

11 Q. And did you have an opportunity to look at

12 both -- there were multiple cardiology consults on the

13 patient before he had the pacemaker. I think Dr. Lutan's

14 partner actually consulted with him on the 4th, or the

15 3rd.

16 A. What was his name?

17 Q. His name is Dr. Gondi.

18 A. I have seen notes of Dr. Gondi. I'm not sure I

19 saw his consultation. I did see notes from him.

20 Q. Well, then I guess I can probably just make this

21 an easy -- a short answer to my question, but with

22 respect to the pre-stroke workup that Mr. Kingery got at

23 St. Anthony's by the various consults who saw him, he saw

24 critical care specialists, he saw cardiologists, and then

25 after if his stroke he saw Dr. Yu. Is that the way you

Page 34

1 understand it?

2 A. That's exactly right.

3 Q. You don't have any opinions that any of the

4 treatment they provided were any sort of cause, or

5 contributing cause to his ultimate stroke on the 6th; do

6 you?

7 A. No, I have no opinions in that regard.

8 Q. And you're not critical of any of the care and

9 treatment he received leading up to his stroke other than

10 the retained sponge; correct?

11 A. That's correct, ma'am.

12 Q. Okay. That makes it easier.

13 A. Okay.

14 Q. And on the 4th of April, before the pacemaker was

15 inserted, when Dr. Gondi -- I'm just finding it in my

16 notes -- when Dr. Gondi did examine the patient and he

17 made the determination that a pacemaker would likely help

18 Mr. Kingery with respect to the -- I think he had a four

19 second pause in his heart that happened on the evening

20 before. Do you remember seeing that?

21 A. Yes, I do.

22 Q. I think Dr. Gondi basically said he thought that

23 the patient was having two different and separate

24 problems, one was a bradycardia from a cardiac

25 standpoint, and then he was also having a cerebral

Page 35

1 vascular problem.

2 Is it your opinion that those are two intertwined

3 and related here?

4 A. Yes.

5 Q. You don't believe there's any chance that he was

6 having two separate and distinct problems that are not

7 causally related to each other?

8 A. I would disagree with that opinion, because I

9 think that the bradycardia was invoking these TIAs.

10 In other words, when you have a pre-existing

11 stenosis and you have significant runs for bradycardia

12 that would reduce cerebral blood flow, if you're already

13 compromised in one artery, that's going to potentiate

14 TIAs. So I think those -- in fact, he was having

15 concomitantly the loss of consciousness and the focal

16 neurological deficits, they were coming at the same time,

17 so I think they were related.

18 Q. And those two things could have occurred -- or

19 were occurring absent the infection that you believe he

20 developed after the pacemaker?

21 A. That's right.

22 Q. Okay. Do you perform, or interpret

23 transesophageal echos?

24 A. No, I don't do that.

25 Q. Cardiologists do that?

Page 36

1 A. That's correct.

2 Q. And do you perform, or interpret echocardiograms

3 with the bubble studies?

4 A. No, I do not do that.

5 Q. Do you know the purpose of the bubble studies?

6 A. Sure.

7 Q. Can you describe it for me?

8 A. If you have a right to left shift of the heart,

9 in other words, if you have a so-called PFO, patent

10 foramen ovale, that could be seen, you do a study and you

11 put the air in and it shouldn't go to the right heart, to

12 the left. If it does, that suggests there's a hole

13 that's causing the paradoxical embolization.

14 Q. Okay. I just want to make sure that I -- I'm

15 going to try to see if I understand your causation

16 opinion in this case, and if I don't get it right, please

17 let me know because I want to leave here making sure that

18 we understand each other. Okay?

19 A. That's fine.

20 Q. What you've told me today, and I think I

21 understand it, is that the patient came in with a

22 significant history of cardiovascular problems,

23 correct?

24 A. He did.

25 Q. Okay. And because of that -- and he also had his

Page 37

1 history of laryngeal cancer for which he was treated with
 2 radiation that likely caused him some stenosis of his
 3 carotid arteries?
 4 A. Well, I don't know about that, but it caused his
 5 neck to be fibrotic. There might have -- now, that's a
 6 good question. Was his antecedent stenosis strictly
 7 arteriosclerotic, or was it also exacerbated by the
 8 radiation? The answer is, I don't know the answer to
 9 that question because we don't have a studies before the
 10 original cancer was treated.
 11 In any event, he did have, in my mind,
 12 significant highgrade stenosis of the left internal
 13 carotid artery and fibrosis in his left neck from the
 14 previous radiation and the original treatment.
 15 Q. And when you say significant, we already talked
 16 about, we don't know the exact percentage of the
 17 stenosis, or the grade of his stenosis because they were
 18 unable to view his carotid artery on that doppler study,
 19 correct?
 20 A. Yes. I would think it would be at least greater
 21 than 70 percent, and likely more.
 22 Q. And so because of that pre-existing condition, is
 23 it your opinion then that when the sponge was retained in
 24 his pacemaker site that caused him to have -- and I'm
 25 making sure I understand this, you're not saying that the

Page 38

1 infection itself, you're saying the infection caused him
 2 to have increased blood pressure, which either then made
 3 that carotid artery become fully occluded, or caused him
 4 to throw a clot that caused him to have the stroke on
 5 April 6th?
 6 A. Well, it did become fully occluded, yes, and that
 7 total occlusion caused clotting -- the initial clot to
 8 develop stemming from the occluded left internal carotid
 9 artery flowing to the left middle cerebral artery and
 10 caused the stroke of April the 6th.
 11 Q. Okay. I think I understand you.
 12 A. Good.
 13 Q. I just wanted to make sure that was it. The
 14 mechanism of the infection relating to the inclusion is
 15 the increase in the blood pressure. Is that what you're
 16 saying?
 17 A. Well, infection itself, infection itself, can
 18 take a person who has a, how should I put it,
 19 predisposition to stroke, and certainly Mr. Kingery did,
 20 and make it -- a person who's hanging by a thread into
 21 having a stroke. That's one mechanism, infection, or the
 22 vast fluctuations in the blood pressure he was
 23 sustaining. Either of those two, or the combination of
 24 those two was causative of the stroke he had on the 6th.
 25 Q. Okay. Now, from what I can tell from Exhibit A,

Page 39

1 the one paragraph of the opinions that you were offered
 2 to discuss here today, or that you were prepared to opine
 3 today, it sounds like we have talked about what you
 4 believe the relationship between the retained sponge and
 5 his April 6th stroke are. Is that correct?
 6 A. Yes, ma'am.
 7 Q. Are there any other medical opinions that you
 8 have that we haven't discussed on that fact?
 9 A. No.
 10 Q. Okay. Now, I don't know if you know this, but
 11 Mr. Kingery passed away about 14 months after he had his
 12 stroke.
 13 A. I didn't know that, but I'm not surprised.
 14 Q. Okay. Are you here today to offer any opinions
 15 that the stroke that you believe was caused by the
 16 infection in his pacemaker site caused or contributed to
 17 cause of his death?
 18 A. I couldn't do that right now, madam, because I
 19 don't know the details of his expiration. But it could
 20 be if Mr. Bartholomew wants to utilize me in that regard
 21 he'd have to send me some records from this point in time
 22 from April of 2007 till he died in late 2008. If he were
 23 to do that, I'll ask him to notify you so you can inquire
 24 of me, but at this point in time I have not been asked to
 25 discuss that.

Page 40

1 MS. KAMYKOWSKI: Joe, do you plan on having him
 2 tie in the death or -- you know, I know we've kind of
 3 talked about this before, but I wasn't exactly sure
 4 where we were going with respect to that ultimate
 5 causation question.
 6 MR. BARTHOLOMEW: I don't know if we need it or
 7 not. I don't think it's even going to be an issue.
 8 I mean, this is a man that went to a nursing home
 9 with absolutely no function whatsoever as a result of
 10 the stroke. To suggest that the stroke didn't cause
 11 the contributing cause of death, I think would be
 12 pretty remote. So I may have him look at the records
 13 or I may just have -- not. I didn't even realize
 14 that was an issue we have. I can't imagine that you
 15 guys are taking the position that the stroke wasn't
 16 causally related to his death. I mean, he was, you
 17 know, basically institutionalized in a rehab facility
 18 from the time of his stroke until his death, as you
 19 know.
 20 MS. KAMYKOWSKI: Well, I do know that, but, Joe,
 21 you might -- I mean, you know, you and I can talk
 22 about that and argue it later, but I mean, I think
 23 obviously, if you were going to -- if we took this
 24 case to trial and you were going to submit it as a
 25 wrongful death case, you have to have somebody who

Page 41

1 ties the death in, not just you saying that the
2 records, you know, show that he was institutionalized
3 and died there.
4 So, I guess in my mind you still have to have
5 somebody that can say it, and whether you will find a
6 treater -- I don't know. If Dr. Fischer's going to
7 do it, you know, I kind of wish we would have had him
8 look at that stuff before I came down here for the
9 second time, but I guess, you know, we can talk about
10 that later.
11 You and I will a difference in opinion as to what
12 those elder care records show his condition was after
13 he left, but -- and ultimately what he died of, I
14 guess.
15 MR. BARTHOLOMEW: Well, what is your
16 understanding as to what he died of?
17 MS. KAMYKOWSKI: Well, I don't know if you saw --
18 the death certificate showed that he had -- it was
19 from coronary artery disease with extensive
20 atherosclerosis. It doesn't talk anything about any
21 sort of an impaired neurological function, or
22 anything that I think would necessarily be related to
23 the retained sponge, but that -- you know, obviously
24 that's just me, you know, and I have experts who will
25 support that position.

Page 42

1 MR. BARTHOLOMEW: Well, you know, I guess we can
2 talk about it later. I guess if it's going to be an
3 issue that someone's going to try to take the
4 position that this man didn't die from, you know, all
5 -- that the severe stroke didn't contribute to his
6 death, I mean, you've got a man who was completely
7 bedridden for -- you know, from the time this
8 happened until his death, but whether the ultimate
9 precipitating cause was the fact that he may have --
10 I don't know, I mean, the autopsy -- I don't know
11 there'd be an autopsy, but why don't we just agree to
12 disagree. I mean, that may be more of an issue that
13 relates to what we're going to do in terms of
14 resolving it.
15 MS. KAMYKOWSKI: True. No, true. I was just
16 making sure -- I wanted to know if Dr. Fischer had
17 any opinions as to whether or not -- and it sounds
18 like he potentially --
19 MR. BARTHOLOMEW: I thought it was in your best
20 interest to try to prove that the death was
21 causally related since we're trying to -- I thought
22 we were trying to resolve it in that way. If you
23 want to take the opposite position and be responsible
24 for the year and a half of rehabilitation, or
25 whatever it was, then that's fine. We'll fight about

Page 43

1 that at a later date.
2 If I need Dr. Fischer to say that the death was
3 causally related to the stroke, and then that's his
4 opinion after looking at the records, I'll let you
5 know.
6 MS. KAMYKOWSKI: Okay. And, Joe, you know, when
7 I get back to St. Louis I'll give you a call and we
8 can talk about it because I know -- you know, we've
9 talked about this before about a resolution of this
10 case, so I think we can probably -- hopefully, you
11 and I can come to some sort of agreement on it.
12 MR. BARTHOLOMEW: Okay.
13 BY MS. KAMYKOWSKI:
14 Q. But as far as today's deposition is concerned,
15 Dr. Fischer, we've talked about the fact that your
16 opinion is that the sponge caused an infection, and that
17 infection, in one way or another, caused the development
18 of the full occlusion of his left carotid artery that
19 then developed into the middle cerebral artery infarct,
20 or stroke on the 6th, correct?
21 A. You understood me perfectly, yeah, ma'am.
22 Q. Okay. I just wanted to make sure we got that
23 right.
24 And as far as the evidence that you base the
25 opinion that you have that he developed an infection,

Page 44

1 we've talked about the fact that there was documentation
2 that he had tenderness at his pacemaker insertion site,
3 and you believe that's a sign, or a symptom of infection.
4 Correct?
5 A. Yes.
6 Q. And the fact that he had a left shift that was
7 shown on laboratory values. I don't know that we
8 established what date that left shift appeared.
9 A. I'll find it here.
10 Q. I may have, and I apologize.
11 A. I didn't say the dates. I can tell you on the
12 3rd his initial CBC was normal with -- his white count
13 was normal and he had no left shift. I believe on the
14 5th is when it -- okay. I'm looking at a page here --
15 I'll show you what I'm looking at.
16 Q. Let me see what date that is.
17 4/3, 4/6, 4/7. Okay.
18 A. Baseline one, this -- on the 3rd was antecedent
19 to the provision of the pacemaker. He had a white count
20 of 6.6, which is normal. And he had a differential of
21 50.6 neutrophils. That's normal. No left shift. Okay?
22 On the 6th and the 7th he has additional white counts.
23 It's up to 8.8 and 10.3, and that's still not elevated.
24 However, if you look down, the neutrophil count, which
25 should be below 65 percent, was 71.9 and 71.1 percent on

Page 45

1 those two determinations, so -- and the commensurate drop
2 of lymphocyte count. So here we developed, between his
3 baseline and the provision of the pacemaker, a left
4 shift, which is indicative of bacterial infection.
5 Q. Okay. So we talked about the left shift that we
6 just discussed, and we also talked about the tenderness
7 at the pacemaker insertion site, and you also cited
8 to the hematoma, the bubbles that were seen when the
9 hematoma was evacuated.
10 A. Yes.
11 Q. Were there any other signs or symptoms that you
12 relied on in coming to the opinion that he did have a
13 bacterial infection at his pacemaker site prior to his
14 stroke?
15 A. He had temperature of 101.8, I believe.
16 Q. Do you remember when that was recorded?
17 A. I'm sorry, I'll look -- I've seen it in the
18 notes.
19 No, it's a note here on 4/7 from Dr. Kliefoth
20 that he had spiked a fever. He didn't specify the
21 temperature. That's prior to the removal of the
22 pacemaker on the 7th.
23 Q. And not actually the removal, that was prior
24 to the hematoma evacuation, correct?
25 A. Yes. I'm sorry.

Page 46

1 Q. I think the pacemaker ultimately wasn't removed
2 until about a week later.
3 A. The 16th. You're correct, madam. It's removal
4 of the hematoma, per se.
5 Q. Okay. Are there any other facts from the records
6 that you are relying on in support of your position that
7 he had a bacterial infection from the retained sponge at
8 the time that his stroke occurred on the 6th, other than
9 what we've already talked about?
10 A. No, ma'am.
11 Q. And as you sit here today, do you know what his
12 deficits were when he was discharged from the hospital
13 following his stroke to the long term care facility that
14 he went to?
15 A. His discharge summary states his neurological
16 status remained unchanged, and therefore -- this is
17 written on May 1st. That's the day of his discharge.
18 Unchanged would be, I guess consistent with Dr. Yu's
19 consultation on April 7th, which was he was aphasic with
20 a dense right hemiplegia. So that would be his status on
21 discharge.
22 Q. And other than what's in the discharge summary,
23 you don't have any other records that would allow you to
24 opine as to the subsequent care and treatment, or damages
25 that he sustained as a result of the stroke, correct?

Page 47

1 A. You're correct. I have no information after May
2 1st, 2007.
3 Q. Are you aware, Doctor, of statistics that a
4 patient with a prior TIA has a 16-fold increase in the
5 risk of stroke in the next several days after the initial
6 TIA?
7 A. That's absolutely true.
8 Q. And that can happen without any other factors,
9 just a simple fact that they had certain, either
10 cardiovascular or another neurological issues that
11 developed into a TIA and that just the sheer incident of
12 that on its own can cause a 16-fold increase in the risk
13 of stroke, correct?
14 A. Yes, that's true.
15 Q. Did you review any literature or do any research
16 to help you offer the opinions that you have given today
17 in this case?
18 A. No, ma'am, I did not.
19 Q. It's all based on your training, experience, and
20 education as a neurologist?
21 A. Yes, it is.
22 Q. Do you know what you have been charging
23 Mr. Bartholomew to review this case for him?
24 A. Sure. I charge -- well, actually, this goes back
25 to 2008 so my charge was slightly lower perhaps, but

Page 48

1 maybe the first submission was that, but since then it's
2 \$500 per hour for reviewing records, \$800 per hour for
3 conferences, \$800 per hour for depositions.
4 Q. And do you know how many hours you have into this
5 case so far?
6 A. I don't know exactly. I can tell you. I can
7 find out for you momentarily. You want me to do it now
8 or at the end? I can find out immediately for you.
9 Q. You don't have an estimate as you sit here?
10 A. Well, an estimate, let's see.
11 I estimate the records would be about \$1,200;
12 conferences, \$400; deposition today was -- asked for
13 three hours, so it's \$2,400. So 24 -- about \$4,000.
14 Q. And if you are asked to come to trial in Madison
15 County in Illinois, would you travel there to testify on
16 behalf of the Plaintiff in the case?
17 A. Sure. Be glad to.
18 Q. And do you have a different, either a door to
19 door or a per day fee?
20 A. No. Blanket charge, \$5,000 for the trip, plus,
21 you know, airfare and lodging, whatever.
22 Q. I didn't see on your CV any articles or other
23 publications that you've written with respect
24 specifically to infection and stroke. You haven't
25 written on that topic, have you?

Page 49

1 A. No, ma'am, I have not.
2 Q. Okay. Do you have any sort of research interests
3 or specialty that you write on, or are studying at this
4 point in time?
5 A. I'm involved in some current stroke research.
6 One is a study on anti-platelet drugs in TIA. In other
7 words, to see whether a combination of -- it's a national
8 study sponsored by the University of Michigan and NIH in
9 which we're studying whether aspirin and Plavix together
10 is superior to aspirin or Plavix alone for acute TIA.
11 And also, I'm involved in a project sponsored
12 by the University of Miami and some local stroke centers,
13 including ours, where we do -- we're going to inject stem
14 cells in patients with acute stroke to see if there's any
15 improvement in their outcome.
16 Q. And are both of those ongoing studies right
17 now?
18 A. That's correct.
19 Q. When are there anticipated completion dates, do
20 you have any?
21 A. They're relatively early in their program.
22 Q. And they're multi-year test periods?
23 A. Yes.
24 Q. Okay. How long have you been offering opinions
25 as an expert in medical malpractice cases?

Page 50

1 A. The first time was in the latter part of 1976.
2 Q. And if you can give me an estimate, how many
3 depositions have you given in medical malpractice cases
4 since then?
5 A. I've given about 700 depositions. I'd say
6 probably 300 medical practice cases.
7 Q. And, again, the majority of those for plaintiffs
8 in malpractice cases?
9 A. Yes.
10 Q. Is that greater than 90 percent for plaintiffs?
11 A. No. Things have evolved. In other words, when I
12 first was retained as an expert I had more defense cases
13 than plaintiff. It equalized probably in the early
14 nineties, or mid-nineties, and then it became more and
15 more for the plaintiff. And now I'd say about 90 percent
16 of the patients -- of the medical negligence matters I
17 gets are on behalf of the plaintiff as opposed to
18 defense.
19 Q. And just going from those numbers you just gave
20 me, approximately 300 expert depositions you've given in
21 medical malpractice cases, after those depositions, how
22 many of those have you gone on to testify at trial?
23 A. I'd estimate I had 40 or 50 appearances at trial
24 in medical negligence cases.
25 Q. Have you testified in the State of Illinois

Page 51

1 before?
2 A. Yes.
3 Q. How many times?
4 A. I testified in Chicago back in 1989. It was a
5 defense case actually, Glassman versus some hospital in
6 Chicago. And I testified in Chicago, I guess about five
7 years ago in a stroke case for a plaintiff. And I also
8 testified in the St. Louis area for a Mr. Hopkins in a
9 case about three or four years ago. It was a stroke case
10 also, I think, but I don't remember the name of the
11 case.
12 Q. That was in Missouri state court?
13 A. No, it was in Illinois.
14 Q. But in the St. Louis metropolitan area?
15 A. Yes. I know I flew into St. Louis and they drove
16 me to someplace in Illinois.
17 Q. Have you worked with Mr. Bartholomew before this
18 case?
19 A. I have.
20 Q. Do you know how many times?
21 A. I'd estimate five or six cases I've reviewed for
22 Mr. Bartholomew.
23 Q. Have you given depositions in all of those
24 cases?
25 A. Just about.

Page 52

1 Q. Have you testified at trial on behalf of
2 Mr. Bartholomew or anybody in his office?
3 A. No, I have not.
4 Q. Okay. Are there any other opinions that you
5 would offer in this case if you came to testify at trial
6 other than what we've already talked about today?
7 A. Potentially about the effects of this stroke on
8 the demise of Mr. Kingery.
9 Q. But to offer those opinion would be only if you
10 were to able to review additional records?
11 A. Yes. At this point I'm not prepared to talk
12 about that.
13 Q. And if you happen to -- I mean, obviously
14 Mr. Bartholomew would let me know if you review any
15 additional records and they change your opinions, or if
16 you need to add additional opinions.
17 A. Sure. That's fair.
18 Q. Hopefully I will know that before you do.
19 A. Probably will.
20 Q. And just to make sure that the record is clear,
21 other than the simple fact that a sponge was retained in
22 Mr. Kingery's pacemaker pocket from that insertion
23 procedure on April 4th, you have no criticisms of the
24 care and treatment that he received at St. Anthony's,
25 whether by the nursing staff post-operatively, or by any

Page 53

1 of the physicians that saw him; is that correct?
 2 A. You're correct.
 3 MS. KAMYKOWSKI: Okay. Joe, I think that's all I
 4 have.
 5 Do you have anything?
 6 MR. BARTHOLOMEW: Yes. A couple things.
 7 Mandy, just so we're clear about it, I mean, his
 8 opinion about the sponge, I mean, he's certainly --
 9 if there's no further miscount or whatever that
 10 would be, I assume you're assuming that's part and
 11 parcel of his opinion?
 12 MS. KAMYKOWSKI: As far as the standard of care
 13 and liability?
 14 MR. BARTHOLOMEW: Yes.
 15 MS. KAMYKOWSKI: Yes.
 16 CROSS EXAMINATION
 17 BY MR. BARTHOLOMEW:
 18 Q. Doctor, let's talk just briefly in general about
 19 Mr. Kingery when he left the hospital in terms of his
 20 neurological function.
 21 What was the status of that, as you understand it
 22 from the records?
 23 A. Okay, sir.
 24 Mr. Kingery was, on May 1st, 2007 Mr. Kingery was
 25 very impaired neurologically. He was described by Dr. Yu

Page 54

1 on his visits I have for several days, and also upon
 2 discharge by the attending physician, that he was
 3 unchanged from Dr. Yu's evaluations. And I say he was,
 4 Mr. Kingery was, globally aphasic and right hemiplegic,
 5 which means he could not speak and he could not use, at
 6 all, his right side.
 7 To go into more specifics, let's look at Dr. Yu's
 8 consultation report on April 7th where he describes in
 9 more detail his status. Dr. Yu notes he was awake, able
 10 to follow commands, has no intelligible speech. He had
 11 diminished response to visual threat on the right half of
 12 the visual field. In other words, he couldn't see to the
 13 right.
 14 He had reduced sensation of the right side of his
 15 face in all three divisions, and he had a right central
 16 facial neuropathy, which means he had a facial droop. He
 17 appeared to have no trouble handling his salivary
 18 secretions. He had a flaccid, which means he was like
 19 floppy, right hemiparesis. He had hypoactive muscle
 20 reflexes, and this is -- this may be a typo -- with a
 21 left extensor plantar response. I would expect a right,
 22 but he wrote left. I'm not sure why he would have left
 23 because he had no right cerebral problem.
 24 And basically he said, this is a patient with a
 25 left middle cerebral artery territory infarct with a

Page 55

1 nonfluent aphasia and flaccid right hemiplegia.
 2 So then we have the records saying that he had no
 3 change in his status from that time until his discharge.
 4 So we have a good idea that he was lying there,
 5 unable to speak, total right-sided weakness, and -- which
 6 he was very -- and floppy tone. He was very, very
 7 impaired.
 8 Q. In general, does a patient like that, who, and if
 9 we assume he essentially remained like that until his
 10 death, would a patient like that pose a difficulty for a
 11 physician in treating cardiovascular disease?
 12 A. Yes. For several reasons. Number one, this
 13 patient is subject to having multiple complications from
 14 this impaired status. The incidence of deep vein
 15 thrombosis, pulmonary embolization, aspiration pneumonia,
 16 urinary tract infection and urosepsis, decubitus
 17 formation and sepsis is enormous.
 18 Secondly, because he had a documented major left
 19 cerebral infarction, the use of anti-coagulation is
 20 difficult because you can induce a hemorrhagic
 21 transformation to a large ischemic stroke.
 22 So, for several reasons, Mr. Kingery would
 23 present a major challenge for further care by a
 24 cardiologist or many other physicians.
 25 MR. BARTHOLOMEW: Okay. Thank you, Doctor.

Page 56

1 THE WITNESS: You're very welcome.
 2 REDIRECT EXAMINATION
 3 BY MS. KAMYKOWSKI:
 4 Q. Doctor, just a couple of follow-ups. I just want
 5 to make sure that I'm clear on this.
 6 The fact that Mr. Kingery came to the emergency
 7 room on April 3rd and was diagnosed with a TIA, that
 8 simple fact alone predisposed him to an increased chance
 9 of having a stroke regardless of any cardiac workup that
 10 he had at the hospital. Correct?
 11 A. That is true.
 12 Q. And he could have had this exact same stroke on
 13 April 6th, even if he hadn't had a retained sponge in his
 14 pocket. Correct?
 15 A. Sure.
 16 MS. KAMYKOWSKI: Okay. That's all I have, Joe.
 17 MR. BARTHOLOMEW: Okay. Thank you. Great.
 18 Thank you, Doctor.
 19 THE WITNESS: Thank you, Mr. Bartholomew.
 20 MS. KAMYKOWSKI: Thanks, Joe.
 21 THE WITNESS: Mr. Bartholomew, would you like me
 22 to read or waive on this?
 23 MR. BARTHOLOMEW: Oh, I think you can waive.
 24 THE WITNESS: Okay. That's great. Thank you,
 25 sir.



Page 57

1 (Copy of Dr. Fisher's file marked as Defendant's
 2 Exhibit C for identification.)
 3 (Deposition concluded at 2:21 p.m., reading and
 4 signing having been waived.)
 5
 6
 7
 8
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

Page 59

1 CERTIFICATE OF REPORTER
 2
 3 STATE OF FLORIDA
 4 COUNTY OF MIAMI-DADE
 5
 6 I, JANINE P. CARROLL, do hereby certify that I
 7 was authorized to and did stenographically report
 8 the deposition of KENNETH C. FISCHER, M.D.; that a review
 9 of the transcript was not requested; and that the
 10 foregoing transcript is a true record of my
 11 stenographic notes.
 12 I FURTHER CERTIFY that I am not a relative,
 13 employee, or attorney, or counsel of any of the
 14 parties, nor am I a relative or employee of any of
 15 the parties' attorney or counsel connected with the
 16 action, nor am I financially interested in the
 17 action.
 18
 19 DATED this 3rd day of May, 2013 at Miami,
 20 Miami-Dade County, Florida.
 21
 22 
 23 _____
 24 JANINE P. CARROLL
 25

Page 58

1 CERTIFICATE OF OATH
 2
 3 STATE OF FLORIDA
 4 COUNTY OF MIAMI-DADE
 5
 6 I, JANINE P. CARROLL, Notary Public, State of
 7 Florida, certify that KENNETH C. FISCHER, M.D. personally
 8 appeared before me on April 22, 2013 and was duly sworn.
 9
 10 Signed this 3rd day of May, 2013.
 11
 12 
 13 _____
 14 JANINE P. CARROLL
 15 Notary Public-State of Florida
 16 Commission No.: EE 070619
 17 Expires: March 28, 2015
 18
 19
 20
 21
 22
 23
 24
 25

1
 2
 3
 4
 5
 6
 7
 8
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

