

IN THE FIFTH JUDICIAL CIRCUIT COURT

IN AND FOR CITRUS COUNTY, FLORIDA

CASE NO. 2012 CA-000405 A

RONALD DISHOP and CHERYL ANN

BISHOP,

Plaintiffs,

vs.

CITRUS MEMORIAL HEALTH

FOUNDATION, INC, d/b/a CITRUS

MEMORIAL HOME HEALTH AGENCY,

GULF COAST SPINE INSTITUTE,

P.A., SPINE THERAPY, INC., and

JAMES RONZO, M.D.,

Defendants.

_____ /

DEPOSITION OF DANIEL S. HUSTED, M.D.

MONDAY, JULY 7, 2014

3:48 P.M. - 6:12 P.M.

1050 SE MONTEREY ROAD #400

STUART, FLORIDA

- - -

Reported By:

Eleanor M. Evensen, RPR

Notary Public, State of Florida

West Palm Beach Office #148768

1 APPEARANCES:

2 On behalf of the Plaintiffs:
3 SPENCER T. KUVIN, ESQUIRE
4 LAW OFFICES OF CRAIG GOLDENFARB, P.A.
5 2090 Palm Beach Lakes Boulevard #402
6 West Palm Beach, Florida 33409
7 561.697.4440
8 Skuvin@800goldlaw.com

9
10 On behalf of the Defendants Ronzo & Gulf Coast:
11 JOHN D. JOPLING, ESQUIRE
12 DELL GRAHAM, P.A.
13 203 NE 1st Street
14 Gainesville, Florida 32601
15 352.372.4381
16 Jjopling@dellgraham.com

17
18 On behalf of Defendant Citrus:
19 SCOTT REICHERT, ESQUIRE
20 BICE COLE LAW FIRM
21 1333 SE 25th Loop #101
22 Ocala, Florida 34471
23 352.732.2255
24 Reichert@bicecolelaw.com
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- - -
I N D E X
- - -

WITNESS: DIRECT CROSS REDIRECT RECROSS

DANIEL S. HUSTED, M.D.

BY: MR. JOPLING 4 106
BY: MR. REICHERT 85 107

- - -

E X H I B I T S

NUMBER	DESCRIPTION	PAGE
DEFENDANT'S 1	MEDICAL CHRONOLOGY LIST	108
PLAINTIFF'S 1	DR'S CV	108

1 P R O C E E D I N G S

2 - - -

3 Deposition taken before ELEANOR M.

4 EVENSEN, Registered Professional Reporter and Notary
5 Public in and for the State of Florida at Large, in
6 the above cause.

7 - - -

8 Thereupon,

9 DANIEL S. HUSTED, M.D.

10 having been first duly sworn or affirmed, was examined
11 and testified as follows:

12 THE WITNESS: I do.

13 DIRECT EXAMINATION

14 BY MR. JOPLING:

15 Q. Tell us your name for the record.

16 A. Daniel Husted.

17 Q. And you are a medical doctor?

18 A. I am.

19 Q. Board certified?

20 A. I am.

21 Q. In orthopedic surgery?

22 A. Yes.

23 Q. Anything else?

24 A. No.

25 Q. All right. Dr. Husted, I have the benefit of

1 your CV and we won't belabor this, but tell us a little
2 about your medical background and training.

3 A. Sure. I went to undergrad at University of
4 Florida. I did my medical training at University of
5 Florida.

6 I did my orthopedic training at Yale
7 University and my spine training at San Francisco.

8 Q. And your medical degree from UF was in 2000; is
9 that right?

10 A. Correct.

11 Q. And you have been in the private practice of
12 orthopedic surgery since when?

13 A. I started here in 2006.

14 Q. Okay. And "here" is South Florida Orthopedics?

15 A. Yes.

16 Q. And today we are in Stuart, Florida; your group
17 has offices elsewhere?

18 A. We do. We have an office in Palm Beach
19 Gardens and we have an office in St. Lucie West.

20 Q. And your primary practice is here?

21 A. I actually have my primary practice here in
22 Stuart and Palm Beach Gardens.

23 Q. Very good. And what is your address please?

24 A. My home address or my office address?

25 Q. Office will work.

1 A. 1050 Southeast Monterey Road, Suite 400,
2 Stuart, Florida. Do you need a ZIP code?

3 Q. No. And what is your date of birth?

4 A. 7/25/75.

5 Q. I think you have told me this, you are not board
6 certified in any specialty other than orthopedic surgery?

7 A. Correct.

8 Q. I know these days most folks I know of that are
9 orthopedic surgeons are subspecialized, at least
10 functionally so within that field.

11 Do you concentrate on one particular area of
12 orthopedic surgery?

13 A. I do, it is spine.

14 Q. And does that include both cervical, thoracic,
15 and lumbar?

16 A. Correct.

17 Q. I take it then that you have performed a number
18 of bilateral laminotomies, as was done in this case?

19 A. I have.

20 Q. Any idea of the frequency of which you performed
21 this procedure?

22 A. I've probably performed thousands. And I
23 would say that I do lumbar decompressions, which is
24 the category for which that falls into --

25 Q. Right.

1 A. -- on the order of 20 or more a month.

2 Q. So that we are clear here, I think I understand
3 what you're telling me, the broader category of spinal
4 decompressions includes laminotomies?

5 A. Laminotomies, laminectomies. The technique
6 is very similar, so to distinguish between the two
7 would be a very, it would be more clear to a spine
8 surgeon, but the actual technique is using Kerrison
9 Rongeurs in removing bone.

10 Q. Right. In the course of those thousands of
11 bilateral laminotomies, have you ever had a patient
12 postoperatively develop an epidural hematoma?

13 A. Yes.

14 Q. That is a recognized complication of any sort of
15 spinal decompression?

16 A. It certainly is.

17 Q. And by that, we mean it can happen under the
18 best of care?

19 A. Absolutely.

20 Q. It also does not necessarily, in fact does not
21 usually, produce any sort of lasting neurological
22 deficit, does it?

23 A. If dealt with in a timely fashion, and also
24 depending on what the actual symptomatology is.

25 To clarify, you can have asymptomatic lumbar

1 hematoma or mildly symptomatic lumbar hematoma without
2 any neurologic deficit.

3 Q. Right. Doctor, before we get deeper into this,
4 I believe I have seen that you have had your deposition
5 taken before?

6 A. I have.

7 Q. About how many times?

8 A. That is a difficult question to answer,
9 because I do a lot of depositions for worker's comp.

10 Q. Right.

11 A. Very little personal injury, so when we are
12 talking about depositions, usually for worker's comp.
13 It is a lesser degree personal injury, and rarely for
14 malpractice.

15 Q. Let's limit it to that latter category.

16 Can you estimate for me about how many times you
17 have had your deposition taken in a case, as we are doing
18 today, where you are going to be offering opinions about
19 the standard of care of another physician?

20 A. Less than five.

21 Q. Have any of those been cases in which you were
22 retained for the defendant physician?

23 A. No.

24 Q. So all of the testimony you have ever given by
25 way of deposition in which you were offering medical

1 malpractice opinions, those were all on behalf of the
2 plaintiff?

3 A. To my recollection, yes.

4 Q. Do you advertise your availability in any way as
5 an expert witness?

6 A. No.

7 Q. Do you know how you came to be involved as an
8 expert in this case?

9 A. I believe I was contacted by the attorney's
10 office to review the case and to obtain my opinion,
11 one way or another.

12 Q. All right. Have you worked with Mr. Kuvin, or
13 his firm, before as an expert witness?

14 A. I'm quite sure I have because I do personal
15 injury. So I think his firm does personal injury and
16 so I'm sure I've had cases with his firm. I
17 recognized him, so I've probably done a case with him
18 before, but it was probably a personal injury.

19 Q. To the best of your recollection you have not
20 worked on a medical malpractice case before with
21 Mr. Kuvin?

22 A. To the best of my recollection, yes.

23 Q. The cases in which you have been an expert
24 witness in what we are calling personal injury cases,
25 have those been cases in which you were a treater or in

1 which you were offering some sort of opinion apart from
2 your treatment?

3 A. Very rarely is it in a treating realm.
4 Having said that, and are we just talking depositions
5 or now globally when I'm asked to review a case?

6 Q. Let's talk globally now.

7 A. Globally I'm approached by both plaintiffs
8 and defense. And I will review the cases for both
9 sides.

10 So when asked to review a case, it doesn't
11 matter to me whether it's plaintiff or defense, I do
12 so at the request in which I render my opinion, and
13 at some point they decide whether they want to proceed
14 forward with the case.

15 They don't always like my opinion, both
16 sides. I'm paid to render my opinion as I see it.

17 Q. Right. Help me out as to how that works in the
18 nonmedical malpractice, in the personal injury section
19 where if you are not a treater are you approached by both
20 sides to do record reviews?

21 A. IMEs. And I have been approached by both
22 sides to do an IME. And I'm equally open to either
23 side approaching me because in the end I'm just being
24 asked to give an opinion.

25 Q. Sure.

1 A. That's easy for me to do.

2 Q. How frequently do you perform independent
3 medical examinations?

4 A. Not often. The vast majority, I would
5 estimate 95 percent of my time is spent just
6 practicing spine surgery.

7 So to do depositions, IMEs, narratives,
8 things such, what I would consider to be the legal
9 realm, is a minority of what I do.

10 Q. Sure, I would have expected that. You've
11 probably been asked this question before, you know, what
12 percentage of your professionally derived income comes
13 from consulting work in the medical/legal field?

14 By that I'm including medical malpractice work,
15 personal injury or any other.

16 A. Sure. I estimated it to be three percent.
17 And I've actually never done a rough calculation until
18 I was asked to do so. But the vast majority of what I
19 do is just from treating and doing surgeries, etc.,
20 seeing patients in clinic, doing epidurals.

21 Q. You believe that the income you derive from
22 testifying as an expert comprises somewhere in the
23 neighborhood of three percent of your total?

24 A. It does, it is a small amount.

25 Q. Have you given testimony in trials?

1 A. I have.

2 Q. In what kind of cases?

3 A. There were two personal injury trials that I
4 gave testimony in. One was a patient hit by a car,
5 and the subsequent injuries.

6 The other was, oh, the other case, actually
7 there was one personal injury case, the other was
8 actually a malpractice case.

9 Q. And do you recall the names of the litigants in
10 the malpractice case?

11 A. It wasn't on the list that was given?

12 MR. KUVIN: Here is the list, Counsel. Do
13 you mind if I show him to refresh his
14 recollection?

15 MR. JOPLING: No.

16 THE WITNESS: It's not on that list. It was
17 a case in Minnesota in a screw malposition. And
18 I'm sorry, I was only recently keeping a list
19 because I've been asked this question now to the
20 point where I realize I have to keep a list.

21 This was something I just do as, again, a
22 minority of what I do. But now I've been asked
23 this question enough I am keeping a list. I have
24 to look it up, I apologize.

25

1 BY MR. JOPLING:

2 Q. I don't know if this is the same thing Mr. Kuvin
3 showed me. I overlooked this; is that the list?

4 A. Yes, it is.

5 Q. I'm sorry, I may have misunderstood your answer.
6 Was one of these the medical malpractice case you believe
7 you testified in?

8 A. No, and I would have to look to see if I can
9 recognize the attorney's name.

10 Q. It was in Minnesota?

11 A. Yes, and it was a screw malposition. It was
12 actually a rod malposition case where the patient
13 ultimately developed a failure to fuse because of the
14 failure of hardware. Where the claimant in the
15 plaintiff's position was that the rod was not properly
16 positioned.

17 Q. And in that case you testified for who?

18 A. The plaintiff.

19 Q. For the plaintiff. We have talked so far about
20 cases in which you have given depositions and testified
21 at trial.

22 Approximately, how many cases do you review on
23 an annual basis involving allegations of medical
24 malpractice?

25 A. Let me actually think about that, so I can

1 give you an accurate number.

2 Q. Okay.

3 A. I probably review about six cases a month.

4 So I would say ballpark of 70 a year.

5 Q. Med mal?

6 A. Med mal it might be less. It shouldn't be
7 more.

8 Q. And for how long have you been doing that?

9 A. Three years.

10 Q. So in very round numbers, would you estimate
11 then maybe you have reviewed roughly 200 cases, med mal
12 cases in the last three years?

13 A. I would say so.

14 Q. Was there anything in particular that led you to
15 begin doing testimonial work or reviewing work in the
16 medical malpractice field?

17 A. Honestly it is the best continuing medical
18 education you can ever do. It is the best.

19 I found that by reviewing cases I see the
20 pitfalls that commonly happen in treating patients.
21 It reiterates to me the things to be concerned about.

22 Q. Right.

23 A. And it's fascinating to me. And also,
24 because I have been asked by my peers why would you
25 do this. To me it is me just being asked to give the

1 truth as I see it.

2 And often I can head-off a case from even
3 going further because I can simply say to the
4 attorney, this is just a known complication, and he
5 did everything right.

6 And that way I feel that I'm actually
7 protecting frivolous lawsuits from going forward.

8 Q. You, of course, charge for your time involved?

9 A. I do. I do.

10 Q. What do you charge for your time?

11 A. To review a case, \$650 an hour.

12 Q. And you have a different rate for such
13 delightful tasks as you are doing today?

14 A. Depositions, yes.

15 Q. How much do you charge for depositions?

16 A. I'd actually have to review what I sent you
17 guys.

18 MR. KUVIN: (Indicates)

19 THE WITNESS: 1200 hourly for the
20 deposition, with 700 to review the records before
21 the deposition. And then I also charge for the
22 predeposition conference.

23 BY MR. JOPLING:

24 Q. So, despite the fact, that we are providing you
25 with the best quality medical education that you do not

1 have to pay for, you also want us to pay you money too;
2 is that the way it works?

3 A. It's have my cake and eat it too, I guess.
4 But again, I emphasize to you it's the best continuing
5 medical education anyone can ever have.

6 Q. And certainly the most cost effective, I
7 understand.

8 All right. Dr. Husted, let me back up. You are
9 obviously licensed to practice medicine in the State of
10 Florida?

11 A. I am.

12 Q. Anywhere else?

13 A. No. I used to be in California, but it's
14 expired.

15 Q. Have you ever been sued for medical malpractice?

16 A. I have.

17 Q. How many times?

18 A. Once.

19 Q. All right. And what was the outcome of that?

20 A. The case died in court, basically. The
21 patient lost two attorneys in the process, both
22 dropped her. And my understanding of it is because it
23 lacked merit.

24 Q. But your understanding is that no monies were
25 paid on your behalf?

1 A. Oh, no monies were paid.

2 Q. You have hospital privileges at some hospitals
3 in South Florida?

4 A. I do.

5 Q. Which hospitals?

6 A. The Martin system, which is in Stuart and in
7 St. Lucie West, Tradition. And also at Palm Beach
8 Gardens Medical Center.

9 Q. And are those facilities where you do the
10 majority of your surgery?

11 A. I also do surgery at a surgery center,
12 Surgicenter of Palm Beach. And between those three
13 institutions, that's where I do all my surgery.

14 Q. Have you ever had your practice privileges at
15 any hospital revoked, limited, or suspended?

16 A. No.

17 Q. More broadly, have you ever had your practice
18 privileges revoked, limited, or suspended by the State of
19 Florida?

20 A. No.

21 Q. Have you published in the field of orthopedic
22 surgery?

23 A. I have.

24 Q. Tell me what you have published.

25 A. It was in residency and fellowship. And it

1 was focusing mostly on disc replacement.

2 I also published in regard to novel
3 approaches to implanting pedicle screws.

4 Q. Any of that, any of those publications that you
5 would consider relevant to your opinions in this case?

6 A. Not directly. It's spine surgery in general,
7 and my experience and training is relevant to the
8 case.

9 Q. Okay. Of those 70 or so, I understand that's an
10 estimate of cases that you review in an average year,
11 medical malpractice cases, what percentage of those come
12 from plaintiffs and what percentage of those comes from
13 defendants?

14 A. Unfortunately, more come from the plaintiffs
15 than they do from defendants. And my only premise can
16 be that, you know, it's from the same firms that
17 became aware of me as an expert.

18 I obviously will review any case sent by
19 whatever side, but it's the majority that must be
20 aware of me are plaintiffs.

21 Q. Okay.

22 A. Again, I don't advertise, so it's just really
23 firms that are aware of me.

24 Q. Right. You have obviously been retained as an
25 expert in this case by Mr. Kuvin or his firm?

1 A. Yes.

2 Q. When did that first happen?

3 A. I don't know.

4 MR. KUVIN: I have a date.

5 THE WITNESS: Do you have when you first
6 contacted me?

7 MR. KUVIN: This is our first letter.

8 THE WITNESS: January of 2014.

9 BY MR. JOPLING:

10 Q. What were you asked to do when you were first
11 contacted?

12 A. To review the records.

13 Q. And you subsequently, I assume, received some
14 records to review?

15 A. I did.

16 Q. Do you have those records that you reviewed with
17 you?

18 A. Not physically with me, but the records I can
19 say what I reviewed.

20 Q. Great, let's start with that.

21 Tell me what you reviewed.

22 A. So I reviewed the Citrus Memorial Health
23 Systems records, the medical records from Gulf Coast
24 Spine Institute. From Arif Sami, Dr. Sami, S-A-M-I.
25 From the rehabilitation center, which is Citrus

1 Memorial Rehabilitation Services and Gulf Coast
2 Aquatic.

3 Then I reviewed depositions. Deposition from
4 Dishop, the plaintiff; from Gena Rosengrant, which is
5 the RN; from Cheryl Bishop, who is the plaintiff's
6 wife. And then from Dr. James Ronzo, the defendant.

7 Q. Do you know Dr. Ronzo professionally?

8 A. I don't.

9 Q. Are you aware from the records that you have
10 reviewed that Mr. Dishop, and formerly Mr. Bishop, you
11 understand those are one and the same person?

12 A. Yes.

13 Q. And that Mr. Dishop had a lengthy history of
14 back surgery before the surgery that we are here to talk
15 about today?

16 A. Yes, I am.

17 Q. But you have not seen any of those records, have
18 you?

19 A. I don't believe I have. I believe I've only
20 seen that referenced in the depositions.

21 Q. I don't want to get ahead of ourselves here, but
22 you have got opinions regarding the standard of care
23 regarding Dr. Ronzo?

24 A. Yes, I do.

25 Q. And you have got opinions about whether or not

1 anything Dr. Ronzo did or failed to do with that
2 contributed to some injury on the part of Mr. Dishop?

3 A. I do.

4 Q. Would it be relevant to your opinions to know to
5 what degree Mr. Dishop suffered neurological deficits
6 related to his spine before the surgery by Dr. Ronzo?

7 A. It would be relevant, but to clarify what I
8 would base, or what I have to this point based my
9 opinion on is the baseline history from prior, from
10 the preop evaluation to the now history of where he
11 stands.

12 Q. Okay. And in regard to the latter, what is the
13 most recent medical records you have on Mr. Dishop?

14 A. I'd have to review, sorry. It would be in
15 here, I believe. Bear with me.

16 Q. Sure.

17 A. As you can see, these are very lengthy
18 records, hence why I don't cart them around with me.

19 Q. Sure. Having tried to cart those records around
20 on planes before, I'm sympathetic.

21 MR. KUVIN: Everyone is trying to go digital
22 nowadays.

23 MR. JOPLING: Yes.

24 MR. KUVIN: Based upon what we provided him
25 it appears 2010 may be the last dates, I believe,

1 without going through each and every record.

2 MR. JOPLING: That's fine.

3 THE WITNESS: Can I see what you say is the
4 last followup?

5 MR. KUVIN: Yes. Probably going through to,
6 that was the last, so 2007 is here. So it's
7 actually reverse chronology.

8 THE WITNESS: Here is February 2011. This is
9 a record from University of Florida Neurology,
10 Shands. Good institution, I would think. I'm
11 sure we can agree with that.

12 BY MR. JOPLING:

13 Q. I'm sure we can. But we can also agree that's
14 not one of the ones you listed for us before.

15 A. Actually it was in the, because I referenced,
16 I thought I referenced neurology in my list, because
17 I've actually seen reference of his followup with
18 neurology. I know I didn't say that, but I have --

19 MR. KUVIN: I may have an incomplete list.

20 THE WITNESS: And I have seen reference to
21 this, but this is not a note that I haven't seen.
22 That is a familiar note to me.

23 BY MR. JOPLING:

24 Q. That's from the UF neurology clinic, and when is
25 that one please?

1 A. Yes. That's what I'm looking for the date
2 of. It looks like it was 2011, and it was a
3 February 22, 2011, visit. It was Dr. Annet,
4 A-N-N-E-T.

5 MR. KUVIN: I see the problem, Counsel, so we
6 are clear, he had listed what was in Volume I of
7 what was provided, but we missed Volume II. That
8 was the other problem.

9 THE WITNESS: It was the most records I've
10 been sent at one time.

11 MR. KUVIN: So we are clear, Volume II is
12 Citrus Health Rehab, Health Center at Brentwood,
13 Citrus Urology Associates, which is Dr. Michael
14 Desautel; Neal Lumapas at Shands Medical Center
15 Neurology. I apologize.

16 MR. JOPLING: That's no problem. I'm just
17 trying to get a general scope of the years
18 covered, and I'll explain further.

19 I don't have any records for Mr. Dishop later
20 than, I think August of 2011. And I'm trying to
21 find out if anybody else in the room does.

22 THE WITNESS: I have a record here of
23 February 2011. And I'm looking myself to see if
24 I would call that the last one, from the
25 chronology standpoint, and it seems to be my

1 initial evaluation.

2 BY MR. JOPLING:

3 Q. So you don't have any way of knowing, do you,
4 Dr. Husted, how this patient is doing today?

5 A. I only can infer it from the records. I
6 haven't examined him myself, but I have a good
7 understanding from the note from the neurologist of
8 February 22, 2011.

9 But I don't know how he is doing in 2014, if
10 that's your question.

11 Q. That is my question, by today.

12 The last record you have is some close to three
13 and a half years old?

14 A. Correct.

15 Q. All right. Are you able to express, prepared to
16 express an opinion within a reasonable degree of medical
17 probability that as of February 2011, Mr. Dishop was in a
18 state that he was not going to improve any further?

19 A. I am. I mean, he had a now somewhat chronic
20 from postoperative inability to ambulate without
21 significant gait impairment.

22 He had bilateral lower extremity weakness and
23 was utilizing an ankle foot orthotic.

24 Q. And are you prepared to express the opinion that
25 no further improvement in that condition was probable?

1 A. It was over a year after the decompression,
2 so, yes, I would render that opinion.

3 Q. And by the way, you, having not seen any of
4 Mr. Dishop's earlier records, you don't know whether
5 Mr. Dishop had previously complained of an inability to
6 ambulate, or a foot drop, or any of those problems?

7 A. It would only be based off of his
8 preoperative evaluation, and where he stood as preop
9 versus postop.

10 Q. Okay. Would it affect your opinions in this
11 case in any way to know that, for example, in January of
12 2001, Mr. Dishop, then Bishop, was claiming that he was
13 unable to walk at that time?

14 MR. KUVIN: Objection to form, speculation.

15 THE WITNESS: It would depend on what the
16 reason was, because inability to walk can also be
17 inability to walk a distance due to pain.

18 So a patient with spinal stenosis classically
19 has back pain, buttocks pain, or leg pain with
20 walking. So it makes it difficult to walk any
21 distance without leaning forward on a cart.

22 When I reference difficulty of walking now
23 it's from neurologic deficit.

24 BY MR. JOPLING:

25 Q. And one of the ways that neurologic deficit is

1 manifested is in Mr. Dishop's foot drop?

2 A. Yes.

3 Q. In fact, that is the primary manifestation of
4 his deficit, is it not?

5 A. And a wide-based gait. He has a somewhat
6 ataxic gait now because of the motor and sensory
7 deficit that he has, and because of his foot drop he
8 now has to walk with a very wide-based, unsteady gait.

9 Q. You have not seen any records that suggest that
10 even in September of 2007, some two and a half years
11 before the surgery we are here to talk about, that
12 Mr. Dishop was diagnosed with foot drop?

13 MR. KUVIN: Objection to form.

14 THE WITNESS: I would have to see those
15 records.

16 BY MR. JOPLING:

17 Q. You have not seen them at this point?

18 A. I would have to see the earliest records I
19 have provided to me, and that would be a better way of
20 answering you.

21 Q. Let's take a moment to do that and see what the
22 earliest records you have on Mr. Dishop are.

23 A. Can I see the first?

24 MR. KUVIN: Citrus, I believe started.

25 THE WITNESS: February, 2010.

1 MR. KUVIN: Ronzo records start prior to
2 February.

3 Do you have the Ronzo chart with you, by any
4 chance?

5 MR. JOPLING: I do.

6 MR. KUVIN: It was in a separate folder of
7 mine.

8 MR. JOPLING: Sure.

9 MR. KUVIN: These are all the Ronzo records.
10 This is most recent up top, I believe. So we can
11 go back to Ronzo's beginning.

12 THE WITNESS: Physical is September 2007,
13 there. Bear with us, sorry about that.

14 MR. KUVIN: Counsel, I can stipulate it would
15 be the oldest record for Dr. Ronzo with this
16 patient. We are just looking for it.

17 MR. JOPLING: Those go back, I believe, to
18 2007, is my understanding.

19 MR. KUVIN: It looks like the earliest date
20 is 2007.

21 THE WITNESS: Do you have a note I can look
22 at?

23 BY MR. JOPLING:

24 Q. If you are looking at me, I'm looking at a
25 medical chronology prepared by my office, but I'm looking

1 at a particular note from Citrus Memorial Home Health on
2 September 24th of 2007, if that's any help at all.

3 A. Not all this is in order.

4 Q. Also a record from the Gulf Coast Spine
5 Institute of September 25, 2007.

6 A. We are getting there. I see December of
7 2007, October of 2007, wonderful.

8 MR. KUVIN: Sorry. Just to cut to the chase
9 I can tell you Dr. Lumapas' records start on
10 June 6th of 2005, and he has been provided those
11 records.

12 THE WITNESS: If you can show me those?

13 For instance, I'm looking at a record from
14 Dr. Ronzo's office when he saw him December of
15 2007, and he did not have foot drop at that
16 point.

17 MR. KUVIN: Okay. Here is the first record
18 that I'm aware of you have been provided, and
19 this is dated June 6, 2005.

20 MR. REICHERT: Is that Lumapas?

21 MR. KUVIN: Yes.

22 THE WITNESS: That is Dr. Lumapas, I would be
23 more particularly looking for a spine specialist.
24 So, my best answer to him would be reviewing
25 Dr. Ronzo's office, because I would believe

1 Dr. Ronzo's preoperative evaluations.

2 And I have a September 2007, and in September
3 of 2007 he had normal reflexes and a normal lower
4 extremity exam.

5 BY MR. JOPLING:

6 Q. Okay. Do you find that in September of 2007
7 that he uses a walker for assistance when walking and
8 walks with a wide, unsteady gait?

9 A. Sure, he had just had a cervical surgery. So
10 he had the laminoplasty, and remember he did have a
11 neck injury at that point where he was doing, where he
12 had just had the laminoplasty.

13 And so he's seen two weeks postoperatively
14 after neck surgery, which it's not uncommon to have,
15 just for a stability standpoint, the patient using a
16 walker.

17 Q. All right. And you likewise think that his
18 wide, unsteady gait would be attributable to his spinal
19 surgery?

20 A. I would attribute it to his immediacy of his
21 postoperative care of his neck surgery. But again, I
22 would opine that his lower extremity exam was normal.

23 Q. All right. Will you be offering opinions in
24 this case, Dr. Husted, about the functional impact of
25 Mr. Dishop's neurological deficits?

1 A. Functionally it affects his ability to
2 ambulate. And to, I mean, obviously he had a
3 preoperative history of stenosis. And to further
4 elucidate, the wide-based gait that does go with
5 spinal stenosis.

6 But then his gait obviously has greatly
7 worsened, because now the bilateral leg weakness,
8 which was not present preoperatively.

9 Q. Are you aware from reading the materials that
10 you reviewed that as early as 1993 Mr. Dishop, then
11 Bishop, was found to be 100 percent permanently disabled
12 as a result of his spinal condition?

13 MR. KUVIN: Objection to form.

14 THE WITNESS: I saw that in the depositions.

15 BY MR. JOPLING:

16 Q. Does that affect your opinion, in any way, as to
17 how he's functionally impacted, if at all, by any
18 sequelae of his surgery in February of 2010?

19 A. Yes, it was taken into consideration with my
20 opinion. And he still has worsened function because
21 of his weakness.

22 Q. Okay. So even though he was 100 percent
23 disabled before, he is more disabled now?

24 MR. KUVIN: Objection to form.

25 THE WITNESS: Correct.

1 BY MR. JOPLING:

2 Q. Let me go back, just briefly before we get to
3 your opinions, about the initial contact you had with
4 this case in January of 2014.

5 You told me that you were asked to review
6 records, correct?

7 A. Correct.

8 Q. Who was that initial contact by? Who made that
9 contact with you?

10 A. I believe it was with Mr. Kuvin.

11 Q. Did Mr. Kuvin tell you anything at all about the
12 case then?

13 A. Generally they say I have a case. They make
14 sure it actually fits within the context of what I do.
15 And the general question is also if I know the
16 defendant, to make sure I have no problems with
17 reviewing the case.

18 Q. So that we are clear, you have answered my
19 question by telling me what generally happens; do you
20 have a recollection that's what happened in this case?

21 A. I don't. But that's typically all there is.

22 Q. In addition to the materials that you have told
23 us you reviewed, have you done any sort of medical
24 literature search, any kind of research on your own
25 beyond review of just the materials that have been

1 provided to you?

2 A. No.

3 Q. Do you intend to do so?

4 A. Not typically.

5 Q. All right. You have formed some opinions
6 regarding the standard of care as applicable to

7 Dr. Ronzo; is that correct?

8 A. Correct.

9 Q. We have been provided, as I'm sure you're aware,
10 with some answers to expert witness interrogatories. And
11 let me, I want to give you every opportunity to tell me
12 fully every opinion you have in this case, but I also
13 know the parameters we are working with.

14 Let me do it this way. Am I correct in my
15 understanding, Dr. Husted, you have no standard of care
16 criticism of Dr. Ronzo in terms of his election to
17 perform this procedure on this patient?

18 A. I don't. I don't feel, from what I've seen,
19 that the actual procedure itself fell below the
20 standard of care.

21 Q. So we are clear about that, that means it was
22 within the standard of care to elect to do this procedure
23 on Mr. Dishop?

24 A. Yes, he had pathology in his low back
25 consistent with that.

1 Q. And the performance of the procedure itself was
2 technically within the standard of care?

3 A. As far as I can tell, yes.

4 Q. How about the decision to discharge Mr. Dishop
5 when he was discharged, was that within the standard of
6 care?

7 MR. KUVIN: Objection to form.

8 THE WITNESS: It is again, based off all the
9 records I could review. To speak frankly,
10 patients having urinary hesitancy postoperatively
11 is not completely uncommon. It happens with a
12 male who has undergone surgery and been under
13 anesthesia.

14 BY MR. JOPLING:

15 Q. It's not completely uncommon or even at all
16 uncommon, is it?

17 A. It's not uncommon.

18 Q. Right.

19 A. So it also depends on the degree of
20 difficulty in voiding. Obviously a patient who can't
21 void is a clear cut, should not be discharged.

22 A patient who has hesitancy, it becomes a
23 little bit more gray, because then: Can he void? Is
24 he voiding completely? How much post void residual is
25 there?

1 So there are questions that arise when one
2 just says simply "urinary hesitancy." But it's
3 common.

4 Q. And you, of course, saw Mr. Dishop's IO's in the
5 hospital record?

6 A. Yes.

7 Q. And certainly, you can tell from that it
8 certainly was not the case that he was not voiding at
9 all?

10 A. Right, he is voiding. And as far as I can
11 tell I wasn't criticizing his initial discharge.

12 Q. Okay. Do you have any standard of care
13 criticisms of Dr. Ronzo's response once Mr. Dishop
14 presented himself to the emergency room on February 4th?

15 A. No, ultimately he responded. And the key
16 word I would say is ultimately, he responded in the
17 manner that he should.

18 Q. Okay. We'll obviously explore the ramifications
19 of that in a little more depth in a moment.

20 A. Yes.

21 Q. Where I was going specifically with that, you
22 don't have any criticism of Dr. Ronzo's timing of showing
23 up in the emergency room once the patient is there?

24 A. Right.

25 Q. You don't have any criticism, from a standard of

1 care point of view, of how Dr. Ronzo responded to his
2 patient once he saw them in the emergency room on the
3 evening of February 4, 2010?

4 A. No, he did what he was required and should
5 have done.

6 Q. Okay. Now, do I understand correctly from the
7 answers to interrogatories that I have received that your
8 single standard of care criticism of Dr. Ronzo is his
9 failure to have a 24-hour a day answering service?

10 MR. KUVIN: Form.

11 THE WITNESS: Yeah, I felt it was below the
12 standard to not be available after hours. That
13 was my concern.

14 And I'm not aware of any surgeon,
15 particularly a spine surgeon, and the degree of
16 possible deficit in a patient's life that doesn't
17 have a means of being contacted after hours.

18 BY MR. JOPLING:

19 Q. You read Dr. Ronzo's deposition?

20 A. I did.

21 Q. In it's entirety?

22 A. I did. I'd like to have it in front of me
23 again, if you are going to ask me particular questions
24 about it. Again, there are lots of records here.

25 Q. Sure. Of course. You saw that Dr. Ronzo

1 testified that the way his office operated was that if
2 his spinal surgery patients called after office hours,
3 they were instructed to go to the emergency room; is that
4 correct?

5 A. I see that response, yes.

6 Q. Okay. You think that's inappropriate?

7 A. I do, and I'll elucidate.

8 Q. Okay.

9 A. The problem that this case had for the
10 eventual deficit the patient suffered, was that we are
11 dealing with patients and not all patients are
12 medically savvy. So we are dealing with patients that
13 really were in the hands because they had no other
14 options, in my opinion, in the hands of the home
15 health agency.

16 So they were not medically savvy, and they
17 were listening to what, to them, was the only medical
18 practitioner they were discussing things with, and
19 that was a nurse, and the secretary, as far as I can
20 tell, of Dr. Ronzo's office.

21 And to cut to the chase here, they were being
22 told that this was likely a medication and that this
23 could be dealt with by a straight cath. The concern I
24 have is that no one actually was diving beneath the
25 surface for what it could be because a doctor such as

1 Dr. Ronzo, or me, we spine surgeons would take the
2 inability to void or overflow incontinence much more
3 seriously than that in the context of what the patient
4 just underwent.

5 So I look at the records, I read the series
6 of missed cues because that's how I see it, a series
7 of missed cues, and I feel that you have a medically
8 unsavvy patient who is somewhat stoic, from what I can
9 tell; a patient's wife, who all she knows is that she
10 was straight cath'd once and that helped the symptoms;
11 and a nurse who says, yeah, this is probably just
12 medication; and a secretary who says, yeah, this is
13 probably just medication.

14 And the patient and his wife didn't know any
15 better, so they thought they were okay, and all they
16 wanted to do was get him to be able to pee. But there
17 was nowhere in there that someone such as Nurse
18 Rosengrant in the middle of the night, how is she, or
19 in the evenings or after office hours, how is she
20 going to be able to contact the physician?

21 In every practice I know of we get called all
22 the time from home health or from a patient's family
23 or from the patient themselves asking simple
24 questions. And I feel that if that call had made it
25 to Dr. Ronzo, he would have made the call to go to the

1 E.R. and he would have explained why, because a
2 blanket statement of go to the E.R. doesn't resonate
3 with the patient unless you say go to the E.R. because
4 if you don't go to the E.R. you are going to have a
5 spinal cord deficit or nerve deficit or weakness, and
6 this is why I'm worried, and you need to go to the
7 E.R.

8 So it was a failure to communicate, and it
9 was preventable.

10 MR. REICHERT: Excuse me, I need to put a
11 motion to strike on there, the nonresponsive
12 portion of that answer.

13 THE WITNESS: I apologize. Was it
14 nonresponsive? I thought I was just cutting to
15 the chase, so I do apologize.

16 BY MR. JOPLING:

17 Q. Let me explore that with you a little further.

18 A. Sure.

19 Q. First of all, you gleaned from somewhere in the
20 materials you reviewed that Nurse Rosengrant did not
21 consider Mr. Dishop's complaints of urinary difficulty
22 voiding, that she didn't consider that to be a serious
23 problem?

24 A. From what I gleaned from the records, her
25 notations, and then the deposition, what they seem to

1 be attributing it to was likely a response to
2 Flexeril. And the seriousness was simply that the
3 patient couldn't void.

4 And what was baffling to me, and I hope I'm
5 answering your question and not being nonresponsive,
6 the baffling thing to me is that even when the patient
7 was straight cath'd for a large amount, there still
8 wasn't concerns about bladder injury and other things.

9 So I felt like there was actually a naivety
10 of medical knowledge, or at least it wasn't alarming
11 them the way it should alarm them.

12 Q. You read all of Nurse Rosengrant's deposition,
13 right?

14 A. I'd like to see it again, and yes, I did.

15 Q. You are welcome to do that. I'm not going to
16 ask you for page and line because I don't know I could do
17 that, but you saw in her deposition, did you not, that
18 she instructed Mr. and Mrs. Dishop on several occasions
19 that they should go to the emergency room; did you see
20 that?

21 A. Yes. Let me find that, please.

22 Q. Sure.

23 A. Yes, I see on page 12. Actually it is page
24 42.

25 Q. I don't have the transcript with me.

1 A. Would you mind if I read it?

2 Q. Please.

3 A. Okay. "So I called Mrs. Dishop, Bishop, back
4 and let her know that Kitty had said to go to the E.R.
5 She wanted to wait until Dr. Ronzo arrived at his
6 office to see if he would give the order first." And
7 this is in reference to straight cathing.

8 "And so we waited a while, and then I called
9 Dr. Ronzo's office again, spoke to Kitty again. They
10 had arrived and I got the order to go out to see the
11 patient to do a straight cath on him."

12 So again my answer would be it is a mixed
13 message.

14 Q. When is that conversation taking place?

15 A. That was the daytime after he was unable to
16 void, with only a little bit during the whole night.
17 And so Mrs. Dishop had called during the daytime, I
18 believe on the Wednesday, I could be wrong, but it's
19 on the Wednesday to Nurse Rosengrant.

20 Q. Did you see in there, Dr. Husted, that the night
21 before however, Nurse Rosengrant had specifically
22 recommended to this family they get themselves to the
23 emergency room?

24 MR. KUVIN: Objection to form.

25 THE WITNESS: Sure, I'll even go farther back

1 here.

2 The question: "Okay, do you recall getting a
3 call this particular morning to go out to
4 Ms. Dishop's house? Yes."

5 I'll keep reading.

6 "Tell me about that. What do you remember?

7 I was on call the night before and I got
8 calls all throughout the night from Mr. Dishop's
9 wife. And then this was the morning of she
10 called again, calling again, stating he was still
11 unable to void."

12 Now I'll skip forward because I already read
13 part of it.

14 "Okay," is the question. "And you said that
15 Mrs. Bishop called you in the evening. How many
16 times did you recall her calling?"

17 The answer: "Quite a few times. I think
18 three, I think three times during the evening.
19 And what was she explaining was the problem? Was
20 it just a urinary issue? Yes. Or were there
21 other problems?

22 All she was says was he having was urinary
23 issues."

24 So the answer to your question is that she
25 does say she had said at some point, well, you

1 should go to the E.R., but if you read throughout
2 the deposition from what, obviously I wasn't
3 there, but from what I read from the deposition
4 and what I read from the notes was it was a mixed
5 message.

6 Well, I guess you can go to the E.R. because
7 they can straight cath you -- again, this is my
8 impression -- but maybe I can just get the order
9 from Kitty at Dr. Ronzo's office to straight
10 cath.

11 And I have a concern about that, because
12 Kitty on one hand says go to the E.R. On the
13 other hand says, oh, Dr. Ronzo said yeah, you can
14 straight cath.

15 Now, if you are looking at those facts there,
16 basically one can infer, especially if you're a
17 medically naive patient, Kitty wanted you to go
18 to the E.R. so you can get straight cath'd. But
19 oh, Dr. Ronzo just came in, oh, we can do the
20 straight cath at your house.

21 Now, what is concerning to me is why did
22 Dr. Ronzo not say, wait a minute, why does the
23 patient need to be straight cath'd. Wait a
24 minute, oh, the patient was unable to void all
25 night long? And then put two and two together,

1 that there might be a postoperative hematoma,
2 that's what would ring that bell for me.

3 So I have major reservations that not only is
4 he not available, his office says he was
5 contacted day of, and still said, yeah, go
6 straight cath.

7 BY MR. JOPLING:

8 Q. Let me ask you in that regard: Are you prepared
9 to tell a jury in this case, Dr. Husted, that a patient
10 with Mr. Dishop's history as of eight o'clock on the
11 morning of February 4th, that is of urinary retention
12 without any complaints of leg weakness, numbness, or
13 lower extremity problems, that urinary retention alone in
14 that patient required that he be seen in the emergency
15 room?

16 A. I disagree with that statement. He was
17 having complaints of leg numbness and weakness. So
18 what I'm saying --

19 Q. When?

20 A. Not only was he having issues when he was
21 discharged, it was progressive through that portion.

22 Q. Where do you get that from?

23 A. Again, through all the depositions.

24 Q. All the depositions?

25 A. Yeah, when you read the deposition of the

1 wife, of the husband, when you read the deposition of
2 the -- Nurse Rosengrant, what you see is a global
3 picture. How I see it as an expert is a global
4 picture of a slowly worsening exam.

5 Q. Let's take this one at a time, if we may.

6 Can you tell me where in Nurse Rosengrant's
7 deposition you find she noted any problem with lower
8 extremity weakness or pain in the evening of, any time
9 before Mr. Dishop appeared in the emergency room?

10 A. Well, it would be firstly on her initial
11 evaluation of him, which was a piss-poor, excuse my
12 language, piss-poor evaluation.

13 She references that a patient has weakness in
14 his legs, does not do a good exam. And then states
15 that this is his baseline from discharge.

16 Now, I feel as any medical practitioner, a
17 nurse or doctor, if you are going to state weakness,
18 you need to actually do an exam and say what is weak,
19 because for her to say this was his baseline is poor
20 care.

21 How does she know that's his baseline from
22 when he was discharged? So then we already have in
23 the records that a patient has some weakness
24 postoperatively, now we also start having worsening
25 ability to void.

1 The worst case scenario is that he has a
2 worsening hematoma. Whenever these symptoms are found
3 in context together, and they are in the records, it's
4 there, black and white, I am just baffled no one
5 actually said, maybe he has a hematoma, and actually
6 did something about it.

7 Q. Is some degree of leg weakness a common and
8 expected symptom following this sort of surgery?

9 A. Sure.

10 Q. Okay. So let me go back to my question a moment
11 ago, that I think I must not have been clear on, Doctor.

12 A. Okay.

13 Q. And let me try it again and see if you can
14 answer the question as I ask it.

15 A. Okay.

16 Q. My question to you is: Can you point out to me
17 where in either the medical record or in Nurse
18 Rosengrant's testimony you find some evidence that she
19 noted a worsening problem with lower extremity pain in
20 Mr. Dishop during the period of her care of him at home?

21 A. Yes, I'll actually find that, if I can see
22 the timeline too, please.

23 MR. REICHERT: While you're looking I need to
24 put a motion to strike from the previous
25 question. Motion to strike nonresponsive

1 testimony.

2 Can you clarify what timeline he is looking
3 at?

4 MR. KUVIN: It's just chronology. I can
5 provide you a copy, if you want.

6 MR. REICHERT: Yes, I would like a copy of
7 that.

8 THE WITNESS: With extensive records a
9 chronology is helpful.

10 MR. REICHERT: I have one myself here.

11 THE WITNESS: So on 2/3 in her start date
12 evaluation, this is the nurse, she notes that
13 there is urinary hesitancy, but he is voiding.
14 And the patient has lower extremity weakness, on
15 2/3.

16 MR. REICHERT: Before anymore answer is
17 given, can you just read back the question for
18 me?

19 (A portion of the record was read by the
20 reporter.)

21 MR. REICHERT: Thank you.

22 MR. KUVIN: Counsel, you're looking for just
23 post or after 2/3?

24 MR. JOPLING: I'm looking for any time from
25 after the patient was discharged on 2/2 through

1 the time of his return on 2/4.

2 MR. KUVIN: Gotcha. Here is her initial
3 eval, which is 20 pages out, which has her
4 summary and then the 2/3 note.

5 THE WITNESS: So, on the initial evaluation
6 on 2/3/2010, I will read the nurse's handwritten
7 words.

8 BY MR. JOPLING:

9 Q. Right.

10 A. "Patient assess complete. Patient with lower
11 extremity weakness. Patient states has not changed
12 from status before leaving hospital. Patient having
13 urinary hesitancy, but voiding."

14 Q. Okay.

15 A. So to answer your question specifically --

16 Q. Yes.

17 A. -- we are trained to look for signs for the
18 worst possible consequences, and to look for subtle
19 signs sometimes. And you're right, urinary hesitancy,
20 even inability to void can happen postoperatively.
21 But in the context of the patient with also
22 concomitant weakness, it is a concern.

23 MR. REICHERT: Let me move to strike,
24 nonresponsive.

25 MR. JOPLING: Join that.

1 BY MR. JOPLING:

2 Q. And actually, respectfully, Doctor, my question
3 didn't have anything to do with what you're trained to
4 look for.

5 My question was, and let's take that assessment
6 you just read to me. Do you see anything in that
7 assessment that suggests at the time this nurse is
8 assessing Mr. Dishop that his lower extremity weakness
9 has worsened since the time of his discharge?

10 A. No, specifically the patient says it's the
11 same. That's all I have to go on.

12 Q. Okay. Do you find anything else in the language
13 of Nurse Rosengrant in her deposition, or anywhere else
14 in the records that she created regarding her care, that
15 documents a worsening problem with leg lower extremity
16 weakness during the period of Nurse Rosengrant's care?

17 A. I will review her deposition now.

18 Q. Okay.

19 A. Yes, I do.

20 Q. Where would that be?

21 A. On the nurse's handwritten notes, February 4,
22 2010. Details related to emergent care and/or
23 hospitalization/nursing home.

24 Q. Does that look like this?

25 A. Yes.

1 MR. REICHERT: Does it have a little number
2 at the bottom? The Bate stamps you put on it.

3 MR. KUVIN: No.

4 THE WITNESS: Care summary including Oasis
5 Element 4, that is February 4, 2010.

6 MR. REICHERT: Got it.

7 THE WITNESS: Care summary with Oasis
8 Elements 4. Oh, that's for the admission.

9 BY MR. JOPLING:

10 Q. Is that what you're looking at?

11 A. Yes. Bear with me, I'm still looking.

12 Actually I was stating something that was
13 somebody else's written notes, so I don't want to
14 confuse the response.

15 Q. Sure.

16 A. Can I see the deposition?

17 MR. KUVIN: Uh-huh, that's what I'm looking
18 for. So here is the call for that morning,
19 starting here.

20 BY MR. JOPLING:

21 Q. By the way, just so we can cover these one at a
22 time, Dr. Husted, the second note that we are looking at,
23 nursing note that is a nursing assessment, says: Skilled
24 intervention/teaching?

25 A. Yes.

1 Q. And it's dated lower corner 2/4/10 and signed by
2 Gena Rosengrant?

3 A. Yes.

4 Q. And visited at 9:30?

5 A. Yes.

6 Q. And then I guess that's visit complete at 10:30?

7 A. Yes.

8 Q. In that note Nurse Rosengrant does not document
9 any worsening problem with lower extremity weakness, does
10 she?

11 A. Correct.

12 Q. Okay. Sorry to interrupt, you were looking
13 elsewhere.

14 A. Sure.

15 MR. KUVIN: Starts here.

16 THE WITNESS: I'm currently reading in her
17 deposition.

18 MR. REICHERT: Page number?

19 THE WITNESS: Starts on page number 41 and
20 I'm reading through, and you're correct in saying
21 that in her deposition she testifies to that she
22 wasn't told about any numbness in the legs or
23 paresthesia in any way. She was not told about
24 any numbness or tingling in the feet.

25 So if I was having the question specifically

1 nurse that doesn't even bother to examine the patient
2 and can't give any details of the lower extremity
3 weakness?

4 MR. REICHERT: Move to strike the
5 nonresponsive answer.

6 BY MR. JOPLING:

7 Q. Let me back up and ask you a little more
8 broadly.

9 In your own practice, Dr. Husted, do you send
10 patients home with home health nurses postoperatively
11 after this kind of surgery?

12 A. Absolutely, I do.

13 Q. And why do you do that?

14 A. I want them to be able to alert me if there's
15 a wound problem or a weakness problem or a voiding
16 problem.

17 Q. Right. That practice in general, I assume you
18 would agree is within the standard of care to have such
19 home health provided?

20 A. Absolutely.

21 Q. All right. And when you are employing that in
22 your practice, do you rely upon the accuracy of what the
23 nurses report to you?

24 A. Certainly.

25 Q. And do you rely upon them to report to you

1 findings that would be of concern to you about possible
2 postoperative complications?

3 A. Absolutely.

4 Q. So would Dr. Ronzo have been acting
5 appropriately to assume that if Nurse Rosengrant, or
6 whoever is assigned to this patient sees trouble, some
7 issues suggestive of postoperative complications, she
8 will report those to him?

9 A. Yes. Can I further?

10 Q. Absolutely.

11 A. But I feel that the two weren't really
12 talking, that's my concern. And I agree with you,
13 absolutely, she is his soldier on the ground. She's
14 there stating the facts.

15 But as far as I can it is through Kitty, who
16 is a nonmedical practitioner, and I don't play the
17 telephone game. The telephone game being you say one
18 thing to one person and it changes a little bit along
19 the course.

20 I like to talk to the home health nurse
21 directly if they're calling to ask for an order. I'm
22 concerned in this case that Dr. Ronzo, you're right,
23 was not necessarily clearly told all the facts, if
24 that's what your question was.

25 But I'm also concerned that he gave an order

1 so he knew there was postoperative urinary retention,
2 but it doesn't seem that he asked any further
3 questions.

4 MR. REICHERT: Same motion to strike.

5 BY MR. JOPLING:

6 Q. And Dr. Husted, to sort of expound on
7 Mr. Reichert's objection, and I made the same, you said
8 several times during the course of your deposition, and
9 we all understand and can appreciate the perspective, you
10 have made comments about what you would do, or what
11 troubles you, or what is baffling to you.

12 And while we respect those opinions, I'm sure
13 that you understand, having testified as an expert
14 before, that the issue in these cases is not going to be
15 what Daniel Husted would have done or what baffles --

16 A. It's the medical standard.

17 Q. It's what the standard of care requires.

18 A. Okay, I'll start using the standard of care.

19 Q. I'm not belaboring that or castigating you for
20 that but I do need to know --

21 A. It's below the standard of care. I feel that
22 not having to be specific, not having a means of
23 contacting him after hours is below the standard of
24 care.

25 I feel that ordering a straight cath on a

1 patient, without knowing any further details, that
2 just had a decompression, is below the standard of
3 care without finding out more details.

4 I believe that Ms. Rosengrant also rendered
5 care below the standard of care.

6 Q. My question was going to be if you assume that
7 Dr. Ronzo is informed that Nurse Rosengrant, with whom
8 he's worked before on these patients; do you see that
9 Doctor, that Nurse Rosengrant was experienced in this
10 field? Do you not? Did you find that?

11 A. I, I'm, I guess she's been in this field for
12 a while, it doesn't mean she has great experience.
13 It's not evident.

14 Q. Did you find any reason anywhere in this record
15 to make you say Dr. Ronzo knew or should have known that
16 Nurse Rosengrant wasn't competent to make this
17 assessment?

18 Did you find anything in there that would lead
19 you to that conclusion?

20 A. At the time he probably was unaware that she
21 was incompetent.

22 MR. REICHERT: Object to the form, move to
23 strike.

24 BY MR. JOPLING:

25 Q. I think we have covered this, but to make sure

1 you told me, I believe that it's your opinion that
2 Dr. Ronzo was within the standard of care in relying upon
3 information provided to him by Nurse Rosengrant during
4 this time period of February 3, 4?

5 A. Certainly he relies on, but he should also
6 ask questions.

7 Q. I understand. My very specific question to you
8 is this: If Dr. Ronzo is advised by Nurse Rosengrant
9 that the patient has difficulty voiding, but does not
10 have worsening signs of lower extremity weakness, it is
11 your opinion and you would tell a jury that Dr. Ronzo
12 would breach the standard of care by simply ordering a
13 straight cath without further investigation?

14 A. Yes.

15 Q. Okay. If Dr. Ronzo had had a call system where
16 he could be reached directly at midnight the night
17 before, you would have expected him to have been told by
18 Nurse Rosengrant directly of what was going on with this
19 patient at that time?

20 A. Actually I would have expected that the
21 patient himself would have been able to get a hold of
22 the Doctor, that's how it should be.

23 Q. And knowing what you know about it, you would
24 have expected Dr. Ronzo to then tell the patient: You
25 need to go to the emergency room?

1 A. I would have.

2 Q. All right. You expected that the resulting
3 communication, if Dr. Ronzo had had a system whereby he
4 could be reached directly by the patient, would be the
5 exact same instructions to this patient as the patient
6 received from Nurse Rosengrant, but from a different
7 source, correct?

8 MR. KUVIN: Objection to form.

9 BY MR. JOPLING:

10 Q. Correct?

11 A. It would be, but with a more clarity of why.
12 Because, again, my opinion from reading these records,
13 is that the reason they were being referenced to go to
14 the E.R. was to deal with the superficial problem of
15 his inability to void.

16 In other words, go get a straight cath in the
17 E.R., they can take care of you there. I can't get
18 anyone there overnight to do a straight cath.

19 It wasn't why they should go to the E.R. as
20 in you might have resulting neurologic deficit. I
21 strongly believe if Dr. Ronzo had talked to the
22 patient he would have said, as any spine practitioner
23 should say, and the standard should be and is, you
24 have lower extremity weakness, you have difficulty
25 voiding, you need to go to the E.R., you might have a

1 hematoma, you might need surgery because you might
2 ultimately have urologic and neurologic deficit. And
3 that wasn't explained.

4 MR. KUVIN: Counsel, so I'm clear, you're
5 asking him to look at Rosengrant's depo in a
6 vacuum and ignore the plaintiff's and his wife's
7 depo for the purpose of your questions, or do you
8 want those included?

9 MR. JOPLING: I hear you and I'll try to
10 address that in future questions, if I need to.

11 BY MR. JOPLING:

12 Q. Dr. Husted, you said several times, the sort of
13 overall inference you have drawn from the totality of
14 what you have read, including Mr. and Mrs. Bishop's
15 testimony, is somehow the message being conveyed to them
16 is the reason Nurse Rosengrant was telling them to go to
17 the emergency room was to deal with the urinary problem;
18 that's been your overall impression?

19 A. Correct.

20 Q. But you would agree with me though,
21 Ms. Rosengrant didn't say that's why she told them to go
22 to the emergency room?

23 A. I would agree that she didn't say any other
24 reasons other than that. She's being told they're
25 having difficult voiding. She's saying you need to go

1 to the E.R. She's not saying go to the E.R. because
2 you might have an underlying impending neurologic
3 deficit. She's saying go to the E.R. so you can get
4 straight cath'd, and/or we can get you a straight cath
5 order from Kitty. Which is what ultimately happened.

6 Q. Really? She says somewhere in her deposition
7 that she told them you need to go to the emergency room
8 to get a straight cath?

9 A. Let me answer that with a simple statement
10 then.

11 Q. Okay.

12 A. If it was you need to go to E.R. because you
13 might have a spinal cord deficit, why did the order of
14 a straight cath mean he didn't have to go to the E.R.
15 after all?

16 So if your inference was she was saying you
17 have an impending doom here, then why did that
18 impending doom magically disappear once the order from
19 Kitty for the straight cath occurred?

20 Q. I know you have done this enough, Dr. Husted, to
21 know, however unfair it may be, I get to do the
22 questioning, you get to do the answering.

23 A. I'm sorry, I was saying logic infers.

24 Q. I know that you believe that.

25 My question to you, however, was: Do you find

1 anywhere in Nurse Rosengrant's deposition where she
2 indicates that she told these folks they need to go to
3 the emergency room only because of the problem of urinary
4 retention?

5 Do you find she said that?

6 A. No, if we are just looking at her deposition,
7 no.

8 Q. Do you, in fact, find in her deposition where
9 she expresses in the deposition that she had concerns
10 about the patient's overall status, beyond just urinary
11 retention?

12 A. I'd have to look to answer that question.

13 MR. KUVIN: You just want him to look at
14 Rosengrant's?

15 MR. JOPLING: I do.

16 THE WITNESS: Could you clarify what you mean
17 by that question, sir?

18 BY MR. JOPLING:

19 Q. Yes. Do you find in Nurse Rosengrant's
20 deposition the idea, expressed by her, that one of the
21 reasons she was telling them they need to go to the
22 emergency room was not just limited to a urinary problem,
23 but his overall condition?

24 A. You would have to reference that
25 specifically, because from everything I see here it is

1 in regard to his urinary problem.

2 Q. But you don't find anyplace in there where she
3 says to them: Go to the emergency room to get a straight
4 cath or go to the emergency room to deal with your
5 urinary problem?

6 A. No. I see that she did say she had told them
7 to go to the E.R., but it doesn't specify why.

8 Q. And your premise is that -- strike that, I'll
9 try it again.

10 You find some discrepancy between what the
11 Dishops describe happened that evening and what Nurse
12 Rosengrant described?

13 A. I do. If what you're specifically asking,
14 yes, I see kind of a different slant in the deposition
15 for the plaintiff and his wife.

16 Q. Did you find Mr. and Mrs. Dishop's testimony
17 about the events of that previous evening, that evening
18 of February 3rd, 4, did you find them consistent with
19 each other?

20 A. I'd have to reference that again. I agree
21 that if my memory is that the wife had problems in
22 terms of times, determining what was happening the
23 night and the day, if there was somewhat of a timeline
24 issue, the overall slant of what they were saying is
25 that he was weak and that he couldn't void. But the

1 timeline can be somewhat, there can be discrepancies
2 because I think it was a back and forth -- was this
3 the daytime, was it the night before, and I think
4 there was confusion in that regard.

5 Q. Okay. Your opinions overall in this case are
6 premised on the notion that the plaintiffs, if they had
7 been told to go to the emergency room by Dr. Ronzo, would
8 have done so?

9 A. Yes.

10 Q. Although you acknowledge that they were told by
11 Nurse Rosengrant and did not do so.

12 A. Correct.

13 Q. I ask it that way to say this: Do you have some
14 doubt based on reading the Dishop's deposition that maybe
15 they weren't even told to go to the emergency room?

16 A. No, I will believe that Ms. Rosengrant did
17 tell them to go to the E.R.

18 Q. Although they deny that, don't they?

19 A. They do. But I believe she probably did
20 because it's even their policy, regardless of
21 whatever, to go to the E.R.

22 I agree with the premise everybody states if
23 you have any issue, whatsoever, you go to the E.R.

24 Q. All right.

25 A. My problem with all of that is you're dealing

1 with very medically dumb patients, who didn't realize
2 what the consequence could be, and didn't have it
3 actually stated to them.

4 Q. Help me understand your opinion. If you read
5 Mrs. Bishop's and Mr. Dishop's depositions, and you find
6 them saying or denying they were told to go to the
7 emergency room, which is what you find, correct?

8 MR. KUVIN: Are you saying both Mr. and Mrs.?

9 THE WITNESS: I'd have to look through
10 because I don't want to say they both said no,
11 that they were never told that.

12 MR. KUVIN: Here is Mister.

13 THE WITNESS: Okay. So Mister says -- can I
14 quote?

15 BY MR. JOPLING:

16 Q. Sure.

17 A. The question is: "And so, just so we cover
18 this thoroughly, your testimony here today is that the
19 home health nurse never suggested to you that you
20 ought to go to the emergency room?"

21 The answer: "If she did I would have went."
22 That was Mr. Dishop's response. So I understand that
23 he is saying that he was not told to go to the E.R.
24 And I am going to read now Mrs. Bishop.

25 Q. You are welcome to do that, but let's stop with

1 Mr. Dishop for a second, he is the patient.

2 A. Right.

3 Q. Mr. Dishop says, I don't remember, but if I had
4 been told by the nurse to go to the emergency room, I
5 would have gone, or would have went, correct?

6 A. Right, that's what he is saying.

7 Q. All right. Yet, you are assuming that he was
8 told to go by the nurse, he still didn't go, but he would
9 have gone if told by the doctor?

10 MR. KUVIN: Objection to form.

11 BY MR. JOPLING:

12 Q. Is that right?

13 A. The records are showing that the nurse said
14 she told him. He says, I would have gone if I was
15 told, and particularly if a doctor says go, you go.
16 Quote, unquote.

17 And obviously it is a he said, she said. He
18 said she never told him. She said she did tell him.
19 And my opinion is if the doctor had explained to him
20 why he needed to go, he would have gone.

21 Q. Help me understand what you base that on. If
22 the patient says, if the patient didn't go when the nurse
23 told him, although the patient says I would have gone if
24 the nurse had told me.

25 A. Correct.

1 Q. Tell me on what basis you conclude that the
2 patient would have gone if the doctor told him.

3 MR. KUVIN: Objection to form.

4 THE WITNESS: Firstly, we have to conclude
5 this is the premise that the patient is lying and
6 was told to go to the E.R. but chose not to go to
7 the E.R.

8 BY MR. JOPLING:

9 Q. I thought that was what your perception was.

10 A. Again, this is an assumption, because
11 obviously now we have two parties saying absolutely
12 different from the one party is saying he was never
13 told, the other party is saying he was told.

14 Now you're asking me to speculate. If I'm
15 speculating that Mr. Dishop is indeed lying, again,
16 that's a speculative statement, then it would make it
17 harder for Mr. Dishop to be able to say, well, if I
18 had gone, if I had been told by the doctor to go.

19 What I'm saying is that I believe that
20 Mr. Dishop would have gone if the doctor had explained
21 to him that he should go, and why he should go.

22 And I also can't tell you with any degree of
23 certainty who is telling the truth though.

24 Q. You don't know Dr. Ronzo?

25 A. I don't.

1 Q. You don't know Mr. Dishop?

2 A. No, I don't.

3 Q. You never met Mr. Dishop?

4 A. No.

5 Q. Do you have patients who sometimes decline to
6 follow your medical advice?

7 A. Absolutely.

8 MR. KUVIN: Form.

9 BY MR. JOPLING:

10 Q. Do you have patients where you have said: Go to
11 the emergency room, and they say: I would rather wait?

12 A. Certainly.

13 Q. Tell me then what is the factual basis of your
14 opinion, Dr. Husted, that Mr. Dishop would have done
15 something different if addressed by Dr. Ronzo?

16 MR. KUVIN: Form, asked and answered.

17 THE WITNESS: Because, again, the patients
18 that will decline to follow my medical advice
19 when it's something that is significant,
20 impending doom, that's usually if I haven't
21 explained to them what that significant, impending
22 doom would be.

23 So, in other words, if a nurse says that, and
24 this is time and time again, then I actually get
25 on the phone and explain to the patient why I

1 feel they should go. And then they go.

2 So I haven't had that actually happen, to my
3 knowledge, of where I have a significant concern
4 for a significant impending deficit that a
5 patient has declined to follow my advice when I
6 explain that. I'm sure that exists somewhere.

7 BY MR. JOPLING:

8 Q. I was going to say, you are really prepared to
9 tell a jury --

10 A. I'm sure it exists somewhere.

11 Q. You are prepared to tell a jury in this case
12 that any time a patient fails to follow a doctor's
13 advice, it can only be because the doctor hasn't
14 explained himself well enough?

15 MR. KUVIN: Objection to form.

16 THE WITNESS: No. I'm prepared to tell the
17 jury that you have a much higher percentage of
18 likelihood of the patient following the advice of
19 a medical practitioner if it was given by a
20 doctor with a good explanation of what the
21 consequences might be.

22 The odds are greatly increased that the
23 patient is going to at least follow that. If
24 your blanket statement is go to the E.R. and you
25 don't give an explanation of why to go to the

1 E.R., most patients are going to sit there and
2 say, I don't want to go to the E.R., it is a long
3 wait, six hours.

4 And particularly in this case, to be specific
5 here, if it's all about you need to have your
6 bladder decompressed, you need to have a Foley
7 put in, you are having urinary retention. The
8 patient might say, well, I'm not going to go to
9 the E.R. for six hours to have a Foley put in if
10 Kitty might order it in the morning, which is
11 what happened.

12 MR. KUVIN: If we can, so we are not
13 misrepresenting any testimony, I want to show him
14 Mrs. Bishop's testimony.

15 MR. JOPLING: If you don't mind, I'd
16 respectfully ask that you save that for redirect,
17 or for cross if you need to, for the sake of
18 time, because right now my questions are related
19 to Mr. Dishop.

20 MR. KUVIN: Fine.

21 BY MR. JOPLING:

22 Q. Dr. Husted, again we were talking logic earlier,
23 when you say the chances are better. Well, if chances of
24 something occurring go from 1 percent to 20 percent,
25 that's a huge increase in the chances of it occurring,

1 correct?

2 A. Correct.

3 Q. But it's still less than 50 percent, correct?

4 A. Sure.

5 Q. We are talking hypothetically.

6 A. Right.

7 Q. Help me understand, if you can, again, what is
8 the factual basis of you being able to say that you can
9 predict that in an interaction between Dr. Ronzo and
10 Mr. Dishop that a sufficient explanation by Dr. Ronzo
11 let's you tell a jury there is a more than 50 percent
12 likelihood that Mr. Dishop would have responded by going
13 to the emergency room?

14 MR. KUVIN: Objection to form, asked and
15 answered.

16 THE WITNESS: Okay. The facts, as I've been
17 explained, are that the patient was never
18 explained why by the nurse he should go to the
19 E.R., other than to get a straight cath.

20 That Dr. Ronzo had no way of knowing what the
21 problems were, including weakness. That if the
22 patient and his wife had talked to him, he would
23 have been very alarmed. And I would be surprised
24 if he wouldn't have been alarmed by the context
25 of weakness and urinary retention.

1 And I really do believe that the likelihood
2 would have been greater than 50 percent that they
3 would have gone. And it's the testimony of the
4 patient and the testimony of his wife that they
5 would have gone if the doctor had said so.

6 BY MR. JOPLING:

7 Q. What time do you understand the order permitting
8 a straight cath was given?

9 A. In the morning at 8:00 a.m. on February 4th.

10 Q. All right. If Dr. Ronzo had at that time, at
11 8:00 a.m., at the time he first learns of this problem
12 with urinary retention, if he orders, if he tells the
13 patient then: You know, I'm concerned there may be a
14 problem here that's more serious than we thought. You
15 need to go to the emergency room now.

16 If he had that conversation with Mr. Dishop at
17 8:00 a.m., would he be in full compliance with the
18 standard of care in this case, as far as you're
19 concerned?

20 A. Yes. Actually the surgery, if I'm
21 understanding, happened that evening, correct?

22 Q. No, I'll straighten it up. Surgery is on
23 February 1st.

24 A. No, the subsequent surgery.

25 Q. Yes.

1 A. The hematoma evacuation happened the evening
2 of February 4th.

3 Q. Correct.

4 A. So if he had the discussion at 8:00 a.m. with
5 the patient and performed the hematoma evacuation, it
6 would have been the same day as what he ultimately
7 did; am I saying that correct?

8 Q. Yes.

9 A. So he would have been within the standard of
10 care because if he is aware on the morning of
11 February 4th and he is operating within that day, then
12 absolutely.

13 My concern is really that he should have been
14 aware the evening before.

15 Q. Okay, that's what I'm trying to understand.

16 A. I think there was a greater than 24-hour
17 delay.

18 Q. Okay. My question is this: Let's assume for
19 the moment that he doesn't have the ability to be
20 contacted directly himself during the night hours, but
21 that at 8:00 a.m. the next morning he gets word about the
22 urinary retention.

23 He gets on the phone with the patient then and
24 says: I need you to come in and here is why. This can
25 be serious. And the patient comes in at 8:00 a.m.

1 If that were the facts of this case, would you
2 find Dr. Ronzo to have acted fully in compliance with the
3 standard of care?

4 MR. KUVIN: Objection to form, incomplete
5 hypothetical.

6 THE WITNESS: I now understand your question.
7 No, because the ship sailed. He should have been
8 aware the evening before.

9 So there is a delay because of the fact that
10 he wasn't able to be made aware.

11 BY MR. JOPLING:

12 Q. I'm a wanna-be sailor. I'm very interested in
13 the "ship sailed" concept.

14 By "ship sailed" are you prepared to tell a jury
15 at eight o'clock in the morning it was too late to repair
16 this problem?

17 A. No, I'm prepared to tell the jury, obviously,
18 the outcome is better if you act sooner rather than
19 later.

20 The literature is somewhat, I hate to use the
21 word divided, but it's a little bit debatable on what
22 the exact timeline should be and how soon you should
23 act.

24 But the standard is to act within 24 hours.

25 Q. Within 24 hours of what? I'm sorry.

1 A. With any onset of the symptoms you should
2 actually start the process of evaluating.

3 So this is a patient who, at a baseline, was
4 found to have weakness, per the report, and urinary
5 hesitancy. And over the course of the day and evening
6 now becomes straight forward, can't void.

7 He should have been starting the process of
8 working it up at that point. He should have been
9 notified. He should have been into the E.R. that
10 day/that evening. This would have been something they
11 should have been dealing with overnight rather than
12 the next evening.

13 Q. My question was somewhat different. Are you
14 prepared to tell a jury, within a reasonable degree of
15 medical probability, that if Mr. Dishop had shown up in
16 the emergency room at midmorning on the 4th, instead of
17 midafternoon on the 4th, that his outcome would have been
18 different here?

19 A. Not if you are asking me the question, which
20 I think the question is very misleading, midmorning
21 versus midafternoon, no, there is not a difference.

22 I'm talking he should have been shown in the
23 E.R. on the 3rd.

24 Q. I understand that.

25 A. But to answer your question, which I think

1 doesn't really state the facts as they should be
2 stated, yes, there would be no difference or less of a
3 difference if you are between the morning or the
4 afternoon.

5 But to me it's just not a medically relevant
6 question, it is a legal question.

7 Q. Okay.

8 A. It is a lawyer practicing medicine. Medicine
9 states that he should have been in the E.R. as soon as
10 possible.

11 There is a delay, and, yes, if he had been
12 aware, if Dr. Ronzo had been aware the morning of the
13 4th, absolutely that he would have said, okay, come
14 in.

15 The answer to your question is sure, there
16 would only have been a seven hour difference. Seven
17 hours is not what I'm talking about, I'm talking about
18 a 24-hour delay.

19 Q. If I didn't ask the question clearly enough, I
20 apologize. I'm trying to ask what I believe to be a
21 purely medical question. It may not be one that is
22 answerable medically.

23 A. Yeah.

24 Q. Well, there are some questions doctors can't
25 answer, right? Even doctors --

1 A. No, and I apologize if I'm getting frustrated
2 because I think you and I are doing a two-step dance.

3 Q. I think it is pretty straight forward,
4 honestly, Dr. Husted.

5 I'm simply trying to find out, do you agree that
6 if Mr. Dishop had come to the hospital at, say, 9:00 or
7 10:00 on the morning of February the 4th, instead of
8 coming there, what, late afternoon I think he showed up;
9 is that right?

10 A. Right.

11 Q. And Dr. Ronzo had immediately begun the process
12 of setting up and doing the surgery he eventually did.
13 I'm simply trying to find out are you going to tell the
14 jury under those hypothetical facts that Mr. Dishop, more
15 likely than not, would have avoided the neurological
16 deficit he had --

17 MR. KUVIN: Objection to form, incomplete
18 hypothetical.

19 THE WITNESS: Under those hypotheticals, no.

20 BY MR. JOPLING:

21 Q. And in fairness to you, because I understand
22 your answer, you are willing to say that if Mr. Dishop
23 had showed up, let's say, 8:00 or 9:00 p.m. the previous
24 evening, and had showed up, and Dr. Ronzo had proceeded
25 to this surgery, then the result would have been

1 differently; is that correct?

2 A. Correct.

3 Q. That's all I'm trying to make sure that I
4 understand.

5 A. Thank you.

6 Q. Are you able to tell a jury at what point you
7 think that line is crossed between 8:00 or 9:00 the
8 evening of February 3rd and 9:00 or 10:00 the morning of
9 February 4th?

10 A. Sure. Bear with me.

11 Q. Sure.

12 A. I'm looking at the actual timing of the
13 ultimate surgery.

14 Q. It was 11:00 or 11:30 p.m., I think.

15 MR. KUVIN: That's what I'm looking for. It
16 was very close to midnight. The call report is
17 here.

18 THE WITNESS: It's unfortunate because it's
19 dictated a month later. Do we have the actual?

20 MR. REICHERT: Do you have the perioperative
21 nursing record there? Page 59 in my numbering
22 system, or might be Bate stamped, I don't know.

23 THE WITNESS: That's the 5th, so that's after
24 surgery.

25 MR. REICHERT: Here it is. (Handing)

1 BY MR. JOPLING:

2 Q. Anesthesia start time 0:19, operation start
3 0:43.

4 A. Yeah, patient in room 0:19. So it actually
5 happened technically on the 5th, the surgery was
6 February 5th.

7 Q. Right.

8 A. And thank you for providing this. And the
9 start time, ultimately the procedure started at
10 12:43 a.m. on the 5th --

11 Q. Right.

12 A. -- of February. And the symptomatology was
13 really worsening over the evening, the course of the
14 after hours, and daytime of the 3rd.

15 And so between February 3rd and the morning
16 of February 4th, over the course of that evening is
17 when he should have gone to the E.R.

18 Q. And when you said just a moment ago the
19 symptomatology was worsening during that time, that is
20 based on what Mr. and Mrs. Dishop say?

21 A. Correct, but it's also based off of the
22 deposition of the nurse, that she was getting multiple
23 phone calls in that evening.

24 MR. REICHERT: Object to the form. I mean,
25 move to strike, that was nonresponsive to the

1 question.

2 THE WITNESS: Sorry again.

3 BY MR. JOPLING:

4 Q. That last answer, Dr. Husted, assumes the
5 patients are calling frequently because the condition is
6 getting worse?

7 A. Correct.

8 Q. Have you ever had experience as a surgeon taking
9 care of people in the hospital who called and had lots of
10 complaints when they, actually their condition was not
11 getting worse?

12 MR. KUVIN: Objection to form.

13 THE WITNESS: Correct, yes, I have.

14 BY MR. JOPLING:

15 Q. Regardless of the exact hour that the surgery
16 started, the repair surgery if we can call it that,
17 exploratory surgery started in the very wee hours of
18 February 5th, it remains the case that you are not
19 charging Dr. Ronzo with any breach of the standard of
20 care in terms of the responsiveness once the patient
21 shows up, correct?

22 A. Correct.

23 Q. You have read Dr. Ronzo's operative report from
24 that surgery of February 4th/5th?

25 A. Yes.

1 MR. KUVIN: The surgery is here. (Indicates)

2 BY MR. JOPLING:

3 Q. I'll turn to that as well. You see the
4 description in that operative note where Dr. Ronzo
5 describes it as a small epidural hematoma firmly
6 coagulated and found resting between the lamina of L-3
7 and L-4 at the laminotomy site?

8 A. Yes.

9 Q. By the way, is that a description of a -- I
10 think we touched on this earlier -- a fairly common
11 postoperative complication in these patients?

12 MR. KUVIN: Form.

13 THE WITNESS: Yes.

14 BY MR. JOPLING:

15 Q. All right. Can you explain to a jury how a
16 hematoma of that size and in that position would have
17 produced a neurological deficit in Mr. Dishop?

18 A. He documents it as being small, but if the
19 canal itself is a narrow canal to begin with and there
20 is a degree of pressure on the nerves, that can be
21 enough to cause a deficit.

22 Not all hematomas have to be very large.
23 Having said that, on the MRI it was a fairly sizeable
24 hematoma. 3.9 centimeters, I believe was what was
25 documented on the MRI.

1 Q. You read Dr. Ronzo's deposition, of course?

2 A. Yes, I did.

3 Q. And wasn't he questioned about his specific
4 operative findings during this procedure?

5 A. He documented them in his op notes, sure, he
6 dictated it.

7 Q. And this really isn't a rhetorical question,
8 because it's been a while and I can't really remember:
9 Did Dr. Ronzo explain in his deposition why he believed
10 that this hematoma was not likely the cause of
11 Mr. Dishop's neurological deficit?

12 A. You will have to excuse me while I review his
13 deposition.

14 Q. I don't remember myself or I would direct you to
15 it.

16 A. While we are looking through his, the size,
17 which it was still a fairly sizeable hematoma, is such
18 the corollary would be the size of herniations.

19 We can have significant nerve root deficit
20 from a smaller herniation, and then I've seen the
21 largest of herniations and patients are neuro-intact.

22 So I hesitate to correlate size with ultimate
23 pathology because that's not really a great corollary.

24 Are we still looking through the deposition?

25 Q. You know what, don't bother. For the sake of

1 time we'll forego that.

2 Just explain to the jury, and I'm very close to
3 finishing, Doctor --

4 A. That's okay, I'm enjoying this, I guess.

5 Q. How very perverse.

6 A. That was sarcasm.

7 Q. I thought I recognized it as such.

8 Explain to the jury, just briefly, Dr. Husted,
9 how you believe this particular hematoma produced a
10 neurological deficit in Mr. Dishop?

11 A. Because the hematoma resulted in nerve
12 compression such that he had a neurological deficit.

13 Q. And you believe that neurological deficit
14 involves what nerve distribution?

15 A. Well, it's in the canal itself. So again, it
16 can affect the L-3, 4, 5 nerve roots, and below. So
17 S-1, S-2, it can affect all nerve roots that are
18 actually from the L 3-4 segment and below.

19 Q. I've forgotten my neurology 101, so I would have
20 to have you educate me.

21 Would you typically expect to see, with that
22 involvement at that level, problems with urinary or fecal
23 incontinence?

24 A. You can have urinary or fecal incontinence,
25 but again, remember it is the contents of the canal

1 and below. So at L 3-4 you have the sacral nerve
2 roots.

3 Q. Right. Mr. Dishop has not suffered any sort of
4 incontinence, has he, as a result of this?

5 A. He has not suffered any fecal incontinence.
6 I don't believe he has any ultimately, I have to look
7 through any ultimately urinary issues.

8 Q. Okay. Do you know, we touched on this earlier,
9 but the manifestations of his neurological deficit are
10 foot drop, and is that just on the left?

11 A. I'd have to go back to the Miami records; do
12 we have the Miami records over there?

13 MR. KUVIN: From?

14 THE WITNESS: 2007. No, 2011, the last one.
15 Shands. While we are waiting, in the E.R.
16 obviously he has significant weakness in both
17 ankles and both gastrocs. And then he had fairly
18 sizeable deficits in his quadriceps bilaterally,
19 too.

20 MR. KUVIN: I found the section in Ronzo's
21 depo, if you want him to read it to himself while
22 I'm looking for the other record.

23 MR. JOPLING: Sure.

24 MR. KUVIN: It starts here and goes to the
25 next page on the causation issue.

1 THE WITNESS: Yeah, he basically references
2 it was only a small blood clot that he saw. And
3 he put a drain in just to prevent a bigger
4 hematoma.

5 And when asked: Do you believe his
6 neurological profile improved because he removed
7 the blood clot. He said: You know, I don't
8 know, I really don't know. I would hope so.

9 MR. KUVIN: Here is the 2011 record.

10 THE WITNESS: So the subsequent weakness in
11 2011 is left more than right. And the exam
12 demonstrates a weakness of knee extension on the
13 left with a flail ankle. So completely flail
14 ankle on the left. A complete deficit on the
15 left, with some weakness of right plantar flexion
16 pushing down, and eversion at the ankle on the
17 right.

18 BY MR. JOPLING:

19 Q. All right. And I'm sorry, the date of that
20 examination you are looking at?

21 A. Is in 2011, February 23rd.

22 Q. That's done where?

23 A. At Shands, University of Florida Neurology.

24 MR. KUVIN: The Doctor referenced Miami
25 before when we are talking about Gainesville,

1 just to be clear.

2 THE WITNESS: That's what I meant, sorry.

3 BY MR. JOPLING:

4 Q. You regularly confuse the University of Miami
5 with the University of Florida, do you, Doctor?

6 A. That would be sacrilege.

7 Q. Just one second, Doctor, I'm very close to
8 finishing.

9 Doctor, do you have any other standard of care
10 opinions regarding Dr. Ronzo that we have not talked
11 about?

12 A. No.

13 Q. So the standard of care opinions for Dr. Ronzo
14 all relate to his failure to be in communication with the
15 patient earlier than he was, and to direct the patient
16 back to the emergency room?

17 A. Correct.

18 Q. And further, based on your assumption that had
19 Dr. Ronzo communicated directly with the patient and
20 explained the situation, the patient would have actually
21 gone to the emergency room sooner than he did?

22 A. Correct.

23 MR. JOPLING: I think that's all I have.

24 MR. REICHERT: Do you need a break?

25 (Break in the proceedings)

1 CROSS EXAMINATION (Dr. Husted)

2 BY MR. REICHERT:

3 Q. All right, Doctor, when we were off the record I
4 understand we have been talking -- strike that.

5 During your direct examination here today by
6 Mr. Jopling you expressed some opinions about care
7 rendered by Nurse Gena Rosengrant, correct?

8 A. Correct.

9 Q. Okay. Are you going to be testifying at trial
10 that she breached the nursing standard of care in any
11 way?

12 A. Yes.

13 Q. All right. Before I get to what those actual
14 breaches are or breach, would you tell me what is your
15 understanding of the nursing standard of care? Define it
16 for the jury please.

17 A. That's a good question. I would, my
18 understanding of what the standard of care is that the
19 nurses should follow the orders that are given to them
20 by the physicians. The home health orders that would
21 be typical would be to evaluate the wound and do
22 dressing changes and to follow the neurological
23 status, at least that's what would be expected on a
24 postoperative spine patient.

25 And then to report these or any concerning

1 findings or changes to the physician's office for
2 further orders on how to actually interact or what to
3 do as a consequence.

4 Q. Okay. In answer to my question you gave me a
5 very specific answer based on the type of patient that we
6 are dealing with in this case, correct?

7 A. Correct.

8 Q. All right. My question was more general than
9 that.

10 A. Okay.

11 Q. Tell me what you understand a nursing standard
12 of care to be.

13 A. Well then, I'm not sure that I understand
14 what you mean by that then, because my general
15 response would be to follow doctor's orders and to
16 report any findings of concern to the doctors for
17 further orders.

18 Q. Okay. And are there any other, you have defined
19 standard of care in terms of practices by nurses,
20 correct?

21 A. Correct.

22 Q. That you would expect?

23 A. Correct.

24 Q. Does that comprise what the standard of care is,
25 in your opinion?

1 MR. KUVIN: Objection to form, asked and
2 answered.

3 BY MR. REICHERT:

4 Q. Or is there anything else?

5 A. I've stated as best as I can what I consider
6 to be the standard of care and how it would relate to
7 this case particularly.

8 Q. All right. Where does the nursing standard of
9 care come from?

10 A. I don't know.

11 Q. You know, when we start using terms like
12 standard of care for physicians, which Mr. Jopling asked
13 you about, you have a sense of that because you are a
14 physician, correct?

15 A. Correct.

16 Q. Are you a nurse?

17 A. No, I'm not. No, I'm not.

18 Q. Have you been to nursing school and not
19 completed it?

20 A. No, I have not.

21 Q. Have you ever taken any nursing continuing
22 education credits?

23 A. No, I have not.

24 Q. Have you ever written any nursing policies at
25 any of the facilities that you perform surgery at?

1 A. No, I have not.

2 Q. Do you belong to any nursing organizations?

3 A. No.

4 Q. I have your CV here, I don't see any nursing
5 articles or nursing publications.

6 A. No, I have not.

7 Q. Have you submitted to any nursing publications
8 for peer review any articles that didn't make it into
9 publication?

10 A. No, I have not.

11 Q. Have you ever written anything on nursing?

12 A. No, I have not.

13 Q. And why do you think you are qualified then to
14 comment on a nursing standard of care?

15 A. Because for thousands, many thousands of
16 postoperative patients I have dictated and followed
17 and completely formed how the nurses should follow
18 those patients, both from my training and my
19 experience.

20 And in every institution that I have worked
21 at I have observed and interacted with, and seen how
22 nurses treat postoperative spine patients with the
23 concerns that they follow, the way that they follow
24 out the orders, and the communications that they then
25 have with the physicians.

1 This is something that I've been doing for,
2 since residency, including residency and fellowship,
3 we are talking 15 years.

4 Q. Have you ever taught nurses in a nursing school?

5 A. I have taught nurses on the floor. We
6 constantly are doing updates of care and we are doing
7 lectures on spinal pathology and care of spine
8 patients.

9 We have formed an orthopedic center for
10 excellence at the hospital that I work with and I have
11 been a part of that. But as I stated, I have observed
12 and interacted with, and been intimately involved with
13 nurses and in the care of spine patients for the last
14 15 years.

15 Q. Are you familiar with what they're taught in
16 school in the courses that they take?

17 MR. KUVIN: Objection to form.

18 THE WITNESS: I must admit, I don't look at
19 their course literature, so I don't know.

20 BY MR. REICHERT:

21 Q. Now, for a little bit of time you were asked
22 some questions about what Gena Rosengrant told the
23 Dishops, either Mr. Dishop or Mrs. Bishop, about going to
24 the emergency room; do you remember that?

25 A. Yes.

1 Q. And that was during the evening or afternoon to
2 the next day of February 3rd to February 4th; is that
3 correct?

4 A. Yes.

5 Q. Okay. Do you know point-for-point based on what
6 you have read in the medical records and in the
7 depositions, exactly what Ms. Rosengrant told them each
8 time she communicated with them about that issue?

9 A. It would be that, the discussions that were
10 back and forth with the urinary retention and the need
11 for a Foley, the interaction with the wife that yes, a
12 Foley or straight cath is a possibility.

13 The discussion on the nursing standpoint that
14 she would have told them, she did tell them to go to
15 the E.R. The patient and the patient's wife stating
16 they discussed the patient was significantly weak.

17 So as we stated back and forth, there was a
18 discussion to go to the E.R., but then there was a
19 further discussion that the E.R. might be avoided if
20 they just got a straight cath order.

21 Q. Where did you get that information that the E.R.
22 could be avoided?

23 A. Again from the depositions. It would be the
24 wife's deposition.

25 Q. Excuse me, maybe I can cut to the chase and save

1 some time. You are going back to Mrs. Bishop's
2 deposition?

3 A. Yes. I'm using all three depositions to try
4 and get a discussion back and forth, because I guess
5 the discussion, it obviously involves two parties. So
6 I'm trying to put the discussion of what happened back
7 and forth by just using the two parties and what they
8 say happened.

9 Q. You don't know whether they discussed anything
10 beyond the points you just gave me back?

11 A. Right, I can only say what they say they
12 talked about.

13 Q. That's all you have?

14 A. That's all I have.

15 Q. You would have to ask them, correct?

16 A. I would have to ask them.

17 Q. And you haven't done that?

18 A. No, I haven't.

19 Q. In fact, you haven't met Mrs. Bishop or
20 Mr. Dishop yet, have you?

21 A. No, I have not.

22 Q. You have never examined Mr. Dishop, have you?

23 A. No, I have not.

24 Q. Everything you know about this case are in those
25 papers sitting in front of you; is that correct?

1 A. Absolutely.

2 Q. You don't know anything else? You haven't
3 talked to Mr. Kuvin about anything beyond what is in
4 those records, correct?

5 A. Absolutely.

6 Q. So let's talk about, I need to put it down, I've
7 got little things scattered in my notes about Nurse
8 Rosengrant.

9 Let me start with this: Other than Nurse
10 Rosengrant, do you have any criticisms of the care
11 rendered by any other home health employee?

12 A. No, other than her.

13 Q. So when we talk about nursing standards of care,
14 we are only talking about Gena Rosengrant; is that
15 correct?

16 A. Correct.

17 Q. Can you list for me what your opinions are as to
18 how she failed to meet the nursing standard of care?

19 A. My opinions would be that she documented that
20 the patient had lower extremity weakness, couldn't
21 state why she said that. Basically it seemed like she
22 just had it as a history rather than a confirmation.
23 She didn't examine the patient in any way.

24 She then again, inferring that the correct,
25 the truth is being stated from the deposition, was

1 told that he had worsening weakness and inability to
2 void, and didn't, and had no means of communicating
3 with the physician, but also didn't instruct them that
4 there was nothing else that should be done other than
5 him going to the E.R., that instead stated that, yes,
6 this might be secondary to the medication. Stated
7 that's the only possible cause.

8 And then allowed them a means to avoid going
9 to the E.R. by just arranging for a straight cath,
10 rather than, again, insisting that they go to the E.R.
11 and sticking with that.

12 Q. So you have given me what I've written down as
13 four general areas where she breached the standard of
14 care.

15 Number one, having to do with the lower
16 extremity weakness, and on her initial assessment, and
17 some specifics as to that. That's number one, correct?

18 A. Uh-huh.

19 Q. Is that a "yes"?

20 A. Yes.

21 Q. Thank you. Number two, was told of worsening
22 weakness and along with difficulty voiding, increase in
23 difficulty voiding, right?

24 A. Right.

25 Q. And again, I think we have been through this, I

1 don't have to beat a dead horse.

2 The worsening weakness does not come from
3 Rosengrant, correct?

4 A. Correct. But it also states in a way, for
5 lack of a better word, had the ring of truth.

6 For instance, in the wife's deposition she
7 states that the nurse had suggested that she stand the
8 patient up to go stand in the shower and that might
9 actually help him urinate. A running stream I guess
10 would help him want to urinate with him standing,
11 which makes sense.

12 The wife said that he wouldn't even be able
13 to walk to get into the shower. These are major
14 concerns if I were discussing this with the nurse.
15 This would be a major concern that the patient is
16 expressing weakness, and that has a ring of truth.

17 Q. Again, Doctor, that comes from the wife's
18 deposition?

19 A. Absolutely.

20 Q. Whether it's not credible or credible to you, it
21 comes from the wife's deposition; is that correct?

22 A. Correct.

23 Q. It does not come at all from Gena Rosengrant's
24 deposition?

25 A. No, it doesn't.

1 Q. So, as far as if we just, if we set aside the
2 wife's deposition and we look at Gena Rosengrant, what
3 she had to work with and what she said she had to work
4 with, we have urinary difficulty; is that correct?

5 A. Correct.

6 Q. We don't have worsening lower extremity
7 weakness, correct?

8 A. Correct, if you are just looking at the
9 nurse's deposition.

10 Q. That's right.

11 Okay, that's the second point. The third point
12 was that, and I can't even read my writing here, but
13 something about that Ms. Rosengrant did not instruct them
14 other than go to the E.R., that she did not discuss with
15 them the reason that you want to go to the E.R. is
16 because there is doom involved if you don't; is that
17 correct?

18 A. Correct. She didn't have enough of a
19 concern, or maybe even understanding what the concern
20 should be. But also was able to be talked into an
21 alternative path by the medically naive wife of, well,
22 can we have a straight cath order.

23 And I feel, as a nurse, she should have been
24 more instructive and insistent of the patient going to
25 the E.R. rather than waiting all night for an order

1 for a straight cath the next morning.

2 Now, I can further elucidate that. The nurse
3 knows that you should void. If you wait a long time
4 to void you can have significant amounts in the
5 bladder. Significant amounts in the bladder can
6 result in bladder damage.

7 I know that nurses know this. I know that
8 they, at certain amounts, they will actually ask the
9 physician can I just keep the Foley in. This is
10 something that is common knowledge.

11 This knowledge is not demonstrated by
12 Ms. Rosengrant. She doesn't talk about keeping the
13 Foley in. She even doesn't, at 1700, doesn't strike
14 her as a large amount, which it should have.

15 Again, this to me underscores the lack of
16 knowledge that she has.

17 Q. Okay. Let me back up a little bit.

18 If you take Gena Rosengrant's testimony, which
19 is that he was experiencing, well, she received telephone
20 calls explaining that he had difficulty voiding,
21 increased difficulty voiding; you agree with that based
22 on what you saw in her deposition?

23 A. She had telephone calls, yes.

24 Q. Was he going at all; do you recall?

25 A. According to her testimony there was some

1 voiding. And according to the wife or the husband
2 there was dribbling which sounds like overflow
3 incontinence. But yes, was there urine coming out in
4 some form? Yes.

5 Q. And you do agree that urinary hesitancy is a
6 common problem after surgery?

7 A. Absolutely.

8 Q. Not only this type, right?

9 MR. KUVIN: Objection, asked and answered.

10 THE WITNESS: Absolutely.

11 BY MR. REICHERT:

12 Q. Okay. Then I can't read the fourth one.
13 Something about?

14 MR. KUVIN: You wrote it.

15 MR. REICHERT: I know, I was writing really
16 fast.

17 BY MR. REICHERT:

18 Q. Something about the E.R. and then arranging for
19 something?

20 A. She had no means of actually contacting the
21 physician's office, but, which obviously is not her
22 fault, that's the physician's office. But she
23 actually then arranged the next morning for the Foley.

24 And my point was she should never have made
25 that an alternative to wait until the next morning for

1 a Foley.

2 Q. What should she have done?

3 A. She should have said you need to go to the
4 E.R. or you can have bladder damage.

5 Q. She told them to go to the E.R., you agree with
6 that?

7 MR. KUVIN: Objection, asked and answered.

8 THE WITNESS: Right. But then she said, she
9 basically said you need to go to the E.R., but
10 when the wife says can we do a straight cath, and
11 she says we'll have to wait until morning to get
12 a straight cath order. And then she's at a
13 crossroad, she could have insisted, no, you have
14 to go to the E.R.

15 Instead she said, yeah, we can also get an
16 order in the morning. Which, to a medically
17 naive patient, that ambivalence gives you
18 alternatives. Alternatives means you can make
19 the wrong decision.

20 BY MR. REICHERT:

21 Q. She said she offered the E.R. or a straight cath
22 as an alternative in her deposition testimony?

23 A. In the testimony, again, this is a
24 discussion, unfortunately, we are dealing with three
25 people and the discussions between them.

1 Q. I want to know what Gena Rosengrant said.

2 MR. KUVIN: Just strictly Gena Rosengrant, 41
3 onto 42.

4 THE WITNESS: Yes. "I was on call the night
5 before and I got calls all through the night from
6 Mr. Dishop's wife." And then this was the
7 morning of she called again stating he was still
8 unable to void, only a little bit during the
9 whole night and she wanted me to come out and
10 insert a catheter. So then I called Dr. Ronzo's
11 office.

12 So again this is, she doesn't fully state
13 what, at least from what I'm seeing now, what she
14 was actually telling her through the night. She
15 says what she says during the day, which is: "I
16 called Ms. Bishop back and let her know that
17 Kitty said to go to the E.R. She wanted to wait
18 until Dr. Ronzo arrived at his office. So again
19 we are on February 4 to see if he would give the
20 order first.

21 Then I called Dr. Ronzo's office again, spoke
22 to Kitty again and got the order to straight cath
23 basically.

24 BY MR. REICHERT:

25 Q. Did you get the impression from anywhere in Gena

1 Rosengrant's deposition testimony that she offered them
2 an alternative between going to the E.R. and having a
3 straight cath at some point?

4 A. No, she actually doesn't talk at length about
5 what happened over the night. No, the answer is no.
6 If you are looking specifically at her deposition,
7 which has a paucity of information about that
8 nighttime, the answer would be no, because I have a
9 paucity of information from her deposition.

10 Q. And that's because you haven't talked to her,
11 correct? All you have is the answer to the very question
12 that was asked.

13 A. Correct.

14 Q. You would have asked different questions, I
15 understand that, Doctor.

16 A. I agree, yes.

17 Q. Okay.

18 A. There is a statement here from her, by the
19 way: I let her know that they had called several
20 times during the night, he was unable to void fully,
21 that he was having a lot of pressure from the feeling
22 of having to go. And they wanted me to go out and put
23 a catheter in to relieve his bladder.

24 And then the question: Did Kitty express any
25 concerns to you that might be something other than

1 normal complications postoperatively? The answer:

2 No.

3 So the discussion basically between her and
4 Kitty was this was, again, superficial discussion of
5 the problem being urinary retention. The solution
6 being getting the urine out. Nothing done to actually
7 look underneath that.

8 Q. Was it appropriate for a nurse to try and get an
9 order for a straight cath in order to relieve her
10 patient's pain?

11 A. Correct, it is appropriate. Can we go off the
12 record?

13 MR. REICHERT: Sure.

14 (Break in the proceedings.)

15 THE WITNESS: Go on.

16 BY MR. REICHERT:

17 Q. You don't see a problem with Gena Rosengrant
18 calling Dr. Ronzo's office in an attempt to get an order
19 for a straight cath, do you?

20 A. I don't have a problem with that.

21 Q. Okay. I want to get back to the first one,
22 where you talked about her initial assessment. And you
23 talked about, well, you know, you read it and it's right
24 here. This is an assessment form, correct?

25 A. Correct.

1 Q. And you have you seen forms like that before?

2 A. Yes.

3 Q. Do you read them, as a physician?

4 A. If they're sent to me, yes.

5 Q. Okay. Do they contain important information for
6 you?

7 A. They contain information that can be
8 important, yes.

9 Q. Okay. Being here today talking about nursing
10 standards of care, are you familiar with the standards of
11 care for a nurse who is filling one of these out to
12 document her assessment?

13 A. I can't say that I'm absolutely familiar with
14 what her standard would be, no.

15 Q. Or a standard for any nurse?

16 A. I can't say that I'm absolutely certain of
17 that.

18 Q. Well, can you say within reasonable nursing
19 probability what the standard of care is for a nurse
20 doing an assessment what to document?

21 A. Specifically what you're referencing, if
22 there is a standard that you are referencing
23 specifically that's out there, I don't know what that
24 standard is. I only know what should have been done
25 for this patient.

1 Q. You are talking about what a nurse should have
2 done for this patient?

3 A. Any nurse that I would have worked with
4 should have been done for this patient.

5 Q. But you are a physician?

6 A. I hear you. I agree I'm a physician.

7 Q. And I don't think you are an expert on nursing
8 standards, but we can take that up with the judge.

9 MR. KUVIN: Objection to form, move to
10 strike.

11 BY MR. REICHERT:

12 Q. She writes: Patient assessment complete. She
13 writes: Patient with lower extremity weakness.

14 And you saw in her testimony Mr. Dishop himself
15 said, I'm weak in my lower extremities, correct?

16 A. Correct.

17 Q. And you also see that she documented that
18 Mr. Dishop told her that that status, that weakness had
19 not changed at all since he left the hospital, correct?

20 A. Correct.

21 Q. Then she assessed him for whether he was
22 voiding. And he wrote that he was having urinary
23 hesitancy, but was voiding.

24 What is your criticism of the way she documented
25 the lower extremity weakness, and how did that deviate

1 from the nursing standards of care?

2 A. Sure. That she doesn't say anything other
3 than he has a baseline of lower extremity weakness
4 from postoperative.

5 She doesn't clarify what the weakness is and
6 she can't clarify what the weakness is. From what I
7 see from the records, and in her deposition, she
8 didn't have a recollection, she couldn't even say for
9 certain that he had any strength in his legs. There
10 is no information whatsoever, other than weakness.

11 Q. And you saw her deposition that was taken
12 several years after the fact, correct?

13 A. Correct.

14 Q. Would you expect her to remember exactly what
15 the weakness was?

16 A. No, that's not a criticism of her memory.
17 The problem is there is no documentation, that's what
18 the criticism is.

19 Q. And you are saying then she breached the
20 standard of care because she should have quantified the
21 weakness in some way?

22 A. Yes, she should have had some motor
23 examination.

24 Q. And have you seen nurses document motor
25 examinations before?

1 A. Absolutely.

2 Q. Okay. Tell me how they go about doing that and
3 how they chart it.

4 A. So they would chart whether they have knee
5 extension, whether they have ankle dorsal flexion,
6 whether they have ankle plantar flexion.

7 Q. Did you see anyplace on the chart to do that on
8 the assessment form?

9 A. I don't see anything on there that she would
10 actually do that.

11 Q. Does this form meet standards for the types of
12 documentation in patients like this? Or is that form --

13 A. I would be unhappy with this form, but I
14 don't know what standard you are referencing it, but I
15 would be unhappy with this form because I don't feel
16 it adequately deals with a neurological examination of
17 a postoperative spine patient.

18 Q. By a nurse?

19 A. By a nurse, who is doing home health.

20 Q. Do you know who makes that form? Does it say on
21 there?

22 A. I don't. It's Briggs Medical Service
23 Company, 2007.

24 Q. You do not believe that is adequate for this
25 type of patient?

1 A. I don't.

2 Q. Have we discussed every type of criticism that
3 you have of the nurse in this case?

4 A. Yes.

5 Q. Trying to get us out of here.

6 A. I appreciate that.

7 Q. To tell you the truth, I'm kind of confused.
8 Just give me a moment to think, and if you want to take a
9 break and run down the hall and tell her I'll be done in
10 five minutes.

11 A. That's okay. I can text her that.

12 MR. JOPLING: One question, can I ask that?

13 MR. REICHERT: Yes.

14 REDIRECT EXAMINATION (Dr. Husted)

15 BY MR. JOPLING:

16 Q. I'm sorry, Dr. Husted, I meant to ask you this.

17 A. Sure.

18 Q. Was it unreasonable for Mr. and Mrs. Dishop,
19 Mrs. Bishop and Mr. Dishop, to fail to go to the
20 emergency room when the nurse taking care of them told
21 them they should?

22 MR. KUVIN: Form.

23 THE WITNESS: If the nurse insisted that they
24 go, and there was no other alternative given to
25 them, I feel that they should have gone.

1 BY MR. JOPLING:

2 Q. All right. You would agree that patients have
3 some responsibility for cooperating in their care?

4 A. Absolutely.

5 Q. And for generally following the directions of
6 their health care providers?

7 A. Absolutely.

8 Q. Including nurses?

9 A. Sure.

10 RE CROSS EXAMINATION (Dr. Husted)

11 BY MR. REICHERT:

12 Q. You agree that health care practitioners and
13 nurses, they can't figuratively hold a gun to a patient's
14 head and make them go and do something?

15 MR. KUVIN: Objection to form.

16 THE WITNESS: Absolutely.

17 BY MR. REICHERT:

18 Q. That's paternalistic, isn't it?

19 MR. KUVIN: Form.

20 THE WITNESS: Right, and you can lead a horse
21 to water, but you can't make them drink.

22 BY MR. REICHERT:

23 Q. You agree with that?

24 A. Yes.

25 MR. REICHERT: I think I'm done.

1 COURT REPORTER: Did you want this typed,
2 Mr. Jopling?

3 MR. JOPLING: Yes, please.

4 MR. REICHERT: You don't have any questions?

5 MR. KUVIN: No, you covered what I was going
6 to ask when he was able to talk about what she
7 said in her deposition.

8 COURT REPORTER: Anybody want copies?

9 MR. REICHERT: I'd like a mini, with whatever
10 exhibits. I would like to attach a copy of
11 Defendant's Exhibit 1, which is the timeline.

12 (Defendant's Exhibit No. 1 was to be marked
13 for identification)

14 MR. KUVIN: Sure, I'll get you a copy. I
15 don't have a clean copy but I'll provide it to
16 the court reporter. And while we're doing this,
17 let's attach a copy of his CV as Plaintiff's 1.

18 (Plaintiff's Exhibit No. 1 was marked for
19 identification)

20 (Discussion held off the record.)

21 MR. REICHERT: A mini script, E-Tran in mini
22 format. I need it to come in like that, and I
23 need it to come in with a word index.

24 (Discussion held off the record.)

25 COURT REPORTER: How would you like yours? Do

1 you want a hard copy? What do you want?

2 MR. KUVIN: I would like an E-Tran only for
3 Kuvin.

4 (Witness excused.)

5 (Deposition was concluded.)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

DEPOSITION ERRATA SHEET

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Assignment no: 148768

Case Name:

Dishop v Citrus

DECLARATION UNDER PENALTY OF PERJURY

I declare under penalty of perjury that I have read the entire transcript of my deposition/examination under oath taken in the captioned matter or the same has been read to me, and the same is true and accurate, save and except for changes and/or corrections, if any, as indicated by me on the DEPOSITION ERRATA SHEET hereof, with the understanding that I offer these changes as if still under oath.

Signed on the _____ day of _____,
20____.

DANIEL S. HUSTED, M.D.

DEPOSITION ERRATA SHEET

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

SIGNATURE: _____ DATE: _____

DANIEL S. HUSTED, M.D.

#148768

DEPOSITION ERRATA SHEET

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

Page No.____Line No.____Change to: _____

Reason for change: _____

SIGNATURE: _____ DATE: _____

DANIEL S. HUSTED, M.D.

#148768

C E R T I F I C A T E O F O A T H

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STATE OF FLORIDA
COUNTY OF MARTIN

I, the undersigned authority and Notary Public in the State of Florida, certify that DANIEL S. HUSTED, M.D. personally appeared before me and was duly sworn on July 7, 2014.

DATED this 16th day of July, 2014.

ELEANOR M. EVENSEN
NOTARY PUBLIC, STATE OF FLORIDA
My Commission Expires: 10/27/2016
Commission No. EE 835802
148768

1 REPORTER'S CERTIFICATE

2 STATE OF FLORIDA
3 COUNTY OF PALM BEACH

4 I, ELEANOR M. EVENSEN, Registered
5 Professional Reporter and Notary Public in and for the
6 State of Florida at Large, do hereby certify that I
7 was authorized to and did report said deposition in
8 stenotype; and that the foregoing pages are a true and
9 correct transcription of my shorthand notes of said
10 deposition.

11 I further certify that said deposition was
12 taken at the time and place hereinabove set forth and
13 that the taking of said deposition was commenced and
14 completed as hereinabove set out.

15 I further certify that I am not an
16 attorney or counsel of any of the parties, nor am I a
17 relative or employee of any attorney or counsel of
18 party connected with the action, nor am I financially
19 interested in the action.

20 The foregoing certification of this
21 transcript does not apply to any reproduction of the
22 same by any means unless under the direct control
23 and/or direction of the certifying reporter.

24 DATED this 16th day of July, 2014.

25 _____
ELEANOR M. EVENSEN
148768