

Page 1

1  
2 STATE OF MICHIGAN  
3 IN THE CIRCUIT COURT FOR THE COUNTY OF WAYNE  
4 -----X  
5 DONNA FRESARD, as Personal  
6 Representative for the ESTATE OF  
7 JOHN M. MALONE, JR.,  
8 Deceased,  
9 Plaintiff,  
10  
11 Index No.  
12 -against- 11-004995 NH  
13 HARPER-HUTZEL HOSPITAL,  
14 SAFWAN SAKER, M.D.,  
15 LAWRENCE CRANE, M.D., and GERALD  
16 TURLO, M.D., jointly and severally,  
17  
18 Defendants.  
19 -----X  
20  
21 DEPOSITION of PERRY STARER, MD, taken by  
22 Defendant, held at the offices of Fink & Carney  
23 Reporting and Video Services, 39 West 37th Street,  
24 New York, New York 10018, on Wednesday, September  
25 26, 2012, commencing at 11:15 a.m., before Jean  
Wilm, a Registered Professional Reporter, Certified  
LiveNote Reporter and Notary Public within and for  
the State of New York.

Page 2

1  
2 APPEARANCES:  
3  
4 MORGAN & MEYERS  
5 Attorneys for Plaintiff  
6 3200 Greenfield  
7 Suite 260  
8 Dearborn, Michigan 48120  
9  
10 BY: JEFFREY T. MEYERS, Esq.  
11  
12 TANOURY, NAUTS, McKINNEY & GARBARINO, PLLC  
13 Attorneys for Defendants  
14 333 West Fort Street  
15 Suite 1800  
16 Detroit, Michigan 48226  
17  
18 BY: CULLEN B. McKINNEY, Esq.  
19  
20  
21  
22  
23  
24  
25

Page 3

1 Starer  
2 PERRY STARER, MD,  
3 called as a witness, having been first  
4 duly sworn/affirmed by Jean Wilm, a  
5 Notary Public within and for the State  
6 of New York, was examined and testified  
7 as follows:  
8 EXAMINATION  
9 BY MR. McKINNEY:  
10 Q Let the record reflect that this is  
11 the deposition of Dr. Perry Starer taken pursuant to  
12 notice and agreement between counsel to utilize for  
13 any and all purposes under the Michigan court rules.  
14 Dr. Starer, my name is Cullen  
15 McKinney. I am one of the attorneys representing  
16 the defendants in this case. We are here this  
17 morning to explore the opinions that you have  
18 relevant to the care and treatment provided to  
19 Dr. John Malone in 2008 and 2009.  
20 I know you've given some depositions  
21 in the past. The only thing that I ask is, if I ask  
22 you a question that you don't understand, that you  
23 let me know and I will be happy to rephrase; is that  
24 fair?  
25 A That is fair.

Page 4

1 Starer  
2 Q If you answer a question, then I and  
3 the record will assume you understood the question.  
4 Is that fair?  
5 A That is fair.  
6 Q Could you state your full name for the  
7 record, please?  
8 A First name Perry, P-e-r-r-y. My  
9 middle name is Jay, J-a-y. My last name is Starer.  
10 Q Dr. Starer, have you ever been known  
11 by any other name?  
12 A No.  
13 Q What is your current profession?  
14 A I'm a medical doctor.  
15 Q Do you have a particular area of  
16 specialty as a medical doctor?  
17 A Internal medicine and geriatrics.  
18 Q Any other area of specialty?  
19 A No.  
20 Q Is geriatrics your primary medical  
21 focus?  
22 A I view myself as an internist with a  
23 subspecialty of geriatrics.  
24 Q What percentage of your patient base  
25 would you consider are geriatric patients?

Page 5

1 Starer

2 **A It will vary over the course of the**

3 **year. It can be between 40 percent to 60 percent.**

4 Q Has that been the case dating back,

5 let's say, to 2007?

6 **A Yes.**

7 Q So at any given time, it could be

8 anywhere between 40 percent and 60 percent

9 geriatric?

10 **A On any given day, yes.**

11 Q The other 40 to 60 percent then would

12 be what?

13 **A Adult medical patients.**

14 Q And those adult medical patients, are

15 they patients that you are seeing in the hospital

16 setting or in an office-base setting?

17 **A At this time, they are in a hospital**

18 **setting.**

19 Q Are you currently working as a

20 hospitalist, Doctor?

21 **A I'm not considered to be a**

22 **hospitalist, no.**

23 Q The hospital-based patients that you

24 see, are they your private patients who happen to be

25 hospitalized?

Page 6

1 Starer

2 **A No.**

3 Q Do you have a contract with a local

4 hospital wherein you'll see patients, or round on

5 patients, or patients will be admitted to your name?

6 **A I don't know if a contract actually**

7 **describes it, but I am employed in the hospital and**

8 **patients will be seen with my name as the attending**

9 **physician.**

10 Q And what hospital is that?

11 **A This is Elmhurst Hospital Center.**

12 Q Do you know where Elmhurst is located?

13 **A Yes, I do. It's in Queens, the**

14 **Borough of Queens, in New York City.**

15 Q How long have you worked or been

16 employed by Elmhurst Hospital?

17 **A Since approximately, I guess as an**

18 **attending physician, about 1985.**

19 Q The patients that you see at Elmhurst,

20 are they a mixture between geriatric and general

21 adult patients?

22 **A Yes, that's true.**

23 Q Tell me, Doctor, from 2000 -- let's

24 say December of 2007 through January of 2009, so we

25 will look at about a 13-month time frame, was your

Page 7

1 Starer

2 practice essentially the same during that time

3 frame?

4 **A Yes. Over the time frame, it's the**

5 **same, right.**

6 Q Tell me, generally speaking, on either

7 a weekly or monthly basis what your practice would

8 consist of during that time frame?

9 **A Just to be as clear as possible, we**

10 **break down the year into month rotations.**

11 Q Okay.

12 **A So one month may be different than**

13 **another month.**

14 Q Is your current practice still the

15 same as it was then?

16 **A Yes. The rotations are very similar**

17 **to the way it was then.**

18 Q And when you say "we break it down,"

19 are you a member of a group of physicians who will

20 rotate from one location to another?

21 **A Just to be as clear as possible, this**

22 **is part of a medical school, so the physicians are**

23 **employed by a school of medicine, and then we are**

24 **scheduled to do different rotations over the course**

25 **of the year as part of training of students and**

Page 8

1 Starer

2 **doctors and also as part of the care of patients.**

3 Q What is that medical school?

4 **A Mt. Sinai School of Medicine.**

5 Q And how long have you had this

6 arrangement with Mt. Sinai?

7 **A I personally have had the arrangement**

8 **since 1985, and the arrangement with the hospital**

9 **preexists that.**

10 Q You indicated earlier that you are

11 employed by Elmhurst Hospital.

12 **A I actually have two employers which**

13 **both administrate Elmhurst Hospital: One is the**

14 **School of Medicine, and one is the City of New York.**

15 Q So the City of New York directly sends

16 you a check on a weekly or monthly basis for medical

17 care that you provide to patients?

18 **A It's not as simple as that.**

19 **The City of New York pays the medical**

20 **school to staff the hospital, so the check will have**

21 **the medical school's name on it, but the money is**

22 **flowing from the City of New York.**

23 Q All right. So you only receive one

24 paycheck on either a bimonthly basis or monthly

25 basis?

2 (Pages 5 to 8)

1 Starer

2 **A That is correct.**

3 Q And that has been the case dating back

4 to 2007?

5 **A That is the arrangement, yes.**

6 Q And essentially then, Mt. Sinai School

7 of Medicine will then say, "Dr. Starer, for the

8 month of October of 2012, you will be at X

9 location"?

10 **A That is correct.**

11 Q And then you'll find out where you'll

12 be in November at another time and you will spend a

13 month at a time at certain facilities?

14 **A Or certain parts of the same**

15 **facilities, yes.**

16 Q Since 2007, what facilities have you

17 staffed on a monthly basis?

18 **A Since 2007, I've now -- I was just**

19 **based at Elmhurst Hospital Center. In the past,**

20 **I've moved to other facilities, but I asked just to**

21 **be placed in one of the facilities.**

22 Q And so now, getting back to what you

23 said earlier, there are different departments and/or

24 floors and/or units within Elmhurst that you may

25 spend a month at a time within?

1 Starer

2 **A That's right. Different units now**

3 **within the same building.**

4 Q In which units will you, since 2007,

5 be staffing?

6 **A So since 2007, I will either be the**

7 **attending physician for the general internal**

8 **medicine unit or the director of, and attending**

9 **physician of the geriatric unit.**

10 Q Have you, in your career, done

11 nursing-home work?

12 **A Yes, I have.**

13 Q Have you done that since 2007?

14 **A No, I have not.**

15 Q The general internal medicine unit,

16 how many beds are on that unit?

17 **A The unit itself has about 36 beds, but**

18 **the concept of unit is not always geographical, so**

19 **if it gets busy, we will have patients who are then**

20 **boarded in other parts of the hospital. So we can**

21 **exceed that number.**

22 Q Just so I'm clear, if you have 39

23 patients, 36 will be on the general internal

24 medicine unit and three will be somewhere else

25 within the hospital, but you'll still be responsible

1 Starer

2 for rounding on them and overseeing their care?

3 **A If they're admitted to our service,**

4 **then we're responsible no matter where they are**

5 **located within the building.**

6 Q The geriatric unit, how many beds in

7 the geriatric?

8 **A It's the same. Units have roughly**

9 **about 36 beds.**

10 Q And the same arrangement there, if you

11 need more beds then the patients may overflow to

12 another department?

13 **A Or if we don't have 36 geriatric**

14 **patients, other patients may come in, so it may fall**

15 **below.**

16 Q If five of those beds in the geriatric

17 unit are being used for postsurgical patients, you

18 will not be responsible for those five patients?

19 **A No, unless they are admitted under my**

20 **name for some other reason, I would not be**

21 **responsible.**

22 Q Let's talk about since 2007 your

23 experience with outpatient care wherein you would

24 see a patient in an office base where they would

25 schedule an appointment to come in and see you, but

1 Starer

2 are not inpatients.

3 Have you had the occasion since 2007

4 to serve within that capacity?

5 **A No, only to the extent that I would**

6 **admit patients sent to us from an outpatient**

7 **setting.**

8 Q So they would be, for example, sent

9 from an office base where it was felt that the

10 patient needed to be admitted?

11 **A Right. Either from outside the**

12 **hospital or from one of our own clinics. And there**

13 **are times, if it's in the building itself, we may**

14 **actually go to the clinic just to start the process.**

15 Q Have you, during your career, worked

16 in a -- I will call it traditional -- that may not

17 be the right word, but I will call it the

18 traditional office base where patients will schedule

19 appointments and come in and see an internal

20 medicine physician for whatever it is that may be

21 ailing them?

22 **A I have worked in offices, but they**

23 **were always located within a hospital or within a**

24 **medical school's faculty practice program.**

25 Q And when was the last time that you

1 Starer

2 did that?

3 **A Probably in maybe the 1990s.**

4 Q So is it fair to say that you have

5 been a hospital-based internist/geriatric specialist

6 seeing inpatients since 2000 to the present?

7 **A I don't remember exactly when I**

8 **switched over. I may have actually done a little**

9 **bit of it in the early part of the century, but not**

10 **recently.**

11 Q Since 2007, 100 percent of your

12 practice has been seeing patients on an inpatient

13 basis?

14 **A That is true.**

15 Q Do you have the opportunity to consult

16 other specialists, such as infectious disease

17 specialists or podiatrists or vascular surgeons?

18 **A Yes.**

19 Q That is part of your everyday

20 practice?

21 **A That is true.**

22 Q And as an internal medicine or an

23 internist, you have to, to some degree, rely upon

24 those specialists' recommendations at least as it

25 relates to their particular area of specialty?

1 Starer

2 **A Well, certainly we collaborate in the**

3 **care of patients.**

4 Q Has your number of hours worked

5 essentially been the same on a per week basis since

6 2007?

7 **A I think it might have actually**

8 **increased.**

9 Q Approximately how many hours would you

10 say that you worked a week since 2007 on average?

11 **A Total or how much during the week?**

12 Q During a given week.

13 **A It would be eight to ten hours a day**

14 **at least five days a week and then there are**

15 **weekends in there which may be an extra eight hours.**

16 Q How often would you work a weekend?

17 Would that be something you do every other weekend

18 or once a month?

19 **A Certain months, I will do it two or**

20 **three times a month. Other months, I may not do it**

21 **at all. Over the course of the year, maybe ten**

22 **weekends a year.**

23 Q You have been reviewing medical

24 malpractice cases for some time; is that true?

25 **A Maybe about 14 years.**

1 Starer

2 Q During the time that you have been

3 reviewing cases as an expert, have you ever held

4 yourself out as an expert in the field of infectious

5 diseases?

6 **A No, I don't believe I have.**

7 Q Have you ever held yourself out as an

8 expert in the field of podiatry?

9 **A No, I don't think I have.**

10 Q In this case, Doctor, are you

11 intending to offer testimony with respect to either

12 causation or damages?

13 MR. MEYERS: He will be called with

14 regard to both causation and life

15 expectancy issues.

16 I will indicate for the record with

17 regard to the deposition that we took

18 recently of the attending physician, whose

19 name is escaping me --

20 MR. McKINNEY: Dr. Farber?

21 MR. MEYERS: No. The attending

22 physician in the hospital.

23 MR. McKINNEY: Saker?

24 MR. MEYERS: The second admission.

25 The intensivist who took care of

1 Starer

2 Dr. Malone in the hospital. We don't have

3 a deposition transcript yet.

4 I planned on providing it to the

5 doctor, but he is planning to give

6 opinions as to both life expectancy and

7 causation. He will only be called with

8 regard to the actions of Dr. Turlo, if

9 that saves some time, as opposed to Saker

10 and Crane.

11 BY MR. McKINNEY:

12 Q That is your understanding of your

13 role in this case, Doctor, is to comment on standard

14 of care applicable to Dr. Turlo, give causation

15 opinions, and give life expectancy opinions?

16 **A That is what I focused on.**

17 Q Do you have any titles at the medical

18 school?

19 **A I have the title of assistant**

20 **professor at the medical school.**

21 Q I'm going to hand you, Doctor, what I

22 have been provided as a copy of your curriculum

23 vitae.

24 If you would, let me know if this is

25 current and up to date?



1 Starer

2 **A They may or may not.**

3 Q Would it depend on their specialty?

4 **A It depends on their specialty, how**

5 **long ago they were in practice, and also their**

6 **current state of well-being.**

7 Q If they are alert and oriented, they

8 are currently practicing -- well strike that and let

9 me back up.

10 Would you agree that in this case John

11 Malone likely had more general medical information

12 and/or knowledge than a patient who is off the

13 street and does not practice as a physician?

14 **A I can only speculate, because I had no**

15 **records which actually would have given me that**

16 **information.**

17 Q What types of records would give you

18 that information?

19 **A Records in which there were**

20 **discussions of medical standards of care. What I**

21 **see, there is only discussion of his illness, not of**

22 **his ability to converse concerning medical issues.**

23 Q Is it your belief that Dr. Malone had

24 some sort of cognitive deficits at the time of his

25 inpatient stay from December 21 of 2008 through

1 Starer

2 January 9 of 2009?

3 **A No, I had no reason to believe that.**

4 Q Would you agree, Doctor, that as an

5 expert witness the majority of the cases that you

6 reviewed and/or consulted on were about nursing home

7 issues?

8 **A I'm uncertain. I know there's been**

9 **hospital cases in there, but I wouldn't be surprised**

10 **if there were more nursing home cases.**

11 Q And would you agree that nearly

12 100 percent of the reviews that you have done over

13 the years have been on behalf of the patient, or the

14 plaintiff pursuing the case, as opposed to a

15 defendant/physician or a defendant/facility?

16 **A I would agree that a hundred percent**

17 **of the depositions and trials I participated in were**

18 **on behalf of the plaintiff.**

19 Q How many cases have you reviewed on

20 behalf of a physician or a healthcare facility?

21 **A I don't recall the exact number, but**

22 **it's probably 5 percent or less where I've discussed**

23 **or looked at cases on behalf of defense.**

24 Q How many cases, Doctor, have you

25 reviewed as a medical expert over the last 14 years

1 Starer

2 or so?

3 **A I'd have to estimate. Maybe about 70.**

4 Q How many times have you given a

5 deposition as an expert?

6 **A Once again, it's an estimate.**

7 **Probably more than 45, maybe 50.**

8 Q When was the last one that you gave?

9 **A I did give one last week.**

10 Q On behalf of a patient?

11 **A Yes.**

12 Q Do you know where the care arose out

13 of in that case? I mean, the state.

14 **A Florida.**

15 Q When is the next scheduled deposition

16 that you have after today?

17 **A I have nothing on the schedule right**

18 **now.**

19 Q How many times have you testified in a

20 trial where you served as a medical malpractice

21 expert?

22 **A I think about 12 times.**

23 Q Ever in Michigan?

24 **A No.**

25 Q With respect to the state of Michigan,

1 Starer

2 have you reviewed cases out of the state of Michigan

3 prior to this one?

4 **A I'm not sure that I have. I might**

5 **have, but I don't really remember.**

6 Q Have you ever reviewed for Mr. Meyers

7 or anyone from his firm before this case?

8 **A I don't believe I have.**

9 Q Do you know how it is that Mr. Meyers

10 came to know of your availability as a potential

11 expert witness?

12 **A I'm not certain.**

13 Q Do you review for medical-legal review

14 companies? MedScape or MedQuest or Sapanelli?

15 **A I have been contacted by one of those**

16 **entities, yes.**

17 Q Do you know if your name is published

18 as a potential expert by any of those entities?

19 **A That I don't think happens, no.**

20 Q The reviews that you receive, are they

21 by word of mouth where the attorney or attorney's

22 office contacts you directly as opposed to some

23 intermediary?

24 **A That is usually what happens. I'll**

25 **get a phone call or an e-mail. I don't really**



1 Starer  
 2 gathered at least in the information that I have  
 3 with respect to you, seems to be one of your areas  
 4 of interest; is that fair?  
 5 **A This is an area of interest, yes.**  
 6 Q Anything else that goes to who  
 7 Dr. Starer is professionally as a physician that we  
 8 have not at least touched on?  
 9 **A I mean, I've done some publishing in**  
 10 **the past and I will help others if they want to**  
 11 **write a paper. Once again, it's just sort of part**  
 12 **of the day. Then I guess advising people as far as**  
 13 **careers. People will ask me questions. You know,**  
 14 **you try and be helpful. And then paperwork, you**  
 15 **know, which is required, is a small part of the day**  
 16 **as well.**  
 17 Q "Paperwork" meaning writing progress  
 18 notes or policies and procedures?  
 19 **A Well, the progress notes are**  
 20 **definitely in there. There is also an ongoing**  
 21 **program of maintaining credentials and in-servicing,**  
 22 **you know, staying up to date on the policies and**  
 23 **procedures.**  
 24 Q I know in the past that you have  
 25 brought medical literature with you to depositions.

1 Starer  
 2 Have you done that today?  
 3 **A No, I have not.**  
 4 Q Have you done any medical literature  
 5 review as part of your review of this case?  
 6 **A I didn't do anything formally. I did**  
 7 **make some notes based upon some aspects of this case**  
 8 **as it pertains to medications and infection, but I**  
 9 **didn't actually rely on any specific article or**  
 10 **textbook chapter.**  
 11 Q You said you have some handwritten  
 12 notes that you've prepared?  
 13 **A Yes.**  
 14 Q Can I take a look at those?  
 15 **A Yes, you can.**  
 16 Q I have 11 pages of notes that you just  
 17 handed me. Do you believe this encompasses all the  
 18 notes that you have written in this case?  
 19 **A Yes, I think that is it.**  
 20 MR. McKINNEY: We will mark this  
 21 11-page set of records as Deposition  
 22 Exhibit C.  
 23 (Eleven-page set of records was  
 24 marked as Deposition Exhibit C for  
 25 identification, as of this date.)

1 Starer  
 2 BY MR. McKINNEY:  
 3 Q I will hand this to you, Doctor, and  
 4 you can make sure before we leave today we get  
 5 copies of it.  
 6 Tell me generally what is contained  
 7 within those 11 pages.  
 8 **A These are notes that I write as I'm**  
 9 **reading the records, so it's really just recopying**  
 10 **facts from the records onto a piece of paper. So**  
 11 **some of it pertains to hospitalization. Some**  
 12 **pertains to the certificate of death and the autopsy**  
 13 **report. Some of it is the deposition of Dr. Turlo,**  
 14 **and then there is a page where I was just making**  
 15 **some notes on medications and sepsis as I was**  
 16 **thinking of it.**  
 17 Q Any specific opinions contained within  
 18 those notes?  
 19 **A No.**  
 20 Q Those are obviously facts that you  
 21 feel are relevant and germane to your opinions or  
 22 your role as an expert in this case; is that true?  
 23 **A Well, when I start writing, I'm not**  
 24 **always sure what is going to be pertinent or not, so**  
 25 **there might be some things here which are not.**

1 Starer  
 2 Q Other than Mr. Meyers or someone from  
 3 his office, have you spoken to anyone else about  
 4 this case?  
 5 **A No.**  
 6 Q The opinions that you have here this  
 7 morning, do you consider them to be full and final?  
 8 **A They are full as far as the**  
 9 **information I received to date.**  
 10 Q Can you tell us just for the record,  
 11 Doctor -- I think we may have already established  
 12 this, but just for the record -- just what you were  
 13 asked to do in this case?  
 14 **A My recollection is that I was asked to**  
 15 **look at the treatment of Dr. Malone by Dr. Turlo**  
 16 **following the first hospitalization.**  
 17 Q This next question may pertain to you  
 18 and you may not be able to answer it, or you may be  
 19 able to answer it.  
 20 Do you know why it is that you are not  
 21 going to be giving opinion testimony with respect to  
 22 care and treatment provided by Dr. Saker in this  
 23 case?  
 24 **A All I can say is, I've not been asked**  
 25 **to.**

Page 33

1 **Starer**

2 Q That is probably more something for

3 Mr. Meyers.

4 You've just been asked to render

5 testimony with respect to Dr. Turlo?

6 **A That is correct.**

7 Q Tell us, Doctor, what it is that you

8 have reviewed leading up to the deposition this

9 morning.

10 **A I have the records here, and I**

11 **reviewed records from Harper University Hospital. I**

12 **reviewed the records that were labeled the records**

13 **of Dr. Gerald Turlo. I reviewed an autopsy report.**

14 **I reviewed a certificate of death. And I've**

15 **reviewed the deposition of Dr. Turlo.**

16 Q Have you reviewed any other

17 depositions?

18 **A No. That's the only deposition that I**

19 **was provided.**

20 Q I would assume you have not reviewed

21 any films or pathology slides?

22 **A I have not reviewed those.**

23 Q Is there material in this case that

24 you have asked for and not received?

25 **A No.**

Page 34

1 **Starer**

2 Q Is there material in this case that

3 you believe you need to look at before giving final

4 opinions in this case?

5 **A I believe I have enough information to**

6 **give an opinion.**

7 Q You signed an affidavit of meritorious

8 claim in this case and we have marked that as

9 Deposition Exhibit B signed March 7 of 2011, and

10 within that affidavit at paragraph 13, there are two

11 subparagraphs A and B which purport to outline your

12 opinion what the standard of care required of

13 Dr. Turlo; is that correct?

14 **A That is true.**

15 Q Have your opinions changed in any way

16 since you signed this affidavit?

17 **A Only in as far as that since signing**

18 **this affidavit, I have seen the deposition of**

19 **Dr. Turlo and that I have received information about**

20 **Dr. Saker stating that he informed Dr. Turlo about**

21 **the discharge of Dr. Malone.**

22 **And with that new information, I would**

23 **be able to now say that, with that advisory, and**

24 **that discussion with Dr. Saker, that Dr. Turlo was**

25 **now obligated to obtain laboratory studies on**

Page 35

1 **Starer**

2 **Dr. Malone earlier than January 15th.**

3 Q Just so I'm clear, you have not

4 reviewed Dr. Saker's deposition?

5 **A No, I have not.**

6 Q You have been provided with some

7 information that Dr. Saker allegedly testified that

8 he spoke with Dr. Turlo at the time of Dr. Malone's

9 discharge?

10 **A As was represented to me, yes.**

11 Q You have not read in context

12 specifically what his testimony was in that regard?

13 **A No, I have not.**

14 Q Based upon information provided to

15 you, I'm assuming by counsel, you have expanded your

16 opinions beyond your affidavit of merit in this case

17 to add another breach; is that what you're saying?

18 **A Yes.**

19 Q And that breach is that studies,

20 specifically blood studies, should have been

21 obtained relative to this patient prior to

22 January 15th?

23 **A That is true.**

24 Q What, specifically, is your opinion

25 that the standard of care required of Dr. Turlo

Page 36

1 **Starer**

2 assuming hypothetically that Dr. Turlo was notified

3 of something by Dr. Saker at the time of discharge

4 on January 9th?

5 **A Based on the dialogue that would take**

6 **place, the standard of care would require Dr. Turlo**

7 **to continue to monitor Dr. Malone's renal function**

8 **on a timely basis and while at the hospital, the**

9 **renal function was not being monitored any less than**

10 **every two days and that should have been continued**

11 **until it had normalized.**

12 Q Give me your definition of standard of

13 care.

14 **A Standard of care is what an ordinary**

15 **and prudent physician would do in the same or**

16 **similar circumstances.**

17 Q So it's your testimony that the

18 standard of care required Dr. Turlo to ensure that

19 additional lab studies were done relative to the

20 patient's kidney function on an every-other-day

21 basis?

22 **A No less than every other day, yes.**

23 Q The standard of care would not have

24 allowed for blood draws three times a week? It

25 would have required more than that?

1 Starer

2 **A Three times a week -- I mean, every**

3 **three days -- I think initially it should have been**

4 **within two days.**

5 Q Now you say you think. Is that what

6 you are testifying here today the standard of care

7 required?

8 **A Yes.**

9 Q What specifically needed to be checked

10 every two days?

11 **A Well, it needed to be checked at least**

12 **initially within two days and then based upon the**

13 **result, a decision would be made as to when the next**

14 **one should be obtained, but the blood urea nitrogen**

15 **and creatinine should have been checked within two**

16 **days.**

17 Q And when you said until it normalizes,

18 what would normal for a patient like John Malone be

19 of BUN and creatinine?

20 **A The normal for John Malone is his own**

21 **normal, and we would have seen that in early January**

22 **of 2009, he had a BUN between 16 and 18 and a**

23 **creatinine between 1 and 1.2. So that actually is**

24 **his normal.**

25 Q Now, those are just levels taken from

1 Starer

2 the hospital chart and you are referring to a page

3 within what we have marked as Deposition Exhibit C?

4 **A I am referring to a page of my notes**

5 **which is copied over from the records, yes.**

6 Q And those are two numbers that are

7 taken from that list from early January?

8 **A Well, there's actually more numbers**

9 **there, but I was giving you a range.**

10 Q Are you basing your range on what the

11 averages were between December 21, 2008, and

12 January 9 of 2009, or are you just picking two

13 numbers out that you believe look like they fall

14 within the normal range?

15 **A Well, to be accurate, between and**

16 **including December 31st, 2008, and January 4th,**

17 **2009, the values were within the normal range.**

18 Q Which was? What were the values

19 during that time frame?

20 **A On January -- let's start with**

21 **December 31st 2008, the blood urea nitrogen was**

22 **20, the creatinine was 1. On January 1, 2009, the**

23 **blood urea nitrogen was 20 and the creatinine was**

24 **1.1. On January 2nd of 2009, the blood urea**

25 **nitrogen was 18, the creatinine was 1. On**

1 Starer

2 **January 3rd, 2009, the blood urea nitrogen was 16,**

3 **the creatinine was 1. On January 4th, 2009, the**

4 **blood urea nitrogen was 18 and the creatinine was**

5 **1.2. Following that, there started to be increases.**

6 Q Why, in your opinion, did the

7 patient's creatinine and BUN levels need to be

8 checked on an every-other-day basis?

9 **A I said no less than every other day.**

10 **Well, the reason it needed to be**

11 **checked is beginning on January 6th, 2009, there**

12 **is evidence that the values are starting to**

13 **increase. Two days later, it increases even**

14 **further. So they were checking it in the hospital**

15 **every two days, and this is where I saw that you**

16 **shouldn't wait any longer than two days before you**

17 **check the next one.**

18 Q Do you have an opinion as to

19 specifically why those values were increasing?

20 **A Well, more likely than not, the reason**

21 **the values are increasing is a medication effect.**

22 Q Any particular medication?

23 **A There is a medication he is receiving**

24 **called Bactrim, which is an antibiotic. It's a**

25 **combination medication of two things: One is sulfur**

1 Starer

2 **methoxazole and the other one is trimethoprim.**

3 Q When did he start taking Bactrim?

4 **A I know that the Bactrim was given on**

5 **January 2nd, 2009, and then continued until the**

6 **time of discharge on January 9th, 2009.**

7 Q Tell me that date again. I'm sorry.

8 **A It was given on January 2nd, 2009,**

9 **January 3rd, January 4th, January 5th,**

10 **January 6th, January 7th, January 8th and**

11 **January 9th.**

12 Q For discharge planning, to continue

13 orally at home?

14 **A That is correct.**

15 Q Is it your opinion, Doctor, that

16 Bactrim has a nephrotoxic effect?

17 **A That has been reported with the**

18 **medication, yes.**

19 Q What is your opinion of whether or not

20 Bactrim has a nephrotoxic effect?

21 **A I do believe that is true.**

22 Q Would you agree that vancomycin also

23 has a nephrotoxic effect?

24 **A Yes.**

25 Q Are you able to give expert testimony

Page 41

1 Starer

2 with respect to the degree of nephrotoxicity between

3 vanco and Bactrim?

4 **A I'm not sure what you mean by "the**

5 **degree."**

6 Q Is there a difference in

7 nephrotoxicity between those two drugs?

8 **A Well, there are the variables that**

9 **take place and it has to do with the exposure to**

10 **each drug. I don't know if I can say that one is**

11 **more nephrotoxic than the other in isolation.**

12 Q Dr. Malone began taking vancomycin for

13 his infected big toe on December 22nd of 2008. Is

14 that your recollection of your review of this case?

15 **A Vancomycin was given on December 23,**

16 **2008, and the 24th and 25th and continued to the**

17 **28th of December.**

18 Q The patient was also taking Unison?

19 **A That is true.**

20 Q Does Unison also have a nephrotoxic

21 effect?

22 **A I don't -- there may be some**

23 **nephrotoxic effect, but not to the extent of the**

24 **other medications.**

25 Q The patient was taking clindamycin?

Page 42

1 Starer

2 **A This is true at some point, the**

3 **patient was taking clindamycin as well.**

4 Q Does clindamycin have a nephrotoxic

5 effect?

6 **A It may have an effect.**

7 Q The patient was taking Zosyn during

8 the admission?

9 **A There was a notation in the records of**

10 **Zosyn, but it doesn't actually say -- I don't have**

11 **the records saying when it was actually given.**

12 Q Assuming for the sake of argument --

13 and the records can bear this out one way or

14 another -- does Zosyn have a nephrotoxic effect?

15 **A It may have a nephrotoxic effect.**

16 Q What is your opinion, Doctor, as to

17 what monitoring the kidney function via the BUN and

18 creatinine would have resulted in as far as lab

19 results between January 9th and January the

20 15th?

21 MR. MEYERS: Could you read the

22 question back, please?

23 MR. McKINNEY: Let me rephrase it.

24 It's not a good question.

25 Q If Dr. Malone goes home on

Page 43

1 Starer

2 January 9th, Doctor, it's your opinion that the

3 first blood draw at home should have been the 10th

4 or the 11th?

5 **A The 10th.**

6 Q Then continuing on, for example, the

7 10th, then the 12th and then the 14th, moving

8 forward?

9 MR. MEYERS: Form.

10 **A Well, depending on what the results**

11 **were on the 10th that would have then informed**

12 **when the next study should have been done.**

13 Q Based on your review of this case,

14 your review of the fact that the patient was on some

15 sort of nephrotoxic antibiotic dating back into

16 December of 2008, can you map out what you believe

17 the creatinine and/or BUN levels would have been had

18 labs been drawn on the 10th, the 12th, and the

19 14th?

20 **A Yes, and I'm basing that on what they**

21 **were on January 8th and what they were on**

22 **January 14th and the creatinine level, more likely**

23 **than not, would have been elevated on the 10th and**

24 **the 12th.**

25 Q Can you create some sort of a graph as

Page 44

1 Starer

2 far as what numbers you believe that the creatinine

3 level would have been on the 10th or the 12th or

4 the 14th?

5 **A Could I draw a picture? Yes,**

6 **probably.**

7 Q And what would the basis of you

8 drawing that picture be?

9 **A What I would do is, to draw the**

10 **picture, I would make a graph. One axis would be**

11 **time and the other would be creatinine levels. I**

12 **would plot in the values that we have and then I**

13 **would extrapolate to connect the dots.**

14 Q How far back would you begin that

15 graph based upon the information that you have there

16 in Exhibit C which appears to me to be the

17 creatinine and BUN levels during the admission?

18 **A I can do it as far back as you want**

19 **because it's a graph.**

20 Q I'd like, Doctor, for us to take a

21 quick break and during that break, I would like you

22 to draw a graph of what you believe the creatinine

23 levels would have been and the BUN levels would have

24 been from the time of the record that you have in

25 front of you until the time that John Malone came

Page 45

1 Starer

2 back to the hospital on January 19th.

3 **A Okay.**

4 **(Whereupon, a recess was taken from**

5 **12:15 p.m. to 12:27 p.m.)**

6 **PERRY STARER, MD, called as a witness,**

7 **having been previously sworn/affirmed,**

8 **was examined and testified further:**

9 **BY MR. McKINNEY:**

10 Q Doctor, during the break, you have

11 prepared for me a graph of what you believe the

12 creatinine levels would have been had lab draws been

13 done between January the 10th and January the

14 19th; is that fair?

15 **A Yes.**

16 MR. McKINNEY: And for the record,

17 we will mark that as Deposition Exhibit D.

18 (Graph was marked as Deposition

19 Exhibit D for identification, as of this

20 date.)

21 **BY MR. McKINNEY:**

22 Q Tell us, Doctor, just for the record,

23 what it is that you have done there.

24 **A I created a graph, as you asked me to,**

25 **with two axes: One is creatinine and the other is**

Page 46

1 Starer

2 **time. Taking the variables that we already know the**

3 **answers to, and that is the lab tests that we have**

4 **for creatinine, I put them onto the graph. Then**

5 **from the information that we have, I extrapolated**

6 **what I believe is the trajectory of the lab values.**

7 Q And you've created this graph, so I'm

8 assuming that you believe with reasonable medical

9 probability that had draws been done on the dates

10 corresponding, that would have roughly been what the

11 creatinine levels would have been; is that a fair

12 statement?

13 **A That's right. Since the creatinine**

14 **levels were not actually drawn on those dates, based**

15 **on my experience, more likely than not, they would**

16 **have been very close to where I drew the lines.**

17 Q Your graph begins at December 31st

18 of 2008, and the creatinine level on that date was

19 1.0?

20 **A That is true.**

21 Q And if we extrapolate out to the

22 14th, it is 1.66?

23 **A That's true.**

24 Q So, therefore, there would be a rise

25 over that 14-day or two-week period a little over --

Page 47

1 Starer

2 well, approximately two-thirds of a gram?

3 **A Yes, you could say that, yes.**

4 Q And it's your opinion that the rise is

5 only secondary to antibiotics being given during

6 that time frame, or are there other contributing

7 factors from your perspective?

8 **A I think to say it more accurately,**

9 **based on my review of the entire case, that although**

10 **there might be other contributing factors, it is the**

11 **Bactrim that was the significant contributing**

12 **factor.**

13 Q So, therefore, you are making the

14 assumption that in Dr. Malone's case the Bactrim had

15 a greater nephrotoxic effect than the vancomycin

16 that he had been taking back earlier in December of

17 2008?

18 **A I would say based on my review of the**

19 **records and based on the clinical condition that at**

20 **that time the Bactrim is having the greater impact.**

21 Q And just so that I'm clear, you are

22 not prepared to testify in this case

23 pharmacologically why that is the scenario with

24 Dr. Malone?

25 **A Well, there may be a pharmacological**

Page 48

1 Starer

2 **discussion in there, but I'm also basing it on his**

3 **clinical conditions and the impressions of the other**

4 **physicians who were caring for Dr. Malone at the**

5 **time.**

6 Q Would you agree, Doctor, that septic

7 shock is something that can cause a patient's

8 creatinine level to rise?

9 **A Septic shock certainly can cause renal**

10 **failure. Insofar as there is renal failure, there**

11 **will be a rise in creatinine.**

12 Q Do you have an opinion as to why it is

13 on this graph that we have such a significant rise

14 between the 14th and the 19th in comparison to

15 the graph between December 31st and

16 January 14th?

17 **A Just to be clear, I don't have any**

18 **values between the 14th and the 19th, so**

19 **somewhere between there, there is a rise but because**

20 **the labs were not checked, I can't tell you if it**

21 **occurred on the 15th or the 16th, but the reason**

22 **that there is a rise is that he is going into renal**

23 **failure.**

24 Q You will agree that, aside from a

25 causation argument, from a medical doctor's

1 Starer

2 perspective, a creatinine level of 4.1 means that

3 the patient is in renal failure?

4 **A Well, there may be other reasons for**

5 **creatinine levels to go up, but in this particular**

6 **case, it means he is in renal failure.**

7 Q What are other reasons why a

8 creatinine level would rise?

9 **A The creatinine level could rise if the**

10 **gentleman had ingested something that has a lot of**

11 **muscle material in it, if he is having breakdown of**

12 **his own muscles, but that is not the case here.**

13 Q Why?

14 **A There is no evidence of it and, more**

15 **likely than not, the reason his creatinine is going**

16 **up is because of renal failure.**

17 Q Can a patient suffering from pneumonia

18 go into renal failure?

19 **A Yes. In general, yes.**

20 Q Are you saying, Doctor, just following

21 up on your testimony here, that he could have

22 continued on the same course up to, for example, the

23 17th or even the 18th before the significant

24 rise in the creatinine level up to 4.1?

25 **A There's a possibility that it may not**

1 Starer

2 **have been 4.1 on as late as the 18th.**

3 Q Based on your review in this case,

4 based upon the evidence that you have available to

5 you, based upon your knowledge, training and

6 experience as an internist, is it your opinion that

7 the rise in his creatinine level between the 14th

8 and the 19th was as it appears on your graph, or

9 do you believe that it continued on the same course

10 it had been until a certain date at which time it

11 then jumped to 4.1?

12 **A Based upon my clinical experience, I**

13 **can say that I cannot tell you with certainty if it**

14 **jumped on the 18th or the 19th, but more likely**

15 **than not, seeing what his clinical condition was, it**

16 **probably went up to such an elevated level closer to**

17 **the 19th than it did to the 14th.**

18 Q So the reality is, with respect to a

19 medical degree of certainty, that line likely

20 continued on closer to the 19th as opposed to

21 rising the way that it is currently drawn?

22 **A More likely than not, it was elevated**

23 **and it wasn't going down. As to when it actually**

24 **went up, I can only extrapolate between the two**

25 **points.**

1 Starer

2 Q Do you have any evidence as to his

3 clinical condition changing between the 14th and

4 the 19th?

5 **A The evidence I have is that on the**

6 **15th of January he was still hemodynamically**

7 **stable. He was seen by a visiting nurse. He wasn't**

8 **hypotensive and he wasn't febrile. I do know on the**

9 **19th, he was seen by Dr. Rubin at which time he**

10 **was sick. There is no information that I have**

11 **available right now for the intervening days.**

12 Q So we can agree then at least with

13 respect to the 15th that his creatinine level is

14 probably closer to what it was on the 14th?

15 MR. MEYERS: Form and foundation.

16 **A Oh, yes.**

17 Q And if his condition continued, if he

18 was continually hemodynamically stable until the

19 19th, then that significant rise likely did not

20 occur at least with a reasonable degree of medical

21 certainty until the 19th; is that fair?

22 MR. MEYERS: Form and foundation.

23 **A Well, the way I actually do the graph,**

24 **on the 18th, the creatinine is still 2.5 or less,**

25 **so it doesn't really go up on my graph until the**

1 Starer

2 **last day.**

3 Q So you believe with a reasonable

4 degree of medical certainty that the graph that you

5 have drawn as Deposition Exhibit D is most likely

6 the course that the creatinine levels took during

7 the time that we're discussing?

8 MR. MEYERS: Form and foundation.

9 **A Yes, based on the information that we**

10 **have and based on my clinical experience, this is**

11 **the closest I think I can give you.**

12 Q Have you heard of something, Doctor,

13 that is referred to as creatinine clearance?

14 **A Yes.**

15 Q What does that mean?

16 **A The idea of creatinine clearance is to**

17 **measure kidney function based upon how it is able to**

18 **filter out certain entities.**

19 **So the idea of creatinine as it**

20 **pertains to kidney function is, this is a material**

21 **which the kidney is supposed to clear from the body.**

22 **If it doesn't function well, then the creatinine**

23 **will accumulate and this is why the creatinine level**

24 **is elevated.**

25 **So if there is a decreased creatinine**



1 Starer  
 2 when he presented back to the hospital on January  
 3 19th; isn't that true?  
 4 **A Yes, he had it for a while.**  
 5 Q Do you have an explanation for low  
 6 platelets other than either portal hypertension,  
 7 cirrhosis, or a combination of those two?  
 8 **A No. My understanding of the low**  
 9 **platelets is that it had existed for a while and was**  
 10 **secondary to splenomegaly. There is a possibility**  
 11 **that Bactrim may have contributed to the**  
 12 **thrombocytopenia as well.**  
 13 MR. MEYERS: Form and foundation to  
 14 the last.  
 15 Q We can agree, Doctor, that the most  
 16 likely scenario to the low platelet count would be  
 17 secondary to portal hypertension and/or cirrhosis?  
 18 **A Yes, if it's a chronic condition that**  
 19 **would be the best explanation.**  
 20 Q You saw the autopsy report? I think  
 21 you testified to that earlier.  
 22 **A Yes, I did.**  
 23 Q You saw the spleen was 610 grams?  
 24 While you look for it, if it were  
 25 610 grams, you would agree that is severely

1 Starer  
 2 congested?  
 3 **A I would agree that it is enlarged,**  
 4 **yes.**  
 5 Q Can we agree that a normal spleen is  
 6 approximately 155 grams?  
 7 **A I would agree that it is an enlarged**  
 8 **spleen, yes.**  
 9 Q It's severely enlarged; isn't it,  
 10 Doctor?  
 11 **A Yes, yes.**  
 12 Q You would agree that a severely  
 13 enlarged spleen in a patient with a history of  
 14 alcohol use, the most likely explanation for that  
 15 would be cirrhosis?  
 16 **A In a patient who has cirrhosis, yes.**  
 17 Q Is it your testimony that you do not  
 18 believe, with a reasonable degree of certainty, that  
 19 John Malone had cirrhosis?  
 20 **A No. I was just answering the previous**  
 21 **question where you said the patient drinks alcohol.**  
 22 **You didn't say that they had cirrhosis.**  
 23 Q Can we agree that John Malone most  
 24 likely had cirrhosis?  
 25 **A Yes.**

1 Starer  
 2 Q Let me circle back for one second,  
 3 Doctor, and then we will continue on this course.  
 4 With respect to that criticism of  
 5 Dr. Turlo, can we agree that if the facts bear out  
 6 that Dr. Saker did not speak with Dr. Turlo with  
 7 respect to the patient's lab results, that the  
 8 standard of care would then not have required  
 9 Dr. Turlo to be involved in the discharge planning  
 10 with respect to blood draws on creatinine and BUN?  
 11 MR. MEYERS: Form.  
 12 **A Not necessarily.**  
 13 Q My understanding of your prior  
 14 testimony, and I may be wrong, was that you were  
 15 told that Dr. Saker said in his deposition that he  
 16 told Dr. Turlo, "We're discharging Dr. Malone. Here  
 17 are his lab results," and based upon that  
 18 communication between Dr. Saker and Dr. Turlo,  
 19 Dr. Turlo then was required under the standard of  
 20 care to ensure that creatinine and BUN at a minimum  
 21 were followed on an every-other-day basis?  
 22 **A Yes, if he was told that the patient**  
 23 **was being discharged, yes.**  
 24 Q My question, my follow-up to you is:  
 25 You've added that to your affidavit based upon

1 Starer  
 2 representations from Dr. Saker's deposition?  
 3 **A That is correct.**  
 4 Q If Dr. Saker did not communicate that  
 5 information to Dr. Turlo, then you would agree that  
 6 would no longer be a criticism of yours with respect  
 7 to Dr. Turlo?  
 8 **A Specifically, as it applies to**  
 9 **repeating the lab test within two days of discharge.**  
 10 Q That's right.  
 11 **A Yes.**  
 12 Q Okay. Did you see anything in  
 13 Dr. Turlo's deposition that would suggest to you  
 14 that he was armed with specific information relative  
 15 to this patient as of January the 9th that you  
 16 believe would have required him under the standard  
 17 of care to be involved in the discharge planning on  
 18 BUN and creatinine level draws?  
 19 **A I don't see anything specifically**  
 20 **referring to January the 9th.**  
 21 Q So there was nothing in Dr. Turlo's  
 22 deposition that changed your opinions with respect  
 23 to your original thoughts on his breaches of the  
 24 standard of care?  
 25 **A As put forth in my affidavit, that's**



1 Starer  
 2 than that.  
 3 Did Dr. Turlo know based on evidence  
 4 that you reviewed that labs were being drawn on  
 5 January the 14th?  
 6 **A My understanding is that he ordered**  
 7 **the labs. Whether he knew whether they were**  
 8 **actually drawn or not, I can't say, but more likely**  
 9 **than not, if a doctor orders the labs to be drawn,**  
 10 **he would understand that they are going to be drawn.**  
 11 Q But, again, my question is more  
 12 specific than that.  
 13 We can agree that you have not seen  
 14 any evidence in this case that says to you,  
 15 Dr. Starer, that Dr. Turlo knew that labs were being  
 16 drawn on Dr. Malone on January 14, 2009?  
 17 **A Well, based upon Dr. Turlo's**  
 18 **deposition, there seems to be a lot of stuff that he**  
 19 **doesn't know.**  
 20 Q Was Dr. Turlo involved in the  
 21 admission from December 21st of 2008 through  
 22 January 9th of 2009 as far as you can tell from the  
 23 records?  
 24 **A As far as the records, I can tell he**  
 25 **is listed as the primary-care physician. According**

1 Starer  
 2 **to his deposition, he wasn't involved in the care in**  
 3 **the hospital aside from what he describes as social**  
 4 **visits.**  
 5 Q So he was not involved as far as you  
 6 can tell in any of the management decisions that  
 7 were made relative to this patient?  
 8 **A As far as I can tell, he was not.**  
 9 Q Have you seen any paperwork that would  
 10 tell you that Dr. Turlo ordered these lab draws?  
 11 **A Aside from the lab itself where he is**  
 12 **listed as the physician, I do not see any other**  
 13 **paperwork.**  
 14 Q It's not uncommon for the patient's  
 15 primary-care physician to receive a courtesy copy of  
 16 labs or other diagnostic testing relative to their  
 17 specific patient; is that true?  
 18 **A Although that is not uncommon, in this**  
 19 **particular case, Dr. Turlo is the only physician's**  
 20 **name on the final report of the lab results. There**  
 21 **is no indication that anyone else was involved in**  
 22 **obtaining this study.**  
 23 Q So how is it that Dr. Turlo would  
 24 become involved in obtaining that study if you have  
 25 not seen any evidence that he was involved in the

1 Starer  
 2 care and treatment during the admission at issue?  
 3 Are you making certain assumptions in this case?  
 4 **A No, this is actually obtained -- it's**  
 5 **collected on January 14th, 2009. This is after**  
 6 **the hospitalization. This is when the patient is --**  
 7 **when the patient, Dr. Malone, is an outpatient.**  
 8 Q We can agree that likely what happened  
 9 is that the visiting nurses went to his home, they  
 10 were treating him, and as part of their protocol for  
 11 that particular day, they drew lab tests?  
 12 **A In order to agree with that, that**  
 13 **means that the visiting nurses were providing care**  
 14 **under the supervision of Dr. Turlo.**  
 15 Q Why do you assume that they were  
 16 providing care under his supervision?  
 17 **A Because the visiting nurse needs to be**  
 18 **directed by a physician, needs to collaborate with a**  
 19 **physician, and Dr. Turlo's name is the only name**  
 20 **which appears on this laboratory report.**  
 21 Q In your experience, should any  
 22 additional names be contained on that lab report?  
 23 **A The name of the physician who submits**  
 24 **the request needs to be on the report. Additional**  
 25 **names could be put on there if you want to send**

1 Starer  
 2 **courtesy copies, but the only name on this report is**  
 3 **Dr. Turlo's.**  
 4 Q So are you saying that in your  
 5 experience typically the only name that appears on  
 6 an outpatient lab report is the physician who  
 7 specifically orders that lab?  
 8 **A I'll say the main name is because,**  
 9 **one, the lab has to be ordered by a physician; two,**  
 10 **there is a billing aspect, and other names could be**  
 11 **put on if you want to send copies, but the**  
 12 **submitting physician is listed as Dr. Turlo, and**  
 13 **they actually list his physician -- his doctor's**  
 14 **code on here as well. There is no other doctor's**  
 15 **name or identifying doctors here.**  
 16 Q And what lab was that blood tested at?  
 17 **A St. John Clinical Pathology**  
 18 **Laboratories.**  
 19 Q Do you have any idea as to whether or  
 20 not Dr. Turlo has any affiliation with St. John  
 21 Clinical Laboratories?  
 22 **A I don't know whether a doctor is**  
 23 **affiliated with a laboratory or is just contracting**  
 24 **out. I can't tell you what his relationship is**  
 25 **aside from it seems, in this particular case, that**



1 Starer

2 **A Yes.**

3 Q And we know that he testified that he

4 went and made some social stops in Dr. Malone's

5 hospital room?

6 **A Yes.**

7 Q Let's assume, hypothetically, Doctor,

8 that Dr. Turlo knew that the patient was being

9 discharged on the 9th, but he was not the ordering

10 physician for these labs, nor did he know that the

11 labs were being drawn on the 14th, or any other

12 day for that matter, would you agree that he would

13 not be the one that would have breached the standard

14 of care with respect to follow-up of these lab

15 results?

16 MR. MEYERS: Form.

17 **A If he knew that Dr. Malone was being**

18 **discharged on the 9th and Dr. Turlo knew that he**

19 **would be the primary-care doctor, then he would also**

20 **know that Dr. Malone was to have laboratory studies**

21 **done once a week.**

22 Q I understand that, but would that mean

23 he would have breached the standard of care if he

24 did not know what that one day a week was, nor did

25 he have lab results available in his hand?

1 Starer

2 MR. MEYERS: Form.

3 **A If he's the -- if he knows that the**

4 **patient to whom he is going to be providing care for**

5 **is being discharged, then he knows that week**

6 **something needs to be done, either he is going to**

7 **order it or someone else ordered it for him, but he**

8 **would have to at least make that inquiry: "Did**

9 **somebody else order the tests? Or should I order?"**

10 **At worst, he may have ended up duplicating the lab**

11 **test.**

12 Q Now we know there was a communication

13 between Dr. Malone and Dr. Turlo after Dr. Malone

14 went home?

15 **A Yes.**

16 Q There was at least one phone call

17 between the two?

18 **A Yes.**

19 Q What was the reason for that phone

20 call as far as you understand it?

21 **A According to the deposition of**

22 **Dr. Turlo, a letter needed to be written for**

23 **Dr. Malone to excuse him from an appointment of some**

24 **kind.**

25 Q It wasn't just an appointment, was it,

1 Starer

2 Doctor? It was an actual court appearance; isn't

3 that true?

4 **A I don't remember the exact nature of**

5 **it, but he may have had an appointment or scheduled**

6 **appearance somewhere.**

7 Q What is your understanding as to the

8 reason for that appointment?

9 **A I don't have enough detail to give you**

10 **an answer.**

11 Q You recall reading the letter that

12 Dr. Turlo prepared on Dr. Malone's behalf that was

13 dated January 10th of 2009?

14 **A Actually, I don't recall the details**

15 **of it.**

16 Q Have you reviewed at some point a

17 letter that Dr. Turlo prepared on Dr. Malone's

18 behalf?

19 **A I might have, but I didn't take notes**

20 **on it.**

21 Q I will show you this. It's a

22 three-page document and it specifically indicates

23 within that letter that Dr. Malone will not be able

24 to make any court appointments until his recovery is

25 complete.

1 Starer

2 Do you recall reading that?

3 **A I recall something about an**

4 **appointment.**

5 **(Perusing.) Yes, this looks familiar.**

6 Q That refreshes your recollection as to

7 Dr. Turlo preparing that letter on Dr. Malone's

8 behalf?

9 **A Yes, it does.**

10 Q Any idea what court appointment that

11 Dr. Malone was missing because of his condition?

12 **A I can't say with certainty, no.**

13 Q Do you have any information at all

14 available to you that would allow you to make an

15 educated assumption as to what that was for?

16 **A Not based upon this letter.**

17 Q How about based on any information you

18 reviewed in this case?

19 **A I think there might have been a**

20 **discussion of it in the deposition, but I didn't**

21 **take notes on the actual specifics. I would have to**

22 **review the deposition again.**

23 Q Is it your understanding that he may

24 have had a DUI somewhere in the state and needed to

25 appear in court on behalf of that specific issue and

1 Starer  
 2 this letter was being written for him to excuse him  
 3 from that appointment?  
 4 **A It doesn't say that specifically in**  
 5 **the letter, but that might have been the case.**  
 6 Q That's the only other evidence that  
 7 you have seen in the case that would suggest what I  
 8 indicated to you just now, right?  
 9 **A Yeah, I really don't know much about**  
 10 **that aspect.**  
 11 Q Hypothetically, if Dr. Malone had  
 12 received a DUI within a recent period of time  
 13 leading up to this letter, you would agree that he  
 14 obviously must have been continuing to drink up to  
 15 that point in time?  
 16 **A I don't really know what happened.**  
 17 Q That's a terrible question.  
 18 MR. MEYERS: It sounds like Judge  
 19 Judy.  
 20 Q Based upon your experience, Doctor, an  
 21 individual doesn't receive a DUI unless they have  
 22 been drinking alcohol; is that fair?  
 23 **A I don't have experience with that, so**  
 24 **I don't know what happens.**  
 25 Q But this letter does refresh your

1 Starer  
 2 follow up with him?  
 3 **A I don't remember the details as to**  
 4 **when it was, but I think there might have been a**  
 5 **discussion about having a follow-up appointment on**  
 6 **the 21st of January.**  
 7 Q All things being equal, we can agree  
 8 that that would have been a reasonable follow-up  
 9 time for a patient being discharged from the  
 10 hospital.  
 11 MR. MEYERS: Form and foundation.  
 12 **A Well, in general, it is reasonable.**  
 13 Q Now, you indicated the alternatives on  
 14 January 15th -- assuming Dr. Turlo was contacted  
 15 by the lab with respect to the creatinine level, the  
 16 options available to Dr. Turlo at that time had he  
 17 received that information on that date were to  
 18 either have the patient re-present to the hospital  
 19 or be followed up by a physician?  
 20 **A That is true.**  
 21 Q Follow up with a physician would have  
 22 been at what point following January 15th?  
 23 **A Well, it would have been the same day.**  
 24 Q And what exactly would the standard of  
 25 care have required of that physician at that

1 Starer  
 2 recollection as to the fact that this letter was  
 3 written by Dr. Turlo dated January 10, 2009, sent to  
 4 Robert Whims indicating that Dr. Malone would not be  
 5 able to make any court appointments?  
 6 **A That sounds right.**  
 7 Q Other than that, you have no  
 8 additional information with respect to this specific  
 9 issue?  
 10 **A I don't really know what happened**  
 11 **there.**  
 12 Q Tell me, Doctor, what your  
 13 understanding is of the conversation between  
 14 Dr. Malone and Dr. Turlo on January -- or around  
 15 January 10, when Dr. Malone called Dr. Turlo and  
 16 asked that he author this letter for him?  
 17 **A All I really know is that a request**  
 18 **was made for a letter and the letter was written. I**  
 19 **don't know much more about the conversation.**  
 20 Q During Dr. Turlo's deposition he did  
 21 talk about additional things that were discussed  
 22 with Dr. Malone at that time; isn't that true?  
 23 **A There might have been some things**  
 24 **which were discussed. I just don't recall.**  
 25 Q Including when Dr. Malone was going to

1 Starer  
 2 appointment?  
 3 **A At that appointment, if it took place,**  
 4 **the physician would examine Dr. Malone, would ask**  
 5 **questions, and possibly order additional tests.**  
 6 Q Assuming, for the sake of argument,  
 7 January 15th was a Friday. Would it have been  
 8 reasonable for a call to have been made to  
 9 Dr. Malone asking him to follow up on with a  
 10 physician on Monday morning?  
 11 **A No.**  
 12 Q The standard of care would have  
 13 required, based upon your position, that Dr. Turlo  
 14 tell the patient to either go to the hospital that  
 15 day or come to his office that day or go see another  
 16 physician that day?  
 17 **A Right.**  
 18 Q We can agree that it is not within  
 19 Dr. Turlo's control as to whether or not Dr. Malone  
 20 would have actually taken that advice, fair?  
 21 **A We can agree to that.**  
 22 Q If Dr. Malone was provided with that  
 23 information and he chose not to follow up at a  
 24 physician's office or re-present back to the  
 25 hospital that no longer would then fall on Dr. Turlo

1 Starer

2 as being an alleged breach of the standard of care;

3 we can agree with that?

4 **A If the information was communicated**

5 **properly to Dr. Malone in a way that Dr. Malone was**

6 **able to understand it and then he still refused to,**

7 **I guess, comply with the recommendation and**

8 **understood the consequences, then we cannot blame**

9 **Dr. Turlo.**

10 Q Any other breaches of the standard of

11 care that you believe Dr. Turlo was responsible for

12 with respect to his involvement in Dr. Malone's

13 care?

14 **A No. Just in general what we spoke**

15 **about.**

16 Q Do you have an opinion, Doctor, as to

17 whether antibiotic therapy was going to be

18 successful in eradicating the bacteria from

19 Dr. Malone; thereby, avoiding the necessity for an

20 amputation?

21 **A Yes, if implemented early enough, an**

22 **amputation, more likely than not, could have been**

23 **avoided.**

24 Q Now, when you say "early enough," do

25 you mean earlier than December 21 or 22?

1 Starer

2 **A Earlier than -- oh, December. I**

3 **thought you were talking about the January.**

4 Q I'm talking in general. My question

5 is really a general question.

6 It is your opinion that amputation

7 could have been avoided with respect to Dr. Malone?

8 **A If we are going back to December, he**

9 **was on antibiotics and didn't have an amputation, so**

10 **it was avoided then, and then later on I believe**

11 **that it could have been avoided.**

12 Q Would the antibiotic regimen have

13 changed in your opinion, or do you believe just

14 continual antibiotic therapy would have ultimately

15 conquered, for lack of a better word, this bacteria,

16 therefore, avoiding the necessity of amputating that

17 toe?

18 **A It may have been a combination of**

19 **things. Since it wasn't done, we have to say more**

20 **likely than not antibiotics plus a drainage**

21 **procedure would have avoided an amputation.**

22 Q That is exactly what he received

23 during his time at Harper Hospital was antibiotic

24 therapy and drainage procedures by Dr. Till; isn't

25 that true?

1 Starer

2 **A During the December admission.**

3 Q December through January 9th?

4 **A Yes.**

5 Q We can agree that did not successfully

6 completely eradicate the bacteria?

7 **A Well, whether it eradicated and it**

8 **recurred or it persisted, we can agree that**

9 **Dr. Malone still had problems.**

10 Q Dr. Malone still had an ongoing

11 infection when he went home on January 9th; didn't

12 he?

13 **A That is true.**

14 Q You are not a podiatrist nor are you

15 an orthopedic surgeon? That's fair?

16 **A That is a fair statement.**

17 Q I would assume that you did not

18 consider yourself an expert with respect to whether

19 amputation is a necessary option for someone like

20 Dr. Malone?

21 **A I would say that I have certainly**

22 **participated in these decisions. However, I do not**

23 **perform amputations.**

24 Q With respect to the type of bacteria

25 that was growing in Dr. Malone's toe, was that

1 Starer

2 bacteria that, in your opinion, could have

3 eradicated with appropriate antibiotic therapy?

4 **A It may have required some assistance**

5 **of a surgical procedure of some kind.**

6 Q Can we agree that based upon the

7 evidence that you have reviewed to date Dr. Malone

8 did not want an amputation?

9 MR. MEYERS: Form and foundation.

10 **A I don't know with certainty whether he**

11 **wanted one or not.**

12 Q I'm asking you based on the evidence

13 that you have reviewed in the case to this point, do

14 you have an opinion one way or the other.

15 **A I can't say at which point he would**

16 **have agreed or disagreed. I can't say with**

17 **certainty.**

18 Q Can we agree that if he would have had

19 an amputation, that would have impacted his ability

20 to be a surgeon?

21 **A It may or may not have depending on**

22 **the rehabilitation process.**

23 Q How long would that have taken for a

24 man of Dr. Malone's age and body habitus?

25 **A It could take a few months, but it can**



1 Starer  
 2 extremity edema; isn't that true?  
 3 **A Well, it's a different sequence of**  
 4 **events. That's hypothetical.**  
 5 Q Based upon your review of this case,  
 6 Dr. Starer, what were John Malone's comorbidities as  
 7 of January 2009?  
 8 **A He had high blood pressure. He had**  
 9 **hepatitis C. He had gouty arthritis.**  
 10 Q Cirrhosis?  
 11 **A I'm sorry. Cirrhosis of the liver.**  
 12 Q Anything else that you are aware of?  
 13 **A Those are the ones that I remember.**  
 14 Q You would agree that each of those  
 15 contributing to a decrease in the patient's life  
 16 expectancy would be dependent in part on the length  
 17 of time that he suffered from each of them?  
 18 **A They are -- if they are uncontrolled,**  
 19 **some of them may contribute. I'm not sure if gouty**  
 20 **arthritis is going to have much of an impact on life**  
 21 **expectancy.**  
 22 Q Even if high blood pressure, hep C or  
 23 cirrhosis are controlled, they still will contribute  
 24 to a decrease in life expectancy. Do you agree with  
 25 that?

1 Starer  
 2 **A Yes, there will be some impact.**  
 3 Q What is your opinion with respect to  
 4 John Malone's life expectancy?  
 5 **A More likely than not, based upon my**  
 6 **experience, the patients in a similar condition, he**  
 7 **would have lived at least another 20 years.**  
 8 Q How old was he at the time he died?  
 9 **A He was around 57.**  
 10 Q What is normal life expectancy for  
 11 someone such as John Malone with no comorbidities?  
 12 **A He might make it into the eighties.**  
 13 Q So it's your testimony that his  
 14 comorbidities only decreased his life expectancy by  
 15 three to five years?  
 16 **A Approximately five years, yeah.**  
 17 Q If John Malone continued to drink,  
 18 thereby, contributing to the cirrhosis, would that  
 19 have further diminished his life expectancy?  
 20 **A If he continued to drink alcohol, then**  
 21 **it would have had an detrimental impact on his life**  
 22 **expectancy.**  
 23 Q Do you have any evidence, one way or  
 24 the other, as to whether or not he was continuing to  
 25 drink alcohol?

1 Starer  
 2 **A The only evidence I have is based on**  
 3 **the records which said that he had quit drinking**  
 4 **alcohol.**  
 5 Q But we can agree if he had a DUI  
 6 within a short period of time leading up to January  
 7 of 2009, that piece of information would at least be  
 8 evidence of the fact that he had drank on that day?  
 9 MR. MEYERS: Form and foundation.  
 10 **A I don't know. All you are telling me**  
 11 **is he received a summons. I don't know what the**  
 12 **outcome of the investigation was.**  
 13 Q Let me ask you this, Dr. Starer: When  
 14 you serve as an expert witness in a medical  
 15 malpractice case you take that role seriously?  
 16 **A Yes.**  
 17 Q You want to have all the information  
 18 available to you so that you can give reasonable and  
 19 prudent answers to questions that are then going to  
 20 be codified in a transcript?  
 21 **A Absolutely.**  
 22 Q Whether or not this man was continuing  
 23 to drink and whether or not that would have further  
 24 contributed to his life expectancy is certainly  
 25 important information to your opinions as to life

1 Starer  
 2 expectancy here?  
 3 **A That is true.**  
 4 Q Isn't that information that you would  
 5 want to have available to you when you are  
 6 testifying as an expert against other physicians?  
 7 **A The information I had was in the**  
 8 **records of physicians who were taking care of him**  
 9 **and they were saying he was not drinking. I have no**  
 10 **other evidence that he was drinking.**  
 11 Q It's not your role here to be an  
 12 advocate on behalf of one party or the other; is  
 13 that true?  
 14 **A No, I'm here just to present the**  
 15 **facts.**  
 16 Q So if there is other evidence  
 17 available that would contribute to your opinions  
 18 with respect to decreased life expectancy, you would  
 19 certainly take those into consideration and adjust  
 20 your opinion with respect to the decrease of life  
 21 expectancy here?  
 22 **A If there was other information, I**  
 23 **would gladly review it.**  
 24 Q Any other opinions, Doctor, that you  
 25 have -- at least that you have been asked to give

1 Starer

2 that we have not talked about?

3 **A I think, in general, we have covered**

4 **my opinions.**

5 Q Anything else from your perspective

6 that needs to be expounded upon further?

7 **A I can't think of anything right now.**

8 **All I would do is just present additional**

9 **information which would bolster my opinions.**

10 Q Based on the notes that you have in

11 front of you?

12 **A Based on some of the notes that I have**

13 **in front of me.**

14 Q So that information can be gleaned

15 from that exhibit?

16 **A I would hope so.**

17 Q Anything that we haven't talked about

18 and/or isn't codified in the 11 pages of notes that

19 we have marked as an exhibit to this deposition?

20 **A I don't believe.**

21 Q Have you ever been named as a

22 defendant in a medical malpractice claim?

23 **A Not that I'm aware of.**

24 Q Have you ever had your license

25 curtailed, suspended, or revoked in any way?

1 Starer

2 **A No.**

3 Q Have you ever had your medical license

4 reviewed by a medical licensing review board?

5 **A No, not that I'm aware of.**

6 Q Have you ever had any disciplinary

7 action taken against you by a hospital or healthcare

8 facility?

9 **A No.**

10 Q How many open expert review files do

11 you have currently?

12 **A I'm not sure. Maybe about seven,**

13 **eight.**

14 Q On average over the last ten years,

15 how many new cases have you taken on per year?

16 **A It's very variable.**

17 Q Your testimony earlier I believe was

18 that you reviewed about 70 cases within the last 14

19 years?

20 **A That's my estimate, yes.**

21 Q Does that mean, on average, you review

22 about five cases a year?

23 **A Yes. Some years less and some years**

24 **more, and it gets carried over, so I'm really**

25 **estimating.**

1 Starer

2 Q Do you know what percentage of your

3 income from last year was generated from

4 medical-legal reviews?

5 **A I think 20 percent or less.**

6 Q Has that been consistent the last five

7 years or so?

8 **A Again, that's very variable, but it**

9 **doesn't seem to go above 20 percent.**

10 Q When you say 20 percent or less, does

11 that mean 18 to 20, 18 to 22, somewhere in that

12 range? I guess you said or less. Let me strike

13 that.

14 When you say 20 percent or less, does

15 that mean anywhere between 18 and 20 percent?

16 **A I would say between 15 and 20 percent.**

17 Q What do you charge to review

18 malpractice claims?

19 **A About \$200 an hour.**

20 Q How about for depositions?

21 **A The same.**

22 Q And trial?

23 **A The same.**

24 Q And if you were, for example, in this

25 case to travel to Michigan, how you would you bill

1 Starer

2 that?

3 **A If during the time I'm traveling I'm**

4 **preparing for the case by reading, I would charge**

5 **\$200 an hour. If I am sleeping or watching a movie,**

6 **I will not charge for that.**

7 Q Are there flat rates for depositions

8 or trial?

9 **A No flat rate.**

10 Q How much time have you spent reviewing

11 this case?

12 **A About seven hours.**

13 Q That is total leading up to the start

14 of the deposition here?

15 **A Probably seven-and-a-half hours.**

16 Q Have you billed Mr. Meyers or his firm

17 for review time to this point?

18 **A I have not.**

19 Q Do you request a retainer when you are

20 sent initial medical records?

21 **A No, not always.**

22 Q Did you in this case?

23 **A No, I have not.**

24 Q Have you been paid anything as of yet?

25 **A I don't think I have.**

1 **Starer**  
 2 MR. MCKINNEY: That is all I have,  
 3 Doctor. Thank you.  
 4 MR. MEYERS: I have a few follow-up  
 5 questions.  
 6 **EXAMINATION**  
 7 **BY MR. MEYERS:**  
 8 Q There were a lot of, what I would  
 9 characterize as, overlapping hypotheticals and I  
 10 would like to ask a few questions to see if we can  
 11 clarify that for a moment.  
 12 The first hypothetical is this: If,  
 13 in fact, Dr. Saker is wrong, for lack of a better  
 14 word -- that is, that he never contacted Turlo and  
 15 told Turlo that John Malone was being discharged and  
 16 that it was, in fact, Saker's opinion or, excuse me,  
 17 position that Turlo would follow him as a  
 18 primary-care physician; in other words, Turlo has no  
 19 information that he has a responsibility to follow  
 20 Malone on an outpatient basis, do you have any  
 21 criticism of Dr. Turlo?  
 22 A **If Dr. Turlo is not aware that he is**  
 23 **supposed to follow the patient?**  
 24 Q Right.  
 25 A **And he doesn't know that the patient**

1 **Starer**  
 2 **has been discharged from the hospital, then it would**  
 3 **be difficult to criticize Dr. Turlo.**  
 4 Q Sure. Now, you indicated earlier on  
 5 that you felt it was the responsibility of the  
 6 primary-care physician following Dr. Malone to order  
 7 these labs every other day beginning on the 10th  
 8 and, I guess more accurately, to assess those labs  
 9 as they were reported to make a determination as to  
 10 whether or not they would have been required to be  
 11 obtained every other day or not. Is that a fair  
 12 characterization?  
 13 A **Yes.**  
 14 Q So if Turlo knew through his  
 15 discussion with Dr. Saker that Malone was being  
 16 discharged, Turlo would have had a responsibility to  
 17 order the labs on the 10th?  
 18 A **Yes.**  
 19 Q And he would have had a responsibility  
 20 to communicate to the laboratory that he wanted the  
 21 results reported to him as soon as they became  
 22 available?  
 23 A **Yes.**  
 24 Q So knowing what we know about -- or  
 25 knowing what we believe we know about the

1 **Starer**  
 2 progression of this kidney failure between the 10th  
 3 and the 14th, if Turlo had ordered the labs on the  
 4 10th, he would have known that the creatinine  
 5 would have continued to have been elevated; is that  
 6 correct?  
 7 A **That is correct.**  
 8 Q And he certainly would have known on  
 9 the 14th of the elevation that was ultimately  
 10 reported out on the 15th, correct?  
 11 A **That is correct.**  
 12 Q Now, when you say that Dr. Malone  
 13 would have been directed to go to an emergency room,  
 14 if that is consistent with what Dr. Crane testified  
 15 to, the infectious disease expert in this case,  
 16 would you agree with Dr. Crane as you hypothesized  
 17 in your affidavit of merit that the most likely  
 18 scenario is that Dr. Malone would have been directed  
 19 to go to the emergency room?  
 20 A **That's what happens in most of these**  
 21 **cases.**  
 22 Q The other alternative would be for  
 23 Dr. Turlo to say, "Come on into the office. I want  
 24 to examine you immediately in the office"?  
 25 A **Yes.**

1 **Starer**  
 2 Q That day?  
 3 A **Yes, that's another way to do it.**  
 4 Q And the third option, although it  
 5 sounds like the least likely option, would be to  
 6 direct Dr. Malone to see someone other doctor? I  
 7 assume an internal medicine doctor of some kind?  
 8 A **Someone equivalent, yes.**  
 9 Q That doctor that would have seen  
 10 Malone, let's assume that he wasn't being seen in  
 11 the emergency room setting, that he is -- excuse me.  
 12 Let's assume that he is seen in an emergency room  
 13 setting, that doctor would have been aware of the  
 14 laboratory results, obviously?  
 15 A **Yes.**  
 16 Q Would have been aware that the patient  
 17 was on Bactrim?  
 18 A **Yes.**  
 19 Q Would have been aware that the patient  
 20 had earlier had a medication-related product with an  
 21 antibiotic?  
 22 A **Yes.**  
 23 Q And, in all probability, would have  
 24 consulted with an infectious disease doctor at that  
 25 time?

1 Starer

2 **A Yes.**

3 MR. McKINNEY: Let me object to form

4 and foundation.

5 Q Would it be your expectation that the

6 reasonably prudent internist or internal medicine

7 physician with a subspecialty in geriatrics would

8 want to examine the foot to see how the foot was

9 doing?

10 **A Yes.**

11 Q Do you have an opinion as to whether

12 the Bactrim would have been discontinued on or prior

13 to the 15th had this hypothetical series of events

14 occurred? Would the Bactrim have been discontinued?

15 **A With the information that the doctor**

16 **had available, more likely than not, the Bactrim**

17 **would have been discontinued.**

18 Q Now, counsel asked you a question

19 about -- in the predicate, and I objected to the

20 form of it, but it was something to the effect, well

21 look, if Dr. Turlo never saw these results, that is

22 the results from the 14th, did he have any

23 obligation to act upon the results. And I think

24 what you have indicated is, well, yes, he would have

25 had an obligation. He would have had an obligation

1 Starer

2 to tell the lab he wanted the report, the results

3 faxed over to him or reported to him as soon as they

4 became available. Is that accurate?

5 **A Yes.**

6 Q By the way, if the lab sent the

7 results to Dr. Turlo and someone in his staff failed

8 to provide them to Dr. Turlo, that wouldn't absolve

9 Dr. Turlo of any responsibility in this case, would

10 it?

11 MR. McKINNEY: Object to form and

12 foundation.

13 **A If we are talking about his staff, no,**

14 **it wouldn't absolve him.**

15 Q This business about the curve that you

16 have drawn here, counsel has suggested that the

17 kidney failure may have been related to the

18 underlying infectious process that was occurring and

19 perhaps even pneumonia. Do you recall those

20 questions being asked?

21 **A Yes.**

22 Q When pneumonia causes renal failure,

23 is it in a setting of patients who experience a

24 condition known as septic shock resulting in a

25 perfusion abnormality to the other organs?

1 Starer

2 **A There is a cascade. The pneumonia is**

3 **infection which leads to sepsis which leads to**

4 **septic shock which leads to hypertension which leads**

5 **to organ failure, and the kidney would be one of the**

6 **organs failing.**

7 Q And sometimes that cascade of events

8 is referred to as an inflammatory response syndrome?

9 **A There is a term called systemic**

10 **inflammatory response syndrome, yes.**

11 Q And that is SIRS?

12 **A Yes, S-I-R-S.**

13 Q In connecting the dots between

14 pneumonia and kidney failure, you have to get to a

15 point where you have systemic hypotension where the

16 mean arterial pressure drops below a level where

17 adequate perfusion to the kidneys has occurred;

18 isn't that true?

19 **A Well, that is the connecting dot, yes.**

20 Q Is there any evidence, even upon

21 admission to the hospital on the 19th that,

22 definitionally, Dr. Malone was in septic shock on

23 that date where his mean arterial pressure would not

24 have allowed for adequate perfusion for the kidneys?

25 **A No, he was still maintaining a blood**

1 Starer

2 **pressure. He was not in shock at that point.**

3 Q If the physicians who were actually

4 taking care of Dr. Malone in the hospital when he

5 was admitted on the 19th concluded that in all

6 probability it was the Bactrim that was causing him

7 to become immunosuppressed would that be consistent

8 with the opinions that you have articulated?

9 MR. McKINNEY: Object to form and

10 foundation.

11 **A That is consistent with my opinions.**

12 Q Bactrim has the potential to interfere

13 with the production of -- is it folic acid?

14 **A Yes.**

15 Q And folic acid is necessary for the

16 creation of white blood cells?

17 **A It has -- you know, with numerous**

18 **different types of blood cells, yes.**

19 Q By the way, if in fact a physician

20 would have discontinued the Bactrim because of its

21 nephrotoxic effects, again assuming someone had

22 evaluated Dr. Malone on the 14th or 15th -- let

23 me start over.

24 Assume Malone is evaluated by a doctor

25 on the 14th or 15th because a doctor has



Page 109

1                   Starer

2 physician or would standard of care require Dr.

3 Turlo to instruct Dr. Malone to take some other

4 antibiotic therapy?

5       **A The standard of care would require an**

6 **evaluation and then that would, more likely than**

7 **not, lead to another antibiotic therapy.**

8       Q And you would not expect Dr. Turlo to

9 tell the patient which antibiotic to take but,

10 rather, defer to an infectious disease specialist on

11 that issue?

12       **A I think there would be some**

13 **collaboration between Dr. Turlo and an infectious**

14 **disease consultant. It might be Dr. Turlo who**

15 **ultimately tells Dr. Malone what antibiotic to take.**

16       Q But the standard of care would not

17 permit him to do so without at least initially

18 interacting with an infectious disease specialist?

19       **A No, he could, if he feels that he has**

20 **the information and is comfortable enough to do so.**

21       Q I'm asking what the standard of care

22 would have required in your opinion.

23       **A The standard of care doesn't require**

24 **that he makes the consultation. The standard of**

25 **care is that he provides the care. How he decides**

Page 110

1                   Starer

2 **to do that is up to him based upon what his comfort**

3 **level is and the resources he has available to him.**

4       Q More often than not that would result

5 in a collaboration with an infectious disease

6 specialist?

7       **A I don't say more often than not. I**

8 **would say it does occur.**

9       Q Which occurs more often?

10       **A I don't have the statistics on how**

11 **often a general physician speaks with an infectious**

12 **disease consultant.**

13       Q In fact, you are not, yourself, in

14 this type of a scenario as an outpatient treating

15 physician nor have you been in over ten years

16 because you are treating patients on an inpatient

17 basis; isn't that true?

18       **A Well, I have been in scenarios like**

19 **this. I don't expect it changed significantly since**

20 **I have been.**

21       Q But you have not found yourself in a

22 position such as Dr. Turlo in at least ten years?

23       **A That is true.**

24       Q Can we agree, Doctor, that during the

25 December 21 to January 9 admission there is no

Page 111

1                   Starer

2 evidence that Dr. Malone was suffering from SIRS

3 during that admission?

4       **A Yes.**

5       Q Can we also agree during that

6 admission there is no evidence that he was suffering

7 from sepsis?

8       **A I don't believe that he was suffering**

9 **from sepsis. I don't believe there is any evidence**

10 **that he was.**

11       MR. McKINNEY: That's all. Thank

12 you, Doctor.

13       MR. MEYERS: I have a follow up.

14 EXAMINATION

15 BY MR. MEYERS:

16       Q In this hypothetical that Malone is

17 seen on the 14th or 15th and he is now suffering

18 this renal failure and he has a previous history of

19 renal failure during the last hospitalization and he

20 had this open wound on his foot with a wound vac, he

21 is going to -- that is a patient who is going to be

22 needed to be seen by a nephrologist, yes?

23       MR. McKINNEY: Objection. Leading.

24       MR. MEYERS: Let me ask a different

25 question.

Page 112

1                   Starer

2       Q Isn't this a patient who in all

3 probability is going to be readmitted into the

4 hospital? With everything we know about John Malone

5 as of the 14th and 15th, isn't he going back into

6 the hospital?

7       **A Yes.**

8       MR. MEYERS: That's all I have.

9       MR. McKINNEY: No further questions.

10       Thank you, Doctor.

11       (Whereupon, at 1:55 o'clock p.m.,

12 the deposition was concluded.)

13

14

15

16

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

Witness:	Page
PERRY STARER, MD	
Examination by	
Examination by Mr. McKinney	3
Examination by Mr. Meyers	97
Examination by Mr. McKinney	108
Examination by Mr. Meyers	111

E X H I B I T S

Deposition For Ident.	Description	Page
A	CV	17
B	Affidavit of merit	17
C	Eleven-page set of records	31
D	Graph	45

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C E R T I F I C A T E

STATE OF NEW YORK )  
                          ) ss.  
COUNTY OF NEW YORK )

I, Jean Wilm, a Shorthand  
(Stenotype) Reporter and Notary Public  
of the State of New York, do hereby  
certify that the foregoing Deposition,  
of the witness, PERRY STARER, MD,  
taken at the time and place aforesaid,  
is a true and correct transcription of  
said deposition.

I further certify that I am  
neither counsel for nor related to any  
party to said action, nor in any wise  
interested in the result or outcome  
thereof.

IN WITNESS WHEREOF, I have  
hereunto set my hand this 1st day of  
October, 2012.

\_\_\_\_\_  
JEAN WILM, RPR, CMRS, CLR