

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPREME COURT OF THE STATE OF NEW YORK
COUNTY OF KINGS: TRIAL TERM PART 3

----- X

MICHELLE BRADLEY,

Plaintiff,

INDEX NUMBER:
28940/2006

- against -

LUTHERAN MEDICAL CENTER and JOHN. T. MOON, MD,
Defendants.

----- X

360 Adams Street
Brooklyn, New York 11201
October 21, 2009
PROCEEDINGS

BEFORE:

HONORABLE MICHELLE WESTON, Justice
(A jury of 6 and 2 alternates.)

APPEARANCES:

GERSOWITZ, LIBO & KOREK, PC
Attorneys for the Plaintiff
111 Broadway
New York, New York 10006
BY: JEFF S. KOREK, ESQ.

KOPFF, NARDELLI & DOPF, LLP
Attorneys for the Defendant
440 Ninth Avenue
New York, New York 10001
BY: PETER C. KOPFF, ESQ.,
&
SELMA MOY, ESQ.

NANCY A. MESSANO
Official Court Reporter

1 MS. MOY: Yes.

2 MR. KOPFF: Yes, Your Honor.

3 THE COURT: Good morning.

4 Next witness, please, Counsel:

5 MR. KOREK: Good morning ladies and gentlemen.

6 Good morning, Your Honor.

7 At this time, Your Honor, the plaintiff calls
8 Dr. Michael Drew to the witness stand.

9 DR. MICHAEL DREW, a witness called
10 on behalf of the Plaintiff, having been first duly sworn
11 by the Court Clerk, was examined and testified under oath
12 as follows:

13 COURT CLERK: Please state your name and
14 address for the record.

15 THE WITNESS: Michael Drew. D-R-E-W, 70-10
16 Austin Street, Forest Hills, New York. That's my office
17 address.

18 COURT CLERK: Thank you.

19 THE COURT: You may inquire.

20 MR. KOREK: Thank you.

21 DIRECT EXAMINATION

22 BY MR. KOREK:

23 Q Good morning, Doctor. I am going to ask
24 you to keep your voice up loud enough so that our last
25 jurors can hear you. If there is anything of course

1 you need me to repeat or rephrase please tell me and I
2 will be happy to do so.

3 And it's important for our court
4 reporter that you answer our questions verbally so she
5 can take it down without having to look at you?

6 A Understood.

7 Q Okay, can you tell us are you a physician
8 duly licensed to practice medicine in New York State?

9 A Yes, I am a surgeon. I have been
10 practicing in New York since I had a license since
11 1976, roughly, and I have been practicing in New York
12 since I finished my residency in 1981.

13 Q And, Doctor, in this case we heard that
14 Michelle Bradley's surgery was an ambulatory surgery.
15 Can you tell the members of the jury and court who is
16 the director of ambulatory surgery at North Shore
17 University Hospital, Long Island Jewish, Forest Hills?

18 A I serve as director of ambulatory surgery
19 among other titles I have there.

20 Q We will get into that. Before we do can
21 you tell the members of the jury and court something
22 about your education.

23 Where did you go to college for
24 example?

25 A I went to college at Lehigh University in

1 Bethlehem, Pennsylvania. After that I went to
2 Georgetown University in Washington DC for four years.
3 After which I did a surgical residency for five years
4 at Mount Sinai Hospital in New York.

5 Q Let me just go a little slow.
6 Georgetown, what did you do there?

7 A Georgetown was my medical school and we
8 had four years of education afterwards and after that
9 you pick a residency program or field of specialty that
10 you want to go into. I chose surgery and then chose
11 to do my residency at Mount Sinai Hospital in New York.

12 Q All right, and at Mount Sinai Hospital in
13 New York can you tell us what years approximately you
14 did your residency?

15 A I started my residency in 1976. It's a
16 five year residency and I finished in 1981.

17 Q And did you serve as a chief resident as
18 well?

19 A Yes.

20 Q And, in connection with your residency or
21 chief residency did you receive any honors or awards?

22 A I did. When I finished my residency I
23 was chosen by the attendings, the staff, the attending
24 and physicians who trained us as the outstanding chief
25 resident of my year.

1 Q And, Doctor, can you tell us what hospital
2 that -- sorry, the hospital you are affiliated with now
3 how long have you been so affiliated?

4 A Currently I have privileges at Mount Sinai
5 Hospital. I have been on staff there continuously
6 since 1981 and through my residency. For the first,
7 oh, 15 years of my practice I did probably 75 percent
8 of my work at Mount Sinai.

9 I also had an office in Forest Hills.
10 Currently I don't practice actively at Mount Sinai and
11 I am exclusively at North Shore Hospital in Forest
12 Hills where I am one of the co-directors of surgery.

13 Q In fact I was going -- you took me to the
14 next topic.

15 Tell the members of the jury and
16 court some of the positions you hold in connection with
17 the North Shore University Hospital?

18 A I am one the of co-directors of surgery
19 and co-directors of bariatric surgery, weight loss
20 surgery. For the last two years I have been president
21 of the medical staff. I also chair what is called a
22 performance improvement committee which is the major
23 committee in the hospital that everybody reports to and
24 that's where we keep tabs on the quality of everything
25 that goes on in the hospital.

1 I also serve as the chairman of the
2 operating room committee and I am on the surgical
3 review surgical tissue review committee where we review
4 all pathology. Everything that is taken out of a
5 patient we look at to make sure that it matches what
6 was suppose to be removed and what the preoperative
7 diagnosis was.

8 Q Doctor, in connection for example with the
9 performance improvement coordinating group of which you
10 serve as the chairman and in connection with where you
11 serve as chairman of the operating room committee have
12 you become familiar with the standards of care with
13 regard to surgical practice in 2005 as well as in the
14 present?

15 A I have, yes.

16 Q Doctor, can you tell us in terms of your
17 teaching appointments where it is that you have held
18 such appointments?

19 A Well, I was -- since I finished my
20 residency I have been on staff at Sinai I think I had a
21 title of assistant clinical professor or clinical
22 instructor in surgery at the Mount Sinai School of
23 Medicine. And when I went -- I began at North Shore
24 in 2001 I started as the director of the residency
25 training program.

1 The residency program since has been
2 -- the residents were no longer sent there by North
3 Shore system. They use them at their tertiary
4 centers. So now I am in charge of the training of the
5 physicians assistants and the medical students that we
6 get.

7 Q By the way was one of the residents that
8 passed through your program Dr. Sasan Roayaie?

9 A Yes.

10 Q Doctor, did you receive any honors or
11 awards in connection with your teaching?

12 A Yes. I was voted by the chief residents.
13 They voted me the attending of the year in about six or
14 seven years after I -- probably in 1987 or '88.
15 Something like that. Which was a relatively nice
16 award to get. That's from the chief residents. They
17 are the ones who you impact the greatest.

18 Q Doctor, can you tell the members of the
19 jury are you board certified?

20 A I am board certified which means I took a
21 written exam and then an oral exam and you do that
22 after you finish your residency. I finished at a time
23 where we have to be recertified every ten years and so
24 I was board certified for the first time in 1982.
25 Then I recertified in '92 and again in 2002 and I am

1 due for recertification in 2012.

2 The recertification exam is just a
3 written exam and thus far passed them all on my first
4 shot.

5 Q Doctor, can you tell us in terms of your
6 board certification and process was that a significant
7 event during the time of your medical career?

8 A I think that, you know, what everybody
9 aspires to if you finish. You can only be board
10 certified, number one, by finishing an accredited
11 residency program and getting the authorization from
12 your chief that not only that you finished it but you
13 completed it with everything that you needed to do in
14 both ethical, moral and you had the surgical
15 experience.

16 So, everybody, I mean that's what we
17 aspire to. The American College of Surgery that's
18 basically the highest board that we surgeons have and,
19 you know, it's something that I think, you know, you
20 would like to be part of, yes.

21 Q And, Doctor, can you tell the members of
22 the jury and court what societies in terms of
23 profession that you are a member of?

24 A I am the member of the American Society
25 For Bariatric Surgery. There is a society for

1 laparoscopic endoscopy surgery. I think the American
2 Society For Abdominal Surgery as well.

3 Q And, did, Doctor, can you tell the members
4 of the jury and court some idea approximately how many
5 patients you see per week both in and outside the
6 hospital?

7 A I am a practicing surgeon as I have some
8 administrative duties but basically 95 percent of my
9 time is spent taking care of patients. I see in the
10 office probably 50 to 60 patients a week. Obviously
11 not all of them need surgery and I do about five to six
12 hundred cases a year which I have been doing relatively
13 steadily for the last twenty years at least. It
14 varies by a few cases a year.

15 Q When you say cases, Doctor, as lawyers we
16 think of a case and you think of a case another way.
17 What is a case?

18 A A case constitutes an operation and out of
19 the five or six hundred probably 60 percent of the
20 cases I do are abdominal surgery, gallbladder, hernias,
21 colon, small bowel, you know, intestinal work, weight
22 loss surgery. Twenty percent or twenty-five percent
23 is probably breast surgery and then, you know, what we
24 in general surgery call the usual lumps and bumps, you
25 know. Skin lesions and masses and things like that

1 that we deal with.

2 THE COURT: Lumps and bumps?

3 THE WITNESS: Lumps and bumps.

4 Q Doctor, if you can can you tell us
5 approximately I know you mentioned but just give it to
6 us again. How many years have you been doing surgery?

7 A I finished my residency in 1981 so that's
8 27, 28 years that I have been in private practice.

9 Q Are you familiar with procedure known as a
10 cholecystectomy?

11 A I am.

12 Q The name for removing the gallbladder?

13 A Cholecystectomy is removal of the
14 gallbladder, yes.

15 Q Tell members of the jury and court if you
16 will approximately how many cholecystectomies you have
17 done? You know, notice I didn't say laparoscopic.
18 First cholecystectomies?

19 A I probably did two thousand
20 cholecystectomies. First ten years of my practice
21 there was no laparoscopic gallbladder removal as you
22 probably heard. That's removing the gallbladder
23 through a scope what we call minimally invasive
24 surgery. That first started in New York in 1990 and
25 1991.

1 So, for the first, you know, again I
2 always I would say I do 50 to 75 a year gallbladders
3 conservatively so I probably did 500 opened initially
4 and since then another one thousand five hundred
5 laparoscopically, more or less. You know, as I say it
6 could vary but it's that amount as opposed to a hundred
7 or two hundred.

8 Q Okay. So, about two thousand total.
9 About five hundred open and about one thousand five
10 hundred laparoscopic cholecystectomies?

11 A Yeah, roughly. Even in the year of
12 laparoscopic surgery we still do open gallbladders but
13 now probably 95 percent of them are done
14 laparoscopically.

15 Q Can you tell the members of the jury and
16 court have you ever been asked to teach a course on the
17 subject of laparoscopic cholecystectomies to surgeons
18 and if so who asked you and did you accept the offer?

19 A Well, when I was at Mount Sinai the first
20 person in New York and probably one of the major people
21 in laparoscopic surgery was at Mount Sinai, Dr. Barry
22 Salky. He was the first one to do laparoscopic
23 surgery and the way it worked in those days since all
24 of us who had finished were not trained in laparoscopic
25 surgery we had to get trained in laparoscopy so the way

1 we did it is we went to the animal labs at the some of
2 the surgical companies. I did it at Ethicon. They
3 had the equipment at that time so you did an animal lab
4 for two days where you learned how to do it and then
5 you had to be proctored by somebody who was authorized
6 to do it.

7 In my case it was Dr. Salky, he was
8 the only one doing it and I think my partner and I
9 became the second and third people to become qualified
10 and then we proctored, you know, lots of people behind
11 us. I think, you know, we had the claim as having
12 done the first laparoscopic cholecystectomies in the
13 borough of Queens in 1991. So, I know it's something
14 I am quite familiar with.

15 Q Doctor, in fact how many residents,
16 students, surgeons, do you think you have proctored in
17 laparoscopic cholecystectomies over the years?

18 A You know, there is, you know, we deal
19 within a year with the time I was actively teaching.
20 there were, you know, 15 or 20 surgical residents who
21 were doing laparoscopic surgery a year and at that time
22 I was the younger and very energetic and I probably,
23 you know, took them through their first gall -- almost
24 every resident for a long time did their first few
25 gallbladders with me. So, I have trained a lot of

1 people and I have seen it done.

2 Q Doctor, can you tell us in terms of post
3 graduate courses if you have taken advantage of those
4 in some of the areas in which you have done that?

5 A Well, I have taken, you know, laparoscopy
6 is one of my focuses of surgery and I have taken as new
7 procedures became available we all learned to do them
8 ideally in the lab when you are at a place in Mount
9 Sinai and you have somebody doing the cases you have
10 the luxury of going in and watching them and they can
11 teach you. But, in order to get certified you at
12 least have to demonstrate some hands-on skills and
13 ability and so we took a lot of courses.

14 Laparoscopic colon courses and Hiatal
15 hernia and hernia, laparoscopic bands for obesity so I
16 think I have kept up relatively steadily during my
17 years in practice.

18 Q And, Doctor, have you been asked to serve
19 as an expert in court at trial before?

20 A Yes. I have been doing review of law
21 cases for medical cases for about -- I think I did my
22 first one in 1997 or '98. So, about ten or
23 eleven years I review cases.

24 Q And approximately how many times do you
25 think you have appeared in court like you are today?

1 A I think over that ten year period it's or
2 eleven year period maybe ten or eleven, you know,
3 times, you know, in court as opposed to 50 or 60.

4 Q And, Doctor, on each and every occasion
5 you have come to court have you been in qualified as an
6 expert in general surgery?

7 A Yes.

8 Q Doctor, have you -- if you would tell the
9 jury and court have you ever before -- sorry. Have
10 you ever testified at the request of my firm?

11 A I have not.

12 Q Have you ever in fact heard of my firm
13 before hearing about Michelle Bradley?

14 A No.

15 Q And, Doctor, where would you be if not
16 here today?

17 A I had patients in a hospital which I hope
18 to see later, not too much later.

19 Q I will keep my mouth closed on that one.

20 What rate are you being paid in order
21 for you to be here?

22 A \$350 an hour.

23 Q And, Doctor, have you in fact lectured in
24 the field of general surgery and if you can give us a
25 general idea?

1 A I have lectured. I have given lectures.
2 As I say it is not -- my teaching is basically confined
3 to the surgical residents who came through our program,
4 the medical students we see. So, we would give them,
5 you know, lectures. Both, you know, sitting down and
6 speaking to them for 45 minutes and then you are all
7 teaching as you are making rounds and in the operating
8 room.

9 Q Doctor, I want to now draw your attention
10 to Michelle Bradley and before doing so I want to ask
11 you to take a look at certain exhibits which I think we
12 have here in front of the courtroom. They are numbers
13 43, 27, 28, 32, 30, and 31 and I will ask you with the
14 Court's permission to kind of take a look at those at
15 the witness stand?

16 MR. KOREK: Judge, can I approach? I can
17 probably pull them.

18 COURT OFFICER: 28, 32, 30?

19 And 31.

20 MR. KOREK: Yeah, thank you.

21 Q Doctor, have you seen smaller versions of
22 those before?

23 A I have.

24 Q And, with regard to these exhibits did
25 there come a time when you also met with a medical

1 artist?

2 A Yes.

3 Q And did the medical artist sit down and
4 speak with you and get your thoughts about what the
5 illustration should look like?

6 A Yes. He asked me what it looked like and
7 I told him, you know, what I thought it would look like
8 schematically, yes.

9 Q And do you think each of these exhibits
10 are a fair and accurate representation of the human
11 anatomy and would they be helpful in assisting you to
12 describe to the jury what it is you are here to talk
13 about today?

14 A I think they are all, you know, they are
15 all diagrams, obviously. It is not, you know -- it is
16 not exactly the way it appears in a human being but for
17 purpose of understanding what we are doing and what we
18 are looking at I think they serve its purpose, yes.

19 Q And you agree they are fair and accurate
20 representations in that sense?

21 A Yes, I do.

22 MR. KOREK: Your Honor, I would ask these be
23 moved into evidence.

24 MR. KOPFF: I am not going to object, Judge.

25 THE COURT: Mark them in evidence.

1 (So marked.)

2 COURT OFFICER: Which one you want up first?

3 MR. KOREK: I will let the doctor choose. You
4 can just leave them there. Thank you.

5 COURT OFFICER: All right.

6 MR. KOREK: May I proceed, Your Honor?

7 THE COURT: Yes.

8 Q So, Doctor, I am going to ask you a number
9 of questions calling for opinions. I will ask you to
10 give the opinions only if you can do so within a
11 reasonable degree of medical probability?

12 A Okay.

13 Q For some reason you can't do that tell me
14 and I will go onto another question.

15 Also I would like you when asked
16 questions to talk about things perspectively rather
17 than in hindsight. So, in other words use --

18 THE COURT: Counsel, just ask the question.

19 MR. KOREK: Yes, Judge.

20 Q Is that okay?

21 A Yes.

22 Q And, Doctor, can you tell us using the
23 diagrams that have been marked as exhibits 43, 27, 28,
24 32, 30, 31 in any order that you like can you describe
25 for us what is a laparoscopic cholecystectomy?

1 A Okay.

2 MR. KOREK: Your Honor, may I ask the doctor to
3 step down in order to do that?

4 THE COURT: Yes.

5 A Just starting here this is the way the
6 patient's lying on the table. The liver is in the
7 right -- this is right -- and this is the patient's
8 right, the head is up here, right side left side, up,
9 down. The gallbladder -- the liver is here and
10 gallbladder is here and these are -- you heard the term
11 trocars. These are the instruments we put in.

12 We put one in the belly button, one
13 usually right in the middle right under the sternum,
14 the breast bone and then two out here. And that's how
15 we expose the gallbladder when we get in and look at
16 it.

17 What you are seeing here this is some
18 of the intestine you see and this is the liver. When
19 you get in and now you are looking at it this is what
20 the gallbladder looks like. It sits underneath the
21 liver and you use these instruments to lift it up and
22 -- to look at the anatomy, okay. This is the stomach.
23 This is what is called the duodenum or duodenum. This
24 is the small intestine, the bile duct -- liver has bile
25 ducts.

1 What happens is the liver makes bile,
2 you probably heard yesterday, and bile goes from the
3 liver into these bile ducts and then goes into the
4 gallbladder where the bile is stored. When we eat the
5 gallbladder gets a signal, hormones from our intestine,
6 that we are eating and we need help digesting and
7 gallbladder squeezes out the bladder and helps in
8 digestion.

9 So, bile goes out here and into the
10 cystic, what is called the cystic duct and it joins the
11 bile duct. These -- this is the left hepatic duct and
12 this is the right and they join to make the common
13 hepatic. Then the cystic duct joins it and where it
14 joins it it becomes a common bile duct and then the
15 common bile duct goes and empties into the intestine
16 into the duodenum. This is what is called the ampulla
17 and you will see this will have a role in how we have
18 -- how we are able to look at the bile duct if we need
19 to.

20 What happens is when we want to take
21 out the gallbladder --

22 Q If you could for the record tell us the
23 sticker number on the left hand corner?

24 A This is number 27.

25 Q Exhibit 27, plate number 1. If you could

1 just for this board tell us the same thing. What is
2 the exhibit number?

3 A Okay. This is number 28. And again
4 here you see a magnified view.

5 Q Doctor, if you can go a little slower
6 because some of the terms are new?

7 A Sure. This is a magnified view of what we
8 are seeing. Here is the gallbladder that we saw. Here
9 is the cystic duct. These are the two hepatic ducts
10 coming down and this is where the cystic duct joins it,
11 okay. There is a vessel or cystic artery that comes in
12 and supplies blood. It's been shown to be transected
13 here, cut, which we do when we remove the gallbladder.
14 You have to remove the blood supply so it doesn't
15 bleed. And here you just see it enlarged.

16 The cystic artery has been divided
17 and clamped and this is the cystic duct.

18 Now, when we do a gallbladder what we
19 want to do is take out the gallbladder and a portion of
20 the cystic duct. You have to take out cystic duct
21 otherwise it doesn't come out, it's attached to it. So
22 what we would generally do is identify -- you have to
23 know where the cystic duct meets the common bile duct
24 and what you would do is you would put a clip here or
25 two because that's remaining in place so you want to

1 make sure it doesn't leak. So, most of us use two
2 clips. Some people even use three clips but you put
3 clips here, one here and you cut between there and then
4 the gallbladder is freed and the gallbladder is -- you
5 are able to remove the gallbladder in that way.

6 I think we have a diagram. This is
7 number 32 and here you see what has happened here is
8 the cystic duct has been cut right over here again.
9 Hepatic ducts. Common bile duct. The cystic duct
10 has been cut here and cut here and now the gallbladder
11 is taken out from underneath the liver. This arrow
12 shows where the gallbladder was sitting. This was
13 attached to that. So, we cut the cystic duct and then
14 we take out the gallbladder that way and as I say the
15 critical thing is to know that this is the cystic duct.

16 Q Doctor, you can leave that up and I have
17 other questions. You can return to the stand, return
18 to the stand with the Court's permission?

19 A Okay.

20 Q Doctor, I want you to assume Dr. Moon,
21 John T Moon was asked these questions and gave these
22 answers in the courtroom to this jury under oath:

23 "QUESTION: In more simple terms, Doctor --"

24 MR. KOPFF: Page?

25 MR. KOREK: Sorry, page 171.

1 Q

2 "QUESTION: In more simple terms, Doctor,
3 aren't we both saying or wouldn't you agree that a
4 surgeon should know what he cuts before he cuts it?

5 "ANSWER: I can't answer it that way.

6 "QUESTION: Doctor, is it your opinion that a
7 surgeon doesn't have to know what he is cutting before he
8 cuts it? Is that your opinion?

9 "ANSWER: I can't answer it that way either.

10 "QUESTION: Do you think it would be better
11 practice for the surgeon to know what he is cutting
12 before he cuts it?

13 "ANSWER: I can't answer it that way either.

14 "QUESTION: Doctor, do you consider
15 misidentifying the common" -- sorry -- "the cystic duct
16 for common bile duct to be a medical mistake?

17 "ANSWER: I can't answer it that way."

18 Then I want you to assume that we asked Dr. Du,
19 I asked Dr. Du at page 361 following questions:

20 MR. KOPFF: I move to strike this.

21 MR. KOREK: Well, I have a question coming
22 based on this hypothetical.

23 THE COURT: Well, ask the hypothetical,
24 Counsel.

25 MR. KOREK: Sure.

1 Q I want you to assume, Doctor, that Dr. Du
2 testified that he agreed that in fact the surgeon has
3 to know what he is cutting before he cuts it.

4 Can you tell us whether you agree
5 that a surgeon has to know what he is cutting before he
6 cuts it or disagree?

7 A I would strongly agree. It's one of the
8 hallmarks of surgery. We cut nothing until we know
9 what it is. I mean that's a basic principal of
10 surgery. It deals with lots of structures, anything
11 you are dealing with. There are lots of blood
12 vessels. There are pieces of intestine. We don't
13 cut anything until we are sure what it is.

14 Q And, Doctor --

15 THE COURT: Strike the reading of Dr. Moon's
16 testimony. You have an exception. Continue.

17 Q Doctor, I want you to a -- I want to ask
18 you whether you agree with this statement which was
19 given in testimony by Dr. Du and I will read you the
20 statement and tell us if you agree with it?

21 MR. KOPFF: Page?

22 MR. KOREK: 363.

23 Q The question that was put to Dr. Du which
24 I am asking if you agree with is this:

25 "QUESTION: Would you agree that if you cut

1 without first identifying the structure that you cut that
2 that would be a departure from good and accepted
3 practice?

4 "ANSWER: True."

5 Do you agree with that testimony of Dr. Du?

6 A Absolutely. That I think is as true a
7 statement as you can have in surgery.

8 Q Doctor, do you have an opinion as to
9 whether -- withdrawn.

10 I want you to assume that Doctor -- I
11 want to ask you whether you agree with whether --
12 withdrawn.

13 I want to ask you whether you have an
14 opinion as to whether this is a departure from good and
15 accepted practice.

16 I will ask you to assume that Dr.
17 Moon testified at page 167 that he misidentified the
18 cystic duct for the common bile duct and that it was
19 his testimony that the surgeon's responsibility that
20 all of the anatomic structures be identified before
21 they are transected and that Dr. Moon testified at page
22 169 that he agreed that a doctor should not under any
23 circumstances transect any of the ducts until you know
24 where you are anatomically before the transection takes
25 place.

1 Do you agree with those statements,
2 Doctor?

3 A I do.

4 Q And, Doctor, assuming that in fact Dr.
5 Moon told us that he cut unintentionally the common
6 bile duct when he meant to cut the cystic duct can you
7 tell us whether you have an opinion as to whether that
8 is a departure from good and accepted practice?

9 A I have an opinion on that. And I
10 certainly believe that it was done unintentionally
11 because nobody would do that intentionally obviously
12 and it is a departure.

13 You know, you have to -- it is the
14 hallmark of the operation. It is the critical point.
15 You have to know which duct you are dealing with and
16 which duct you are cutting and you can't proceed with
17 the surgery until you've made that determination.

18 Q And, Doctor, do you have an opinion as to
19 whether that departure was a cause of Ms. Bradley's
20 injuries, namely the cutting of the common bile duct?

21 A I have an opinion and it was the reason
22 for her injury.

23 Q I am going to come back to other questions
24 concerning the surgery itself but before I do that I
25 want to ask you to assume -- I want to ask you

1 questions with regard to Ms. Bradley leaving the
2 hospital on the 8th.

3 I want you to assume that Nurse Luz
4 Araneta testified that in 14 years as a nurse at
5 Lutheran Medical Center she had never encountered a
6 patient following laparoscopic cholecystectomy who had
7 requested to be admitted to the hospital rather than go
8 home because of post operative pain, all right. I want
9 you to assume that's the testimony that the jury heard?

10 A Okay.

11 Q I want you to assume also that if we look
12 at the hospital chart that on June 7, 2005, shortly
13 following the surgery Ms. Bradley received morphine at
14 12:30 P.M., morphine again at 4:00 P.M., morphine again
15 at 6:45 P.M., morphine again at 11:00 P.M. That she
16 complained of pain and on Dr. Moon's order was admitted
17 to the recovery room on the night of the 7th and stayed
18 the 8th. That she was given morphine again at
19 2:30 A.M. She was given Toradol intravenous at 6:50
20 A.M. and had told the nurse that she is not ready to go
21 home yet. That was Ms. Araneta. And in fact there
22 was a note written by Dr. Moon for her to be admitted
23 to the floor and there is a note showing that they were
24 waiting for a bed, all right. Assuming that to be
25 true?

1 A Okay.

2 Q Assume that at 7:55 A.M. her pain was
3 reported to be two out of five and at 9:45 A.M. she was
4 given one tab of Percocet. At 10:40 A.M. as this note
5 called into admitting waiting for bed. At 11:40 it
6 was reported that her pain was one out of five. That
7 she was sitting upright in the recliner. That she
8 feels better upright but feels pain in the shoulder
9 when lying back and that she was out of bed to the
10 bathroom and walking well.

11 Assume at 2:45 P.M. that her pain was
12 two to three out of five and that at 2:55 P.M. she was
13 given not one Percocet but now two Percocet tablets and
14 that at 3:45 or four o'clock she was discharged from
15 the hospital.

16 Do you have an opinion as to whether
17 it was good and accepted practice for Ms. Bradley to be
18 discharged from the hospital given the hypothetical
19 facts I have asked you to assume?

20 MR. KOPFF: Can I have a sidebar, Judge,
21 briefly?

22 THE COURT: Briefly.

23 (Discussion off the record.)

24 THE COURT: Repeat the question.

25 (Question read.)

1 MR. KOPFF: Objection to the form.

2 THE COURT: Overruled.

3 Q That means you can answer it?

4 A Okay. First, I don't think they were
5 hypothetical facts but --

6 THE COURT: It's asked in a hypothetical form
7 but you assume the facts as counsel gave to you to be
8 true.

9 THE WITNESS: I understand. Sorry.

10 A Based on those facts I do have an opinion
11 about the discharge and I think it was a deviation to
12 send her home at that point.

13 Q Okay. And, Doctor, can you tell us was
14 that a substantial factor in causing the delay in
15 diagnosing her problem?

16 A I think it certainly contributed to a
17 delay in diagnosing the problem. I think that this
18 was a woman who showed a tremendous amount of pain
19 disproportionate what you would have expected from
20 somebody who had an uneventful gallbladder operation.
21 I think Nurse Araneta correctly was correct in her
22 assessment. You don't see people complaining of this
23 type of pain after a gallbladder operation when there
24 is not a problem.

25 People often stay or people will stay

1 after a gallbladder operation but it is usually for
2 things like nausea, vomiting, they can't urinate.
3 But, when you have pain like this I think it gives you
4 pause to concern.

5 Here they were happy that the pain
6 was getting better and it finally got better with a lot
7 of medications. But, I think the unanswered question
8 more importantly was why would somebody have this kind
9 of pain requiring morphine? She was getting morphine
10 at a time when 99 percent of the patients are home
11 taking Tylenol or taking Tylenol 3 so --

12 Q Say that point again if you will. She
13 was getting morphine at a time, 99 percent -- explain
14 what you mean?

15 A I mean that she was requiring morphine at
16 a time when most patients are home. Morphine is
17 given, you know, intravenously or intramuscularly.
18 Nobody goes home with morphine, and if you are
19 requiring morphine at that point in the post operative
20 period you have to at least think of why a patient
21 would have that kind of pain and I don't think that was
22 addressed.

23 The issue was yes, her pain got
24 better and you would expect her pain to get better.
25 She was given a lot of narcotics, a lot of pain

1 medication. But, I think the more important question
2 was why she had the pain and nothing was ever done to
3 consider that. They thought it was from her
4 incisions.

5 Again, as the nurse stated who seen
6 probably, you know, what she said in her 14-year career
7 she is a recovery room nurse. She sees literally
8 hundreds and thousands of patients. You know what the
9 normal post operative incisional pain from a
10 gallbladder is and it is not that significant. That's
11 why people go home.

12 Q Doctor, in the high percentage of the
13 patients that you treated what is your experience as to
14 when patients go home after lap chole?

15 A They almost always go home the same day.
16 You know, there are a few patients again who have
17 unusual reaction to the anesthesia and who are
18 nauseated. There are some people who -- older people
19 who can't urinate so they require a catheter and they
20 may stay in the hospital. There are some people it is
21 done late in the day and you finish at nine o'clock at
22 night so you are not going to send them home at
23 3:00 A.M. But again most 25-year old people who come
24 in for an elective gallbladder do not require admission
25 even overnight.

1 Q And while we are on it do you agree with
2 Dr. Moon's first note which he wrote on the day of the
3 surgery, the 7th, admitting her to the recovery room to
4 stay overnight?

5 A Yeah, I think he felt the same way. This
6 was not just pain, you know. This was not something
7 -- this was unusual enough for him to consider
8 admitting her to the hospital.

9 Q And do you agree with his note on the
10 following day to admit her to the floor and note about
11 waiting for a bed? Would you agree with that?

12 A I think that that again reflected his
13 discomfort that this was not a typical, you know,
14 course of a patient who had, you know -- he knows what
15 he did in the operation. Sometimes we do an operation
16 that there is a lot of blood loss, that there is a
17 tremendous amount of inflammation. They can be more
18 difficult and there the patients can be a little sore.
19 But he knows what he did. This was a relatively
20 straight forward, you know, uneventful gallbladder and
21 he thought -- saw fit to admit her to the floor.

22 Q Doctor, I want to switch topics now for a
23 moment.

24 At some point she goes to Lutheran
25 Medical -- withdrawn.

1 I want you to assume that Michelle
2 Bradley went home and was home until the night of the
3 12th. Went to Staten Island University Hospital and
4 then on the 13th was admitted to Lutheran Medical
5 Center and that on June 14th Dr. Moon saw her, Michelle
6 Bradley, at Lutheran Medical Center and at 9:30 in the
7 morning asked for an ERCP to be done and asked that it
8 be done ASAP, as soon as possible. And that he
9 considered the patient an urgent situation.

10 In fact the ERCP was done at
11 9:30 P.M.

12 Can you tell us, first of all, what
13 your understanding of the ERCP is and why it was
14 necessary and I think on this we have a diagram which
15 again with the Court's permission I will ask you to use
16 if it will be helpful. It's Exhibit 43. You can
17 come down?

18 A This was the test that they asked -- that
19 he asked to be done urgently that morning. ERCP,
20 Endoscopic Retrograde Cholangiopancreatography. Fancy
21 way in saying putting a scope down and you are looking
22 at the -- cholangiole is the bile duct.
23 Pancreatography is the pancreatic duct which is over
24 here.

25 So, just to give you some background

1 when he asked for this test she had had a test done in
2 Staten Island where they did what is called the HIDA
3 scan. They gave her a medication in her veins which
4 is absorbed by the body. Then goes to the liver and
5 then the liver puts it out into the bile duct.

6 On that test it showed that that
7 material was leaking out of the bile ducts. And, on
8 that basis they knew she had some injury to her bile
9 duct and on that basis she was transferred back to
10 Lutheran because that's where the doctors took care of
11 her and that was a reasonable thing to do.

12 And the next step to do is to try and
13 further diagnose it because you don't know where in the
14 bile duct that is leaking from and the ERCP may give
15 you a chance to even treat the injury or the leak.

16 So, what it is is a scope is put
17 down. It is done under anesthesia. You swallow a
18 scope. If any of you ever had a scope in your stomach.
19 Same thing. But it goes a little further. The scope
20 goes all the way down to the stomach, into the duodenum
21 and you can see the opening where the bile duct enters
22 and then they can put a little wire and then inject dye
23 so what you -- you can visualize this bile duct. You
24 are injecting dye through this catheter here all the
25 way around and it lights up. You can see on an x-ray

1 very clear picture of the bile duct.

2 And what they saw was that this bile
3 duct was cut and nothing went beyond that. There was
4 a little trickle beyond that thing but it was an abrupt
5 cut off here. What you normally should see, okay, if
6 you do an ERCP catheter should come up here. This
7 duct should fill. You should see the cystic duct
8 which was cut. You should see both hepatic ducts so
9 you will get a very good view of the anatomy.

10 So, in this case there was a cut off
11 right here. It went up a small way and then no dye
12 went beyond that so that tells you that you have an
13 injury to the bile duct. And as I say that's why she
14 was transferred.

15 Now, the reason you want to do this
16 urgently and the reason Dr. Moon wanted to do it
17 immediately as he should is because you have -- the
18 only thing you know thus far is you are draining bile
19 into the abdominal cavity and what happens is if for
20 instance there may be a situation if there was a little
21 hole in the duct or if this for instance -- you can
22 have a situation that the clips can come off the cystic
23 duct. So, that could be leaking bile. So, what you
24 want to do is you want to be able to -- the ERCP will
25 tell you that and then you may be able to put what is

1 called the stent in there and that's all the patient
2 needs if it is that type of injury.

3 Ms. Bradley did not have the type of
4 injury that could be treated by ERCP and that was --
5 that's why the delay in this case was doubly bad
6 because not only couldn't it be treated but it
7 continues to drain and nothing is being done about it.

8 Q All right. Doctor, if you would resume
9 the stand.

10 Urgent patient -- Dr. Moon testifies
11 that he wanted the ERCP done ASAP and it's ordered at
12 9:30 A.M. ASAP standing for As Soon As Possible,
13 right?

14 A Right.

15 Q How long does it take to do an ERCP if it
16 is ordered at 9:30 in the morning?

17 A Well, I think it is reasonable to allow a
18 couple of hours, two hours, three hours. I mean I am
19 going to give -- you know, the endoscopist who does
20 this may or may not be doing something else. He may
21 be in his office. He may not be available. But,
22 again, in general, you know, assuming that you need a
23 room for this and you need a doctor to do it I think
24 two hours, or three hours is not an unreasonable amount
25 of time.

1 You know, I wouldn't quibble over an
2 hour or two but 12 hours I believe is unreasonable.

3 Q And then I want to ask you whether you
4 think it was a departure from good and accepted
5 practice for Ms. Bradley not to have been drained by
6 Dr. Tack and before I ask you to do that I want you to
7 assume the following facts that we received from the
8 testimony to be true.

9 That Dr. Moon testified that
10 Ms. Bradley was draining -- sorry, that Dr. Moon
11 testified that the draining bile would be helpful to
12 diminish or reduce pain. That he thought it was
13 important, page 250, to have Dr. Tack perform a
14 procedure on Michelle Bradley to draw some of the bile.
15 -- to drain some of the bile.

16 That a consent form was signed by
17 Michelle Bradley on June 15th to drain the bile.

18 That Dr. Moon specifically requested
19 Dr. Tack who in his words at page 252 of the trial
20 testimony he said was not an outside person but rather,
21 quote, he was with Lutheran Medical Center. To do the
22 procedure on -- to do the procedure to drain Michelle
23 Bradley on June 15th. And Dr. Moon gave this
24 testimony:

25 "QUESTION: In fact you had given specific

1 requisition to do the drain drainage, true?

2 "ANSWER: Yes.

3 "QUESTION: And in fact we agree that you were
4 upset about it when you learned he didn't do it, true?

5 "ANSWER: Yes.

6 "QUESTION: So in October of 2007 when asked
7 the question as to whether Dr. Tack's failure or refusal
8 to do this drainage procedure was a departure from good
9 and accepted practice there you answered not only that
10 you were upset about it but it was a departure, true?

11 "ANSWER: Yes."

12 I want you to assume he gave those answers to
13 those questions.

14 Do you agree with Dr. Moon in his October, 2007
15 testimony that it was departure for Dr. Tact not to
16 follow the instructions from Dr. Moon to drain
17 Ms. Bradley.

18 A I do.

19 MR. KOPFF: Objection.

20 THE COURT, well sustained as to form. Just
21 ask the question.

22 MR. KOREK: All right.

23 Q Dr. Drew, do you have an opinion as to
24 whether it was departure for Dr. Tact not to have
25 drained Ms. Bradley as he was requested to do so by Dr.

1 Moon?

2 A I do have an opinion on that and my
3 opinion --

4 Q What is the opinion?

5 A It is a significant departure. I mean
6 Dr. Moon correctly, you know, as a surgeon who deals
7 with this knew that one of the most important things
8 would be to drain the bile. You have a situation that
9 they have now proven because they have looked at the
10 bile duct from the ERCP and from the HIDA scan so you
11 have an open duct which is now draining bile into the
12 patient's abdominal cavity.

13 Number one, it causes pain. But
14 much more importantly it causes peritonitis. It
15 causes inflammation. It causes an infection and the
16 first thing you do when you have an injury like this is
17 to drain it. I think when I was looking at the record
18 one of the interesting things is when you read Dr.
19 Roayaie's op note, okay, in the operative note himself
20 he described that he is operating on a patient for a
21 common duct injury and his next statement was she
22 wasn't drained. It really flies in the face of what
23 most people would do. If you can drain this, okay, it
24 buys you time and at least you have a way for that bile
25 to get out and the implications or the consequences of

1 that bile are minimized.

2 There are many times -- not many
3 times but you can have an injury like this that you may
4 recognize in the operating room and you may not feel
5 comfortable operating on it yourself. What you would
6 do is you would put in a drain so you have a way for
7 that bile to get out. It goes from inside into a bag
8 outside and that buys you time until you can get
9 somebody who can deal with this effectively. But, if
10 you don't drain it you are creating a situation where
11 the patient is going to get sicker and sicker and it's
12 going to make the subsequent operations much more
13 difficult.

14 Q Doctor, knowing that she was operated on
15 on the 17th do you have an opinion as to whether that
16 departure caused additional pain to Ms. Bradley from
17 the time it was ordered on the 15th and for the days
18 that it wasn't done until the 17th?

19 A Yes --

20 MR. KOPFF: Objection.

21 THE COURT: What is the objection?

22 MR. KOPFF: To the form, Judge. Based on the
23 time frame that he has given.

24 THE COURT: Come up.

25 (Discussion off the record).

1 THE COURT: Doctor, do you need the question
2 repeated?

3 THE WITNESS: No.

4 THE COURT: You may answer the question.

5 A I think that the period of time between
6 the failure to drain it and the time she ultimately
7 underwent surgery to correct this certainly subjected
8 her to a period of increased pain. Absolutely.

9 Q Doctor, did you agree with the decision to
10 transfer Michelle Bradley from Lutheran Medical Center
11 to tertiary care center like Mount Sinai?

12 A Yes.

13 Q And do you have any opinion as to when
14 that should have been done?

15 A I think it should have been done, you
16 know, as soon as you have this injury documented and I
17 would say as soon as the ERCP was done you knew what
18 the problem was that it was a transection of the bile
19 duct and if you weren't -- if you felt yourself unable
20 or not, you know, if you thought there were better
21 people to do it that was the time to do it. You know,
22 clearly I think the idea of transferring her to a place
23 like Mount Sinai it was a good decision.

24 MR. KOREK: Your Honor, may I have use of
25 exhibits 13 and 16 which have been previously marked or

1 --

2 Q Doctor, have you seen or are you familiar
3 with Exhibit 13?

4 A Yes.

5 Q I want you to assume this is what Dr. Moon
6 told us that he intended to make the cuts and he
7 actually put a number for -- sorry, this is where he
8 attempted to put the clip and make the cut. One, two
9 and three represent the three clip that he intended to
10 put on and where it says the word cut with a black line
11 is where he intended to make the cut, all right?

12 A Yes.

13 Q I want you to also assume that he told us
14 that he was aware in looking at Exhibit 16 that in fact
15 the bile -- common bile duct was cut at the proximal
16 portion and at the distal portion and that is reflected
17 on Exhibit 16.

18 Have you seen that as well?

19 A I have.

20 Q Now, Doctor, can you tell us what the
21 significance is of these diagrams as well as the
22 testimony of Dr. Moon wherein he indicated that he
23 should have only been making one cut?

24 A Can I come up?

25 Q Please with the Court's permission?

1 THE COURT: Yes.

2 Q And any of the diagrams that you think may
3 help you illustrate the point please feel free to use
4 them?

5 A Well, I think that the diagram already
6 speaks for themselves and I think he, you know -- here
7 this is -- here he outlined this is what we usually do.
8 As I say you put the two clips on. Some people even
9 use three. You make one cut and then when you cut
10 this the gallbladder is now free. It's not attached
11 any more to the bile ducts, okay. And then you start
12 taking out the gallbladder from the liver bed.

13 We can assume that the artery was
14 already cut, you know, beforehand. So, that is the
15 way you do it.

16 What should have alerted him that
17 there was a problem is that, you know, this is where he
18 put the clip. He thought this -- you know, he thought
19 -- sorry. Number one on his -- this is number one
20 okay and number two. And number three is over here,
21 okay. So, he misidentified it.

22 But, the thing that I think should
23 have alerted him to a problem is that if you put a clip
24 here and you put a clip here and you cut between them
25 like you normally do you are not going to be able to

1 take the gallbladder out because it is still attached
2 here. If you pull this up the clip is still on so the
3 gallbladder won't come up. It's still attached. So,
4 what you have to do -- what he had to do to do this
5 injury he had to cut here and then he had to make a cut
6 here and that's how you get that, you know, T shaped
7 area that he was describing.

8 So, when you make two cuttings on a
9 bile duct in this operation it gives you pause to
10 concern, something that is not right and the only way
11 he could have done this was to have made a second cut
12 on the -- because this is what basically was, you know,
13 in a schematic thing. It is more longer, but he had to
14 cut here and he had to cut here. So -- and that's how
15 you end up with a piece of the common bile duct
16 missing.

17 So, that normally as I say it is very
18 important to remember normally you clip, clip, cut and
19 that's the last cut you make on a bile duct. So, to
20 make a second cut on a bile duct should tell you that
21 something is wrong.

22 Q When looking at the T section in
23 Exhibit 30 right behind there was Exhibit 32, behind
24 you?

25 A Okay.

1 Q I think it is 32?

2 A This is 31.

3 Q If you can go to 32?

4 A 32 is here.

5 Q So, if you could show the jurors what it
6 should look like when the gallbladder comes out and
7 what it did look like when this one came out?

8 A This is what we said it is suppose to look
9 like. Clip, clip, cut, and then this comes out, okay.
10 Over here. Here is what he ended up with. Clip,
11 cut, clip, cut, and then take that out. And that --
12 so now you have this piece missing. As I say this is
13 good to review. The ERCP showed the bile -- showed the
14 dye coming up to here and then stopping. So, you knew
15 that it is not in continuity. It is not any more
16 attached to this part of the bile duct. So, that's
17 when you knew you had a major problem on your hands
18 because the bile doesn't go.

19 See, you can have done an ERCP for
20 instance and the dye could have gone all the way up and
21 then it could have been leaking out here. That's a
22 much different thing to deal with. That's why you do
23 an ERCP so the HIDA scan which tells you that stuff is
24 dripping out is important. But the ERCP allows you to
25 see the anatomy so that you can deal with it.

1 So that as I said the fact that he
2 had to make two cuts to get this out is what should
3 have alerted him to the fact that this was not business
4 as usual.

5 Q And, in fact on that very point when you
6 say it should have alerted him that it wasn't business
7 as usual when should it have alerted him?

8 A In the operating room. As soon as --
9 when you cut -- when you make your first cut and now
10 you are saying okay, now I am going to take the
11 gallbladder out but the bile duct is not coming up
12 because it is still attached to something that is what
13 has to make you consider, you know, what -- I am not
14 where I think I am. And I have to do something to sort
15 of look. Let me look again.

16 Q While we are at it something that you have
17 to do if you know you are having a problem
18 intraoperatively, can you tell us what an
19 intraoperative cholangiogram is and what is the
20 significance?

21 A You know sometimes the surgeon is not
22 sure, okay. And that's nothing that is not -- that is
23 not an embarrassment. We always say it is better to
24 be safe than sorry and you know we have given you
25 diagrams where they are colored. I can tell you from

1 doing surgery there are no color codes in the human
2 body so this looks fairly easy but it can be difficult
3 and we find situations where we are just not sure.

4 So, what you can do is if you think
5 you are dissecting it and you are starting the
6 operation or even no matter where you are when you cut
7 what you can do is okay, you know what, I think this is
8 the cystic duct. I am going to put a little needle
9 in. You can do that through the skin. I am going to
10 put a little needle in and inject dye through that and
11 I am going do an x-ray right in the operating room and
12 then it shows all the bile ducts and you can tell where
13 you are.

14 For instance, if you put it in here
15 you need to see the liver. If by chance you are here
16 and you put it in you will see that everything will go
17 down, nothing will go up. So, that is -- that's one
18 way we can decide to, you know, if we are not sure and
19 as I say there is no shame in not being sure. These
20 are -- these are difficult.

21 Q Doctor, do you have an opinion in this
22 case as to whether the failure on the part of Dr. Moon
23 to do intraoperative cholangiogram is departure from
24 good and accepted practice?

25 A I think, you know, he felt he knew where

1 he was. I mean, you know, I have to sort of be -- at
2 least think to yourself that maybe something is not
3 right in order to do the cholangiogram. If you are
4 going along and in your own mind everything is perfect
5 you may not do a cholangiogram. Obviously things were
6 not correct here and as I say that's only one issue.
7 But again that second cut and the idea that you had to
8 make another cut that has to register that, you know,
9 what -- I am not where I am and let me do something to
10 show where I am before I leave the operating room.

11 Q Well, Doctor, tell us if you agree with
12 this statement of Dr. Moon on the subject of
13 intraoperative cholangiogram. He was asked:

14 "QUESTION: Wouldn't you agree, Doctor, that if
15 a surgeon had difficulty identifying the anatomic
16 structures in 2005 that it would be a departure from good
17 and accepted practice if the surgeon didn't do an
18 intraoperative cholangiogram?

19 "ANSWER: No."

20 Do you agree with that statement?

21 A I don't. If you are having difficulty,
22 it's one of the ways and it is -- you know, you have --
23 haven't yet crossed over the line where you have to --
24 because the next step if you are not sure is you have
25 no choice but to open. So, the cholangiogram gives

1 you a way of non-invasively or without making a cut to
2 figure out where you are. So, it is an easy thing to
3 do and it should be used under those circumstances.

4 Q Doctor, what about converting to an open
5 procedure? The jurors have heard a little bit about an
6 open procedure. Can you tell us what is the
7 significance of that?

8 A You know, every once in a while it will be
9 a part of every consent where we -- every consent that
10 we do laparoscopically we always ask for permission to
11 convert it into an open procedure, the old fashion way
12 because there are times when it is just too difficult.
13 The gallbladder is too inflamed. You may have had
14 previous surgery. There is bleeding. But there are
15 occasional times where it just can't be done
16 laparoscopically and you open it. And again there is
17 no crime in that.

18 You know, the patient knows it is
19 going to happen and again you know you are honest. We
20 don't expect to happen. It is probably less than one
21 or two percent but even in this case you always get
22 consent for that because it is always a possibility and
23 that's whether you are doing laparoscopic appendectomy
24 or laparoscopic colon or laparoscopic hernia. You
25 always have to have the ability to convert if you can't

1 do it.

2 Q And, Doctor, given what you told us about
3 he should have know something --

4 THE COURT: Let the doctor resume his seat,
5 please?

6 THE WITNESS: Sure, sorry.

7 Q Doctor, knowing what you told us about the
8 fact that he should have known when he made the second
9 cut that something was wrong can you tell us whether
10 you have an opinion as to whether it was a departure
11 from good and accepted practice for Dr. Moon not to
12 have converted to an open procedure?

13 A I think that yes, I mean it is sort of a
14 difficult question because he didn't know he did
15 anything wrong. But, in my opinion when you made that
16 second cut it had to -- should have raised, you know, a
17 red flag to you that it is not the normal thing because
18 there is no reason to make a second cut on a bile duct.
19 And, you certainly know you are cutting across a bile
20 duct because you can see it.

21 So, I think that that is one of my
22 major criticisms that the injury occurred but it wasn't
23 like there wasn't some signs here. Occasionally this
24 injury occurs when everything is stuck together, you
25 know, the gallbladder may be inflamed. We have drawn

1 a picture like that. Sometimes a gallbladder is
2 sitting against the bile duct and it can be brutally
3 difficult.

4 So, there are occasions where you
5 injure a duct and you suspect it, you know. But,
6 again, injuring the duct is one thing. You have to
7 recognize it. That in my opinion that is what the
8 deviation is. You cannot leave the operating room
9 without recognizing it.

10 Q Doctor, do you have an opinion -- I want
11 to talk to you for a moment about -- well, sorry. Is
12 there any shame in converting to an open procedure?

13 A No, I think that, you know, it is one of
14 the -- you know, early in the laparoscopic, you know,
15 experience, people were reluctant to open because
16 nobody wanted to get a reputation that they couldn't do
17 it laparoscopically and we know from the surgical
18 literature that most of the injuries we saw more bile
19 duct injuries in the first two or three years of
20 laparoscopic gallbladders than the surgeons had seen
21 for 20 years before that and it was a function of
22 people learning how to do the procedure and getting
23 comfortable with the fact that it's not always possible
24 to do.

25 So, there is no shame in it and as I

1 say I think the more experience people have and the
2 more confident they are in their abilities the less
3 reluctant they are to open because, you know, if you
4 can't do it you can't do it.

5 You know, people, we have been taking
6 out gallbladders for, you know, a hundred years and,
7 you know, yes, you have a little bit bigger scar but
8 you are alive and well and it is not that big a deal.

9 Q And the scar you are talking about is a
10 four to six inch scar?

11 A Yes.

12 Q Doctor, what is a learning curve?

13 A A learning curve is the amount of cases
14 that it's thought that a surgeon should do before they
15 are truly proficient at the case. It is something
16 that surgeons who do the case will, you know, have come
17 -- the pioneers in the field if you will, you know,
18 have done a bunch of cases and they will tell you that,
19 you know, after I did 50 that is when I really
20 understood what I was really looking at and seeing.

21 There are some cases for instance in
22 bariatric surgery that the learning curve is thought to
23 be 75 to a hundred cases. I elected not to do those
24 cases because I, you know, what I think that is too
25 long a learning curve. I will send those cases to

1 somebody else. I don't think it is fair for me to
2 subject my patients to be the first 75 if it is going
3 to be that many and that long.

4 But in gallbladders the learning
5 curve is the amount of cases until you -- it is felt
6 that you are proficient at it. It doesn't mean you
7 can't have problems after that but that is what the
8 learning curve means.

9 MR. KOREK: Your Honor, may we approach for a
10 moment?

11 THE COURT: Yes.

12 (Discussion off the record).

13 THE COURT: Doctor, we are going to take a ten
14 minute recess. Will you step down, please.

15 (Witness excused.)

16 THE COURT: Members of the jury, remember my
17 admonition not to discuss the case with anyone. Take
18 the jury out, please.

19 COURT OFFICER: All rise. Jury exiting.

20 (Whereupon the Jury exits the courtroom.)

21 (Recess had.)

22 COURT OFFICER: All rise. Jury entering.

23 (Whereupon the Jury enters the courtroom.)

24 COURT CLERK: Please be seated.

25 Counsels, waive reading of the roll?

1 MR. KOREK: Yes.

2 MR. KOPFF: Yes.

3 MS. MOY: Yes.

4 COURT CLERK: Doctor, you are still under oath.

5 Q Doctor, we left off talking about the
6 learning curve. You explained a little bit about what
7 it is. I want to ask you to assume that Dr. Moon
8 testified at page 190:

9 "QUESTION: Doctor, would you agree that there
10 is a learning curve involved in learning how to do these
11 type of procedures?

12 "ANSWER: Yes.

13 "QUESTION: Would you agree that the results of
14 laparoscopic cholecystectomy are greatly influenced by
15 the skill and experience of the surgeon performing the
16 procedure and reflects a steep learning curve? Would you
17 agree with that?

18 "ANSWER: Yes?

19 Do you agree with those statements?

20 A I do.

21 Q I want you to assume that Dr. Moon further
22 testified at page 193:

23 "QUESTION: Would you agree it is one of the
24 most important variables?

25 "ANSWER: Yes.

1 "QUESTION: Would you agree that the
2 physician's own learning curve, physicians own lettering
3 curve is not a valid justification for the patient's
4 injury?

5 "ANSWER: Yes."

6 Would you agree with that as well?

7 A Yes.

8 MR. KOPFF: Objection.

9 Q Doctor, can you --

10 THE COURT: Objection?

11 Sustained.

12 Q Doctor, would you --

13 THE COURT: Disregard that.

14 Q Do you think that the physician's learning
15 curve should be a valid justification for the patient's
16 injury?

17 MR. KOPFF: Objection.

18 THE COURT: Well, sustained as to form.

19 Q Doctor, do you have an opinion as to
20 whether the physician's learning curve should be a
21 justification for the patient's injury?

22 MR. KOPFF: Objection.

23 THE COURT: Well, sustained as to form.

24 MR. KOREK: I am just trying to figure out what
25 I am doing wrong, Judge.

1 Q Do you have an opinion within a reasonable
2 degree of medical probability as to whether a
3 physician's learning curve should be a justification
4 for the patient's injury?

5 MR. KOPFF: Objection.

6 THE COURT: Well, sustained as to form.

7 MR. KOREK: Your Honor, may we approach so I
8 can get some help on it.

9 (Discussion off the record).

10 THE COURT: Next question.

11 Q Doctor, I want you to assume that Dr. Moon
12 told us that he made an effort to identify the cystic
13 duct, that he attempted to trace it from where the
14 gallbladder itself began and that he himself thought
15 that he was in the right place.

16 Knowing that to be what Dr. Moon
17 testified to can you tell us do you have an opinion as
18 to whether that is a satisfactory explanation? I
19 didn't know, I thought I was in the right place?

20 A I have an opinion on that.

21 MR. KOPFF: Objection.

22 THE COURT: What is the objection?

23 MR. KOPFF: I think he is just going in the
24 same direction that we just discussed.

25 THE COURT: No, I'll allow it.

1 Q If you can, Doctor?

2 A I think that just thinking that you are in
3 the right place is not enough. I mean there are ways
4 to know it more reasonably, more definitely and you
5 have to know it more definitely and just because you
6 think you are there in this case, you know, several,
7 you know, surgical principals were violated and you
8 can't -- I don't think he knew where he was. As I say
9 I don't find that as justifiable defense to say well, I
10 thought it was there. I mean, you know, if I go out
11 and drive a car and I run a red light --

12 MR. KOPFF: Objection. Move to strike.

13 THE COURT: I will stop you there. Jury
14 disregard that comment. The rest of the answer will
15 stand.

16 Q Doctor, I want to actually be more
17 specific. On exhibit -- sorry, 23 I had Dr. Moon agree
18 with me before I wrote anything on this that in fact he
19 agreed that he was not aware of these problems and that
20 he had no problems with some other issues. I want to
21 read to you what it is that he testified to?

22 THE COURT: Can you see that?

23 THE WITNESS: Yes.

24 Q Page 196 of the trial testimony. He said
25 he was not aware of one structure adhering to another.

1 That he said he had no problems with the equipment.
2 That he said he had no problems with excessive
3 bleeding. He said he had no problems with acute
4 inflammation. He said he had no problems with
5 previous scarring. He said he had no variations in the
6 cystic hepatic duct. In other words she wasn't born
7 with anything that looked any different than the rest
8 of us, and that he didn't incidentally contact an organ
9 by using an instrument.

10 Assuming those things to be true, Dr.
11 Moon didn't have any problem with that, can you tell us
12 what the significance is of the fact that he himself
13 told us that he had no problems with any of those
14 items?

15 A Well, I think that removes every potential
16 reason why you would -- you would mistake the two
17 structures and with those not in existence this is
18 just, you know, an error of not recognizing the --
19 recognizing normal anatomy and I think that that is why
20 I am here. That's a deviation in my opinion.

21 Q Deviation from good and accepted practice?

22 A Yes.

23 MR. KOREK: I have no other questions at this
24 time. Thank you, Judge.

25 THE COURT: Counsel, do you wish to examine

1 this witness?

2 MR. KOPFF: Yes, with the Court's permission,
3 please.

4 THE COURT: Yes, one moment. Attorneys
5 approach one moment, please.

6 (Discussion off the record).

7 THE COURT: You may inquire.

8 MR. KOREK: Just by way of housekeeping I want
9 to offer into evidence Exhibit 23. It is currently
10 marked for I D. I know we had a discussion about it.

11 MR. KOPFF: Objection.

12 THE COURT: Objection is withdrawn, isn't it?

13 MR. KOPFF: Well, yes, Judge. We resolved it.

14 THE COURT: Okay, it's withdrawn. We resolved
15 it previously.

16 CROSS-EXAMINATION

17 BY MR. KOPFF:

18 Q Good afternoon, sir?

19 A Good afternoon.

20 Q My name is Peter Kopff and I represent Dr.
21 John Moon and the Lutheran Medical Center?

22 A Okay.

23 Q I am going to ask you a few questions.

24 Let's start with your first departure. Let's assume

25 that -- can --

1 MR. KOPFF: Can I have Exhibit 31 put up,
2 please.

3 COURT OFFICER: No problem.

4 MR. KOPFF: Thank you.

5 Q Let's assume that hypothetically that Dr.
6 Moon made the two cuts you described and at that point
7 realizes I have a problem here. And, he says I am not
8 equipped to handle this and he refers the patient to
9 the same group at Mount Sinai but with more speed.

10 So, it is going to take a day let's
11 say or day or two and the patient then would have had
12 the same Roux En Y procedure that she would have
13 underwent?

14 A She would have had the Roux En Y, yes.

15 Q And she would have had the same scars that
16 she has today, correct?

17 MR. KOREK: Objection. I am not sure what the
18 question is.

19 Q Well, all right, the procedure she would
20 have had would have involved the same procedure she
21 underwent which would involve essentially the same
22 scars, true?

23 MR. KOREK: Judge, forgive me. It started off
24 with a departure and then it went to a different
25 question. So, I am trying to understand what the

1 question is. That's what my objection is. Is it
2 withdrawn or --

3 THE COURT: Do you understand -- do you
4 understand the question, Doctor?

5 A Ask me again. Sorry.

6 Q Okay. You and counsel had plenty of time
7 to talk and go over what you were going to say, true?

8 A Before today?

9 Q Yes?

10 A Yes.

11 Q And now it is more spontaneous. You have
12 to answer my questions. You don't know what questions
13 I am going to ask?

14 A I don't think I do.

15 Q If you have a problem paying attention let
16 me know or whatever and I will slow down?

17 A Okay.

18 Q Assume that my client, Dr. Moon, after
19 he's made the two cuts that are mentioned and he has
20 this T shape configuration attached to the gallbladder
21 that he says oops, that would have been good if he
22 could have noticed it at that time, true?

23 A Well, I think the departure is cutting it.
24 Doing that injury is a departure.

25 Q Okay, you gave us a number of departures,

1 true?

2 A Yes.

3 Q And one of them you said when he has made
4 this type of cut he should notice that, didn't you say
5 -- and I am paraphrasing it. I am asking if he had
6 noticed it at that time that then the patient still --
7 would have been accepted practice to refer the patient
8 to a major center such as they did, correct? Can -- I
9 know you are shaking your head. Can you give me a yes
10 or no on that?

11 A It is not yes or no because my answer to
12 you is you make the first cut, okay.

13 Q No, no, that's not my question. I am
14 asking you to assume, Doctor --

15 A You want me to assume that he made both
16 cuts?

17 Q Yes, I said that?

18 A Yes.

19 Q That he made two cuts.

20 MR. KOPFF: Can I approach, Judge.

21 Q I put the exhibit up here. It is not
22 hard to see. He has the T shape cut. You know where
23 we are?

24 A Of course I do. What I am telling you is
25 my criticism is that you don't make the second cut.

1 Q That's not my question, Doctor?

2 A That's what you are asking me.

3 Q No, no?

4 A Go again.

5 THE COURT: Doctor, listen to the questions of
6 counsel and assume the facts as he states them to you and
7 if you don't understand the question just say so and I
8 will have him rephrase it.

9 Q Did you hear my question, sir?

10 THE COURT: Just ask the question.

11 A Yes.

12 Q I assume once Dr. Moon realizes oh, I have
13 this T shape configuration, if at that time he
14 diagnosis it that would have been an earlier diagnosis,
15 true?

16 A True.

17 Q And, it would have been acceptable then to
18 refer the patient to Mount Sinai Medical Center as he
19 did, correct?

20 A Correct.

21 Q You have no problem -- first of all you
22 have no problem that this surgery was done, that the
23 lap chole surgery was done, correct?

24 A Correct.

25 Q And you don't dispute that the patient

1 needed it, true?

2 A I do not dispute that, correct.

3 Q And the record shows that the patient was
4 in five out of five pain before the surgery, true?

5 A True.

6 Q That's the highest pain you can get, true?

7 A True.

8 Q And for a moment to talk about Michelle
9 Bradley's pain are you familiar with her sensitivity to
10 pain having studied this case as you have?

11 A I have.

12 Q She -- when she had procedures that are
13 routinely done under local anesthetic she has had
14 general anesthetic, correct, time and again under every
15 single one?

16 A You are talking about the Mount Sinai
17 procedures?

18 Q Yes, sir?

19 A Yes.

20 Q She had numerous radiographic procedures
21 and each time the average patient would have had them
22 by local anesthesia and she required in the judgment of
23 her physicians and her own desires general anesthesia,
24 true?

25 A True.

1 Q Doesn't that tell us that she has a low
2 tolerance for pain?

3 A It does.

4 Q Now, you said that it was a departure for
5 someone. You didn't say who. It was a departure to
6 discharge the patient, correct?

7 A Correct.

8 Q Because of her pain?

9 A Yes.

10 Q Because of her pain?

11 A Yes.

12 Q Now, are you aware that if you cut the
13 bile duct that there is a period of time before the
14 onset of pain from that? Can you agree yes or no? You
15 are shaking your head?

16 A I am not agreeing with that.

17 Q Are you aware that Michelle has --

18 THE COURT: Well, wait a minute. The answer
19 is no?

20 THE WITNESS: I don't agree with that.

21 Q Are you aware that Michelle has testified
22 when her pain increased, sir? Have you studied --

23 A I don't recall that, no.

24 Q You are here. You have given an opinion
25 today and --

1 A Yes.

2 Q And at the time you addressed the salient
3 facts to this jury of this case you are not aware that
4 Michelle ever had a time when her pain increased from
5 when she left the hospital --

6 THE COURT: Ms. Bradley.

7 Q Sorry. Ms. Bradley that there was a time
8 when her pain increased after she went home until she
9 came back to Staten Island University Hospital, yes or
10 no?

11 A There is a time that's why she went to
12 Staten Island.

13 Q Okay. So, she went to Staten Island
14 University Hospital what day, sir?

15 A The 13th -- the 12th.

16 Q And, so that is about how many days after
17 the procedure?

18 A Fourth post operative day.

19 Q So on the fourth post operative day her
20 pain increased?

21 A Increased enough that she went to the
22 hospital. I think she said she was having pain all
23 along.

24 Q Well, let me ask you. You agree that a
25 patient and physician are a team. They have to work

1 together to get the best care. Do you agree with
2 that?

3 A I will agree with that, yes.

4 Q And patient also has some responsibility,
5 you agree?

6 A I would agree with that.

7 Q And if a patient -- a patient has a duty
8 to communicate with a physician, correct?

9 A Correct.

10 Q Now, if I tell you that Michelle Bradley
11 testified at her deposition on May 31, 2007, at page
12 109 line 2 through 4:

13 "QUESTION: Let's start with midday on the
14 11th. What happened then?

15 "ANSWER: The pain started to get worse."

16 You are unaware before this that actually on
17 the 11th around noon her pain started to get worse?

18 A No, I -- I am aware that it got worse the
19 day prior to her appearance at Staten Island. That's
20 when she thought it was worsening.

21 Q Now, you can have pain from having
22 surgery, correct?

23 A You can have incisional pain if that's
24 what you are asking me, you know. Yes.

25 Q Are you a pain management expert, sir?

1 A I am not.

2 Q She was cleared to be discharged by a pain
3 management expert. Are you aware of that?

4 A She was cleared by anesthesiologist who
5 saw her but I don't know that it was related to the
6 pain management.

7 Q Well, she -- and -- you are aware when you
8 said there was a departure and you didn't say by whom
9 that she was cleared to be discharged by several
10 physicians who you have no reason to doubt assessed her
11 pain and analyzed that her pain was decreasing,
12 correct? Yes or no?

13 A Her pain was not decreasing. Her pain
14 decreased at certain times and then it was waxing and
15 waning.

16 Q Sir, she was on morphine, was she not?

17 A Yes.

18 Q Then she went to Percocet, correct?

19 A One tablet, yes.

20 Q Which indicates a decrease in the pain,
21 true? That's a less potent analgesic, Percocet, than
22 morphine, yes or no?

23 A Her pain was -- her pain was two when she
24 was getting that. Two to three I believe when she got
25 the Percocet. It was three --

1 Q And then she was discharged on Tylenol,
2 correct?

3 A Tylenol.

4 Q Wasn't she discharged on Tylenol?

5 A She was given Percocet.

6 Q So, the testimony of Michelle Bradley is
7 that her pain increased on the 11th around noon and you
8 have said that the fact that she made calls and went to
9 Staten Island University Hospital was because of an
10 increase in the pain, correct?

11 A Correct.

12 Q And you acknowledge that there is
13 anesthesiologist who evaluated her and signed off on
14 discharging her at page 113 of the record knowing that
15 she was awake, alert, stable, stable overnight, stable
16 for discharge, home with escort. That was
17 anesthesiologist, correct?

18 A Yes.

19 Q And the physician who is most skilled and
20 experienced in assessing someone's pain is
21 anesthesiologist in terms of the normal range of
22 physicians in a hospital, true?

23 A I think what I have said was that there is
24 no question --

25 Q Can you answer my question?

1 A Anesthesiologist may or may not depending
2 on what his or her experience is.

3 Q Anesthesiologist --

4 MR. KOREK: Objection. All I ask is that Mr.
5 Kopff allow the doctor to finish his answer.

6 MR. KOPFF: Well, you know, Judge, I asked him
7 to answer yes or no which he hasn't done yet.

8 THE COURT: One moment. Well, did you finish
9 your answer?

10 A There is no yes or no to the question you
11 asked me.

12 Q Will you be able to answer any of my
13 questions yes or no?

14 A I may. We can agree -- I said before I
15 agree 100 percent that her pain was under control.
16 What I also said was nobody had done anything --

17 Q Sir, it is cross examination?

18 A You just asked me the question.

19 THE COURT: One moment. I am going to strike
20 the comment.

21 MR. KOPFF: Move to strike, Judge.

22 THE COURT: I will strike the Doctor's
23 statement. I am going to strike your -- whatever it
24 was. I am striking it. Jury disregard it all.

25 Now, ask your question, Counsel. Wait for

1 your answer. If you have a motion to make then make it.

2 Q Doctor, how many times have you testified
3 in court?

4 A About ten times.

5 Q Of those ten times how many times were for
6 plaintiffs that were suing either doctors or hospitals?

7 A Ten.

8 Q A hundred percent for the plaintiff,
9 correct?

10 A Yes, yes.

11 Q Now, in addition to those times in court
12 you have appeared and testified in depositions in cases
13 in many states, correct?

14 A Correct.

15 Q How many depositions have you testified in
16 where you are paid as an expert for a plaintiff in a
17 medical malpractice case?

18 A I have done probably 50 or 60.

19 Q And you've reviewed approximately a case a
20 month?

21 A I have reviewed twice -- usually I
22 review -- I am going to say I probably review four or
23 five a month now. I haven't been doing that all along
24 of which I find probably less than half where there is
25 a deviation. So, the other half I have not found a

1 case against a physician.

2 Q I just ask you how many cases you
3 reviewed. That would be four or five a month for how
4 many years?

5 A Yes.

6 I would say for the last three years
7 at that pace. Maybe one to two or three for four or
8 five years. Before that, something like that.

9 Q So, at least on average 50 cases a year
10 you have reviewed, correct?

11 A Now, for the last three years, yes.

12 Q And how many for like the nine years
13 before that?

14 A Probably I would call it an average of two
15 a month, over that period of time.

16 Q Now, let me go back and ask the question.
17 If you can answer it yes or no it may expedite things?

18 A Okay.

19 THE COURT: Counsel, just ask your question.

20 Q Assuming that Dr. Moon called in,
21 recognized once there was this T cut on Exhibit 31
22 plate 4 B, once that existed and he wanted to refer the
23 patient to Mount Sinai or another institution the same
24 surgery would have been done, correct?

25 A Once the T cut had been made, yes. I

1 just want -- okay.

2 Q And the same surgery is done the same
3 scars are made. It's a big procedure, correct?

4 A Yes.

5 Q Now, Doctor, an injury to a common bile
6 duct is a known complication of a laparoscopic
7 cholecystectomy, yes? Can you answer that yes or no?

8 A I can and it is yes.

9 Q And, complications -- you have had
10 complications yourself in your career, correct?

11 A I have.

12 Q And, complications can have a range of
13 morbidities, correct?

14 A Yes.

15 Q And, are you -- would you agree, are you
16 aware that Michelle Bradley today has no ongoing
17 complaints or treatment other than being observed?

18 A I would agree with that, yes.

19 Q So for the past two years or more, almost
20 two and a half years she has been healed in terms of
21 she has no ongoing complaints referable to her
22 situation, the surgery?

23 A Based on the record that I saw absolutely.

24 Q And there is a range of complications that
25 a patient can have, true?

1 A Yes.

2 Q And one reason that you can have an injury
3 to the common bile duct, if you can answer this yes or
4 no, is because the way that the jury sees these plates
5 in evidence that is not the way it looks at surgery,
6 true? You are nodding, but --

7 A That's true.

8 Q In other words, the different color codes,
9 it is fine for court that we have these visuals but it
10 doesn't look that way to a surgeon. It is hard to do
11 the surgery than is presented in the visual, correct?

12 A Yes.

13 Q Now, Doctor, if a doctor is going to
14 testify to a deviation wouldn't he be on weak ground if
15 he is testifying to a deviation about a physician that
16 has different specialty and training and experience and
17 judgment than himself? Would you agree?

18 MR. KOREK: Objection.

19 THE COURT: Sustained.

20 Q Well, you in saying that there was a
21 departure in discharging Michelle Bradley on June 8th,
22 you have said that that was because of the amount of
23 her pain. That was one of the factors, correct? Yes
24 or no?

25 A One of the factors.

1 Q And, in medicine physicians all the time
2 have to make judgments and they must understand the
3 physical condition, must be aware of the complaints of
4 the patient, and then they have to make a judgment
5 about treatment and not all physicians make the same
6 judgment based on the same facts, correct?

7 A Correct.

8 Q And sometimes they can be what is called
9 an error of judgment and that is not negligence. If
10 two physicians look at the same situation and make
11 different judgments that is not negligence, do you
12 agree?

13 A Not necessarily but it can be.

14 Q Today you are telling the jury that with
15 hindsight looking back as you sit here today you are
16 saying that there was a departure in discharging
17 Michelle and do you stick to that even though you now
18 know that her pain increased not on the 8th, nor the
19 9th, nor the 10th, but not until the 11th, three days
20 later, sir?

21 A Yes, I am saying that. Absolutely I am
22 saying that.

23 Q And you are saying that even though the
24 surgical team evaluated Michelle --

25 THE COURT: Ms. Bradley.

1 Q Ms. Bradley, and the anesthesia attending
2 evaluated her, correct?

3 A Yes.

4 Q And you don't doubt the surgical team when
5 they made a judgment made the best judgment that they
6 could given their experience, training, and they wanted
7 the best for Michelle Bradley at that time, would you
8 agree?

9 A I think with the proviso given their
10 training, yes.

11 Q Well, you have a team in a teaching
12 hospital. You are not going to challenge the fact that
13 medicine needs teaching hospitals and best hospitals
14 are teaching hospitals, true?

15 A I would agree that teaching hospitals have
16 a large role in medicine, yes.

17 Q And in terms of training physicians we
18 need physicians trained in America, true?

19 A Training. That doesn't mean we allow them
20 to make decisions unsupervised. Training.

21 Q Okay, so you have a surgical team. I
22 just want to know if you are attacking the system?

23 A Not one bit am I attacking the system. I
24 am a product of that system.

25 Q Very good, okay.

1 So in addition to having the surgical
2 team which made a judgment and you don't question that
3 it was a good faith judgment on their part, true? You
4 are not saying there was any malevolent motive?

5 A No. There was absolutely --

6 Q There is a difference in judgment in your
7 view and their view, true? Yes or no?

8 A I don't think that is a straight yes or no
9 answer.

10 Q Very good.

11 In addition to the surgical team
12 there was anesthesiologist who assessed the patient and
13 you don't put any bad motive to that anesthesiologist
14 in how he did his care -- how he did his evaluation,
15 true?

16 A He is not evaluating the patient from a
17 surgical point of view. I said --

18 Q No?

19 A We don't have to -- there is no question
20 that her pain was getting better. The
21 anesthesiologist is not the one to decide why is this
22 woman having pain. That's my whole point. Does --
23 she got better with pain? Absolutely. They gave her
24 very good choice of drugs. But nobody looked at why
25 was she having the pain.

1 Q Well, Doctor, that is your assumption.

2 The anesthesiologist actually --

3 THE COURT: Strike the comment. Just ask the
4 question.

5 Q There are anesthesiologists at almost
6 every surgery, aren't there?

7 A Yes.

8 Q Do you, I think you said, is it five
9 hundred surgeries a year or fifty --

10 A Five hundred to six hundred.

11 Q And, do you do any of those without
12 anesthesiologists?

13 A Every one of them is done with
14 anesthesiologist.

15 Q And you have made judgments and discharged
16 patients and had complications that you failed to
17 recognize, true?

18 A Yes.

19 Q You have even had a patient dye, true,
20 sir?

21 A Yes.

22 Q That is -- that's a very bad complication,
23 true?

24 A Yes. Can't have much worse.

25 MR. KOREK: At the appropriate time can we

1 discuss one of the issues at the appropriate time. I
2 don't need to interrupt now.

3 THE COURT: Counsel, just remind me when we
4 take a break.

5 MR. KOREK: Thank you.

6 Q Now, Doctor, would you agree that if the
7 family delays notifying the physician about the pain
8 the physician cannot be responsible for that portion of
9 the pain? Would you agree?

10 A I think I would agree with that.

11 Q So, if the jury were to find if there is a
12 one day delay or two day delay in the family notifying
13 Lutheran Medical Center or Dr. Moon that part of the
14 patient's pain is not the -- is not delayed by the
15 physician who hasn't been told yet, correct?

16 MR. KOREK: Objection, Your Honor.

17 THE COURT: Well, one moment. There is an
18 objection. What is the objection?

19 MR. KOPFF: I will withdraw it.

20 Q Now, Doctor, are you aware that there was
21 an issue according to Dr. Moon that they had an
22 ambulance ready for the patient to go to Mount Sinai
23 and the family wanted a physician in Brooklyn? Are you
24 aware of that?

25 A I know that, yes.

1 Q I want -- I am going to ask you a
2 hypothetical. Assuming that the family, that an
3 ambulance is ready to have the patient go to Mount
4 Sinai to Dr. Schwartz's practice which is the same
5 practice that actually handled it through Dr. Roayaie,
6 true?

7 A Yes.

8 Q And there was a delay of one or two days
9 because the family wanted to research other physicians
10 in Brooklyn. Then the defendants would not be
11 responsible for that delay, correct, if the family
12 elected to delay to look for a different physician?
13 Would you agree?

14 A I don't necessarily agree with that.

15 THE COURT: I am going to stop you a moment.

16 All right, Counsel, you can continue.

17 Q Sir, have you ever seen the file of
18 materials that the family compiled on this case?

19 A I don't think I have.

20 Q Okay. Are you aware that there was a Dr.
21 Dale Distant, D-I-S-T-A-N-T, who was discussed as an
22 alternative to the physicians at Mount Sinai Medical
23 Center?

24 A Distant?

25 Q Yes?

1 A Yes, I am aware of that.

2 Q Now, so, you've heard what is called a
3 second opinion, have you not?

4 A Yes.

5 Q Have you ever been asked to do a second
6 opinion?

7 A Yes.

8 Q Are there times when doctors don't agree
9 that sometimes you have a different opinion than the
10 first opinion?

11 A Well, yes, that can happen, yes.

12 Q Now, I am going to suggest that the family
13 as of June 15th had communication by e-mail with Dr.
14 Distant's office and there was an issue that the family
15 rejected Dr. Moon's offer to send the patient to Mount
16 Sinai and there was an ensuing delay of a day or two,
17 and if as I am suggesting the family, and they have a
18 right to check and pick out the physician they want.
19 You agree with that, true?

20 A Well, I do up until a point. You know,
21 it is up to the doctors taking care of the patient to
22 tell them you know what, I think you can do it but I
23 think we need to do it in an hour. We need to do it in
24 a day. We can take two weeks. You know, so, it is
25 not just the family wants it so okay. I think that

1 the treating physician has to tell you the patient how
2 urgent that you should accomplish this.

3 Q You are raising another issue. You said
4 before that you thought that the patient and a
5 physician were a team in the treatment, correct? Yes
6 or no?

7 A The patient is getting their medical
8 information from the doctor. They are a team but they
9 are not equal. They don't have equal knowledge.

10 Q The only medical information is not from
11 the doctor. They communicated with Dr. Distant.
12 They contacted another surgeon, sir. You are not aware
13 of that?

14 A I am very aware of it. According to Dr.
15 Du, Dr. Du's deposition he --

16 MR. KOPFF: Judge, please.

17 THE COURT: I will stop you a moment. What is
18 the question?

19 Q Let me see if I can get a square --
20 Doctor, if the jury has to --

21 THE COURT: Just ask the question, Counsel.

22 Q If the family decided to investigate other
23 possibilities they didn't want to travel to Mount
24 Sinai, they live in Staten Island and some members in
25 Brooklyn. If they elect to look for other options and

1 that decision on their part caused the delay that part
2 of the delay would not be the responsibility of the
3 defendants. It would be the responsibility of the
4 family, yes or no?

5 A No.

6 Q Now, Doctor, when the patient was at
7 Staten Island University Hospital the patient could
8 have been drained at that site, true?

9 A Yes.

10 Q But was not drained at that site, true?

11 A Was not. They didn't complete the
12 workup.

13 Q And, when the patient went to Mount Sinai
14 Medical Center what day did the patient arrive there?

15 A Patient arrived at about eight o'clock on
16 the -- I think 16th, 8:00 P.M. or 8:30 at night.

17 Q And patient could have been drained at
18 that time, correct?

19 A Well, at that point --

20 Q Yes or no?

21 A Anything could be done but there is no
22 sense in doing it when you are going to operate the
23 next day.

24 Q So one day delay is no problem, true?

25 A 12 hours -- no, not when you are going to

1 do the surgery. The drainage is to get you to the
2 point of surgery.

3 Q Okay. And would you agree that the
4 decision as to when to drain we know your position on
5 it. But --

6 THE COURT: Just ask the question. Strike
7 that.

8 Q If there is an interventional radiologist
9 that has to make this decision do you agree that that
10 physician has different training and considerations
11 than you as a surgeon, yes or no?

12 A It is not the decision of the
13 interventional radiologist. The interventional
14 radiologist is allowed to give his or her opinion that
15 I can do it, I can't do it, it is not safe. But, he
16 or she is not allowed to say I won't do it because the
17 patient is leaving. That -- it was Dr. Moon who is
18 the surgeon, his decision is to get the drainage and
19 the interventionalist has every right to say I can't do
20 it. It's too dangerous. The patient is too this or
21 -- but to decide not to do it that's not the role of
22 the interventionalist.

23 Q Sir, the patient that died --

24 MR. KOREK: Your Honor, may we approach?

25 THE COURT: Yes. Yes.

1 (Discussion off the record.)

2 THE COURT: There was an objection.
3 Sustained. You have an exception, Counsel.

4 MR. KOPFF: Thank you, Judge.

5 THE COURT: You will be given an opportunity to
6 amplify the record outside the presence of the jury.

7 MR. KOREK: Objection, Your Honor. I don't
8 know if it is for my benefit or the jury's but I heard
9 these remarks and I would appreciate it if you talk lower
10 --

11 THE COURT: Well, approach because I have
12 nothing to rule on. I didn't hear the comments.

13 MR. KOREK: Sure.

14 (Discussion off the record).

15 THE COURT: Anything else, Counsel?

16 MR. KOPFF: No. Thank you very much, Judge.

17 THE COURT: Any redirect?

18 MR. KOREK: Judge, Mr. Kopff read from page
19 109. I wanted to read the page before or pages before.

20 MR. KOPFF: Can I have a second to see.

21 MR. KOREK: Sure. I was going to read from
22 102.

23 MR. KOPFF: 102?

24 MR. KOREK: Yes.

25 MR. KOPFF: I object. If I read something out

1 of context, but that's not -- I object.

2 MR. KOREK: That's precisely why I want to read
3 it.

4 THE COURT: I have to see what it is we are
5 objecting to.

6 (Discussion off the record).

7 REDIRECT EXAMINATION

8 BY MR. KOREK:

9 Q Doctor, Mr. Kopff read from pages 109 line
10 2. I wanted to read some of the testimony that
11 appears before that at page 101 continuing --

12 THE COURT: Counsel state what you are reading
13 from.

14 MR. KOREK: Sure.

15 Q I am reading from the same deposition or
16 examination before trial of Ms. Bradley. My question
17 is were you aware of this testimony? This is what
18 Ms. Bradley testified to again in her deposition of
19 May 31, 2007. So this is at page 101:

20 "QUESTION: Let's talk about that evening. By
21 the time evening time rolled around and evening time I
22 mean six, seven, eight o'clock, what level of pain were
23 you in by say seven o'clock in the evening?

24 "ANSWER: Still about a six.

25 "QUESTION: Again what was your ability to move

1 around?

2 "ANSWER: I couldn't move at all.

3 "QUESTION: So where were you staying at the
4 time?

5 "ANSWER: I was on the couch the whole time on
6 the couch, recliner.

7 "QUESTION: The whole time?

8 "ANSWER: Yes.

9 "QUESTION: What were you doing while you were
10 anchored to that couch?

11 "ANSWER: Nothing. Just laying there.

12 "QUESTION: Were you able to watch TV at all?

13 "ANSWER: No, I couldn't even concentrate. I
14 was in so much pain.

15 "QUESTION: Had they given you any pain
16 medication upon your release from the hospital?

17 "ANSWER: I think they did. I don't remember
18 what it was. I remember it was not working.

19 "QUESTION: Did you take it?

20 "ANSWER: Yes.

21 "QUESTION: When did you first take the pain
22 medication that you had been given at the hospital?

23 "ANSWER: A few hours after I got home.

24 "QUESTION: What effect if any did taking that
25 pain medication have on that pain you were experiencing?

1 "ANSWER: No effect.

2 "QUESTION: Let's go a little deeper into the
3 evening. Now it's ten, eleven o'clock in the evening.
4 Describe for me the level of pain you were in at ten,
5 eleven o'clock in the evening?

6 "ANSWER: It was getting a little bit worse so
7 maybe it was a little bit above six. Maybe a seven.

8 "QUESTION: Did you do anything about the pain
9 that you were in?

10 "ANSWER: I kept taking the pain pills.

11 "QUESTION: Were they having any effect?

12 "ANSWER: No, none.

13 "QUESTION: So at this point in time at least
14 by ten o'clock P.M. you had not contacted or did anyone
15 on your behalf?

16 "ANSWER: No.

17 "QUESTION: That evening passes. Let's talk
18 about that night. Were you able to sleep that night?

19 "ANSWER: On and off not for very long period
20 of time.

21 "QUESTION: Again what was the level of pain
22 throughout that evening?

23 "ANSWER: Same. About six, seven.

24 "QUESTION: Six, seven?

25 "ANSWER: Yes.

1 "QUESTION: Let's talk about the following
2 morning, just arbitrarily. Let's say eight o'clock in
3 the morning, okay?

4 "ANSWER: Okay.

5 "QUESTION: Describe the state of your health
6 and pain level at eight o'clock in the morning?

7 "ANSWER: I was on and off in and out of sleep.

8 "QUESTION: This would be on the morning of the
9 9th, right?

10 "ANSWER: Yes.

11 "QUESTION: So June 9th at about
12 eight o'clock A.M. what was your pain level?

13 "ANSWER: It was still the same.

14 "QUESTION: Six to seven?

15 "ANSWER: Yes.

16 "QUESTION: Were you able to walk at all?

17 "ANSWER: It was very hard. I needed help.

18 "QUESTION: When you say you needed help in
19 what form did you need help?

20 "ANSWER: My mother had to help me sit up and
21 she had to -- I had to hold her to walk to the bathroom.

22 "QUESTION: Were you able to wash yourself?

23 "ANSWER: Yes.

24 "QUESTION: Were you able to go to the bathroom
25 on your own?

1 "ANSWER: Yes.

2 "QUESTION: Were you able to dress yourself by
3 yourself?

4 "ANSWER: No. No, I couldn't bend totally so
5 she had to help me put my pants on.

6 "QUESTION: A little, a little later in the day
7 let's say midday, noon?

8 "ANSWER: Ah-ha.

9 "QUESTION: What was the state of your pain?

10 "ANSWER: The same, still six, seven.

11 "QUESTION: Still having the same difficulties
12 you just described?

13 "ANSWER: Yes.

14 "QUESTION: Let's go to the evening. It's now
15 the evening. Let's say again let's just pick an
16 arbitrary 7:00 P.M. What was the state of your pain at
17 7:00 P.M.?

18 "ANSWER: The same.

19 "QUESTION: Pain level six to seven?

20 "ANSWER: Yes.

21 "QUESTION: What about physical difficulties
22 and by that what I am going to say is, you know, walking,
23 doing the things that one would normally associate with
24 daily life, what were your difficulties?

25 "ANSWER: Everything. I couldn't get off the

1 couch. I couldn't walk around. I just didn't want to
2 be moved. I was in so much pain. I didn't want to be
3 moved off that couch.

4 "QUESTION: Were you taking your pain
5 medications?

6 "ANSWER: Yes.

7 "QUESTION: At regular intervals?

8 "ANSWER: Yes.

9 "QUESTION: As prescribed?

10 "ANSWER: Yes.

11 "QUESTION: What was the effect if any they
12 were having?

13 "ANSWER: Nothing.

14 "QUESTION: In other words no positive effect?

15 "ANSWER: No.

16 "QUESTION: It's now ten o'clock,
17 eleven o'clock at night, bedtime, what state are you in?

18 "ANSWER: Exactly the same.

19 "QUESTION: Same thing?

20 "ANSWER: Exactly the same thing for the next
21 day and a half.

22 "QUESTION: So, it's basically the exact same
23 thing for day and a half. That brings us to the 11th,
24 correct?

25 "ANSWER: Okay."

1 And what Mr. Kopff read.

2 "QUESTION: Let's start with midday on the
3 11th. What happened then?

4 "ANSWER: The pain started to get worse."

5 Thank you, Your Honor.

6 Do you recall having heard that testimony as
7 well?

8 A I read it. I didn't recall it before but
9 I do now.

10 MR. KOREK: Thank you. Nothing else.

11 RE-CROSS-EXAMINATION

12 BY MR. KOPFF:

13 Q Doctor, when the patient was discharged on
14 June 8th the pain score was noted to be one at
15 4:00 P.M., correct?

16 A Yes.

17 Q So, that's on a five scale. One is low
18 for pain, right?

19 A Yes.

20 Q And you are aware that the nurse called
21 home in June --

22 MR. KOREK: Your Honor, I would object to that
23 as beyond --

24 THE COURT: Wait a minute.

25 MR. KOREK: Beyond the scope.

1 MR. KOPFF: He just read from all this time
2 period. He read that's what -- he went three days and
3 read. That's what this testimony was. That's why I
4 want to go into. It's exactly what he read.

5 THE COURT: Sustained.

6 MR. KOPFF: Judge, I read on the 11th. Then
7 he read the three days before and now I just want to ask
8 a question on --

9 THE COURT: Sustained. You have an exception.

10 MR. KOPFF: Okay.

11 THE COURT: Nothing else?

12 MR. KOPFF: Well --

13 THE COURT: I mean nothing else other than what
14 I ruled on? Do you have another question?

15 MR. KOPFF: Well, I wanted to question about
16 the nurse calling --

17 THE COURT: No, sustained. That's sustained.

18 MR. KOPFF: Can I question about the questions
19 that were asked about pain by the nurse?

20 THE COURT: Come up.

21 (Discussion off the record).

22 THE COURT: Any other topic other than the
23 topic we discussed at sidebar?

24 MR. KOPFF: No, Your Honor.

25 MR. KOREK: Nothing else, Judge.

1 THE COURT: Doctor, thank you. You are
2 excused.

3 (Witness excused.)

4 THE COURT: Come back up, Counselors, to
5 discuss scheduling.

6 (Discussion off the record).

7 THE COURT: It's lunch time. We are going to
8 adjourn until 2:30. Remember my admonition not to
9 discuss with anybody the case. Don't read or listen to
10 any discussion about the case and don't try to look
11 anything up in connection with the case. Anyone
12 attempts to influence you, say anything to you about the
13 case notify court personnel. Enjoy your lunch. 2:30.

14 (Whereupon the Jury exits the courtroom.)

15 (Luncheon recess had.)

16

17

18

19

20

21

22

23

24

25