

1 IN THE DISTRICT COURT IN AND FOR LEFLORE COUNTY

2 STATE OF OKLAHOMA

3

4 PATSY FREDERICK, Individually

5 and as Next Friend of STEPHEN

6 J. SIMMONS, Deceased,

7 Plaintiff,

8 vs. Case No. CJ-2013-00259

9 DENNIS CARTER, D.O. and Judge Jonathan K. Sullivan

10 DANIEL HOLDMAN, M.D.,

11 Defendants.

12 _____ /

13

14

15 The deposition of ANDREW STOLBACH,

16 M.D., was held on Tuesday, February 17, 2015,

17 commencing at 9:25 a.m., at the Offices of Gore

18 Brothers Reporting & Videoconferencing, 20 South

19 Charles Street, Suite 901, Baltimore, Maryland

20 21201, before Susan A. Kambouris, Notary Public.

21

22

23

24

25

THIS DOCUMENT HAS BEEN SCANNED.
DOCUMENT MAY BE DISPOSED OF AFTER USE.
DO NOT RETURN TO EXPERT DATA BANK.

REPORTED BY: Susan A. Kambouris

Page 2

1 APPEARANCES:
 2
 3 ON BEHALF OF THE PLAINTIFF:
 4 DANIEL B. GRAVES, ESQUIRE
 5 Graves McLain, PLLC
 6 1437 South Boulder Avenue,
 7 Suite 1010
 8 Tulsa, Oklahoma 74119
 9 Telephone: 918-505-9294
 10 Facsimile: 918-359-6605
 11 Email: dan@gravesmclain.com
 12
 13 ON BEHALF OF THE DEFENDANT, DENNIS
 14 CARTER, D.O.:
 15 JAMES W. CONNOR, JR., ESQUIRE
 16 Richards & Connor
 17 12th Floor, ParkCentre Building
 18 525 South Main Street
 19 Tulsa, Oklahoma 74103
 20 Telephone: 918-585-2394
 21 Facsimile: 918-585-1449
 22 Email: jconnor@richardsconnor.com
 23
 24
 25

Page 4

1
 2
 3
 4
 5 INDEX
 6 Deposition of Andrew Stolbach, M.D.
 7 February 17, 2015
 8
 9 Examination by: Page
 10 Mr. Hurt 5, 101, 106
 11 Mr. Connor 70, 106
 12 Mr. Graves 105
 13
 14 Exhibit No. Marked
 15 Exhibit 1 Deposition Outline & Lab Values 106
 16 Exhibit 2 Medical Care Summary 106
 17 Exhibit 3 Poison Control Audio Transcription 106
 18
 19
 20
 21
 22
 23
 24
 25

Page 3

1
 2 APPEARANCES (Continued on the next page.)
 3 APPEARANCES CONTINUED:
 4
 5 ON BEHALF OF THE DEFENDANT, DANIEL
 6 HOLDMAN, M.D.:
 7 J. ROGER HURT, ESQUIRE
 8 Pierce Couch Hendrickson Baysinger
 9 & Green, LLP
 10 1109 North Francis Avenue
 11 Oklahoma City, Oklahoma 73106
 12 Telephone: 405-235-1611
 13 Facsimile: 405-235-2904
 14 Email: rhurt@piercecouch.com
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

Page 5

1
 2
 3
 4
 5 PROCEEDINGS
 6 Whereupon,
 7 ANDREW STOLBACH, M.D.,
 8 was called on for examination by counsel, and after
 9 being duly sworn according to law, was examined and
 10 testified as follows:
 11 EXAMINATION BY MR. HURT:
 12 Q Doctor Stolbach, I am Roger Hurt. I
 13 represent Doctor Holdman, the physician who took
 14 care of Mr. Simmons in the Emergency Room. I will
 15 be asking you a series of questions here today.
 16 First, I need to know, have you ever given sworn
 17 testimony in a medical malpractice case before?
 18 A Yes.
 19 Q How many times?
 20 A Six or seven times.
 21 Q Of those six or seven times, have any of
 22 those involved an aspirin overdose?
 23 A No.
 24 Q If we were to split those into cases for
 25 the Plaintiff and cases for the Defendant, how would

Page 6

1 you split those up?
 2 A Two, I think, have been for the Plaintiff
 3 and the rest have been for the Defense.
 4 Q Who is the last defense lawyer you worked
 5 with on a medical malpractice case?
 6 A Erin Hess.
 7 Q Could you spell Erin, please?
 8 A E-r-i-n H-e-s-s. She is based in
 9 Cleveland, Ohio.
 10 Q Who is the last Plaintiff's lawyer you
 11 have given testimony for?
 12 A I would say a firm in Annapolis and I am
 13 having a blank.
 14 Q Have you ever testified at trial in a
 15 medical malpractice case?
 16 A Yes.
 17 Q Can you tell me about the incidents or
 18 instances?
 19 A The last time I testified in trial was in
 20 Cleveland. This was a case with Miss Hess, and the
 21 case was about a woman, an older woman with
 22 diabetes, and some other medical problems that went
 23 to an emergency department with an abscess in her
 24 lower back, and she had a CAT Scan performed with
 25 contrast to look at the extent of the abscess, and,

Page 7

1 unfortunately, her kidneys got worse and worse after
 2 that point, and she ended up needing dialysis,
 3 although she ended up getting a lot better. It was
 4 my opinion -- I testified that the CAT Scan and the
 5 contrast were clinically indicated, because of all
 6 her risk factors, they needed to exclude a
 7 significant abscess, make sure she didn't have
 8 anything really dangerous, life threatening going
 9 on. Before the CAT Scan, there was evidence of
 10 kidney problems already.
 11 Q Do you know what the jury result was?
 12 A I believe the jury found in favor of the
 13 defense. I left before that.
 14 Q Is there any other trial you have
 15 testified in?
 16 A Yes. I testified in a trial -- this was
 17 the one in Annapolis.
 18 Q Okay.
 19 A This time, I testified in support of the
 20 Plaintiff. So, there was a man who presented to an
 21 emergency department. He was a 50-some year-old
 22 man. He had a fever, and cough, and tachycardia,
 23 and he went to the Emergency Department. They gave
 24 him some IV fluids and they discharged him. They
 25 gave him some other medications, too. At the time

Page 8

1 of discharge, he was actually more tachycardic and
 2 hypotensive than when they first saw him, and,
 3 sadly, he died two or three days later of pneumonia
 4 related to influenza. I felt -- still feel that if
 5 they had kept him longer, observed him, gave him
 6 fluids, gave a chest x-ray, he would have lived.
 7 Q Do you know what the result in that case
 8 was?
 9 A That case was settled. I don't know the
 10 details of the settlement.
 11 Q Did it settle during trial?
 12 A There was something funny that happened
 13 that I don't understand, where we had with the
 14 trial, and, after the trial, the attorneys told me
 15 that they had actually settled beforehand, but I
 16 believe the man that died, his family felt strongly
 17 that they wanted to have a trial. That was the way
 18 it was explained to me.
 19 MR. GRAVES: Maybe high low.
 20 MR. CONNOR: Yes.
 21 MR. HURT: I am not sure I understand it.
 22 I don't purport to understand Maryland Law.
 23 Q So, in this case, do you know how
 24 Mr. Graves knew to contact you?
 25 A No.

Page 9

1 Q Have you ever advertised your services as
 2 a broker to lawyers who work in malpractice?
 3 A No.
 4 Q Did you ever ask Mr. Graves how he
 5 contacted you, how he found out about you?
 6 A Last night, I believe he asked me because
 7 I think one of -- somebody that you work with had
 8 contacted me, but I don't know how she found me.
 9 Q Was it Rachel?
 10 A Yes.
 11 Q Okay. As you sit here today, Doctor
 12 Stolbach, are you ready to give your full and final
 13 opinions in this case?
 14 A Yes.
 15 Q Okay. Do you feel you have looked at
 16 everything you need to to render every opinion you
 17 will at trial?
 18 A Yes.
 19 Q You have brought with you two notebooks.
 20 Is this your entire file on the case?
 21 A No. I have one notebook that has a number
 22 of depositions. One notebook has a summary of the
 23 case, and medical records, and some Poison Control.
 24 I also have looked at transcripts from the Poison
 25 Control Book, but I didn't bring that here because

Page 10

1 those are digital.

2 **Q Okay.**

3 **A** I have two more notebooks with depositions

4 of Doctor Carter and Doctor Holdman that I didn't

5 bring today because they were heavy and I was

6 walking here.

7 **Q So, if I wanted to get a laundry list of**

8 **the depositions you have looked at, could you give**

9 **me a list starting with the notebook, and, then, the**

10 **additional ones you have looked at?**

11 **A** Sure. Charles Bond, Randall Badillo,

12 Darla Ford, Laurie Tankersley, Phillip Hebert,

13 William Belk, Roger Blackwood, Patsy Frederick,

14 Erica Vanhook, Mark Simmons, and Dennis Carter, and

15 Daniel Holdman.

16 **Q Okay. Have you looked at the deposition**

17 **of Karen Singleton?**

18 **A** I don't recall.

19 **Q Do you recall who Karen Singleton was?**

20 **A** No.

21 **Q And, then, the medical records you have**

22 **looked at involves a summary or a chronology**

23 **prepared by Mr. Graves' office?**

24 **A** Yes.

25 **Q The records from Eastern Oklahoma Medical**

Page 11

1 **Center, in Poteau?**

2 **A** Yes.

3 **Q The EMS records of LeFlore County, which**

4 **is Poteau?**

5 **A** Yes.

6 **Q And Mercy Hospital records in -- I think**

7 **that's Fort Smith, is that correct, sir?**

8 **A** Yes.

9 **Q And, then, there is -- what is the last**

10 **one?**

11 **A** Poison Control.

12 **Q The Poison Control Records showing at**

13 **least what they entered into the record about**

14 **various telephone calls?**

15 **A** Yes.

16 **Q Have you actually listened to the**

17 **conversations on the Poison Control Records?**

18 **A** No.

19 **Q You have accepted what the transcripts say**

20 **as true?**

21 **A** Yes.

22 **Q Okay. How big is Eastern Oklahoma Medical**

23 **Center? How many beds?**

24 **A** I remember the -- I believe I read the

25 Emergency Department had about 10 beds, but I don't

Page 12

1 know how large the rest of the hospital is.

2 **Q Have you ever worked at a hospital of that**

3 **size?**

4 **A** I have only worked at a hospital of that

5 size when I was a medical student.

6 **Q And which hospital was that?**

7 **A** This is Dorchester General Medical Center,

8 on the Eastern Shore of Maryland.

9 **Q And how long did you work there as a**

10 **medical student?**

11 **A** About a month.

12 **Q How many beds is the hospital you**

13 **primarily work at, how many beds is it, both the ER**

14 **and inpatients?**

15 **A** I don't know. People ask me that a lot

16 and I don't know, but it's a very big medical

17 center, Johns Hopkins.

18 **Q It is a teaching hospital?**

19 **A** It is a teaching hospital, yes.

20 **Q Under Maryland Law, to your understanding,**

21 **are you immune from suit practicing at a teaching**

22 **hospital?**

23 **A** I don't know. I don't think so.

24 **Q Okay. Have you ever been sued for**

25 **malpractice yourself?**

Page 13

1 **A** Yes.

2 **Q How many times?**

3 **A** Twice.

4 **Q Do you know how often, on average, doctors**

5 **who work in the Emergency Room get sued?**

6 **A** No.

7 **Q Did either of those cases have to do with**

8 **an overdose?**

9 **A** No.

10 **Q Okay. Can you tell me what they were**

11 **about?**

12 **A** Sure. The first case -- both these cases

13 were in between -- about 2002, when I was a fellow

14 in New York. I am working at St. Luke's Regional

15 Medical Center. The first case, there was a

16 gentleman who was not my patient, but he had come to

17 a number -- he had come to the hospital a number of

18 times and complained of chest pain, and at the visit

19 after -- I was working the second to last time that

20 he came, and I believe the next time he came, he

21 ended up, unfortunately, having a heart attack.

22 Now, the time before, I was working that day, and

23 there is one line in the chart where it said,

24 "Doctor Stolbach notified that patient was hungry."

25 My name was mentioned. So, unfortunately, because

Page 14

1 my name was mentioned, I was brought in, but,
 2 eventually, I was dropped. The second one was about
 3 the same time period, I was working in the Urgent
 4 Care Section of the Emergency Department, and a
 5 woman came in -- she had tripped and fallen, and
 6 hurt her ankle, and I had ordered an x-ray of her
 7 ankle and an x-ray of her foot. Both showed no
 8 fracture. We sent her home on crutches. I told her
 9 to see -- you know, we have her discharge
 10 instructions and said, "See an orthopedist." The
 11 next week, unfortunately, she did not see an
 12 orthopaedist in the next week, and she waited three
 13 weeks. When she saw the orthopedist three weeks
 14 later, there was a fracture the radiologist didn't
 15 see on the first x-ray, and I didn't see on the
 16 first x-ray. I actually don't think it was there on
 17 the first x-ray. I think it was not visible
 18 radiologically. That fracture required surgery.
 19 So, she saw the orthopaedist, and he did a surgery,
 20 and, unfortunately, the surgery didn't heal that
 21 well. She lost some range of motion. They named me
 22 and the orthopaedist. I gave a deposition two or
 23 three years ago. Every year, I have to check and
 24 see what is going on with it for my credentialing at
 25 Hopkins. Every year, the attorney says, "I think

Page 15

1 they are going to drop you. Nothing is really going
 2 on." As of two weeks ago, the case was -- I had to
 3 follow-up for my credentialing again. The case is
 4 still alive. The attorney thinks I am going to be
 5 dropped.
 6 **Q Still pending?**
 7 A Still pending.
 8 **Q In looking at the Emergency Room records**
 9 **when Doctor Holdman was treating Mr. Simmons, and**
 10 **the care Doctor Holdman rendered, can we agree that**
 11 **everything Doctor Holdman ordered was indicated?**
 12 A No, I don't agree with that.
 13 **Q What was not indicated?**
 14 A I can't give a comprehensive list, but the
 15 patient didn't necessarily need -- Mr. Simmons
 16 didn't need the Benzodiazepine, or the opioids,
 17 like, Morphine or Haloperidol.
 18 **Q That's on the floor. We will get to that.**
 19 **My question is, to kind of break it down a little**
 20 **bit. So, if you will follow along with that. While**
 21 **in the Emergency Room, not up on the ICU.**
 22 A Okay.
 23 **Q Is there anything Doctor Holdman ordered**
 24 **that was not indicated?**
 25 A If you want, we can look through each

Page 16

1 thing or you ask me specifically each thing he
 2 ordered. It might be easier for me. I can tell
 3 you.
 4 **Q Does anything jump out at you, anything he**
 5 **ordered that was contraindicated?**
 6 A In my view, his breach in the standard of
 7 care more had to do with the things that he didn't
 8 do and he didn't order.
 9 **Q Okay.**
 10 A So, I can't think of anything right now
 11 that he ordered that wasn't indicated, but I would
 12 have to really take it one item at a time.
 13 **Q Okay. Well, on the top of your head, you**
 14 **cannot give me anything you think was**
 15 **contraindicated that Doctor Holdman ordered, is that**
 16 **true?**
 17 MR. GRAVES: In the ER?
 18 MR. HURT: In the ER.
 19 A Not off the top of my head.
 20 **Q You do have criticism as to what was not**
 21 **ordered in the ER?**
 22 A Yes.
 23 **Q We will get to those. Did you do a**
 24 **calculation in this case in terms of milligrams per**
 25 **kilogram?**

Page 17

1 A Yeah, I did a rough one. I think it was
 2 about 200, but it depends on -- I think the weight
 3 was an estimated weight, 200 pounds. The, and
 4 amount of pills was 50 to 60 an estimated amount of
 5 pills. Pill counts are never reliable. So, when I
 6 look at these estimates, I always think is it a lot
 7 or is it not a lot? Was it significant? This was a
 8 significant amount.
 9 **Q So, the number that you calculated,**
 10 **though, around 200 milligrams per kilogram is not**
 11 **considered a serious dose, isn't that true?**
 12 MR. GRAVES: Object to the form.
 13 A Oh, no. I mean, as I said before,
 14 reported doses are often unreliable, but once I
 15 start hearing more than 150 milligrams per kilogram,
 16 I start thinking this could be a significant
 17 Salicylate.
 18 **Q But you just told me before the deposition**
 19 **sometime in your review of this case, you, in fact,**
 20 **did a calculation, rough calculation of the**
 21 **milligram per kilogram, did you not, sir?**
 22 A Yeah.
 23 **Q Why did you do that?**
 24 A Because it gives me an idea of whether it
 25 is a significant ingestion or insignificant

Page 18

1 ingestion. Sometimes, if it is an order of
2 magnitude less working at the Poison Control Center,
3 and they say a kid took three pills, and I do a
4 weight calculation, and it comes out to
5 15 milligrams per kilogram, I can say, stay home, it
6 is not a big deal, but if it is in the hundreds,
7 then, I would say, I want to know more, does the
8 patient look sick, are they tachycardic, do they
9 have a high respiratory rate?

10 **Q The calculated number you came up with of**
11 **200, is that considered a serious overdose?**

12 MR. GRAVES: Object to form.

13 A It can be, yes.

14 **Q You don't know, Doctor Holdman, I take it?**

15 A No.

16 **Q Or any of the healthcare providers in this**
17 **lawsuit?**

18 A No.

19 **Q Can we agree that Doctor Holdman was**
20 **working very hard to try to help this man both in**
21 **the ER and on the floor?**

22 MR. GRAVES: Object to form.

23 A I don't know how hard he was working.

24 **Q Do you remember his testimony that he**
25 **literally was drenched in sweat at the end of his**

Page 19

1 shift?

2 A I do recall reading that, yes.

3 **Q And that this was one of the top three**
4 **exhausting shifts he has ever had?**

5 A I don't remember that part, but I believe
6 you.

7 **Q How many times did Doctor Holdman go to**
8 **the floor, the ICU Floor to try to help?**

9 A I remember several times that he did, but
10 I would have to go to the chart to get you an exact
11 number.

12 **Q Have you ever done that where you have had**
13 **to be the only physician in charge of an ER, yet,**
14 **perhaps, half a dozen times, go to the ICU, and try**
15 **to help a combative patient?**

16 A No.

17 **Q Would you agree with me that all of the**
18 **symptoms that Mr. Simmons had in the Emergency Room,**
19 **all of the clinical symptoms pointed to a mild to**
20 **moderate aspirin overdose?**

21 A No.

22 **Q Tell me what symptom in the Emergency**
23 **Department did not point to a mild to moderate**
24 **aspirin overdose?**

25 A Sure. Physicians, when we talk about

Page 20

1 symptoms, we distinguish them from signs. Symptoms,
2 we talk about things that people complain about and
3 signs are things that we observe.

4 **Q Okay.**

5 A When you are asking about symptoms, do you
6 mean it in that same --

7 **Q I am going to re-ask the question to make**
8 **sure we are on the same wavelength. What signs or**
9 **symptoms did Mr. Simmons display that were not**
10 **consistent with a mild to moderate aspirin overdose?**

11 A Sure. He -- the signs that Mr. Simmons
12 demonstrated were severe tachycardia, at times, up
13 into the 140's, severe tachypnea for a long,
14 sustained period of time, several hours. His
15 respiratory rate was in the 40's.

16 **Q In the Emergency Room?**

17 A In -- throughout his time of care. So, I
18 can consult my chronology here.

19 **Q Absolutely.**

20 A So, when he first presented, his heart
21 rate -- I am looking at the summary here -- when he
22 first presented, his heart rate was 127 and his
23 respiratory rate was 28. So, that's what I would
24 call a moderate to severe tachypnea and a moderate
25 to severe tachycardia.

Page 21

1 The next vital signs that I see
2 here -- so, at the time he -- right around the time
3 he is going to the ICU, his pulse was 126 and his
4 respiratory rate was 39. About 30 minutes prior,
5 his heart rate was 141. So, both of these cases,
6 his heart rate, it is 127 to 140-something, his
7 respiratory rate is very fast, and that's a
8 sustained period of time of several hours before he
9 is going to the ICU. So, his vital signs are one
10 set of signs that were significant. His behavior --
11 so, he showed signs and it's around the time of, you
12 know, when he is going to the ICU that he begins to
13 show signs of severe central nervous system toxicity
14 from Salicylates. That's a sign of severe
15 poisoning. And his Ph and his blood gases also is
16 pretty concerning in that his --

17 **Q My question is just directed to the**
18 **Emergency Room right now.**

19 A Okay.

20 **Q Okay. Have you told me every sign and**
21 **symptom that's concerning in the Emergency Room?**

22 A Diaphoresis, and nausea, and I would put
23 those as -- in the signs of moderate or significant
24 Salicylate toxicity. He showed those in the
25 Emergency Room, as well.

Page 22

1 **Q** The anxiety, do you recall the testimony
 2 about what provoked his anxiety?
 3 A I don't recall what provoked it. I only
 4 recall reading testimony from his mother that he had
 5 been anxious or nervous about something at his home.
 6 **Q** Do you remember seeing it charted in any
 7 record that his anxiety increased when the family
 8 was around?
 9 A Yes.
 10 **Q** That would be inconsistent with Salicylate
 11 toxicity, to have increased anxiety when the family
 12 is around, isn't that true?
 13 A It's not inconsistent with Salicylate
 14 toxicity. It could be a separate issue. Somebody
 15 could be anxious and Salicylate poisoned at the same
 16 time.
 17 **Q** Do you remember the testimony about any
 18 unusual tan lines Mr. Simmons had?
 19 A I don't recall that testimony.
 20 **Q** Do you remember Darla Ford talking about
 21 how he was resistant to taking his shirt off to do
 22 an EKG?
 23 MR. GRAVES: Object to form.
 24 A I don't recall that.
 25 **Q** And do you recall that she saw and, then,

Page 23

1 reported to Doctor Holdman that she saw the outline
 2 as though he had been tanning while wearing a
 3 woman's brazier?
 4 MR. GRAVES: Objection.
 5 A I don't remember that.
 6 **Q** Have you ever taken care of an Asperger's
 7 patient in the Emergency Room --
 8 A Yes.
 9 **Q** -- for an aspirin overdose?
 10 A No, not for an aspirin overdose.
 11 **Q** How many cases of an aspirin overdose have
 12 you treated?
 13 A Probably about 20 to 30.
 14 **Q** Did all of them survive?
 15 A No.
 16 **Q** How many died?
 17 A Maybe two or three.
 18 **Q** Did you see it documented anywhere that
 19 any family member told any healthcare provider that
 20 it was enteric-coated aspirin?
 21 A In this case today, in the Mr. Simmons'
 22 case?
 23 **Q** Yes.
 24 A I don't recall. I remember in the
 25 depositions reading that it's an issue that goes

Page 24

1 back and forth.
 2 **Q** Wouldn't you expect if a family member
 3 truly told the healthcare provider about that,
 4 whether it is a triage nurse, assigned nurse,
 5 doctors, somebody would have charted that?
 6 A I don't know. I don't know what people
 7 would have charted. Often, the family member might
 8 see a pill and not think that the specific
 9 formulation is important. So, if they see a bottle
 10 of aspirin, they don't know if it's important or not
 11 it is enteric coated, so they will just say aspirin.
 12 Often, the person charting might not know if it's
 13 important or not. They might just hear
 14 enteric-coated aspirin and they might write aspirin.
 15 **Q** So, you don't really know what happened
 16 about this enteric-coated business and whether an
 17 Asperger's patient somehow got his hands on
 18 enteric-coated aspirin?
 19 MR. GRAVES: Object.
 20 A I don't remember reading exactly what the
 21 family told EMS and what the family told the
 22 hospital.
 23 **Q** Do you remember when the family found the
 24 bottle?
 25 A No, but I remember at the time of triage,

Page 25

1 it was part of the triage notes that when he came to
 2 the hospital, I think from the very beginning, I
 3 think the story was he took on 50 to 60 aspirin. I
 4 believe they even had the size of the pill, 325
 5 milligrams. From the time he walked in the door,
 6 they knew it was aspirin, and they were 50 to 60
 7 pills, but I don't -- I remember reading about the
 8 controversy in the depositions, but I don't remember
 9 coming to a conclusion about it.
 10 **Q** There are controversies in this case in
 11 terms of what the family says and what the
 12 healthcare providers charted at the time, correct?
 13 A From reading the depositions, it seemed
 14 like there was a controversy about whether the
 15 family had said it was enteric coated and I don't
 16 remember having an opinion on what the family said.
 17 **Q** Okay.
 18 A I ultimately concluded that at the time
 19 the patient presented, it was clear that the family
 20 had said he had taken 50 to 60 aspirin, they were
 21 325 milligrams. To me, that was enough information
 22 to take care of the patient.
 23 **Q** Okay. But my question as to the enteric
 24 coated, you don't have an opinion as to whether that
 25 was told to the healthcare providers or not?

Page 26

1 A Not right now, no.
 2 **Q Okay. Are you critical of Doctor Holdman**
 3 **for admitting the patient?**
 4 A No.
 5 **Q Finding a doctor that would be the**
 6 **admitting doctor?**
 7 A No.
 8 **Q You read in this case and it's in the**
 9 **record Doctor Schumpert was a doctor who refused to**
 10 **take care of this patient?**
 11 A I read that, yes.
 12 **Q Are you critical of Doctor Schumpert in**
 13 **this case?**
 14 A I would have to know more about why he
 15 refused to admit the patient.
 16 **Q Let's say it is 10:00 o'clock at night and**
 17 **he just doesn't want to mess with it?**
 18 A I would be critical of a doctor whose only
 19 reason for not admitting a patient was it was
 20 10:00 o'clock at night.
 21 **Q You are not critical of Doctor Carter for**
 22 **agreeing to being the admitting doctor, are you?**
 23 A No.
 24 **Q Did he have less anxiety when he went to**
 25 **the floor than what he had in the Emergency Room?**

Page 27

1 A I don't know. I have never completely
 2 bought into the description of him being anxious;
 3 however, it seems that there is times when the
 4 symptoms that they are perceived that the treating
 5 physicians are perceiving anxiety seemed to wax and
 6 wane.
 7 **Q Okay. If this is a serious aspirin**
 8 **overdose, wouldn't you expect the anxiety to**
 9 **continually rise?**
 10 A Again, I don't know if anxiety is the
 11 right term. I think the tachycardia and the
 12 tachypnea, the diaphoresis, the sweating, they are
 13 perceiving as anxiety, and, then, the confusion, to
 14 me, those are all signs and symptoms of Salicylate
 15 poisoning. In general, those symptoms are steadily
 16 worsening throughout the Emergency Department, and,
 17 then, in the ICU. There is times when they do wax
 18 and wane. That's not surprising. At times, he gets
 19 some sedatives and things that would push some of
 20 those symptoms in the other direction, but, in
 21 general, his course is for the tachypnea, the
 22 tachycardia, and the confusion, all of that is
 23 getting worse from the time he comes in to the end.
 24 **Q If, in fact, it's true that his anxiety**
 25 **went up when the family was in with him, and that**

Page 28

1 **that was a repeatable pattern, you would not expect**
 2 **that to be due to Salicylate poisoning, would you,**
 3 **hypothetically?**
 4 MR. GRAVES: Object to the form.
 5 A Again, someone can have anxiety and
 6 Salicylate poisoning at the same time. So, those
 7 things aren't mutually exclusive. Salicylate
 8 poisoning causes confusion. So, it can make you
 9 more anxious, and a lot of the symptoms -- the signs
 10 and symptoms of Salicylate poisoning are similar to
 11 signs and symptoms of anxiety, only more severe.
 12 So, if they are misperceiving the tachycardia,
 13 tachypnea, and diaphoresis as signs of anxiety and
 14 not Salicylate poisoning, I don't think that's
 15 correct. To be clear, I don't think it's correct to
 16 attribute severe tachycardia and severe tachypnea
 17 for this period of time to anxiety.
 18 **Q Okay. But that wasn't my question, sir.**
 19 **My question is: If, hypothetically, his anxiety**
 20 **goes up when the family goes and sees him and it**
 21 **goes down when they are not with him, that would not**
 22 **be related to Salicylate poisoning, wouldn't you**
 23 **agree, hypothetically, if that happened?**
 24 MR. GRAVES: Object to form.
 25 A Hypothetically, a person can be Salicylate

Page 29

1 poisoned and their family can also make them
 2 nervous.
 3 **Q You would agree this was a multiple**
 4 **ingestion over several hours of Salicylates?**
 5 A I don't know.
 6 **Q Isn't that what Doctor Holdman charted?**
 7 A I don't remember exactly what he charted.
 8 I remember that he had taken -- the patient, it
 9 seems like, from the report of the family, that he
 10 had reported taking 50 to 60 pills several hours to
 11 presenting -- prior to presenting, and I don't
 12 recall over how many hours.
 13 **Q Did he not chart that there were several**
 14 **ingestions?**
 15 A If you can show me that or point me to
 16 that in the chart.
 17 MR. GRAVES: I think it is 122 or 121. I
 18 object to the form. It mischaracterizes the document.
 19 **Q "Ingestion occurred over several hours."**
 20 **Do you see that?**
 21 A Okay, yes. Several hours.
 22 **Q Okay. So, this was not just one**
 23 **ingestion, correct?**
 24 MR. GRAVES: I object to the form of this
 25 question. It does not say, "Ingestion occurred

Page 30

1 over several hours." He just wrote in "several"
 2 and circled minutes and hours below. I disagree
 3 with that characterization.
 4 MR. HURT: Okay. I am confident in the
 5 question. So, please answer it.
 6 A Yeah, it says -- it looks like says,
 7 "Ingestion occurred," and if you are saying that
 8 word is --
 9 Q Several?
 10 A -- several, and, then, he circles,
 11 "hours," and, then, there is another circle which is
 12 over the words, "friends" and "minutes." I don't
 13 know if the friends -- it might be he is trying to
 14 refer to the mode of arrival with friends or it
 15 might be he is trying to say the ingestion was over
 16 minutes to hours.
 17 Q Well, look below "several." He says,
 18 "Duration" -- he circled minutes and hours?
 19 A I am not entirely clear what he is trying
 20 to say, but from a standpoint of managing the
 21 patient, those details shouldn't change the initial
 22 management of the patient. It shouldn't -- those
 23 details, whether he took them over minutes or a few
 24 hours, shouldn't change the initial or subsequent
 25 management of the Salicylate poisoning.

Page 31

1 Q Okay. So, do you think this was an acute
 2 ingestion?
 3 A In Salicylate poisoning, the terms "acute"
 4 and "chronic" and "subacute ingestions" have
 5 specific meanings. An acute ingestion we define as
 6 an ingestion of Salicylates in somebody that doesn't
 7 previously have a body burden or isn't previously
 8 taking a lot of aspirin. So, those are usually
 9 either suicidal ingestions, or suicidal gestures, or
 10 ingestions due to anxiety, or unintentional
 11 ingestions in young people. A chronic ingestion is
 12 generally somebody that's taking Salicylate
 13 everyday, and they already have some body burden of
 14 Salicylate, and, then, at some point, they either
 15 just accumulate too much or they take a little bit
 16 too much more, and it just pushes them over the
 17 edge. I don't know if he was taking Salicylate
 18 everyday. So, this, to me, sounds like it was
 19 either an acute ingestion or a subacute ingestion.
 20 Q Okay. That would be your opinion?
 21 A That's my opinion.
 22 Q Okay. What do you believe Doctor Holdman
 23 should have done that he did not do in the Emergency
 24 Room?
 25 A To name a few things, I believe that

Page 32

1 the -- as soon as the patient walked in with a
 2 history of Salicylate poisoning, a history of
 3 significant Salicylate ingestion, and these vital
 4 signs, they should have recognized that this was a
 5 sick patient, and that he had clinical
 6 manifestations that were consistent with Salicylate
 7 poisoning, so he looked like he had Salicylate
 8 poisoning, and the history said that he had
 9 Salicylate poisoning. So, I think he should have
 10 just readily recognized that it was Salicylate
 11 poisoning. What they heard matched up with what
 12 they saw. I think he should have -- once he got the
 13 first level back, which confirmed the diagnosis that
 14 was already very strongly suggested in the history
 15 and physical exam. So, you have got that level in
 16 the low 50's. They should have started bicarbonate,
 17 they should have given activated charcoal, and they
 18 should have been sending in another level to start
 19 to see which direction the levels are going.
 20 Because if the level is continuing to go up -- bless
 21 you -- if the level is continuing to go up, that
 22 would be an indication that they need to think about
 23 hemodialysis. I think that if they had done that,
 24 and you would have gotten levels closer together,
 25 and you are giving the bicarb, either -- hopefully,

Page 33

1 the bicarb would have resolved the symptoms, and he
 2 would have just -- the patient would have
 3 progressively gotten better, or if you had been
 4 giving the bicarb and the bicarb wasn't quite enough
 5 to resolve the symptoms, I feel that Doctor Holdman
 6 should have seen the levels just creeping up, and he
 7 should have found a center that would perform
 8 hemodialysis, and transferred him to get
 9 hemodialysis.
 10 Q Okay. Doctor Holdman did give activated
 11 charcoal, did he not?
 12 A Yes.
 13 Q Okay. So, I don't understand that
 14 criticism. You would concede he gave activated
 15 charcoal?
 16 A Yes.
 17 Q Okay. So, we can scratch that off the
 18 Criticism List, correct?
 19 A He did give activated charcoal and I think
 20 that was correct.
 21 Q So, in terms of -- in terms of something
 22 active in the Emergency Room, your criticism is, he
 23 did not give sodium bicarb in the Emergency Room?
 24 A In the Emergency Department, I feel he
 25 should have given sodium bicarbonate very early in

Page 34

1 the course. He didn't do that.

2 **Q And how early?**

3 A As soon as the first level came back, he

4 should have started sodium bicarb.

5 **Q When Mr. Simmons was in the Emergency**

6 **Department, he was compensating for acidosis with**

7 **his tachypnea, correct?**

8 A Well, the tachypnea was -- when somebody

9 in Salicylate poisoning is tachypneic, there are two

10 reasons that they are tachypneic. So, one reason is

11 the direct poisoning of the respiratory center in

12 your brain, so the Salicylate is tricking your brain

13 to make you breathe too fast, and that's a

14 respiratory alkalosis, and there is also what is

15 called a compensatory respiratory alkalosis, when

16 you are breathing fast, because you have an

17 acidosis. And what I always teach our students and

18 what I teach our residents is that when you see

19 somebody with Salicylate poisoning, their Ph -- when

20 the Ph is a little bit high, it's actually a good

21 thing. It's better than the alternative of the Ph

22 being a little bit low. I will explain, when you

23 are first Salicylate poisoned, you have the

24 respiratory alkalosis, and your Ph is 7.5 or so,

25 which is higher than normal. Normal is 7.4. At a

Page 35

1 Ph of 7.5, because of the way Salicylate is, because

2 it's a weak acid, at a Ph of 7.5, it's not able to

3 get into your brain, it's not able to get into your

4 tissues very well, but it can, and it can't poison

5 you as well. The lower your Ph gets, the more the

6 Salicylate is able to poison you. So, when you

7 first see -- in early Salicylate poisoning, their Ph

8 is 7.5. There is nothing wrong. In fact, we would

9 indicate giving bicarb, which would raise your Ph a

10 little bit, even further, but it would protect you

11 from the Salicylate getting into your tissues and it

12 would also eliminate the Salicylate faster. When

13 your Salicylate -- when your Ph, I should say,

14 starts dropping down to 7.4, now, that's as a result

15 of the poisoning of Salicylate on your metabolism.

16 So, when your metabolism is poisoned, you are not

17 able to make energy as efficiently. You start

18 getting acidosis from that. When you start getting

19 that acidosis, your Ph drops. So if somebody is

20 Salicylate poisoned, a person with Salicylate

21 poisoning with a Ph of 7.4, even though that's a

22 "normal Ph," that's a sicker patient than a Ph --

23 than a Salicylate-poisoned patient with a Ph of 7.5.

24 **Q The arterial Ph will almost always be**

25 **higher than the venous Ph, correct?**

Page 36

1 A Yes.

2 **Q Okay.**

3 A But you can use the venous Ph to estimate

4 the arterial Ph because it is often pretty close.

5 **Q Okay. You believe at least in terms of**

6 **your criticism in the Emergency Department, you**

7 **believe Doctor Holdman should have started sodium**

8 **bicarb at what rate?**

9 A He should have -- we usually recommend

10 giving it about at what we call twice maintenance.

11 That's usually 200 cc's an hour.

12 **Q How many amps?**

13 A We would recommend putting 3 amps in a

14 container about the size of a liter without any more

15 sodium in it. So, that would be a D5W, not in the

16 normal saline bag, but a D5W, and to give that at

17 about 200 an hour, 100 to 200 an hour, depending on

18 their size. I think for him it would be closer to

19 200.

20 **Q 200 milliliters or cc's per hour?**

21 A Yes.

22 **Q Okay. Are there any other risks to giving**

23 **sodium bicarbonate?**

24 A There is risks to every therapy in

25 medicine. In this case, the risks of sodium

Page 37

1 bicarbonate are pretty trivial compared to the risks

2 of Salicylate poisoning.

3 **Q Again, my question is simply: Are there**

4 **risks to giving sodium bicarb?**

5 A Yes.

6 **Q What are those risks, sir?**

7 A If you run the rate too fast, you can make

8 somebody's sodium level too high, but that can be

9 avoided by not running the rate too fast, and, also,

10 by checking periodic sodiums whenever you check the

11 Salicylate concentration. Another risk could be if

12 you are not careful and you ran the rate too fast

13 again, you can make the Ph actually go too high.

14 But that risk can be avoided by checking of Ph every

15 time, again, you are checking the Salicylate and the

16 sodium level. My experience with treating

17 Salicylate poisoning, we never have bad outcomes

18 from the bicarbonate therapy. The bad outcomes have

19 been from the Salicylate.

20 **Q Let me ask you this: There was a textbook**

21 **article excerpt -- textbook excerpt, I should say,**

22 **that was faxed by Poison Control to the Emergency**

23 **Department at Eastern Oklahoma Medical Center. Did**

24 **you review that?**

25 A I took a look at that, yes.

Page 38

1 **Q Are you critical of anything in that**
 2 **textbook excerpt?**
 3 A No, for the most part, I thought
 4 everything in that excerpt seemed reasonable, but I
 5 would have to look at it point by point to see if
 6 there was anything I could nitpick on.
 7 **Q If that article said a significant or**
 8 **serious level was 90 in terms of serum Salicylate,**
 9 **would you disagree with that?**
 10 A I agree that 90 is a serious significant
 11 Salicylate level.
 12 **Q Do you think 50 is a serious Salicylate**
 13 **level?**
 14 A I think 50 could be a very serious level
 15 if the other signs and symptoms indicate significant
 16 Salicylate poisoning.
 17 **Q Do you think the clinical signs and**
 18 **symptoms take precedence over the Salicylate level?**
 19 A I think the clinician has to look at both
 20 of them together. In this case, a patient like
 21 Mr. Simmons, with a real significant Salicylate
 22 level, and coupled with signs of significant
 23 toxicity, so severe tachypnea and tachycardia, that,
 24 to me, paints a picture of somebody with significant
 25 Salicylate poisoning.

Page 39

1 **Q Okay. My question to you, though, is: If**
 2 **you see a Salicylate level of 52, which I think is**
 3 **what the first one was in this case, sir, is that a**
 4 **serious level?**
 5 A If I get a consult of a Salicylate level
 6 of 52, I am going to have a series of questions. I
 7 am going to say, what's -- tell me what the
 8 respiratory rate is, tell me what the heart rate is,
 9 and tell me what the Ph is, and I am going to use
 10 all of that information. Tell me what the patient's
 11 mental status and behavior is like. I am going to
 12 use all of that information to decide how sick I
 13 think they are. But 50 -- it's always a level that
 14 I would recommend sodium bicarbonate.
 15 **Q What if it was 40, would you recommend**
 16 **sodium bicarb?**
 17 A So, now, we are getting to the point where
 18 people might -- I think different people might
 19 disagree, but if you told me about somebody with a
 20 level of 40, exactly 40, and they had no signs and
 21 symptoms of Salicylate poisoning, I might say, let's
 22 get another level in two hours, see what direction
 23 it is going. Let's also get a Ph. Let's give them
 24 charcoal. If the next level was 35, then, I would
 25 be totally happy with not giving bicarb. If the

Page 40

1 next level was 45 in my practice pattern, I would
 2 then start the bicarb. I know from this -- the
 3 handout that they got, they recommended bicarb at
 4 the equivalent, I believe, of 25, and that's without
 5 any other signs and symptoms, and I don't think
 6 that's unreasonable, but I might not do it exactly
 7 the same.
 8 **Q Actually, that document, that page that**
 9 **talks about 25 to 75, it did not come out and**
 10 **recommend that you give sodium bicarb at 25, did it?**
 11 A I thought it did. I can look at it.
 12 **Q Please do, if you could. I think you have**
 13 **it right there in your left hand. I think what you**
 14 **are looking at right now is the textbook excerpt.**
 15 **Could you tell us which page you are looking at**
 16 **right now?**
 17 A So, yeah, right now, I am looking at the
 18 textbook excerpt, which is SIM 000194.
 19 **Q And does that textbook excerpt say to give**
 20 **sodium bicarb at a level of 52?**
 21 A So, here is what I see: "Concentration
 22 greater than 250, but less than 750."
 23 **Q Doctor, I am going to cut you off because**
 24 **my question was on the textbook excerpt --**
 25 A Okay.

Page 41

1 **Q -- which you have turned the page since I**
 2 **asked the question.**
 3 A Sure.
 4 **Q In the textbook excerpt, is there anything**
 5 **that says you give sodium bicarb at a level of 52?**
 6 A So, to be clear, the first thing I just
 7 said, so, I was then looking at SIM 000192
 8 Management of Salicylate Poisoning. I saw that for
 9 a concentration greater than 250, but less than 750,
 10 which are the terms we are talking about before was
 11 greater than 25 or less than 75. Now, you are
 12 asking me about the textbook.
 13 **Q Yeah. I haven't asked about that other**
 14 **article yet, what was put together by Poison**
 15 **Control.**
 16 MR. GRAVES: Object to the form. I think
 17 that was part of the Poison Control Packet.
 18 MR. HURT: But I believe the other part is
 19 what was prepared by Poison Control.
 20 MR. GRAVES: I didn't understand that in
 21 the context of your first question.
 22 A So, it says, "Treatment: Treat metabolic
 23 acidosis with intravenous sodium bicarbonate. Do
 24 not allow the Serum Ph to fall below 7.4." In this
 25 case, they are using the metabolic acidosis as the

Page 42

1 indication for bicarbonate, not -- they don't give a
 2 specific Salicylate concentration in this textbook.
 3 **Q And we can agree that the first venous**
 4 **blood gas had a 7.44. So, according to the article**
 5 **sent by the textbook -- excerpt, I should say, sent**
 6 **by Poison Control, the Ph is not at a level that he**
 7 **should give it, correct?**
 8 A That's not correct. You see, this is
 9 where people can get confused between acidosis and
 10 acidemia. To doctors, they mean two different
 11 things. And, so, an acidemia is very simply whether
 12 your Ph is below 7.4 or above 7.4. So, if your Ph
 13 is below 7.4, you have acidemia. If it is above
 14 7.4, you have an alkalemia, and the osis, acidosis,
 15 and alkalosis are processes that change your Ph.
 16 So, in Salicylate poisoning, from the moment you
 17 take your Salicylate poisoning, the moment you take
 18 your big overdose of aspirin, and you are starting
 19 to absorb it, as I said before, in early Salicylate
 20 poisoning, your Ph may be 7.5 or 7.55. So, you
 21 have -- that's an alkalemia, because you Ph is above
 22 7.5. At that time, you have a respiratory alkalosis
 23 because the Salicylate is poisoning your brain, and
 24 making you breathe too fast, and that's a
 25 respiratory alkalosis, that process is a respiratory

Page 43

1 alkalosis. You have a co-existent, like we said
 2 before, or a compensatory respiratory alkalosis
 3 because you are breathing fast, but you also have
 4 the metabolic acidosis. So, from the very
 5 beginning, you have the metabolic acidosis. You
 6 just don't have an acidemia yet, because your
 7 alkalosis is stronger than your acidosis. Because
 8 of that, that's keeping your Ph alkalemic.
 9 MR. HURT: I am going to move to strike
 10 that as nonresponsive.
 11 MR. GRAVES: It is precisely
 12 responsive.
 13 MR. HURT: No.
 14 **Q My question is based on -- just make sure**
 15 **we are looking at SIM 00194. Okay?**
 16 A Yes.
 17 **Q Is there anything on that page which**
 18 **appears to be Page 332 and 333 of the textbook,**
 19 **anything there that says that there is a Salicylate**
 20 **level or a Ph level that Doctor Holdman should have**
 21 **ordered bicarb in the ER? Just looking at what was**
 22 **sent to him by Poison Control?**
 23 A So, this textbook that you are referring
 24 to says, "Treat metabolic acidosis with intravenous
 25 sodium bicarbonate." So, to take that very

Page 44

1 literally, they are saying to treat the process
 2 that's making your Ph start to drop, and if I look
 3 at the very first -- every Ph I see or every -- not
 4 every Ph, every blood gas I see, there is evidence
 5 of a metabolic acidosis, even though, for the most
 6 part, the Ph doesn't drop below 7.4. So, I see the
 7 metabolic acidosis, and I know it is there because
 8 when I see somebody that is breathing 20, or 30, 40
 9 times a minute, and their Ph is above 7.4, or it's
 10 is close to 7.4 -- when you see somebody breathing
 11 that fast, and their Ph almost looks almost
 12 "normal," you know that there is a metabolic
 13 acidosis there, and especially when they say, "I
 14 took 50 to 60 aspirin."
 15 Q So, in reading under Page 332 of Bates
 16 194, where it says, "Treat metabolic acidosis with
 17 intravenous bicarbonate, do not allow the Serum Ph
 18 to fall below 7.4," applying that to the Ph we have,
 19 which was the venous Ph of 7.44, you would agree the
 20 arterial Ph was probably above 7.5 at that point in
 21 time, isn't that true?
 22 MR. GRAVES: Object to form.
 23 A No, I don't know that that's true. I
 24 believe the arterial Ph was, at least -- I agree the
 25 arterial Ph was probably about 7.44, maybe --

Page 45

1 **Q Maybe higher?**
 2 A Maybe a little bit higher. It's
 3 impossible to say exactly what it was.
 4 **Q Okay. Incidentally, did you ever see**
 5 **Mr. Simmons described as lethargic in the Emergency**
 6 **Department?**
 7 A I don't remember that specific term.
 8 **Q We certainly know he was combative on the**
 9 **floor and had to be restrained?**
 10 A Yes.
 11 **Q Being combative and having to be**
 12 **restrained is not a lethargic patient, correct?**
 13 A It's -- I think of -- I don't think of
 14 lethargy and combativeness as being the same, but I
 15 see them both as being potentially a sign of being
 16 delirious and having --
 17 **Q But lethargy and combativeness -- and his**
 18 **combativeness was he struck a nurse. You know that?**
 19 A I read that, yes.
 20 **Q It took four or five people to get him**
 21 **down to restrain him with the leather restraints.**
 22 **Do you recall that?**
 23 A I remember it took a number of people to
 24 restrain him.
 25 **Q And I can tell you, at least, some of them**

Page 46

1 I have met are pretty good-sized fellows. Have you
 2 ever done that? Have you ever had to be one of four
 3 or five people to restrain a patient?
 4 A Yes.
 5 Q Do you know what K2 is?
 6 A Yes.
 7 Q What is that?
 8 A K2 is -- well, it's a mountain in the
 9 Himalayas, but it's a trade name that people have
 10 used to sell illegal synthetic cannabinoids. So,
 11 it's an illegal synthetic Marijuana-type drug. Some
 12 people have put a label of K2, giving it a brand
 13 name.
 14 Q How many times was Doctor Holdman told by
 15 Poison Control they thought there was something else
 16 on board?
 17 A I don't remember how many times.
 18 Q At least twice?
 19 MR. GRAVES: Object to the form.
 20 A I remember, at least, once during the
 21 first phone call.
 22 MR. GRAVES: I object to the form. Doctor
 23 Holdman was never told anything by Poison Control
 24 on the first phone call.
 25 A Yeah, I want to correct my last statement.

Page 47

1 It wasn't Doctor Holdman that talked to the first --
 2 Q It was Mr. Hebert, was it not?
 3 A I don't remember exactly which person, but
 4 it was --
 5 Q Okay.
 6 A Was Mr. Hebert one of the nurses?
 7 Q He was a nursing supervisor.
 8 A Nursing supervisor. The person that spoke
 9 the first time to the first poison specialist, but,
 10 yeah, he was told at least once that they thought it
 11 was something.
 12 Q Is that something you do when you get a
 13 history of an overdose, do you consider other
 14 medications on board?
 15 A Yes.
 16 Q And a tox screen, a urine tox screen only
 17 will screen out a few of the many things that can be
 18 on board, true, sir?
 19 A Yeah. Urine tox screens aren't
 20 comprehensive.
 21 Q Right. In fact, if the body has not
 22 metabolized some of those medications, it won't show
 23 up in the urine even though the drug has been
 24 ingested, isn't that true?
 25 A There is drugs that people can take that

Page 48

1 won't show up on the urine tox screen.
 2 Q Right. Okay. You are not critical of
 3 Doctor Holdman going up to the floor a half dozen
 4 times to try to help?
 5 A I am not critical of him going up to the
 6 floor and trying to help.
 7 Q Okay. And, as he told us in the
 8 deposition, he was there as a strong pair of hands,
 9 do you recall that?
 10 A I believe you if you say so. I don't
 11 remember reading that specifically.
 12 Q The records have indicated that the nurses
 13 were supposed to call the periodic labs to Doctor
 14 Carter, do you recall that?
 15 A I don't remember reading that
 16 specifically. I believe you.
 17 Q Look at Page 10050. Do you see Doctor
 18 Holdman's note at 12:40 in the morning?
 19 A Yes.
 20 Q This is around the time the patient is
 21 admitted to the floor?
 22 A Yes.
 23 Q You understand that?
 24 A Yes.
 25 Q The patient repeatedly requested family

Page 49

1 stay out of the room as he gets more anxious in
 2 their presence. Do you see that?
 3 A Yes.
 4 Q Do you think that's just the Salicylate
 5 explaining that behavior and that desire?
 6 MR. GRAVES: Object to form.
 7 A As I have said, I think somebody can be
 8 both anxious and be Salicylate poisoned at the same
 9 time. I think Mr. Simmons had signs and symptoms of
 10 severe significant Salicylate poisoning throughout.
 11 Q Doctor Carter agrees to admit to OBS and
 12 follow labs and levels in ICU. Do you see that?
 13 A Yes.
 14 Q Now, are you critical of any of the nurses
 15 for not calling Doctor Carter throughout the night?
 16 A I don't want to comment too much on
 17 nursing standards of care. I am not a nurse. I
 18 know where I work, nurses will call me about
 19 abnormal vital signs and patients that look worse
 20 despite the fact that they are doing all the
 21 therapies that I have ordered. So, I would hope
 22 that if I have a patient I have ordered a bunch of
 23 therapies for and they are getting worse, nurses
 24 would be calling me, bugging me, asking me to come
 25 see the patient.

Page 50

1 **Q The patient was not hyperthermic in the**
 2 **Emergency Department, was he?**
 3 A I think the first temperature of 104 was
 4 after he left the Emergency Room.
 5 **Q In fact, it was around 7:00 o'clock that**
 6 **that first hyperthermic temperature came back, isn't**
 7 **that true, sir?**
 8 A That sounds right.
 9 **Q Now, one of the things that can affect**
 10 **acid levels in the body is lactic acidosis, correct?**
 11 A That's correct.
 12 **Q If I am fighting two, three, four people**
 13 **for 45 minutes to get restrained, you would expect**
 14 **there to be some lactic acidosis just from that,**
 15 **correct?**
 16 A Often, yes, but these aren't mutually
 17 exclusive with Salicylate poisoning because
 18 Salicylate poisoning can make you more agitated and
 19 it can make you fight more. Salicylate poisoning
 20 also is poisoning the mitochondria in those muscles
 21 that are making you fight. So that when a normal
 22 person fighting a lot makes X amount of lactic acid,
 23 a Salicylate-poisoned patient fighting a lot make 2X
 24 amount of lactic acid.
 25 **Q The elevated CPK, you noted that, did you**

Page 51

1 **not?**
 2 A Yes.
 3 **Q That was due to his fighting restraints,**
 4 **correct?**
 5 A Yeah. In this case, the most likely cause
 6 of that was from his exertion.
 7 **Q Right. And to exert to elevate his CPK to**
 8 **a level of 664, that would not be a lethargic**
 9 **patient, would it?**
 10 A Well, lethargy and agitation, you can go
 11 back and forth between the two, and, in fact,
 12 definition of delirium, such as a delirium that
 13 could be caused by Salicylate poisoning, we call it
 14 a waxing and waning mental status. Just alternating
 15 between periods of having different levels of
 16 consciousness and alertness.
 17 **Q Well, he didn't wane until he got some**
 18 **medication, correct?**
 19 A I don't know if that's correct.
 20 **Q Can you show me in the record where he**
 21 **waned prior to getting some sedative medication in**
 22 **the ICU after he was restrained?**
 23 A Well, it's the spaces between the
 24 charting. So, if you see somebody gets really
 25 agitated, and it takes a lot of people to restrain

Page 52

1 them, and, two hours later, the same thing happens,
 2 presumably, there is some period in the middle where
 3 things seem to settle down for sometime.
 4 **Q Did you ever see where he settled down?**
 5 A I will refer to this summary. Off the top
 6 of my head, I can say for sure there was not a
 7 constant wrestling match from the time he was in the
 8 ICU until 7:00 in the morning. I know there were
 9 periods of lull.
 10 **Q One can have a lull and not be lethargic,**
 11 **true?**
 12 A I would define lethargy as somebody just
 13 being quiet and exhibiting a lack of energy.
 14 Somebody could not be fighting, but also not be
 15 lethargic at the same time. It says here, "2:17,
 16 beginning to become agitated," and at 1:46, "He is
 17 anxious, unable to stay in one position." Then,
 18 "Able to get patient up in bed, cleaned, and
 19 repositioned." So, he is kind of anxious and upset,
 20 but, then, he throws up, and, now, he is kind of
 21 calm, and they clean him up, and reposition him.
 22 Then, about 30, 40 minutes later, he is agitated
 23 again. Fifteen minutes later, he becomes combative
 24 and he is restrained.
 25 **Q According to the record, is it 45 minutes**

Page 53

1 **after he became combative before he is restrained?**
 2 A To be very precise, I see the word,
 3 "combative," here, at 2:30 and the soft leather
 4 restraints, I see around 3:00 o'clock.
 5 **Q Is it 3:00 or 3:15?**
 6 A The summary says 3:00. I could go back to
 7 the chart, but the charts agreed with the summary.
 8 **Q Okay. Well, it will speak for itself**
 9 **whether it is 30 or 45 minutes.**
 10 A This whole chart -- when I look at this in
 11 its entirety from the moment he comes in until 7:00
 12 in the morning and beyond, I see a patient who is
 13 just slowly becoming more confused, and more
 14 agitated, and more delirious as times goes on, which
 15 is consistent with Salicylate poisoning.
 16 **Q His behavior in the ICU could be**
 17 **consistent with his autistic disease, true?**
 18 MR. GRAVES: Objection.
 19 **Q Isn't that true, sir?**
 20 A No, I don't think autism should cause
 21 somebody to have a fast heart rate, and a fast
 22 respiratory rate, and severe agitation for this
 23 period of time, especially when it's somebody who
 24 usually lives alone at home.
 25 **Q Okay. He lives --**

Page 54

1 A He is semi-independent.

2 **Q He lives underneath his father's**

3 **apartment. So, he does live alone, but he is**

4 **supervised heavily. You understand that?**

5 MR. GRAVES: Object to form.

6 A Somebody that has a degree of

7 independence, and this is completely out of

8 character for him to be -- he doesn't go through

9 life fighting and being needed to be restrained.

10 **Q Okay. But, as far as we know, this is the**

11 **first time he has ever been kept in a hospital**

12 **overnight, isn't that true?**

13 MR. GRAVES: Objection.

14 A I don't remember reading about prior

15 hospital admissions.

16 **Q Okay. You saw where they had to put an IV**

17 **in his knee?**

18 A I don't remember reading that, but I

19 remember there was a time when they were having

20 trouble getting access.

21 **Q In fact, on the communication that Doctor**

22 **Holdman has with the Poison Control, he tells us,**

23 **"He has pulled out four or five of the IV's." Do**

24 **you recall that?**

25 A I remember he had pulled out a few IV's,

Page 55

1 yes.

2 **Q Okay. And to put an IV in the knee is**

3 **tenuous IV access, at best, correct?**

4 A Yeah. Usually, that is not the first

5 place you want to look for a vein.

6 **Q Okay. And did you read any testimony**

7 **about how he reacted to simply having the blood**

8 **pressure cuff go on and squeeze his bicep?**

9 A I don't remember specifically the blood

10 pressure cuff, but I remember his periods of

11 agitation.

12 **Q Okay. Once he, Doctor Holdman comes to**

13 **the floor, other than continuing to give sodium**

14 **bicarb, is there any other criticism you have of**

15 **Doctor Holdman?**

16 A I feel that Doctor Holdman should have

17 recognized that this was a significantly

18 Salicylate-poisoned patient, and that the levels --

19 I believe, by this point, now, you have, at least,

20 two levels. They are not going down, that they are

21 heading in the wrong direction. I feel that Doctor

22 Holdman should have recognized that this was

23 somebody they should start thinking about being more

24 aggressive and getting dialysis for.

25 **Q Okay. Do you have any other criticism of**

Page 56

1 **Doctor Holdman on the floor?**

2 A To be complete, how I would have managed

3 the case if I were Doctor Holdman, if I walk in and

4 see this patient with his respiratory alkalosis and

5 his Ph starting to drop close to or below 7.4, and

6 he is showing signs of Salicylate poisoning in the

7 brain, he is getting more aggitated, and he is

8 tachypneic and tachycardic, I would say, get me

9 another Salicylate level right now, make sure

10 that -- start the bicarb from the minute one that he

11 sees him, and let's find a nephrologist somewhere

12 that can get us some dialysis. So, that's how I

13 would have managed it and how I think the standard

14 of care for an emergency physician to manage it.

15 So, everything he did that wasn't that, I disagree.

16 **Q Okay. So, kind of distilling to the**

17 **essence your criticism of Doctor Holdman in this**

18 **case, you feel he should have started bicarb early?**

19 A Yes.

20 **Q And continued it?**

21 A Yes.

22 **Q And you assumed that the combativeness is**

23 **a sign of the Salicylate poisoning deteriorating his**

24 **mental function, correct?**

25 A That's the way I think he needed to

Page 57

1 interpret it. In the context of having that high

2 Salicylate level and the vital signs, yes.

3 **Q Okay. Do you recall reading his own**

4 **mother's testimony that she felt his behavior when**

5 **he became combative was because he was aware from**

6 **his environment and that that is what was casing it?**

7 A I remember -- I don't remember that

8 specifically, but I remember her talking about his

9 behavior.

10 **Q Would it be appropriate with autistic**

11 **patient for a doctor to ask the opinions of the**

12 **family, who know him better than the healthcare**

13 **providers, what their thoughts are about his**

14 **behavior?**

15 A It is certainly appropriate for them to

16 get input from the family.

17 **Q Okay. You don't fault Doctor Holdman for**

18 **doing that?**

19 A I don't fault him for getting input from

20 the family, no.

21 **Q You have no reason to think Doctor Holdman**

22 **did not want a good reaction -- a good result, I**

23 **should say, for this patient?**

24 A I don't have any reason to think that he

25 didn't want the patient to do well.

1 Q And just the fact that he went up to the
 2 floor, went to the floor six times, I believe it is
 3 charted in this case, just to try to help?
 4 MR. GRAVES: Object to form.
 5 Q That shows, at least, as far as you are
 6 concerned, his heart is in the right place?
 7 MR. GRAVES: Object to the form.
 8 A I wouldn't talk -- I wouldn't be able to
 9 speak in those kind of sentimental terms, but it's
 10 evidence -- that's doing his job. If a nurse calls
 11 you to the floor and asks for help, that's something
 12 that you are supposed to do.
 13 Q Well, working in the ER and having
 14 patients there, Doctor Holdman would be perfectly
 15 within his right to say, hey, call Doctor Carter, I
 16 am treating patients in the ER?
 17 MR. GRAVES: Object to form.
 18 Q He could have done that, couldn't he?
 19 A I don't know what the specific rules were
 20 at that hospital.
 21 Q Typically, ER doctors are not encouraged
 22 to go up to the floor unless it is some sort of Code
 23 Purple or emergency like that, isn't that true?
 24 A Different hospitals are different from
 25 each other. For example, I know that there are

1 hospitals where ER doctors are expected to do the
 2 codes up on the floor overnight. They are expected
 3 to intubate patients overnight. I just don't know
 4 exactly what his assigned duties were for the ICU.
 5 Q Where is Poteau?
 6 A In Oklahoma.
 7 Q Where?
 8 A I don't know.
 9 Q North, south, east, or west?
 10 A I imagine it is east because it said
 11 Eastern Oklahoma Medical Center.
 12 Q Well, that's a good deduction. If I asked
 13 you this, I apologize. Did he report tenderness to
 14 anybody?
 15 A I don't remember reading a report of
 16 tenderness, no.
 17 Q What is the ER staffing ratio at Johns
 18 Hopkins in terms of physician/nurse?
 19 A I don't know specifically.
 20 Q Okay.
 21 A It's different at different times of day
 22 for the number of physicians and nurses and doctors.
 23 Q Do you work in an ER other than at Johns
 24 Hopkins?
 25 A I have always -- I have credentialed work

1 at Howard County General. I haven't worked there in
 2 more than a year. In the last year, I have only
 3 worked at Johns Hopkins.
 4 Q Why have you not worked at Howard County
 5 General?
 6 A Because I prefer not to. It is further
 7 from my house. So, I like to have all of my shifts
 8 scheduled at Johns Hopkins?
 9 Q Is it a smaller hospital?
 10 A It's smaller, yes.
 11 Q When you work a shift in the emergency
 12 department, do you always have residents with you?
 13 A At Johns Hopkins, I usually have residents
 14 with me, but we don't always have residents.
 15 MR. HURT: We have been going for awhile.
 16 Do you mind, sir, if we take a short break?
 17 THE WITNESS: No.
 18 MR. HURT: Okay. I think I need a break.
 19 (Recess taken -- 10:46 a.m.)
 20 (After recess -- 10:55 a.m.)
 21 MR. HURT: Back on the record after a
 22 break.
 23 Q Are you ready to proceed, sir?
 24 A Yes.
 25 Q The Ph from 3:34 in the morning until 7:30

1 in the morning only dropped from 7.39 to 7.38, the
 2 PCO2 went up, and the base excess went down. What
 3 do you attribute that to?
 4 A So, I attribute that to continued
 5 tachypnea on the part of Mr. Simmons. So, he is
 6 still breathing really, really fast from the
 7 Salicylate poisoning, and, so, he is able to kind of
 8 keep his Ph around 7.4, although as I stated before,
 9 that is actually a sign that he is -- of significant
 10 poisoning when your Ph is near 7.4. You want it to
 11 be higher, but I attribute that to a sign of him
 12 just having continued sustained tachypnea, just
 13 breathing fast.
 14 Q I mean, does it not show that he was able
 15 to compensate for the acidosis from 3:34 in the
 16 morning until 7:30 in the morning, sir?
 17 A You can only do that for so long. So, you
 18 can only breathe hours and hours, and I look at his
 19 vital signs, he is breathing -- I look at 1:20 and
 20 he is breathing at 39. At 2:15, he is breathing at
 21 45. At 3:28, he is breathing at 48. At 3:30, he is
 22 breathing at 49. So, you can only do that for so
 23 long. At 3:45, he is breathing at 45. So, he is
 24 able to -- for a certain amount of time, he is able
 25 to keep his respiratory rate at a point that is

<p style="text-align: right;">Page 62</p> <p>1 staving off the acidemia, because once that Ph 2 starts to drop, the effect compounds because as the 3 Ph drops down, more Salicylate is able to be 4 absorbed into your tissues, and you are more 5 poisoned, and, then, your Ph drops more, and, then, 6 more gets absorbed, so once the ball starts rolling, 7 things -- people usually fall apart pretty quickly. 8 But for this period of time, he is able to -- with 9 his respiratory rate, he is staving that off.</p> <p>10 Q Yes. And that's the question I wanted you 11 to acknowledge, that the Ph drop of only 1 from 7.39 12 at 3:34 to 7.38 at 7:30 reflects an ability to 13 compensate, at least during those times, by the 14 rapid respiration, correct?</p> <p>15 A When you look at the patient in his 16 entirety, you realize that this is somebody who is 17 really sick, and that this can't go on forever, and 18 that you are going to need to do something to get 19 rid of that Salicylate while you have this brief 20 window of opportunity that he is able to breathe 21 fast enough to keep himself in the game, 22 so-to-speak.</p> <p>23 Q Well, that's the point. By 7:30 in the 24 morning, he is still in the game. He is still 25 salvageable, correct?</p>	<p style="text-align: right;">Page 64</p> <p>1 that was Salicylate poisoned, if I felt like I had 2 to do it as a last resort, I would do it in a 3 certain way where I intubated you and gave you 4 bicarbonate at the same time, and, then, tried to 5 ventilate you very quickly, but they are not 6 doctors. So, I can't hold them to that standard. I 7 don't know what their protocol says.</p> <p>8 Q Well, what would you have set the 9 ventilation rate at on the ambulance on the way to 10 Mercy Fort Smith?</p> <p>11 A Something fast that I thought the patient 12 could tolerate.</p> <p>13 Q 30, 40?</p> <p>14 A The 20's. I don't know specifically.</p> <p>15 Q You would not set it at 12, would you?</p> <p>16 A As a doctor, I wouldn't set the 17 respiratory rate of a Salicylate-poisoned patient at 18 12.</p> <p>19 Q Okay. And that's really why he died, 20 isn't it, because they set it at 12?</p> <p>21 MR. GRAVES: Object to form.</p> <p>22 A I disagree. I think all of the events 23 from him taking the aspirin to all of the treatment 24 that he got while he was in the hospital contributed 25 to his death. Specifically, not getting the bicarb,</p>
<p style="text-align: right;">Page 63</p> <p>1 A I don't know the exact point at which he 2 becomes not salvageable or not savable. Certainly, 3 when he came into the hospital, he could have been 4 saved. His life could have been saved. The picture 5 that I see when he first walked in, I see 6 Salicylate-poisoned patients like that that survive. 7 You know, by the time he gets intubated, he is very 8 ill. So, somewhere around 6:00, 7:00, 8:00, 9:00 in 9 the morning, unfortunately, I think he turns a 10 corner where he passes a point of no return. I 11 don't know exactly when the time is.</p> <p>12 Q Well, let me just ask you then: Are you 13 critical of any of the care he received in the 14 ambulance being transported to Mercy Fort Smith?</p> <p>15 A No. Again, I don't like to get in too 16 much into the details of emergency medical services, 17 so, EMS, ambulance standard of care, because they 18 have their protocols and different levels of 19 training that I am not always familiar with. So, as 20 a doctor, I would do things differently, but they 21 are not doctors. So, the short answer is I can't 22 criticize their management.</p> <p>23 Q You would not have intubated him at a 24 level of 12 rate in the ambulance, would you?</p> <p>25 A As a doctor, if I was intubating somebody</p>	<p style="text-align: right;">Page 65</p> <p>1 to not being dialyzed properly. At some point, he 2 had, you know, he passed the point of no return.</p> <p>3 Q Well, you know, Doctor Clark was a doctor 4 who refused to see the patient in the ambulance, I 5 think, or emergency department when there were heart 6 rhythm changes. Are you critical of him?</p> <p>7 A Without knowing what his -- all of his 8 reasons, I don't want to be critical of him. That 9 being said, if I am at a hospital, and somebody says 10 to me in the ambulance bay, I have an EKG I want to 11 show you, I am worried about it, I would personally 12 go look at it even if it wasn't my patient.</p> <p>13 Q Okay. Do you recall what the Salicylate 14 level was at Mercy Fort Smith?</p> <p>15 A I don't recall. I know that towards the 16 end, the level was in the 70's. I don't remember 17 what it was at Mercy Fort Smith.</p> <p>18 Q Do you recall it being 46?</p> <p>19 A I don't remember seeing that.</p> <p>20 Q Would you expect the level to be higher 21 than it was at EOMC if it truly was a Salicylate 22 death?</p> <p>23 A You would -- I would expect it to usually 24 be, but a level of 46 would not be inconsistent with 25 what I have seen before.</p>

Page 66

1 **Q Why would you usually expect it to be**
 2 **higher than what it was at EOMC?**
 3 A I would expect it to be higher, a little
 4 bit higher, a little bit lower, about the same.
 5 **Q Okay.**
 6 A 46 is a little bit lower than I would
 7 expect, but it is still consistent with Salicylate
 8 poisoning.
 9 **Q But it surprises you, does it not, sir,**
 10 **it's only 46 at Mercy Fort Smith?**
 11 A It is less than I would expect, but there
 12 are explanations for it. If you are so sick that
 13 you are not profusing your gut anymore, your level
 14 might be lower. If their lab is calibrated
 15 differently or incorrectly, the level might be a
 16 little bit out of range.
 17 **Q Okay.**
 18 A But when I look at all of the levels
 19 before, you know, 50-something, 50-something,
 20 60-something, 70-something, coupled with his Ph, and
 21 his physical examination, it all paints a picture of
 22 somebody with just worsening Salicylate poisoning.
 23 So, clearly, if the level at the last place comes
 24 back at 40-something, I don't look at that level and
 25 say, oh, he is better, you know. I still --

Page 67

1 **Q An otherwise strong, healthy young person**
 2 **can, however, compensate for days with a Salicylate**
 3 **poisoning, can they not?**
 4 A No, I disagree that somebody can't breathe
 5 between 40 and 50 breathes a minute for -- like
 6 this, with this degree of Salicylate poisoning. So,
 7 not with this degree of Salicylate poisoning, no.
 8 **Q But his breathing at 40, 50, 30, whatever**
 9 **it was, it didn't go down because he couldn't**
 10 **breathe, it went down because he is put on a**
 11 **ventilator with a setting of 12, correct?**
 12 A A couple of things are happening over this
 13 period of time and it's -- I can't uncouple them
 14 all. They are all related. So, one, he is getting
 15 sedatives and respiratory depressants that slow your
 16 respiration. He is getting Benzodiazepines and
 17 morphine for -- because of what they are
 18 interpreting as agitation that they are trying to
 19 treat. So, that is going to slow your respiratory
 20 rate. Another thing that is happening, he has to be
 21 getting tired. You are breathing that fast. You
 22 can't do it forever. If you ever run a marathon or
 23 do sprints, you can't do that for hours and hours.
 24 He is getting tired. Then, at some point, they give
 25 him Diprivan or Propofol in order to intubate him,

Page 68

1 and that is going to slow your respiratory rate, but
 2 the reason that they are doing that is because they
 3 are looking at him, and he looks so bad from all of
 4 the breathing that he is doing.
 5 **Q Okay. But back to my question. Even with**
 6 **medications, his breathing didn't go down, and I**
 7 **know you are telling me, at some point, it would,**
 8 **but it really didn't go down until he got intubated,**
 9 **isn't that true, sir?**
 10 A The intubation was about the period of
 11 time that he is decompensating and falling apart,
 12 and I don't -- I can't figure out from reading the
 13 chart exactly what triggered their mind, we want to
 14 intubate him now, but I imagine it's because he just
 15 looks so bad. He is breathing so fast, he is
 16 confused, he is altered, and they say, wow, this guy
 17 looks so bad from his Salicylate poisoning that he
 18 looks like somebody we need to intubate.
 19 **Q Okay. Do you think it is possible that he**
 20 **would have lived if they had not intubated him?**
 21 A I think it's more likely than not at that
 22 point, he would have died no matter what.
 23 **Q But it's possible he would have lived had**
 24 **he not been intubated at that level of 12?**
 25 MR. GRAVES: Object to form.

Page 69

1 A A lot of things are possible, but I feel
 2 that that's unlikely and I think it's more likely
 3 than not he would have died at that point,
 4 regardless.
 5 **Q Can I --**
 6 A Sure.
 7 **Q I will tell you what, have I now heard,**
 8 **really, all of your criticisms of Doctor Holdman?**
 9 A All that I can think of. As I said, there
 10 is -- I described before a certain way that I think
 11 this should have been managed, and, you know, to
 12 stay with the emergency medicine standard of care,
 13 and to the extent he didn't do those things, I felt
 14 like he deviated.
 15 **Q Well, that's my whole point. Have you**
 16 **told me, at this point, everything you felt Doctor**
 17 **Holdman didn't do that was a departure from the**
 18 **standard of care?**
 19 A I think -- as I said before, the extent
 20 that he didn't recognize the significance of the
 21 Salicylate toxicity earlier, that he didn't promptly
 22 administer sodium bicarbonate, that he didn't
 23 recognize the Salicylate level that wasn't
 24 declining, and he didn't recognize the bad blood gas
 25 as a sign of significant poisoning, and he didn't

Page 70

1 recognize all of that as a sign that he needed to
 2 pursue dialysis, I think was -- I disagree with that
 3 management.
 4 **Q Okay. So, at this point, I have heard all**
 5 **of your criticisms of Doctor Holdman?**
 6 A Those are all of the ones that I can think
 7 of right now, yes.
 8 **Q And you have prepared for your deposition**
 9 **knowing that I would be here and lawyers would be**
 10 **here asking you questions, correct?**
 11 A Yes.
 12 **Q Okay. And in terms of your preparation**
 13 **and having had time to think during the deposition,**
 14 **is there any other criticism of Doctor Holdman that**
 15 **you intend to offer at the time of trial you have**
 16 **not told me about already?**
 17 A Nothing that I can think of right now.
 18 MR. HURT: Okay. I will pass the witness.
 19 EXAMINATION BY MR. CONNOR:
 20 **Q Doctor, we have met before the deposition**
 21 **started. I am Jim Connor. I represent Doctor**
 22 **Carter. In listening to you here this morning and**
 23 **some of the things that you have said, it seems to**
 24 **me important to you that you stay within your area**
 25 **or areas of expertise, is that a true statement?**

Page 71

1 A Yes.
 2 **Q For example, you testified earlier that**
 3 **when asked about the nurses that you said, I am not**
 4 **a nurse, so I want to stay away from testimony**
 5 **regarding nursing standard of care, correct?**
 6 A Yes.
 7 **Q You were also asked about some opinions**
 8 **regarding the EMT's, and you said that is not what I**
 9 **do, either, and I want to stay away from that,**
 10 **correct?**
 11 A Yes.
 12 **Q You also made the statement that you**
 13 **wanted to stay with emergency medicine standard of**
 14 **care, do you recall that?**
 15 A Yes. I feel an emergency physician should
 16 be judged according to emergency medicine standard
 17 of care, and that there is a certain standard when
 18 you treat a poison patient -- there is a standard of
 19 care for treating a Salicylate-poisoned patient that
 20 a physician should stay with.
 21 **Q Do you know that there is an expert -- do**
 22 **you know Doctor Gerard?**
 23 A No.
 24 **Q Do you know who he is?**
 25 A I don't believe I have met him.

Page 72

1 **Q Do you know that he has been listed to**
 2 **offer standard-of-care opinions regarding the care**
 3 **of Doctor Carter in this case?**
 4 A No.
 5 **Q Do you know what kind of doctor, what**
 6 **specialty Doctor Carter is?**
 7 A Doctor Carter, I forget exactly what he --
 8 he practiced as an internal medicine doctor.
 9 **Q Okay. Would it refresh your memory if I**
 10 **told you that he was a family practice doctor?**
 11 A Yeah.
 12 **Q Okay. And you are not a family practice**
 13 **doctor?**
 14 A No.
 15 **Q You are fellowship trained as a specialist**
 16 **in emergency medicine, correct?**
 17 A That's correct.
 18 **Q And you are additionally fellowship**
 19 **trained in the subspecialty of toxicology in your**
 20 **field, correct?**
 21 A Yes.
 22 **Q Is it true that you do not intend to offer**
 23 **family practice standard-of-care opinions or**
 24 **criticisms regarding Doctor Carter in this case?**
 25 A I would only offer criticisms about the

Page 73

1 standard of care for treating Salicylate poisoning.
 2 **Q Okay. Doctor Carter is a family practice**
 3 **physician, correct? We just --**
 4 A Yes.
 5 **Q All right. And, so, any criticisms that**
 6 **you would offer against him would be criticisms of a**
 7 **family practice physician, correct?**
 8 A There is a certain standard that a
 9 physician who is treating a patient with Salicylate
 10 poisoning needs to stay with. Whether you are
 11 internal medicine, or family medicine, or ICU, or
 12 emergency medicine. So, I would have criticisms of
 13 him for not staying with that standard.
 14 **Q Are you trained in family practice?**
 15 A No.
 16 **Q Okay. You didn't do a residency in that.**
 17 **You have never practiced as a family practitioner,**
 18 **have you?**
 19 A No.
 20 **Q Okay. So, are you telling the ladies and**
 21 **gentlemen of the jury, Doctor Stolbach, that you**
 22 **intend to offer, as an emergency physician with a**
 23 **subspecialty in toxicology, that if allowed to do so**
 24 **by the judge, you intend to offer standard-of-care**
 25 **criticisms of Doctor Carter?**

1 A As an expert in toxicology, who provides
 2 consultation, as does family doctors, internal
 3 medicine doctors, and ICU doctors, and emergency
 4 medicine doctors who take care of poisoned patients,
 5 I feel that I could offer a valid opinion on whether
 6 or not he met the standard of care for treating a
 7 poisoned patient.

8 **Q Who are you boarded by?**

9 A The American Board of Emergency Medicine
 10 sponsors the Emergency Medicine Board and the
 11 Toxicology Sub-board and that is co-sponsored with
 12 the -- the Toxicology Sub-board is co-sponsored with
 13 the -- I think it is the American Board of
 14 Occupational Medicine.

15 **Q Okay. And are you a member of -- is it
 16 the American College of Emergency Physicians?**

17 A Yes.

18 **Q Okay. And are you a member of that?**

19 A Yes, I am.

20 **Q And are you a fellow of that?**

21 A Yes.

22 **Q All right. And does the American College
 23 of Emergency Physicians have any ethical rules or
 24 guidelines regarding your participation in medical
 25 malpractice cases as an expert witness?**

1 **patient?**

2 A I don't remember that.

3 **Q Would you have told them to intubate the
 4 patient when they did? I mean not intubate. Excuse
 5 me. I misspoke. The decision to ventilate, to put
 6 the patient on the ventilator?**

7 A If they had asked me how to ventilate the
 8 patient, I would have told them to try to ventilate
 9 the patient quickly, set the ventilator at a high
 10 rate.

11 **Q What if they had asked you, Doctor, should
 12 we just prophylactically put this person on the
 13 ventilator for transport to Fort Smith, what would
 14 you have said?**

15 A You know, again, I am not a medical
 16 director for EMS and I don't know -- I didn't train
 17 them. I don't know what they are comfortable doing.
 18 I don't want to ask them to do something that I
 19 think that -- to use lay terms -- I think they are
 20 going to mess up. So, I just would have to know
 21 more about their training and I think the medical
 22 director would be in a position to know specifically
 23 what they can do.

24 **Q Was the patient put on a ventilator
 25 because he needed it at that point in time or**

1 A I know that they do. I don't know them
 2 off the top of my head.

3 **Q Do you think if they do that there are any
 4 that would have any application to the case that we
 5 are here on today?**

6 A I don't know.

7 **Q Do you think that confining your expert
 8 opinions to your area of specialty would be one of
 9 those?**

10 A As I said before, I am confining my
 11 opinions to my areas of expertise, which are
 12 emergency medicine and medical toxicology.

13 **Q Okay. Well, you said that earlier you
 14 weren't comfortable in offering opinions regarding
 15 the EMT care because you are not an EMT. Did the
 16 EMS or EMT service have a medical director?**

17 A I presume they did.

18 **Q Okay. You don't remember that?**

19 A I don't remember -- I don't remember that.

20 **Q Do you know whether that medical director
 21 who -- you would presume that person would be a
 22 doctor?**

23 A Usually, yes.

24 **Q Okay. Do you know whether they consulted
 25 with a doctor on the decision to intubate the**

1 **essentially prophylactically for the transport?**

2 A I don't remember what their thought
 3 process was.

4 **Q Does that matter in this case, in your
 5 mind?**

6 A Ultimately, it's important that you --
 7 ultimately, it's important that you do the right
 8 thing, but I don't know -- I would be curious to
 9 know why they did what they did.

10 **Q If the medical director for the EMT's
 11 advised them to prophylactically put this patient on
 12 the ventilator for transport and set the ventilator
 13 at 12 reps per minute, would you be critical of
 14 that?**

15 A If I am working in an emergency
 16 department, if I am working in an ICU, I would be
 17 critical of that decision. I don't know if there is
 18 something that specifically has to do with the
 19 transport or something that has to do with the
 20 capabilities of those medics that the medical
 21 director thinks that that's the best way to do it.
 22 Again, in the hospital setting, I would be critical
 23 of that rate. I don't know why the medical director
 24 chose that rate for transport.

25 **Q Well, you think that that ventilator**

<p style="text-align: right;">Page 78</p> <p>1 setting was contraindicated in this case?</p> <p>2 A I think that if that was in the hospital</p> <p>3 or an ICU, that that rate would be too slow.</p> <p>4 Q Okay. And you know that Doctor Carter was</p> <p>5 not called about that by anyone, right?</p> <p>6 A I believe you if you say that.</p> <p>7 Q Okay. And you know that Doctor Carter</p> <p>8 ordered that the patient be intubated as opposed to</p> <p>9 ventilated for the transport, correct?</p> <p>10 A Again, I don't remember that parsing, but</p> <p>11 I believe you.</p> <p>12 Q Well, I mean, that's a significant</p> <p>13 difference. I am not just parsing words. Is it</p> <p>14 not?</p> <p>15 A Well, only that whenever we intubate</p> <p>16 people, we almost always mechanically ventilate</p> <p>17 them. So, when I say to my residents, we need to</p> <p>18 intubate this guy, they automatically call for the</p> <p>19 ventilator. Those two things go together.</p> <p>20 Q But you don't know how it is in Poteau at</p> <p>21 the Eastern Oklahoma Medical Center, correct?</p> <p>22 A Well, patients in Poteau, without being</p> <p>23 glib, they need to breathe, also. So, you give -- I</p> <p>24 imagine a doctor, when they say intubate, they mean</p> <p>25 intubate and ventilate, because you can't just</p>	<p style="text-align: right;">Page 80</p> <p>1 happens regularly?</p> <p>2 A If you are going to intubate somebody and</p> <p>3 not mechanically ventilate them, then, you need</p> <p>4 somebody to be bagging.</p> <p>5 Q I am talking about putting them on a</p> <p>6 ventilator with a preset respiration rate.</p> <p>7 A I don't know how often they choose a</p> <p>8 preset respirator rate to mechanically ventilate</p> <p>9 somebody with a transport.</p> <p>10 Q Tell us if you intend to offer</p> <p>11 standard-of-care criticisms of Doctor Carter at</p> <p>12 trial, what those are?</p> <p>13 A So, I -- again, I feel that when Doctor</p> <p>14 Carter assumed care of the patient, he should have</p> <p>15 recognized the abnormal vital signs, he should have</p> <p>16 recognized that the labs were signs of significant</p> <p>17 Salicylate poisoning, coupled with the vital signs,</p> <p>18 he should have recognized that the patient wasn't</p> <p>19 getting better, and was, in fact, getting worse, and</p> <p>20 that the continued respiratory rate, and the change</p> <p>21 in mental status and respiration were all signs of</p> <p>22 that, and he should have had the patient on bicarb</p> <p>23 from the first minute, and he should have pursued</p> <p>24 dialysis, transferring him to a place where the</p> <p>25 patient could get dialysis.</p>
<p style="text-align: right;">Page 79</p> <p>1 intubate them and not let them breathe.</p> <p>2 Q You imagine that? I mean, are you telling</p> <p>3 the ladies and gentlemen of the jury that in Poteau,</p> <p>4 with this EMS that you say you are not qualified to</p> <p>5 offer any opinions about, that when Doctor Carter</p> <p>6 tells -- gives an order to intubate the patient,</p> <p>7 that he is telling them to mechanically ventilate</p> <p>8 the patient, to put them on the ventilator, is that</p> <p>9 what you are saying?</p> <p>10 A I want to be clear. In any qualified</p> <p>11 doctor, whether they are in Poteau, or Baltimore, or</p> <p>12 anywhere, when they say, I want to intubate a</p> <p>13 patient, and they are giving them a sedative in</p> <p>14 order to accomplish that intubation, they are almost</p> <p>15 always implying -- essentially always implying that</p> <p>16 I also need to mechanically ventilate this patient.</p> <p>17 Q Okay. How often -- how often, since you</p> <p>18 said they are always implying that, tell me how</p> <p>19 often patients that are prophylactically intubated,</p> <p>20 but not put on a ventilator for transport from</p> <p>21 Poteau to Fort Smith, how often does that happen? I</p> <p>22 take it you would think it virtually never happens</p> <p>23 based on your testimony, right?</p> <p>24 A I don't know.</p> <p>25 Q Well, would you be surprised if that</p>	<p style="text-align: right;">Page 81</p> <p>1 Q But he did that, right?</p> <p>2 A And I feel that -- much more promptly.</p> <p>3 Q Well, from the time he saw the patient</p> <p>4 until the time he started trying to transfer the</p> <p>5 patient, how long was that?</p> <p>6 A The time he assumed care of the patient in</p> <p>7 the middle of the night, after midnight. I don't</p> <p>8 know when he first saw the patient. I think it was</p> <p>9 later in the morning, around 6:00 or 7:00, and the</p> <p>10 patient was transferred within several hours from</p> <p>11 that.</p> <p>12 Q Well, can you be any more specific in</p> <p>13 response to my question? Do you remember what my</p> <p>14 question was?</p> <p>15 A Can you repeat your question?</p> <p>16 Q Yes. My question was: How much time</p> <p>17 elapsed from the time he saw the patient until he</p> <p>18 started putting a transfer into motion?</p> <p>19 A I would have to look at the chart to get</p> <p>20 the exact number. So, he assumes care, you know,</p> <p>21 around 1:00 or 1:15 in the morning, when the patient</p> <p>22 goes to the ICU, and he -- his H&P is written at</p> <p>23 7:09 and it has an exam. So, I imagine sometime an</p> <p>24 hour, half hour before that, he performs the exam at</p> <p>25 6:30. Although at 9:10, it says, "Doctor Carter</p>

Page 82

1 stated he was still in the hospital at Mountain
 2 Gateway." I am not clear whether he had left and
 3 come back or whether he just hadn't gotten there
 4 yet. But, at 9:48, the case manager has called
 5 regarding orders from Doctor Carter for the patient
 6 to be transferred.

7 **Q What was Doctor Carter told when he was**
 8 **called about this patient the first time?**

9 A I don't know the details of the
 10 conversation between Doctors Holdman and Carter.

11 **Q Did those details matter to your opinion?**

12 A It matters for both of them. It's the
 13 responsibility of both of them to have a good
 14 conversation where all of the relevant information
 15 about the patient is conveyed.

16 **Q Okay. Is there any aspect of this family**
 17 **practitioner's care that you don't intend to**
 18 **criticize in this case as an emergency physician?**

19 A Well --

20 **Q Is there any part of his care as a family**
 21 **practitioner that you are uncomfortable criticizing**
 22 **as an emergency room doctor?**

23 MR. GRAVES: Object to the form.

24 A I am -- to the extent that his care
 25 deviated from the correct way, the standard of care

Page 83

1 for treating Salicylate poisoning, I disagree with
 2 it.

3 **Q Okay. Well, you keep saying that. The**
 4 **only standard of care, at least -- I don't know how**
 5 **it is in Maryland, but, in Oklahoma, it is what a**
 6 **similarly-trained physician would do in the same or**
 7 **similar circumstances. So, his standard of care is**
 8 **that of a family practitioner. All right? Just**
 9 **assume that hypothetically for me. And not what you**
 10 **think the standard of care is for treating**
 11 **Salicylate poisoning. If that's true, are you still**
 12 **comfortable offering standard-of-care criticisms of**
 13 **him?**

14 MR. GRAVES: Object to form.

15 A I don't entirely agree with your
 16 statement. I feel that internal medicine and family
 17 practice doctors, intensive care doctors, and
 18 emergency medicine doctors all can take care of
 19 Salicylate-poisoned patients, but if a doctor hears
 20 about a Salicylate-poisoned patient, and that doctor
 21 says, I don't know anything about this, this isn't
 22 part of my practice, then, they shouldn't accept the
 23 admission. They shouldn't take over care of that
 24 patient.

25 **Q I don't know what you are telling me**

Page 84

1 **there. Are you now telling me that you have an**
 2 **opinion he should have declined to take this**
 3 **patient?**

4 A My opinion is that it's within the
 5 standard of practice of a family doctor, an
 6 intensive care doctor, an emergency medicine doctor
 7 to take care of a Salicylate-poisoned patient, but
 8 if a patient -- if a doctor of any type is assuming
 9 care of a patient for a disease that he doesn't
 10 think he is qualified to take care of, that should
 11 come out right at that moment if the doctor thinks
 12 he or she is not qualified to take care of a certain
 13 kind of presentation or if he thinks it is not
 14 within his practice to take care of that, that
 15 should come out right at that moment.

16 **Q I don't know why you are telling me that.**
 17 **Are you -- do you believe that Doctor Carter made**
 18 **some comment that he wasn't qualified to take care**
 19 **of the patient?**

20 A I don't recall reading anything like that.

21 **Q Are you critical of Doctor Carter's**
 22 **education, training, or experience as a family**
 23 **practice doctor?**

24 A I am not critical of Doctor Carter's
 25 education.

Page 85

1 **Q Okay. Well -- and you wouldn't even be in**
 2 **a position to really evaluate that, would you, since**
 3 **you are not a family practice physician?**

4 A I am not a family practice physician, but
 5 I feel there is a standard of care for treating
 6 Salicylate-poisoned patients that physicians need to
 7 stay with.

8 **Q What was the mechanism of his death?**

9 A So, he died from Salicylate poisoning.
 10 Mr. Simmons died from Salicylate poisoning. The
 11 mechanism of dying of Salicylate poisoning is that
 12 Salicylate is a metabolic poison. So, it is
 13 poisoning your mitochondria, poisoning your ability
 14 to use energy, you are not able to get ATP out of
 15 your energy, out of metabolisms. It is like having
 16 your foot on the gas pedal while the car is in
 17 neutral. You just end up generating a lot of heat
 18 without generating a lot of energy. In neurons, so,
 19 nerve cells, they become poisoned, and neurons and
 20 nerve cells are unable to do what they are supposed
 21 to do. So, patients become delirious, and agitated,
 22 and confused, patients get progressively worsening
 23 acidosis, as the Ph drops, cells are unable to carry
 24 on normal functions, and metabolism is further
 25 compromised.

<p style="text-align: right;">Page 86</p> <p>1 And, in his case, at a certain point, 2 he is unable to maintain compensation by breathing 3 fast enough. He tires out. The Salicylate more and 4 more converts to a form that's taken up by cells, 5 and nerve cells, and you have more cells dying, and 6 being poisoned, and more metabolic derangement. 7 Finally, he is unable to -- the cells don't have 8 enough energy, and you see him with -- 9 unfortunately, he has cardiovascular collapse and 10 death.</p> <p>11 Q Did I hear you testify earlier that you 12 felt that his life was not salvageable by 6:00, 13 7:00, 8:00, or 9:00 o'clock in the morning?</p> <p>14 A I said that, yes.</p> <p>15 Q And that's the best you can do?</p> <p>16 A Unfortunately, I can't be -- there is no 17 precise point where I can look and say, this is the 18 point where he would have lived or died. Sometimes 19 patients surprise you, and they look really sick, 20 and they do better than you expect. Sometimes 21 patients that you think are going to do fine fall 22 apart, but from my experience in treating a lot of 23 Salicylate-poisoned patients, you know, when I take 24 the presentation from when they first come in, 25 everybody I have seen like that lives. And when I</p>	<p style="text-align: right;">Page 88</p> <p>1 Q Do you intend -- again, if allowed -- and 2 I will preface all of these questions about your 3 opinions about a different standard of care than 4 your own with this statement that, if allowed by the 5 court to do so -- but do you intend to offer an 6 opinion that Doctor Carter should have come into the 7 hospital when he was first called?</p> <p>8 A It's my opinion that if he had had -- if 9 the conversation between Doctors Holdman and Carter 10 had been -- had conveyed all of the necessary 11 information, then, Doctor Carter probably -- I would 12 expect him to be more involved.</p> <p>13 Q Let me go back to my question. I will try 14 to ask a better question of you, more precise. 15 Based upon the knowledge that you have as you sit 16 here today, do you have an opinion as to whether or 17 not Doctor Carter should have come in when first 18 called?</p> <p>19 A It's my opinion that from when he assumed 20 care of the patient, he should have been more 21 involved in the care, and I don't necessarily think 22 that he, from the first moment needed to be done, he 23 needed to come in necessarily, but if he is going to 24 assume care of the patient, he should know the, you 25 know, relevant details, and he should be abreast of</p>
<p style="text-align: right;">Page 87</p> <p>1 look at the presentation of when he -- right about 2 the time that he was intubated, everyone I see like 3 that dies. I think there was one patient that 4 experienced a brain death, but had a horrible 5 outcome. So -- but I don't know exactly where that 6 point passes where he would have lived. I don't 7 think it is knowable.</p> <p>8 Q You agree that the nurses throughout the 9 night never once called Doctor Carter with any 10 report or any lab results on the patient, correct?</p> <p>11 A I don't remember reading any of that.</p> <p>12 Q You haven't seen any evidence that they 13 ever called him?</p> <p>14 A I don't see evidence of that.</p> <p>15 Q Yet, you have said that there were 16 concerning lab values during that time period. You 17 testified to that earlier?</p> <p>18 A Yes.</p> <p>19 Q Yet, you refused to be critical of the 20 nurses because you are not a nurse, correct? Isn't 21 that what you said?</p> <p>22 A I refuse -- I don't like to use the term, 23 "refuse." I don't want to critique everything they 24 do about whether or not they met the nursing 25 standard of care.</p>	<p style="text-align: right;">Page 89</p> <p>1 these lab values, and I would, to the extent that 2 that communication doesn't occur, I blame the two 3 doctors that are supposed to be having that 4 conversation.</p> <p>5 Q Well, I mean, I was trying to you give you 6 lots of chance here, but I was trying to give you a 7 chance with that question to tell me what you knew 8 and didn't know, but let me try again. Are you 9 telling me that you have an opinion as to whether or 10 not Doctor Carter should have come in when you don't 11 have any idea what the discussion was between Doctor 12 Holdman and Doctor Carter?</p> <p>13 MR. GRAVES: Object to the form.</p> <p>14 A It's my opinion that if their discussion 15 had had the relevant details about the patient, 16 about Mr. Simmons, that their discussion had had 17 those relevant details, that Doctor Carter would 18 have been more involved. That involvement could 19 have been from calling in more frequently to find 20 out about the labs, it could have been him coming in 21 to see the patient.</p> <p>22 Q So, just to be clear, you don't know what 23 occurred in that discussion, correct?</p> <p>24 A I don't know exactly what was said during 25 that discussion.</p>

Page 90

1 Q Do you know anything that was discussed in
 2 that discussion?
 3 A I know that they talked about Mr. Simmons,
 4 and they talked about admitting him for Salicylate
 5 poisoning, but I don't know the details.
 6 Q And you wouldn't tell this jury that a
 7 physician has to come in in the middle of the night
 8 for every single person that takes too many aspirin,
 9 would you?
 10 A I wouldn't tell the jury that a physician
 11 has to come in in the middle of the night for every
 12 single patient, but I would say before a jury that
 13 if a patient is assuming care of a sick patient, he
 14 needs to be involved in the care.
 15 Q Okay. Well, assuming care of a sick
 16 patient. Let's go back to my question. Do you
 17 remember what that was?
 18 A Can you ask it again, please?
 19 Q My question was: Are you telling the
 20 ladies and gentlemen of the jury that a physician
 21 has to come in in the middle of the night for every
 22 patient that ingests too much aspirin?
 23 A If a physician has a sick patient, for
 24 whatever the reason, and he is assuming care, he is
 25 the doctor of record, his name is on the chart, he

Page 91

1 or she should be involved in the care. Now, if that
 2 patient is sick from taking too much aspirin, then,
 3 he should be involved in the care. There are times
 4 when he can do that from home.
 5 Q Do you -- are you involved in a lot of
 6 care from home as an emergency physician?
 7 A As a toxicologist, yes, but not as an
 8 emergency physician, no.
 9 Q Do you have -- do you see patients in the
 10 office?
 11 A I don't have an office practice, no.
 12 Q If allowed to do so, any other criticisms
 13 that you have of my family practice client, Doctor
 14 Carter?
 15 A Just from what I remember, just what I
 16 have talked about.
 17 Q Any other specialties of physicians
 18 besides ER doctors, and, now, family practice
 19 doctors that you have criticized their standard of
 20 care under oath before today?
 21 A No. I feel qualified to give an opinion
 22 for emergency medicine and for standard of care for
 23 doctors taking care of poisoned patients.
 24 Q Back to my question: You have offered
 25 standard-of-care opinions about emergency room

Page 92

1 physicians before, correct?
 2 A Yes.
 3 Q And you have done that today, correct,
 4 with Doctor Holdman?
 5 A Yes.
 6 Q Right. You have also today offered
 7 standard-of-care criticisms regarding a family
 8 practice doctor, correct?
 9 A Regarding the care of a family practice
 10 doctor for a Salicylate-poisoned patient, yes.
 11 Q Which is, in fact, the care of a family
 12 practice doctor, correct?
 13 A Yes.
 14 Q All right. Other than those two
 15 specialties, what other specialties of physician
 16 have you made standard-of-care criticisms of under
 17 oath before today?
 18 A Sure. I have given opinions under oath
 19 about emergency physicians, and I gave an opinion
 20 under oath about a prescription from a primary-care
 21 physician that one side believed that the
 22 prescription poisoned him, and I disagreed the
 23 prescription poisoned him. So, again, it was an
 24 opinion on a toxicology case.
 25 Q Right. That's a causation opinion on

Page 93

1 toxicology, correct?
 2 MR. GRAVES: Object to form.
 3 A It's sound like a legal term, but, yeah,
 4 they were asking me whether I thought that this drug
 5 had caused the patient's death. It was well within
 6 my expertise as a toxicologist to give an opinion.
 7 Q Were you asked in that case and did you
 8 offer an opinion whether that primary-care physician
 9 departed from the standard of care in prescribing
 10 that drug?
 11 A No.
 12 Q Okay. Would you have offered that opinion
 13 if asked?
 14 A In that case, I wouldn't have offered an
 15 opinion on whether he was within -- whether he
 16 should have prescribed the drug.
 17 Q Do you not see the distinction that I am
 18 making here between offering standard-of-care
 19 opinions regarding emergency room physicians,
 20 offering causation opinions about the toxicological
 21 effects of anything that's within your expertise,
 22 and, then, taking the next step of offering
 23 standard-of-care opinions about a specialty other
 24 than your own? You don't see that at all?
 25 A In this case, I feel very qualified to

Page 94

1 offer a standard-of-care opinion because, as a
 2 toxicologist, I take call at the Poison Center, and
 3 I will get calls from family doctors, and internal
 4 medicine doctors, and ICU doctors taking care of
 5 poisoned patients, and, so, I know what they are
 6 supposed to do. I know what the standard of care
 7 is, how they are supposed to take care of these
 8 patients, and I know you what they are supposed to
 9 know about poisoning, and I know the things I am
 10 supposed to be able to help.

11 **Q Okay. And, so, you learned that from**
 12 **taking Poison Control calls?**

13 A Yeah. It is part of my toxicology
 14 training, we take call for the Poison Center, and we
 15 would do bedside consults. So, a doctor would ask
 16 us to come to the bedside, and help them, and we
 17 would do that. I currently take call from the
 18 Maryland Poison Center and I also informally do
 19 consults at Hopkins.

20 **Q Okay. So, I just want to get a listing of**
 21 **the reasons why you would tell this judge that you**
 22 **are an expert in the standard of care of a family**
 23 **practice doctor in this case and one is, you take**
 24 **Poison Control Center calls and have in the past,**
 25 **right?**

Page 95

1 A Yes.

2 **Q No. 2 would be what?**

3 A So, the reasons why I am an expert to talk
 4 about the standard of care for, in this case, a
 5 family medicine doctor taking care of an aspirin or
 6 a Salicylate-poisoned patient are because I take
 7 call for the Poison Center, and we are routinely
 8 giving advice to family doctors, and other doctors
 9 about how to care for a poisoned patient.

10 **Q So, you learn about their knowledge, and**
 11 **their practice, and their standard of care by giving**
 12 **them advice, right? You talk to them?**

13 A I listen to them, I talk to them, and I
 14 know what they are supposed to be doing, and I try
 15 to help them do their job.

16 **Q What is No. 2 on the list?**

17 A So, I have experience doing bedside
 18 consults, as well, in toxicology.

19 **Q What does that mean?**

20 A It means when you go see a patient at the
 21 bedside, a poisoned patient, a toxicology patient.

22 **Q Okay. But you, as a toxicologist -- are**
 23 **you a toxicologist?**

24 A Yes.

25 **Q Okay. You, as a toxicologist going to the**

Page 96

1 **bedside to see a patient for a toxicology consult,**
 2 **how does that better educate you on what the**
 3 **standard of care is for a family practice doctor?**

4 A Because I know how they should be managing
 5 this case of Salicylate poisoning.

6 **Q Okay. Is there a No. 3 as far as your**
 7 **reasons why you would tell the judge in this case**
 8 **that he or she should allow you to offer**
 9 **standard-of-care opinions regarding a family**
 10 **practice doctor?**

11 MR. GRAVES: I am going to object to the
 12 form. Jim, at this point, we are really --

13 MR. CONNOR: Just object to the form,
 14 Dan.

15 MR. GRAVES: It is argumentative. It
 16 goes on and on.

17 MR. CONNOR: Well, it's --

18 MR. GRAVES: The case law that you are --
 19 you cited a law, Jim.

20 MR. CONNOR: Object -- Dan, Dan, Dan, Dan.

21 MR. GRAVES: It doesn't have to be the
 22 same discipline. You know the law. You are just
 23 arguing with the guy.

24 MR. CONNOR: Well, I am irritated. Okay?

25 MR. GRAVES: Well, good. Me, too. I am.

Page 97

1 sitting here listening to it. I am irritated.

2 MR. CONNOR: It's irritating and I didn't
 3 expect it from this doctor, but it is what it is.
 4 Go ahead. I don't mean to cut you off, because you
 5 know I respect you, but --

6 MR. GRAVES: I respect you, too. You go
 7 right ahead.

8 MR. CONNOR: Object to form and we will
 9 move on.

10 MR. GRAVES: All right.

11 **Q Is there a No. 3 on the list of reasons**
 12 **you would tell our judge as to why you should be**
 13 **allowed to offer standard-of-care criticisms of my**
 14 **family practice doctor in this case?**

15 MR. GRAVES: Object to the form.

16 A I feel that there is a standard of care
 17 for taking care of aspirin-poisoned patients and
 18 that --

19 **Q I am sorry.**

20 A -- that doctor needs to meet that standard
 21 of care.

22 **Q Okay. Maybe I wasn't clear on the**
 23 **question and the list that we were making. You have**
 24 **told me that several times about why you feel that**
 25 **you can. My question is: What specific education,**

Page 98

1 training, or experience have you had that makes you
 2 familiar enough with the standard of care for a
 3 family practice doctor to offer standard-of-care
 4 criticisms? No. 1 on that, you said taking Poison
 5 Center calls and talking to primary-care physicians
 6 as a part of that. No. 2, you said bedside consults
 7 on toxicology issues with patients. Is there a No.
 8 3 as far as your education, training, or experience
 9 that gives you expertise in the family practice
 10 standard of care?
 11 MR. GRAVES: Object to form. Asked and
 12 answered.
 13 A You know, my education and training in
 14 toxicology has given me the experience to be
 15 familiar with taking care of poisoned patients and
 16 knowing what the standard of care is for taking care
 17 of poisoned patients.
 18 Q Is Doctor Carter a toxicologist?
 19 A No.
 20 Q Is he an emergency room doctor?
 21 A No.
 22 Q And just to close this down, is there a
 23 No. 3 or have you told me all of the foundation for
 24 your expertise in family practice that you would
 25 intend to tell me?

Page 99

1 MR. GRAVES: Object to the form.
 2 A I have given you -- to use a legal term --
 3 my foundation for expertise on poisoned patients.
 4 Q You have told me all of the opinions, if
 5 allowed to do so, you would anticipate offering
 6 regarding Doctor Carter?
 7 A Yes.
 8 Q I would like you to list out for me -- and
 9 feel free to combine them if it is easier or
 10 individualize them if you want -- I would like you
 11 to list out for me the good care provided by Doctor
 12 Holdman and/or Doctor Carter in this case?
 13 MR. GRAVES: Object to the form.
 14 A That's challenging for me because there
 15 is -- as I mentioned before, there are a lot of
 16 deficiencies in their care where I feel like they
 17 didn't meet the standard of care, but, eventually,
 18 bicarbonate was started. I thought it was too late.
 19 I thought it was bad it was started too late, but
 20 starting it was better than nothing. Charcoal was
 21 given. Eventually, plans were made to transfer the
 22 patient to dialysis. I think it was done way too
 23 late. I think that was, at least, a sign that they
 24 had recognized, at some point, that it was a really
 25 sick patient. I recognized that especially Doctor

Page 100

1 Holdman seemed to be very much involved in the care.
 2 He went to the room on a number of occasions. It
 3 seemed to be when nurses were asking for help, he
 4 would try to give help, even though his energies
 5 could have been directed to doing other things for
 6 the patient. It was a positive thing that he
 7 remained involved in the case.
 8 Q Anything else?
 9 A That's all that comes me right now.
 10 Q When you testified earlier when Mr. Hurt
 11 was asking questions, I think when you review the
 12 transcript, you will find this to be an exact quote.
 13 When you said that you wanted to stay with the
 14 emergency medicine standard of care, what did you
 15 mean by that?
 16 A I would have to look at the specific
 17 context of when I said that. Maybe we were just
 18 talking about -- I don't know. I would have to look
 19 again at what the context was and how the question
 20 was asked.
 21 Q Would the emergency medicine standard of
 22 care be applicable to Doctor Carter, in your
 23 opinion?
 24 A No.
 25 Q Would the tox -- would the standard of

Page 101

1 care of a toxicologist, like yourself, be applicable
 2 to Doctor Carter?
 3 A I don't expect him to know as much as a
 4 toxicologist would know. He should meet the
 5 standard of care for treating a poisoned patient.
 6 Q Would you be held to a higher standard
 7 than Doctor Carter in treating a poisoned patient?
 8 Should you be?
 9 A I should be held to the standard of a
 10 toxicologist when treating a patient.
 11 Q Which is a higher standard of care than
 12 that of a family practice doctor in this context?
 13 MR. GRAVES: Object to form.
 14 A I should be expected to know more things,
 15 be able to do more without asking for help for a
 16 poisoned patient.
 17 MR. CONNOR: I have no further questions.
 18 MR. HURT: I have a few follow-up.
 19 EXAMINATION BY MR. HURT:
 20 Q What kind of doctor is Doctor Holdman?
 21 A He works in an emergency department. I
 22 don't remember his specific residency and board
 23 certification in his deposition.
 24 Q He is not residency trained in emergency
 25 medicine, is he?

Page 102

1 A I don't remember from his deposition what
 2 his training is, but if you are telling me that, I
 3 agree with you.
 4 Q So, all of the questions about -- let's
 5 assume he is a family practitioner, like Doctor
 6 Carter is, if I ask you all of the questions about
 7 Doctor Holdman, your answers would be the same about
 8 Doctor Carter, wouldn't you?
 9 A If you are working in an emergency
 10 department, you should be able to meet the standard
 11 of care for an emergency physician.
 12 Q Even if you are not residency trained, in
 13 your view?
 14 A You should -- you shouldn't accept the
 15 position if you feel like you are going to be
 16 performing duties that are outside of your training.
 17 Q Do you know how many small rural hospitals
 18 in Oklahoma that do not have or cannot get a
 19 physician to work in their emergency department?
 20 A No.
 21 Q If I were to tell you it's prevalent in
 22 smaller counties with tort claims hospitals, public
 23 trust hospitals, would you have any reason to
 24 disagree with me?
 25 MR. GRAVES: Object to form.

Page 103

1 A I would believe you if you told me.
 2 Q Okay. And, at least in terms of Doctor
 3 Holdman's training as a family practice physician,
 4 you would agree with me he did the best he could do
 5 with his training, correct?
 6 MR. GRAVES: Object to form.
 7 A I don't know -- I can't comment whether he
 8 did his best. He seemed to be very involved in the
 9 care.
 10 Q And he certainly did what he thought was
 11 best, we can agree with that, can we not?
 12 MR. GRAVES: Object to the form.
 13 A I don't have any reason to think that he
 14 didn't do what he thought was best.
 15 Q Okay. Now, we know that Doctor Holdman
 16 tried to get Doctor Schumpert to come in and Doctor
 17 Schumpert wouldn't come in for whatever reason. I
 18 asked you earlier about criticisms of Doctor Holdman
 19 and you did not mention anything about the
 20 conversation with Doctor Carter, correct, when I
 21 asked you about the criticisms?
 22 A I believe you are right. I believe I
 23 didn't mention that criticism.
 24 Q Okay. Having had difficulty to find a
 25 doctor, having found a doctor who was willing to

Page 104

1 come in, like, Doctor Carter was willing to assume
 2 care, I should say, do you have any reason Doctor
 3 Holdman wouldn't tell him about the vitals, and lab
 4 tests, and things like that?
 5 A I don't know what the contents of that
 6 conversation were. I always tell my residents, you
 7 know, communication, it's a two-way street. Both of
 8 them are responsible for getting that key
 9 information across. So, I don't know what the
 10 information -- I don't know what information was
 11 conveyed in that conversation, but if all of the
 12 information that was important didn't go from Doctor
 13 Simmons to -- not Doctor Simmons. I am sorry -- it
 14 didn't go to Doctor Carter, then, about Mr. Simmons,
 15 from Doctor Holdman, then, it's on both of them.
 16 Q Okay. So, basically, from 1:00 o'clock
 17 on, you put everything that happens downstream on
 18 both of these doc's?
 19 A I feel that from the moment that Doctor
 20 Carter assumes care of the patient, he has some
 21 responsibility. Because Doctor Holdman is the
 22 doctor that's there, he is the boots on the ground,
 23 he is also responsible for the patient, as well.
 24 Q How high do you think the Salicylate level
 25 went in Mr. Simmons?

Page 105

1 MR. GRAVES: Object to form.
 2 A I don't know. There was a level in the
 3 low 70's, 75. So, mid 70's.
 4 Q Okay. Then, there is a level of 46 a few
 5 hours later, in Mercy. Do you have an opinion how
 6 high it went?
 7 A I don't have an opinion about how high.
 8 Q Okay. Have I heard every criticism you of
 9 Doctor Holdman, and, I guess, Doctor Carter, too,
 10 have we reached that point, so that the attorneys
 11 for Doctor Holdman and Doctor Carter are not
 12 surprised at anything you say at trial?
 13 A Everything I can think of right now.
 14 MR. HURT: Okay.
 15 MR. GRAVES: I just have a couple of
 16 questions.
 17 EXAMINATION BY MR. GRAVES:
 18 Q Doctor, would you expect a Doctor
 19 transferring a patient with Salicylate poisoning
 20 to -- if there is going to be any chance of
 21 mechanical ventilation, to convey the proper
 22 settings for the transport ventilator to the EMT's?
 23 MR. CONNOR: Object to the form.
 24 A I would hope so. Yeah, that would be an
 25 expectation.

Page 106

1 **Q It would be below the standard of care if**
 2 **there needed to be a special ventilator setting to**
 3 **not convey that to the EMT's before they transport**
 4 **after you have ordered intubation?**
 5 MR. CONNOR: Object to form.
 6 A There is -- if it is important to
 7 ventilate the patient at a certain rate, then, you
 8 should order that.
 9 **Q To a reasonable degree of medical**
 10 **certainty, would Stephen Simmons have survived if**
 11 **his care had been managed properly, as you have**
 12 **testified today?**
 13 MR. CONNOR: Object to the form.
 14 MR. HURT: Object to the form.
 15 A Yes.
 16 EXAMINATION BY MR. CONNOR:
 17 **Q Doctor, any reason to think that Doctor**
 18 **Carter was not trying to do his best to help this**
 19 **patient?**
 20 A I don't have any reason to believe that.
 21 MR. CONNOR: I have nothing further.
 22 MR. HURT: What I would like to do, Dan,
 23 is I would like to mark this, here, and his
 24 chronology, and get it in color, because he has yellow
 25 highlighting, and I would also like to mark as

Page 107

1 --why don't I just start marking.
 2 (Stolbach Exhibits 1 through 3 marked
 3 for purposes of identification.)
 4 EXAMINATION BY MR. HURT:
 5 **Q Doctor Stolbach, what is marked as two**
 6 **pages for Exhibit 1, can you tell us what that is?**
 7 A Yes, that's a deposition outline. It's
 8 titled, "Deposition Outline Carter, M.D." and it's a
 9 Summary of Lab Values for Mr. Simmons.
 10 **Q And, Exhibit 2, what is that?**
 11 A Exhibit 2 --
 12 **Q It is a multi-page document.**
 13 A Exhibit 2 is a multi-paged document that
 14 summarizes the medical care that Mr. Simmons
 15 received at Eastern Oklahoma Medical Center and at
 16 Mercy Hospital.
 17 **Q And that was prepared by Mr. Simmons'**
 18 **attorneys, who sent it to you?**
 19 A Yes, and I also read the record, and I
 20 didn't see any discrepancies.
 21 **Q Thank you. And, Exhibit 3 is the Audio**
 22 **Transcription of the Poison Control Center call**
 23 **speaking with Doctor Holdman, again, arranged to be**
 24 **transcribed, and sent to you by Miss Simmons --**
 25 **Mr. Simmons' attorneys, correct?**

Page 108

1 A Yeah. I believe I saw the transcript
 2 already.
 3 MR. HURT: Okay. That's all I have.
 4 MR. GRAVES: Are you going to get those
 5 copied?
 6 MR. HURT: He can get these back, but I
 7 would like to mark these so they are attached to the
 8 deposition.
 9 MR. GRAVES: Sure. He will read and sign.
 10 (Deposition concluded at 12:02 p.m.)
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

Page 109

1 CERTIFICATE OF DEPONENT
 2
 3
 4 I hereby certify that I have read and
 5 examined the foregoing transcript, and the same is a
 6 true and accurate record of the testimony given by me.
 7
 8 Any additions or corrections that I feel
 9 are necessary will be made on the Errata Sheet.
 10
 11
 12
 13
 14
 15
 16 Andrew Stolbach, M.D.
 17
 18
 19
 20
 21
 22
 23
 24
 25

1 State of Maryland:
 2 County of Baltimore, to wit:
 3 I, Susan Kambouris, a Notary Public
 4 of the State of Maryland, County of Baltimore, do
 5 hereby certify that the within-named witness
 6 personally appeared before me at the time and place
 7 herein set out, and was examined by counsel.
 8 I further certify that the examination
 9 was recorded stenographically by me and this transcript
 10 is a true record of the proceedings.
 11 I further certify that I am not of counsel
 12 to any of the parties, nor in any way interested in the
 13 outcome of this action.
 14 As witness my hand this 24th of February,
 15 2015.
 16
 17
 18
 19
 20 SUSAN A. KAMBOURIS
 21 Notary Public
 22
 23
 24 My Commission Expires:
 25 May 17, 2017

1 ERRATA SHEET
 2 JOB FILE NO. 98716
 3 PAGE LINE IS SHOULD BE
 4 _____
 5 _____
 6 _____
 7 _____
 8 _____
 9 _____
 10 _____
 11 _____
 12 _____
 13 _____
 14 _____
 15 _____
 16 _____
 17 _____
 18 _____
 19 _____
 20 _____
 21 _____
 22 _____
 23 _____
 24 _____
 25 _____