

1 STATE OF ILLINOIS)
) SS:
 2 COUNTY OF ADAMS)

3 IN THE CIRCUIT COURT FOR THE EIGHTH JUDICIAL
 CIRCUIT, ADAMS COUNTY, ILLINOIS

4
 MICHELLE LONG, Special Administrator)
 5 of the Estate of KYLEE BIVENS,)
 Deceased,)
 6)
 Plaintiff,)
 7)
 vs.) No. 01 L 68
 8)

DR. A.O. MATHEW, DR. MATHEW, M.D.,)
 9 P.C., BLESSING HOSPITAL, DR. RICHARD)
 SAALBORN, DR. GREGORY A. FRANCKEN,)
 10)
 Defendants.)

11

12 The discovery deposition of RICHARD MICHAEL
 13 GORE, M.D., taken under oath on the 30th day of
 14 June 2005, at Evanston Hospital, 2650 Ridge Avenue,
 15 Evanston, Illinois, pursuant to the Rules of the
 16 Supreme Court of Illinois and the Code of Civil
 17 Procedure, before Jacqueline Pietrzyk, a notary
 18 public in and for the County of Will and State of
 19 Illinois, pursuant to notice.

20 APPEARANCES:

21 COSTELLO, McMAHON & BURKE, LTD., by
 MR. WILLIAM J. BURKE
 22 (150 North Wacker Drive, Suite 3050
 Chicago, Illinois 60606-4202)
 23 appeared on behalf of the plaintiff;

24

URLAUB, BOWEN & ASSOCIATES, INC.
 (312) 781-9586

1 APPEARANCES: (Cont'd)

2 DRAKE, NARUP & MEAD, P.C., by
3 MR. RICHARD H. NARUP
4 (107 East Allen Street
5 Springfield, Illinois 62704)
6 appeared on behalf of the defendants
7 Dr. A.O. Mathew and Dr. Mathew, M.D.,
8 P.C.;

9 HINSHAW & CULBERTSON, LLP, by
10 MR. PAUL C. ESTES
11 (456 Fulton Street, Suite 298
12 Peoria, Illinois 61602)
13 appeared on behalf of the defendant
14 Dr. Gregory A. Francken;

15 APPEARED TELEPHONICALLY:

16 SCHMIEDESKAMP, ROBERTSON, NEU &
17 MITCHELL, by
18 MR. JAMES A. HANSEN
19 (525 Jersey Street
20 P.O. Box 1069
21 Quincy, Illinois 62306)
22 appeared on behalf of the defendants
23 Blessing Hospital and Dr. Richard
24 Saalborn.

* * * * *

1 INDEX

2 WITNESS: PAGE

3 RICHARD MICHAEL GORE, M.D.

4 EXAMINATION BY:

5 MR. BURKE 4

6

7

8

9

10 EXHIBITS

11 NUMBER FIRST REFERRED TO ON PAGE

12 1 5

13 2 7

14 3 9

15 4, 5, 6 30

16 (X-ray Exhibits 4, 5, and 6 retained by counsel.)

17

18

19

20

21

22

23

24

1 (Deposition Exhibits Nos. 1 - 3,
2 Witness Gore, were marked
3 for identification 6/30/05.)

(Witness sworn.)

5 RICHARD MICHAEL GORE, M.D.
6 called as a witness herein, having been first duly
7 sworn, was examined and testified as follows:

8 EXAMINATION

9 BY MR. BURKE:

10 Q. Doctor, my name is Bill Burke, and I
11 represent the plaintiff in this case.

12 Can you please state your full name
13 and spell it for us.

14 A. Okay. My name is Richard Michael Gore,
15 G-o-r-e.

16 MR. BURKE: Let the record show this is the
17 discovery deposition of Dr. Gore.

18 BY MR. BURKE:

19 Q. Sir, I'm assuming that you have given a
20 deposition before.

21 A. Yes, sir.

22 Q. My only concern is that you understand
23 my question before you give us an answer that the
24 court reporter will take down and later transcribe.

URLAUB, BOWEN & ASSOCIATES, INC.
(312) 781-9586

1 Okay?

2 A. I understand.

3 Q. What is your present occupation?

4 A. I'm currently a radiologist at Evanston

5 Northwestern Healthcare.

6 Q. Let me show you what has been marked as

7 Exhibit No. 1. And is that a copy of your curriculum

8 vitae?

9 A. Yes, it is, but it's about a year and a

10 half out of date.

11 Q. Okay. And in that year and a half, how

12 much additional material would have to be added?

13 A. Well, in terms of presentations, probably

14 quite a few. I also won the Teacher of the Year

15 Award for a residency in 2004, and I've had some

16 more publications.

17 Q. Anything in particular dealing with the

18 issues in this case?

19 A. Well, a lot of my discussions and my

20 lectures are about bowel obstruction, but not that

21 specifically pertained to this case.

22 Q. And how about the publications themselves

23 as opposed to presentations, any of the new ones

24 particularly dealing with --

1 A. No, sir.

2 Q. The publications, I believe, that are
3 listed in your c.v. now, do any of those deal in
4 particular with the issues that you feel need to be
5 addressed in this case?

6 A. Well, there are many that address bowel
7 obstruction. About communication?

8 Q. Yes, sir.

9 A. I don't believe so, no.

10 Q. With regard to the issue of bowel
11 obstruction, do any of your publications deal with
12 the issue of bowel obstruction in terms of its
13 urgency or significance in terms of radiological
14 findings?

15 A. Let's see. In terms of urgency, I'm
16 not sure, but in terms of the radiological findings,
17 yes, it addresses those.

18 And I've edited a textbook called
19 Textbook of Gastrointestinal Radiology, and the
20 first edition was published in 1994, the second
21 edition was in 2000, and I'm working feverishly on
22 the third edition now, and we certainly do discuss
23 bowel obstruction in that.

24 Q. And in dealing with bowel obstruction

1 there, do you know if you cover any issues of
2 communication with bowel obstruction?

3 A. In terms of communicating to the
4 managing physicians?

5 Q. Yes.

6 A. No, I don't believe so.

7 Q. What about the emergent or urgent nature
8 of such findings?

9 A. Yes, I may have, yes.

10 Q. You're going to have to lower the price
11 of that book because both editions were ripped off
12 at the UIC library.

13 Okay. Exhibit No. 2 is your
14 disclosure in this case; is that --

15 MR. ESTES: It's my disclosure for him.

16 BY MR. BURKE:

17 Q. Okay.

18 A. Yes, sir.

19 Q. Have you seen this document before?

20 A. Yes, I have.

21 Q. Did you draft the language in it, help
22 edit it? How did you --

23 A. I believe Mr. Estes drafted it, and I
24 edited it.

1 Q. And do you have any of the prior
2 versions of the 213 disclosure?

3 A. I don't know if there were any prior
4 versions, but no, I don't.

5 Q. Okay. So your communication with
6 Mr. Estes in regard to this 213 disclosure, was it
7 by mail, e-mail?

8 A. It was by telephone.

9 Q. Did you receive a copy of a proposed
10 disclosure, which you then discussed with him?

11 A. I'm not entirely sure, but I probably
12 did.

13 Q. Do you have that proposed disclosure
14 with you as opposed to the final version?

15 A. No, I don't.

16 Q. Do you know if you made changes or
17 suggestions with regard to the proposed disclosure?

18 A. I don't believe I did.

19 Q. So as far as you're aware, what we have
20 here, which are nine opinions, that would have been
21 the nine opinions that were initially submitted to
22 you by Mr. Estes and you approved those?

23 A. That is correct.

24 Q. When is the first time that you were

1 contacted in this case?

2 A. I believe it was in spring of last
3 year, 2004.

4 Q. I've got here marked as Exhibit 3 a
5 letter from -- well, actually this is from you to
6 Mr. Estes, outlining 17 categories of items that
7 you have evaluated, received and evaluated in this
8 case. (Tendering document.)

9 A. Yes, I see the letter, and it's dated
10 May 27th, 2004.

11 Q. So I take it you were contacted sometime
12 prior to that.

13 A. Yes, sir.

14 Q. And since that time, attached to
15 Exhibit 2, the disclosures there, is a summary, or
16 an outline, I guess, more correctly, of the items
17 that you have reviewed, and is that inclusive?

18 A. To the best of my recollection, yes.

19 Q. There's nothing else that you can
20 recall or think of at this time that you reviewed
21 in addition to what's listed here; is that correct?

22 A. That's correct.

23 Q. By the way, do you know Kate Feinstein?

24 A. Oh, yes. She's a very dear personal

1 friend.

2 Q. Have you ever spoken to her about this
3 case?

4 A. No, I have not.

5 Q. And your friendship, does it precede
6 medical school, or is it brought about as a result
7 of your medical employment?

8 A. Via medical employment, and my wife and
9 Dr. Feinstein are very close friends as well.

10 I've known Kate probably 15 years.

11 Q. Is your wife a physician?

12 A. Yes, she is.

13 Q. What type of physician?

14 A. A pediatric radiologist.

15 Q. Where at?

16 A. Evanston Northwestern Healthcare.

17 Q. By the way, what name does she go by?

18 A. Margaret Dembo Gore.

19 Q. Have you ever discussed this case with
20 your wife?

21 A. Yes, I have.

22 Q. And has your wife rendered any opinions
23 in this case?

24 A. Yes, she has.

1 Q. And are you relying at all upon the
2 opinions rendered by your wife?

3 A. No, I'm not.

4 Q. Have you discussed the case with any
5 other physicians?

6 A. Yes, I have.

7 Q. And who?

8 A. Probably about six or seven physicians
9 in our department, general radiologists, and also
10 I showed it to another one of our pediatric
11 radiologists.

12 Q. And are you relying upon the opinions
13 of any of those other physicians and radiologists?

14 A. No.

15 Q. Have you ever prepared a written report?

16 A. No.

17 Q. Have you ever reduced your opinions to
18 writing in any form?

19 A. No.

20 Q. Have you made any notes?

21 A. No.

22 Q. I see on the materials that you have
23 received there are medical records from OSF
24 Saint Francis Medical Center. Do you have those

1 here?

2 A. Yes, I do.

3 Q. Are you relying on those in any way as
4 a basis for your opinions?

5 A. Well, in the medical records, we do see
6 doctor -- the various doctors' report and also
7 Dr. Francken's report, so I am depending upon that,
8 yes.

9 MR. ESTES: He's talking about Saint Francis,
10 not Blessing.

11 THE WITNESS: Oh.

12 MR. ESTES: Do you have Saint Francis here?

13 THE WITNESS: I have OSF Saint Francis
14 Medical Center, and this is Kylee's medical history
15 from '92 to '93.

16 And then I have another group of
17 records from OSF Saint Francis Medical Center
18 that's from '92 to '94. I don't recall these
19 influencing my opinion.

20 BY MR. BURKE:

21 Q. Okay. So there's nothing in there that
22 you can point to on which you are relying as a
23 basis for your opinion.

24 A. That's correct.

1 Q. Now, also noted here is a Blessing
2 Hospital Emergency Department Patient Care Policy
3 for Follow-Up of X-rays and Laboratory Tests. Do
4 you have that here with you?

5 A. Let's see.

6 (Reviewing documents.) Oh, here's
7 another letter from Mr. Estes. Here's another
8 letter, and here's another letter.

9 MR. ESTES: Do you have that, Doctor?

10 THE WITNESS: Oh, yes, I do. Blessing
11 Hospital Quincy, Patient Care Policies Emergency
12 Center?

13 BY MR. BURKE:

14 Q. Yes, sir.

15 A. Yeah, I have it.

16 Q. Anything in that policy in particular
17 that you are relying on to support your opinions in
18 this case?

19 A. Not that occur to me now, no.

20 Q. All right. And other correspondence,
21 April 13th, 2005, sending deposition transcripts,
22 all of which are included in the disclosure form;
23 May 5th correspondence trying to contact you; and a
24 June 7th, 2005, correspondence scheduling a pre-

1 deposition meeting for June 9th and then the
2 deposition today.

3 (Tendering documents.) Thanks.

4 Did you meet with Mr. Estes on
5 June 9th?

6 A. Yes, I did.

7 Q. Here at the hospital?

8 A. Yes, I did.

9 Q. For approximately how long?

10 A. About an hour.

11 Q. Had you ever met with him prior to
12 June 9th?

13 A. Yes, one time before.

14 Q. And when was that relative to the
15 review that you performed?

16 A. That was performed after my initial
17 review, and, as I recall, it was downtown in the
18 Lyric Opera Building. Is that Hinshaw --

19 MR. ESTES: No, 222 North LaSalle.

20 THE WITNESS: 222 North LaSalle, yeah. I met
21 with him down there because I was downtown that day
22 for another meeting.

23 BY MR. BURKE:

24 Q. That would have been in 2004?

1 A. That's correct.

2 Q. For another hour approximately?

3 A. Yes.

4 Q. Do you know how Mr. Estes got your name?

5 A. I believe I've reviewed cases for

6 Hinshaw & Culbertson before.

7 Q. Have you ever reviewed a case before

8 for Mr. Estes?

9 A. No, I have not.

10 Q. How about for his firm or his branch in

11 Peoria? Is it Peoria?

12 MR. ESTES: (Nodding.)

13 THE WITNESS: I don't believe so.

14 BY MR. BURKE:

15 Q. Do you have any other cases that you

16 are currently working on in which the defense firm

17 is Hinshaw & Culbertson?

18 A. Yes. This is the Chicago firm, and the

19 attorney is William Yu, Y-u. Can I give you the

20 name of the case --

21 Q. Sure.

22 A. -- or is that going to violate HIPAA?

23 Q. No, you can give me the name of the

24 case.

1 A. Okay. The name of the case is Watters,
2 W-a-t-t-e-r-s, vs. Medtronic, M-e-d-t-r-o-n-i-c.

3 Q. Is that a medical malpractice case?

4 A. A medical malpractice case and also an
5 appliance failure case.

6 Q. Have you ever given a deposition in
7 that case?

8 A. No, I have not.

9 Q. Are you currently working on any other
10 cases in which the counsel that retained you was
11 Hinshaw & Culbertson?

12 A. I recently finished the case in which
13 they were on the opposing side, and the attorney
14 for that was George Lindner, L-i-n-d-n-e-r, and
15 Terry Heady, H-e-a-d-y. And I believe they're in
16 the western suburbs.

17 Q. But you don't have any currently
18 pending in which you were retained by Hinshaw &
19 Culbertson other than this Watters case.

20 A. That's correct.

21 Q. Have you ever been sued?

22 A. Oh, yes.

23 Q. Have you ever been defended by the law
24 firm of Hinshaw & Culbertson?

1 A. No.

2 Q. Have you ever acted as an expert before
3 in a case involving issues of communication of
4 radiological results to the ordering or attending
5 physician?

6 A. Well, yes. Actually the case, the
7 Watters case, W-a-t-t-e-r-s, that's a communication
8 case.

9 Q. And without going into great detail,
10 what is the issues in that case as far as you're
11 concerned?

12 A. The issue is, again, very similar to
13 this case, was it a standard of care for the
14 radiologist to have contacted the neurosurgeon
15 about the finding that she found on the X-ray.

16 Q. And is this a postsurgical X-ray?

17 A. It is a postsurgical X-ray, right.

18 Q. And the communication suggested there
19 being direct communication, as opposed to by
20 written report?

21 A. That is correct.

22 Q. And do you know who the plaintiff's
23 attorney is in that case?

24 A. No, I haven't been deposed yet, but I

1 could find that information out for you.

2 Q. Any others in which you were involved

3 where the issue was communication of radiological

4 results?

5 A. You mean timeliness of or the type of

6 communication?

7 Q. Either one, yes.

8 A. Not that I can recall, no.

9 Q. And those were as expert witnesses.

10 Have you ever been involved as a defendant in cases

11 involving issues of communication?

12 A. Yes, I am.

13 Q. You are currently?

14 A. Currently, yes.

15 Q. And what's the name of that case?

16 A. The case is Magurshak,

17 M-a-g-u-r-s-h-a-k, vs. ENH and Northwestern

18 University. And it's the Clifford Law Firm. And

19 not to get into that much of the specifics, a chest

20 X-ray was obtained, which I read correctly and

21 promptly communicated to the physician, but I

22 received the X-ray five days after it was taken.

23 Q. And by communicating it promptly to the

24 physician, was that by direct communication?

1 A. That was actually by fax.

2 Q. To the ordering physician's office?

3 A. Correct.

4 Q. Was there any issue of receipt?

5 A. No.

6 Q. And the delay in that case in your

7 obtaining the X-ray film was due to what?

8 A. The films were not -- well, again, I

9 guess this is still in discovery. The films were

10 not brought over from Northwestern Student Health

11 to our department to read. This was over the

12 New Year weekend, I believe.

13 Q. So a student went to the Northwestern

14 Student Health Department, somehow an order for an

15 X-ray was given, and the X-ray was obtained.

16 A. Yes. And the X-ray was read by the

17 physician over there.

18 Q. And then sent -- I guess the typical

19 protocol would be once it's taken and read by the

20 physician in that whatever outpatient-type setting

21 it is, it is then sent for a formal reading to

22 Northwestern Hospital?

23 A. That's correct, but they always -- no,

24 Evanston Northwestern Healthcare. But they always

1 have the opportunity, and they frequently do, if
2 they have a question, you know, they will send a
3 special messenger over so we can look at it
4 immediately.

5 Q. And that wasn't done in this case.

6 A. That's correct.

7 Q. So somebody was relying on normal
8 channels to get the X-ray from where it was taken
9 to some formal reading center at Evanston North-
10 western Healthcare.

11 A. That's correct.

12 Q. And I take it the normal channels would
13 be less than five days.

14 A. Yes.

15 Q. So somehow it fell through the cracks
16 for a couple of days.

17 A. Sadly, yes.

18 Q. And that's known to happen. Regular
19 procedures with regard to handling of any type of
20 test in a hospital can go awry, and the report or
21 the specimen itself can be misplaced or even lost.

22 A. That's correct.

23 Q. And that's true, I take it, with
24 radiologic results, the actual reports. I take it

1 that when a radiology report is transcribed, there
2 is some type of process or procedure wherever
3 you've worked whereby it gets from the transcrip-
4 tionist's typewriter or whatever they -- computer
5 to the patient's chart.

6 A. That is correct.

7 Q. And yet I take it there have been
8 occasions where you've come to realize that that
9 never happened.

10 A. That's correct. Happily, now we have
11 electronic medical record and everything is
12 digitized, and the reports go instantly to the
13 patient's chart, to the doctor's hospital e-mail
14 address. So happily, or hopefully, this is going
15 to diminish that likelihood.

16 Q. Are they still generating paper reports?

17 A. For Northwestern Student Health, we are
18 still generating paper reports, yes.

19 Q. What about for patients admitted to
20 Evanston Northwestern Healthcare, Evanston
21 Hospital, I guess?

22 A. There may be some physicians who don't
23 have e-mail or fax who insist on a written report,
24 but none come to mind. Evanston Northwestern

1 Healthcare is fairly technologically savvy.

2 Q. Okay. Do you know, back in 1999, have
3 you been able to determine from your review of
4 materials in this case whether or not paper reports
5 were generated at Blessing Hospital and sent to the
6 patient's chart?

7 A. From my understanding from reviewing
8 the materials is that paper reports were generated
9 and then sent to each patient floor.

10 Q. And I believe that Dr. Francken
11 testified that usual practice, as far as his
12 experience was, was that the report would make it
13 to the chart within two to four hours of its being
14 transcribed, I guess.

15 A. That's what he stated was in his
16 hospital. And I'm just trying to think in 1999.
17 We would generally tube reports from the transcrip-
18 tionist to the patient floor, so two hours or less
19 seems like an appropriate time.

20 Q. Did you say the word tube, t-u-b-e?

21 A. T-u-b-e.

22 Q. I think someone testified to that the
23 other day, that there was a tube system at Blessing
24 Hospital in 1999 for sending reports to the floor.

1 A. We also had other means of bringing
2 reports. We had hospital employees, you know, go
3 by radiology and then pathology and then go up and
4 distribute the reports, but we primarily relied on
5 the tube system then, I believe.

6 Q. Was there any policy, to your knowledge,
7 in effect at Evanston Northwestern Healthcare with
8 regard to nursing responsibilities in terms of
9 notifying the attending physician of the results?

10 A. I'm not aware of any, but I'm sure
11 there were.

12 Q. And have you read Dr. Feinstein's
13 deposition?

14 A. Yes, I did.

15 Q. And I think you both agree that
16 Dr. Francken read or interpreted the abdominal
17 series appropriately.

18 A. Yes.

19 Q. By the way, you have copies of the
20 films here I saw somewhere?

21 A. Yes, I do.

22 Q. And are all the films that you have of
23 diagnostic quality?

24 A. (Reviewing films.)

1 I have what looks like a copy of a
2 copy. That is a lateral film of the abdomen dated
3 10/18/99. This copy is not of diagnostic quality.

4 Q. Is there any indication on that film of
5 the time it was taken?

6 A. Other than 10/18/99, no, sir.

7 MR. ESTES: Just for the record, he's also
8 seen copies of my films, which are over there, so
9 he's looked at those the last time we met. So if
10 you need those for any reason, feel free.

11 MR. BURKE: I want to get, you know, the best
12 available copy that he has.

13 THE WITNESS: Okay. And then I think I have
14 two copies of the same film, and the copies are too
15 dark.

16 MR. ESTES: He wants you to get out the ones
17 that are --

18 THE WITNESS: Oh, that are --

19 MR. ESTES: -- representative of what was
20 used from 10/18, I think.

21 MR. BURKE: No, what I want --

22 MR. ESTES: I'm sorry, I thought that was --

23 BY MR. BURKE:

24 Q. I want to know which films are

1 diagnostic quality that you can read and which
2 aren't.

3 A. Okay. I have films performed at -- on
4 10/18/99, and the time is 12:47 a.m., and these are
5 supine and erect films of the abdomen, and the
6 upright film also includes the chest.

7 Q. And would that be known as an acute
8 abdominal series?

9 A. Yes, sir.

10 Q. Does it consist of two films, or are
11 there more?

12 A. Well, it depends from institution to
13 institution. Some also get decubitus films; some
14 might also get a lateral film of the chest.

15 Q. But as far as you're aware, this study
16 consisted of two views.

17 A. I have a cross-table lateral radiograph
18 here that says 10/18. It might have been obtained
19 at the same time, but I'm not sure.

20 Q. And is that of diagnostic quality?

21 A. It's a very dark quality film, but it
22 corroborates what we see on the frontal films.

23 Q. From looking at the films, can you tell
24 if they were taken at about the same time or not?

1 A. Probably not, because the one done on
2 10/18/1999 has a nasogastric tube in, and the ones
3 performed at 12:47 a.m. on 10/18 do not have a
4 nasogastric tube in.

5 Q. Any others from that abdominal study?

6 A. Okay. Then in the copies I have, this
7 is 10/18/1999, and looking at -- the patient's name
8 and the date are handwritten, and this is probably,
9 I'm just speculating, performed at the same time
10 because they were stamped at the same time with the
11 same marker. So I suspect this abdominal film,
12 which looks like it's frontal, and the cross-table
13 lateral radiograph were done at the same time.
14 Unfortunately, both of these are very, very dark.

15 Q. Okay. Is it fair to say then that the
16 only abdominal films which -- other than the one
17 cross-table, the only abdominal films which are of
18 diagnostic quality are the two taken at approximately
19 12:47 a.m. on the 18th?

20 A. The films, in terms of their copying
21 being of diagnostic quality, that's correct. The
22 original films may well have been of diagnostic
23 quality, but not the copies that I have. But Paul,
24 I think, may have some better versions.

1 MR. ESTES: I'll let you take a look. These
2 are the 10/18s.

3 THE WITNESS: There is a supine radiograph
4 that is a little bit less dark, which is of marginal
5 diagnostic value. But, again, the original film
6 may have been perfect, but the copies are very dark.

7 BY MR. BURKE:

8 Q. And the one you're talking about now
9 also has a handwritten patient name?

10 A. That's correct.

11 Q. Which, again, you would, looking at the
12 others, would think it was taken approximately at
13 the same time as the cross-table?

14 A. I would think so, but I'm not sure I
15 see a nasogastric tube on this film (indicating).

16 Q. Okay.

17 MR. ESTES: Do you have this one, Doctor?
18 This has got a time of 0047 on it.

19 BY MR. BURKE:

20 Q. By the way, before you take that down.

21 A. Yeah.

22 Q. Is that a copy, or is that --

23 A. Oh, it's a copy. It's a copy of a copy.

24 I also have a chest X-ray done on

1 10/18/99 at 12:47 a.m., and this is a very nice
2 original, a digital reproduction of an image that
3 usually -- that probably came from PACS, p-a-c-s.

4 Q. So that's printed from the original
5 digital data?

6 A. Well, I see a notch here. It's a copy
7 of it, but it's quite good.

8 MR. ESTES: You can see this one. I can't
9 read the time on that one.

10 THE WITNESS: And I have yet another film of
11 the chest and upper abdomen. It shows a nasogastric
12 tube in place, and it was done at 1:30 a.m. on
13 10/19/1999.

14 MR. ESTES: You don't need that one.

15 BY MR. BURKE:

16 Q. Does that show a white-out in one of
17 the lungs?

18 A. Yes, sir.

19 Q. Which lung?

20 A. Right lung.

21 Q. All right. So, again, getting back to
22 the abdominal study that was done approximately
23 12:47 a.m., there are only two sheets of film that
24 are of diagnostic quality that you can see pertaining

1 to that study, correct?

2 A. I believe these are the films that
3 Dr. Francken had to read the morning of the 18th.

4 Q. Right. But in terms of how many films
5 he had showing the abdomen, there were two.

6 A. May I look at his report?

7 Q. Sure. Yeah, sure.

8 MR. ESTES: It says multiple. That's all it
9 says.

10 THE WITNESS: AP. This is a report by
11 Dr. Gregory Francken, F-r-a-n-c-k-e-n, and it was
12 dictated on 10/18/1999: Upright frontal chest
13 demonstrates normal heart size. And this is my
14 upright chest film (indicating). Should we mark
15 these or ...

16 BY MR. BURKE:

17 Q. Sure.

18 MR. ESTES: Are they different?

19 THE WITNESS: Actually, they are different.
20 But according to this, the handwritings on these
21 were obtained or processed at the same time. And
22 that is -- I have three films here, and I believe
23 Dr. Francken alludes to three films.

24 First of all, in his first paragraph,

URLAUB, BOWEN & ASSOCIATES, INC.
(312) 781-9586

1 he says: Upright frontal chest demonstrates normal
2 heart size and mediastinal contour. And can we
3 mark this?

4 MR. BURKE: Yes. I think it's 4.

5 (Deposition Exhibit No. 4,
6 Witness Gore, was marked
7 for identification 6/30/05.)

8 THE WITNESS: Okay. And then Dr. Francken
9 goes on to say: AP and upright views of the
10 abdomen. AP supine and upright views of the
11 abdomen. The AP supine done on 10/18 at 12:47 a.m.
12 can we call the No. 5?

13 MR. BURKE: Yes, please.

14 (Deposition Exhibit No. 5,
15 Witness Gore, was marked
16 for identification 6/30/05.)

17 THE WITNESS: And then the upright view of
18 the abdomen that Dr. Francken describes is this
19 furthest film on the right, which we'll call
20 Exhibit No. 6?

21 MR. BURKE: Okay.

22 (Deposition Exhibit No. 6,
23 Witness Gore, was marked
24 for identification 6/30/05.)

1 MR. HANSEN: What's Exhibit 4?

2 MR. NARUP: Exhibit 4 is the chest film.

3 MR. HANSEN: Okay. Thanks.

4 THE WITNESS: And I'm just checking to see if

5 Dr. Francken had any other films.

6 He had a film from 12/2/95 to

7 compare, but I've never seen that radiograph.

8 These are the only three films I

9 have at this time, and I believe these are the only

10 three films that Dr. Francken discusses.

11 BY MR. BURKE:

12 Q. Okay. The 2.5 centimeter rounded well

13 demarcated soft tissue structure is visible to you

14 in which of those three films?

15 A. Okay. I see this 2.5 rounded well

16 demarcated soft tissue structure in the --

17 Q. Go by exhibit number.

18 A. In Exhibit No. 5 and on Exhibit No. 6.

19 Q. 5 is the --

20 A. 5 is the supine film of the abdomen,

21 and No. 6 is the upright film that includes the

22 abdomen and the chest.

23 Q. And both 5 and 6 reveal multiple dilated

24 small bowel loops?

1 A. Yes, sir.

2 Q. With multiple air fluid levels?

3 A. Yes, they do.

4 Q. By the way, he indicates the air fluid

5 levels are on the upright view. Is that 6?

6 A. Yes, the air fluid levels are on

7 Exhibit No. 6, yes.

8 Q. And multiple dilated small bowel loops

9 with multiple air fluid levels, is that consistent

10 with a small bowel obstruction?

11 A. Indeed it is.

12 Q. Okay. Then you go on in your

13 disclosure to indicate that Dr. Francken's method

14 of communication by providing a timely written

15 accurate interpretation of the October 1999

16 abdominal series was reasonable and within the

17 standard of care.

18 THE WITNESS: Can I take a small break now?

19 MR. BURKE: Oh, sure.

20 (Short break.)

21 MR. ESTES: We're back on.

22 BY MR. BURKE:

23 Q. Okay. And then opinion No. 6: Based

24 upon the information provided to Gregory Francken,

URLAUB, BOWEN & ASSOCIATES, INC.
(312) 781-9586

1 the abnormalities identified on the October 18,
2 1999, X-rays, and the fact that Kylee Bivens was an
3 inpatient, admitted through the ER, the standard
4 of care did not require direct phone or personal
5 communication of Dr. Gregory Francken's
6 interpretation.

7 Now, in reaching those opinions,
8 were you relying at all upon the American College
9 of Radiology's Standards for communication in
10 diagnostic radiology?

11 A. Yes, I am familiar with them, and I
12 actually have the 2000 version here.

13 Q. And that's in a book that's called
14 what?

15 A. It's called Standards 2000-2001
16 published by the American College of Radiology.

17 Q. The 2000 version was effective
18 January 1st of 2000?

19 A. It was effective 1/1/01.

20 Q. '01?

21 A. '01.

22 Q. And do you know if it's materially
23 different than the ACR's Standards for communication
24 in diagnostic radiology that was in effect in

1 October of 1999?

2 A. I don't know, but I'd be happy to

3 compare.

4 Q. (Tendering documents.)

5 A. (Reviewing documents.)

6 There is a little bit of a difference,

7 yes.

8 Q. And is it a difference that will be

9 applicable to the issues in this case?

10 A. Is it a --

11 MR. ESTES: Applicable to his opinions in

12 this case?

13 MR. BURKE: Right.

14 THE WITNESS: No.

15 BY MR. BURKE:

16 Q. What are the differences?

17 A. I'd like to read from the 2000-2001

18 standard, on page No. 2, subparagraph 4, called

19 Direct Communication. Okay. It states that direct

20 communication can be accomplished in person or by

21 telephone to the referring physician or an

22 appropriate representative.

23 Okay. B: In those situations in

24 which the interpreting physician feels that

1 immediate patient treatment is indicated; for
2 example, tension pneumothorax, the interpreting
3 physician should communicate directly with the
4 referring physician, other healthcare provider, or
5 an appropriate representative. If the individual
6 cannot be reached, the interpreting physician
7 should directly communicate the need for emergent
8 care to the patient or a responsible guardian if
9 possible.

10 And then there's C, which I don't
11 see on the older standards: In those situations in
12 which the interpreting physician feels that less
13 urgent findings compared to B above or significant
14 unexpected findings are present, the interpreting
15 physician or designee should directly communicate
16 the findings to the referring physician, other
17 healthcare provider, or an appropriate representative.

18 So the wording is not the same. I
19 think the emphasis is pretty much the same, though.

20 Q. Now, in terms of the physician's
21 responsibility under the 2000 version B and C, am I
22 correct that they both require the radiologist to
23 have direct communication with the referring
24 physician or his representative?

1 MR. ESTES: Well, under what circumstances?

2 Show an objection to lack of foundation.

3 THE WITNESS: Well, having actually served on

4 the ACR for designing the standards for GI radiology,

5 there's a lot of latitude in these standards, and

6 they only give one specific example, for example,

7 tension pneumothorax, so a lot is left to the

8 physician's discretion.

9 BY MR. BURKE:

10 Q. But in terms of the requirements of B,

11 which is the requirements of C, they both require

12 the radiologist to make direct communication under

13 certain circumstances, correct?

14 A. Under circumstances, yes, which they

15 leave to the discretion of the radiologist.

16 Q. And B is where the radiologist feels

17 that immediate patient treatment is indicated,

18 correct?

19 A. That's correct.

20 Q. Okay. C is less urgent findings, but

21 both still require direct communication.

22 A. That's correct.

23 Q. And by direct communication, it means

24 what?

1 A. Direct communication can be a call.
2 Nowadays, it might be electronic mail for ENH, but
3 in 1999, it might have been a fax, a call. And a
4 written report would be another way of communicating.

5 Q. That's what I'm trying to get at.

6 Does a written report in 1999, sent
7 through ordinary channels to the patient's chart,
8 satisfy the direct communication requirement?

9 A. No.

10 Q. So it requires something more than
11 sending a written report through normal channels.

12 A. That's correct.

13 Q. And both B and C require that.

14 A. Correct. But, again, these are
15 standards, but actually we're -- they're going to
16 be changing the name to guidelines, and, again, a
17 lot of discretion is left to the radiologist,
18 because they only cite one specific example that is
19 tension pneumothorax.

20 Q. Can I get that --

21 A. Should we -- should we make a copy?

22 MR. BURKE: Sure. It's attached to Feinstein's
23 dep, if you have it.

24 MR. ESTES: He wants this back.

1 THE WITNESS: Oh, you want this back?

2 MR. BURKE: Yeah.

3 MR. ESTES: You don't want to lose that.

4 BY MR. BURKE:

5 Q. Would you agree with me that a small

6 bowel obstruction on an abdominal film is a

7 significant finding?

8 A. Yes, I do.

9 Q. Would you agree with me that a small

10 bowel obstruction on an abdominal film is an urgent

11 finding?

12 A. It depends whether it's closed loop

13 or not. It also depends on the degree of the

14 obstruction and whether it's high grade or low grade,

15 chronic, intermittent, or acute.

16 Q. Anything else?

17 A. Not that comes to mind now, no.

18 Q. In our case, is it closed loop or

19 something else?

20 A. It doesn't look closed loop to me, no.

21 Q. What is closed loop?

22 A. Closed loop is when a portion of bowel

23 twists upon itself or a portion of bowel is trapped

24 by an adhesion, and so fluid can get into this

1 trapped bowel, but it can't get out, and that
2 segment of bowel gets progressively larger and
3 larger and larger, and that's a closed loop
4 obstruction.

5 Q. And that is an urgent finding, I take it.

6 A. That's correct.

7 Q. But if it's -- the fact that it's --
8 something is not closed loop doesn't necessarily
9 mean the findings are not urgent.

10 A. I'm sorry, I don't understand the
11 question.

12 Q. Okay. You could have an urgent finding
13 even without a bowel obstruction, even without a
14 closed loop finding.

15 A. Oh, yes. There could be perforation
16 associated with your bowel obstruction, yes.

17 Q. Okay. Now, you also mentioned urgency
18 could depend on degree of the small bowel obstruction.

19 A. That's correct.

20 Q. And I don't know if these are the
21 degrees you were talking about, but you mentioned
22 high or low grade.

23 A. Or intermediate grade.

24 Q. And what is a high, intermediate, and

1 low grade small bowel obstruction?

2 A. Okay. A high grade obstruction is when
3 you see massive dilation of the small bowel or
4 colon above the level of the obstruction. An
5 intermediate grade is when you see mild dilatation.
6 And a low grade, you see mild dilatation and you
7 see gas beyond that segment of obstruction.

8 Q. And the gas -- first of all, in our
9 situation here, in Exhibits 4, 5, and 6, do you --
10 how would you describe those in terms of grading?

11 A. I would say this is intermediate.

12 Q. In that there is at least mild dilatation
13 of the bowel?

14 A. That is correct. And well, actually, I
15 do see some colonic gas, so I guess low to inter-
16 mediate grade.

17 Q. I take it the absence of gas beyond the
18 point of obstruction would indicate that the
19 obstruction is complete.

20 A. More often than not, yes.

21 Q. And the presence of gas beyond the
22 obstruction would tend to make the obstruction
23 possibly less than complete.

24 A. Yes, sir.

1 Q. But the presence of gas here in the
2 colon does not rule out the complete obstruction in
3 this case, does it?

4 A. Is your question can you have somebody
5 who has complete obstruction and still have colonic
6 gas?

7 Q. Yes.

8 A. That is possible, though more often
9 than not, when you see colonic gas, the obstruction
10 is not complete.

11 Q. What would account for colonic gas when
12 there is a complete bowel obstruction?

13 A. Oh, there still might be some gas left
14 over from before the patient became obstructed.

15 Q. Can there be the production of any gas
16 in the segment distal to the obstruction following
17 complete obstruction?

18 A. Yes, but the majority of gas that we
19 have in our small bowel or colon is actually air
20 that we swallow. The organisms in our gut do make
21 some amount of gas, but not an appreciable amount
22 unless there's some problem distally.

23 Q. And would you agree with Dr. Francken's
24 description in his report that there is only a

1 small amount of colonic gas noted?

2 A. If that -- I would agree with that

3 assessment, yes.

4 Q. Now, is a finding, radiologic finding,

5 of an intermediate grade small bowel obstruction an

6 urgent finding?

7 A. I guess people have different definitions

8 of urgent. When I'm -- and I was just looking at

9 the hospital -- what I -- my cases I was reading

10 this morning. I saw many urgent findings. I had

11 patients with congestive heart failure. I had

12 patients with pneumonia. I had patients with

13 pericardial effusion, big pleural effusions. On

14 CT, I saw some patients with some very dense

15 calcified coronary arteries. These are all urgent

16 findings. And I think probably about half my cases

17 do have urgent findings such as that.

18 Q. Is -- I don't know if that's a yes or a

19 no to my question, but is a high grade -- or an

20 intermediate grade small bowel obstruction an

21 urgent finding?

22 A. In the same sense that a hospitalized

23 patient with congestive heart failure or pulmonary

24 edema or pericardial effusion or pleural effusion,

1 yes.

2 Q. And we've already agreed that it is a
3 significant finding.

4 A. Correct.

5 Q. So that with regard to the ACR standard,
6 the issue would be in terms of the duty for direct
7 communication whether or not those findings are
8 unexpected. Would you agree with that?

9 A. If they were unexpected and how acute
10 and how quickly the patient needed treatment of
11 this finding, yes.

12 Q. Say you were looking at Exhibits 4, 5,
13 and 6 within 15 minutes of the time they were
14 taken.

15 A. Uh-huh.

16 Q. And you had no patient contact; you
17 had no more information available to you than
18 Dr. Francken had. In your opinion, is this an urgent
19 situation?

20 A. I think it's a significant situation.
21 It does not require immediate, immediate attention
22 because I don't think this is absolutely life-
23 threatening at this point, but I think it's an
24 important finding, yes.

1 Q. Can this situation, as you see it,
2 develop into a life-threatening situation?

3 A. Oh, yes.

4 Q. And what would cause that to develop?

5 A. What would cause that to develop is if
6 the obstruction became worse, if the patient
7 ultimately developed ischemia or some vascular
8 compromise. But the majority of small bowel
9 obstructions that we see in our practice, general
10 radiologists see in our practice, do resolve by
11 themselves.

12 Q. The fact that the majority of small
13 bowel obstructions resolve by themselves, does that
14 ipso facto make the finding of a small bowel
15 obstruction not urgent?

16 A. No.

17 Q. The fact that they can lead to further
18 dilatation, ischemia, and death, does that risk
19 factor make all small bowel obstruction findings
20 urgent?

21 A. It makes them very important, but,
22 again, urgent meaning you have to -- for example,
23 like a tension pneumothorax, you have to address
24 this issue within five minutes or the patient may

1 die. Not with that ultimate, ultimate urgency, no.

2 Q. How long can you delay in communicating
3 results such as we see in 4, 5, and 6, to the
4 attending physician? How long is it acceptable to
5 delay a report like that?

6 A. I don't know.

7 Q. Should the findings that are apparent
8 in these studies, in these films, be made known to
9 the attending physician as soon as possible?

10 A. In the same sense that my patient with
11 congestive heart failure and my patient with
12 pericardial effusion and pleural effusion and
13 coronary artery calcification. These are things we
14 see very commonly on a daily basis, and this
15 morning I saw about five of each. And so in these
16 cases, the standard practice is to dictate a
17 report, correct.

18 Is there something here that screams
19 out to me I have to get on the telephone and call
20 this -- the attending physician? And you're saying
21 this film was taken 15 minutes ago.

22 Q. Right.

23 A. Is it outpatient or inpatient?

24 Q. Emergency room patient.

1 A. No.

2 Q. And why not?

3 A. Can I share the situation in which I
4 would call?

5 Q. Yes.

6 A. Okay. This is an outpatient, who is
7 having some belly pain. He was sent over from the
8 doctor's office, and usually the doc will ask could
9 you give us a call with it. The reason I would
10 call is because otherwise the patient is going to
11 be sent home, and, you know, I don't want to send a
12 small bowel obstruction home.

13 Q. So I guess I misunderstood your answer
14 before then. This is something that you would have
15 a direct communication on.

16 A. Again, if this were an outpatient --

17 Q. Right.

18 A. -- yes, but it actually depends on the
19 situation. And, again, also with a patient with
20 congestive heart failure, if the patient came in as
21 an outpatient, he was short of breath, I would
22 certainly call the doctor, because this was an
23 outpatient, and, rather than him sending home, you
24 might want to admit him.

1 If the patient was an inpatient or
2 an emergency room personnel -- emergency room
3 patient, and which this patient is actually being
4 seen actively by a physician at this time, I would
5 not, no.

6 Q. And if the patient were an emergency
7 room patient, is part of the reason you would not
8 be having direct communication with the emergency
9 room physician is because you would expect that the
10 emergency room physician is going to look at these
11 films himself?

12 A. Yes. And also equally important to
13 actually have a clinical assessment of the patient.

14 Q. Would you agree with me that a clinical
15 assessment of a patient cannot always diagnose a
16 small bowel obstruction?

17 A. That is correct. That's why we need
18 radiology.

19 Q. All right. And oftentimes symptoms of
20 gastroenteritis, when a patient exhibits symptoms
21 of gastroenteritis, they can, in fact, have a small
22 bowel obstruction.

23 A. Yes, indeed.

24 Q. Which would not be apparent clinically.

1 A. That's correct.

2 Q. If you were, in this hypothetical
3 situation, aware that the emergency room physician
4 could not read abdominal films, would you then have
5 a direct communication with the emergency room
6 physician?

7 MR. ESTES: Show my objection unless you
8 define the phrase "could not read" meaning films
9 weren't there or he didn't have the skill or --

10 MR. BURKE: Didn't have the skill.

11 THE WITNESS: That physician should not be
12 practicing emergency medicine if he does not have
13 the skills to read this film. This is a very
14 obvious finding.

15 BY MR. BURKE:

16 Q. All right. What about a pediatrician,
17 should a pediatrician be able to read those films?

18 A. A fourth-year medical student should be
19 able to read these films, yes.

20 Q. A fourth-year medical student should be
21 able to look at those films and see that there is a
22 possible small bowel obstruction.

23 A. Should see this and say there's
24 something wrong. They may not know exactly where

1 it is or the cause of it, but they should appreciate
2 that this bowel is too wide.

3 Q. Now, in the situation where you've read
4 the films 15 minutes after they were taken, the
5 patient is in the emergency room, you're assuming,
6 I guess, that the films are going to be sent to the
7 emergency room.

8 A. Well, are we talking about 1999 --

9 Q. Yes, sir.

10 A. -- or now?

11 MR. HANSEN: I'll object to form. I'm
12 confused. Are you talking about his hospital or
13 Blessing Hospital?

14 MR. ESTES: Go ahead and answer. Do you
15 understand the question?

16 THE WITNESS: I don't understand the question.

17 MR. BURKE: I don't remember the question.

18 (Record read.)

19 THE WITNESS: Yes. And -- yes.

20 BY MR. BURKE:

21 Q. And I take it that one of the reasons
22 you feel that direct communication is not necessary
23 is because the ordering physician is either going
24 to have the benefit of the films themselves or your

1 report in short order.

2 A. That is correct.

3 Q. If, for whatever reason, you were made
4 aware that the films and/or the report was not
5 going to make it to the ordering physician, would
6 then the standard of care require you to make
7 direct communication with him?

8 A. Was the hospital -- was the patient
9 discharged, or was he admitted?

10 Q. The patient is still in the emergency
11 room.

12 A. And could you repeat the hypothetical
13 again?

14 Q. All right. If, for some reason, you
15 were made aware that the films and the report are
16 not going to be able to be given to the ordering
17 physician in a short time period, would then the
18 standard of care require you to make direct
19 communication?

20 A. That is -- I -- I -- I don't know.
21 That's such a hypothetical situation. One expects
22 the films and/or report to be there in a prompt
23 manner.

24 Q. The condition shown in the films is of

1 sufficient urgency that one would hope that whoever
2 is treating the patient would be made aware of
3 those findings in a short amount of time, correct?

4 A. Could you repeat the question?

5 (Record read.)

6 THE WITNESS: I hope that all physicians who
7 treat patients will get the information for all
8 their patients in a timely manner, yes.

9 BY MR. BURKE:

10 Q. But would you agree with me that it's
11 particularly important in a situation like this,
12 where there's a small bowel obstruction that's
13 shown on the film?

14 A. Also in a small bowel obstruction, a
15 person with congestive heart failure, pericardial
16 effusion, pleural effusion, coronary artery
17 calcification, yes. Again, these are things we see
18 commonly, commonly every day, and they're not
19 extraordinary findings or terribly unusual
20 findings, no.

21 Q. Does the fact that this is an
22 eight-year-old add anything to the equation in
23 terms of the urgency of the communication to the
24 ordering physician?

1 A. Not that I'm aware of.

2 Q. Is there any difference in the progression
3 of small bowel obstruction in a pediatric patient
4 versus an adult?

5 A. In an adult, a small bowel obstruction
6 is more likely to resolve spontaneously. In a
7 pediatric patient, that's less likely to occur.

8 Q. Is the reserve in a pediatric patient
9 any less than in an adult?

10 A. Well, if you've got a strapping
11 16-year-old, the reserve is better. In a little
12 baby, the reserve is far less.

13 Q. And what about in Kylee Bivens?

14 A. Kyle was eight years old, I believe.

15 Q. Yes.

16 A. And how much did she weigh?

17 Q. 50.

18 A. 50 pounds? And how tall approximately?

19 Q. (Reviewing documents.)

20 A. To save you some time, I'm not entirely
21 sure. I think a pediatrician would be better able
22 to answer that question.

23 Q. I can't see her height in here anyway.

24 But you're not sure.

1 A. I'm not sure for an eight-year-old, no.

2 Q. Would you agree that, in a child such
3 as Kylee Bivens, that they can deteriorate very
4 quickly due to a small bowel obstruction?

5 A. Any patient can deteriorate quickly
6 with a small bowel obstruction, sure.

7 Q. And based on that fact, is it important
8 that someone responsible for the patient's care be
9 made aware of the small bowel obstruction as soon
10 as possible?

11 A. I believe with any significant finding
12 it's important that the person managing the patient
13 is aware of these findings.

14 Q. Now, would you agree with me that a
15 radiologist should not assume that a written report
16 will automatically reach its destination?

17 A. No, I disagree. Otherwise, you know,
18 radiologists read between 50 and 100 cases a day,
19 and we dictate them, and in an ideal world, we
20 should call every physician about it, we should
21 discuss the results with the patient, and this,
22 unfortunately, is not the real world.

23 We dictate a report in the hopes and
24 in the assumption and the knowledge that this will

1 get to the appropriate hands.

2 As I said, significant findings like
3 this (indicating) I see in about half my cases, and
4 this would mean 50 phone calls a day. And I wish
5 we had the resources to do that. And I think that
6 is coming. With the electronic medical record and
7 with voice transcription, I think that is coming
8 maybe in the next five years, but it's not standard
9 of care now, and it certainly wasn't standard of
10 care in 1999.

11 Q. Move to strike what is coming in the
12 next five years.

13 MR. ESTES: What good is that going to do?

14 MR. BURKE: It's a reminder to me later on,
15 when I do my motion in limine, I don't want to hear
16 that again.

17 BY MR. BURKE:

18 Q. Would you agree with me that a physician
19 is less likely to expect an abnormality in a
20 routine study?

21 MR. ESTES: Show the doctor with a puzzled
22 look on his face.

23 THE WITNESS: What is a routine study?

24

1 BY MR. BURKE:

2 Q. Like a chest film or an abdominal series.

3 A. Well, where -- because of fears of
4 radiation and because of efficacy studies, fewer
5 and fewer screening studies are done in terms of
6 plain chest X-rays, and so we seldom get chest
7 X-rays just for the hell of it, just on a searching
8 expedition. There's usually an indication for it.

9 In terms of an abdominal series, you
10 order it because you're suspecting something, not
11 because, you know, this is just a checkup.

12 Q. In your experience, did you work a
13 general call here?

14 A. Oh, yes.

15 Q. So you would be looking at films that
16 come in through the emergency room?

17 A. Oh, yes.

18 Q. Do you get a lot of cases of gastro-
19 enteritis coming in through the emergency room?

20 A. Yes, but more often than not they don't
21 get abdominal films.

22 Q. When you do get the films or studies
23 done on patients, is there ever a diagnosis of
24 gastroenteritis that accompanies the requisition?

1 A. On the requisition, I may get a good
2 history, no history, a misleading history, or, you
3 know, for an abdominal series, I may get a history
4 of headache, so it's very variable.

5 Q. Would you agree with me that in a
6 eight-year-old who is vomiting, that a small bowel
7 obstruction is a relatively uncommon finding?

8 A. I don't know.

9 Q. You don't know if the majority of
10 children who have repeated vomiting have a small
11 bowel obstruction?

12 A. I guess it would depend what age group
13 they are and what the circumstances are. No, I
14 would defer to a pediatrician or a pediatric
15 surgeon in that regard.

16 Q. You have an opinion here that it was
17 reasonable for Dr. Gregory Francken to expect that
18 his transcribed report would be reviewed in a
19 timely fashion. What do you mean by that?

20 A. Well, we don't dictate reports not to
21 be read. We dictate them to impart information to
22 patients. And it's not in our job description to
23 ensure that each report gets to each physician.
24 And, again, with the electronic medical record,

1 this won't be an issue in the future.

2 Q. Same objection.

3 And when you say reviewed in a

4 timely fashion, what do you mean by that?

5 MR. ESTES: I'll just stipulate he's not

6 going to testify to standards of care for any other

7 practitioner other than Francken, so -- but you can

8 answer that, Doctor, with that reminder.

9 THE WITNESS: Could you repeat the question?

10 (Record read.)

11 THE WITNESS: That the report would be

12 evaluated by the managing physician or nurse,

13 resident, intern, or fellow. You know, we don't

14 generate reports, we don't collect data, we don't

15 order tests just for, you know, the sake of ordering

16 them. These results have to be evaluated by the

17 managing physicians.

18 BY MR. BURKE:

19 Q. And when you say in a timely fashion,

20 what is a timely fashion?

21 A. As soon as they're available preferably.

22 But, again, as I said, in the future, it's going to

23 be instantaneous. In 1999, things were different.

24 Q. You say in opinion 9 the opinions of

URLAUB, BOWEN & ASSOCIATES, INC.
(312) 781-9586

1 Kate Feinstein will be rebutted. Anything in
2 particular?

3 A. Can we go to her deposition?

4 Q. Sure. Yes.

5 A. Okay.

6 MR. ESTES: Other than what he's already
7 testified to?

8 MR. BURKE: Right.

9 MR. ESTES: Which is --

10 MR. BURKE: Right.

11 MR. ESTES: -- different than her testimony.

12 THE WITNESS: Oh.

13 MR. ESTES: While you're looking at that, I'm
14 going to take a break.

15 (Brief pause.)

16 MR. ESTES: Go ahead on the record.

17 THE WITNESS: On the record, Kate indicated
18 that she felt the interpretation was within the
19 standard of care, and I believe that she felt
20 that Dr. Francken deviated from standard of care by
21 not directly getting on the phone and talking to
22 somebody. So I guess that would be my disagreement.

23 BY MR. BURKE:

24 Q. On the transcript itself, did you make

1 any notes, highlights, dog-ear any pages trying

2 to --

3 A. I -- no. I don't do that. It was the

4 smallest one. That's why I can't find it.

5 MR. ESTES: Here it is. Read for a few

6 minutes. I'm going to go to the rest room.

7 THE WITNESS: Okay.

8 MR. ESTES: And then we'll talk on the

9 record.

10 (Short break.)

11 MR. ESTES: I think the pending question is

12 is there anything else, after looking at that

13 deposition, that you want to rebut other than the

14 disagreement on the communication issue?

15 THE WITNESS: Okay. Not in my cursory

16 re-review.

17 BY MR. BURKE:

18 Q. Now, in this case, Dr. Francken came in

19 somewhere 7:00 or 8:00 a.m.?

20 A. The -- Kylee had her films at about

21 1:00 o'clock a.m., and Dr. Francken came in at

22 about 8:00 o'clock in the morning.

23 Q. Okay. So that's about seven hours

24 later.

1 A. That's correct.

2 Q. And his testimony -- in his testimony,
3 he indicated that he phoned the emergency room
4 asking about Kylee Bivens, correct?

5 A. Yes, to see if she was admitted.

6 Q. And he was informed that she was
7 admitted.

8 A. That's correct.

9 Q. He did not talk to anyone about the
10 findings that he had from his interpretation of
11 abdominal films, correct?

12 A. As I recall, he didn't recall talking
13 to anybody about the specific findings, no.

14 Q. And I take it you find that acceptable,
15 correct?

16 A. If I were in Dr. Francken's situation,
17 I would say, Oh, there's a small bowel obstruction,
18 the patient came in through the emergency room, and
19 I want to see if the patient is admitted.

20 Now I can simply go to the computer
21 and bring that up, but in 1999, we had a list of
22 admissions through the emergency room, and that is
23 sufficient, and that would certainly have satisfied
24 my curiosity and my duty.

1 MR. BURKE: Okay. That's all I have.

2 Thanks.

3 MR. NARUP: No questions.

4 MR. BURKE: Wait.

5 BY MR. BURKE:

6 Q. I guess I -- I just want to -- do you

7 have any other opinions that are not in your 213s

8 and that we haven't discussed today?

9 A. Not that come to mind now, no.

10 MR. BURKE: That's all. Thanks.

11 MR. ESTES: You don't have any questions, do

12 you, Jim?

13 MR. NARUP: Jim?

14 MR. ESTES: Maybe he's taking his own bathroom

15 break and he didn't get back yet.

16 MR. NARUP: Jim?

17 MR. ESTES: Oh, well, we'll order him a copy.

18 Thank you for your speed in this

19 one.

20 MR. BURKE: You're welcome.

21 FURTHER DEPONENT SAITH NOT ...

22

23

24

