

In The Matter Of:

*JOHNSON v.
MEDLANTIC*

*BRUCE CHARASH
November 13, 2001*

NOV 28 2001

*FINK & CARNEY
REPORTING AND VIDEO SERVICES
39 WEST 37TH STREET
NEW YORK, NY USA 10018
(212) 869-1500 or (800) 692-3465*

*Original File KC1113.ASC, 172 Pages
Min-U-Script® File ID: 4291511315*

Word Index included with this Min-U-Script®

[1] IN THE SUPERIOR COURT OF THE DISTRICT OF COLUMBIA
 [2] CIVIL DIVISION
 [3]
 [4]
 [5] DEON JOHNSON, ET AL.,
 [6] Plaintiffs,
 [7] -against- Civil Action
 [8] No. 00008265
 [9] MEDLANTIC HEALTHCARE GROUP, INC.,
 [10] ET AL.,
 [11] Defendants.
 [12] DEPOSITION of BRUCE D. CHARASH, M.D.,
 [13] taken by Defendants at the offices of HG Global
 [14] Workplace, 730 Fifth Avenue, New York, New York
 [15] 10019, on Tuesday, November 13, 2001, pursuant to
 [16] Notice, commencing at 10:21 o'clock a.m., before
 [17] Karen Ann Carney, a Certified Shorthand
 [18] (Stenotype) Reporter and Notary Public within and
 [19] for the State of New York.
 [20]
 [21]
 [22]
 [23]
 [24]
 [25]

[1] APPEARANCES:
 [2] REGAN, HALPERIN & LONG
 [3] Attorneys for Plaintiffs
 [4] 900 Nineteenth Street
 [5] Washington, D.C. 20006
 [6] BY: PATRICK M. REGAN, Esq., of Counsel
 [7]
 [8] (Via Videoconference)
 [9] WHARTON, LEVIN, EHRMANTRAUT, KLEIN & WALSH
 [10] Attorneys for Defendant
 [11] Mediantic Healthcare Corp., Inc.,
 [12] 104 West Street, Suite 308
 [13] Annapolis, Maryland 21401
 [14] BY: MARIAN L. HOGAN, Esq., of Counsel
 [15]
 [16] (Via Videoconference)
 [17] MONTEDONICO, HAMILTON & ALTMAN, P.C.
 [18] Attorneys for Defendants
 [19] Elizabeth Ross, M.D., Kenneth Lee,
 [20] M.D., and Elizabeth Ross, M.D.
 [21] and Kenneth Kee, M.D., P.C.
 [22] 10306 Eaton Place, Suite 100
 [23] Fairfax, Virginia 22030
 [24] BY: ANDREW J. SPENCE, Esq., of Counsel
 [25]

[1] IT IS HEREBY STIPULATED AND
 [2] AGREED that the filing and sealing of
 [3] the within deposition be, and the
 [4] same are hereby waived;
 [5]
 [6] IT IS FURTHER STIPULATED AND
 [7] AGREED that all objections, except as
 [8] to the form of the question, be and
 [9] the same are hereby reserved to the
 [10] time of the trial;
 [11]
 [12] IT IS FURTHER STIPULATED AND
 [13] AGREED that the within deposition may
 [14] be sworn to before any Notary Public
 [15] with the same force and effect as if
 [16] sworn to before a Judge of this
 [17] Court;
 [18]
 [19] IT IS FURTHER STIPULATED that
 [20] the transcript is to be certified by
 [21] the reporter.
 [22]
 [23]
 [24]
 [25]

Charash

(1)
(2) BRUCE D. C HARASH, called as a
(3) witness, having been first duly sworn by
(4) Karen Ann Carney, a Notary Public of the
(5) State of New York, was examined and
(6) testified as follows:

EXAMINATION

BY MS. HOGAN:

(7) **Q:** Dr. Charash, I'm Marian Hogan and
(8) I'm representing the Washington Hospital Center in
(9) this action; and, as you probably know, I'll be
(10) asking you a series of questions about your
(11) opinions, and I would like you to please interrupt
(12) me or stop me if I ask a question that's unclear
(13) to you in any way.

(14) Is that acceptable to you?

(15) **A:** Yes.

(16) **Q:** Because if you don't ask me to
(17) clarify a question, I'm going to assume that you
(18) did understand it as phrased.

(19) Would you please state your name and
(20) business address for the record?

(21) **A:** Yes. My name is Bruce Charash, and
(22) my address is Lenox Hill Hospital, 100 East 77th
(23) Street, New York, New York 10021.

Charash

(1) **Q:** Dr. Charash, I have a copy of your
(2) CV that was provided to me by counsel. It does
(3) not have a date on it, but the last publication
(4) appears to be a book called "Heart Myths," in
(5) 1991.

(6) **A:** Yes. Although, if you turn to
(7) the first page to see if it's more current, if
(8) the bottom entry says "Visiting Associate
(9) Professor" —

(10) **Q:** Yes, it does; October '98 to the
(11) present —

(12) **A:** Yes. Then —

(13) **Q:** — SUNY.

(14) **A:** Then that's updated.

(15) **Q:** And SUNY Brooklyn?

(16) **A:** Yes. That would be the most current
(17) edition.

(18) **Q:** Okay. When was this edition
(19) published?

(20) **A:** I don't remember. Obviously after
(21) October '98.

(22) **Q:** Okay. Are there any additions,
(23) deletions or corrections that are needed for this
(24) particular CV to make it up to date?

Charash

(1) **A:** No.

(2) **Q:** Do you have any particular position
(3) within the division of cardiology at Lenox Hill?

(4) **A:** Yes. I think it states I'm chief of
(5) the Cardiac Care Unit.

(6) **Q:** I'm sorry. It does state that at
(7) the bottom. I see that now.

(8) And you've been chief for ten years?

(9) **A:** Yes.

(10) **Q:** Do you hold positions at any other
(11) hospitals in New York?

(12) **A:** No.

(13) **Q:** Are you licensed to practice
(14) medicine in any state or jurisdiction other than
(15) New York?

(16) **A:** No.

(17) **Q:** Have you ever practiced medicine in
(18) the District of Columbia?

(19) **A:** No.

(20) **Q:** Have you ever been accepted as an
(21) expert in a court in the District of Columbia?

(22) **A:** Yes.

(23) **Q:** When was that?

(24) **A:** Well, I think I've been an expert in
(25)

Charash

(1) the District of Columbia in trial testimony I
(2) believe on two occasions; the first one was
(3) probably back in 1996, and then the second one was
(4) somewhere probably in '99 — '98 or '99.

(5) **Q:** In '9 — do you remember for whom
(6) you testified in those cases?

(7) **A:** I remember the one in '96 was for a
(8) firm called Oberland and Berlin, and the one
(9) afterwards, I don't recall which firm.

(10) **Q:** Was it for the plaintiff?

(11) **A:** Both cases were for the plaintiff.

(12) **Q:** Did either case involve a woman who
(13) had given birth within the last two years before
(14) the events that form the basis of the lawsuit?

(15) **A:** No.

(16) **Q:** Do you recall in a general way the
(17) facts of either case?

(18) **A:** No.

(19) **Q:** So, would you be able to state
(20) definitively that the cases didn't have anything
(21) to do with the issues involved in the Crystal
(22) Johnson case?

(23) **A:** Yes.

(24) **Q:** You would be able to state that?
(25)

Charash

(1) **A:** Yes. The reason I can state it is
 (2) that I've testified or reviewed or been an expert
 (3) in many, many cases; however, they fall into
 (4) different categories. Sometimes they're chest
 (5) pain that is not well recognized, a patient's
 (6) symptoms being dismissed.
 (7) This case is relatively unique in
 (8) that I don't recall having reviewed up until now a
 (9) case of a woman with a cardiomyopathy after birth
 (10) whose issue was about the medical management prior
 (11) to sudden death.
 (12) I cannot recall the details of the
 (13) many cases I've reviewed over the years, but I can
 (14) recall when one is relatively unique, and this one
 (15) is relatively unique.
 (16) So, I can't say with certainty what
 (17) my cases were like in the past, but I can state
 (18) they were not with comparable issues.
 (19) **Q:** Okay. So, this would be the first
 (20) time you will ever have given testimony on a case
 (21) involving peripartum cardiomyopathy or any related
 (22) issues?
 (23) **A:** That's to the best of my
 (24) recollection. And, again, I want to qualify that

Charash

(1) by saying that I have reviewed — and I'll
 (2) volunteer this before we get to this — probably
 (3) in the range of 300 malpractice potential cases
 (4) for defense and plaintiffs lawyers in 15 years.
 (5) However, during that same period of
 (6) time I've probably seen or been involved with 20
 (7) to 30,000 patients.
 (8) I've had patients with postpartum
 (9) cardiomyopathy. And the reality is I just can't
 (10) keep clear track with that many patients mixed in,
 (11) with that many clinical cases, and then with the
 (12) handful, comparatively, of legal cases, I don't
 (13) believe I've ever reviewed a case quite like this
 (14) before; but I can't be absolutely certain because
 (15) I know I've seen clinical cases in the hospital
 (16) and they kind of mix together after a while. To
 (17) the best my memory, this case is unique in terms
 (18) of my medical-legal experience.
 (19) **Q:** Okay. In the last ten years, am I
 (20) correct that you have only practiced out of Lenox
 (21) Hill Hospital?
 (22) **A:** Yes.
 (23) **Q:** In those ten years, approximately
 (24) how many patients have you seen in any capacity,
 (25)

Charash

(1) whether it's consultative or your primary patient,
 (2) who had the diagnosis or you made the diagnosis of
 (3) peripartum cardiomyopathy?
 (4) **A:** In the past ten years, I would say
 (5) in the range of a dozen.
 (6) **Q:** Okay. Are you able to tell me of
 (7) those dozen how many already had the diagnosis
 (8) when you saw them?
 (9) **A:** Probably in the range of half. I
 (10) mean, probably half of them came to my floor with
 (11) a diagnosis, and probably half I was asked to
 (12) consult on and made the diagnosis.
 (13) **Q:** Of that dozen, how many would you
 (14) consider to have been your patients?
 (15) **A:** Well, all of them were at one time
 (16) or another my patient. But, in part, you see it's
 (17) a complex arrangement.
 (18) When patients come to our intensive
 (19) care unit or stepdown floor where I'm chief, I get
 (20) involved on a working daily relationship with all
 (21) those patients. Many of the patients have their
 (22) own cardiologist, but because I'm full-time
 (23) present in the hospital, I deal with patients on a
 (24) day-to-day basis, round on them, and I work with
 (25)

Charash

(1) the private doctor. I even have the authority to
 (2) override the private doctor when it comes to
 (3) hospital-based management.
 (4) But of those patients, probably
 (5) about half of them were mine exclusively and half
 (6) of them I supervised over other doctors' care.
 (7) Probably the six I diagnosed were all mine.
 (8) **Q:** Do you know when the last one was of
 (9) those six, the last woman you saw?
 (10) **A:** I don't know. Somewhere in the last
 (11) year. Probably more than six months ago, but I
 (12) don't remember. I mean, again, it's not exactly
 (13) steady, but I mean at least once a year or more we
 (14) get a patient with this diagnosis. But I can't
 (15) be —
 (16) **Q:** Do you — as you sit here today, do
 (17) you know the status of that one patient you saw
 (18) within about the last six months?
 (19) **A:** No.
 (20) **Q:** Do you know — are you able to tell
 (21) me the status of any of the twelve patients you've
 (22) seen who had the diagnosis of peripartum
 (23) cardiomyopathy?
 (24) **A:** Not with certainty. I mean the
 (25)

Charash

(1) problem is that many of these patients — the six
(2) went to their private doctors. I wouldn't know
(3) their status.
(4) Those that were mine, I generally
(5) refer them back out. Many of them come from other
(6) hospitals and I refer them back to their primary
(7) doctors and get called only for consults.

(8) At this point I'm not actually
(9) following any of them; so, I couldn't tell you
(10) their status.

(11) Q: Would that mean you couldn't even
(12) tell me whether they were dead or alive?

(13) A: That's correct. They may all be
(14) alive, they may all have died. I can't be sure.

(15) Q: Would you agree with me that the
(16) diagnosis of peripartum cardiomyopathy is an
(17) ominous diagnosis?

(18) A: You'd have to qualify what you mean
(19) by that.

(20) Q: Well, you tell me —

(21) A: Okay. Well, the point is 50 percent
(22) of — just over 50 percent of people who have
(23) postpartum cardiomyopathy get spontaneous
(24) improvement. For them it's not ominous. Just

Charash

(1) over half the people who get it, it improves, and
(2) you just make sure they don't die while waiting to
(3) improve.

(4) For the other half that don't
(5) improve, supportive therapy extends their life
(6) expectancy, and cardiac transplant is an option.

(7) And for that just under 50 percent
(8) of the population, it is certainly quite serious
(9) that they have that condition, and many of them
(10) will die and many of them will live.

(11) So, when you say "ominous," you see,
(12) you could refer to ominous for a disease that
(13) kills everybody. You are using an emotional term
(14) as opposed to a clinical term.

(15) Q: You've never seen the term "ominous"
(16) with respect to a diagnosis of peripartum
(17) cardiomyopathy in the medical literature?

(18) A: I've seen the term "ominous" used
(19) many times. But depending on the context, ominous
(20) can mean many different things.

(21) And in something as important as a
(22) legal case, where conveying the true prognosis
(23) carries quite an important significance to a jury
(24) who will be reviewing the situation, resorting to

Charash

(1) a generalized term that in different situations
(2) can mean different things — I can tell you that
(3) Ebola virus carries an ominous prognosis because
(4) 85 percent of the people die. Now, if you say —
(5) in weeks.

(6) Is that the case with this disease?

(7) Of course not.

(8) My problem is that ominous is used
(9) many times, and in someone's mind I think it's
(10) more confusing than it is revealing because you're
(11) not being specific.

(12) Q: And you just testified that
(13) particularly in a legal case when you are going in
(14) front of a jury, would you agree with me that it
(15) would be just as important to determine whether
(16) it's ominous, to use that label, for a medical
(17) case when you are dealing with a patient?

(18) A: No.

(19) I think it's more important to be
(20) specific about statistical availability rather
(21) than using visceral terms that can be mean
(22) different things to different people.

(23) I think I told you just over 50
(24) percent of the people with cardiomyopathy

Charash

(1) postpartum get better on their own.

(2) In fact, one of the treating
(3) doctors, I think it's Dr. Ross, wrote
(4) cardiomyopathy with an expectation of improvement.

(5) Is that ominous?

(6) Again, your term, I believe, is
(7) confusing. I think I'm trying to be statistical.

(8) THE WITNESS: Will you pardon
(9) me one second?

(10) MS. HOGAN: Sure.

(11) THE WITNESS: Off the record.

(12) (Discussion off the record.)

(13) (Whereupon, at 10:36 o'clock
(14) a.m. the witness leaves the conference
(15) room.)

(16) (Whereupon, at 10:40 o'clock
(17) a.m., the deposition resumes.)

(18) MS. HOGAN: We're back on the
(19) record.

(20) BRUCE D. C HARASH, resumed and
(21) testified further as follows:

BY MS. HOGAN:

(22) Q: Dr. Charash, you mentioned that just
(23) over 50 percent of the patients who have

Charash

[1] *Charash*

[2] postpartum cardiomyopathy who, for whatever

[3] reason, have spontaneous improvement.

[4] Is the physiologic mechanism known

[5] for why just over half of the patients do

[6] spontaneously improve?

[7] A: We do know that the disease is more

[8] likely than not an immunological one, and we know

[9] that in nature immunological illnesses can

[10] become — can improve spontaneously and regress or

[11] can continue.

[12] We do not know why those that get

[13] better individually were the ones that got better

[14] or not. I mean, we have an improving sense of

[15] self-physiology, but I don't think that our

[16] scientific ability can right now answer that

[17] question beyond the fact that that's just the

[18] statistical presentation.

[19] Q: And it's not known what subset of

[20] immunologic disease causes this, is it?

[21] A: No.

[22] Q: Whether it's autoimmune, et cetera?

[23] A: Oh, I think we believe it is

[24] autoimmune, but I don't think there is a greater

[25] revelation of mechanism than that.

Charash

[1] *Charash*

[2] Q: Okay. And, similarly, am I correct

[3] that physicians, including yourself, are not able

[4] to predict into which category any particular

[5] patient will fall?

[6] A: No. You just have to follow them

[7] empirically.

[8] Q: What do you mean when you say

[9] "follow them empirically"?

[10] A: It means that a patient who develops

[11] this condition must have serial echocardiograms to

[12] determine whether or not the heart shows

[13] improvement in general. By six months to a year,

[14] those that are going to spontaneously improve will

[15] have done so; those that have not improved will

[16] not improve, and then they need to be followed

[17] clinically, and in most cases be followed by a

[18] cardiac transplant center, as well as optimal

[19] medical therapy while waiting.

[20] Q: We'll get to the optimal medical

[21] therapy in a bit.

[22] THE WITNESS: May I ask you a

[23] question?

[24] Is this being recorded or are

[25] we just on visual contact with each

Charash

[1] *Charash*

[2] other?

[3] MS. HOGAN: I think it's being

[4] recorded.

[5] THE WITNESS: This is being

[6] videotape recorded?

[7] MS. HOGAN: Yes.

[8] MR. REGAN: It's being video

[9] recorded?

[10] MS. HOGAN: Yes.

[11] MR. REGAN: At which end?

[12] MS. HOGAN: At your end, I

[13] think.

[14] MR. SPENCE: No. I think it's

[15] at this end.

[16] THE WITNESS: Well, do you

[17] have a videographer there to certify

[18] the copy?

[19] MS. HOGAN: No. We're not

[20] using it for anything.

[21] THE WITNESS: Okay. I just

[22] wanted to know. I mean, first, let

[23] me — when I'm being video recorded, I

[24] would, one, like to know it; and, two,

[25] want to know whether it is a video

Charash

[1] *Charash*

[2] representation that will be used in

[3] court or usable in court.

[4] MS. HOGAN: No, it will not

[5] be. It will not be.

[6] THE WITNESS: The answer is it

[7] will not be, it cannot be?

[8] MS. HOGAN: I don't think it

[9] can be. I mean, I wouldn't agree to

[10] it.

[11] MR. REGAN: It wasn't noted.

[12] I certainly would not agree to it. It

[13] was not noted to be a video depo.

[14] MR. SPENCE: She just stuck a

[15] video in, so we said okay.

[16] MS. HOGAN: Pop it out;

[17] please.

[18] THE WITNESS: Was it on your

[19] end or our end? I'm confused.

[20] MR. REGAN: No. It's on their

[21] end.

[22] MS. HOGAN: Well, I think it

[23] was noted as a video depo.

[24] MR. REGAN: Well, if it is,

[25] then we reserve the right to use it at

Charash

(1) trial. I just want to know.
 (2) **THE WITNESS:** But then —
 (3) **MS. HOGAN:** It is noted as a
 (4) video depo. But we'll argue about
 (5) that, whether it's usable later.
 (6) **THE WITNESS:** May I ask a
 (7) question? I'm sorry. But don't you
 (8) need a videographer to certify a video
 (9) copy? Can you just —
 (10) **MS. HOGAN:** That's what Mr.
 (11) Regan and Mr. Spence and I will argue
 (12) about later.
 (13) **MR. REGAN:** No, it does not
 (14) say — we're not going to proceed. It
 (15) does not say it's being videotaped.
 (16) It says videoteleconference —
 (17) videoconferencing, which is what this
 (18) is, without a videotape.
 (19) **MR. SPENCE:** I don't really —
 (20) **MS. HOGAN:** I don't really
 (21) care.
 (22) **MR. SPENCE:** I don't care
 (23) myself. It's not a big deal to me.
 (24) **MR. REGAN:** Okay. Good. Just

Charash

(1) pop it out.
 (2) **MS. HOGAN:** Can you pop it
 (3) out?
 (4) **MR. SPENCE:** I'll try.
 (5) **MS. HOGAN:** Fine.
 (6) **THE WITNESS:** I don't mean to
 (7) be difficult, but, quite frankly, I
 (8) find it —
 (9) **MS. HOGAN:** We did mention in
 (10) the beginning that we were waiting for
 (11) her to put the tape in.
 (12) **MR. REGAN:** I wasn't in the
 (13) room at that time.
 (14) **THE WITNESS:** It just went
 (15) over my head.
 (16) **MS. HOGAN:** Andy is not able
 (17) to. We're going to ask the lady to
 (18) take it out because we can't easily do
 (19) it.
 (20) **MR. REGAN:** Just stop for a
 (21) minute. I'm going to get coffee.
 (22) **MS. HOGAN:** All right, Pat.
 (23) **MR. REGAN:** I'm going to get
 (24) coffee. Hang on one minute.

Charash

(1) (Whereupon, at 10:45 o'clock
 (2) a.m., a recess was taken.)
 (3) (Whereupon, at 10:50 o'clock
 (4) a.m., the deposition resumed.)
 (5) **MS. HOGAN:** On the record.
 (6) **BRUCE D. C HARASH,** resumed and
 (7) testified further as follows:
 (8) **BY MS. HOGAN:**
 (9) **Q:** I'm sorry, Doctor, I lost my spot.
 (10) Let's see. We'll get back to your background.
 (11) Are there any teaching appointments
 (12) which you hold noted on your CV?
 (13) **A:** Yes.
 (14) **Q:** What percentage of your time is
 (15) spent in teaching?
 (16) **A:** A large percentage of my time is
 (17) teaching while I practice clinical care as chief
 (18) of the Cardiac Care Unit, which is a 15-bed
 (19) intensive care unit and a 38-bed stepdown unit.
 (20) I engage in the daily morning rounds
 (21) in that unit with interns, residents, medical
 (22) students, nurse practitioners and physician
 (23) assistants, and we round on all patients on our
 (24) service and go bedside to every patient in the
 (25)

Charash

(1) intensive care unit.
 (2) And then after that I see individual
 (3) patients and bring doctors in training to the room
 (4) with me.
 (5) The point I'm trying to make is that
 (6) virtually in all of my clinical activities I'm
 (7) teaching a doctor in training what I do while I do
 (8) it. So, a great deal of my clinical care is
 (9) indistinguishable from teaching. However —
 (10) **Q:** How about —
 (11) **A:** — classroom teaching, we do
 (12) classroom discussions with didactics for about a
 (13) half hour every morning. I give a formal lecture
 (14) probably once a month.
 (15) **Q:** And where is that formal lecture
 (16) given?
 (17) **A:** Either at Lenox Hill or at NYU. I
 (18) probably give one or two lectures at NYU Medical
 (19) School a year; otherwise, I'm giving most of my
 (20) lectures at the hospital itself, and I've given
 (21) also probably 500 lectures over the last 20 years
 (22) to hospitals in the region.
 (23) **Q:** Are you a faculty member of a
 (24) particular portion of the curriculum at NYU
 (25)

Charash

(1) Medical School; for instance, do you give the
 (2) yearly —
 (3) A: No.
 (4) Q: — lecture?
 (5) A: Pardon me. I'm sorry.
 (6) I'm only a guest invited speaker;
 (7) therefore, I'm not part of the curriculum.
 (8) Q: Okay.
 (9) A: But I am part of their elective of
 (10) cardiac intensive care units; so, their students
 (11) do rotate to us. But in terms of classroom
 (12) teaching, I'm not part of the full-time medical
 (13) faculty, nor am I on their curriculum.
 (14) Q: And that elective intensive care
 (15) rotation is more on-the-job training for the
 (16) residents, correct?
 (17) A: Yes.
 (18) Q: How many beds total does Lenox Hill
 (19) have?
 (20) A: I don't know. It's probably between
 (21) 350 and 400, but that's my guess. I don't know
 (22) the number.
 (23) Q: Do you know anything about the
 (24) obstetrical service at Lenox Hill?
 (25)

Charash

(1) for defense are located in the State of New York?
 (2) A: That's too complicated.
 (3) I think that New York has a higher
 (4) ratio of defense to plaintiff, about 50-50, where
 (5) out of New York it's a little less. But New York
 (6) isn't the majority of cases I review. Florida
 (7) would be the state where I have the biggest number
 (8) of cases.
 (9) Q: Biggest number of defense cases or
 (10) total cases?
 (11) A: Total cases. But I think I have
 (12) more defense cases in Florida than New York,
 (13) because I have more cases in Florida than New
 (14) York.
 (15) Q: Are you licensed to practice in any
 (16) jurisdiction other than New York?
 (17) A: No, I am not.
 (18) Q: Do you own a home in Florida?
 (19) A: No.
 (20) Q: Do you have any publications which
 (21) are not listed on this CV?
 (22) A: No.
 (23) Q: Are any of the publications that you
 (24) do have listed germane to the medical issues in
 (25)

Charash

(1) A: I just know it has an outstanding
 (2) reputation as a general service, but I don't know
 (3) anything more than that.
 (4) Q: For instance, you don't know how
 (5) many babies are delivered a year and that kind of
 (6) thing?
 (7) A: No.
 (8) MS. HOGAN: Pat, am I correct
 (9) that Dr. Charash will not be giving
 (10) any obstetrical opinions?
 (11) MR. REGAN: It depends if you
 (12) ask.
 (13) Q: Well, Dr. Charash, are you going to
 (14) be giving opinions about the standard of care for
 (15) any of the obstetricians and gynecologists in this
 (16) case?
 (17) MR. REGAN: No.
 (18) A: No.
 (19) Q: What percentage of cases do you
 (20) review on behalf of plaintiffs versus defendants?
 (21) A: Approximately 60 percent that I
 (22) review are for plaintiff and approximately 40
 (23) percent are for defense.
 (24) Q: What percentage of that 40 percent
 (25)

Charash

(1) the Crystal Johnson case?
 (2) A: No.
 (3) Q: Are there any sources in the medical
 (4) literature which you would consider to be
 (5) reasonably reliable references on the topic of
 (6) peripartum cardiomyopathy?
 (7) A: Well, I'm not too sure what the
 (8) implication of "reasonably reliable."
 (9) I think all textbooks pose
 (10) themselves in the same way, and that is I consider
 (11) all medical textbooks to be absolutely
 (12) authoritative in their presentation of statistics
 (13) and in the accuracy of quotation, like an
 (14) encyclopedia.
 (15) I would consider all textbooks of
 (16) medicine in all fields to be considered to be
 (17) general guidelines.
 (18) When it comes to the practice,
 (19) management and diagnosis of disease, the standard
 (20) of care, all of these areas where it comes to the
 (21) practice of medicine, books are guidelines; and
 (22) sometimes the guidelines are the same as the
 (23) standard, sometimes the guidelines do not approach
 (24) the standard. The point is that they're not meant
 (25)

Charash

Charash

(1) to be a standard. Textbooks are written as a
 (2) rough approach to the practice, but an
 (3) authoritative approach to the statistics. And
 (4) that's with the literature, too.
 (5) So, as a result, I consider all
 (6) books the same.
 (7) Q: And also journal articles?
 (8) A: All journal articles I think are
 (9) meant to be authoritative, I think, when it comes
 (10) to the introduction section for statistics. But
 (11) when it comes to the conclusions of the article,
 (12) they're even at a different standard than
 (13) textbooks because they are just data.
 (14) Some articles are poorly written and
 (15) designed and are published in lesser journals;
 (16) others are better written and designed and
 (17) published in better journals. But even so, it's
 (18) just information that gets integrated into the
 (19) large complexity of practice that may or may not
 (20) influence the standard of care.
 (21) You could find obscure articles that
 (22) say almost anything.
 (23) The point is that the standard of
 (24) care does take into account information published

(1) articles being the standard of care.
 (2) Q: Would the same be true for
 (3) information regarding the cause of peripartum
 (4) cardiomyopathy and/or its prognosis?
 (5) A: That's correct. One article alone
 (6) doesn't define anything.
 (7) Q: What are some of the better journals
 (8) to which you subscribe?
 (9) A: Again, there's a subjective
 (10) impression that some journals, because of more
 (11) rigor in their review boards, have a higher
 (12) quality article base. But I want to qualify that
 (13) by saying I've seen terrible articles in the best
 (14) journals and good articles in weaker journals.
 (15) So, I'll tell you the journals that
 (16) have better reputations, but in no way am I
 (17) endorsing articles as being anything more than
 (18) what they are, regardless of the journal.
 (19) But that would include the New
 (20) England Journal of Medicine, which I subscribe to;
 (21) The Journal of the American College of Cardiology;
 (22) and Circulation. Those are the three full-time
 (23) journals that I subscribe to. However, I read in
 (24) our library other journals regularly, which

Charash

Charash

(1) in important research studies. But even so, a
 (2) large major trial may or may not influence the
 (3) standard of care and may or may not be reflective
 (4) of what is happening in the clinical world.
 (5) So, it's even more tricky. An
 (6) article is just a research study, but you have to
 (7) realize that when they give the statistics at the
 (8) end of a research article, and for literature,
 (9) they want something called the P value to be under
 (10) .05. What that says is that one in twenty
 (11) articles are expected to be wrong.
 (12) So, as a result, you can't include
 (13) research articles as standard of care. They are
 (14) purely information to be integrated into clinical
 (15) practice or not.
 (16) Q: Are you able to tell me any of the
 (17) 19 and 20 articles that would then be expected to
 (18) be correct which do reflect any standard of care
 (19) for management of a patient who has peripartum
 (20) cardiomyopathy?
 (21) A: I don't consider research articles
 (22) to be involved in the standard of care. They are
 (23) supposed to be data points to be integrated by
 (24) clinical practice; so, I don't recognize any

(1) include Lancet, sometimes the Journal of the
 (2) American Medical Association. And then I read odd
 (3) articles in odd journals from time to time. But
 (4) those are the only ones that I read regularly.
 (5) Q: Do you serve on any editorial boards
 (6) for any journals relating to cardiac issues?
 (7) A: No.
 (8) Q: Did you answer, Doctor? I'm sorry.
 (9) A: I said "no."
 (10) MR. SPENCE: I can't hear you.
 (11) THE WITNESS: Can you hear me
 (12) now?
 (13) I said could you hear me?
 (14) MS. HOGAN: I can't hear.
 (15) THE WITNESS: I am talking.
 (16) Hello, hello.
 (17) MS. HOGAN: No, we didn't push
 (18) anything. Can you hear us?
 (19) MR. REGAN: Yes.
 (20) MS. HOGAN: We can't hear you.
 (21) MR. SPENCE: It's not your
 (22) end?
 (23) THE WITNESS: You still can't
 (24) hear me?

Charash

(1) (No response.)
 (2) THE WITNESS: Hello.
 (3) MR. REGAN: They didn't do
 (4) anything on their end.
 (5) THE WITNESS: Hello. Hello.
 (6) Hello.
 (7) (No response.)
 (8) MR. REGAN: They can't — it
 (9) has to be their feed.
 (10) (Discussion off the record.)
 (11) MS. HOGAN: Could the court
 (12) reporter — I asked the doctor a
 (13) question, whether he had served on the
 (14) editorial board of any journal
 (15) relating to cardiac issues.
 (16) THE WITNESS: And I said "no."
 (17) MS. HOGAN: Okay. That's when
 (18) we lost you. Thank you, Doctor.
 (19) BY MS. HOGAN:
 (20) Q: You are Board-certified in which
 (21) specialties, Dr. Charash?
 (22) A: Internal medicine and cardiology.
 (23) Q: Are you Board-eligible in any other
 (24) subspecialty or specialty?

Charash

(1) A: I believe I'm Board-eligible for
 (2) critical care because I was grandfathered in as
 (3) eligible, but I never took the boards because I
 (4) have no interest.
 (5) Q: Do you have to be recertified in
 (6) either internal medicine or cardiology?
 (7) A: No.
 (8) Q: What have you reviewed in
 (9) preparation for your deposition?
 (10) A: I reviewed medical records and
 (11) depositions relating to this case; the medical
 (12) records relating to Crystal Johnson, the deceased,
 (13) included prenatal records from Dr. Downing;
 (14) Washington Hospital Center records from 11-16 to
 (15) 11-19 when she gave birth; an emergency room visit
 (16) at Washington Hospital Center on [REDACTED] an
 (17) emergency room visit at Greater Southeast
 (18) emergency room from 12-22-99; the hospitalization
 (19) at Washington Hospital Center from 12-28 to 12-31
 (20) of '99.
 (21) I reviewed the emergency room visit
 (22) at Washington Hospital Center on January 5, 2000;
 (23) I reviewed the Greater Southeast emergency room
 (24) records at the time of her cardiac arrest on

Charash

(1) January 24, 2000; I reviewed the autopsy records
 (2) in this case; I reviewed outpatient records from
 (3) Washington Hospital Center from 12-22-99 through
 (4) her various visits in January of 2000.
 (5) I reviewed home health records
 (6) concerning the same patient, too, after her
 (7) discharge in the New Year of January 2000.
 (8) I reviewed a number of depositions,
 (9) and I pointed out to Mr. Regan this morning that
 (10) he had sent me a mailing of multiple depositions
 (11) of different parties — pardon me — earlier this
 (12) year, and although I reviewed them and I have a
 (13) general familiarity with the issues of this case,
 (14) I literally can't find the stack of them.
 (15) More recently he sent me Dr.
 (16) Latchis, L-a-t-c-h-i-s, the emergency room doctor
 (17) of January 5th, and he sent me the records from
 (18) the depo of Deon Johnson, the husband of the
 (19) deceased.
 (20) But the other depositions of multiple
 (21) parties, for reasons I don't know, I just
 (22) literally can't find the stack of them; so, I
 (23) don't have them here today and I did not rereview
 (24) them prior to this depo. I reviewed them

Charash

(1) originally in the range of two to three months
 (2) ago.
 (3) Q: Are you able to tell me today any
 (4) sort of general recollection about any of those,
 (5) for instance, the deposition of Dr. Steinway?
 (6) MR. REGAN: Marian, if you
 (7) will allow me.
 (8) Those depositions were sent by
 (9) cover letter dated August 9, 2001.
 (10) I'll give you a copy of the cover
 (11) letter. I'll give you a copy of all
 (12) the correspondence with Dr. Charash.
 (13) And I can list those depositions, if
 (14) you would like.
 (15) MS. HOGAN: Well, we don't
 (16) need to list them. We can just attach
 (17) a copy of the cover letter as an
 (18) Exhibit 1. That's fine.
 (19) MR. REGAN: Fine.
 (20) BY MS. HOGAN:
 (21) Q: But I had a different question for
 (22) Dr. Charash, and that was: As you sit here today,
 (23) are you able to tell me any specific recollection
 (24) you have from having read the deposition of Dr.

Charash

Charash

(1) Steinweg as opposed to Dr. Kokotakis?
 (2) A: Is it Steinweg or Steinway?
 (3) MR. REGAN: — weg.
 (4) MS. HOGAN: Steinweg.
 (5) A: I remember Dr. Steinweg was the
 (6) internist on the hospitalization on December 28,
 (7) 2000, and I read his notes and I read his depo.
 (8) I don't recall anything in his depo
 (9) that is going to influence my opinions today as
 (10) opposed to the written records in the chart.
 (11) Q: Would the same be true for Dr.
 (12) Kokotakis?
 (13) A: Dr. Kokotakis is the doctor who saw
 (14) the patient I think — I'm just trying to
 (15) remember.
 (16) (Perusing document.) Again, I don't
 (17) recall anything in the depositions that ultimately will
 (18) influence — I read all the depositions of most of the
 (19) parties, and the opinions I'm going to give today
 (20) are going to be documented by evidence and record.
 (21) And when I spoke with Mr. Regan
 (22) about both my concerns in terms of the management
 (23) of this case and my recollection of the
 (24) depositions, I do not believe the depositions

(1) in any of the medical records?
 (2) A: I put down little Post-its on pages
 (3) that I thought would be relevant when it comes to
 (4) explaining my position, but I don't have any
 (5) notes, per se. I just wanted to be able to
 (6) find — for example, the first Post-it is on the
 (7) page that shows you when she had her delivery, so
 (8) I remember that. Another Post-it — one
 (9) Post-it — on one or two of them I wrote the date
 (10) of the event so I would know what I was looking
 (11) at, and this is a note in the hospital
 (12) (indicating). But I don't have any specific
 (13) notations.
 (14) Q: Would it be correct that you don't
 (15) have any opinion type notations on any of those
 (16) little stickies you may have written on; they are
 (17) just factual dates and that sort of thing?
 (18) A: Exactly. They are purely to serve
 (19) as an index for the purpose of expediting my
 (20) ability to recall information.
 (21) Q: Dr. Charash, before I get into your
 (22) specific opinions, would you please give me an
 (23) overview of what you understand to be the salient
 (24) facts in this case?
 (25)

Charash

Charash

(1) offered illuminating testimony to influence the
 (2) criticism I have of the standard of care in any
 (3) party.
 (4) Q: Do you remember when you first began
 (5) to review materials for Mr. Regan in this case?
 (6) A: I believe it was in the year 2000,
 (7) last year. I don't have my original cover letter.
 (8) I think he has a copy of it, and it was December
 (9) 14, 2000.
 (10) Q: Have you read any medical articles
 (11) on the topic of peripartum cardiomyopathy since
 (12) the beginning of the year 2001?
 (13) A: I might have covered some in
 (14) different journal clubs. But I did not make any
 (15) review specifically for this case, and it
 (16) certainly has been a half year or more since I
 (17) think I've read an article.
 (18) Q: Do you remember reading any
 (19) particular articles in the last year or so on that
 (20) topic?
 (21) A: No.
 (22) Q: Have you made any notes?
 (23) A: No.
 (24) Q: Did you underline or make notations

(1) A: Well, I'm not too sure I understand.
 (2) You want me to describe what
 (3) happened to Crystal Johnson without any editorial
 (4) or comment —
 (5) Q: No. No. You can editorialize, and
 (6) then I'll go back for your specific opinions. But
 (7) I want to hear you give me an overview of what you
 (8) understand to be the facts of the case.
 (9) A: But, again, do you want me to give
 (10) you an overview with the facts of the case as I
 (11) explained where I think the standard of care
 (12) deviations occurred or you don't want me to do
 (13) that?
 (14) Q: Yes, I do want you to do that and
 (15) then I'll come back to the individual —
 (16) A: So, you want me to give an overview
 (17) of my opinions in this case?
 (18) Q: Well, all right, we'll break it
 (19) down.
 (20) Why don't you first give me an
 (21) overview of the facts about your opinions, and
 (22) then I'll come back and get the opinions.
 (23) A: Okay. Crystal Marie Johnson gave
 (24) birth on [REDACTED] by Caesarean section.
 (25)

Charash

[1] She then presented in on November
 [2] 20, '99, to Washington Hospital Center with, I
 [3] think, leg edema five days after her Caesarean
 [4] section. She was treated and released.
 [5] She appeared at the emergency room
 [6] of Greater Southeast Emergency. Here she had
 [7] shortness of breath, which was considered to be
 [8] infectious, I believe. She was treated and
 [9] released, as well.
 [10] On January 28, 2000 —
 [11] MR. REGAN: December.
 [12] A: — December 28th — thank you —
 [13] 1999, she was seen as an outpatient at Washington
 [14] Hospital Center where a diagnosis of cardiac
 [15] illness was made and she was admitted to the
 [16] hospital to what proved to be a three-day
 [17] hospitalization, being sent home on New Year's
 [18] Eve, 12-31-99.
 [19] She then had phone contact, I
 [20] believe, on January 3rd with a visiting nurse and
 [21] was doing all right.
 [22] She was seen on a few occasions by
 [23] visiting nurses.
 [24] On January 5th she came back to

Charash

[1] Washington Hospital Center emergency room with
 [2] chest pain and other symptoms; was treated and
 [3] released, as well.
 [4] She had an appointment for a cardiac
 [5] follow-up, I believe, on January 20th of 2000. It
 [6] was a snowy day, and I believe her aunt, I think,
 [7] called up and because of the snow was told to
 [8] reschedule, which was scheduled for perhaps, I
 [9] think, a week later or so; and in the interim she
 [10] died on January 24th of sudden cardiac death.
 [11] So, that's kind of the timeline as I
 [12] understand it.
 [13] Q: Okay. Chronologically in that
 [14] timeline, when do you have your first criticism of
 [15] the care that you would consider to be a violation
 [16] of the standard of care?
 [17] A: For me it is December 30, 1999.
 [18] Q: And who is the individual or the
 [19] individuals responsible for this violation of the
 [20] standard of care on December 30, 1999?
 [21] A: Nurses and medical staff at
 [22] Washington Hospital Center.
 [23] Q: Would you please tell me first with
 [24] respect to the nurses, the nursing, what the

Charash

[1] nursing violations of the standard of care would
 [2] have been on December 30, 1999.
 [3] A: And, again, I really understand this
 [4] is your deposition, but it would be probably a
 [5] little bit more organized if I tell you where I
 [6] think the standard of care violation occurred and
 [7] what the nature of it was, and then integrate the
 [8] different parties into it, because it will be very
 [9] chopped this way.
 [10] Q: That's fine. That's fine, Doctor.
 [11] Do it your way.
 [12] A: Thanks.
 [13] Q: That's fine.
 [14] A: On December 28, '99, Crystal Johnson
 [15] was recognized appropriately to have a
 [16] cardiomyopathy, which was suspected and I think
 [17] appropriately diagnosed as one that came after
 [18] delivery, called postpartum.
 [19] As I mentioned earlier in this
 [20] deposition, there is a slightly greater than 50
 [21] percent chance of spontaneous recovery, and a
 [22] slightly less than 50 percent chance of never
 [23] recovering and ultimately needing lifelong
 [24] supportive therapy and hopefully a transplant.
 [25]

Charash

[1] Given that, the critical issue with
 [2] this illness is to give the most aggressive
 [3] supportive therapy, clearly, until either the
 [4] condition resolves or until they can go on to get
 [5] a transplant, which is obviously not going to
 [6] apply to everybody, but would certainly be more
 [7] applicable to a young woman because that clearly
 [8] there is an age and general medical condition
 [9] stratification.
 [10] And given that, to optimize
 [11] someone's therapy there are three arenas of risk
 [12] to the heart in anybody; and I always break it
 [13] down very simply as the pump, the plumbing, and
 [14] the electricity.
 [15] The pump is the ejection fraction,
 [16] which we know is reduced in her. That's the
 [17] nature of her disease.
 [18] The supportive therapy are
 [19] vasodilator drugs in general, as well as
 [20] Digitalis, if needed, and Coumadin, if needed, but
 [21] drugs to help the heart beat better and protect
 [22] against certain issues.
 [23] I have no criticism of the
 [24] management of her pump. Indeed, when she was

Charash

(1) discharged in January of 2000, she was actually in
(2) pretty good shape. There's a visiting nurse note
(3) from January 10, 2000, which says she has no
(4) complaints of chest pain or shortness of breath.
(5) And although her heart is weak, and we call that
(6) heart failure, the issue is was she an active and
(7) deteriorating pump dysfunction, and the answer is
(8) no, she was not.

(9) She clearly demonstrated that up
(10) until the time of December 28th, before her
(11) diagnosis, because it was unrecognized and
(12) untreated, but with diuretics and vasodilators and
(13) other supportive drugs, I think she responded very
(14) well to therapy.

(15) There are only — well, that's the
(16) pump. So, the pump can kill you, but if you are
(17) going to die a pump death, a mechanical death
(18) because the heart can't take it, it's usually
(19) progressive with slow deterioration where you go
(20) into severe unremitting congestive heart failure
(21) with severe swelling and breathing problems that
(22) deteriorate and eventually you die of it. And
(23) some people get support devices and mechanical
(24) devices until they can do transplant. But she was

Charash

(1) nowhere near that at this point. She was actually
(2) extraordinarily well-compensated from the pump.
(3) So, for this case, both from a
(4) standard of care, treating with the pump, and from
(5) a causation, I think her pump was doing better
(6) than anything. Given how weak it was, it just
(7) shows you how well-compensated the young patient
(8) can be and how the rest of her body was healthy
(9) enough that she was doing well. So, the pump was
(10) diagnosed, managed and actually doing well.

(11) The plumbing are the fuel lines that
(12) the heart depends on to stay alive, and that would
(13) be coronary artery disease, which is responsible
(14) for most myopathy, most weakness of the heart
(15) muscle.

(16) However, in this case that is not a
(17) factor, because we know that from autopsy and
(18) clinical suspicion there was never an issue of her
(19) having coronary disease of any importance.

(20) So, her pump was okay and optimally
(21) managed, in my opinion. And they were talking
(22) about sending her to a transplant center.

(23) There are other physician notes
(24) recognizing that she had a chance — actually, a

Charash

(1) presumption of recovery.
(2) So, ultimately, I think her pump and
(3) plumbing were not an issue.
(4) It gets us to electricity.
(5) My criticisms will be relating to
(6) the failure to appreciate and manage electrical
(7) risk.

(8) A person dies once a minute in the
(9) United States. These deaths are electrical sudden
(10) deaths.

(11) It will be my opinion that both
(12) cause of death was a arrhythmia, a short-circuit
(13) of the heart's electrical system, which is the
(14) predictable high-risk reason why people die of
(15) cardiomyopathy. The majority of people with
(16) cardiomyopathy will not die of pump failure but
(17) will die of sudden electrical death.

(18) Now, those who are optimized for
(19) that will be in danger of one day dying of pump
(20) failure if statistically that's where they go.

(21) Now, electrical sudden death has a
(22) virtual 100 percent save rate if they are shocked
(23) with a defibrillator within one minute of the
(24) event, and every minute that goes by without a

Charash

(1) shock, their chance of dying increases — goes up
(2) an absolute ten percent. That's why the invention
(3) of implantable defibrillators, which were the
(4) standard of care and available in 1999 and 2000,
(5) became more and more widely used.

(6) Now, there are several issues.
(7) You have a young woman with a newly
(8) recognized cardiomyopathy with certain EKGs that
(9) showed subtle shifts, which could suggest that the
(10) substrate was under shift of her muscle, would
(11) had — she was young, with a new myopathy. The
(12) number one reason why she's going to die is going
(13) to be electrical sudden death, prospectively. We
(14) just know that on December 28th of 2000, because
(15) that's the biggest cause of her death.

(16) Now, a defibrillator, which can be
(17) implanted, after studies that are often done to
(18) help figure out how to use the defibrillator best,
(19) carries virtually no substantial risk. It's like
(20) a pacemaker being put in which carries less than a
(21) 1 in 500 risk of a major event and will be
(22) lifesaving. Had one been put in her, she would be
(23) alive today.

(24) And given just the first principle

Charash

(1) that she was young with a catastrophic disease
 (2) that will kill her by an electrical death as the
 (3) leading cause, there are specific features in the
 (4) chart that I believe went unheeded that further
 (5) amplify her need and her danger of electric risk,
 (6) and that is where it begins on December 30, 2000.
 (7) Besides the first principle of a
 (8) catastrophically damaged heart and an active
 (9) environment, which we know this type of immune
 (10) disease is, that it's a dynamic substrate of the
 (11) muscle, on December 30th of '99 there's a nursing
 (12) note from 2:30 a.m. in the progress notes, which
 (13) is a nursing note and it says, "X cover, 12-30-99,
 (14) 2:30 a.m."
 (15) Q: Doctor, do you happen to have a page
 (16) reference for that?
 (17) MR. REGAN: No, he does not
 (18) have a paginated set. It was sent
 (19) before litigation.
 (20) I might, though. I have my
 (21) set, Marian.
 (22) MS. HOGAN: Okay. Let me see
 (23) if I can find it, just for our
 (24) reference.
 (25)

Charash

(1) I think I see it. I think it
 (2) is Page 144 or 34.
 (3) BY MS. HOGAN:
 (4) Q: Doctor, it says NFX there?
 (5) A: Yes.
 (6) Q: I have it.
 (7) A: Okay. (Perusing document.) It
 (8) says — I can't read it. It says CTSP. I don't
 (9) know what that means.
 (10) But it says: Secondary vague
 (11) symptom of lightheadedness and palpitations.
 (12) Patient worried about heart condition. Reassured
 (13) the patient is being closely monitored on
 (14) telemetry.
 (15) Now, I have several issues here:
 (16) Lightheadedness can have two
 (17) implications. The first is that you could be
 (18) lightheaded because your heart muscles is weaker
 (19) and you are getting less blood to the brain in
 (20) general; although, actually, young women — her
 (21) blood pressure was running in the 90 to 100 range
 (22) systolic, on vasodilators, and would not be
 (23) expected to be lightheaded at that blood pressure.
 (24) range. You could be lightheaded from an
 (25)

Charash

(1) arrhythmia if you have a momentary change of
 (2) electricity.
 (3) Palpitations and lightheadedness
 (4) would be a very concerning symptom and would make
 (5) one very concerned about whether or not they, in
 (6) fact, had an arrhythmia in that time frame.
 (7) Now, what I want to point out is
 (8) that she was presumably on telemetry. Now, this
 (9) is 2:30 in the morning.
 (10) I looked at the telemetry strips
 (11) later in the chart and there is no strip
 (12) identified as coming from that time frame.
 (13) As a director of a monitored unit
 (14) and having worked with many different symptoms,
 (15) they are all less capable than Holter monitoring
 (16) because they miss many arrhythmias, and the alarm
 (17) system misses it.
 (18) So, for example, with review
 (19) retrospectively of the rhythm abnormalities, we
 (20) have clearly had documented examples of finding
 (21) arrhythmias that no one knew about until someone
 (22) specifically looked back and looked for it. That
 (23) if you are the nurse at the bedside and a patient
 (24) says they have a symptom, it's not enough to
 (25)

Charash

(1) simply say, well, no one called for an alarm, so I
 (2) assume everything is okay. Somebody must
 (3) specifically go back and review the rhythm
 (4) abnormality at that time. If they do that, then
 (5) you have to document with a strip whether or not
 (6) there was a run of ventricular tachycardia or not.
 (7) We do see multiple VPCs documented
 (8) throughout different rhythm strips, which shows
 (9) that she had some electrical activity going on.
 (10) And with her myopathy alone, I would be worried
 (11) about putting in a defibrillator. But with the
 (12) lightheaded episode and palpitations, that is
 (13) extremely germane and there is, again, no strip
 (14) documented to be 2:30 or 2:00 o'clock in the
 (15) morning, whenever her symptom was, to prove or
 (16) disprove whether at that moment there may or may
 (17) not have been extra beats clumped together. That
 (18) was critical and mandatory.
 (19) In addition, even if there were
 (20) strips, not everything is always seen on
 (21) telemetry, but you must start there.
 (22) So, the nurse has an obligation to
 (23) document the strip at that moment and highlight it
 (24) to show what she had, because that's the classic
 (25)

Charash

(1) symptom that you have to worry about.
 (2) The next morning, the same day —
 (3) **Q:** Wait a minute, Doctor. Hold it
 (4) before we get to the next morning.
 (5) On that telemetry strip, you said
 (6) you reviewed telemetry strips. Do you have them
 (7) there?
 (8) **A:** Yes.
 (9) **MS. HOGAN:** Pat, where are
 (10) they in your chart?
 (11) **MR. REGAN:** We'll try to find
 (12) them in a minute. I only have a
 (13) portion of the chart, Marian. I may
 (14) not have everything.
 (15) **MS. HOGAN:** Okay.
 (16) **Q:** How many sheets do you have on
 (17) telemetry?
 (18) **A:** Well, the telemetry strips are
 (19) attached to broader nursing flow sheets.
 (20) First of all, I want to go to one.
 (21) (Perusing document.) There's a flow
 (22) sheet of vital signs which is not telemetry, which
 (23) says 12-29 to 12-30, where vital signs are
 (24) measured; and of note, at 2400 hours, which is

Charash

(1) midnight on the 29th to 30th, there are vitals,
 (2) but nothing is recorded at two in the morning on
 (3) the flow sheet, which I would expect a full set of
 (4) vitals to be noted, and that wasn't done by the
 (5) nursing deficiency.
 (6) Additionally, I have here a flow
 (7) sheet which has three rhythm strips on it; one is
 (8) December 29, '99 at 12:05:34 p.m.; one is at
 (9) 12-29-99 at 5:06 p.m. and the third strip is
 (10) covered and, therefore, the timeline is not
 (11) defined.
 (12) **Q:** Doctor, could you please hold that
 (13) page up so I can try to find that? Hold it up as
 (14) close as you can to the camera?
 (15) **A:** I'll come up.
 (16) **MR. REGAN:** Marian, I'll tell
 (17) you what is on the bottom.
 (18) **MS. HOGAN:** Okay.
 (19) **MR. REGAN:** On the bottom is
 (20) the Addressograph label in the lower
 (21) right-hand corner. I think it's Page
 (22) 168.
 (23) **THE WITNESS:** Here, can you
 (24) see this (indicating)?

Charash

(1) Here, let me move it.
 (2) **MS. HOGAN:** Let me see if I
 (3) can find that.
 (4) **THE WITNESS:** It's Page 5 of a
 (5) flow sheet. Meanwhile, while you are
 (6) looking, may I answer a page? I'm
 (7) going off the record.
 (8) **MS. HOGAN:** I have — it's not
 (9) my Page 168, but I have a Page 169
 (10) that is different than that.
 (11) **MR. REGAN:** Marian, let's go
 (12) off the record. He's returning a
 (13) page.
 (14) **MS. HOGAN:** Okay.
 (15) (Discussion off the record.)
 (16) (Document on letterhead of
 (17) Regan, Halperin & Long, dated August
 (18) 9, 2001, to Bruce Charash, M.D., from
 (19) Patrick M. Regan, was marked as
 (20) Charash Exhibit No. 1 for
 (21) identification, as of this date.)
 (22) (Whereupon, at 11:20 o'clock
 (23) a.m., a recess was taken.)
 (24) (Whereupon, at 11:30 o'clock

Charash

(1) a.m., the deposition resumed.)
 (2) **THE WITNESS:** Thank you for
 (3) that break.
 (4) **MS. HOGAN:** Are we back on the
 (5) record, madam reporter?
 (6) **THE COURT REPORTER:** Yes, we
 (7) are.
 (8) **BRUCE D. C HARASH,** resumed and
 (9) testified further as follows:
 (10) **BY MS. HOGAN:**
 (11) **Q:** Doctor, do you recall where you left
 (12) off?
 (13) **A:** Yes. I was pointing out that the
 (14) EKG strips, that there is no clearly documented
 (15) strip from December 30th at either somewhere
 (16) between 2:00 and 2:30 in the morning.
 (17) The nursing note is written at 2:30.
 (18) It doesn't clarify what time the patient's symptom
 (19) was, so that's a little bit of a problem.
 (20) So, the question is, we do not have
 (21) a telemetry strip to correspond to the time of the
 (22) symptom; we don't see an effort on the nurse to
 (23) highlight such a strip; we don't see an effort on
 (24) the nurse to inform medical staff outside of the

Charash

(1) writing of the note.
 (2) And then what we see is the next
 (3) day, the next morning, the same calendar day —
 (4) this is where I was with you before — what we see
 (5) is Dr. Kokotakis, the medical attending, makes no
 (6) reference to the lightheadedness and palpitations
 (7) from the night before, nor does Dr. Ross, who is
 (8) the cardiologist, make reference to the documented
 (9) symptom, which I think is a red flag symptom in a
 (10) high-risk lady who is having multiple extra beats
 (11) throughout her time there.
 (12) The point is that, one, telemetry is
 (13) not as good as a Holter monitor which has to be
 (14) placed upon discharge anyway; but, number two, she
 (15) had a potential index symptom in the hospital, and
 (16) the doctors do not make reference to it, and it is
 (17) a major symptom that cannot be ignored.
 (18) The nurse does not highlight it, and
 (19) we will not know unless that strip from the
 (20) entire, I would say, hour before 2:30, because we
 (21) don't know what time this patient felt her
 (22) symptom. We just know the nurse wrote a note at
 (23) 2:30 that she had palpitations and
 (24) lightheadedness.
 (25)

Charash

(1) I would want to see the entire hour
 (2) of telemetry to see if there was a multiple run of
 (3) ventricular tachycardia that would have then
 (4) mandated a defibrillator, would have taken it away
 (5) from any even possibility of not putting it in.
 (6) I believe once you —
 (7) Q: Go on, Doctor, I didn't mean to
 (8) interrupt you.
 (9) A: I believe one should have been put
 (10) on the first principles of her young age and her
 (11) extra beats and her myopathy, to begin with.
 (12) But feeling lightheaded would have
 (13) demanded an evaluation and almost certainly a
 (14) defibrillator, as well as a Holter monitor.
 (15) So, my standard of care deviation
 (16) begins at 2:30 in the morning when a nurse
 (17) documents two pivotal symptoms, lightheadedness
 (18) and palpitations; fails to document it with the
 (19) rhythm strip corresponding to the time of the
 (20) symptom, by failing to document the time of the
 (21) symptom, just the time of her note; failing to
 (22) have rhythm strips to demonstrate what was
 (23) happening; the failure on the part of two
 (24) physicians, Ross, and I'll call him "Dr. K," the
 (25)

Charash

(1) medical attending from the hospital; failure to
 (2) arrange Holter monitors; failure to ultimately
 (3) have a defibrillator put in, are my criticisms
 (4) from that admission.
 (5) Q: Doctor, would you tell me which
 (6) needed to be implanted first, the defibrillator or
 (7) a Holter monitor, in your view, to comport with
 (8) the standard of care?
 (9) A: I believe that if the rhythm strip
 (10) had been found from the time of her symptom, which
 (11) we don't even know what that time is, in view of
 (12) the extra beats she was showing, more likely than
 (13) not she would have had three or more beats of what
 (14) we call the attack, and would have then had a
 (15) defibrillator inevitably placed before discharge.
 (16) If the rhythm strip was borderline
 (17) or unfindable the next day, then a Holter monitor
 (18) would have been organized, which I think more
 (19) likely than not over a period of 48 hours of
 (20) discharge would have shown extra beats that would
 (21) have mandated a Holter monitor.
 (22) I believe she should have had a
 (23) stress test prior to discharge, not to worry about
 (24) coronary disease, but to demonstrate whether or
 (25)

Charash

(1) not during activity she had electrical
 (2) excitability.
 (3) I think that between an aggressive
 (4) evaluation by Holter monitors and a treadmill EKG,
 (5) I believe that it would have demonstrated, either
 (6) by looking at the rhythm strip from the 12-30,
 (7) that date when she had her symptom, which we don't
 (8) even know the time of the symptom, between a
 (9) Holter monitor and/or a stress test she would
 (10) have, in fact, had demonstration of electrical
 (11) risk.
 (12) I believe she was discharged after
 (13) just the 28th — of less than three days in the
 (14) hospital. Three days in the hospital, I don't
 (15) believe that's an adequate time.
 (16) Just because it's New Year's Eve on
 (17) the Millennium, to get somebody home when their
 (18) life depends on a proper evaluation, I believe it
 (19) was a rush discharge.
 (20) So, these are the multiple
 (21) deviations.
 (22) I believe that inevitably had the
 (23) proper work-up been done and the proper evaluation
 (24) been done, inevitably she would have had a
 (25)

Charash

Charash

(1) [2] defibrillator.

(3) Q: Dr. Charash, where in the chart did
(4) you see that this patient was discharged because
(5) it was the New Year's Eve of the Millennium?

(6) A: I'm basing it on the fact that she
(7) was sent home at three days before a full
(8) evaluation was done, and I certainly know that
(9) hospitals tend to discharge people before
(10) holidays.

(11) Q: What experience, if any, do you have
(12) with the discharge practices of the Washington
(13) Hospital Center?

(14) A: Nothing, other than recognizing that
(15) this was highly inadequate and premature.

(16) Q: But, as for your attribution of the
(17) discharge on the 31st to the fact that you believe
(18) it was a rush job relating to the advent of the
(19) Millennium, do you have any basis, in fact, for
(20) that statement?

(21) A: I'll modify the statement.
(22) It was a rush job that was
(23) inappropriately early, regardless of what holiday
(24) appeared on that date.

(25) Q: Would a patient have to be under

(1) [2] a much higher predictive rate of having sudden
(3) death at home and having an absolute shield
(4) against electrical sudden death, which will not —
(5) which overwhelms the risk of, the small risk of
(6) the procedure.

(7) Q: Is it your testimony that both of
(8) these devices had to be implanted or just one and
(9) then depending on the results the other?

(10) A: A Holter monitor is just an EKG
(11) 24-hour tape you wear like a tape deck. It's a
(12) diagnostic tool and it is not implanted; it's just
(13) applied. You just wear it for a day.

(14) I'm saying that the EKG strip, which
(15) appears not to be presented from 2:30 a.m. on
(16) December 30th — and, again, it can't just be even
(17) 2:30; it has to be the time she felt her symptom.
(18) The nurse documented the note at 2:30, but does
(19) not document what time the symptom was. She
(20) writes a note at 2:30. For all we know, the
(21) symptom was at 2:10. But you need the nurse to
(22) document the time of the rhythm abnormality — of
(23) the symptom, and then you need a strip that
(24) corresponds to the exact moment and document it.
(25) I believe that more likely than not

Charash

Charash

(1) [2] anesthesia in order to have a defibrillator
(3) placed?

(4) A: No.

(5) Q: How about for a Holter monitor?

(6) A: No.

(7) Both are done — the Holter monitor
(8) is done to any awake person. And a defibrillator
(9) is like a pacemaker; it's under local anesthesia
(10) and it takes place in less than a half hour to an
(11) hour.

(12) Q: What are the risks, if any,
(13) attendant to implantation of a defibrillator
(14) and/or a Holter?

(15) A: Well, a Holter has no risk. A
(16) Holter is just an EKG you wear around your body.
(17) A defibrillator has the same risk,
(18) slightly more of a pacemaker. There's a risk of
(19) perforating the heart, of causing what we say is a
(20) pneumothorax, causing infection locally. And I
(21) guess there is an extraordinarily remote chance of
(22) dying. But for major complications altogether,
(23) it's under one percent.

(24) It's considered extraordinarily
(25) safe. And the key is it's weighed against what is

(1) [2] had that appeared, it would have shown an
(3) arrhythmia that would have demanded a
(4) defibrillator.

(5) I believe that absent that being
(6) available, you would need Holter monitors, a
(7) stress test to look at the electrical activity of
(8) the heart, those two things; on top of the fact
(9) that I believe the standards already promoted a
(10) defibrillator, being that she's very young with a
(11) large myopathy that is dynamic, and the fact that
(12) she has enough ectopy on the monitor and those
(13) symptoms alone, I believe, inevitably must lead to
(14) a defibrillator.

(15) I believe that hard evidence been
(16) sought out by Holter monitors, a longer hospital
(17) stay, a stress test to see how the electrical
(18) system responds to activity, I believe that she
(19) would have had more objective evidence to demand a
(20) defibrillator, which I think should have been
(21) implanted just by the extra beats, her underlying
(22) condition and young age, since the number one
(23) reason why she's going to die is electrical
(24) instability. And I think evidence mounts that she
(25) needs a more aggressive electrical approach.

Charash

(1) Q: Do you have an opinion to a
 (2) reasonable degree of medical probability that Ms.
 (3) Johnson should have been discharged with a
 (4) defibrillator in place?
 (5) A: I believe that had the proper
 (6) work-up been done in the hospital, which would
 (7) have been a longer stay on monitoring, with a
 (8) stress test and a Holter, and proper review of
 (9) that episode, yes, I believe that would have
 (10) occurred.
 (11) I also believe that even in the
 (12) absence of anything more than what is seen on the
 (13) chart, she required a defibrillator, since it was
 (14) a low risk way of preventing the high risk cause
 (15) of death for people like her.
 (16) Q: And who is it that would monitor the
 (17) patient who would be discharged on a
 (18) defibrillator?
 (19) A: Cardiologists.
 (20) Q: On the topic of the telemetry
 (21) strips, is it your understanding that there
 (22) actually were continuous telemetry strips and
 (23) these portions that you see in the chart merely
 (24) are representative pieces of those?
 (25)

Charash

(1) A: I have no presumption at all.
 (2) I'm just saying that every telemetry
 (3) system that I'm aware of — and I have surveyed
 (4) many hospitals, and I've surveyed many different
 (5) companies — I certainly have no awareness of the
 (6) specifics at the hospital, Washington Hospital
 (7) Center, but almost every telemetry center today
 (8) has the ability to pull a segment out of memory
 (9) for a specific time that you are interested in,
 (10) and typically by routine, nurses post certain
 (11) examples either to show routine rhythm or to show
 (12) anything abnormal.
 (13) But there is also an expectation of
 (14) going back to the memory and looking at certain
 (15) times when symptoms occurred.
 (16) And if you have the fortuitous
 (17) opportunity to have a symptom reported in the
 (18) middle of the night, it is critical to pull out
 (19) the timeline from the moment the symptom occurred.
 (20) You must document from the patient when did you
 (21) feel that, and then pull out the strips.
 (22) And I would expect that either the
 (23) system has an absolute ability to do that. And if
 (24) it doesn't, I believe that Washington Hospital
 (25)

Charash

(1) Center has an obligation to have a system that can
 (2) provide it, or they should have been having a
 (3) Holter monitor at the same time, which some
 (4) hospitals do do. Some people come into the
 (5) telemetry which can't record things, but they get
 (6) a contemporaneous Holter monitor.
 (7) So, I mean the hospital had a
 (8) responsibility to provide it. I have no
 (9) preconceived idea as to what system that hospital
 (10) uses because I have not seen testimony to that
 (11) fact.
 (12) Q: With respect to the telemetry
 (13) system, whatever it is that the Washington
 (14) Hospital Center uses, correct me if I'm wrong, but
 (15) when you are talking about pulling the strips out
 (16) of memory, you are talking about while the patient
 (17) is in the hospital, you are not talking about
 (18) today, in 2001, correct?
 (19) A: No; I'm talking about right then and
 (20) there the nurse should have done it.
 (21) Q: But you are not saying that the
 (22) systems you are familiar with are capable two or
 (23) three years later of pulling out information from
 (24) that patient, are you?
 (25)

Charash

(1) A: No. It should be cleared when the
 (2) patient goes home. That's why nurses have the
 (3) obligation to make sure that they document, as
 (4) well as the physicians the next day, they have the
 (5) ability to go back — somebody should have gone
 (6) back and been specific.
 (7) I have a criticism that the doctors,
 (8) both Ross and the internist, failed to even
 (9) acknowledge the symptom, much less look at the
 (10) telemetry strip; and that the nurse that night
 (11) failed to look for the telemetry strip, identify
 (12) the time of her symptom and correlate it. All of
 (13) these, I think, are breaches of the standard of
 (14) care that directly impacted upon this patient's,
 (15) what I considered deviation of the standard of
 (16) care and her death.
 (17) Q: And is it your testimony that had
 (18) any of the physicians addressed the issue of the
 (19) lightheadedness and what you call the index
 (20) symptoms, I believe, then they would have
 (21) comported with the standard of care?
 (22) A: For that issue they would have; not
 (23) for the other issues.
 (24) Q: Okay. I think you left off — is
 (25)

Charash

[1] there anything else about the nursing note of 2:30
[2] in the morning on the 30th of December that brings
[3] to mind violations of the standard of care for
[4] you?

[5] A: No. Again, that was my first
[6] criticism because that's the first time in her
[7] course that I think a high risk index symptom
[8] occurred.

[9] As I told you, I think that
[10] reviewing that strip would have probably revealed
[11] at least three beats of V-tach, which would have
[12] then mandated a defibrillator.

[13] I believe if it did not, even though
[14] I think it more likely than not would have, in the
[15] case it did not, then I believe that still there
[16] was an obligation for a longer hospital stay,
[17] Holter monitoring and a stress test, because this
[18] lady is expected to go back and take care of a
[19] baby and carry on life, and how is she expected to
[20] do that as a 26-year-old without the hospital
[21] having a clue how her heart's electrical system
[22] will respond the first time there is adrenaline in
[23] her body.

[24] You know, she's going to have a lot

Charash

[1] of life stress, emotional and physical stress of
[2] not only dealing with a new heart disease, which
[3] carries an emotional weight which is stressful, as
[4] we've seen in the hospital, but she's going to go
[5] home to be taking care of a baby and carry on
[6] activities of life.

[7] And given that, there is no way
[8] these doctors had any clue how her heart is going
[9] to respond to the first time there is any
[10] adrenaline in her body.

[11] And the way you do that, because
[12] that irritates the electrical system, is a stress
[13] test for her own safety and to know how far she
[14] can go.

[15] It was below the standard of care to
[16] not perform that test. It was below the standard
[17] of care not to have a longer period of monitoring.
[18] It was below the standard of care not to have
[19] Holter monitors for their greater sensitivity than
[20] a hospital telemetry unit now placed for at least
[21] 48 hours.

[22] And I believe, just given her
[23] symptoms and the fact that sudden death is what is
[24] going to kill her electrically, just putting in a

Charash

[1] defibrillator in view of her young age, serious
[2] heart condition and her symptoms alone I think
[3] would have demanded it.

[4] But had they done the modicum of a
[5] proper work-up, I think objectively they would
[6] have would have proven what we already know.
[7] So, I think it was premature
[8] discharge, not looking at telemetry, failure to
[9] get monitoring, are all part of this diagnosis.

[10] My only nursing criticism from that
[11] admission happens to be the failure of the nurses
[12] to provide the data on the telemetry.

[13] Q: And if the nurses had advised a
[14] resident or physician, cardiologist, whatever, any
[15] kind of an M.D., then the nurses at least would
[16] have fulfilled their standard of care?

[17] A: Yes. Had they, you know, printed
[18] out strips from the proper time, which is within
[19] their realm of responsibility, and notified
[20] physicians, yes, they would have.

[21] I give the nurse credit for
[22] documenting the symptom.

[23] I think she does an inadequate job
[24] of documenting the mandatory monitoring; and I

Charash

[1] certainly blame the doctors for not following
[2] through the next morning.

[3] Q: Well, assume hypothetically that the
[4] nurse did not actually print out the strip for
[5] that time, I think you said the hour before 2:30,
[6] but did bring it to the attention of the
[7] physician. Would the nurse at least have
[8] comported with the standard of care?

[9] A: She would have more so.
[10] I think the point is that both
[11] doctors and nurses have an equal responsibility to
[12] print it out.

[13] I deal with nurses all the time on
[14] telemetry. If there is a symptom, they print out
[15] the strip from the time that they observed the
[16] symptom, because they're contemporary to that
[17] symptom.

[18] But the next morning when they
[19] report in, if they fail to do it, I or other
[20] physicians on the floor will do it.

[21] But the point is both parties must
[22] be held to that simple standard. The nurses know
[23] how the machine works and so do the doctors. They
[24] both have a responsibility to do it.

Charash

[1] If the doctors did it, then the
 [2] nurse would be off the hook because it was done.
 [3] But I believe they both have a
 [4] responsibility equal to each other, because if you
 [5] do not have redundancy and back-up, things go
 [6] wrong, and it doesn't happen. So I blame both the
 [7] nurse and the doctor, because even if the nurse
 [8] notified the doctor, which is not documented here,
 [9] I believe she had an independent responsibility to
 [10] show the rhythm abnormality.
 [11] Q: What's the next violation of the
 [12] standard of care?
 [13] A: Well, now I've already gone through
 [14] discharge from the hospital.
 [15] The next violation of the standard
 [16] of care is the emergency room visit at the same
 [17] hospital on January 5, 2001, when the patient
 [18] appears in the emergency room.
 [19] On the nursing note —
 [20] Q: Wait. Before we get into that,
 [21] Doctor, let me interrupt you.
 [22] So, have you completely cataloged
 [23] your violations of the standard of care for that
 [24] hospitalization from 12-28 until what you consider

Charash

[1] to be the premature discharge on 12-31?
 [2] A: Yes; except for one other caveat. I
 [3] think they arranged a follow-up on January 20th is
 [4] their plan, which was three weeks after discharge,
 [5] which I think is unacceptably long, considering
 [6] the severity of her condition in terms of
 [7] short-term risk.
 [8] I believe there should have been
 [9] Holter monitors arranged at home.
 [10] However, I think she should have had
 [11] her defibrillator beforehand, because she
 [12] shouldn't have been sent home.
 [13] It's kind of a Catch 22. If they
 [14] had discharged her prematurely, there should have
 [15] been more cardiac monitoring and a better
 [16] outpatient follow-up. However, that shouldn't
 [17] have happened because she shouldn't have been
 [18] discharged until a more thorough hospitalization
 [19] occurred.
 [20] Q: Who was responsible for the
 [21] outpatient monitoring that you believe the
 [22] standard of care required, as well as for the
 [23] Holter monitoring and the defibrillator?
 [24] A: I believe that Dr. Ross and Dr. Lee

Charash

[1] as the cardiologists share the primary
 [2] responsibility.
 [3] I believe that doctor "Kokotakis" —
 [4] Q: Kokotakis.
 [5] A: — Kokotakis — pardon me — as the
 [6] admitting doctor, not the consultant, had a
 [7] partial responsibility to make sure that there was
 [8] a more thorough cardiac evaluation; although he
 [9] did have some reasonability in deferring to the
 [10] cardiologist, but that doesn't absolve him if they
 [11] are completely misguided in an overly short
 [12] hospital stay. But I do put more responsibilities
 [13] on the cardiologists.
 [14] Q: Okay. Let's go to the ER visit of
 [15] the 5th.
 [16] A: (Perusing document.) On January
 [17] 5th, 2000, Mrs. Johnson came to the emergency room
 [18] and the nursing note says, "Left-sided chest
 [19] pressure, radiating to right shoulder and back, no
 [20] nausea or vomiting, no shortness of breath, no
 [21] diaphoresis, positive lightheadedness since
 [22] yesterday."
 [23] Now, the blood pressure on admission
 [24] is 100/45, which is a good blood pressure for

Charash

[1] somebody with a cardiomyopathy. I've seen women
 [2] in their 20s who have had the exact same condition
 [3] whose blood pressure I ran at 60/30 and they were
 [4] happy at that blood pressure.
 [5] The blood pressure is repeated
 [6] throughout the evening and it's 110/67 — sorry —
 [7] 109/67, 108/71, 109/68.
 [8] One thing I'm convinced of is that
 [9] Crystal Johnson had a more than adequate blood
 [10] pressure. If anything, she could have afforded to
 [11] have a lower blood pressure with more vasodilator
 [12] therapy.
 [13] So, I don't believe that there would
 [14] be any reasonability in assuming that
 [15] lightheadedness was coming from her blood
 [16] pressure, which is actually higher than the
 [17] average person should have with her underlying
 [18] heart disease.
 [19] It doesn't mean that their
 [20] lightheadedness could not have been from a
 [21] hemodynamic basis, which is always a possibility.
 [22] But it does require a thorough history to know if
 [23] the lightheadedness is episodic or continuous, to
 [24] know the nature of it, to know the severity of it,

Charash

(1)
 (2) to know how it relates to the lightheadedness in
 (3) the hospitalization on 12-30.
 (4) Also, it's clear that she was
 (5) lightheaded since January 4th. That clearly is a
 (6) new phenomenon that was not there on January 3rd
 (7) or 2nd or 1st.
 (8) Given that, it certainly demands an
 (9) evaluation, a cardiac consultation and a focus on
 (10) the issue that I believe was abandoned on her
 (11) December hospitalization.
 (12) However, the emergency room doctor,
 (13) and I have read his deposition, Dr. Latchis,
 (14) L-a-t-c-h-i-s, doesn't even make reference to the
 (15) symptom. It's not followed through.
 (16) This is the second time a nurse has
 (17) made reference to a symptom that is an index
 (18) symptom, and it's the second time that physicians
 (19) have totally ignored the nurses.
 (20) So, to that degree, I believe — and
 (21) she was monitored for a brief period of time in
 (22) the emergency room, but certainly not for the
 (23) extensive level that I had mentioned earlier.
 (24) I believe that it's a major
 (25) shortcoming of Dr. Latchis to not have demanded an

Charash

(1) independent evaluation. I believe this was a
 (2) second chance to save her after the failed first
 (3) hospitalization, and I believe the second chance
 (4) was abandoned.
 (5) Q: So, exactly where did Dr. Latchis
 (6) violate the standard of care? In failing to get a
 (7) cardiac consult, is that the failure?
 (8) A: First, failing to get the adequate
 (9) history to the lightheadedness, to describe it,
 (10) its duration and nature and severity; then the
 (11) second, to get a cardiologist involved so that a
 (12) proper electrical evaluation could now be
 (13) entertained; and the third is to keep her until
 (14) that occurred or was hospitalized.
 (15) Q: Anything else for that
 (16) hospitalization of January 5th?
 (17) A: No.
 (18) Q: The next violation of the standard
 (19) of care?
 (20) A: Well, I think that my violations are
 (21) continuous violations in that at this point I
 (22) believe she did show up on January 10th, I
 (23) believe, for a — (Perusing document) — was it
 (24) January 10th?
 (25)

Charash

(1)
 (2) MR. REGAN: Yes.
 (3) A: She has a visit on January 10th for
 (4) her outpatient follow-up on the internal medicine
 (5) side.
 (6) And although I have no specific
 (7) symptom or high risk barometer identified there, I
 (8) believe that the delay — that her electrical
 (9) rhythm should have been worked up and that there
 (10) was always the opportunity to save her. And I
 (11) think by the 10th they could have mobilized that
 (12) effort.
 (13) So, really my only criticism is that
 (14) as an ongoing risk it was never paid attention to.
 (15) MR. REGAN: I'm showing the
 (16) doctor my copy so he doesn't have to
 (17) keep shuffling through. It's Page
 (18) 203.
 (19) THE WITNESS: I know I have a
 (20) copy. We were discussing my copy
 (21) earlier. I just can't find it.
 (22) Q: Okay.
 (23) A: Let me just take a look again.
 (24) (Perusing document.)
 (25) I mean, again, it's a smaller

Charash

(1) violation in its own right, but on this visit
 (2) there's no extraction of the history of
 (3) lightheadedness, which is an important question to
 (4) ask as a general evaluation of a person with
 (5) myopathy, especially when it was a prominent part
 (6) of her symptom just five days earlier.
 (7) I don't know whether or not — how
 (8) this hospital works in terms of the access of
 (9) records from the emergency room to a treating
 (10) doctor five days later, but it is the same
 (11) institution. I would expect some sense of
 (12) continuity of care.
 (13) It's a much lower criticism. It's
 (14) the ongoing failure to evaluate the symptom, to
 (15) ask about the symptom and to do it properly.
 (16) So, to the degree that she was not
 (17) seen earlier enough for the cardiologist, that her
 (18) electrical symptoms were not pursued, that the
 (19) work-up was not pursued, I think it's just a
 (20) continuing problem.
 (21) I think that it's more apparent on
 (22) the admissions where I think nurses pick up
 (23) symptoms that doctors ignore, but in this case I
 (24) think this is just more of the same; that there is
 (25)

Charash

[1] no communication and a failure to pursue what
 [2] needed to be done.
 [3] Q: Would it be fair to say that on that
 [4] office visit of 1-10-2000, you are not able to
 [5] determine from the information present whether or
 [6] not the internal medicine physician did ask about
 [7] such a symptom as lightheadedness, since the
 [8] patient specifically states that she was doing
 [9] well and there are many other questions about
 [10] review of systems —
 [11] A: Let me —
 [12] Q: — is it fair to say you don't know
 [13] that?
 [14] A: Let me actually answer that by
 [15] saying that there are many pieces of information
 [16] we ask patients.
 [17] If there is a positive result,
 [18] meaning an abnormality, we absolutely have an
 [19] obligation as medical care providers to document
 [20] it.
 [21] There are many negatives; things we
 [22] ask people and they don't have, and I certainly
 [23] acknowledge that in medicine we do not record
 [24] every negative, because clearly it would be
 [25]

Charash

[1] difficult to do.
 [2] However, there are certain negatives
 [3] that have critical implications towards patients'
 [4] well-being. One of them is palpitations and
 [5] lightheadedness, which has enormous implications
 [6] for somebody with a cardiomyopathy. It is a
 [7] negative that must be documented individually and
 [8] cannot be assumed because it was not mentioned as
 [9] a positive.
 [10] And even though they say everything
 [11] is okay, that is hardly adequate; especially if a
 [12] patient has had that symptom twice, once in a
 [13] hospital, once in an emergency room, and may have
 [14] already assumed the symptom is benign. Because
 [15] it's not the magnitude of dizziness alone that
 [16] matters, it's presence has implications and this
 [17] patient is not expected to know it.
 [18] So, if she was feeling episodic
 [19] dizziness but already assumed that doctors thought
 [20] it was okay, she may not be reporting it. You
 [21] can't make any assumption like that.
 [22] Q: Is it —
 [23] A: So, my answer —
 [24] Q: Is your assumption —
 [25]

Charash

[1] A: My assumption is it's not
 [2] documented.
 [3] Q: Okay. Is it your assumption that
 [4] the term "lightheadedness" meant dizziness for
 [5] Crystal Johnson?
 [6] A: It's my assumption that it was not
 [7] explored properly.
 [8] It's my assumption that there is no
 [9] documentation or exploration of the symptom or
 [10] proper monitoring by history or by objective
 [11] testing.
 [12] That is all I'm assuming. I'm just
 [13] showing that there was a breakdown in the doctors
 [14] here, and the only reason why I can't know for
 [15] sure is the doctors' breach of the standard of
 [16] care at multiple levels; on the 30th, on January
 [17] 5th, and then to a lesser degree on January 10th.
 [18] Q: Okay. The next violation?
 [19] A: That's it.
 [20] Q: Okay.
 [21] A: I mean, it's ongoing. It's just a
 [22] continual failure to observe her for the highest
 [23] risk reason why she might die.
 [24] You know, I certainly think that
 [25]

Charash

[1] when she couldn't make it on the 20th due to the
 [2] snowstorm, I think it was not within the standard
 [3] of care to defer her for a week. However, I don't
 [4] believe by causation realistically we can expect
 [5] by the 21st, if she had shown up, to necessarily
 [6] prevent her death on the 24th by a work-up. So,
 [7] I'm restricting myself by causations from my
 [8] viewpoint for those visits up until then.
 [9] Q: As a part of your recitation to me
 [10] of the violations of the standard of care, have
 [11] you given me the basis for all of your opinions,
 [12] or is there something else you would like to add
 [13] to the basis for any opinion regarding the
 [14] violation of the standard of care?
 [15] A: No; I'm holding back one or two
 [16] things to surprise at trial, but otherwise I'm
 [17] complete.
 [18] Q: Well, just to be on the safe side,
 [19] why don't you tell me those one or two things.
 [20] You're telling me you're kidding?
 [21] A: I'm kidding. I've given you the
 [22] entire basis of my opinion.
 [23] Q: Okay. I believe you referenced
 [24] earlier that you were going to be giving testimony
 [25]

Charash

[1] as to the cause of Crystal Johnson's death?
 [2] A: Yes.
 [3] Q: Is that true?
 [4] A: Yes.
 [5] Q: And I believe you testified that the
 [6] cause of Crystal Johnson's death was an
 [7] arrhythmia?
 [8] A: Yes, it was.
 [9] Q: What is the basis for that opinion?
 [10] A: The basis for that opinion requires
 [11] a small discussion.
 [12] If somebody dies and is found
 [13] unfortunately dead, you know, as she was, there
 [14] are only two reasons why you can die; one is
 [15] mechanical, and one is electric.
 [16] Mechanical death means that
 [17] something has happened to your body that once it
 [18] occurred, you can no longer live with it: a
 [19] gunshot wound, a rupture of the heart, an aneurysm
 [20] exploding in the body, an infectious, sepsis that
 [21] spreads through the body where the body is
 [22] damaged, cancer riddling every major organ.
 [23] When some people die, the medical
 [24] examiner finds a condition and says, you know

Charash

[1] what, here is a mechanical thing that killed the
 [2] person, which meant that unless that mechanical
 [3] thing went away, which sometimes it can't, there
 [4] is no way you can live with it.
 [5] Other people die of cardiac
 [6] arrhythmias, which is a large number of deaths,
 [7] where on autopsy everything that is found was in
 [8] the body the exact same way a week before they
 [9] died, as is the case here.
 [10] Crystal Johnson had a myopathy and
 [11] heart and lungs, but there was nothing on autopsy
 [12] that — her autopsy would have been the same had
 [13] she died the same earlier. The point is there was
 [14] nothing in her body that was inconsistent with
 [15] remaining alive indefinitely. She had a weak
 [16] heart, but she was not in pump failure.
 [17] So, the overwhelming cause of death
 [18] in people in general is arrhythmic. With a weak
 [19] heart, it's overwhelmingly due to an arrhythmia.
 [20] And there is no smoking gun in her body. Given
 [21] that, I guess in the world of is there anything
 [22] possible, she might have been killed with a poison
 [23] they didn't know how to detect, but that's
 [24] unreasonable to assume when arrhythmic death is

Charash

[1] the overwhelming cause of death.
 [2] Q: Would it be fair to say that you're
 [3] arriving at your conclusion that Ms. Johnson died
 [4] of an arrhythmic death by process of elimination?
 [5] A: Well, both elimination, as well as
 [6] recognizing the natural history of what is the
 [7] most likely thing that is going to kill her, which
 [8] was a prospective decision that I face every day
 [9] with Crystal Johnsons in my practice.
 [10] The number one cause why she's going
 [11] to die is arrhythmic death, which is why she needs
 [12] a defibrillator if she hiccups wrong, and she did
 [13] that. So, she has an overwhelmingly higher chance
 [14] of dying with arrhythmia on the day she was
 [15] recognized on the 28th of December to have a
 [16] myopathy. That is even amplified by her
 [17] electrical irritability seen on monitor strips and
 [18] her multiple symptoms which I feel were not
 [19] pursued properly.
 [20] The fact she is now found dead
 [21] suddenly with nothing mechanical on autopsy to
 [22] explain it, it makes it overwhelmingly, 99.9
 [23] percent likely that it was due to an arrhythmia.
 [24] But that doesn't mean I'm being absolute; I'm

Charash

[1] saying it's as absolute as you can be in medicine.
 [2] Q: So, would it be fair to say that
 [3] your conclusion that the patient died from an
 [4] arrhythmia is a statistically based process of
 [5] elimination?
 [6] A: No. It's based on statistics, her
 [7] individual course and her autopsy. You put it all
 [8] together, it would not be reasonable to conclude
 [9] anything other than that.
 [10] Q: What specific objective proof is
 [11] there in the autopsy that points to arrhythmia?
 [12] A: The absence of a mechanical cause of
 [13] death.
 [14] Q: Is there any specific positive
 [15] objective proof that says this patient had an
 [16] arrhythmia?
 [17] A: It is done by the failure to show a
 [18] mechanical cause of death. And then you take her
 [19] medical condition and her story, it amplifies that
 [20] conclusion.
 [21] Q: So, is the answer to my question
 [22] that there is no specific objective proof which
 [23] positively identifies arrhythmia as the cause of
 [24] death?

Charash

(1) A: I'm saying the absence of a
 (2) mechanical cause would give rise to the
 (3) presumption of an arrhythmia; the presence of a
 (4) myopathic heart would amplify it, and then her
 (5) clinical cause further amplifies it. Conclusions
 (6) are based on the sum of all information together.
 (7) And I am suspicious that perhaps I'm
 (8) being asked to give an over-simplified sound byte
 (9) that taken out of context might make it seem less
 (10) conclusive than it really is.
 (11) I've answered it as best I can.
 (12) Q: Well, can you disagree with me —
 (13) no. No. I know you can disagree with me.
 (14) Don't you have to agree with me that
 (15) looking at the autopsy report there is no
 (16) objective positive proof that says this patient
 (17) died of an arrhythmia?
 (18) A: I think there is.
 (19) Q: Where in the autopsy report is it?
 (20) A: The failure to have a mechanical
 (21) cause of death.
 (22) There is never a positive
 (23) abnormality that marks the arrhythmia. It is done
 (24) by several factors. The two most significant is

Charash

(1) the absence of a mechanical cause of death, the
 (2) second is the presence of organic heart disease,
 (3) and then the third would be a clinical course that
 (4) amplifies it. In this case all of them are
 (5) present.
 (6) So, there is no clinical doubt she
 (7) died of an arrhythmia.
 (8) But the problem is you can't do an
 (9) autopsy and find the little box checked off saying
 (10) arrhythmia.
 (11) It's the absence of other causes
 (12) that pretty much proves an arrhythmia in anybody,
 (13) but once there is organic heart disease, it
 (14) absolutely proves it.
 (15) Q: Are there areas in the heart that
 (16) can be examined pathologically to determine
 (17) whether there has been an arrhythmia?
 (18) A: No.
 (19) Q: What are the SA nodes and AV nodes?
 (20) A: Those are aspects of the electrical
 (21) system that serve to produce and control the
 (22) electrical impulse which can be abnormal in many
 (23) people but have nothing to do with the mechanism
 (24) of sudden death in these patients.

Charash

(1) Q: What specific part of the heart, if
 (2) any, does have to do with the sudden death of
 (3) Crystal Johnson?
 (4) A: Somewhere within the disrupted
 (5) fibers of her heart she developed a ventricular
 (6) arrhythmia that killed her.
 (7) But there is no way to pinpoint that
 (8) because she didn't live long enough to have the
 (9) proper studies, or she didn't have the proper
 (10) studies done in a timely manner before she died.
 (11) In any case, it is irrelevant.
 (12) There is no affirmative abnormality
 (13) that confirms an arrhythmia in any person.
 (14) It's the absence of a mechanical
 (15) cause, plus the substrate of the heart, plus a
 (16) clinical story to support it, and she has all of
 (17) them in spades.
 (18) If anybody proposes a theory that
 (19) she died of something other than an arrhythmia, is
 (20) not representing fairly the clear evidence of this
 (21) case statistically and physically. It would be a
 (22) fiction that somebody is promoting, in my opinion.
 (23) Q: Would it be your opinion that even
 (24) if one were to examine the SA node and the AV node

Charash

(1) of Crystal Johnson by pathology confirmation, that
 (2) you would expect those to be normal?
 (3) A: That's right. An examination of the
 (4) heart would in no way be able to in any person
 (5) ever prove an arrhythmic basis to death. It is
 (6) done by the absence of mechanical abnormalities,
 (7) the predisposition of cardiac abnormality, and the
 (8) clinical story; and in this case they all proved
 (9) the point. There is nothing that an autopsy could
 (10) be done to discourage that has the overwhelming
 (11) certainty within the ability of medicine to be
 (12) certain.
 (13) Q: And what training, if any, do you
 (14) have in pathology?
 (15) A: Other than the fact that in medical
 (16) school we all rotated through pathology, I took an
 (17) elective in cardiac pathology, and I've witnessed
 (18) dozens of cardiac autopsies over the years and
 (19) still do. I'm not presenting myself as a
 (20) pathologist, but I am an expert on cause of death
 (21) in cardiac patients.
 (22) Q: Would you defer to a cardiac
 (23) pathologist on examination of postpartum tissues
 (24) from a cardiac patient?

Charash

(1) *Charash*
(2) A: I would defer to a pathologist in
(3) terms of the skills that the pathologist has in
(4) terms of the analysis of dead tissue under a
(5) microscope, but I would not defer to anybody when
(6) it comes to the cause of death, which I think I'm
(7) as expert.

(8) And certainly if there is an expert,
(9) whether it's a clinician or a pathologist, if they
(10) are going to try and raise the theory that
(11) something other than arrhythmia caused this
(12) woman's death, I think it would be completely
(13) fictional, and I would guarantee that they would
(14) not have a compelling argument as clear as mine to
(15) explain it.

(16) And if somebody can come up with a
(17) more rational theory to explain it, I will pay
(18) attention to it. I think that it will be nonsense
(19) and does not exist, and I think that they will be
(20) creating a fiction. If they believe it
(21) themselves, it's their business, but it has no
(22) basis of reality.

(23) This woman died of an arrhythmia.
(24) There is absolutely no way around that.

(25) Q: Are you able to tell me how long Ms.

Charash

(1) *Charash*
(2) Johnson suffered from an arrhythmia in her heart?

(3) A: Not how long. She was probably
(4) having multiple bursts of arrhythmia that could
(5) have been detected and demanded a defibrillator,
(6) but she died whenever she died. I don't know the
(7) exact time of death. I know that she was
(8) discovered dead outside of her bedroom or near her
(9) bedroom, but I don't know the moment of her death.

(10) Q: Maybe I wasn't clear in my question.

(11) Are you able to tell me to a
(12) reasonable degree of medical probability when
(13) Crystal Johnson first began to exhibit an
(14) arrhythmia in her heart, whether it was a burst of
(15) an arrhythmia or a continuous arrhythmia?

(16) A: She was showing technically
(17) arrhythmias throughout her entire stay at
(18) Washington Hospital Center by the extra VPCs she
(19) had.

(20) I think her symptoms of
(21) lightheadedness and palpitations indicated on that
(22) moment, as we discussed, the probability of a more
(23) profound arrhythmia.

(24) But I believe that even more
(25) intensive scrutiny would have shown it. I think

Charash

(1) *Charash*
(2) it was intermittent over a long period of time and
(3) I think that there was an ample opportunity to
(4) diagnose it and save her.

(5) Q: Would you agree that she also was
(6) showing evidence of arrhythmia when she visited
(7) the Greater Southeast Community Hospital in
(8) December 1999, a week before her Washington
(9) Hospital Center hospitalization?

(10) A: Probably.

(11) Q: Can you fault the nurses or
(12) physicians at the Greater Southeast Community
(13) Hospital for not obtaining a cardiac consultation
(14) or providing further work-up, including Holter
(15) monitoring or defibrillation for Ms. Johnson,
(16) given that she was exhibiting signs and symptoms
(17) of arrhythmia?

(18) A: One hundred percent I do.
(19) Although I want to qualify by saying
(20) that had she died after that emergency room, I
(21) think they would have had a gross deviation of the
(22) standard of care.

(23) However, since I think there is no
(24) causation, since I think that she — from my
(25) viewpoint, I've always been at least made aware

Charash

(1) *Charash*
(2) that the legal standard, which is the proceeding
(3) here, and certainly the medical standard, is not
(4) only a deviation but a causation, as well.

(5) I believe that although there are
(6) deviations by other people not recognizing — I
(7) believe that when she came in with leg edema to
(8) Washington Hospital Center in November, they
(9) should have had an obligation to pursue it more
(10) instead of just dismissing it. But I don't think
(11) that has any causative impact.

(12) I believe that the December
(13) admission to the emergency room a week before her
(14) hospitalization was a deviation not to look for a
(15) cardiac basis.

(16) However, since I don't believe it
(17) has anything to do with her death, because she was
(18) finally hospitalized and identified thoroughly
(19) with what her underlying disease was, those
(20) deviations are no longer relevant.

(21) Deviations that don't lead to a bad
(22) outcome are not ones that I'm exploring in this
(23) deposition, and in the trial I will have no
(24) comment about them because I feel they have
(25) nothing to do with what happened to her.

Charash

(1) [1] *Charash*

(2) [2] Q: Did you look at the EKG report from

(3) [3] the Greater Southeast Community Hospital?

(4) [4] A: Yes.

(5) [5] Q: Did you determine that that was

(6) [6] abnormal?

(7) [7] A: (Perusing document.) Now, just to

(8) [8] be clear, we are talking about the December 22nd

(9) [9] emergency room visit?

(10) [10] Q: Yes.

(11) [11] A: (Perusing documents.) Okay. I have

(12) [12] it here. It is abnormal.

(13) [13] Q: What does it show?

(14) [14] A: (Perusing document.) It shows the

(15) [15] heart beating at 135 beats a minute, which is very

(16) [16] fast, which suggests some kind of body stress.

(17) [17] It shows a greatly diminished R wave

(18) [18] in leads B2, B3 and B4; suggesting the potential

(19) [19] for a previous anterior wall heart attack, but

(20) [20] it's also consistent with a myopathy.

(21) [21] And that's the principal

(22) [22] abnormalities. I think that given her symptoms of

(23) [23] shortness of breath and the EKG, it would have

(24) [24] demanded a cardiac evaluation.

(25) [25] And had she died, there would have

Charash

(1) [1] *Charash*

(2) [2] been both a deviation and a causation. But since

(3) [3] she was admitted to another hospital a week later

(4) [4] with a full recognition of her underlying

(5) [5] condition and every opportunity to save her, I did

(6) [6] not consider that admission, nor the November

(7) [7] emergency room visit at Washington Hospital

(8) [8] Center, to have any relevance to this case.

(9) [9] Q: If the physicians caring for Crystal

(10) [10] Johnson at Southeast Community Hospital had placed

(11) [11] her on a defibrillator and/or a Holter monitor,

(12) [12] would you agree that she would probably be alive

(13) [13] today?

(14) [14] A: I guess then if the theory is that

(15) [15] had that hospital admitted her and done the right

(16) [16] thing, it would have spared her from going to a

(17) [17] hospital where malpractice was committed; so, to

(18) [18] that degree, yes, it would have.

(19) [19] Q: So, the answer to my question is

(20) [20] that had the physicians at Greater Southeast

(21) [21] Community Hospital not violated the standard of

(22) [22] care, Crystal Johnson would be alive today,

(23) [23] correct?

(24) [24] A: No — well, again, if the argument

(25) [25] is that they could have — she made it in perfect

Charash

(1) [1] *Charash*

(2) [2] condition to a different hospital where she was

(3) [3] admitted and worked up and improperly treated.

(4) [4] Clearly, had she been treated properly in November

(5) [5] and had been recognized at Washington Heart Center

(6) [6] before it, she wouldn't have been at that

(7) [7] emergency room. So, it can go on and on, frankly.

(8) [8] She had leg edema at the same

(9) [9] hospital, Washington Hospital Center, in November.

(10) [10] I believe that required a work-up, which wasn't

(11) [11] done. Had that been done properly, she wouldn't

(12) [12] have been at the other hospital with that same

(13) [13] symptom, because she would be on the right drug.

(14) [14] So, this can go on forever as a theory.

(15) [15] The reality is that December 28th is

(16) [16] the pivotal visit. She's admitted to a hospital

(17) [17] for a work-up and it is not done properly.

(18) [18] I cannot within any reason think

(19) [19] that the previous emergency rooms are relevant,

(20) [20] and I certainly would not expect any reasonable

(21) [21] person objectively looking at this case to blame a

(22) [22] different hospital when she made it to a hospital

(23) [23] that should have done everything and had all the

(24) [24] evidence they needed.

(25) [25] Q: But the bottom line is that if

Charash

(1) [1] *Charash*

(2) [2] Crystal Johnson had been treated appropriately in

(3) [3] Greater Southeast Community Hospital and the

(4) [4] physicians there had not committed the violations

(5) [5] of the standard of care which you just elucidated,

(6) [6] that she would be alive today?

(7) [7] MR. REGAN: That's your

(8) [8] testimony, Marian. He answered that

(9) [9] now two times for you, same question.

(10) [10] MS. HOGAN: I'm just asking

(11) [11] him whether he agrees or disagrees.

(12) [12] MR. REGAN: He answered the

(13) [13] question. He's not going to agree to

(14) [14] your terminology of an irrelevant

(15) [15] point. He has testified to his

(16) [16] opinions on two separate occasions.

(17) [17] You can ask him his opinion, but don't

(18) [18] try to rephrase his testimony.

(19) [19] BY MS. HOGAN:

(20) [20] Q: Let me ask you this question, then,

(21) [21] Dr. Charash: If Crystal Johnson had been placed

(22) [22] on a defibrillator and/or Holter monitor as of

(23) [23] December 23, 1999, would she be alive today, more

(24) [24] likely than not?

(25) [25] A: It is hard to say, because there are

Charash

(1) too many hypotheticals.
(2) And her lightheadedness clearly
(3) occurred on December 30th, on the watch of your
(4) hospital.

(5) I can't speculate at this point
(6) because there's too many questions.

(7) Had they done a work-up, it's
(8) possible. But as it is, the responsibility didn't
(9) fall on them. Again, the responsibility fell on
(10) Washington Heart Center, which is clearly her
(11) primary treating center, which had every
(12) opportunity to do this.

(13) And I think it is, in my opinion, a
(14) very dubious, if not double-edged way to try and
(15) defend yourself by saying, well, our malpractice
(16) would be irrelevant if a more competent hospital
(17) that doesn't commit malpractice treated her
(18) instead of us. That is not a very solid defense,
(19) and that's my opinion.

(20) Q: Doctor, am I correct that you do not
(21) hold yourself out as an expert in the area of
(22) pathology?

(23) A: That is correct. I do not put
(24) myself as an expert in pathology, but I am an

Charash

(1) expert on cause of death, based on pathology
(2) records and clinical course. I've certainly
(3) watched more patients die than any pathologist.
(4) They take care of them after they are dead.

(5) Q: Are these your patients that you
(6) watch die?

(7) A: Mine, as well as other patients.

(8) Patients in our cardiac care unit die. We have
(9) approximately an eight percent mortality rate.
(10) Out of 800 admissions a year, we probably see 60
(11) to 70 deaths. Those who get autopsies, most of
(12) them I watch.

(13) Q: Dr. Charash, are you going to be
(14) offering testimony as to what Crystal Johnson's
(15) life expectancy would have been had she been
(16) placed on a Holter monitor or a defibrillator?

(17) A: Well, again, don't link them
(18) together. A Holter monitor is one of the
(19) diagnostic tools that would have been used to end
(20) up with a defibrillator.

(21) So, my testimony will be that had
(22) she been properly treated, inevitably she should
(23) have been put an implanted defibrillator. Had
(24) that occurred, it will be my testimony that there

Charash

(1) would have been two tracks she could have fallen
(2) on, and to come up with life expectancies you must
(3) consider both. The first one is substantial
(4) recovery of the cardiac muscle, which she had a
(5) greater than 50 percent chance of occurring. And,
(6) by the way, to amplify that point, I'm want to
(7) point out that on the January 5th emergency visit
(8) at Washington Heart Center, Dr. —

(9) MR. REGAN: Hospital Center.

(10) A: — Hospital Center, pardon me, Dr.

(11) Latchis wrote down: EF 10 percent, which actually
(12) was called 20 percent on the echo, presumed to be
(13) autoimmune and reversible. I agree with him.

(14) There's a slight presumption of
(15) reversibility, which means she would have a near
(16) normal life expectancy if it reversed. If it did
(17) not reverse, which is the other track, then she
(18) would have obviously a more diminished life
(19) expectancy. If she were put on a transplant list,
(20) she would have a median ten to twenty-year life
(21) expectancy, but it could be indefinite.

(22) So, given the two, you would have to
(23) say that on one hand she has a 50 percent life
(24) expectancy if she gets better, which is slightly

Charash

(1) more likely than not, and then she has a probably
(2) ten to fifteen-year life expectancy if she doesn't
(3) get better. You combine it and say it's an
(4) average 30-year life expectancy or more. But it
(5) depends on which way she went, but she never lived
(6) long enough to have that opportunity.

(7) Q: Are you able to give me an opinion
(8) to a reasonable degree of medical probability in
(9) Crystal Johnson's case how many more years you
(10) believe she would have lived?

(11) A: Well, since the standard of legal
(12) discussion is more likely than not, which is all I
(13) can work with, more likely than not the statistics
(14) say she would have had substantial reversibility,
(15) which means I think she had another 50 years to
(16) live. But, realistically, since there is a very
(17) large minority possibility, but near 50 percent,
(18) that she would not get better, I'm being realistic
(19) in the way I'm tempering that statistic.

(20) But if you had to commit to one path
(21) or the other, the statistics slightly favor
(22) getting better. But I think that there was a
(23) meaningful chance she wouldn't; so, I'm being
(24) generous in my statistics.

Charash

(1) *Charash*
(2) Q: So, what is the life expectancy that
(3) you would give to Crystal Johnson had she been
(4) given the treatment that you believe she needed?

(5) MR. REGAN: He just answered
(6) that.

(7) MS. HOGAN: Well, he went back
(8) and forth.

(9) Q: Is there or is there not a number
(10) that you will be testifying to at trial?

(11) A: I will say at trial that there was a
(12) greater than 50 percent likelihood that she would
(13) have had substantial to near total recovery, which
(14) would have afforded her a nearly normal life
(15) expectancy of greater than 50 years.

(16) I will testify at trial that there
(17) is a slightly less than 50 percent possibility
(18) that she would not have recovered, in which case
(19) with aggressive management, including the benefits
(20) of transplant, it would average out to a ten to
(21) fifteen-year life expectancy.

(22) Given all that, if you want to be
(23) fair, you can weigh the normal life expectancy of
(24) slightly more than the other, and that would give
(25) her an average of over 30 to 35 years; although

Charash

(1) it's an odd statistic, because it's either normal
(2) or not normal. But I would say that if you had to
(3) commit to one path, you have to pick the one that
(4) was statistically more likely which was normal.

(5) But I'm being reasonable in this case by factoring
(6) in the significant possibility of her not living
(7) that long because of failure to get better.

(8) Q: So, all these considered, and your
(9) being reasonable and factoring all these things
(10) together in Crystal Johnson's case, is it going to
(11) be your testimony that a reasonable estimate of
(12) her life expectancy would be 30 to 35 years?

(13) MR. REGAN: He answered this
(14) question, Marian.

(15) A: Yes, but I'm doing that as an odd
(16) way of averaging in the slightly more likely than
(17) not possibility of normal life expectancy versus
(18) the meaningful possibility of it being
(19) substantially reduced, and coming up with a fair
(20) average the best I know how.

(21) Q: Is it your understanding that the
(22) type of peripartum cardiomyopathy from which
(23) Crystal Johnson suffered is thought to be genetic?

(24) A: No.
(25)

Charash

(1) *Charash*
(2) Q: Would you agree with me that at the
(3) time of Crystal Johnson's death in January 2000,
(4) she was not a candidate for transplant?

(5) A: No. She was always a candidate for
(6) transplant. I don't think it was required at that
(7) point.

(8) Q: I take it you will not be giving
(9) testimony at trial as to the likelihood of her
(10) obtaining a cardiac transplant in January 2000?

(11) A: I don't think she should have had
(12) one in January of 2000 clinically.

(13) I think you wait a minimum of six
(14) months to see if she gets better, and then you put
(15) her on a transplant list and you factor in, once
(16) you've prevented arrhythmic death with a
(17) defibrillator, which is simple to do, you watch
(18) her in terms of hemodynamics, which is a
(19) predictable decline, if it occurs; and as a young
(20) woman who has no other medical problems, she would
(21) have been a high priority for a transplant, but
(22) certainly not a guarantee.

(23) Q: Did you have occasion to read Mr.
(24) Johnson's testimony?

(25) A: Yes.

Charash

(1) *Charash*
(2) Q: Deon Johnson?

(3) A: Yes.

(4) Q: Was there anything in his factual
(5) testimony regarding Crystal Johnson that added to
(6) or subtracted from your opinions?

(7) A: No. He was asked specifically
(8) whether he knew of dizziness or lightheadedness
(9) and he didn't recall.

(10) That means he's not capable of
(11) shedding any further light on this case because he
(12) didn't know what his wife's symptom were.

(13) Q: Hold on for just a second here.

(14) A: Sure.

(15) (Pause in the proceeding.)

(16) BY MS. HOGAN:

(17) Q: Dr. Charash, would it be fair to say
(18) that — and I think I understood you correctly on
(19) this, but please tell me if I'm wrong — as far as
(20) the medications that were prescribed to Crystal
(21) Johnson at the Washington Hospital Center at the
(22) end of December 1999, you don't quarrel with
(23) those; those were acceptable medications, and that
(24) medication management was within the standard of
(25) care?

Charash

(1) A: Well, the classes of drugs were
(2) appropriate, and since I do not believe that the
(3) medications that were used were related to the
(4) mechanism of her death, I don't find any relevance
(5) in discussing them.

(7) I think that the general approach
(8) was correct. I don't remember the exact doses.
(9) But, again, her death was certainly an arrhythmia
(10) which would not have been impacted upon by the
(11) drugs she was on.

(12) Q: Do you believe that the standard of
(13) care required that Crystal Johnson undergo a
(14) cardiac catheterization when she was in the
(15) hospital at the end of December 1999?

(16) A: No. Although a primary focus of
(17) working up people with weak hearts is to explore
(18) the coronary arteries.

(19) Given the circumstances of this
(20) evolving after delivery, and her young age and the
(21) lack of extraordinary code morbid conditions like
(22) diabetes since she was ten, I think that there was
(23) no — there was no reasonable expectation she had
(24) coronary disease. I mean, it was a remote
(25) possibility, but a catheterization might be done

Charash

(1) as a matter of formality, but I don't think it was
(2) required.

(4) Q: And in this particular case, knowing
(5) what you know from the autopsy, I believe you
(6) testified that she did not have anything wrong
(7) with her coronary arteries; is that accurate?

(8) A: Yes, it is.

(9) Q: Dr. Charash, have you completed your
(10) testimony to me regarding violations of the
(11) standard of care and the basis for those
(12) violations as related to my client, the Washington
(13) Hospital Center and the treating internal medical
(14) physicians and emergency room physicians?

(15) A: Yes, I am complete.

(16) Q: When did Mr. Regan first contact you
(17) in this case?

(18) A: December 2000.

(19) Q: How many cases have you reviewed for
(20) Mr. Regan in the past?

(21) A: I believe this is the only one.

(22) Q: Do you know how he got your name?

(23) A: No, I don't.

(24) Q: Did your father mention anything to
(25) you prior to Mr. Regan's contacting you?

Charash

(1) A: No.

(3) Q: Have you talked to any other
(4) physicians about this case?

(5) A: No.

(6) Q: What is your hourly fee?

(7) A: \$300 an hour.

(8) Q: Is that for everything?

(9) A: Yes.

(10) Q: About how many hours have you spent
(11) thus far on this case?

(12) A: Not including this deposition time,
(13) which I haven't factored in yet, probably seven
(14) hours.

(15) Q: Doctor, am I correct that you never
(16) had your license to practice medicine or your
(17) privileges to practice medicine adversely acted
(18) upon in any way?

(19) A: Correct.

(20) Q: Have you ever advertised yourself as
(21) an expert to testify in medical malpractice cases?

(22) A: No.

(23) Q: Have you ever been disqualified from
(24) testifying as an expert in any jurisdiction?

(25) A: No.

Charash

(1) I do have one qualification to that.

(3) Once in Florida I went down to testify in a trial
(4) against an emergency room doctor and a
(5) cardiologist. There were multiple times I've
(6) testified and been qualified in Florida as an
(7) expert against emergency room doctors. When I
(8) went down to testify, I was asked to leave the
(9) courtroom. There was a conference. There was an
(10) issue of Florida law that was being discussed that
(11) I don't know anything about. When I came in, my
(12) lawyer advised me to testify against only the
(13) cardiologist.

(14) A couple of years later a defense
(15) attorney told me I had been disqualified against
(16) the doctor.

(17) And once I signed an affidavit from
(18) a defense firm in Florida that said I had been
(19) disqualified in that trial from testifying against
(20) an emergency room doctor, I signed an affidavit
(21) saying that. But subsequent to that I called up
(22) the lawyers who I worked with at that one trial
(23) and they said it was absolutely incorrect, I was
(24) never disqualified. It's on the record. A
(25) decision was made just to use me against the

(1) **Charash**
 (2) cardiologist; therefore, once I signed an
 (3) affidavit under the complete misimpression from a
 (4) defense lawyer that I was disqualified.
 (5) So, the answer is I've never been
 (6) disqualified in any court at any time. However,
 (7) there was a brief window where I actually was
 (8) misled by a different attorney into believing I
 (9) had been, and it was out of my own ignorance that
 (10) I signed an affidavit.
 (11) Q: Do you remember the name of that
 (12) case?
 (13) A: No. It was a while — it was years
 (14) ago. And since then there has never even been a
 (15) remote issue of qualification. So, I've never
 (16) been disqualified, but for a brief moment I
 (17) thought I had been.
 (18) Q: Have you ever been sued?
 (19) A: Yes.
 (20) Q: Just give me a very brief summary of
 (21) the number of times and the general allegation of
 (22) the cases, like one sentence.
 (23) A: It's simple. I was once sued as a
 (24) patient died at New York Hospital where I was on
 (25) staff. I gave the man thrombolytic therapy. My

(1) **Charash**
 (2) involvement ended that day. He died several days
 (3) later of an issue that was the subject of
 (4) malpractice. I was named, as every person on the
 (5) chart was. There was never a complaint raised
 (6) against the actions of my involvement. There was
 (7) never expert testimony against the actions I did
 (8) on the chart. I was simply deposed to discover
 (9) whether or not I was involved in the issues that
 (10) were part of the suit.
 (11) Once in discovery it was clear that
 (12) I had absolutely nothing to do with the issues of
 (13) the case, it was unilaterally dropped by the
 (14) plaintiff.
 (15) The family wrote me a letter of
 (16) apology, because they liked me and they knew I had
 (17) nothing to do with it, explaining this was a
 (18) formality they were advised to do.
 (19) And the law firm that had me in the
 (20) lawsuit and dropped me two years later hired me as
 (21) an expert witness.
 (22) So, it was a happy ending for all of
 (23) us.
 (24) Q: That was a long sentence, but I did
 (25) get the whole story.

(1) **Charash**
 (2) A: I didn't want you to be misinformed.
 (3) Q: Did Mr. Regan or Mrs. Dougherty or
 (4) anyone from Mr. Regan's firm tell you any
 (5) information or any facts about this case that in
 (6) any way influenced your opinion that would not
 (7) have been something contained in either a medical
 (8) record or deposition?
 (9) A: No. Everything that I've stated
 (10) today is supported by information whose source
 (11) I've made clear today.
 (12) Q: Have you ever prepared a list of the
 (13) cases that you've testified in?
 (14) A: Oh, many years ago I was in a
 (15) federal court, and I don't even remember if I made
 (16) a list. Since then, I'm not aware of one.
 (17) MS. HOGAN: I'm just going to
 (18) look over my notes, but I really think
 (19) I'm finished. If Mr. Spence wants to
 (20) start questioning.
 (21) THE WITNESS: Why don't you,
 (22) then. You can always come back.
 (23) **EXAMINATION**
 (24) **BY MR. SPENCE:**
 (25) Q: Dr. Charash, we met before the

(1) **Charash**
 (2) deposition started. My name is Andrew Spence.
 (3) I'm here only behalf of Dr. Elizabeth Ross, Dr.
 (4) Kenneth Lee and their practice.
 (5) Like Ms. Hogan, if I ask you a
 (6) question that you don't understand, don't hear or
 (7) doesn't make sense to you for some reason, will
 (8) you please tell me so I can rephrase the question?
 (9) A: Yes.
 (10) Q: I want to ask you a little bit more
 (11) about your medical practice.
 (12) Do you have a private practice of
 (13) cardiology?
 (14) A: Yes.
 (15) Q: What percentage of your patients are
 (16) seen in an office setting?
 (17) A: I don't know how to put it by
 (18) percentage.
 (19) I see on average two to five
 (20) patients an afternoon in my office at the hospital
 (21) as an outpatient. I mean, I'm certainly rounding
 (22) on 50-some-odd patients in the hospital at any one
 (23) day.
 (24) Q: What percentage of the patients that
 (25) you see are in the hospital, not in your office,

Charash

(1) which may be on the grounds of the hospital?
 (2) A: I'm not sure I understand what you
 (3) said.
 (4) Q: What percentage of your patients are
 (5) in the cardiac care unit or on a floor of the
 (6) hospital of any kind as opposed to being seen in
 (7) an office setting?
 (8) A: Well, again, I'm rounding on 53
 (9) patients a day in the hospital. However, the
 (10) number of patients I'm personally the cardiologist
 (11) of record for is probably in the range of three to
 (12) five a day, and I'm probably seeing somewhere
 (13) between two and five outpatients a day.
 (14) Q: What percentage of the patients that
 (15) you see are not acutely ill?
 (16) A: I don't know how to — that's a
 (17) weird way of saying it. I mean, I can't answer
 (18) that question.
 (19) Q: Well, what percentage of the
 (20) patients that you see do not have symptoms as a
 (21) result of their underlying cardiac condition when
 (22) you are seeing them?
 (23) A: Are you talking about the critical
 (24) care patients, the general floor consults or my
 (25)

Charash

(1) office practice?
 (2) The people who are coming to my
 (3) office are not critically ill.
 (4) The people in the hospital have
 (5) varying degrees of medical problems; some of them
 (6) cardiac, others not.
 (7) And the people on the cardiac
 (8) intensive floor are generally driven by their
 (9) cardiac problems.
 (10) It's a strange way — I don't know
 (11) how to break it down for you.
 (12) Q: Well, I think you've helped me,
 (13) though, because I think you have broken it down.
 (14) Let me just follow up by asking you
 (15) what percentage of your time is spent in the
 (16) cardiac intensive care unit?
 (17) A: About half my day.
 (18) Q: What percentage of your time is
 (19) spent on floors seeing patients and rounding to
 (20) see patients?
 (21) A: An hour or two. It depends on the
 (22) day.
 (23) Q: And then what percentage of your
 (24) time is spent seeing patients in the office?
 (25)

Charash

(1) A: Again, an hour or two.
 (2) Q: Have you ever been involved in any
 (3) medical research that relates to postpartum or
 (4) peripartum cardiomyopathy, congestive heart
 (5) failure or cardiomyopathy of any kind?
 (6) A: No.
 (7) Q: Have you participated in the
 (8) development of any policies and procedures or
 (9) protocols that relate to peripartum
 (10) cardiomyopathy, congestive heart failure or
 (11) cardiomyopathy of any kind?
 (12) A: No.
 (13) Q: Have you published anything in the
 (14) medical literature that relates to postpartum
 (15) cardiomyopathy, congestive heart failure or
 (16) cardiomyopathy of any kind?
 (17) A: No.
 (18) Q: Have you given any kind of lecture
 (19) or presentation, whether you were an invited
 (20) lecturer or whether you were serving as a faculty
 (21) member, on the subjects of postpartum
 (22) cardiomyopathy, congestive heart failure, or
 (23) cardiomyopathy of any kind?
 (24) A: I've lectured on heart failure many
 (25)

Charash

(1) times, but it's been a number of years probably
 (2) and I have no course outline.
 (3) Q: Does your medical practice have any
 (4) policy and procedure or protocol with respect to
 (5) the treatment of patients with postpartum
 (6) cardiomyopathy?
 (7) A: I my treatment practice and I adhere
 (8) to the standards of care and do what the clinical
 (9) condition requires.
 (10) Q: Do you have a written policy and
 (11) procedure or protocol related to the treatment of
 (12) patients with postpartum cardiomyopathy?
 (13) A: I don't have a written protocol for
 (14) anything, including postpartum cardiomyopathy.
 (15) Q: Do you have any practice or policy
 (16) that relates to how patients are scheduled to see
 (17) you in the office for a follow-up visit?
 (18) A: No. It's based on clinical need. I
 (19) don't have a written policy.
 (20) Q: How is a patient instructed to
 (21) make — in other words, how are appointments made
 (22) in your office?
 (23) A: Through me directly.
 (24) Q: Can you please elaborate?
 (25)

Charash

(1) A: I don't know what part is unclear.
 (2) Patients call me up, I either book
 (3) an appointment in advance or they call me up and I
 (4) make an appointment on a stat basis.
 (5) Q: Do you ever instruct your patients
 (6) to contact your office for purposes of scheduling
 (7) an appointment?
 (8) A: No. I always give them an
 (9) appointment date. I am my scheduling secretary.
 (10) When I speak to patients, I work out the date with
 (11) them directly. I don't have them call me later.
 (12) I work it out before they leave the hospital or
 (13) when they call me.
 (14) Q: Do you carry your calendar with you?
 (15) A: Yes.
 (16) Q: That's what I'm trying to
 (17) understand.
 (18) Do you have a policy as to when a
 (19) patient is to see you in the office after being
 (20) first diagnosed with postpartum cardiomyopathy?
 (21) A: That first follow-up visit depends
 (22) on the issues that were discovered and how the
 (23) management was properly conducted in the hospital.
 (24) Assuming a proper and complete
 (25)

Charash

(1) hospitalization, which is how it begins, with
 (2) thorough attention to the pump, the plumbing and
 (3) the electricity, as I mentioned, then I would
 (4) probably see them within a week or ten days of
 (5) their discharge, assuming everything was done
 (6) properly in the hospital.
 (7) Now, if they're on anticoagulation
 (8) therapy, I might see them two days after discharge
 (9) to check blood levels.
 (10) If they have unresolved issues or
 (11) things that are still in dynamic play, I might see
 (12) them the day after they go home.
 (13) I can't be that absolute. It
 (14) depends on the specifics of the case.
 (15) Q: Right. And in your practice, do you
 (16) jointly follow patients with internal medicine?
 (17) A: Yes, I do.
 (18) Q: I'm talking about patients with
 (19) postpartum cardiomyopathy or congestive heart
 (20) failure or cardiomyopathy.
 (21) A: Yes, I have and do.
 (22) Q: If the internal medicine physician
 (23) sees the patient after discharge, after being
 (24) diagnosed with postpartum cardiomyopathy or
 (25)

Charash

(1) congestive heart failure, and that doctor sees the
 (2) patient within ten days, does that change the time
 (3) when you see the patient?
 (4) A: No. My appointment is made
 (5) independently of the internal medicine doctor.
 (6) Q: Does your office cancel patients
 (7) when there is severe weather, like a very heavy
 (8) snowstorm?
 (9) A: I am my office, and if there is an
 (10) extraordinary circumstance, I call up patients and
 (11) discuss with them whether they can make it or not
 (12) and reschedule based on need.
 (13) Q: Now, Ms. Hogan asked you a number of
 (14) questions about your experience with peripartum
 (15) cardiomyopathy in the last ten years, and I simply
 (16) want to clarify.
 (17) Do you have any experience or have
 (18) you had any experience with patients with
 (19) postpartum cardiomyopathy that was more than ten
 (20) years ago?
 (21) A: Yes.
 (22) Q: And what experience do you have that
 (23) goes back more than ten years?
 (24) A: From 1984 to 1991 I was a cardiology
 (25)

Charash

(1) fellow — from '84 to '87; and then from '87 to
 (2) '91 an attending at the New York Hospital in New
 (3) York, and I'm certain I saw cases of the same
 (4) condition while there. Again, I can never give an
 (5) exact count. But I would assume it averaged a
 (6) little more than one a year. So, I saw cases at
 (7) least a half a dozen or more cases while at
 (8) Cornell.
 (9) Q: Can you remember any of those
 (10) patients?
 (11) A: Yes.
 (12) Q: I asked that simply because you said
 (13) that you assume it was one per year and I didn't
 (14) know why you were assuming that.
 (15) Why did you assume it is one per
 (16) year?
 (17) A: Just because I have a general sense
 (18) of the frequency which I saw cases. There was no
 (19) predictability. But it was a condition that I
 (20) kindly have a general impression of about one or
 (21) more a year appearing. It's not that common a
 (22) condition, but it's not so rare that we didn't see
 (23) our fair share by direct management in a busy
 (24) obstetrical program, as well as by referral.
 (25)

Charash

[1] **Q:** What percentage of the patients that
[2] you have seen either as a cardiac — excuse me —
[3] either as a cardiology fellow, as attending or in
[4] your own practice, have died as a result of their
[5] peripartum cardiomyopathy?

[6] **A:** I don't know, because I don't
[7] believe I have the longitudinal follow-up on the
[8] majority of those patients.

[9] **Q:** Who has the longitudinal follow-up?

[10] **A:** The doctors that ultimately assume
[11] the long-term management.

[12] In most of these cases I served as a
[13] consultant to launch their care and then referred
[14] them back out to their treating doctor.

[15] **Q:** Have you ever maintained any kind of
[16] patient census that would indicate the number of
[17] patients you've seen for various conditions?

[18] **A:** No. I am compulsive but not that
[19] compulsive.

[20] **Q:** Is it your understanding that Dr.
[21] Kenneth Lee did not see the patient at any time
[22] after the patient was reported to have the
[23] lightheadedness and palpitations that you made
[24] reference to on or about December 29, 1999?

Charash

[1] **A:** I did not see Dr. Lee's note appear
[2] after the lightheadedness. I saw Dr. Ross' on
[3] December 30th, and, you know, the upcoming
[4] discharge. I did not see Dr. Lee's name at that
[5] point.

[6] **Q:** And I simply wish to clarify. Do
[7] you expect to testify at trial that Dr. Kenneth
[8] Lee departed from the standard of care?

[9] **A:** Yes, I do.

[10] **Q:** And how do you contend that Dr. Lee
[11] violated the standard of care?

[12] **A:** Because, as I stated earlier, my
[13] criticism is not exclusive to what I consider the
[14] completely ignored symptom of lightheadedness and
[15] palpitations by Dr. Ross on the 30th, but my
[16] opinion is also that there had to be a strategy of
[17] aggressive evaluation of this patient's electrical
[18] system, independent of that symptom which would
[19] have included Holter monitoring, a longer hospital
[20] stay, and a stress test, to see how the electrical
[21] system withstood adrenaline and activity;

[22] To the degree that Dr. Lee was a
[23] part of this patient's management in the hospital,
[24] to whatever degree he contributed to the failure

Charash

[1] to achieve a more aggressive plan to look for the
[2] number one cause of this patient's death, and to
[3] not recognize that as an extraordinarily young
[4] patient with enough electrical noise to begin
[5] with, he should have been leaning toward putting
[6] in a defibrillator until proven otherwise.

[7] So, I think he failed by not being
[8] aggressive in his evaluation or management of this
[9] patient.

[10] So, in that regard I don't think
[11] he's party to the lightheadedness if he wasn't
[12] there, but there were other complaints I have,
[13] which include a failure to properly evaluate this
[14] patient and a rush discharge.

[15] **Q:** Was there anything in the record as
[16] of the time that Dr. Kenneth Lee saw this patient
[17] suggesting that she was at risk for rhythm
[18] disturbance, an arrhythmia, or any kind of
[19] abnormal electrical activity of the heart?

[20] **A:** I'm not too sure. What's your
[21] question? I heard the second part. I didn't hear
[22] the first part of your question.

[23] **Q:** Sure. What do you see in the chart
[24] as of the time that Dr. Kenneth Lee saw the

Charash

[1] patient which suggests that Crystal Johnson was at
[2] risk for any kind of rhythm disturbance, an
[3] arrhythmia, or abnormal electrical activity of the
[4] heart?

[5] **A:** (Perusing document.) Sure, there's
[6] many things.

[7] The fact that he recognized this
[8] patient to have a cardiomyopathy automatically
[9] means that that's the number one reason why she's
[10] going to die in the short future.

[11] She had extra beats on multiple
[12] rhythm strips documented even before the 30th.
[13] But I think that just her underlying condition
[14] alone, by the nature of its diagnosis, meant that
[15] Dr. Lee automatically, as a cardiologist, had an
[16] absolute obligation to factor into his management
[17] and treatment plan an aggressive evaluation to
[18] evaluate her electrical stability, which would
[19] have included Holter monitors, careful attention
[20] to symptoms, and a stress test to assess the
[21] electrical system under stress. And I don't
[22] believe he did any of them.

[23] **Q:** Do you contend that Dr. Lee deviated
[24] from the standard of care in any other way?

Charash

(1) A: No.

(2) Q: Now, I know Ms. Hogan asked you some

(3) questions and it came out in your testimony that

(4) you have opinions regarding Dr. Ross.

(5) I simply want to clarify how you

(6) contend that Dr. Elizabeth Ross departed from the

(7) standard of care. If you could list the ways, I

(8) would be grateful.

(9) A: Certainly.

(10) I think it would include everything

(11) I said about Dr. Lee.

(12) By not having an extensive

(13) evaluation of this patient's electrical risk by

(14) prolonged monitoring, including Holter monitoring

(15) in the hospital; if necessary, a stress test; and

(16) a longer period of time of being on a monitor.

(17) The second area for Dr. Ross is the

(18) failure to in any way acknowledge by her hospital

(19) presence and written note the symptoms of 12-30-99

(20) of lightheadedness and palpitations; to fail to

(21) review the history system, if one existed, of the

(22) telemetry system in the hospital; to fail to at

(23) that point demand what should have been done

(24) already, which was a longer evaluation and the

Charash

(1) testing I mentioned.

(2) And then finally the third category

(3) is with an improper early discharge there is a

(4) failure for an aggressive outpatient evaluation

(5) which I don't think should have occurred because

(6) it should have been done in the hospital.

(7) But that's my criticisms of Dr.

(8) Ross.

(9) MR. REGAN: Need to take a

(10) quick break.

(11) (Whereupon, at 12:50 o'clock

(12) p.m., a recess was taken.)

(13) (Whereupon, at 1:00 o'clock

(14) p.m., the deposition resumed.)

(15) BRUCE D. C HARASH, resumed and

(16) testified further as follows:

(17) BY MR. SPENCE:

(18) Q: All right. Dr. Charash, are you

(19) ready to proceed?

(20) A: Yes.

(21) Q: Do you hold any opinion other than

(22) what you've already expressed regarding the

(23) medical practice of Elizabeth Ross, M.D. and

(24) Kenneth Lee, M.D., P.C.?

Charash

(1) A: No.

(2) Q: How do you know that any other

(3) physician would agree with your opinions as to the

(4) deviations from the standard of care that you

(5) attribute to Dr. Ross, Dr. Lee, and their

(6) practice?

(7) A: I'm not here to account for other

(8) people's opinions.

(9) If somebody has an opinion that

(10) differs from mine, I guess it will be up to a jury

(11) to determine if they have any compelling

(12) explanation that I think fits the facts of this

(13) case.

(14) I believe mine does, and I will be

(15) astonished if somebody can come up with a model or

(16) mechanism that will be more reasonable based on

(17) the facts of that case.

(18) Q: But I do want to know, do you know

(19) if any other physicians would, in fact, agree with

(20) your opinions as to the deviations from the

(21) standard of care that you've attributed to Dr.

(22) Ross, Dr. Lee, and their practice?

(23) A: I've given the deviations and the

(24) reasons for the deviations. I cannot possibly

Charash

(1) comment about how other people — I would imagine

(2) that responsible doctors would recognize the

(3) opinions I gave, but the reality is it not

(4) relevant.

(5) I'm giving the standard of care as

(6) an expert with a large clinical practice

(7) awareness, with a large awareness of other doctors

(8) in my community and other communities, having

(9) lectured 200 times on the road.

(10) But in this case I've given reasons

(11) for my opinions and explanations and models. If

(12) somebody has a differing opinion, they are going

(13) to have to see if they have a sensible answer.

(14) Q: Are you aware of any medical

(15) textbooks that support your opinions as to the

(16) deviations from the standard of care that you

(17) attribute to my clients?

(18) A: I would be astonished if a textbook

(19) discussed these issues. That's not their role.

(20) I mentioned earlier that textbooks

(21) do not delve into the standard of care. Sometimes

(22) they overlap with it, but they do not discuss it.

(23) Q: Are you aware of any journals or

(24) medical articles that support your opinions as to

Charash

(1) the standard of care related to Dr. Ross, Dr. Lee,
 (2) and their practice?
 (3) A: It should not be discussed in
 (4) articles.
 (5) Let me make it simple.
 (6) One of my standard of care
 (7) criticisms is that Dr. Ross failed to acknowledge
 (8) important symptoms the night before and
 (9) acknowledge what the electrical rhythm is.
 (10) Now, I cannot find a book, to my
 (11) knowledge, that will say the standard of care is
 (12) that a doctor should be thorough and listen for
 (13) symptoms that are relevant. That's common sense.
 (14) I could not tell you where in a
 (15) textbook of medicine or surgery it says people who
 (16) are hemorrhaging should be transfused or people
 (17) who have had a gunshot wound need emergency
 (18) surgery.
 (19) The point is —
 (20) Q: Okay. I heard your answer.
 (21) A: The standard of care does not appear
 (22) in books or in journals.
 (23) Q: Okay. I heard you.
 (24) And I'm going to persist in my line

Charash

(1) of questioning, so just bear with me.
 (2) Are you aware of any medical
 (3) bulletins that support your opinions as to the
 (4) standard of care and the deviations therefrom that
 (5) you would attribute to Dr. Ross, Dr. Lee, and
 (6) their practice?
 (7) A: I don't think that there would be
 (8) any applicability at all.
 (9) Q: Are you aware of any published
 (10) standards or policies and procedures or protocols
 (11) that are followed across the country that support
 (12) your opinions as to how Dr. Ross and Dr. Lee and
 (13) their practice allegedly departed from the
 (14) standard of care?
 (15) A: They would not be applicable on any
 (16) level.
 (17) Q: Are you familiar with any
 (18) information given out at the national meetings for
 (19) your profession that support your opinions
 (20) concerning Dr. Ross, Dr. Lee and their practice?
 (21) A: It would not be applicable.
 (22) Q: Are you aware of any information
 (23) given out by the professional societies,
 (24) professional colleges and other professional

Charash

(1) organizations that support your opinions as to Dr.
 (2) Ross, Dr. Lee and their practice?
 (3) A: It would not be applicable.
 (4) Q: Are you aware of any conversations
 (5) that you have had with other physicians that
 (6) support your opinions as to Dr. Ross, Dr. Lee and
 (7) their practice?
 (8) A: Oh, I think there have been many a
 (9) time that I've had discussions with doctors in
 (10) training who I helped in their education, to
 (11) remind them that they have a very critical job of
 (12) asking for symptoms; to not just passively expect
 (13) everything to be told to them by the patient, but
 (14) to read all information, including nursing notes.
 (15) I think I've told physicians on many
 (16) occasions to ask patients about symptoms, even if
 (17) they don't report them, and document the
 (18) significant negatives.
 (19) I certainly have discussed with
 (20) doctors in training the need to worry about
 (21) electrical death in people with a myopathic heart.
 (22) Q: Are you aware of any conversations
 (23) that you have had with physicians who are not
 (24) physicians in training?

Charash

(1) A: I'm sure I've discussed issues of
 (2) patient symptoms and evaluation for electrical
 (3) risk with doctors who are not in training. I
 (4) can't relate to any specifically. All my career
 (5) I'm discussing patients.
 (6) Q: I apologize if I was talking over
 (7) you, and I apologize especially to the court
 (8) reporter.
 (9) Did I hear you correctly that you
 (10) cannot recall any such conversations with
 (11) physicians who are not physicians in training?
 (12) A: Well, I mean, I have so many, I just
 (13) can't possibly recall a specific conversation over
 (14) every aspect of cardiology.
 (15) It's part of my life of discussing
 (16) with doctors who have patients in the hospital in
 (17) their care. I wouldn't know a specific
 (18) conversation, you know, out of tens of thousands
 (19) of them every year.
 (20) Q: How do you define the standard of
 (21) care?
 (22) A: The standard of care is defined by
 (23) the standard of practice that an average treating
 (24) doctor in various types of communities is expected

Charash

[1] to practice, which is a combination of the average
 [2] approach to illness, as well as the common sense
 [3] expectation of basic safety that a physician is
 [4] expected to practice.
 [5] Q: And how does a doctor learn what the
 [6] standard of care requires?
 [7] A: A combination of their training and
 [8] ongoing education through a community of
 [9] experience and through thoughtful approach towards
 [10] common sense safety for patients.
 [11] I think there's an expectation that
 [12] doctors will perform common sense practice
 [13] standards that have a benefit of improving patient
 [14] safety, as well as certain specifics based on the
 [15] community of practice.
 [16] Q: What caused Crystal Johnson to
 [17] experience the arrhythmia she had that resulted in
 [18] her death?
 [19] A: The underlying cardiac disease.
 [20] Q: And, Doctor, since I'm not a
 [21] physician, could you explain the mechanism as to
 [22] how that occurs?
 [23] A: Only on a general level; that
 [24] inflamed or damaged cardiac muscle fibers have the

Charash

[1] potential to disrupt electrical impulses, leading
 [2] to electrical disruption that leads to sudden
 [3] death. It's a predisposition that occurs with any
 [4] form of organic heart disease. It certainly is
 [5] amplified in an inflammatory myopathy or a
 [6] postpartum myopathy.
 [7] Q: You have made reference to your
 [8] opinion that the standard of care required a
 [9] longer hospitalization of Crystal Johnson.
 [10] How long do you contend the standard
 [11] of care required Crystal to be kept in the
 [12] hospital for further monitoring?
 [13] A: Long enough to perform either Holter
 [14] monitors or more quantitative monitoring, a stress
 [15] test, and, you know, a sense of progressive
 [16] activity on a Holter monitor. So, it would have
 [17] been a few more days.
 [18] Obviously, the length would have
 [19] been dependent on what findings occurred.
 [20] The failure to have a stress test,
 [21] Holter monitoring and attention to that specific
 [22] symptom are, in part, a function of the short
 [23] hospital stay.
 [24] Q: Did I hear you say that you take

Charash

[1] the position that the hospitalization should have
 [2] been, quotation marks, a few days longer?
 [3] A: Well, again, the specifics of the
 [4] length of stay isn't as important as it is what
 [5] testing needed to be done and what inevitably
 [6] would have shown up.
 [7] Q: Do you contend that the standard of
 [8] care required Dr. Ross and Dr. Lee to perform an
 [9] echocardiogram at any time other than what was
 [10] performed?
 [11] A: No. A stress test is what I
 [12] mentioned, not an echocardiogram and a Holter
 [13] monitor. Those are the tests that I submitted,
 [14] not an echo.
 [15] Q: Do you contend that the standard of
 [16] care required any additional cardiac
 [17] catheterization?
 [18] A: No. I mentioned that earlier.
 [19] Q: The fact that Crystal Johnson lost
 [20] weight between the time of admission on December
 [21] 28th and the time of discharge on December 31st
 [22] was consistent with her becoming compensated,
 [23] correct?
 [24] A: It was consistent with removing

Charash

[1] excessive fluid and improving cardiac performance,
 [2] which I have no complaints over in this case.
 [3] Q: How many defibrillators have you
 [4] implanted in patients of yours with postpartum or
 [5] peripartum cardiomyopathy?
 [6] A: You mean how many have I
 [7] recommended? Because I don't implant them.
 [8] Q: Yes. Thank you for the
 [9] clarification.
 [10] A: I would think we started implanting
 [11] defibrillators pretty much routinely after 1993 or
 [12] 1994 when they improved in their size and shape.
 [13] Beforehand, there was less implantation.
 [14] So, by 1999, for those years, most
 [15] of them, if not all of them, went home with
 [16] defibrillators.
 [17] But, again, there's only been a
 [18] handful in those years. Most people with badly
 [19] myopathic hearts with those symptoms would get a
 [20] defibrillator.
 [21] Q: Doctor, are you familiar with the
 [22] medication called didoxin?
 [23] A: Digoxin?
 [24] Q: Digoxin.

Charash

(1) *Charash*

(2) A: Yes, I am; digoxin.

(3) Q: Thank you. What is the purpose of

(4) digoxin?

(5) A: It's a medicine that assists the

(6) heart in improving its ability to contract.

(7) Q: Is it fair to say that digoxin is a

(8) medication that is also used to prevent and

(9) discourage arrhythmias?

(10) A: No. If anything, it might encourage

(11) arrhythmias.

(12) Q: Why would digoxin encourage

(13) arrhythmias?

(14) A: How do you answer why to an empiric

(15) observation?

(16) Digoxin helps prevent a specific

(17) form of supraventricular arrhythmia. But,

(18) actually, when it comes to atrial fibrillation and

(19) ventricular tachycardia, it not only fails to have

(20) a preventive effect; it has a slightly irritating

(21) effect. But you can't give a reason why to an

(22) empiric observation.

(23) Q: Okay. So, you don't believe that

(24) any of the medications that Crystal Johnson was

(25) discharged on would have any role in discouraging

Charash

(1) *Charash*

(2) abnormal rhythm disturbances or arrhythmias?

(3) A: No, not to any clinical meaningful

(4) level and certainly not to a level to abrogate the

(5) parties that deviated from the standard of care

(6) from meeting their responsibility; no.

(7) Q: If most cases of peripartum

(8) cardiomyopathy spontaneously resolve, why implant

(9) a defibrillator?

(10) A: Because lots of these people are

(11) going to die before they get better. The first

(12) six months while waiting leads to many deaths. If

(13) somebody is showing electrical irritability and

(14) having high risk symptoms, then they are going to

(15) have — Crystal Johnson might have gotten better

(16) in six months, but she died suddenly before that

(17) opportunity came. That's why. It's a very good

(18) investment in life.

(19) Q: Okay. How do you know that the

(20) lightheadedness and palpitations that Crystal

(21) Johnson had around 2:30 a.m. in the morning late

(22) in December 1999 was not the result of a

(23) hemodynamic process?

(24) A: I don't, other than the fact that:

(25) her blood pressures were not recorded to be

Charash

(1) *Charash*

(2) anything aberrant.

(3) On the other hand, the complete lack

(4) of evaluation is part of the problem we have here.

(5) The nurses did not do immediately

(6) vital signs, by the chart; the rhythm strip was

(7) not obtained; nor did your clients, Dr. Ross,

(8) demonstrate any appreciation of that symptom

(9) having occurred.

(10) It's a bit of a self-fueling cycle

(11) when the defense raises issues of the lack of

(12) certainty of the nature of a symptom and the only

(13) reason why there's a lack of certainty of the

(14) symptom is the complete failure to evaluate it by

(15) the healthcare providers, and that's their act of

(16) malpractice.

(17) Q: Well, Doctor, you know, you can make

(18) those kinds of comments, but it's just going to

(19) take me longer to get through my questioning, and

(20) I do have a deadline I'm trying to work against.

(21) A: I understand that.

(22) I'm just telling you that the

(23) healthcare providers totally failed to evaluate

(24) the symptom, and in that regard we can only go by

(25) statistics.

Charash

(1) *Charash*

(2) In view of what happened to her and

(3) in view of the fact that she did die, I think more

(4) likely than not it was arrhythmia. That's

(5) retrospect.

(6) Prospectively, the standard doesn't

(7) care. It needed evaluation, and I believe with

(8) proper evaluation they would have seen the risk

(9) for death; because we know she did die, you know,

(10) three weeks after discharge from the hospital.

(11) She died sudden death in just over

(12) three weeks. If she died three weeks later of

(13) sudden death, more likely than not the proper

(14) work-up would have revealed that predisposition on

(15) top of the information we had, but there was no

(16) work-up because of the shortcoming of the

(17) defendants.

(18) Q: Okay, Doctor. I understand your

(19) position on that.

(20) Are you able to state to a

(21) reasonable degree of medical probability that the

(22) lightheadedness and palpitations that Crystal had

(23) in the early morning hours in late December '99

(24) was the result of arrhythmia as opposed to a

(25) hemodynamic problem?

Charash

(1) A: Yes; because there were no
 (2) hemodynamic abnormalities.
 (3) Palpitations are rarely due to
 (4) hemodynamic abnormalities; are usually due to
 (5) arrhythmias.
 (6) So, yes, I think statistically it's
 (7) more likely to be an arrhythmia that was missed.
 (8) Q: Are you able to state to a
 (9) reasonable degree of medical probability that
 (10) Crystal Johnson's peripartum cardiomyopathy was
 (11) not from the stresses of her hemodynamic state as
 (12) opposed to an autoimmune etiology?
 (13) A: I have no idea what you just said.
 (14) By definition, a postpartum myopathy
 (15) is what she had, and most of the time we assume it
 (16) to be autoimmune.
 (17) Q: Well, do you consider yourself to be
 (18) an expert on peripartum cardiomyopathy?
 (19) A: Yes; as expert as one can be.
 (20) Q: What other than your treatment of
 (21) several patients in the last ten years qualifies
 (22) you as an expert on postpartum cardiomyopathy?
 (23) A: To begin with, I've treated probably
 (24) as many patients as any other cardiologist will
 (25)

Charash

(1) have treated.
 (2) This is a disease of cardiology. I
 (3) doubt there are too many cardiologists — there
 (4) are some who have more experience, but there
 (5) aren't many. I've seen many cases. I have
 (6) certainly been aware of the literature at
 (7) different times. I've reviewed it. I've been in
 (8) conferences. I've treated it. I've spoken to
 (9) other people in the nation about it.
 (10) I think I'm as expert as one can be,
 (11) other than being expert on experimental treatments
 (12) of people who don't get better. But reality is,
 (13) in terms of the natural history and the
 (14) responsibility of the evaluation, at the level of
 (15) this hospitalization, I think I'm an expert, and I
 (16) think that you should not dismiss the number of
 (17) patients I have seen as irrelevant. I think it's
 (18) quite relevant.
 (19) Q: Well, when have you given lectures
 (20) or made presentations to other people in the
 (21) country about peripartum cardiomyopathy?
 (22) A: Just because I haven't published or
 (23) given lectures doesn't mean I don't have clinical
 (24) expertise in the field.
 (25)

Charash

(1) Q: I'm sorry. I thought I heard you
 (2) say that you have given lectures, and that's what
 (3) I was trying to qualify or clarify.
 (4) A: Pardon me. You asked me earlier if
 (5) I gave lectures on heart failure, and I said yes.
 (6) Q: Was Crystal Johnson experiencing
 (7) heart failure when she was discharged on December
 (8) 31, 1999?
 (9) A: She was not in decompensated heart
 (10) failure, but she had a weak heart.
 (11) I'm not too sure I understand what
 (12) you are asking.
 (13) Q: How many patients with compensated
 (14) heart failure have you followed on an outpatient
 (15) basis in the last ten years?
 (16) A: More than I can count.
 (17) Q: Can you state to a reasonable degree
 (18) of medical probability that if Crystal Johnson was
 (19) seen sooner by Dr. Ross or Dr. Lee in their
 (20) office, that she would have survived?
 (21) A: She would have only survived had the
 (22) proper evaluation been done and proper remedy been
 (23) done.
 (24) If she had multiple visits and they
 (25)

Charash

(1) failed to do what was needed to be done, she
 (2) wouldn't have survived.
 (3) I'm not talking about quantitative
 (4) visits; I'm talking about what medical management
 (5) and intervention was required and that was
 (6) abandoned.
 (7) Q: What kind of reputation does the
 (8) Johns Hopkins University Medical Center have with
 (9) respect to cardiology?
 (10) A: They're a solid institution.
 (11) Q: And by "solid," they have a good
 (12) reputation?
 (13) A: I don't know.
 (14) Q: Or a solid reputation?
 (15) A: Solid reputation.
 (16) Q: Same question regarding to Temple
 (17) University?
 (18) A: I don't know their reputation.
 (19) Q: Do you know Dr. Howard Eisen?
 (20) A: No.
 (21) Q: And for clarification, do you read
 (22) JAMA on a fairly regular basis?
 (23) A: No. On an intermittent basis.
 (24) Q: Do you read their cardiology
 (25)

Charash

Charash

[1] articles when they are of interest to you?
 [2] **A:** Sometimes. Not always.
 [3] **Q:** Why do you read the journals that
 [4] you read? To keep up with the profession?
 [5] **A:** Yes. I mean, general awareness of
 [6] literature. That is one of the sources of my
 [7] continuing education.
 [8] **Q:** Do you have any understanding as to
 [9] the role of the National Heart, Lung and Blood
 [10] Institute with respect to the development of
 [11] knowledge related to the treatment of patients
 [12] with cardiac problems?
 [13] **A:** I have no idea what you are asking.
 [14] **Q:** Well, do you know what the National
 [15] Heart, Lung and Blood Institute is?
 [16] **A:** Yes. But I don't understand your
 [17] question.
 [18] **Q:** Okay. Well, does it serve the
 [19] cardiology community?
 [20] **A:** It is one of the many resources that
 [21] involves a clinical and basic research.
 [22] **Q:** Have you ever attended any of their
 [23] workshops?
 [24] **A:** No. I'm aware they have workshops,

[1] prevention of an arrhythmia or rhythm disturbance
 [2] was an issue?
 [3] **A:** I think so.
 [4] **Q:** Can you remember any of those cases?
 [5] **A:** No.
 [6] **Q:** Can you remember any of the
 [7] attorneys that you worked with on those cases?
 [8] **A:** No.
 [9] **Q:** Can you remember where any of them
 [10] were filed?
 [11] **A:** No.
 [12] **Q:** Doctor, your curriculum vitae makes
 [13] mention of a book that you published.
 [14] **A:** Yes.
 [15] **Q:** Is that a book that was published
 [16] for the benefit of the medical community?
 [17] **A:** No. That book was for the public.
 [18] **Q:** Is that book still in print?
 [19] **A:** No.
 [20] **Q:** Do you know who the publisher was?
 [21] **A:** Of course. The hard back was
 [22] published by Viking, and the paperback by Penguin.
 [23] I believe Viking is mentioned in my CV.
 [24] **Q:** And do you know why the book is no

Charash

Charash

[1] but I have not gone.
 [2] **Q:** Have you read any of their
 [3] publications?
 [4] **A:** Probably.
 [5] **Q:** And for the same reason that you
 [6] read the other medical literature, just to keep up
 [7] with the science of medicine?
 [8] **A:** Yes. I read things on my own. I'm
 [9] part of journal clubs at our hospital. I attend
 [10] varying conferences and rounds where literature is
 [11] discussed.
 [12] **Q:** Are the publications of the National
 [13] Institutes of Health of value to the medical
 [14] community?
 [15] **A:** I think everything published has
 [16] value weighted on the nature of the specific
 [17] article.
 [18] **Q:** Would you agree that their
 [19] publications provide guidance with respect to the
 [20] treatment of various cardiologic conditions?
 [21] **A:** Some do/some don't.
 [22] **Q:** Doctor, have you given testimony
 [23] either in your individual capacity as a doctor or
 [24] as an expert witness in any other case where the

[1] longer in print?
 [2] **A:** There was a certain time limit where
 [3] they discontinued printing it. We only had a
 [4] first printing of both the paperback and the hard
 [5] back.
 [6] **Q:** Do you know what the sales were on
 [7] the book?
 [8] **A:** I don't know.
 [9] **Q:** You are unable to estimate the
 [10] number of copies that were sold?
 [11] **A:** No. I was given an advance on the
 [12] book and I know that I didn't get any money passed
 [13] the advanced, but I don't know how many copies
 [14] were sold. It got good reviews, if that helps.
 [15] **Q:** Do you know where one could get a
 [16] copy of that book or see that book?
 [17] **A:** Many libraries have them. Also, if
 [18] you go on the internet on certain book sellers,
 [19] you could buy one off the internet.
 [20] **Q:** Do you still have your book?
 [21] **A:** I have one mint copy left, which is
 [22] not to be touched. It's a time vault copy.
 [23] **Q:** Okay.
 [24] **MS. HOGAN:** Is it in a vault?

(1) Charash

(2) THE WITNESS: No. It's on a
(3) bookshelf.

(4) BY MR. SPENCE:

(5) Q: Doctor, what percentage of patients
(6) who have implanted defibrillators experience
(7) arrhythmias anyway?

(8) A: Well, most people who have
(9) defibrillators get arrhythmias, and then the
(10) defibrillator saves them.

(11) Q: Let me rephrase my question.
(12) What percentage of patients who have
(13) defibrillators die as a result of an arrhythmia or
(14) some kind of electrical problem in the heart?

(15) A: Almost zero. It should be zero.
(16) You could die of other things. And,
(17) in fact, statistics will show that one hundred
(18) percent of the people who get defibrillators will
(19) eventually die.

(20) Q: Do you have an opinion to a
(21) reasonable degree of medical probability as to
(22) what a stress test would have shown if it was
(23) performed before Crystal Johnson was discharged
(24) from the hospital center when you contend she
(25) should have been kept in the hospital?

(1) Charash

(2) before she was discharged from the hospital
(3) center, had received Holter monitoring and had
(4) received stress testing and had received further
(5) hospitalization, that she would have survived?

(6) A: Yes.

(7) Q: Well, if you can't say that a
(8) defibrillator and Holter monitoring placed at
(9) Greater Southeast Community Hospital on December
(10) 23, '99 would have saved her, how can you say
(11) those same interventions would have saved her if
(12) they were placed eight days later?

(13) A: I think I made my position on that
(14) point quite clear.

(15) I was saying that I found it hardly
(16) rational to put blame on a hospital when the
(17) argument is that if they had practiced according
(18) to the standard of care, it would have compensated
(19) for the fact that we did not.

(20) The reality is that I find there is
(21) no relationship to causation by the other
(22) hospital, because she was admitted to your
(23) hospital, to your doctors, with every opportunity
(24) to do what was necessary, with an acknowledgment
(25) of her diagnosis, with no harm performed in that

(1) Charash

(2) A: Yes, I have an opinion.

(3) Q: What is the opinion?

(4) A: It would have had a more likely than
(5) not probability of showing extra beats that were
(6) provoked by exercise, but not much more than 50
(7) percent, slightly more likely than not.

(8) Q: And is it your contention that the
(9) standard of care required Crystal to wear the
(10) Holter monitor for the foreseeable future?

(11) How long was she supposed to wear
(12) that is what I'm trying to understand.

(13) A: Well, I would want — the standard
(14) of care would require 48 hours of monitoring with
(15) solid, you know, memory as reliable as a Holter.

(16) Q: And do you have an opinion to a
(17) reasonable degree of medical probability as to
(18) what Holter monitoring would have disclosed if it
(19) had been ordered in Crystal Johnson's case?

(20) A: Yes. It would have shown high risk
(21) extra beats that would have demanded the
(22) intervention of a defibrillator.

(23) Q: Now, are you able to state to a
(24) reasonable degree of medical probability that if
(25) Crystal Johnson had received a defibrillator

(1) Charash

(2) period of a week.

(3) Given that, the responsibility fell
(4) upon Washington Hospital Center.

(5) If you want to argue to the jury
(6) that had the other hospital complied with the
(7) standard of care it would have then meant that
(8) your hospital would have never been in a position
(9) to commit malpractice, I don't know about the
(10) legality of that argument, but it certainly makes
(11) no sense to me.

(12) Q: Well, Doctor, you misunderstood me.

(13) I'm not here to blame Greater Southeast Community
(14) Hospital. I'm simply here to ask you a question
(15) on causation.

(16) You testified previously that you
(17) could not state that placing a defibrillator and
(18) ordering Holter monitoring on December 23, 1999
(19) would have saved Crystal Johnson's life.

(20) A: I did not say that.

(21) MS. HOGAN: Objection.

(22) A: At any point had she received a
(23) defibrillator before her death, she would not have
(24) died.

(25) I just was not ascribing any

Charash

[1] responsibility to the other hospital because I
[2] believe there was no relationship to causation,
[3] because there was an intervening hospitalization
[4] that took responsibility.

[5] Had she died, I mentioned earlier,
[6] before she made it back to your hospital, then
[7] there would have been both an issue of standard
[8] of care and causation. But that was negated the
[9] moment she went to your hospital; so, I really
[10] don't have any opinions about the previous ER
[11] visit.

[12] **Q:** Clarification, since I'm a lay
[13] person: What do you mean when you say extra
[14] beats?

[15] **A:** Ventricular premature contraction.

[16] **Q:** Did you say "ventricular premature
[17] contraction"?

[18] **A:** Yes.

[19] **Q:** And, again, I know that you
[20] explained a lot for Ms. Hogan, and I was trying to
[21] follow you and write notes as best I could. So, I
[22] apologize if this question is somewhat repetitive.

[23] However, I feel that I must ask
[24] where do you contend that the extra beats are

Charash

[1] demonstrated in the Washington Hospital Center
[2] chart for the admission of December 28, 1999?

[3] **A:** On multiple rhythm strips.

[4] **Q:** Where are they apparent on the
[5] rhythm strips of December 29, 1999?

[6] **A:** I said "multiple rhythm strips," not
[7] every rhythm strip.

[8] **Q:** I understand.

[9] **A:** Well, then —

[10] **Q:** If they're not apparent, then just
[11] tell me and I'll move on.

[12] And I'm going to ask you about the
[13] 30th.

[14] **A:** Well, on which date did you mention?

[15] **Q:** December 29, 1999, I know you made
[16] reference to one at 12:05 p.m. and one at 5:06
[17] p.m. and then there was a third strip on the same
[18] page that is not timed.

[19] **MR. REGAN:** Here it is
[20] (handing).

[21] **A:** (Perusing document.)

[22] **MS. HOGAN:** And that was a
[23] copy that was to be marked as an
[24] exhibit, remember?

Charash

[1] (A rhythm strip was marked as
[2] Charash Exhibit No. 2 for
[3] identification, as of this date.)

[4] **MR. REGAN:** I remember. It
[5] will be.

[6] **A:** (Perusing document.) On 12-29, the
[7] bottom strip of the three, shows three extra
[8] beats.

[9] The top strip — it is hard to tell
[10] if on the top strip that third complex is an extra
[11] beat.

[12] But there are other rhythm strips
[13] earlier that show extra beats. It appears
[14] throughout the chart.

[15] There is one rhythm strip on 12:28
[16] that shows an extra beat. It is represented on
[17] multiple rhythm strips in the chart.

[18] **Q:** Is the only significance that one
[19] could assign to the extra beats an increased risk
[20] of abnormal electrical activity or an arrhythmia?

[21] **A:** Yes; that's a conclusion — that's
[22] the clinically relevant conclusion.

[23] **Q:** There is no other clinically
[24] relevant conclusion that can be drawn?

Charash

[1] **A:** No. But in their own right, they
[2] don't alone show the highest risk that can be
[3] appearing, which would be two or three extra beats
[4] lumped together; called a couplet or a triplet,
[5] which would be more important. But in view of her
[6] symptoms, her age, her underlying condition and
[7] those beats, I think the constellation showed
[8] evidence that she needed a more aggressive
[9] work-up, and being we know she died three to four
[10] weeks later demonstrates that in retrospect the
[11] work-up would have been revealing.

[12] **Q:** Okay. And I guess, just for
[13] clarification, did you see any couplets or
[14] triplets?

[15] **A:** No, I did not.

[16] **Q:** What is the chance for recovery if a
[17] person has postpartum cardiomyopathy that is
[18] secondary to a genetic problem?

[19] **A:** I have no idea what you are talking
[20] about.

[21] **Q:** Why?

[22] **A:** Because a postpartum cardiomyopathy
[23] is an acquired problem after pregnancy that is a
[24] single diagnosis. I don't know of anyone who

Charash

[1] legitimately would break that into two different
 [2] types of postpartum cardiomyopathy.
 [3] Q: Well, I'm just asking about the
 [4] different etiologic factors that are taken into
 [5] account.
 [6] Are you aware or do you agree that
 [7] genetics can be an etiologic factor?
 [8] A: In every condition there may be a
 [9] proclivity in a given family which in and of
 [10] itself may or may not have some genetic
 [11] predisposition, but that does not have an
 [12] independent breakdown in prognosis which would not
 [13] be effective.
 [14] Q: Okay. Have you ever served on a
 [15] cardiac transplantation team?
 [16] A: No.
 [17] Q: Have you ever conducted
 [18] histocompatibility for somebody in search of a
 [19] compatible heart?
 [20] A: No. I've sent patients, but I have
 [21] not done it.
 [22] Q: Do you refer all of your patients
 [23] who need cardiac transplantation out?
 [24] A: Yes.

Charash

[1] Q: Have you ever been involved in
 [2] managing a patient whom was a cardiac transplant
 [3] patient?
 [4] A: Yes.
 [5] Q: Were you involved in their cardiac
 [6] transplant care?
 [7] A: Not the short-term care, but I've
 [8] had patients who have had transplants.
 [9] Q: Okay. Have you ever worked as a
 [10] transplant cardiologist is what I guess I'm
 [11] driving at?
 [12] A: No.
 [13] Q: Do you know what the
 [14] histocompatibility would have shown in Crystal
 [15] Johnson had she ever needed it?
 [16] A: No.
 [17] Q: Do you have an opinion to a
 [18] reasonable degree of medical probability as to
 [19] whether Crystal Johnson would have received a
 [20] compatible heart if it had ever been determined
 [21] that she needed one?
 [22] A: Of course not.
 [23] Q: Doctor, have you ever been
 [24] affiliated with any kind of organization or
 [25]

Charash

[1] service that has as its purpose placing attorneys
 [2] in touch with medical experts?
 [3] A: Not with my consent.
 [4] Q: You have been involved in quality
 [5] assurance meetings, haven't you?
 [6] A: Multiple.
 [7] Q: What is the purpose of quality
 [8] assurance?
 [9] A: Actually, the term now is QI,
 [10] quality improvement. And that's to evaluate a
 [11] problem, a bad outcome, and determine whether a
 [12] bad outcome was the problem of an individual, and
 [13] to determine what remedy was needed or a system
 [14] and then determine what remedy was needed for the
 [15] system.
 [16] And, finally, the third would be to
 [17] see if it was just in spite of optimal management,
 [18] regardless of what we do.
 [19] Q: Do other doctors participate in
 [20] those meetings?
 [21] A: Yes.
 [22] Q: Are there often differences of
 [23] opinion expressed about what the standard of care
 [24] required?
 [25]

Charash

[1] A: Rarely on standard of care.
 [2] I think within the standard of care
 [3] there are heated discussions.
 [4] Q: Are there often legitimate
 [5] differences of opinion as to what the standard of
 [6] care required?
 [7] A: Not usually standard of care.
 [8] Usually they are heated discussions within the
 [9] standard of care.
 [10] Q: Are there ever reasonable
 [11] differences of opinion, however, regarding what
 [12] the standard of care required, even though that
 [13] may not occur that often?
 [14] A: I think the heated discussions are
 [15] about alternatives within the standard of care,
 [16] not without it.
 [17] Q: Doctor, do you know of any physician
 [18] in your medical community where you practice that
 [19] would agree with your opinions as to the standard
 [20] of care concerning Dr. Ross, Dr. Lee and their
 [21] practice?
 [22] A: You would have to ask them.
 [23] MR. SPENCE: Doctor, thank you
 [24] very much.
 [25]

Charash

Charash

(1) **MS. HOGAN:** Doctor, I just
 (2) have about three or four quick
 (3) follow-up questions.
 (4) **EXAMINATION (Continued)**
 (5) **BY MS. HOGAN:**
 (6) **Q:** First, I think it is Page 169, the
 (7) other telemetry sheets.
 (8) **MR. REGAN:** Yes, we have it.
 (9) **Q:** It is pretty well marked on my copy,
 (10) 169.
 (11) **MS. HOGAN:** Do you have it?
 (12) **MR. REGAN:** Yes.
 (13) **Q:** Dr. Charash, the bottom strip there,
 (14) do you see any abnormalities on that?
 (15) **A:** (Perusing document.) No, I don't
 (16) see on any of them.
 (17) **Q:** Next question: Did you read
 (18) plaintiff's Answers to Interrogatories?
 (19) **A:** Yes.
 (20) **Q:** Do you give any significance to the
 (21) fact that the patient experienced hives in the
 (22) week or so before her death?
 (23) **A:** Yes.
 (24) **Q:** What significance?
 (25)

(1) impossible, just extremely unlikely.
 (2) **Q:** In your experience with patients'
 (3) complaints and evaluations of them by nurses and
 (4) other physician staff members at the hospital, is
 (5) there a difference between palpitations and chest
 (6) tightness?
 (7) **A:** Yes, of course.
 (8) **Q:** How would you describe the
 (9) difference?
 (10) **A:** One is a feeling of tightness in the
 (11) chest; the other is a feeling of the heart beating
 (12) harder or faster. But palpitations can cause
 (13) chest tightness.
 (14) **Q:** If hypothetically the nurse,
 (15) regarding that nursing order of the 30th, the
 (16) patient had only had lightheadedness and not
 (17) palpitations, would that affect your opinions in
 (18) any way?
 (19) **A:** No. There still is an absolute
 (20) obligation to look for arrhythmia in view of her
 (21) hard risk.
 (22) **MS. HOGAN:** That's all I have.
 (23) Thanks, Doctor.
 (24) **THE WITNESS:** Sure.
 (25)

Charash

Charash

(1) **A:** It means she had a mild allergic
 (2) reaction a week before she died.
 (3) **Q:** Were you familiar with her prior
 (4) records from Greater Southeast Community Hospital
 (5) indicating she had had allergic reactions to
 (6) penicillin?
 (7) **A:** I remember that being discussed, but
 (8) I don't remember the source of knowing that. But
 (9) I wouldn't be surprised. I mean, people get
 (10) allergies all the time.
 (11) **Q:** I know your opinions about
 (12) arrhythmia. We won't go into that.
 (13) Putting that aside, are you able to
 (14) rule out the condition known as anaphylaxis from
 (15) your review of the autopsy report?
 (16) **A:** Yes.
 (17) **Q:** Basis?
 (18) **A:** The basis of the fact is that
 (19) statistically overwhelmingly she's at risk for
 (20) sudden cardiac death and her evidence appears to
 (21) be that of sudden cardiac death. There is no
 (22) discussion of anaphylactic type findings in the
 (23) body. There is no reason to think it happened.
 (24) I mean, it certainly is not
 (25)

(1) **MR. REGAN:** Thank you.
 (2) **THE WITNESS:** I'll read.
 (3) **MR. SPENCE:** Nice to meet you.
 (4) (Whereupon, at 1:45 o'clock
 (5) p.m., the deposition was concluded.)
 (6)
 (7)
 (8)
 (9)
 (10)
 (11)
 (12)
 (13)
 (14)
 (15)
 (16)
 (17)
 (18)
 (19)
 (20)
 (21)
 (22)
 (23)
 (24)
 (25)

[1]
[2] C_A_P_T_I_O_N

[3]
[4] The Deposition of BRUCE CHARASH, taken in the
[5] matter, on the date, and at the time and place set
[6] out on the title page hereof.

[7]
[8]
[9] It was requested that the deposition be taken by
[10] the reporter and that same be reduced to
[11] typewritten form.

[12]
[13]
[14] It was agreed by and between counsel and the
[15] parties that the Deponent will read and sign the
[16] transcript of said deposition.

[17]
[18]
[19]
[20]
[21]
[22]
[23]
[24]
[25]

[1] DEPOSITION_ERRATA_SHEET
[2]
[3] RE:
FILE NO.
[4] CASE CAPTION: JOHNSON vs. MEDLANTIC HEALTHCARE
[5] DEPONENT: BRUCE D. CHARASH
DEPOSITION DATE: 11-13-2001

[6] To the Reporter:
[7] I have read the entire transcript of my Deposition
taken in the captioned matter or the same has been
[8] read to me. I request for the following changes
be entered upon the record for the reasons
[9] indicated.
I have signed my name to the Errata Sheet and the
[10] appropriate Certificate and authorize you to
attach both to the original transcript.

[11]
[12]
[13]
[14]
[15]
[16]
[17]
[18]
[19]
[20]
[21]
[22]
[23]
[24] SIGNATURE: _____ DATE: _____
[25] BRUCE CHARASH

[1]
[2] C_E_R_T_I_F_I_C_A_T_E

[3]
[4] STATE OF _____:
[5] COUNTY/CITY OF _____:

[6]
[7] Before me, this day, personally appeared
[8] BRUCE CHARASH, who, being duly sworn, states
[9] that the foregoing transcript of his/her
[10] Deposition, taken in the matter, on the date, and
[11] at the time and place set out on the title page
[12] hereof, constitutes a true and accurate transcript
[13] of said deposition.

[14]
[15]
[16]
[17] BRUCE CHARASH

[18]
[19] SUBSCRIBED and SWORN to before me this _____
[20] day of _____, 2001, in the
[21] jurisdiction aforesaid.

[22]
[23]
[24]
[25] My Commission Expires _____ Notary Public

