

1 STATE OF ILLINOIS
 2 IN THE CIRCUIT COURT OF THE ELEVENTH JUDICIAL CIRCUIT
 3 COUNTY OF MCLEAN
 4 NATHAN CAIN, Independent)
 5 Administrator of the)
 6 Estate of CANDICE CAIN,)
 7 deceased,)
 8 Plaintiff,)
 9 vs.) No. 14 L 28
 10 THOMAS DEWEERT and)
 11 DIGESTIVE DISEASE)
 12 CONSULTANTS, LTD.,)
 13 Defendants.)
 14
 15 The discovery deposition of RICHARD M. GORE,
 16 M.D. taken in the above-entitled cause, before
 17 Athanasia Mourgelas, Certified Shorthand Reporter,
 18 a notary public of Cook County, Illinois, on
 19 August 4, 2017, at the hour of 10:11 o'clock a.m.,
 20 at 2100 Pfingsten Road, Conference Room D,
 21 Glenview, Illinois, pursuant to notice.
 22
 23 Reported by: Athanasia Mourgelas, CSR
 24 License No. 084-004329

1

1 I N D E X
 2 WITNESS EXAMINATION
 3 RICHARD M. GORE, M.D.
 4
 5 By Mr. Brandt 4
 6
 7
 8
 9
 10 E X H I B I T S
 11 NUMBER MARKED FOR ID
 12 DR. GORE Deposition
 13 Exhibit No. 1 115
 14 Exhibit No. 2 115
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24

3

1 APPEARANCES:
 2 GINZKEY LAW OFFICE,
 3 MR. JIM GINZKEY
 4 221 East Washington Street,
 5 Bloomington, Illinois 61701,
 6 (309) 821-9707
 7 jim@ginzkeylaw.com
 8 Representing the Plaintiff;
 9
 10 LIVINGSTON, BARGER, BRANDT & SCHROEDER, by
 11 MR. PETER W. BRANDT,
 12 115 West Jefferson Street, Suite 400,
 13 Bloomington, Illinois 61701,
 14 (309) 828-5281
 15 pbrandt@lbbs.com
 16 Representing the Defendants.
 17
 18 ALSO PRESENT: Thomas DeWeert, M.D.
 19
 20
 21
 22
 23
 24

2

1 (whereupon, the deposition
 2 commenced at 10:11 a.m.)
 3 (Witness sworn.)
 4 MR. BRANDT: Let the record reflect that this
 5 is the discovery deposition of Dr. Rich M. Gore
 6 taken pursuant to notice, the applicable Supreme
 7 Court Rules.
 8 RICHARD M. GORE, M.D.,
 9 having been first duly sworn, was examined and
 10 testified as follows:
 11 EXAMINATION
 12 BY MR. BRANDT:
 13 Q. Good morning, Dr. Gore. We met earlier.
 14 You brought with you, in essence, your file.
 15 Can you just catalog for us on the record
 16 what you have? I know you have a lot of images.
 17 Let me just ask you a question, we were given
 18 before the deposition a -- what was described as a
 19 PowerPoint that had a number of images on there.
 20 Are those the images that you have in
 21 front of you in disk form?
 22 A. That's correct. And I have some
 23 additional images as well as and some additional
 24 demonstratives since you were sent the e-mail or

4



1 the sent the --
 2 Q. Disk?
 3 A. -- disk, right.
 4 Q. Okay. So in any event, I have, in
 5 essence, all the disks that you have in front of
 6 you on one disk --
 7 A. Right.
 8 Q. -- that was sent; is that right?
 9 A. Oh, the key images from each of the -- you
 10 know, because there's thousands and thousands of
 11 images.
 12 Q. Right. Okay. what you put -- what are on
 13 disk that we received in response to the discovery
 14 or as part of the discovery disclosure, were those
 15 images that you thought were important to your
 16 testimony, is that right --
 17 A. That's right.
 18 Q. -- is that a good way to describe it?
 19 You also have some notes regarding a
 20 couple of depositions. which depositions did you
 21 have notes on?
 22 A. One of Dr. Jay Woodland, J-A-Y,
 23 W-O-O-D-L-A-N-D and a Dr. Juliette,
 24 J-U-L-I-E-T-T-E, Scantlebury,

5

1 the reports were inaccurate, the radiology reports
 2 were inaccurate?
 3 A. Boy, there were tons of reports. None
 4 that come to mind.
 5 Q. None that you're going to testify here
 6 today about; is that right?
 7 A. That there was a deviation of the standard
 8 of care, no.
 9 Q. No, or just that you had a disagreement
 10 with the findings. Not that there was necessarily
 11 a deviation but that there was a disagreement with
 12 the findings by the radiologist?
 13 A. Not that comes to mind now.
 14 Q. Okay. with respect to any other medical
 15 records, did you look at operative notes? Did you
 16 look at procedure notes? what else did you look
 17 at?
 18 A. Yes. You know, those -- you know, I
 19 didn't look at nursing notes but I would look at,
 20 you know, operative reports, radiology reports,
 21 interventional radiology reports. But that's been
 22 a while ago.
 23 Q. Okay. Are all those records here in front
 24 of you?

7

1 S-C-A-N-T-L-E-B-U-R-Y.
 2 Q. Okay. And we talked about before the
 3 deposition that we would take a break and make
 4 copies of those and we can attach those to the
 5 deposition and we'll mark them later. Is that fine
 6 with you?
 7 A. That's fine.
 8 Q. Did you re-read any other depositions in
 9 this case?
 10 A. No.
 11 Q. Okay. Did you look at any other materials
 12 in this case besides the images? In other words,
 13 did you look at medical records?
 14 A. I looked briefly but a long time ago, I
 15 haven't looked at the medical records in over a
 16 year, but I do look at the radiology reports as
 17 well.
 18 Q. Okay. And would that be all the radiology
 19 reports for the images that you have on disk in
 20 front of you or just some?
 21 A. I think the majority of them. I don't
 22 know each one. I didn't go and check.
 23 Q. with respect to the reports themselves,
 24 did you have -- or do you have any opinions that

6

1 A. They're on the CD.
 2 MR. BRANDT: Okay. And I'll just ask for a
 3 copy of that.
 4 MR. GINZKEY: A copy of what?
 5 MR. BRANDT: The medical records that you sent
 6 him on disk.
 7 MR. GINZKEY: Yes.
 8 BY MR. BRANDT:
 9 Q. Okay. Any other materials that you looked
 10 at? I know that you prepared -- did you prepare
 11 the PowerPoint presentation?
 12 A. Yes.
 13 Q. Any other documents that you either
 14 created or looked at in preparation for your
 15 testimony here today?
 16 A. No.
 17 Q. Did you look at any textbooks? It looks
 18 like you brought the textbook of Gastroenterology
 19 -- Gastrointestinal Radiology; is that right?
 20 A. That's correct.
 21 Q. And that's a book that bears your name; is
 22 that right?
 23 A. That's correct.
 24 Q. And is this the current edition that we

8



1 have here?
 2 A. Yes. And now we're working on a fifth
 3 edition.
 4 Q. This is the fourth edition; is that right?
 5 A. That's correct.
 6 Q. And what was the publication date of that?
 7 A. This was 2015.
 8 Q. Okay. Is there something in the textbook
 9 that you're going to refer to in this deposition or
 10 trial?
 11 A. No.
 12 Q. Okay. Is there anything in the textbook
 13 that discusses the issues that you're going to talk
 14 about here today? In other words, pancreatitis,
 15 the development of necrotizing pancreatitis? How
 16 it presents on imaging, those types of things?
 17 A. Well, there's a nice chapter on
 18 pancreatitis in there.
 19 Q. Okay. And we'll look at it in a minute
 20 but is that something you authored or somebody
 21 else?
 22 A. No, that was authored by another person.
 23 Q. Okay. Is there a reason that you brought
 24 it here today?

9

1 do not have.
 2 Q. Do you perform ERCP?
 3 A. No.
 4 Q. When would be the last time -- have you
 5 ever performed an ERCP?
 6 A. No. However, from 1978 to 2002 I
 7 fluoroscoped for thousands of ERCP. In 2002, we
 8 got a new GI lab and so they have their own
 9 fluoroscope, and they do their own imaging now.
 10 But for more than 20 years, I was involved in the
 11 ERCPs, not performing them by any means but by
 12 taking the X-rays during.
 13 Q. And you would be involved with the
 14 fluoroscopy during the procedure over the time
 15 period that you've talked about; is that right?
 16 A. That's right.
 17 Q. How often would you do that?
 18 A. Three or four times a weeks.
 19 Q. And so you've done thousands it sounds
 20 like where you've played a role in ERCP in the
 21 operating room; is that right?
 22 A. Actually, it's done in fluoroscopy down in
 23 radiology, right.
 24 Q. Okay. So I'll rephrase the question.

11

1 A. I just thought -- maybe you'd want to buy
 2 it. That's one of the reasons.
 3 Q. Okay. Well, I may. With respect to any
 4 other textbook, is there any other textbook or
 5 literature that you're going to be relying upon?
 6 A. No.
 7 Q. Are you relying upon anything in the
 8 textbook we just identified?
 9 A. Not really, no.
 10 Q. Is there any other document that we
 11 haven't discussed that you're going to rely upon or
 12 that you're going to reference in this case in
 13 terms of your testimony?
 14 A. No, nothing that comes to mind.
 15 Q. Your practice -- do you practice
 16 interventional radiology?
 17 A. A very low end interventional radiology.
 18 A very, very small part of the practice, which is
 19 biopsies and abscess drainages. But some of the
 20 high end interventional stuff, no.
 21 Q. Is there a separate board for
 22 interventional radiology?
 23 A. There's actually a certificate of avid
 24 qualifications in interventional radiology, which I

10

1 You've played a role in thousands of ERCP
 2 as involved with the fluoroscopy in the radiology
 3 suite over the years that you've talked about; is
 4 that right?
 5 A. That's correct, not passing any catheters
 6 or anything like that.
 7 Q. And I guess that was my next question.
 8 Any other role that you play during the ERCP in the
 9 time period that you've talked about?
 10 A. No. Other than that, you know, the most
 11 common question is where are we or where I am.
 12 Q. Sure. The fluoroscopy during an ERCP, is
 13 it kind of like a movie or a film of what's
 14 transpiring during the procedure?
 15 A. It's kind of like a video but we don't do
 16 videotapes of what's going on during that. We just
 17 take static images.
 18 Q. Right. And in this case, all you had to
 19 go on was static procedures for this procedure that
 20 we're going to talk about today; is that right?
 21 A. That's right.
 22 Q. Okay. Do you play a role as a consultant
 23 in terms of ERCP, how the ERCP is to be performed?
 24 A. Oh, no. You know, I interpret the ERCPs

12



1 after they've been performed. And sometimes if
 2 they have a question from the GI lab, they'll call
 3 me up, could you come down and look at this. But
 4 that's very seldom.

5 Q. Okay. On those occasions, what would be a
 6 reason that they would need your interpretation of
 7 an image to answer a question? What type of
 8 question?

9 A. The question is, this is very weird ductal
 10 anatomy or what do you think about this. But
 11 again, this is probably once a year.

12 Q. Am I correct that in this case you're not
 13 going to render any opinions about the standard of
 14 care for my client; is that right?

15 A. That's correct.

16 Q. Am I also correct that you're not going to
 17 render any opinions regarding his operative
 18 technique in terms of whether it's appropriate or
 19 inappropriate; is that right?

20 A. That's correct.

21 Q. Okay. Your role in this case is to
 22 discuss the images that you reviewed; correct?

23 A. Right.

24 Q. And also to draw some conclusions about

13

1 what you saw; is that right?

2 A. Correct.

3 Q. The -- we have a disclosure here. Have
 4 you seen this --

5 A. Yes, I have.

6 Q. -- document?

7 A. Yes, I have.

8 Q. Do you have it in front of you? So it's
 9 six pages long and then it has your CV attached.

10 A. Yes, I have it.

11 Q. And this is a document that was obviously
 12 shared with you before today; is that right?

13 A. Yes.

14 Q. If you look at paragraphs one, and these
 15 are small Roman numeral i through small Roman
 16 numeral -- well, I guess it's really just small
 17 Roman numeral i and ii. Take a look at those.

18 And I just want to ask you, are those --
 19 does that contain the entirety of your opinions in
 20 this case?

21 A. Yes, it does, unless I'm presented with
 22 new information or any new depositions that I'm
 23 presented with.

24 Q. I appreciate what you're saying. But as

14

1 you sit here today, Mr. Ginzkey has prepared a
 2 document that contains your thoughts and
 3 conclusions in this case; is that right?

4 A. That's correct.

5 Q. Okay. Are you going to render any
 6 opinions about the incidence of ERCP? In other
 7 words, how -- I'm sorry, the incidence of
 8 pancreatitis related to ERCP? Do you have any
 9 opinions you're going to render about that?

10 A. No, I would defer to a gastroenterologist.

11 Q. Okay. Are you going to render any
 12 opinions about the scoring of this patient either
 13 for the indications for ERCP or for the development
 14 of pancreatitis? In other words, the risk of
 15 developing pancreatitis?

16 A. No.

17 Q. That would be outside the area of your
 18 expertise?

19 A. By scoring, you know, there's scoring in
 20 terms of severity of the pancreatitis on imaging.

21 Q. Right.

22 A. But in terms of scoring for indications
 23 for ERCP, no.

24 Q. Are you familiar let's say with the APACHE

15

1 III score and those type of things?

2 A. Yes, I am.

3 Q. And are you going to render any opinions
 4 about her scoring as it relates to that?

5 A. No.

6 Q. There's a rank in score also, you're
 7 familiar with that?

8 A. Yes.

9 Q. Do you use those scoring systems at all in
 10 your care and treatment?

11 A. No, I don't use them -- in my dictations.

12 Q. Have you ever provided care and treatment
 13 to a patient with pancreatitis in a clinical
 14 setting?

15 A. In terms -- diagnostically, yes. In terms
 16 of abscess drainage or fluid collection drainage,
 17 yes.

18 Q. How about in any other fashion? In other
 19 words, follow the patient clinically, are you
 20 involved in that?

21 A. Oh, no, not at all.

22 Q. That would be left to gastroenterology?

23 A. Or the interventional radiology, you know,
 24 which now has its own service and they go on rounds

16



1 every morning to see patients they've done
 2 procedures with or have catheters.
 3 Q. Okay. Are you going to render any
 4 opinions about the surgeries, the surgery this
 5 patient had? She had a surgery of May, May 10th
 6 and she had one later in August?
 7 A. That was at Barnes, right?
 8 Q. Both at Barnes, yeah.
 9 A. No.
 10 Q. Do you have any opinions about the
 11 appropriateness of the ERCP at Barnes? In other
 12 words, whether it was indicated or not? So she
 13 leaves my client's care. She goes to Barnes. They
 14 do an ERCP. You remember that; right?
 15 A. That's right.
 16 Q. And do you have any opinions about the
 17 appropriateness of doing that or inappropriateness
 18 of doing that?
 19 A. No, I don't.
 20 Q. Okay. Well, that's going to keep this a
 21 lot shorter. I guess just to cover this, the
 22 revised Atlanta classification, is that something
 23 you're familiar with?
 24 A. Yes, and it's in the new book.

17

1 Q. And is that something you're going to
 2 testify to in this case? You hadn't thought that
 3 you were going to I take it?
 4 A. No, I was just going to show the fluid
 5 collections but not -- I wasn't planning on it.
 6 Specifically just showing where the fluid
 7 collections are.
 8 Q. Did you come to some conclusion that this
 9 patient developed SIRS at some point in time?
 10 A. Again, I would leave that to a clinician
 11 but --
 12 Q. So you don't have any opinions one way or
 13 the about whether she developed SIRS?
 14 A. I do but I'm not going to offer it at
 15 trial. And I would defer to the managing
 16 physician.
 17 Q. Got it. Okay. Do you have a familiarity
 18 with the concept of a dysfunction of the sphincter
 19 of Oddi?
 20 A. Yes.
 21 Q. And are you going to testify one way or
 22 the other whether this patient had that?
 23 A. No.
 24 Q. Okay. I'm sorry, but this will shorten

18

1 the deposition kind of going through what you're
 2 not testifying about.
 3 Let's do this. If we look at the opinion
 4 disclosure -- I'm not going to mark this as an
 5 exhibit because I think we all know what it is.
 6 But for the record, it's Plaintiff's Supreme Court
 7 Rule 213 (f)(3) witness disclosure of Richard M.
 8 Gore, M.D., filed on July 5th, 2017. If we look at
 9 the small Roman numeral ii, a., it says Candice
 10 Cain's 3/1/12 ultrasound showed no stone in her
 11 common bile duct.
 12 Do you want to pull that up and show me
 13 what you're going to show the jury about that?
 14 A. Sure.
 15 Q. Can you just identify what we're looking
 16 at?
 17 A. We're looking at slide number 15 now. And
 18 actually let me brighten the image.
 19 Q. And just for the record, the 15 that
 20 you're showing me is different from the 15 I have
 21 on disk that I was given?
 22 A. That's correct.
 23 Q. Okay. So you better identify this then?
 24 A. So this is slide number 15. This is a

19

1 longitudinal sonogram of the right upper quadrant.
 2 And towards the left of the image is the top and
 3 towards the right is the bottom. In front or the
 4 top of the image is the skin surface. And this
 5 structure that I'm highlighting now is the liver.
 6 And here we can see the portal vein. And on top of
 7 the portal vein we see a nice, slender, beautiful
 8 normal common bile duct.
 9 Q. Okay.
 10 A. And we don't see any distal -- we don't
 11 see any -- the common bile distally because of gas
 12 but there's no biliary dilatation or stones within
 13 the bile duct here.
 14 Q. Can you tell if there's any sludge?
 15 A. I don't know see any sludge either.
 16 Q. If the report from the radiologist
 17 indicates that there is some sludge in the common
 18 bile duct, would you disagree with that?
 19 A. Okay. Not that I saw. But if they -- and
 20 perhaps they saw it in real time. I did not. I
 21 was not there real time.
 22 Q. You wouldn't disagree with the report if
 23 that's what it says?
 24 A. If the report said that, I wouldn't

20



1 disagree if that's what they found.
2 **Q. So had you --**
3 A. Just on the hard copy images I see, I
4 don't see it. However, you know, they were there
5 at the time and they would have presumably
6 visualized it.
7 **Q. When you say they, you mean the**
8 **radiologist who looked at this ultrasound at the**
9 **time may have seen it and because they were there**
10 **real time; is that right?**
11 A. Correct.
12 **Q. What I'm looking at, just for the record,**
13 **is image 27 on the disk that we have and that looks**
14 **like the same one that you now noted as 15; is that**
15 **right?**
16 A. That's correct.
17 **Q. Okay. And so the -- have we covered**
18 **whatever you're going to talk about with respect to**
19 **Roman numeral ii, a, on the disclosure?**
20 A. That's right.
21 **Q. Let's go to ii, b, which is Candice Cain's**
22 **intraoperative cholangiogram.**
23 A. All right. And you have that as well but
24 I think it's in a different order here. The

21

1 And here is the common bile duct. Here is the
2 common hepatic duct. The right intrahepatic duct
3 and the left intrahepatic duct and here is the
4 cystic duct remnant and that shows no bile duct
5 stones.
6 **Q. Can we stop for a minute?**
7 A. Sure.
8 **Q. The image that you note -- that's noted as**
9 **number 24 on the disk and the images that we're**
10 **looking at is actually 15 on my version; is that**
11 **right?**
12 A. That's right.
13 **Q. Thank you. So any other thoughts or**
14 **opinions that you're going to render regarding this**
15 **image or the images about the intraoperative**
16 **cholangiogram that we haven't covered?**
17 A. Okay. Also there's free flow of contrast
18 material into the duodenum and that applies.
19 There's no blockage or obstruction.
20 **Q. Okay.**
21 A. And 25.
22 **Q. Which would be the next?**
23 A. Yes. Is just another picture from that.
24 It doesn't show anything terribly different.

23

1 intraoperative cholangiogram I'm going to be
2 showing you are on my slides 22 through 34. And
3 you -- yeah, you will have the identical slides.
4 **Q. So I have 14, 15, 16, 17 through 25?**
5 A. Right.
6 **Q. Thank you. So tell me what you're going**
7 **to show the jury with respect to this**
8 **intraoperative cholangiogram?**
9 A. This is an intraoperative cholangiogram
10 that was performed on 3/7/2012 by the surgeon. And
11 this is an image from that study. We're going to
12 be showing a number of images. To our left is the
13 patient's right. And to the patient's right -- to
14 our right is the patient's left. This is head and
15 foot. And here is the laparoscopic trocar that the
16 surgeon puts in to do the surgery.
17 There are usually four or five that are
18 put in. And there's a catheter in the common bile
19 duct. And the common bile duct is here, but it's
20 partially obscured by contrast in the duodenum. We
21 see it better on slide number 24.
22 Slide number 24, here we're going a little
23 bit north. We can see the diaphragm here. Here is
24 the spine. Here is some ribs. Here is the heart.

22

1 **Q. That would be 16 on the version I have.**
2 **Go ahead.**
3 A. Right. And then 26.
4 **Q. Which would be 17 on the version I have.**
5 A. And about the same.
6 **Q. Okay.**
7 A. 27, the same. And 28 I have some arrows.
8 The white arrow shows the intrahepatic bile ducts.
9 And the yellow arrow points to the common hepatic
10 duct -- no, actually at this point it's the common
11 bile duct. And I don't see any filling defects
12 within it.
13 **Q. And this is -- on my disk it's image**
14 **number 19?**
15 A. Right.
16 **Q. Okay. And did you put the arrows in or --**
17 A. I put the arrows.
18 **Q. Next?**
19 A. Okay. On slide number 29, which is your
20 slide number 20.
21 **Q. 20. Right.**
22 A. We see the surgical clips from the
23 cholecystectomy. These two black lines. Then we
24 see the cystic duct remnant, and these are the

24



<p>1 valves of heister, H-E-I-S-T-E-R, of the distal 2 cystic duct. Here we can see the common bile duct. 3 The common hepatic duct here. And there are no 4 filling defects within the biliary system. 5 Q. Okay. And that's my number 20. 6 A. Okay. Number 30, pretty much the same. 7 And that's your slide number -- 8 Q. 21. 9 A. -- 21. Slide number 22, again, no real 10 filling defects within the cystic duct remnants, 11 the common hepatic duct or the common bile duct. 12 And contrast materials goes nice and freely into 13 the duodenum so there's no blockage. 14 Q. And that's your number 31? 15 A. 31 and your number 22. 16 Q. Yes, sir. 17 A. And slide number 32 is your slide number 18 23. My slide 32 is your slide 23. And here we can 19 see the cystic duct remnant in red. And here we 20 can see the catheter that the surgeon puts in to 21 inject the dye. Here is the common hepatic duct in 22 white arrow and the common bile duct the yellow 23 arrow. And again, there are no filling defects 24 within that structure. And ditto on slide number</p> <p style="text-align: right;">25</p>	<p>1 And those are the type of the filling 2 defects. The contrast is dark, it's black and 3 gray. And what you're looking for is a white 4 filling defect, which is a stone. Okay. And I 5 don't see those. And there -- none of these are 6 present on the cholangiogram of Candice. 7 Q. The intraoperative cholangiogram? 8 A. The intraoperative cholangiogram, correct. 9 Q. Okay. 10 A. Okay. So these are the points or the 11 illustrations that I'm going to be showing on the 12 intraoperative cholangiogram. 13 Q. So we've covered what you're going to 14 describe to the jury with respect to the 15 intraoperative cholangiogram? 16 A. That's correct. 17 Q. Okay. Next is number -- letter C. This 18 is kind of a fact question. Neither Dr. Deweert 19 nor his staff obtained additional imaging prior to 20 his 4/4/12 ERCP. That's just a fact; is that 21 right? 22 A. That's correct. And then I might show the 23 jury what an MR scanner looks like. 24 Q. Okay.</p> <p style="text-align: right;">27</p>
<p>1 -- on my slide 33 is your slide -- 2 Q. 24. 3 A. -- 24. 4 Q. So it shows the same thing, is that what 5 you're saying? 6 A. That's correct. And again, free spillage 7 of contrast material into the duodenum. Here is 8 the spine. And the same thing on my slide 34, 9 which is your slide 25. Okay. And then I have on 10 slide number 35, I have a demonstrative of what 11 we're looking for when you do an intraoperative 12 cholangiogram. One of the things you look for is a 13 retained common bile duct stone. And so on my 14 slide 35 -- I think you have that somewhere. 15 Q. I don't remember seeing it. I'm not 16 saying it's not on there. 17 A. Okay. So on slide number 35, we have 18 Candice's cholangiogram, intraoperative 19 cholangiogram on the right. And we have a 20 different patient who has common bile duct stones 21 on the left. And in the patient on the left is a 22 different patient we can see where my yellow arrows 23 are, these are two retained common bile duct 24 stones.</p> <p style="text-align: right;">26</p>	<p>1 A. And that's on my new slide 36 and slide 2 number 37. And this shows an MRCP in a different 3 patient, slide 37. And it's probably the best -- 4 not probably. It is the best non-invasive way of 5 detecting biliary stones. 6 And in this patient, again, this is not 7 Ms. Cain, we can see the stone in the distal common 8 bile duct, and it's causing a blockage and upstream 9 biliary dilatation of both the common bile duct, 10 the common hepatic duct and intrahepatic bile 11 ducts. 12 And this little structure coming out here 13 is the pancreatic duct. And again, this is a very 14 elegant technique. And the nice thing about it is 15 there's no radiation involved. And so you just sit 16 in a big magnet and you have radiofrequency pulses 17 sent through you. 18 Q. Okay. 19 A. Okay. And so this is now, you know, the 20 best way to non-invasively look if there are common 21 bile duct stones. 22 Q. Are there variations with respect to 23 imaging obtainable on MRCP depending on the 24 equipment?</p> <p style="text-align: right;">28</p>



1 A. Let's see. I think after 2010, I think
 2 it's been pretty standard you can get -- you can
 3 get an excellent image I think from all vendors to
 4 be competitive.

5 **Q. Do you have any information about the MRCP
 6 equipment available to Dr. Deweert back in 2012?**

7 A. Let's see. At least on slide -- my new
 8 slide 48 at Bromenn Regional Medical Center, boy,
 9 they had kind of the Cadillac. They had a 1.5
 10 Tesla Phillip scanner, so that's a great scanner.

11 **Q. So the equipment at least at Bromenn was
 12 the type that could -- that would provide you the
 13 images that you were after? In other words, there
 14 wasn't degradation of the images based upon the
 15 equipment, is that what you're saying?**

16 A. That's correct.

17 **Q. Okay. So the next part of C is more
 18 likely than not Candice Cain had no stone in her
 19 common bile duct on 4/4/12 and that's based on the
 20 images that you reviewed; is that right?**

21 A. It's based on the intraoperative
 22 cholangiogram and also the preoperative ultrasound
 23 study.

24 **Q. Is there anything else that you're basing**

29

1 reading an MRCP, you've got to see it on multiple
 2 different images. I just -- I see what they're
 3 talking about but I guess I disagree with that.

4 **Q. You do disagree with that?**

5 A. Yeah. I think it might be sludge or
 6 something else, but it doesn't look like, you know,
 7 it's a stone down there.

8 **Q. Would it be a fair statement to say
 9 there's -- it's inconclusive?**

10 A. Yes.

11 **Q. Okay. Anything else that you're basing
 12 this opinion on with respect to the proposition
 13 that more likely than not Candice Cain had no stone
 14 in her common bile duct on 4/4/12?**

15 A. Okay. Well, we actually had the real gold
 16 standard, which is, you know, the best -- probably
 17 the best invasive -- is the intraoperative
 18 cholangiogram, and I didn't see any stone on that
 19 one. And so what was seen on the MRCP may have
 20 been an artifact or some debris.

21 **Q. Or it may have been a stone?**

22 A. No, I would disagree with that. More
 23 likely than not it was not a stone.

24 **Q. But certainly there is a disagreement --**

31

1 **that opinion on?**

2 A. Also on the MRCP study that was done after
 3 the ERCP of 4/4/12, I didn't see any common bile
 4 duct stones here.

5 **Q. Were there stones mentioned in any report
 6 with respect to the postoperative MRCP?**

7 A. Let's see.

8 MR. GINZKEY: I'm sorry, can you repeat that
 9 question?

10 (Whereupon, the record was
 11 read as requested.)

12 THE WITNESS: Okay. On the postoperative MRCP,
 13 the paragraph before the impression says common
 14 duct is dilated measuring approximately 1 cm in
 15 caliber. Small area of hypodensity in the inferior
 16 aspect of the common bile duct measuring 0.5 by 0.3
 17 cm seen on coronal T2 series 501, image 22.

18 This may be her stone or another piece of
 19 debris. This abnormality is touching the wall of
 20 the common bile. It's not actually physically
 21 obstructed the duct.

22 BY MR. BRANDT:

23 **Q. Any disagreement with that report?**

24 A. I saw it on that image. But when you're

30

1 or not a disagreement but it's unclear based upon
 2 the report exactly what's going on at the time that
 3 the MRCP is being performed?

4 A. That's correct.

5 **Q. Okay. Is there anything else -- and just
 6 to cover the foundation for your opinion, is there
 7 anything else that you're going to base this
 8 opinion on that more likely than not Candice Cain
 9 had no stone in her common bile duct on 4/4/12
 10 other than what we've just talked about?**

11 A. Okay. The pre-operative ultrasound, the
 12 intraoperative cholangiogram and the immediate
 13 post-operative MR. No, that's what I'm basing it
 14 on.

15 **Q. The MRCP?**

16 A. The MRCP.

17 **Q. Let's go to D.**

18 A. Okay.

19 **Q. Image obtained by Dr. Deweert 4/4 ERCP
 20 shows that a guidewire perforated Candice Cain's
 21 pancreas. Okay. So let me just ask you a couple
 22 prefatory questions, if you will.**

23 These are snapshots taken during the time
 24 period the ERCP is being performed; is that right?

32



1 A. That is right.
 2 Q. And the snapshots that you're looking at
 3 are timed; is that right? You're given an image
 4 time; is that right?
 5 A. That's correct.
 6 Q. In total, how many snapshots did you look
 7 at or were you provided either one?
 8 A. One, two, three -- one, two, three, four,
 9 I think -- I don't recall. These are the four that
 10 I selected. There might have been more on the
 11 disk, but I don't recall specifically.
 12 Q. There's four that you're going to present
 13 to the jury as representative of what was going on
 14 during the ERCP; correct?
 15 A. Right.
 16 Q. Okay. So let's look at those, and if you
 17 can just read off the numbers to me and --
 18 A. And you should have it on the old version
 19 as well. Much lower number.
 20 Q. Right.
 21 A. Yeah, with the new PowerPoint I put things
 22 in chronological order. I don't know why.
 23 Q. So I think my memory is that it's --
 24 A. There you go.

33

1 know, penetrated through the pancreas. Here we can
 2 see the surgical clips from the cholecystectomy.
 3 Q. Can I ask you a question?
 4 A. Yes.
 5 Q. With respect to the bottom arrow, is that
 6 where, in your opinion, the pancreas ends? In
 7 other words, where the perforation took place?
 8 A. Probably.
 9 Q. And you're basing that on contrast or are
 10 you -- is there a contrast that you're looking at
 11 to draw that conclusion?
 12 A. No. Just that the guidewire is where it
 13 should not be.
 14 Q. In its entirety, is that what you're
 15 saying?
 16 A. Okay. The guidewire from this point to
 17 the end is not where it should be.
 18 Q. Okay. So am I correct then that based on
 19 the image that we're looking at your 40, my 50,
 20 number 50, the -- from an anatomical standpoint,
 21 the pancreas ends somewhere around where the
 22 lower -- the bottom arrow has been placed, is that
 23 a fair statement?
 24 A. Yes, approximately.

35

1 Q. Okay. So I'm looking at image number 49
 2 and it looks like it's your 39; is that correct?
 3 A. That's correct.
 4 Q. Go ahead.
 5 A. Okay. Here we have an image from the
 6 ERCP. This was taken at 11:03 in the morning of
 7 4/4/12, and we see a little contrast material. I
 8 believe it's in the pancreatic duct.
 9 Q. Can you tell with any certainty?
 10 A. It doesn't look like the common bile duct
 11 but -- either that or it's a false tract, you know,
 12 that sometimes develops during a performance of an
 13 ERCP, so I can't tell with certainty.
 14 Q. Thank you. Go ahead. The next image is?
 15 A. The next image is my image 40, your image
 16 50.
 17 Q. Thank you.
 18 A. And this image was taken at 11:44 in the
 19 morning of April 4th, 2012. And here we can see
 20 the ERCP scope. And here is the scope in the
 21 stomach and the stomach is going into the duodenum
 22 and going into the descending duodenum. And here
 23 is the side viewing port of the endoscope. And
 24 here is the guidewire that unfortunately has, you

34

1 Q. Okay. And can you tell from this image
 2 how long the guidewire, you know, distance wise it
 3 exceeds the envelope of the pancreas?
 4 A. Okay. No, we don't have a marker on here.
 5 But let's say -- let's see. I'm looking at the
 6 side of vertebral bodies. And how tall was
 7 Candice, do you recall?
 8 MR. BRANDT: She was 5' 2", does that sound
 9 right, Jim?
 10 MR. GINZKEY: I was going to say 5' 4" but I
 11 don't recall.
 12 MR. BRANDT: I don't know the answer.
 13 THE WITNESS: So looking at the vertebral body,
 14 maybe this is -- maybe 12 or 15 centimeters beyond
 15 the pancreas.
 16 BY MR. BRANDT:
 17 Q. And have you seen this before in your
 18 practice? Have you seen a guidewire go beyond the
 19 perimeter, if you will, of the pancreas?
 20 A. Actually not, no.
 21 Q. Have you in your articles that you've
 22 written or in the textbook chapters that you've
 23 been involved in either as an author or as a
 24 co-author described the phenomenon?

36



1 A. No. Perforation is, you know, a
 2 complication but it's -- the perforations that I've
 3 seen are actually of the lumen. You know, you
 4 perforate the duodenum or you perforate in the
 5 region of the sphincter of Oddi. But I haven't
 6 seen this perforation.

7 **Q. Have you seen or written about other --**
 8 **written generally about perforation of the pancreas**
 9 **as a complication?**

10 A. A perforation is a complication of ERCP,
 11 but the perforation we're describing is the one
 12 around the papilla and of the duodenum, not of the
 13 pancreas itself. I haven't written about that.

14 **Q. Okay. And is the type of perforation that**
 15 **you're describing here on your number 40, my 50, is**
 16 **that type of placement of the guidewire in this**
 17 **location something that's been described in the**
 18 **literature?**

19 A. Not that I've seen but, you know, it might
 20 be but not that I've reviewed.

21 **Q. Is the location of the guidewire in this**
 22 **case nonetheless a risk of the procedure itself?**

23 A. Is pancreatic perforation a risk of ERCP?

24 **Q. Yes.**

37

1 A. I think that would be best left for a
 2 gastroenterologist.

3 **Q. Okay. Very good?**

4 A. But it's just that I haven't seen it nor
 5 have I read about it.

6 **Q. Very good. So does that cover number 40**
 7 **in terms of what you're going to talk about with**
 8 **the jury?**

9 A. Right. And I have some -- okay.

10 **Q. So you can go to the next one if you'd**
 11 **like.**

12 A. Okay. And so I added this little diagram
 13 here showing that. So this is a demonstrative
 14 showing the ERCP scope going through the stomach
 15 into the duodenum.

16 **Q. Yes.**

17 A. And then this is kind of the trajectory of
 18 the guidewire rather than into the common bile
 19 duct. It's kind of extending beyond the pancreas.
 20 And similarly on 41, which is your --

21 **Q. 51.**

22 A. -- 51, again, we see pretty much what we
 23 saw in 41. And here I think there's a little bit
 24 of -- on this one we can see a little bit of

38

1 contrast material within the pancreatic duct, and
 2 then we can see is the guidewire unfortunately
 3 penetrating and perforating the pancreas. And so,
 4 again, it's got that kind of trajectory, which I'll
 5 show the jury.

6 **Q. Okay.**

7 A. And 42 is just the scout image and it's
 8 your 52.

9 **Q. Right.**

10 A. And then on 43, this is a side by side
 11 comparison. An ERCP was done on 4/4/12 and then on
 12 4/6/12. And so the real trajectory of Candice's
 13 common bile duct is this way. Because here we can
 14 see the surgical clips from the cholecystectomy and
 15 here we can see the surgical clips from the
 16 cholecystectomy.

17 And so you can see that on the ERCP of
 18 4/4/12, the guidewire is this far away from the
 19 clips on the cystic duct but -- and the reason for
 20 that it's not in the common bile duct. It's -- you
 21 know, it has penetrated and perforated the
 22 pancreas. And here the ERCP that was done at
 23 Barnes, we can see the -- where the real common
 24 bile duct is. And you can see the separation

39

1 between the duct and the clips is this far. So
 2 theoretical -- you know, not theoretically, this
 3 guidewire should have been in this position.

4 **Q. If the guidewire had gone where the**
 5 **gastroenterologist had wanted it to go, it would**
 6 **have gone in that direction; true?**

7 A. It would have gone in this direction.

8 **Q. In a direction that you think is**
 9 **representative on the 4/6/12 ERCP, which is on the**
 10 **right side of the page; is that right?**

11 A. That's right.

12 **Q. And that's my number 53, your number 44?**

13 A. 44.

14 **Q. Was there anything -- while we're on the**
 15 **page, is there anything about the ERCP done on**
 16 **4/6/12 that indicates any problem with the**
 17 **guidewire? Let's just start there.**

18 A. Let's see. The guidewire looks like it's
 19 coiling within the intrahepatic duct so that looks
 20 okay.

21 **Q. Okay. Is there any indication that the**
 22 **guidewire on 4/6/12 entered or was -- perforated**
 23 **the -- if you can just go back to it.**

24 A. No, actually why don't we go to the 4/6/12

40



1 ERCP.
 2 Q. Okay. Well, let me just finish my
 3 question.
 4 A. Okay.
 5 Q. Is there anything that indicates that the
 6 ERCP done on 4/6/12 that shows you that the
 7 guidewire entered the pancreas or perforated the
 8 pancreas, either one?
 9 A. Can we go to those images?
 10 Q. Yes, we can.
 11 A. And so this is the ERCP on 4/6/12. This
 12 is my image 50. And let's see. And I think it's
 13 my image 51 and it's your image 55.
 14 Q. I think that's right.
 15 A. Okay. So this is the scout image of the
 16 ERCP done on 4/6/12. And we here we see some
 17 surgical clips from the cholecystectomy at this
 18 point. And here is the spine. Here is the scope
 19 in the stomach. And here is the scope in the
 20 descending duodenum.
 21 And here we can see on slide number -- my
 22 52, is your slide 56. And we can see the guidewire
 23 in the common bile duct. And on slide 53, which is
 24 your 54, the gastroenterologist put a balloon in

41

1 the common bile duct. And that's what this white
 2 area is.
 3 And on slide number -- so that was my
 4 slide 53 is your slide 58. And my slide 54 is your
 5 slide 59. And so here we can see the balloon just
 6 about to come out the papilla of Vater and the
 7 sphincter of Oddi.
 8 And so my 56 is your 60. And we can see
 9 again this distal -- the balloon in the distal
 10 common bile duct. And on the next image of my 56,
 11 which is your 61, the balloon is no longer there.
 12 The guidewire is still there.
 13 Q. Right.
 14 A. And then on slide number 57, at the end of
 15 the examination the gastroenterologist put in a
 16 plastic biliary stent, which is depicted by the red
 17 arrow.
 18 Q. And that's my 62. So do you have an
 19 understanding of why the biliary stent was placed?
 20 A. Nothing that comes to mind. I think it's
 21 probably best to ask the gastroenterologist.
 22 Q. Okay. So back to my question. My
 23 question was is there any indication on the ERCP of
 24 4/6/12 that the guidewire entered the pancreas or

42

1 perforated the pancreas?
 2 A. No.
 3 Q. Do you have any opinions about whether the
 4 ERCP just performing it on 4/6/12 was --
 5 exacerbated the patient's pancreatitis or is that a
 6 gastroenterology question?
 7 A. I would refer to a gastroenterologist.
 8 Q. So have we covered the images that you are
 9 going to discuss with respect to opinion D on the
 10 disclosure?
 11 A. Correct.
 12 Q. Okay. So let's go on to E. E says, I'll
 13 just put this in the record. An MRCP obtained
 14 shortly after Dr. Dewert's 4/4/12 ERCP shows
 15 severe peripancreatic and retroperitoneal edema
 16 consistent with acute pancreatitis, and a small
 17 piece of debris or small stone that was not
 18 obstructing the common bile duct.
 19 Did I read that correctly?
 20 A. That's correct.
 21 Q. Let's look at the images.
 22 A. Now, these images I think I'm going to
 23 have to reshoot because they're really dark. We'll
 24 probably be giving you a new PowerPoint prior to

43

1 trial.
 2 Q. Thank you.
 3 A. But on slide number 40 -- you don't have
 4 these.
 5 Q. I don't have these?
 6 A. You don't have these. So on slide number
 7 46 --
 8 Q. Can you just identify this is the date?
 9 A. This is the ERCP that was performed on
 10 4/4/12. This was done at Bromenn Regional Medical
 11 Center.
 12 Q. This is the MRCP?
 13 A. This is the MRCP. And this was done at
 14 9:26 p.m., the date of the ERCP.
 15 Q. Yes. April 4th, 2012?
 16 A. April 4th, 2012. And an MRCP at least on
 17 this sequence fluid is white. So here we can see
 18 white fluid and the cerebral spinal fluid. And
 19 then we see fluid surrounding the pancreas. Fluid
 20 surrounding the kidney. Again, more fluid in the
 21 retroperitoneum.
 22 Q. Now, we're looking at your 47?
 23 A. 47. And then we're seeing fluid in the
 24 retroperitoneum on the patient's left and the

44



1 patient's right. And again, on slide number 46
2 more retroperitoneal fluid. I'm sorry these came
3 out so dark.
4 **Q. 48.**
5 A. And 49, this is the coronal reformatted
6 image.
7 **Q. It's upside?**
8 A. No, actually the data is upside down. So
9 here we can see the --
10 **Q. This is the coronal view?**
11 A. The coronal view. But I think -- I'm
12 going to have to re-do these and so you can see
13 them a little bit better.
14 **Q. The point of these is that it shows the**
15 **fluid that you described in the disclosure. And**
16 **the disclosure talks about peripancreatic and**
17 **retroperitoneal edema?**
18 A. Yes.
19 **Q. where are you seeing that?**
20 A. The edema I guess -- edema is fluid.
21 **Q. Okay. So for purposes of your opinion**
22 **when you talk about edema, the manifestation of**
23 **that is the fluid collections that you're seeing**
24 **has white on these images?**

45

1 A. That's right.
2 **Q. And when you say it's consistent with**
3 **pancreatitis, is it consistent with anything else**
4 **in your opinion? I mean, can it be consistent with**
5 **just having an ERCP?**
6 A. No, it's not consistent with just having
7 an ERCP.
8 **Q. why do you say that?**
9 A. Because you do not get these findings on
10 an ERCP.
11 **Q. Can it be consistent with just having**
12 **had -- or having recently had a lap choly?**
13 A. No, because these fluid collections are
14 retroperitoneal and a lap choly is a completely
15 intraperitoneal success so, no.
16 **Q. And so have you seen images of this type**
17 **in other cases? I assume you have?**
18 A. Oh, in patients with pancreatitis?
19 **Q. Yes.**
20 A. Yes, all the time.
21 **Q. And so is -- can you -- from the images**
22 **that we've looked at, measure the amount of edema**
23 **or fluid collections?**
24 A. You mean in terms of number of cc's?

46

1 **Q. No, I'm talking about in terms of drawing**
2 **a determination of severity?**
3 A. This looks moderate to severe.
4 **Q. Okay. And so what's the basis of that? I**
5 **mean, how do you draw the distinction between mild,**
6 **moderate and severe?**
7 A. I think it's like in the eye of the
8 beholder, you know.
9 **Q. So it's just based upon your education,**
10 **training and knowledge of looking at MRCP for**
11 **patients who have pancreatitis?**
12 A. That's right.
13 **Q. And from that, you've drawn the**
14 **conclusions she has moderate to severe; is that**
15 **right?**
16 A. Correct.
17 **Q. Do patients who have -- let me ask a**
18 **better question.**
19 **Are there other indices of pancreatitis**
20 **other than what you've just shown the white areas,**
21 **the fluid areas?**
22 A. Okay. Yeah.
23 **Q. On the images I mean?**
24 A. On these images, no, that's why I can give

47

1 you more. But if you look at the pancreatic gland
2 itself, you look at the degree of enhancement, you
3 look at the amount of fluid, where the fluid is
4 located, you look for dilatation of the pancreatic
5 duct, you look for involvement of other organs.
6 **Q. when you looked at the images that we've**
7 **just looked at from the MRCP done on the day of the**
8 **ERCP, did you find any of those indices?**
9 A. Indices, the pancreas looked edematous.
10 Also, you know, these fluid collections were going
11 into the retroperitoneum and down the transverse
12 mesocolon. So they were abutting the stomach and
13 the kidneys and the transverse colon.
14 **Q. And so can you have all those findings in**
15 **mild, moderate and severe?**
16 A. Not in mild, no.
17 **Q. You can have it in moderate?**
18 A. Probably not moderate. Moderate to severe
19 or severe.
20 **Q. Okay. I'm having trouble drawing this**
21 **distinction between what you've just said. You**
22 **said moderate to severe --**
23 A. Okay. You cannot see it -- okay. Let's
24 say there's mild, mild to moderate, moderate to

48



1 severe and then severe. So you see it moderate to
 2 severe and severe.

3 **Q. I see what you're saying. Any other**
 4 **indices that you would see on this MRCP that we**
 5 **haven't talked about -- I'm sorry, let me re-ask a**
 6 **better question.**

7 **Is there anything on the MRCP that you saw**
 8 **that supports your opinion?**

9 A. No, that's it. But again, I've got to
 10 re-do these slides, and I will give them to you
 11 well before trial.

12 **Q. But I understand that you've just rendered**
 13 **an opinion based upon having looked at them in a**
 14 **different light so to speak and drawn a conclusion**
 15 **that there was edema in the pancreatic duct; right?**

16 A. No, there was edema in the pancreas.
 17 There are peripancreatic fluid collections. These
 18 fluid collections went retroperitoneally to the
 19 right and the left. They went down the transverse
 20 mesocolon. They were abutting the kidneys. They
 21 were abutting the transverse colon and on the
 22 stomach.

23 **Q. I guess from your memory of looking at**
 24 **these in a different light, did you find things**

49

1 **that are not demonstrative on what we just looked**
 2 **at, that manifested in what we just looked at?**

3 A. Yeah, and that's why I probably need to
 4 make some more images.

5 **Q. So when you testify at the trial of this**
 6 **cause, you're going to testify that there are other**
 7 **structure -- there are other indications of mild to**
 8 **moderate -- I'm sorry, moderate to severe**
 9 **pancreatitis that we just can't see today?**

10 A. That's correct.

11 **Q. And you've told me about all of those; is**
 12 **that right?**

13 A. Correct.

14 **Q. Okay. There's a small piece of debris --**
 15 **I'm sorry, I'm back reading the report or the**
 16 **opinion disclosure sub E and it says this, with a**
 17 **small piece of debris or small stone that was not**
 18 **obstructing the common bile duct.**

19 **Can you show me where that is on these**
 20 **images?**

21 A. No, I don't have it on the image but --
 22 the images I prepared for today.

23 **Q. Is this accurate?**

24 A. Oh, no. Acute pancreatitis in a small

50

1 piece of debris or small -- no. No, there is no
 2 small stone or piece of debris in there. I thought
 3 that's what I was trying to imply. And a small
 4 piece of debris or small stone that was not
 5 obstructing the common bile duct.

6 **Q. That's what I'm asking. Is that an**
 7 **accurate statement?**

8 A. I do not see a small piece of debris or a
 9 small stone obstructing the common bile duct.
 10 Maybe the sentence could have been created better.

11 **Q. It's okay. Is there, in fact, a small**
 12 **piece of debris or small stone anywhere on the**
 13 **MRCP?**

14 A. Not that I saw in the duct, no.

15 **Q. Did you look at the report from the MRCP?**

16 A. Yes, I did.

17 **Q. Okay. Take a look at that and tell me if**
 18 **you have a disagreement with what was reported**
 19 **there or if their findings are inconsistent with**
 20 **yours?**

21 A. Okay. As I said -- you know, they said
 22 this may be a stone or other piece of debris. And
 23 remember I said it. I saw what they were talking
 24 about on that image but I didn't see it

51

1 inconsistently so that's why I don't believe there
 2 is a stone or piece of debris there.

3 **Q. So you'd have a disagreement with whoever**
 4 **read this MRCP at Bromenn; is that correct?**

5 A. That's correct.

6 **Q. Okay. The next -- going back to the**
 7 **disclosure, Dr. Gore. F says, Dr. Dewert's 4/4/12**
 8 **ERCP caused Candice Cain's acute pancreatitis.**

9 **I take it from what you've told me earlier**
 10 **that that's really something you're going to defer**
 11 **to gastroenterology about?**

12 A. Well, I see a guidewire perforating the
 13 pancreas and so --

14 **Q. That's the basis of your opinion?**

15 A. That's correct.

16 **Q. And --**

17 A. I don't have another cause.

18 **Q. Okay. And do you have other basis for**
 19 **your opinion other than what we looked at in terms**
 20 **of images?**

21 A. Other than --

22 **Q. For instance, such as clinical**
 23 **manifestations, that type of thing?**

24 A. Well, the amylase and lipase levels, you

52



1 know, increased during that day significantly. And
 2 so just putting one and one together.
 3 Q. Okay. Anything else that you're going to
 4 base an opinion on that she developed acute
 5 pancreatitis as a result of the ERCP?
 6 A. Other than, you know, the guidewire
 7 penetrating and perforating the pancreas and that
 8 tremendous bump in the amylase and lipase
 9 afterwards, no, that's it.
 10 Q. If we look at G. Okay. It says this,
 11 4/6/12 ERCP in St. Louis showed mild extra hepatic
 12 biliary duct or dilatation -- or dilation without
 13 evidence of filling defect. Again, demonstrating
 14 the absence of stone in Candice Cain's common bile
 15 duct.
 16 Have we covered that already?
 17 A. Yes.
 18 Q. And we've covered that by looking also at
 19 the images from that ERCP; true?
 20 A. Right.
 21 Q. Okay. The last one H says, imaging from
 22 St. Louis between April and August of 2012 shows
 23 the Candice Cain's pancreatitis worsened to
 24 necrotizing pancreatitis and autolysis causing

53

1 severe organ damage resulting in prolonged
 2 hospitalization and death.
 3 Okay. There's a lot there. First off,
 4 just tell me generally, you -- there are a lot of
 5 images. There's over 200 pages to this PowerPoint.
 6 Obviously you're looking at a number of things that
 7 are going on not the least of which are chest
 8 X-rays and alike.
 9 Okay. My first question is, is overall
 10 what's the basis for your opinion that -- I mean,
 11 what is it base wise in terms of your opinion that
 12 these things happen? I assume from what you're
 13 going to tell me is based on the images alone;
 14 right?
 15 A. The imaging studies, correct.
 16 Q. Because you really haven't looked at the
 17 medical records -- clinical records from Barnes;
 18 right?
 19 A. I have. But again, that was over a year
 20 ago.
 21 Q. Would it be a correct statement that your
 22 opinions then are based solely on the images as you
 23 sit here today?
 24 A. The images and also looking at the autopsy

54

1 report and apparently they carefully or they did
 2 look at the Barnes medical records as well.
 3 Q. They being the pathologist?
 4 A. The pathologist, right.
 5 Q. And this was Ms. Scantlebury?
 6 A. Yes.
 7 MR. GINZKEY: Dr. Scantlebury.
 8 BY MR. BRANDT:
 9 Q. So what do you see in this case? What's
 10 going on with Ms. Cain that you can summarize for
 11 me?
 12 A. Some are -- you know, I've got to --
 13 Q. We'll go through them. And I'm not going
 14 to go through every one.
 15 A. Okay. And, you know, I wouldn't do that
 16 at trial because I'd put everybody to be sleep, I
 17 think.
 18 Q. I think so, too. But I just want to know
 19 how you come to this conclusion. And I assume
 20 you've looked at these images before we came in
 21 here today; is that right?
 22 A. Yes. I'm the one who downloaded them.
 23 Q. But, I mean, you've probably looked at
 24 them recently; is that right?

55

1 A. That's correct.
 2 Q. Okay. So what's going on with Candice
 3 Cain over this time period from April to August?
 4 A. Okay. During this time period, the
 5 necrotizing pancreatitis is just being unrelenting
 6 and progressive and leading to fluid collections
 7 and fistulae and abscesses, and it's just kind of a
 8 downward spiral that continued, you know, over this
 9 course of months.
 10 Q. And so have you seen this in other
 11 patients?
 12 A. In pancreatitis, yes.
 13 Q. And have you seen patients -- have you
 14 seen images of patients who have necrotizing
 15 pancreatitis?
 16 A. Yes.
 17 Q. And so at least what you're seeing here is
 18 consistent with that? In other words, the images
 19 that you see of Candice Cain over this April to
 20 August of 2012 period are consistent with a patient
 21 who has developed pancreatitis that then evolves
 22 into necrotizing pancreatitis; is that right?
 23 A. That's right.
 24 Q. Can you tell from the images, and we'll

56



1 look at them here for a minute, when her
 2 pancreatitis became necrotizing pancreatitis or do
 3 you have in your mind the memory of the case as to
 4 when that occurred?
 5 A. Certainly by April 12th. And again, I'm
 6 going to have to review again the immediate MRCP --
 7 post-MRCP images but certainly by April 12th.
 8 Q. And what's the basis for that opinion?
 9 A. You know, the abnormal enhancement of the
 10 pancreas, the abnormal fluid collections.
 11 Q. All right. And is it necrotizing at that
 12 point, in your opinion, or can you tell?
 13 A. Certainly by the 11th, yes. By radiologic
 14 criteria.
 15 Q. And what is the criteria looked at to make
 16 a determination that it's something other than just
 17 pancreatitis?
 18 A. Okay. In necrotizing pancreatitis -- and
 19 actually I might show somewhat, you know, what mild
 20 pancreatitis looks like as opposed to necrotizing
 21 pancreatitis. In mild pancreatitis, sometimes the
 22 glands gets a little bit swollen. However, on MR
 23 and CT when you have necrotizing pancreatitis, part
 24 of your gland, you know, when you inject that dye,

57

1 a determination when -- in other words, they
 2 charted when they determined this patient went from
 3 having pancreatitis to necrosis?
 4 A. I don't recall.
 5 Q. Is there anything in the imaging reports
 6 that talk about a determination at Barnes that this
 7 patient now has necrosis with respect to her
 8 pancreas?
 9 A. I have to look at the reports. I don't
 10 recall specifically.
 11 Q. And you're not relying upon those reports
 12 from what you're saying; is that right?
 13 A. That's correct.
 14 Q. So at the time period that she's at
 15 Barnes -- so just to put the timeline together,
 16 April 4th she has the ERCP. April 4th, 2012 she
 17 has the ERCP at Bromenn. Okay. The next day she's
 18 at Barnes on April 5th, is that your understanding?
 19 A. Or very shortly thereafter, yes.
 20 Q. And then she's discharged on April 17th
 21 from Barnes, do you have that memory?
 22 A. Right, and then she comes back.
 23 Q. And then she comes back. Okay. And so
 24 what you're saying to me is that at the time --

59

1 it doesn't light up and it gets kind of dead or
 2 dying. And so it's darker than the rest of the
 3 pancreas.
 4 Q. Okay.
 5 A. And so -- that's primarily at least the
 6 imaging diagnosis of necrotizing pancreatitis.
 7 Also, that's the earliest sign. And then
 8 eventually you may see gas bubbles within it and an
 9 abscess.
 10 Q. Anything else that you looked for that
 11 would be indicative of the presence of necrosis of
 12 tissue?
 13 A. First of all, you see enlargement of the
 14 pancreas. Then you see abnormal or no enhancement.
 15 And then, you know, with real necrosis, you know,
 16 you get gas bubbles within it.
 17 Q. Is there any indication from the images
 18 that you looked at that would show you whether or
 19 not there's infection?
 20 A. With infection when you see gas bubbles,
 21 you're always concerned about infection. But
 22 again, that's why you have to stick needles into
 23 these things to make sure they're infected or not.
 24 Q. Okay. Do you know at Barnes if they made

58

1 before she was discharged from Barnes, did she have
 2 necrosis in her pancreas? It sounds like she did?
 3 A. Yes, she did on imaging.
 4 Q. All right. And are you involved with
 5 treatment decisions with respect to necrotizing
 6 pancreatitis?
 7 A. No.
 8 Q. And I assume you're not going to render
 9 any opinions about their treatment of her, their
 10 being Barnes treatment of her from April 5th, 6th
 11 through the 17th, you don't have any opinions about
 12 that?
 13 A. No.
 14 Q. Did you have any disagreements with -- let
 15 me preface it. Did you look at the radiological
 16 reports from Barnes? So I'll ask you that.
 17 A. Long ago but --
 18 Q. And do you recall if you had disagreements
 19 with the reporting done there and your findings?
 20 A. None that come to mind.
 21 Q. Okay. And the rest -- going back to the
 22 disclosure H, it talks about autolysis. Define
 23 that for me in terms of your using it?
 24 A. Okay. Autolysis is, you know, you're just

60



1 chewing yourself up. You know, lipase and amylase
2 are -- especially lipase, you know, it destroys
3 fat. And amylase, you know, based on protein, and
4 just chew yourself up, you know, the insides of
5 yourself up and so you self-destroy yourself with
6 these enzymes.
7 **Q. These are enzymes that are coming from the**
8 **pancreas that are affecting adjacent organs, is**
9 **that a good way to put it?**
10 A. That's right, and also they can get into
11 the systemic circulation, too.
12 **Q. Okay. And you sought images that**
13 **supported that; is that right?**
14 A. Oh, yes.
15 **Q. And then -- and we're going to go through**
16 **them here in a second. And then the next phrase is**
17 **causing severe organ damage, which organs were**
18 **damaged?**
19 A. Okay. The pancreas, the bowel, the lungs,
20 the liver, the colon, small bowel and there was
21 fistulae of the vagina, I think.
22 **Q. There's fistulization. I don't know if it**
23 **was vaginal fistulization. I don't remember, I'm**
24 **sorry. But she did have fistulization. It would**

61

1 **be your opinion that that was caused by the**
2 **necrotizing pancreatitis, you know, that was**
3 **demonstrable on imaging; is that right?**
4 A. That's correct.
5 **Q. In terms of cause of death, do you have an**
6 **opinion about that or are you relying on the**
7 **pathologist?**
8 A. I'm relying on the pathologist, but, you
9 know, I have seen patients die from necrotizing
10 pancreatitis who have a course like this.
11 **Q. Yeah. Have you had patients who have had**
12 **a course like this like Candice Cain?**
13 A. Not from ERCP. But with necrotizing
14 pancreatitis, yes.
15 **Q. And how often would you see that type of**
16 **patient? And I understand it wasn't ERCP related.**
17 **But how often would you see patients with**
18 **necrotizing pancreatitis, and by that I mean review**
19 **imaging?**
20 A. How often do I see patients with
21 necrotizing pancreatitis?
22 **Q. Yes.**
23 A. Oh, probably once a week, once or twice.
24 Necrotizing pancreatitis?

62

1 **Q. Yes.**
2 A. Yes.
3 **Q. And do you have any information about**
4 **which ones, what percentage resolve and what**
5 **percentage expire?**
6 A. No, I don't. Other than they're presented
7 at the M&M conference, no, I don't know the
8 percentage.
9 **Q. Are you involved with anything other than**
10 **reviewing imaging with respect to those patients?**
11 **In other words, do you provide any consultation**
12 **with respect to treatment?**
13 A. Well, they actually -- you know, what is
14 the degree of pancreatitis, is there pancreas
15 necrosis yet, is there a fluid collection, you
16 know, is this fluid collection amenable to drainage
17 and things like that. So, you know, I will consult
18 on that with the surgeon or gastroenterologist or
19 an internist.
20 **Q. Do they ask you about treatment modalities**
21 **at all?**
22 A. No.
23 **Q. With respect to Candice Cain, do you have**
24 **an opinion why her pancreas became necrotic? I**

63

1 **mean, why does she -- why does it then evolve into**
2 **necrosis? Do you have an opinion about that?**
3 A. I don't know. I think you'd have to ask a
4 gastroenterologist or a pancreatologist.
5 **Q. And because some patients who have**
6 **pancreatitis don't develop necrotizing**
7 **pancreatitis; true?**
8 A. That's for sure, yeah.
9 **Q. And in the patients that you've been**
10 **involved with who have necrotizing pancreatitis, do**
11 **most of them die?**
12 A. No.
13 **Q. Do most of them survive?**
14 A. In my experience, yes.
15 **Q. Would it be 90 percent survive?**
16 A. That I can't give you a figure.
17 **Q. You haven't looked at those numbers?**
18 A. No, I haven't.
19 **Q. Have you written anything about the**
20 **survival of patients with necrotizing pancreatitis?**
21 A. No, I have not.
22 **Q. Have you written anything about the**
23 **survival of patients with pancreatitis, period?**
24 A. No.

64



1 Q. Okay. The studies that you've been
 2 involved in, and I know you've written on this
 3 topic and you've -- both in articles and textbooks;
 4 is that true?
 5 A. Yes.
 6 Q. What is the focus of the literature that
 7 you've written on? What's the focus of your
 8 authorships on this?
 9 A. Just what are the manifestations of
 10 pancreatitis on imaging but not giving prognosis or
 11 treatment.
 12 Q. Did -- let me just cover this while I'm
 13 thinking about it. Did this patient have any
 14 anomalies with respect to her -- the anatomy of her
 15 pancreas or her biliary tract?
 16 A. Not that I saw on imaging, no.
 17 Q. Okay. You've written on that topic; is
 18 that right?
 19 A. There are chapters in the textbook, right.
 20 Q. And you've written articles on that topic,
 21 too; is that right?
 22 A. Yes.
 23 Q. And to summarize, what are the anomalies
 24 that you describe in the literature?

65

1 A. Well, actually the anomaly that's most
 2 associated with pancreatitis is pancreas divisum,
 3 D-I-V-I-S-U-M, in which there is separate drainage
 4 of the ventral and also pancreatic duct, and that's
 5 the anomaly that's most commonly caused --
 6 associated with pancreatitis. I didn't see any
 7 evidence of that.
 8 Q. She didn't have that?
 9 A. No.
 10 Q. Are there other anomalies that are
 11 associated with the development of pancreatitis?
 12 A. Yes, you can have patients with annular
 13 pancreas. You can get patients who have the common
 14 -- the pancreatic duct directly inserts into the
 15 common bile duct and that can lead to both
 16 cholangitis and pancreatitis. And then patients
 17 who it's really not an imaging finding who have
 18 sphincter of Oddi dysfunction, and that could lead
 19 to elevated pancreatic and biliary enzymes.
 20 Q. Is sphincter of Oddi dysfunction something
 21 that you can discern on imaging? I'm sorry if
 22 we've covered this.
 23 A. It is said you do an ERCP and you wait
 24 40 minutes. And if the thing still doesn't drain

66

1 after 40 minutes, that's sphincter of Oddi
 2 dysfunction. But actually you can do manometry of
 3 the sphincter of Oddi and it's called tachyoddia,
 4 T-A-C-H-Y-O-D-D-I-A, and it can be seen on
 5 manometry.
 6 Q. No manometry done for this patient?
 7 A. No.
 8 Q. And so is there any evidence from what you
 9 looked at that she has some dysfunction of her
 10 sphincter of Oddi?
 11 A. No, because on the intraoperative
 12 cholangiogram that stuff shot real -- very nicely
 13 from the common bile duct into the duodenum.
 14 Q. Okay. So what I'd like to do is I'd like
 15 to walk -- have you walk through the images. And
 16 if you come upon an image that simply is cumulative
 17 of what you've just said, we can -- you can say
 18 this shows essentially the same thing.
 19 A. Okay.
 20 Q. Because as I see it, we've got 204 images.
 21 A. Oh, I'll go very quickly. Can I show you
 22 my demonstratives, too?
 23 Q. Absolutely. But let's cover the -- that's
 24 fine, too, but let's cover this discrete issue that

67

1 we've been talking about here today, which is -- or
 2 that we're talking about now which is the worsening
 3 of her necrotizing pancreatitis, the autolysis and
 4 severe organ damage. Okay?
 5 A. Okay. We're now on new image number 61,
 6 and I think --
 7 Q. Go ahead.
 8 A. 61, this was the CT study done at Barnes
 9 on April 11th, 2012. And this is a scan of the
 10 upper chest -- lower chest, and we can see her
 11 heart. And she's got bilateral pleural effusions,
 12 and she's got consolidation of atelectasis in both
 13 lung bases.
 14 Q. This is my 66.
 15 A. And this is my 61.
 16 Q. Okay.
 17 A. And then we have a scan a little bit
 18 further down and she's got ascites in the yellow
 19 arrow.
 20 Q. Right.
 21 A. And my slide 62, your slide 61. And she's
 22 got bilateral pleural effusions and atelectasis.
 23 Again, we're going to be talking about April 11th
 24 CT.

68



1 Q. And this is my 67. Go ahead. And now
 2 this is 63?
 3 A. My 63, your 68.
 4 Q. Okay.
 5 A. So we are at scan in kind of the mid
 6 abdomen now. These are the kidneys. Here is the
 7 spine, the aorta. Here is the superior mesenteric
 8 vein, super mesenteric artery. And here we see the
 9 pancreatic head, an uncinat process. And then in
 10 the region of the pancreas, the pancreatic head --
 11 I'm sorry, neck, body and tail, just kind of see
 12 inflammatory change. And then normally surrounding
 13 the pancreas you're going to see fat and bowel but
 14 between my two arrows you can see all this
 15 inflammatory fluid.
 16 So normally fat is black, but here we see
 17 all this gray fluid. And this is fluid in the
 18 anterior perirenal space, and there's necrosis of
 19 the pancreas at this point. There's also
 20 retroperitoneal fluid as well, and there's fluid in
 21 the left anterior fascial plane and right anterior
 22 fascial plane.
 23 Q. Well, what is it that tells you that on
 24 your image 63, my 68 that there's necrosis?

69

1 A. Because I don't see enhancement of the
 2 pancreas.
 3 Q. Okay.
 4 A. Okay. I see a nice enhancement of the
 5 pancreatic head, an uncinat process but not of the
 6 tail and the body.
 7 Q. Could the fluid collection be obscuring
 8 what you're trying to discern?
 9 A. Well, no, because it's part and parcel of
 10 this entire inflammatory process.
 11 Q. Okay. I'm not sure I understand what you
 12 said but the answer is no?
 13 A. No.
 14 Q. Go ahead.
 15 A. Okay. We're going further south. My
 16 slide 64, yours 69. And here we're seeing some of
 17 the inflammatory change in the fat of the greater
 18 omentum. And normally fat is black-black on CT but
 19 you can see this nasty stuff going into the greater
 20 omentum. And then we're seeing some fluid in the
 21 lesser sac and also seeing some fluid in the right
 22 paracolic gutter and also retroperitoneal and this
 23 is fluid surrounding the right kidney.
 24 Q. And this fluid is consistent with

70

1 pancreatitis --
 2 A. Yes.
 3 Q. -- is that right?
 4 And is there a reason why some arrows are
 5 red and some are yellow?
 6 A. No.
 7 Q. Okay.
 8 A. And we're going further south. My image
 9 65.
 10 Q. And mine is 70.
 11 A. Okay. And then we can see some fluid in
 12 the left paracolic gutter, the arrow on our right,
 13 the patient's left side. And there's some fluid in
 14 the retroperitoneum on the right, which is on our
 15 left, the patient's right, going further south.
 16 Q. Your 66, mine 71?
 17 A. Uh-huh. And then we're seeing a lot of
 18 intraperitoneal fluid down in the pelvis, and this
 19 the peritoneal lining.
 20 Q. Right.
 21 A. This is the sacrum and these are the hips.
 22 And this is fluid surrounding the sigmoid colon
 23 here. And this is fluid surrounding this
 24 structure. And then we can see the peritoneum

71

1 here. It's usually like a nice, thin line. This
 2 one is a little bit too thick so there's some
 3 peritoneal inflammation as well on that image.
 4 Q. And these are all consistent with
 5 pancreatitis?
 6 A. Yeah.
 7 Q. Okay.
 8 A. Okay. And then, let's see, we go to the
 9 CT study of 4/17/12. You should have this same
 10 one.
 11 Q. I think I do. It's just in a different
 12 location?
 13 A. There we go.
 14 Q. This is at St. James. Okay. So just from
 15 my --
 16 A. I think mine have the wrong date on it.
 17 Q. You did. That's okay.
 18 A. My image 68 is your image 33.
 19 MR. GINZKEY: And what's the date of that
 20 image?
 21 THE WITNESS: 4/17/12. I think on the version
 22 I gave you it's at 4/7/12, I'm sorry.
 23 BY MR. BRANDT:
 24 Q. And just for the record, this is done at

72



1 St. James in Pontiac, Illinois. Okay.
 2 A. Okay.
 3 Q. Is that right?
 4 A. Correct.
 5 Q. If you look at the top there, it says
 6 St. James?
 7 A. Uh-huh.
 8 Q. And so let me just ask you generally, are
 9 the -- does the MRI that we're going to look at
 10 here, are these consistent with fluid collections
 11 that you see with pancreatitis?
 12 A. Yes, they are.
 13 Q. Are you going to basically testify to the
 14 same things we just talked about relative to the CT
 15 scan we just looked at?
 16 A. Yes, but they look worse.
 17 Q. She's got more fluid?
 18 A. More fluid and more inflammatory change.
 19 Q. Let's walk through them then.
 20 MR. GINZKEY: And just a point of
 21 clarification, from St. James it's a CT, not an
 22 MRI; correct?
 23 THE WITNESS: That's correct.
 24

73

1 center of the subway sandwich.
 2 Q. Okay.
 3 A. And so here is slide number 75, this is a
 4 coronal image. And it's your slide number 36. And
 5 we can see this is the right, towards the patient's
 6 left, head, foot, near the legs. And here we're
 7 seeing a lot of fluid in the peritoneal cavity.
 8 And here is a lot of inflammatory change seen in
 9 the greater omentum.
 10 And this is just another -- okay. And my
 11 slide 76 is your 37. And we can see -- we're
 12 scanning a little bit further back in the patient.
 13 Here is the heart, the stomach, the liver. And
 14 this is the plastic biliary stent placed in by the
 15 folks at Barnes.
 16 Q. I see it.
 17 A. And then we see these fluid collections
 18 that have worsened. And then here we can see on
 19 slide number 77.
 20 Q. Mine 38.
 21 A. We can see the stent again.
 22 Q. That's fine. when you describe this, it's
 23 better for us in reading the transcript if you just
 24 describe what you see rather than saying and here

75

1 BY MR. BRANDT:
 2 Q. This is your -- it's my 33, your 68. Go
 3 ahead.
 4 A. Right. Okay. We're seeing all this
 5 inflammatory change in the greater omentum. We're
 6 seeing a lot more intraperitoneal fluid in
 7 Morrison's pouch. And then we're going to slide
 8 number 96, there's a lot more fluid in the right
 9 and left paracolic gutter. And gain, this is my
 10 slide 69 and yours 34.
 11 Q. Thank you.
 12 A. And then slide number 70, we're going
 13 further south. There's a lot more fluid down in
 14 the pelvis. My slide 70, your slide 35.
 15 Q. Yes. Okay.
 16 A. Okay. And then these are some
 17 demonstratives. We've been looking at the axial
 18 images. I'm going to show the jury this is how we
 19 slice a person with the CT like a loaf of bread or
 20 an orange.
 21 And now we're going to show the coronal
 22 plane. This is like scanning the patient --
 23 slicing the patient like a subway sandwich the long
 24 way, and we're looking at that piece of meat in the

74

1 we have, you know what I'm saying.
 2 A. Okay. All right. On slide number 77,
 3 there's a plastic biliary stent. There is a lot of
 4 inflammatory fluid identified in the paracolic
 5 gutters in the small bowel mesentery and in the
 6 pelvis.
 7 Q. And this is a worsening of what you saw at
 8 the CT scan at Barnes; is that right?
 9 A. That's correct.
 10 Q. Go ahead.
 11 A. Slide number 78, we're scanning a little
 12 bit further back here and --
 13 Q. This is my 39. Go ahead.
 14 A. My 78. And we can see -- this is a scan
 15 at the level of the kidneys, and we can see the
 16 spine in the center. And we see inflammatory fluid
 17 in the retroperitoneal surrounding the right
 18 kidney. There's also consolidation and effusion at
 19 the right lung base.
 20 Q. What's causing the effusion and the
 21 consolidation at the right lung base?
 22 A. When you have bad pancreatitis, that's
 23 likely caused.
 24 Q. What's the physiology -- from a physiology

76



1 standpoint, what's happening?
 2 A. Okay. I think that would be best asked of
 3 an internist or a pancreatologist or a
 4 gastroenterologist of why that is. But these evil
 5 humors, amylase and lipase, you know, escape in the
 6 body and you can get --
 7 Q. Inflammatory responses?
 8 A. Right.
 9 Q. And that is what she's having; is that
 10 right?
 11 A. Right.
 12 Q. If you're asked diagnostically to look at
 13 images for pancreatitis, at what stage of the
 14 pancreatitis do you see this type of inflammatory
 15 process in the lungs?
 16 A. Actually I've never thought of it that
 17 way.
 18 Q. I mean, is there a progression?
 19 A. Yes, I guess the worse the degree of
 20 pancreatitis, the more of these things escape into
 21 your bloodstream. The more likely you are going to
 22 have -- find these in your lungs.
 23 Q. I guess what you're saying to me that
 24 there's no consistent timeline for this to occur

77

1 condition much like the film we just looked at and
 2 that way we can move forward without having you to
 3 detail everything you see in the 207 slides that we
 4 looked at.
 5 A. Okay.
 6 Q. Does that sound okay to you?
 7 A. That sounds good.
 8 Q. I mean, if that's what you're going to do
 9 to the jury -- I mean, you've already indicated to
 10 me you're not going to go through each one. Maybe
 11 what we can do is talk about the ones you are going
 12 to talk about that are demonstratively different
 13 than the one we just looked at.
 14 A. Okay.
 15 Q. Okay. So why don't we do that?
 16 A. Okay. And then I have the demonstrative
 17 at the beginning, can we go --
 18 Q. We'll get to those at the end. Okay?
 19 A. All right.
 20 Q. Because I want to finish up with this, the
 21 worsening of the necrotizing pancreatitis. So what
 22 are we looking at now?
 23 A. Slide number 79, abdominal X-ray on
 24 4/7/12, just is the plastic stent and gastro

79

1 from your experience?
 2 A. Not that I'm aware of, but I think -- you
 3 can ask a gastroenterologist.
 4 Q. Very good.
 5 A. Slide number 79 --
 6 Q. Let's stop and take a break. We've been
 7 in here for about an hour and a half.
 8 (Whereupon, a short break was
 9 taken.)
 10 MR. BRANDT: Back on the record.
 11 BY MR. BRANDT:
 12 Q. Essentially when I look at this list of
 13 the images that you looked at, it looks like you've
 14 listed studies from the time period that we're
 15 talking about, April through August. And the
 16 purpose of that is to show a worsening of her
 17 condition, is that really where you're going with
 18 that?
 19 A. That's correct. And complications of
 20 necrotizing pancreatitis.
 21 (Enter Dr. Deweert.)
 22 BY MR. BRANDT:
 23 Q. And so as I am going through is, I'm happy
 24 if you say to me this represents a worsening of her

78

1 dilatation. It doesn't show the pancreas. Chest
 2 X-ray, her chest X-rays were getting progressively
 3 better and then worse and then better and then
 4 worse.
 5 Q. What do you relate that to, if you have an
 6 opinion?
 7 A. I'd have to --
 8 Q. Go back and look at her clinic pictures?
 9 A. What's going on there, right.
 10 Q. So you're not going to render an opinion
 11 at trial about that?
 12 A. No, I would just say there are X-rays
 13 better on this exam, better on this -- so, no, I
 14 think that's going to be too boring.
 15 Q. Very good.
 16 A. And slide 63, chest X-ray --
 17 Q. What's the date?
 18 A. Okay. 83.
 19 Q. 83, 3/15?
 20 A. And 3/15, not terribly exciting, slide 84.
 21 Abdominal X-ray, slide 85 on 4/5/12. It just shows
 22 an air fluid level within the stomach and the colon
 23 is dilated. It's probably not going to show that
 24 one. 66, chest X-ray of 4/6/12. The heart looks a

80



<p>1 little bit big. And there's some atelectasis at 2 the lung base here. I'm probably not going to show 3 that one.</p> <p>4 MR. GINZKEY: That's slide 86, not 66.</p> <p>5 THE WITNESS: And slide 87, this is 4/10/12 6 and --</p> <p>7 BY MR. BRANDT:</p> <p>8 Q. That's the chest X-ray?</p> <p>9 A. Chest X-ray. And the lungs look worse.</p> <p>10 Q. And by worse, you mean more atelectatic?</p> <p>11 A. There's more atelectasis, more 12 consolidation, more effusion. And the arrows on 13 slide 87 show the left-sided pleural effusion.</p> <p>14 Okay. Slide number 88 --</p> <p>15 Q. Which is a chest X-ray?</p> <p>16 A. -- a chest X-ray on 4/12/12. And she has 17 an endotracheal tube, which is the blue arrow. A 18 nasogastric tube, which is the red arrow. And 19 she's got a big degree of consolidation and 20 effusion on the left side. Oh, I'm sorry, you're 21 right, it's the wrong number. It's the wrong date. 22 You are absolutely right. So actually this is 23 August 11th, 2012.</p> <p>24 MR. GINZKEY: It's late in the game.</p> <p style="text-align: right;">81</p>	<p>1 cavity. It doesn't communicate within an organ. I 2 don't know --</p> <p>3 Q. So --</p> <p>4 A. Other than there was a fistula, that's all 5 that I'm going to show.</p> <p>6 Q. You're going to testify to the jury this 7 image number 90, which was taken on April 20th, 8 2012 shows a fistulization of what?</p> <p>9 A. Okay. There's a fistula between the skin 10 or where this catheter is and also the peritoneal 11 cavity here in the left lower quadrant.</p> <p>12 Q. What's causing the fistula, do you have 13 any opinion on that?</p> <p>14 A. Other than, you know, this is just part 15 and parcel of the necrotizing pancreatitis. This 16 is one of the known complications of necrotizing 17 pancreatitis. You get fistula and communications 18 and abnormal fluid collections.</p> <p>19 Q. Okay.</p> <p>20 A. And then we're going to a CT slide on 21 number 91 on 4/20/2012. And she's got pleural 22 effusions at both lung bases depicted by the 23 arrows. And again, necrotizing pancreatitis on 24 slide number 92 between the two yellow arrows. And</p> <p style="text-align: right;">83</p>
<p>1 THE WITNESS: Slide 88. So, yeah, forget about 2 that. All right. So slide number 89 is the wrong 3 date again.</p> <p>4 BY MR. BRANDT:</p> <p>5 Q. So what's the date of this?</p> <p>6 A. So actually that's slide number -- 89, 7 this is August 12th, 2012. So this is late in the 8 game so this date is wrong.</p> <p>9 MR. GINZKEY: It's 8/12/12 rather than --</p> <p>10 THE WITNESS: Right.</p> <p>11 BY MR. BRANDT:</p> <p>12 Q. And so what is it about that? She just 13 has more atelectasis effusion?</p> <p>14 A. And she's got more atelectasis, congestive 15 heart failure. She's got bilateral air space 16 disease. So, you know, this is near the time that 17 she died. But I have the wrong date here. So I 18 think I'm going to have to re-do this thing and 19 send it to you.</p> <p>20 Q. Thank you.</p> <p>21 A. And actually April 20th, this was a 22 fistulogram on slide number 90. And this actually 23 was done on 4/20/2012. And it shows communication 24 here to a collection of the left lower quadrant</p> <p style="text-align: right;">82</p>	<p>1 then there's fluid surrounding the kidney, the 2 bottom red arrow, and then fluid anterior to the 3 liver, the top arrow.</p> <p>4 Q. And the basis for the opinion that there's 5 necrotizing pancreatitis is?</p> <p>6 A. That, you know, I'm seeing enhancement of 7 the pancreatic head and uncinata process but I'm 8 not seeing enhancement of the pancreatic body and 9 tail.</p> <p>10 And 93 -- and also what I might do is 11 actually show side-by-side comparisons at the same 12 level, you know, over different dates than just 13 showing the progression of pancreatitis and the 14 changes of it.</p> <p>15 And 93, again, this is April 20th, 2012, 16 there's inflammatory change in the greater omentum. 17 There's fluid in the lesser sac at this point. 18 There's fluid in the intraperitoneal cavity where 19 my red arrows are.</p> <p>20 Q. And these are just signs of progression of 21 her disease process?</p> <p>22 A. That's correct. And on slide number 4 23 between the two red arrows lines of progression, 24 there's more free intraperitoneal fluid down in the</p> <p style="text-align: right;">84</p>



<p>1 pelvis. And slide number 6, again, this is April 2 20th, 2012, and we still have a lot of free 3 intraperitoneal fluid in the pelvis.</p> <p>4 Q. The abdominal CT?</p> <p>5 A. On this abdominal CT. Chest X-ray, let's 6 make sure of the date here. On slide number 96, 7 this is 4/23/12. And here she's got a big heart. 8 The red arrow is a large right-sided pleural 9 effusion. The yellow arrow depicts a venous 10 catheter to give her nutrition.</p> <p>11 And, you know, there's a big heart, 12 congestive heart failure bilateral infiltrates and 13 effusions. And I may show a progression of what 14 her chest X-rays looked at over a period of time.</p> <p>15 Q. You said she had improvement and then 16 worsening throughout the time period --</p> <p>17 A. That's correct.</p> <p>18 Q. -- of April through August --</p> <p>19 A. That's correct.</p> <p>20 Q. -- is that correct?</p> <p>21 A. That's correct.</p> <p>22 All right. Then slide number 97 is a 23 scout image for the CT study done on 4/27/12, and 24 here we can see the biliary stent.</p> <p style="text-align: right;">85</p>	<p>1 103, we're going further south on the 2 study of 4/27/12, and we're seeing fluid collection 3 of the pelvis.</p> <p>4 And on slide number 104, I guess the 5 patient was having diarrhea at the time so they 6 have a rectal tube and the patient also has a Foley 7 catheter. The rectal tube in the yellow. Foley in 8 red.</p> <p>9 And these are the coronal reformatted 10 images from the same study. We can see the plastic 11 biliary stent in yellow and the fluid collections 12 between the two red arrows. And obviously, I'm not 13 going to go show all these scans. I think there 14 are too many. And again --</p> <p>15 Q. Does this show anything different than 16 what we just talked about, 106?</p> <p>17 A. No.</p> <p>18 Q. Okay. Let's go to the next one.</p> <p>19 A. 107 shows effusions at both lung bases and 20 pneumonia at the right lung base.</p> <p>21 Q. And this is all April 27th, 2012?</p> <p>22 A. Right.</p> <p>23 Q. Okay. The next one.</p> <p>24 A. Okay. 108 is a plain abdominal X-ray on</p> <p style="text-align: right;">87</p>
<p>1 Q. Right.</p> <p>2 A. And then the radiologist put in a pigtail 3 catheter in the left lower quadrant fluid 4 collection. And that's depicted the bottom arrow.</p> <p>5 On 98, between the two red arrows, lots of 6 pleural effusion and pneumonia at the right lung 7 base.</p> <p>8 And 99, again, necrotizing pancreatitis of 9 the body and the tail, the head. An uncinata 10 process, you still see a little bit of it.</p> <p>11 Q. And this is on April 27th, 2012?</p> <p>12 A. Right.</p> <p>13 Q. Okay.</p> <p>14 A. And again, more of the same. We see fluid 15 collections in the paracolic gutters. Here is the 16 percutaneous drain, the white arrow. And on slide 17 number 101 on 4/27/12, we're going further south. 18 And we're seeing actually some enhancement of the 19 peritoneal cavity so it looks like there's some 20 peritoneal inflammation as well. And the white 21 arrow points to the drainage catheter.</p> <p>22 And on slide number 102, we can see the 23 drainage catheter in the left lower quadrant, the 24 pigtail catheter.</p> <p style="text-align: right;">86</p>	<p>1 April 29th showing the plastic biliary drain and 2 the percutaneous catheter in the left lower 3 quadrant.</p> <p>4 And on 109, this is a chest X-ray on 4/30 5 here. You know, she's still got a big heart and 6 bilateral pleural effusions and consolidation of 7 the left lung base. It looks a little bit better. 8 She had a head CT but nothing I'm going to be 9 talking about.</p> <p>10 And then on 5/2/12 she had another CT, and 11 this is a scout image on slide 111. And we can see 12 the biliary drainage catheter. And we can see the 13 catheter in the left lower quadrant. And the 14 abdominal X-rays shows the same thing. And this is 15 a CT study on 5/2/12.</p> <p>16 I'm on slide 113. This shows more of the 17 same. We see bilateral pleural effusions on this 18 slide depicted by the red arrows. And then we see 19 the necrotizing pancreatitis between the two red 20 arrows. And then we can see fluid collections next 21 to the right kidney.</p> <p>22 Q. And this is May?</p> <p>23 A. 5/2. And we're going further down. And 24 now these -- on slide number 115 where the level of</p> <p style="text-align: right;">88</p>



<p>1 the bottom of the kidneys, these fluid collections 2 are getting a little bit more organized at the 3 current term.</p> <p>4 Q. Do you see that with necrotizing 5 pancreatitis in your --</p> <p>6 A. Oh, yeah.</p> <p>7 Q. -- practice?</p> <p>8 A. Yes. And 116, we have the drain again. 9 117, we have the drain going into the left lower 10 quadrant. And 118, we're seeing that fluid 11 collection of the pelvis but now we're getting 12 these things, these things sticking down here. So 13 the --</p> <p>14 Q. You're talking about enhanced areas on 15 slide 118 --</p> <p>16 A. Right.</p> <p>17 Q. -- from May 2nd, 2012 that is now 18 something different as of May 2nd --</p> <p>19 A. Right.</p> <p>20 Q. -- 2012?</p> <p>21 A. Right.</p> <p>22 Q. What is it that's different?</p> <p>23 A. Okay. That we're seeing these structures 24 here, I don't know whether it's a blood clot or if</p> <p style="text-align: right;">89</p>	<p>1 with wherever this drain is.</p> <p>2 Q. And the point of is what? Is this just 3 the worsening that you're seeing?</p> <p>4 A. Yeah, this is just the worsening. Right.</p> <p>5 Q. Got it.</p> <p>6 A. And more -- the same thing on slide 122. 7 And slide number 123, here we can see a collection. 8 This is from the same study. And this is in the 9 right upper quadrant. So this is part of, you 10 know, the fluid collections, cavities, abscesses 11 that are forming following the necrotizing 12 pancreatitis.</p> <p>13 Q. And this is in May of 2012?</p> <p>14 A. Right. Okay. And 124 is an abdominal 15 X-ray showing -- now they put a drain in the right 16 upper quadrant to drain that collection that we saw 17 before.</p> <p>18 Q. Right.</p> <p>19 A. And 126 -- again, I'm not going to show 20 all these slides, but we can see a -- and, you 21 know, when it went down and give you the exact 22 thing that we would be giving to the jury. And 23 here we can see the nasogastric tube. And here is 24 some oddly dilated segment of the small bowel.</p> <p style="text-align: right;">91</p>
<p>1 it's infection or what it is. I can't tell.</p> <p>2 Q. Okay. It's just they're enhanced in some 3 fashion?</p> <p>4 A. Right. 119, nothing interesting on that. 5 120, chest X-ray, and it shows the patient has a -- 6 I'm sorry, an abdominal X-ray. On 120 at 5/3/12 7 and shows a nasogastric tube, the biliary drain and 8 then the percutaneous drain in yellow.</p> <p>9 All right. And then on 121, this was a 10 sinogram or an abscessogram performed on 5/3/12 11 where you inject the catheter and see where the 12 contrast material goes. And so there's this 13 communication here in the right upper quadrant. It 14 doesn't feel like -- it looks like it's filling any 15 specific organ or bowel. It just is probably 16 related to the inflammation and, you know, the 17 pancreatic necrosis -- or necrotizing pancreatitis.</p> <p>18 Q. Okay. So it's showing a -- that the point 19 of is number 121, the sinogram of 5/3/12 is to show 20 degradation of the pancreatitis?</p> <p>21 A. No, this does not show degradation. This 22 is showing a collection of fluid in the right upper 23 quadrant. So there's this fluid collection or this 24 cavity here that's very irregular and communicates</p> <p style="text-align: right;">90</p>	<p>1 And here we can see the patient's biliary 2 drain and a cavity -- absence drainage cavity or 3 fluid collection cavity drain in the right upper 4 quadrant on 125, 126 more the same, 127, 128, 129. 5 130, chest X-ray on 5/4/12. Let's make 6 sure that's correct. On 130, she has a nasogastric 7 tube and a PICC line and some atelectasis at the 8 right lung base. And so her chest X-ray is getting 9 better, worse, better, worse.</p> <p>10 And 131, 5/5 abdominal X-ray showing the 11 same. 132. And 133, a chest X-ray from 5/6/12 and 12 showing the big heart and the various tubes and 13 infiltrates and effusions. And then she had 14 another CT scan on 5/7/12, and this is a scout 15 image showing the drain in the right upper quadrant 16 and then the patient's pigtail catheter.</p> <p>17 And how do I include that? That just 18 shows the nasogastric tube going into her neck. So 19 we're on slide 135. This is an endo lateral view 20 of the neck done on 5/6/12.</p> <p>21 And here we go on slide number 136. Her 22 pleural effusions look worse now where the yellow 23 arrows are on slide number 136. And this is 24 May 7th of 2012. And we're seeing the pancreatic</p> <p style="text-align: right;">92</p>



<p>1 necrosis between the two red arrows on 137.</p> <p>2 Q. That's May 7th, 2012 also?</p> <p>3 A. Right. 138, these collections are</p> <p>4 maturing because we can see they have a slight</p> <p>5 thickened wall in the right paracolic gutter and</p> <p>6 there's also some enhancement. So we have maturing</p> <p>7 fluid collections there. And here is the</p> <p>8 percutaneous drain in the right upper quadrant</p> <p>9 where the red arrow -- the white arrow is, and the</p> <p>10 red arrow is pointing to the necrosis.</p> <p>11 And 139, just more of the same. 140, 141,</p> <p>12 more of the same. 142 is a chest x-ray --</p> <p>13 abdominal x-ray on 5/12/12. Nothing exciting</p> <p>14 there. 143, the patient had an abdominal CT. And</p> <p>15 they had this device over her abdomen, because I</p> <p>16 think her abdomen was -- yeah, she had -- her</p> <p>17 abdominal wound was open at this point, her midline</p> <p>18 abdominal wound.</p> <p>19 Q. And that's depicted on 5/15/12 --</p> <p>20 A. Right.</p> <p>21 Q. -- abdominal CT?</p> <p>22 A. Right.</p> <p>23 Q. Okay.</p> <p>24 A. And then on 144 she's got pleural</p> <p style="text-align: right;">93</p>	<p>1 is gone but the left-sided effusion is present, and</p> <p>2 it's between the two red arrows.</p> <p>3 Q. This is in July of 2012?</p> <p>4 A. Yeah, July 20th, 2012 there's</p> <p>5 consolidation. And then there is a catheter. I'll</p> <p>6 put in here I don't recall if it's in the stomach</p> <p>7 or not. Okay. And just more necrosis. And so</p> <p>8 that's through slide 168.</p> <p>9 And then they did an enema on slide number</p> <p>10 169, which is on 7/21/12 where they put some</p> <p>11 contrast into her colon to see if it communicated</p> <p>12 with anything. And here we can see communication</p> <p>13 between the colon and this collection of the left</p> <p>14 upper quadrant.</p> <p>15 Q. Okay. So she's got fistulization?</p> <p>16 A. Yeah.</p> <p>17 Q. All right.</p> <p>18 A. And then on 7/22 -- on slide number 170 on</p> <p>19 7/22/12, her right one looks pretty good but she's</p> <p>20 got a big effusion and some pneumonia on the left</p> <p>21 side.</p> <p>22 Q. Okay.</p> <p>23 A. I can't even see what that is on slide</p> <p>24 number 171, 172. They injected the collection in</p> <p style="text-align: right;">95</p>
<p>1 effusions again, and this is where the surgical</p> <p>2 scar from the necrosectomy. And then we're seeing</p> <p>3 the inflammatory change on 146, 147, 148. This is</p> <p>4 a new fluid collection between the two red arrows</p> <p>5 on slide 148 on 5/15/12. And then there's the</p> <p>6 drain.</p> <p>7 And this is just worsening of the fluid</p> <p>8 collections. And we're going through 156, 157.</p> <p>9 And there's an abscessogram or sinogram on slide</p> <p>10 157, on slide 5/17. And here is the collection we</p> <p>11 see in the right upper quadrant.</p> <p>12 Q. And that's on your number 158 it's a CT of</p> <p>13 the 5/17/12 and you circled something --</p> <p>14 A. Actually it's an abscessogram. This is --</p> <p>15 or this is a sinogram. And now we're seeing a</p> <p>16 collection in the left upper quadrant adjacent to</p> <p>17 the stomach.</p> <p>18 Q. And that's just --</p> <p>19 A. A new collection, right.</p> <p>20 Q. -- a new access collection?</p> <p>21 A. Yeah. And here 159 we see some stuff in</p> <p>22 the right lower quadrant. And then she had another</p> <p>23 CT in January -- I'm sorry, July of 2012, on</p> <p>24 July 20th, 2012. Here are the right-sided effusion</p> <p style="text-align: right;">94</p>	<p>1 right upper quadrant. And then slide 173 is the CT</p> <p>2 study of 7/24/12. And we're going through 177.</p> <p>3 And it shows worsening fluid collection.</p> <p>4 And again, I'm not going to show all these</p> <p>5 slides. What I will do is probably do a -- maybe</p> <p>6 show a comparison, you know, at the same level, you</p> <p>7 know, how the collections or the necrosis got worse</p> <p>8 or better.</p> <p>9 Q. Okay.</p> <p>10 A. And then on slide number 178, this is a</p> <p>11 coronal image. And we can see she's got right</p> <p>12 upper lobe pneumonia on the CT study of 7/24/12.</p> <p>13 Okay. Now we're out of order here. And then</p> <p>14 abdominal x-ray 7/28/12. Other than just showing</p> <p>15 her drainage catheters and tubes, it doesn't show</p> <p>16 anything interesting on 160. 161 --</p> <p>17 Q. 181.</p> <p>18 A. 181, she has bilateral infiltrates and</p> <p>19 she's got various support lines. The same on 182,</p> <p>20 183, 184, 185, 186, 187. And I'm not going to go</p> <p>21 show all these chest x-rays.</p> <p>22 190. Okay. This is -- these are out of</p> <p>23 order. This is the contrast enema on slide number</p> <p>24 190 from 7/31/12. It doesn't show much. Let's</p> <p style="text-align: right;">96</p>



<p>1 see. On slide number 191, it does show 2 communication with that collection of the left 3 upper quadrant and possibly the one in the right 4 upper quadrant.</p> <p>5 Q. So fistulization?</p> <p>6 A. Yeah. 193, 194, 195. 195 is a J-tube 7 injection. They put a jejunostomy tube into 8 Candice and on 7/30, and here is contrast injected. 9 And nothing interesting on 196. 197. On 197, they 10 did a vaginogram. They put contrast material 11 within the vagina to see if it communicated with 12 anything. 198 --</p> <p>13 Q. I'm sorry, was there fistulization or not? 14 we talked about that earlier.</p> <p>15 A. Not on the ones I see now, no, 198, 199. 16 Chest x-ray on 8/2, 200. 8/2/12 some chest x-rays. 17 And then they injected her catheter on slide number 18 201 on 8/6/12, and there's communication between 19 the stomach here.</p> <p>20 And then she had another CT on August 8th, 21 2012, slide number 205. And there are bilateral 22 pleural effusions and there's some biliary 23 dilatation where the yellow arrow is and the 24 stomach is dilated with fluid. And here is a</p> <p style="text-align: right;">97</p>	<p>1 Q. Okay. So let me just go back to the stuff 2 that I have. By the way, will you send me a set of 3 the ones we're using today?</p> <p>4 A. And as I said, and then the one we want to 5 show to the jury. Including the mistakes and the 6 dates and stuff? Yeah, we might as well.</p> <p>7 Q. Because we referred to them in the dep.</p> <p>8 A. Yeah. Okay.</p> <p>9 Q. So the first one I have is Page 1, which 10 is your Page 1 also.</p> <p>11 A. Right. And this is just to show the jury, 12 you know, the anatomy of the abdomen. Here is the 13 stomach and the liver, gallbladder, bile duct where 14 the pancreas is. Slight number 2, this is what the 15 pancreas does. You know, it injects all hormones 16 and enzymes and bicarb. That's what the purpose of 17 our pancreas is.</p> <p>18 Q. Sure.</p> <p>19 A. But I would certainly defer to a 20 pancreatologist to give a better -- or a 21 gastroenterologist about what it does. And this is 22 an ERCP procedure. And this is slide number 3. 23 And this is the gastroenterologist that they're 24 passing the scope into the patient. And it's done</p> <p style="text-align: right;">99</p>
<p>1 collection in the yellow arrow on slide number 207 2 in Morrison's pouch. And again, we're seeing all 3 these fluid collections that look like they have 4 matured. 209, 201.</p> <p>5 211 is a chest x-ray. 8/9 on slide number 6 212. This is too much slides obviously. Slide 7 number 213, this is a sinogram they injected a 8 catheter in the right side of the abdomen. It's 9 communicating with this pocket.</p> <p>10 Q. The date is 8/9/12?</p> <p>11 A. Right, on slide 213. 214, this is 12 communicating with this pocket.</p> <p>13 Q. The same date?</p> <p>14 A. Right. And 215, 216, 217, 218 showing 15 pretty much the same thing. On 8/12, which is 16 slide number 219, the endotracheal tube is here and 17 a nasogastric tube and she's got a large region of 18 consolidation and effusion on the left. 220. 19 Okay. So obviously I have to remove about 150 20 slides from here. Oh, can I show the 21 demonstratives?</p> <p>22 Q. Yes, that's fine. Go ahead.</p> <p>23 A. Okay. And some of these are new and some 24 of these you have.</p> <p style="text-align: right;">98</p>	<p>1 under the fluoroscope and they have one screen 2 where they can see what's going on at the end of 3 their ERCP scope. And then there's also a screen 4 that shows what's going on fluoroscopically.</p> <p>5 Q. Can I ask you a question while we're on 6 this slide? This slide doesn't portray a 7 radiologist present in the room?</p> <p>8 A. That's correct.</p> <p>9 Q. You'd agree with me the standard of care 10 doesn't require that somebody like my client have 11 somebody with your expertise in radiology present 12 during the procedure?</p> <p>13 A. That's correct.</p> <p>14 Q. Thank you.</p> <p>15 A. And this is what the ERCP is on slide 16 number 4. You pass the endoscope down through the 17 mouth through the esophagus, into the stomach, into 18 the duodenum. And then when you get to the second 19 portion of the duodenum, you see the papilla of 20 Vater and then you want to check the contrast into 21 the bile duct or pancreatic duct, whichever one 22 you're interested in.</p> <p>23 Q. Okay.</p> <p>24 A. And slide number 5, this is the scope, and</p> <p style="text-align: right;">100</p>



1 then you put your catheter in the common bile duct.
 2 But unfortunately, the guidewire did not go into
 3 the bile duct. It went through the pancreas in
 4 this case.
 5 Q. So back up a second on number 6, the red
 6 line, what's that meant to depict?
 7 A. The trajectory of the guidewire.
 8 Q. Okay.
 9 A. And slide number 7 is just showing the
 10 common bile duct, the pancreatic duct, the
 11 gallbladder. Here is the head of the pancreas.
 12 And the trajectory of the guidewire was in this
 13 direction rather than into the common bile duct.
 14 Q. The arrow is just meant to show direction?
 15 A. Direction, correct. Not length, correct.
 16 And slide number 9 is another artist depiction of
 17 an ERCP with the intracamerai in the common bile
 18 duct or if you're looking at the pancreatic duct,
 19 into the pancreatic duct. And that was just to
 20 show the trajectory of the guidewire.
 21 Q. The red mark?
 22 A. The red mark on 10. The same thing on 11.
 23 And just to --
 24 Q. Number 12?

101

1 A. -- 12 what pancreatitis is.
 2 Q. How it demonstrates pathologically?
 3 A. I guess an artist rendition thereof. And
 4 same thing on number 137, an artist rendition of
 5 what pancreatitis is.
 6 Q. These aren't things that you see on
 7 imaging, though; true?
 8 A. No.
 9 Q. Is that right?
 10 A. No, you actually see the necrosis and you
 11 see the fluid collections but it's not colorized
 12 like that, but actually you could make pretty
 13 pictures and put colors if you want to but we don't
 14 for our purposes.
 15 Q. Is that demonstrative?
 16 A. Yes.
 17 Q. So we've covered all the things that
 18 you're going to discuss and tell the jury; is that
 19 right?
 20 A. Yes.
 21 Q. You've acted as an expert witness before?
 22 A. Medical expert, yes.
 23 Q. How often?
 24 A. Well, at trial, 27 times.

102

1 Q. Okay. How many cases do you review on an
 2 annual basis?
 3 A. Probably one a month.
 4 Q. How many open files do you think you have
 5 now? 24 maybe, 25, something in that area?
 6 A. Yeah.
 7 Q. Do you review cases for just the plaintiff
 8 or the defendants or both?
 9 A. For both.
 10 Q. What would be the break down plaintiff
 11 versus defendant?
 12 A. I think throughout my career it's about
 13 two-thirds for defense, one-third for plaintiff.
 14 At the dep, the same way, but a few years it's been
 15 50/50.
 16 Q. And you've testified in other cases
 17 regarding pancreatitis?
 18 A. No.
 19 Q. There's that a case --
 20 A. Pancreatitis at trial?
 21 Q. Yes. Bear with me. Okay. Huettnner,
 22 H-U -- you may not remember this. It's back in the
 23 '90s. H-U-E-T-T-N-E-R versus Good Samaritan
 24 Hospital, does that ring a bell with you?

103

1 A. Not at all.
 2 Q. Okay.
 3 A. Is that a trial?
 4 Q. It was a deposition that you gave,
 5 testified for the plaintiff.
 6 A. It doesn't ring a bell.
 7 Q. How about Weland, W-E-L-A-N-D, versus
 8 Dr. Neal, do you remember that case at all? Does
 9 that ring a bell?
 10 A. No.
 11 Q. How about -- I'm just going to spell this,
 12 B-A-S-R-A-B, Basrab versus Northwest Community
 13 Hospital, does that ring a bell with you?
 14 A. No.
 15 Q. How about Swain, S-W-A-I-N, versus Mercy
 16 Hospital, does that ring a bell --
 17 A. The name Swain but I don't recall it being
 18 pancreatitis.
 19 Q. Bradley versus Patel, does that ring a
 20 bell with you at all?
 21 A. No.
 22 Q. There's a case that looks like you may
 23 have testified in the summer, Victoria versus
 24 Sonnanstein, does that ring a bell with you,

104



1 S-O-N-N-A-N-S-T-E-I-N?

2 A. Yeah, I think that's still open. was that

3 pancreatitis?

4 Q. I don't know what it was about. I don't

5 have any information about it. That's why I was

6 asking.

7 A. No, I don't think it was pancreatitis.

8 Q. You don't think it is?

9 A. No.

10 Q. Do you have any open files regarding

11 pancreatitis?

12 A. Not that I recall, no.

13 Q. Have you ever testified in a case

14 regarding pancreatitis?

15 A. Again, none comes to mind. But again,

16 I've had quite a few cases but it doesn't come to

17 mind.

18 Q. All right. what percentage of your income

19 is derived from medical-legal matters?

20 A. About 15 percent.

21 Q. And what percentage of your time do you

22 spend on it?

23 A. Well, it's night and weekends and I'm

24 doing this today because I'm working 5:00 to 11:00

105

1 shift. So how many hours a week? It can be zero

2 or sometimes it can be ten. Probably five hours a

3 week or so.

4 Q. And are you on any services that indicate

5 that you're available to review images for

6 litigation?

7 A. I think I'm on the ISME call list.

8 Q. On the ISME call list?

9 A. Yeah. Right.

10 Q. Any other lists, web pages, services that

11 provide that connect lawyers with experts?

12 A. Not that I'm aware of, no.

13 Q. You don't have any other agreements -- you

14 don't have any agreements with any such services;

15 is that true?

16 A. That's true.

17 Q. Do you have -- did you bring your bills

18 with you those that you've sent to Mr. Ginzkey?

19 A. He has one for, you know, a retainer of a

20 thousand dollars but that's the only one we can

21 find.

22 Q. How much time have you spent in this case?

23 A. Going through all these, probably about

24 20 hours I would think at least.

106

1 Q. what's your rate?

2 A. \$500 an hour.

3 Q. what's your rate for deposition?

4 A. \$500 an hour.

5 Q. what's your rate for trial testimony?

6 A. \$500 an hour.

7 Q. And is it a per diem if you come to

8 Bloomington because this would be downstate?

9 A. No, it's the length of time I'm driving

10 down.

11 Q. So 500 an hour driving down, 500 an hour

12 in the courtroom and 500 an hour in the coming

13 back?

14 A. And, you know, the night before or the day

15 of trial, yes.

16 Q. So you believe twenty hours so far

17 approximately?

18 A. Yeah, at least.

19 Q. At least?

20 A. Yeah.

21 Q. Maybe more, thirty you think?

22 A. No.

23 Q. Twenty-five?

24 A. I don't recall.

107

1 Q. Are you going to send him another bill

2 today?

3 A. You better believe it.

4 Q. Okay. Has he asked you to review anything

5 else, any other images in this case, any other --

6 A. No.

7 Q. I mean, let me ask you a better question.

8 Do you anticipate looking at anything else? I know

9 you're going to re-do the PowerPoint.

10 A. Clean it up, shorten it, brighten up some

11 images. I don't know. If something comes up

12 during --

13 Q. You don't anticipate anything today?

14 A. Not from my perspective, no, unless I have

15 an epiphany, which I doubt.

16 Q. And in terms of the patients that you see

17 with necrotizing pancreatitis, have some of those

18 patients died?

19 A. Yes.

20 Q. Have there -- has their images progressed

21 in a fashion similar to what you see here with

22 Candice Cain?

23 A. Yes.

24 Q. And when you've been involved in those

108



1 cases, it would be a true statement that your
 2 involvement was not as a result of medical-legal
 3 but because care was being provided to the patient
 4 in real time; true?
 5 A. That's true.
 6 Q. And I assume that those were cases where
 7 they didn't generate a lawsuit for medical
 8 negligence, would that be true?
 9 A. I have no way of knowing.
 10 Q. You have no way to know?
 11 A. Right.
 12 Q. Truly from what you've said so far today
 13 that pancreatitis can develop without negligence;
 14 true? without negligence on the part of the
 15 gastroenterologist?
 16 A. Can you get pancreatitis, yes.
 17 Q. And can that -- that can also involve
 18 necrotizing pancreatitis without negligence on the
 19 part of the gastroenterologist; true?
 20 A. That is a complication of pancreatitis --
 21 I mean, of the scope.
 22 Q. Yeah, it's a known complication of ERCP;
 23 true?
 24 A. That's correct.

109

1 Q. Okay. With respect to the images -- and
 2 we can go back and look at those. But with respect
 3 to the images at the MRCP, can you see any
 4 extravasation of dye on the MRCP that was done
 5 immediately after Dr. Deweert's procedure?
 6 A. I don't recall seeing any.
 7 Q. Okay. How is it -- is there any evidence
 8 on that MRCP of perforation?
 9 A. Other than the fluid collection seen in
 10 the pancreas, do I see the actual hole in the
 11 pancreas?
 12 Q. Yes.
 13 A. No, I did not.
 14 Q. And the same question with respect to
 15 the --
 16 A. Nor would I typically.
 17 Q. Understood. The same question, though,
 18 with respect to any of the imaging at Barnes when
 19 she is first admitted on 4/5 and then discharged on
 20 4/12 -- 4/17, I'm sorry on, 2012. Anything on
 21 those images that indicates where the perforation
 22 occurred?
 23 A. No.
 24 Q. Nor would you expect to see it; true?

110

1 A. That's correct.
 2 Q. No indication on the MRCP -- I'm sorry, if
 3 I've asked you this. This may be a little
 4 different. No indication from the MRCP that there
 5 was fluid leaking from the pancreatic duct?
 6 A. Well, the fluid had to come from
 7 somewhere. That's -- you know, so I'm just putting
 8 two and two together. I'm seeing pancreatic fluid
 9 so --
 10 Q. In your opinion, it would be that it was
 11 coming from the pancreatic duct?
 12 A. Or from the pancreas.
 13 Q. Or the pancreas?
 14 A. Yeah.
 15 Q. But I'm sorry if I asked you, no evidence
 16 of contrast medium coming from the pancreatic duct
 17 or the pancreas on the MRCP done immediately after
 18 Dr. Deweert's ERCP?
 19 A. Again, I don't see it on the MRCP. But
 20 again, I wouldn't -- I couldn't really
 21 differentiate it on MR from just stuff related to
 22 pancreatitis. Had a CT study been done, then we
 23 could have seen if it was dense or not, but you
 24 can't tell it on the MR.

111

1 Q. The MR, you're talking about the MRCP?
 2 A. That's right.
 3 Q. You talked about the need to enhance the
 4 MRCP. This begs the question. If this is Cadillac
 5 equipment, why do we need to enhance it?
 6 A. Oh, no, it's just that I photographed it
 7 too dark. That's it.
 8 Q. So you took a photograph of the image and
 9 it just didn't come out on the PowerPoint?
 10 A. Right. Not so much photographed it. Just
 11 downloaded the image.
 12 Q. Okay. With respect to the intraoperative
 13 cholangiogram, and we can look at it if you need
 14 to, it did show the common bile duct dilated,
 15 didn't it?
 16 A. Minimally, if any.
 17 Q. And that's also seen on the MRCP; true?
 18 A. I think at most top normal.
 19 Q. What would be the causes of dilatation?
 20 wouldn't a stone be a cause of dilatation?
 21 A. Right. Oh, there are a number of causes
 22 of dilatation. You can have when you've just had a
 23 procedure done. You know, the sphincter of Oddi
 24 can be kind of spastic or kind of get edematous.

112



1 You could have it from a stone. You can have it
 2 from a blood clot in there, a parasite. You know,
 3 you can have a tumor in there as well.
 4 **Q. Did you find the intraoperative**
 5 **cholangiogram was -- in terms of the amount of**
 6 **contrast, was there an inordinate amount of**
 7 **contrast in the cholangiogram making these images**
 8 **pretty dense?**
 9 A. No. I think their diagnostic -- this
 10 doesn't look like an inordinate amount of contrast
 11 material.
 12 **Q. All right. Are gastroenterologist trained**
 13 **to read these images -- I'm sorry, let me ask a**
 14 **better question.**
 15 **Are gastroenterologists trained to read**
 16 **MRCP?**
 17 A. No.
 18 **Q. Okay. Are gastroenterologists trained to**
 19 **read intraoperative cholangiogram?**
 20 A. Not specifically. But I think as part of
 21 their practice, you know, they do look at them.
 22 **Q. Okay.**
 23 A. Hopefully in consult with a radiologist.
 24 **Q. Sure. Do you know if my client was**

113

1 trained to read intraoperative cholangiogram or
 2 MRCP?
 3 A. No, I do not.
 4 **Q. With respect to your opinion on cause of**
 5 **death, I think I asked you this, but you're basing**
 6 **it on the autopsy report?**
 7 A. That's correct.
 8 **Q. There's really nothing else that you're**
 9 **basing it on?**
 10 MR. GINZKEY: Other than the imaging. He's
 11 already testified. That's been asked and answered.
 12 He testified he based his cause of death on the
 13 imaging and the autopsy.
 14 BY MR. BRANDT:
 15 **Q. Is that it?**
 16 A. Yes.
 17 **Q. And we've talked about all your opinions**
 18 **with respect to the imaging as it relates to cause**
 19 **of death or is there something else you want to**
 20 **add?**
 21 A. No, that's it.
 22 **Q. If the ERCP at Barnes, the one that was**
 23 **done in April of 2012, was there any dye in the**
 24 **pancreatic duct, can you tell?**

114

1 A. Yeah, I think there might be a contrast in
 2 the pancreatic duct here.
 3 **Q. Is there any extravasation of the dye, can**
 4 **you tell?**
 5 A. I can't tell.
 6 MR. BRANDT: Let's take a break. This will
 7 help me cut the wheat from the chaff. Okay. And
 8 I'll have maybe a few more questions for you.
 9 (Whereupon, a short break was
 10 taken.)
 11 BY MR. BRANDT:
 12 **Q. Going through your CV you have of the same**
 13 **version -- well, what version do you have?**
 14 A. I guess I have the extended version.
 15 **Q. Me too. Could I have a copy of that?**
 16 A. Sure.
 17 (Whereupon, DR. GORE
 18 Deposition Exhibit Nos. 1-2
 19 were marked for
 20 identification.)
 21 BY MR. BRANDT:
 22 **Q. So we're going to use this version, which**
 23 **is the version that I have received and it's**
 24 **attached to the 213 disclosures. Okay. Because**

115

1 I've highlighted some publications. And it's just
 2 going to be easier if your numbers are off --
 3 A. I don't think it will be.
 4 **Q. Okay. So we talked about the textbook of**
 5 **gastrointestinal radiology?**
 6 A. Right.
 7 **Q. You've indicated there was portions of**
 8 **those textbooks that deal with the topic that we've**
 9 **talked about here today; right?**
 10 A. Right, ERCP, MRCP and pancreatitis.
 11 **Q. Are there any other texts that you**
 12 **co-authored that deal with the subject matter?**
 13 A. I guess Editions 1 through 4 of the book
 14 and number 5 under books and monographs,
 15 Gastrointestinal High Yield Imaging, I think I talk
 16 about pancreatitis. Then I edited some Radiologic
 17 Clinics of North America and on 6 and 8 on Page
 18 Number 13 of my CV, and it would have been chapters
 19 on pancreatitis on that. I don't think I wrote
 20 those, though.
 21 **Q. Okay. Those are ones that you reviewed?**
 22 A. I edited it and were part of the
 23 monograph.
 24 **Q. Got it. The ones that I looked at -- if**

116



1 you look at number 69 of your abdominal imaging.
 2 A. Yes.
 3 Q. Does that have anything to do with the
 4 topic matter that we've talked about today?
 5 A. No.
 6 Q. How about 70?
 7 A. No.
 8 Q. 72?
 9 A. Boy, I wrote it so long ago, I don't
 10 know -- I suspect not.
 11 Q. How about 79? That would seem to be on
 12 point of --
 13 A. Yes.
 14 Q. If you look at 117?
 15 A. Yes.
 16 Q. That would have something to do with what
 17 we're talking about here today; is that right?
 18 A. Yes.
 19 Q. 120, does that have anything to do with
 20 what we're talking about here today?
 21 A. No.
 22 Q. 129, does have anything to do with what
 23 we're talking about today?
 24 A. Yes.

117

1 A. Yes.
 2 Q. 197?
 3 A. Yes.
 4 Q. 201?
 5 A. Yes.
 6 Q. Kind of in a tangential sense; is that
 7 right?
 8 A. Right.
 9 Q. 242?
 10 A. Yes.
 11 Q. 243?
 12 A. Yes.
 13 Q. 246?
 14 A. Yes.
 15 Q. Again, kind of in a tangential
 16 perspective; is that right?
 17 A. That's right.
 18 Q. 260?
 19 A. No.
 20 Q. 287?
 21 A. Yes.
 22 Q. 288?
 23 A. Yes.
 24 Q. And 289?

119

1 Q. How about 131?
 2 A. Yes.
 3 Q. How about 132?
 4 A. Yes.
 5 Q. And 133?
 6 A. Yes.
 7 Q. If you go to 177, does that have anything
 8 to do with what we've been talking about here
 9 today?
 10 A. Yes.
 11 Q. And 182, does that have anything to do
 12 what we've been talking about here today?
 13 A. Yes.
 14 Q. How about 183?
 15 A. Yes.
 16 Q. 193, let me just -- 193 -- the question
 17 for all these is do they have anything to do with
 18 what we've been talking about today?
 19 A. Yes.
 20 Q. How about 194?
 21 A. Yes.
 22 Q. 195?
 23 A. Yes.
 24 Q. 196?

118

1 A. Yes.
 2 Q. Also there's -- finally 292, does that
 3 have anything to do --
 4 A. Tangentially as before.
 5 Q. Anything else that you've written since or
 6 after that number I guess --
 7 A. No.
 8 Q. -- that deals with the pancreas or
 9 pancreatitis?
 10 A. No.
 11 Q. Is it true that stones can be missed on
 12 MRCP and cholangiogram?
 13 A. Yes, they can.
 14 Q. Okay. And have you had circumstances
 15 where you've read an MRCP or a cholangiogram and
 16 the gastroenterologist says to you later I found
 17 stones even though they were negative on those
 18 imaging?
 19 A. Yes.
 20 Q. Or on that imaging?
 21 A. Yes.
 22 Q. What is the sensitivity for common bile
 23 duct stones on MRCP?
 24 A. I've read it in 90 percent.

120



1 Q. Do you have any opinions about what was
2 causing her pain and discomfort before she see my
3 client?
4 A. No.
5 Q. I think I asked you this, but you don't
6 take care of patients clinically with pancreatitis;
7 true?
8 A. No.
9 Q. Is that true?
10 A. That's correct.
11 Q. Did she have at the time of her -- at the
12 time that she presented to Barnes the first time in
13 April 2012, did she have organ failure, do you
14 know?
15 A. I'd have to review the chart.
16 Q. As far as -- she may have? She may not
17 have? You just don't know; is that right?
18 A. I don't recall.
19 Q. Whatever the chart says, you don't have a
20 disagreement with; is that right?
21 A. That's right.
22 Q. Do you know if she had fluid collections
23 when she presented to Barnes the first time?
24 A. Yes.

121

1 Q. She did?
2 A. On the basis of her CT scan, yes.
3 Q. And did she have pseudocysts at that time
4 or can --
5 A. Well, it depends how mature they are.
6 There were fluid collections, and I guess you call
7 it pseudocysts when it's been mature for about four
8 weeks.
9 Q. Okay. So it's in that four week period
10 that you can actually make the call, is that what
11 you're saying?
12 A. Yeah, with the new Atlanta classification.
13 Q. Did you look at the March 1, 2012, this
14 would have been prior to the procedure we've been
15 talking about, the ultrasound, did you look at
16 that?
17 A. Yes.
18 Q. Does it show sludge in the gallbladder?
19 A. Yes.
20 Q. Do, if you know, common bile duct stones,
21 can they move retrograde?
22 A. Could they move up north?
23 Q. Yes.
24 A. Yes.

122

1 Q. Patients that you are involved in where
2 pancreatitis is the diagnosis, are you asked to
3 consult with respect to the length of
4 hospitalization?
5 A. Oh, no.
6 Q. Have we covered every opinion that you're
7 going to render at trial?
8 A. I hope so.
9 MR. BRANDT: Okay. Jim, do you have some
10 questions?
11 MR. GINZKEY: No questions, we'll read and
12 sign. I would like an E-tran with the exhibits
13 scanned in, Nancy.
14 MR. BRANDT: Just on the record, this is not
15 under oath obviously at this point, but can you
16 send me a disk of what you showed me today?
17 THE WITNESS: Arrows and all, you know, in
18 terms --
19 MR. BRANDT: Arrows and all, yeah.
20 THE WITNESS: Okay.
21 MR. BRANDT: Just so I have that. Will you do
22 that for me?
23 THE WITNESS: Uh-huh.
24 MR. BRANDT: And here is where you send it.

123

1 MR. GINZKEY: Actually send it to my office.
2 THE WITNESS: Okay.
3 MR. BRANDT: I would like a four to a page and
4 PDF, please, one-sided. Can you attach the
5 exhibits?
6 (whereupon, the deposition
7 concluded at 12:57 p.m.)
8 (FURTHER DEPONENT SAITH NAUGHT.)
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

124



1 STATE OF ILLINOIS
 IN THE CIRCUIT COURT OF THE ELEVENTH JUDICIAL CIRCUIT
 2 COUNTY OF MCLEAN
 3 NATHAN CAIN, Independent)
 Administrator of the)
 4 Estate of CANDICE CAIN,)
 deceased,)
 5 Plaintiff,)
 vs.) Case No. 14 L 28
 6 THOMAS DEWEERT and)
 DIGESTIVE DISEASE)
 7 CONSULTANTS, LTD.,)
 Defendants.)
 8
 9 I, RICHARD M. GORE, M.D., being first duly
 10 sworn, on oath say that I am the deponent in the
 11 aforesaid deposition taken on August 4, 2017; that
 12 I have read the foregoing transcript of my
 13 deposition, consisting of Pages 4 through 124
 14 inclusive, and affix my signature to same.
 15
 16 _____
 RICHARD M. GORE, M.D.
 17
 18
 19 Subscribed and sworn to
 20 before me this _____ day
 21 of _____, 2017.
 22
 23 _____
 24 Notary Public

1 said witness as aforesaid.
 2 I further certify that the signature to
 3 the foregoing deposition was reserved by counsel
 4 for the respective parties.
 5 I further certify that the taking of this
 6 deposition was pursuant to notice and that there
 7 were present at the deposition the attorneys
 8 hereinbefore mentioned.
 9 I further certify that I am not counsel
 10 for nor in any way related to the parties to this
 11 suit, nor am I in any way interested in the outcome
 12 thereof.
 13 IN TESTIMONY WHEREOF: I have hereunto set
 14 my hand and affixed my notarial seal this 15th day
 15 of August, 2017.
 16
 17 
 18
 19
 20
 21 NOTARY PUBLIC, COOK COUNTY, ILLINOIS
 22 LIC. NO. 84-4329
 23
 24

1 STATE OF ILLINOIS)
 2) SS:
 3 COUNTY OF MCLEAN)
 4 I, ATHANASIA MOURGELAS, a notary public
 5 within and for the County of Cook and State of
 6 Illinois, do hereby certify that heretofore,
 7 to-wit, on the 4th day of August, 2017, personally
 8 appeared before me, at 2100 Pfingsten Road,
 9 Conference Room D, Glenview, Illinois, RICHARD M.
 10 GORE, M.D., in a cause now pending and undetermined
 11 in the Circuit Court of McLean County, Illinois,
 12 wherein NATHAN CAIN, Independent Administrator of
 13 the Estate of CANDICE CAIN, deceased, is the
 14 Plaintiff, and THOMAS DEWEERT and DIGESTIVE DISEASE
 15 CONSULTANTS, LTD., are the Defendants.
 16 I further certify that the said RICHARD M.
 17 GORE, M.D. was first duly sworn to testify the
 18 truth, the whole truth and nothing but the truth in
 19 the cause aforesaid; that the testimony then given
 20 by said witness was reported stenographically by me
 21 in the presence of the said witness, and afterwards
 22 reduced to typewriting by Computer-Aided
 23 Transcription, and the foregoing is a true and
 24 correct transcript of the testimony so given by

1 McCorkle Court Reporters, Inc.
 2 200 N. LaSalle Street Suite 2900
 3 Chicago, Illinois 60601-1014
 4 August 15, 2017
 5 Ginzkey Law Office,
 6 Mr. Jim Ginzkey
 7 221 East Washington Street,
 8 Bloomington, Illinois 61701,
 9 IN RE: Nathan v. Deweert, et al.
 10 COURT NUMBER: 14 L 28
 11 DATE TAKEN: August 4th, 2017
 12 DEPONENT: Richard M. Gore, M.D.
 13
 14 Dear Mr. Ginzkey:
 15
 16 Enclosed is the deposition transcript for the
 17 aforementioned deponent in the above-entitled
 18 cause. Also enclosed are additional signature
 19 pages, if applicable, and errata sheets.
 20 Per your agreement to secure signature, please
 21 submit the transcript to the deponent for review
 22 and signature. All changes or corrections must be
 23 made on the errata sheets, not on the transcript
 24 itself. All errata sheets should be signed and all
 signature pages need to be signed and notarized.
 After the deponent has completed the above, please
 return all signature pages and errata sheets to me
 at the above address, and I will handle
 distribution to the respective parties.
 If you have any questions, please call me at the
 phone number below.
 Sincerely,
 Cindy Alicea Athanasia Mourgelas
 Signature Department Court Reporter
 (312) 263-0052
 cc: Mr. Brandt.



<p>Exhibits</p> <hr/> <p>Gore Exhibit 1</p> <p>Gore Exhibit 2</p> <hr/> <p style="text-align: center;">\$</p> <hr/> <p>\$500</p> <p>107:2,4,6</p> <hr/> <p style="text-align: center;">(</p> <hr/> <p>(f)(3)</p> <p>19:7</p> <hr/> <p style="text-align: center;">0</p> <hr/> <p>0.3</p> <p>30:16</p> <p>0.5</p> <p>30:16</p> <hr/> <p style="text-align: center;">1</p> <hr/> <p>1</p> <p>30:14 99:9,10 116:13 122:13</p> <p>1-2</p> <p>115:18</p> <p>1.5</p> <p>29:9</p> <p>10</p> <p>101:22</p> <p>101</p> <p>86:17</p> <p>102</p> <p>86:22</p> <p>103</p> <p>87:1</p> <p>104</p> <p>87:4</p> <p>106</p> <p>87:16</p> <p>107</p> <p>87:19</p> <p>108</p> <p>87:24</p> <p>109</p> <p>88:4</p> <p>10:11 a.m</p> <p>4:2</p> <p>10th</p> <p>17:5</p> <p>11</p> <p>101:22</p> <p>111</p> <p>88:11</p> <p>113</p> <p>88:16</p> <p>115</p> <p>88:24</p> <p>116</p> <p>89:8</p> <p>117</p> <p>89:9 117:14</p> <p>118</p> <p>89:10,15</p> <p>119</p> <p>90:4</p> <p>11:00</p> <p>105:24</p> <p>11:03</p> <p>34:6</p> <p>11:44</p> <p>34:18</p> <p>11th</p> <p>57:13 68:9,23 81:23</p>	<p>12</p> <p>36:14 101:24 102:1</p> <p>120</p> <p>90:5,6 117:19</p> <p>121</p> <p>90:9,19</p> <p>122</p> <p>91:6</p> <p>123</p> <p>91:7</p> <p>124</p> <p>91:14</p> <p>125</p> <p>92:4</p> <p>126</p> <p>91:19 92:4</p> <p>127</p> <p>92:4</p> <p>128</p> <p>92:4</p> <p>129</p> <p>92:4 117:22</p> <p>12:57 p.m</p> <p>124:7</p> <p>12th</p> <p>57:5,7 82:7</p> <p>13</p> <p>116:18</p> <p>130</p> <p>92:5,6</p> <p>131</p> <p>92:10 118:1</p> <p>132</p> <p>92:11 118:3</p> <p>133</p> <p>92:11 118:5</p> <p>135</p> <p>92:19</p> <p>136</p> <p>92:21,23</p> <p>137</p> <p>93:1 102:4</p> <p>138</p> <p>93:3</p> <p>139</p> <p>93:11</p> <p>14</p> <p>22:4</p> <p>140</p> <p>93:11</p> <p>141</p> <p>93:11</p> <p>142</p> <p>93:12</p> <p>143</p> <p>93:14</p> <p>144</p> <p>93:24</p> <p>146</p> <p>94:3</p> <p>147</p> <p>94:3</p> <p>148</p> <p>94:3,5</p> <p>15</p> <p>19:17,19,20,24 21:14 22:4 23:10 36:14 105:20</p> <p>150</p> <p>98:19</p> <p>156</p> <p>94:8</p> <p>157</p> <p>94:8,10</p> <p>158</p> <p>94:12</p> <p>159</p> <p>94:21</p> <p>16</p> <p>22:4 24:1</p>	<p>160</p> <p>96:16</p> <p>161</p> <p>96:16</p> <p>168</p> <p>95:8</p> <p>169</p> <p>95:10</p> <p>17</p> <p>22:4 24:4</p> <p>170</p> <p>95:18</p> <p>171</p> <p>95:24</p> <p>172</p> <p>95:24</p> <p>173</p> <p>96:1</p> <p>177</p> <p>96:2 118:7</p> <p>178</p> <p>96:10</p> <p>17th</p> <p>59:20 60:11</p> <p>181</p> <p>96:17,18</p> <p>182</p> <p>96:19 118:11</p> <p>183</p> <p>96:20 118:14</p> <p>184</p> <p>96:20</p> <p>185</p> <p>96:20</p> <p>186</p> <p>96:20</p> <p>187</p> <p>96:20</p> <p>19</p> <p>24:14</p> <p>190</p> <p>96:22,24</p> <p>191</p> <p>97:1</p> <p>193</p> <p>97:6 118:16</p> <p>194</p> <p>97:6 118:20</p> <p>195</p> <p>97:6 118:22</p> <p>196</p> <p>97:9 118:24</p> <p>197</p> <p>97:9 119:2</p> <p>1978</p> <p>11:6</p> <p>198</p> <p>97:12,15</p> <p>199</p> <p>97:15</p> <hr/> <p style="text-align: center;">2</p> <hr/> <p>2</p> <p>99:14</p> <p>20</p> <p>11:10 24:20,21 25:5 106:24</p> <p>200</p> <p>54:5 97:16</p> <p>2002</p> <p>11:6,7</p> <p>201</p> <p>97:18 98:4 119:4</p> <p>2010</p> <p>29:1</p> <p>2012</p> <p>29:6 34:19 44:15,16 53:22 56:20 59:16 68:9 81:23 82:7 83:8</p>	<p>84:15 85:2 86:11 87:21 89:17,20 91:13 92:24 93:2 94:23,24 95:3,4 97:21 110:20 114:23 121:13 122:13</p> <p>2015</p> <p>9:7</p> <p>2017</p> <p>19:8</p> <p>204</p> <p>67:20</p> <p>205</p> <p>97:21</p> <p>207</p> <p>79:3 98:1</p> <p>209</p> <p>98:4</p> <p>20th</p> <p>82:21 83:7 84:15 85:2 94:24 95:4</p> <p>21</p> <p>25:8,9</p> <p>211</p> <p>98:5</p> <p>212</p> <p>98:6</p> <p>213</p> <p>19:7 98:7,11 115:24</p> <p>214</p> <p>98:11</p> <p>215</p> <p>98:14</p> <p>216</p> <p>98:14</p> <p>217</p> <p>98:14</p> <p>218</p> <p>98:14</p> <p>219</p> <p>98:16</p> <p>22</p> <p>22:2 25:9,15 30:17</p> <p>220</p> <p>98:18</p> <p>23</p> <p>25:18</p> <p>24</p> <p>22:21,22 23:9 26:2,3 103:5</p> <p>242</p> <p>119:9</p> <p>243</p> <p>119:11</p> <p>246</p> <p>119:13</p> <p>25</p> <p>22:4 23:21 26:9 103:5</p> <p>26</p> <p>24:3</p> <p>260</p> <p>119:18</p> <p>27</p> <p>21:13 24:7 102:24</p> <p>27th</p> <p>86:11 87:21</p> <p>28</p> <p>24:7</p> <p>287</p> <p>119:20</p> <p>288</p> <p>119:22</p> <p>289</p> <p>119:24</p> <p>29</p> <p>24:19</p> <p>292</p> <p>120:2</p>	<p>29th</p> <p>88:1</p> <p>2nd</p> <p>89:17,18</p> <hr/> <p style="text-align: center;">3</p> <hr/> <p>3</p> <p>99:22</p> <p>3/1/12</p> <p>19:10</p> <p>3/15</p> <p>80:19,20</p> <p>3/7/2012</p> <p>22:10</p> <p>30</p> <p>25:6</p> <p>31</p> <p>25:14,15</p> <p>32</p> <p>25:17,18</p> <p>33</p> <p>26:1 72:18 74:2</p> <p>34</p> <p>22:2 26:8 74:10</p> <p>35</p> <p>26:10,14,17 74:14</p> <p>36</p> <p>28:1 75:4</p> <p>37</p> <p>28:2,3 75:11</p> <p>38</p> <p>75:20</p> <p>39</p> <p>34:2 76:13</p> <hr/> <p style="text-align: center;">4</p> <hr/> <p>4</p> <p>84:22 100:16 116:13</p> <p>4/10/12</p> <p>81:5</p> <p>4/12</p> <p>110:20</p> <p>4/12/12</p> <p>81:16</p> <p>4/17</p> <p>110:20</p> <p>4/17/12</p> <p>72:9,21</p> <p>4/20/2012</p> <p>82:23 83:21</p> <p>4/23/12</p> <p>85:7</p> <p>4/27/12</p> <p>85:23 86:17 87:2</p> <p>4/30</p> <p>88:4</p> <p>4/4</p> <p>32:19</p> <p>4/4/12</p> <p>27:20 29:19 30:3 31:14 32:9 34:7 39:11,18 43:14 44:10 52:7</p> <p>4/5</p> <p>110:19</p> <p>4/5/12</p> <p>80:21</p> <p>4/6/12</p> <p>39:12 40:9,16,22,24 41:6,11,16 42:24 43:4 53:11 80:24</p> <p>4/7/12</p> <p>72:22 79:24</p> <p>40</p> <p>34:15 35:19 37:15 38:6 44:3 66:24 67:1</p> <p>41</p> <p>38:20,23</p>	<p>42</p> <p>39:7</p> <p>43</p> <p>39:10</p> <p>44</p> <p>40:12,13</p> <p>46</p> <p>44:7 45:1</p> <p>47</p> <p>44:22,23</p> <p>48</p> <p>29:8 45:4</p> <p>49</p> <p>34:1 45:5</p> <p>4th</p> <p>34:19 44:15,16 59:16</p> <hr/> <p style="text-align: center;">5</p> <hr/> <p>5</p> <p>100:24 116:14</p> <p>5' 2"</p> <p>36:8</p> <p>5' 4"</p> <p>36:10</p> <p>5/12/12</p> <p>93:13</p> <p>5/15/12</p> <p>93:19 94:5</p> <p>5/17</p> <p>94:10</p> <p>5/17/12</p> <p>94:13</p> <p>5/2</p> <p>88:23</p> <p>5/2/12</p> <p>88:10,15</p> <p>5/3/12</p> <p>90:6,10,19</p> <p>5/4/12</p> <p>92:5</p> <p>5/5</p> <p>92:10</p> <p>5/6/12</p> <p>92:11,20</p> <p>5/7/12</p> <p>92:14</p> <p>50</p> <p>34:16 35:19,20 37:15 41:12</p> <p>50/50</p> <p>103:15</p> <p>500</p> <p>107:11,12</p> <p>501</p> <p>30:17</p> <p>51</p> <p>38:21,22 41:13</p> <p>52</p> <p>39:8 41:22</p> <p>53</p> <p>40:12 41:23 42:4</p> <p>54</p> <p>41:24 42:4</p> <p>55</p> <p>41:13</p> <p>56</p> <p>41:22 42:8,10</p> <p>57</p> <p>42:14</p> <p>58</p> <p>42:4</p> <p>59</p> <p>42:5</p> <p>5:00</p> <p>105:24</p> <p>5th</p> <p>19:8 59:18 60:10</p>
--	--	---	---	--	--



6	8/6/12 97:18 8/9 98:5 8/9/12 98:10 83 80:18,19 84 80:20 85 80:21 86 81:4 87 81:5,13 88 81:14 82:1 89 82:2,6 8th 97:20	acted 102:21 actual 110:10 acute 43:16 50:24 52:8 53:4 add 114:20 added 38:12 additional 4:23 27:19 adjacent 61:8 94:16 admitted 110:19 affecting 61:8 agree 100:9 agreements 106:13,14 ahead 24:2 34:4,14 68:7 69:1 70:14 74:3 76:10,13 98:22 air 80:22 82:15 alike 54:8 amenable 63:16 America 116:17 amount 46:22 48:3 113:5,6, 10 amylase 52:24 53:8 61:1,3 77:5 anatomical 35:20 anatomy 13:10 65:14 99:12 annual 103:2 annular 66:12 anomalies 65:14,23 66:10 anomaly 66:1,5 anterior 69:18,21 84:2 anticipate 108:8,13 aorta 69:7 APACHE 15:24 apparently 55:1 applicable 4:6 applies 23:18 appropriateness 17:11,17 approximately 30:14 35:24 107:17 April 34:19 44:15,16 53:22 56:3,19 57:5,7 59:16,18,20 60:10 68:9,23 78:15 82:21 83:7 84:15 85:1,18 86:11 87:21 88:1 114:23 121:13	area 15:17 30:15 42:2 103:5 areas 47:20,21 89:14 arrow 24:8,9 25:22,23 35:5,22 42:17 68:19 71:12 81:17,18 84:2, 3 85:8,9 86:4,16,21 93:9,10 97:23 98:1 101:14 arrows 24:7,16,17 26:22 69:14 71:4 81:12 83:23,24 84:19,23 86:5 87:12 88:18,20 92:23 93:1 94:4 95:2 123:17,19 artery 69:8 articles 36:21 65:3,20 artifact 31:20 artist 101:16 102:3,4 ascites 68:18 aspect 30:16 assume 46:17 54:12 55:19 60:8 109:6 atelectasis 68:12,22 81:1,11 82:13,14 92:7 atelectatic 81:10 Atlanta 17:22 122:12 attach 6:4 124:4 attached 14:9 115:24 August 17:6 53:22 56:3,20 78:15 81:23 82:7 85:18 97:20 author 36:23 authored 9:20,22 authorships 65:8 autolysis 53:24 60:22,24 68:3 autopsy 54:24 114:6,13 avid 10:23 aware 78:2 106:12 axial 74:17	balloon 41:24 42:5,9,11 Barnes 17:7,8,11,13 39:23 54:17 55:2 58:24 59:6,15,18,21 60:1, 10,16 68:8 75:15 76:8 110:18 114:22 121:12,23 base 32:7 53:4 54:11 76:19,21 81:2 86:7 87:20 88:7 92:8 based 29:14,19,21 32:1 35:18 47:9 49:13 54:13,22 61:3 114:12 bases 68:13 83:22 87:19 basically 73:13 basing 29:24 31:11 32:13 35:9 114:5,9 basis 47:4 52:14,18 54:10 57:8 84:4 103:2 122:2 Basrab 104:12 Bear 103:21 bears 8:21 beautiful 20:7 beginning 79:17 begs 112:4 beholder 47:8 bell 103:24 104:6,9,13, 16,20,24 bicarb 99:16 big 28:16 81:1,19 85:7, 11 88:5 92:12 95:20 bilateral 68:11,22 82:15 85:12 88:6,17 96:18 97:21 bile 19:11 20:8,11,13,18 22:18,19 23:1,4 24:8,11 25:2,11,22 26:13,20,23 28:8,9, 10,21 29:19 30:3,16, 20 31:14 32:9 34:10 38:18 39:13,20,24 41:23 42:1,10 43:18 50:18 51:5,9 53:14 66:15 67:13 99:13 100:21 101:1,3,10, 13,17 112:14 120:22 122:20 biliary 20:12 25:4 28:5,9 42:16,19 53:12 65:15 66:19 75:14 76:3 85:24 87:11 88:1,12 90:7 92:1 97:22 bill 108:1 bills 106:17	biopsies 10:19 bit 22:23 38:23,24 45:13 57:22 68:17 72:2 75:12 76:12 81:1 86:10 88:7 89:2 black 24:23 27:2 69:16 black-black 70:18 blockage 23:19 25:13 28:8 blood 89:24 113:2 bloodstream 77:21 Bloomington 107:8 blue 81:17 board 10:21 bodies 36:6 body 36:13 69:11 70:6 77:6 84:8 86:9 book 8:21 17:24 116:13 books 116:14 boring 80:14 bottom 20:3 35:5,22 84:2 86:4 89:1 bowel 61:19,20 69:13 76:5 90:15 91:24 boy 7:3 29:8 117:9 Bradley 104:19 BRANDT 4:4,12 8:2,5,8 30:22 36:8,12,16 55:8 72:23 74:1 78:10,11, 22 81:7 82:4,11 114:14 115:6,11,21 123:9,14,19,21,24 124:3 bread 74:19 break 6:3 78:6,8 103:10 115:6,9 briefly 6:14 brighten 19:18 108:10 bring 106:17 Bromenn 29:8,11 44:10 52:4 59:17 brought 4:14 8:18 9:23 bubbles 58:8,16,20 bump 53:8 buy 10:1
7	9 101:16 90 64:15 82:22 83:7 120:24 90s 103:23 91 83:21 92 83:24 93 84:10,15 96 74:8 85:6 97 85:22 98 86:5 99 86:8 9:26 44:14	acted 102:21 actual 110:10 acute 43:16 50:24 52:8 53:4 add 114:20 added 38:12 additional 4:23 27:19 adjacent 61:8 94:16 admitted 110:19 affecting 61:8 agree 100:9 agreements 106:13,14 ahead 24:2 34:4,14 68:7 69:1 70:14 74:3 76:10,13 98:22 air 80:22 82:15 alike 54:8 amenable 63:16 America 116:17 amount 46:22 48:3 113:5,6, 10 amylase 52:24 53:8 61:1,3 77:5 anatomical 35:20 anatomy 13:10 65:14 99:12 annual 103:2 annular 66:12 anomalies 65:14,23 66:10 anomaly 66:1,5 anterior 69:18,21 84:2 anticipate 108:8,13 aorta 69:7 APACHE 15:24 apparently 55:1 applicable 4:6 applies 23:18 appropriateness 17:11,17 approximately 30:14 35:24 107:17 April 34:19 44:15,16 53:22 56:3,19 57:5,7 59:16,18,20 60:10 68:9,23 78:15 82:21 83:7 84:15 85:1,18 86:11 87:21 88:1 114:23 121:13	area 15:17 30:15 42:2 103:5 areas 47:20,21 89:14 arrow 24:8,9 25:22,23 35:5,22 42:17 68:19 71:12 81:17,18 84:2, 3 85:8,9 86:4,16,21 93:9,10 97:23 98:1 101:14 arrows 24:7,16,17 26:22 69:14 71:4 81:12 83:23,24 84:19,23 86:5 87:12 88:18,20 92:23 93:1 94:4 95:2 123:17,19 artery 69:8 articles 36:21 65:3,20 artifact 31:20 artist 101:16 102:3,4 ascites 68:18 aspect 30:16 assume 46:17 54:12 55:19 60:8 109:6 atelectasis 68:12,22 81:1,11 82:13,14 92:7 atelectatic 81:10 Atlanta 17:22 122:12 attach 6:4 124:4 attached 14:9 115:24 August 17:6 53:22 56:3,20 78:15 81:23 82:7 85:18 97:20 author 36:23 authored 9:20,22 authorships 65:8 autolysis 53:24 60:22,24 68:3 autopsy 54:24 114:6,13 avid 10:23 aware 78:2 106:12 axial 74:17	biopsies 10:19 bit 22:23 38:23,24 45:13 57:22 68:17 72:2 75:12 76:12 81:1 86:10 88:7 89:2 black 24:23 27:2 69:16 black-black 70:18 blockage 23:19 25:13 28:8 blood 89:24 113:2 bloodstream 77:21 Bloomington 107:8 blue 81:17 board 10:21 bodies 36:6 body 36:13 69:11 70:6 77:6 84:8 86:9 book 8:21 17:24 116:13 books 116:14 boring 80:14 bottom 20:3 35:5,22 84:2 86:4 89:1 bowel 61:19,20 69:13 76:5 90:15 91:24 boy 7:3 29:8 117:9 Bradley 104:19 BRANDT 4:4,12 8:2,5,8 30:22 36:8,12,16 55:8 72:23 74:1 78:10,11, 22 81:7 82:4,11 114:14 115:6,11,21 123:9,14,19,21,24 124:3 bread 74:19 break 6:3 78:6,8 103:10 115:6,9 briefly 6:14 brighten 19:18 108:10 bring 106:17 Bromenn 29:8,11 44:10 52:4 59:17 brought 4:14 8:18 9:23 bubbles 58:8,16,20 bump 53:8 buy 10:1	
8	8/12 98:15 8/12/12 82:9 8/2 97:16 8/2/12 97:16	acted 102:21 actual 110:10 acute 43:16 50:24 52:8 53:4 add 114:20 added 38:12 additional 4:23 27:19 adjacent 61:8 94:16 admitted 110:19 affecting 61:8 agree 100:9 agreements 106:13,14 ahead 24:2 34:4,14 68:7 69:1 70:14 74:3 76:10,13 98:22 air 80:22 82:15 alike 54:8 amenable 63:16 America 116:17 amount 46:22 48:3 113:5,6, 10 amylase 52:24 53:8 61:1,3 77:5 anatomical 35:20 anatomy 13:10 65:14 99:12 annual 103:2 annular 66:12 anomalies 65:14,23 66:10 anomaly 66:1,5 anterior 69:18,21 84:2 anticipate 108:8,13 aorta 69:7 APACHE 15:24 apparently 55:1 applicable 4:6 applies 23:18 appropriateness 17:11,17 approximately 30:14 35:24 107:17 April 34:19 44:15,16 53:22 56:3,19 57:5,7 59:16,18,20 60:10 68:9,23 78:15 82:21 83:7 84:15 85:1,18 86:11 87:21 88:1 114:23 121:13	area 15:17 30:15 42:2 103:5 areas 47:20,21 89:14 arrow 24:8,9 25:22,23 35:5,22 42:17 68:19 71:12 81:17,18 84:2, 3 85:8,9 86:4,16,21 93:9,10 97:23 98:1 101:14 arrows 24:7,16,17 26:22 69:14 71:4 81:12 83:23,24 84:19,23 86:5 87:12 88:18,20 92:23 93:1 94:4 95:2 123:17,19 artery 69:8 articles 36:21 65:3,20 artifact 31:20 artist 101:16 102:3,4 ascites 68:18 aspect 30:16 assume 46:17 54:12 55:19 60:8 109:6 atelectasis 68:12,22 81:1,11 82:13,14 92:7 atelectatic 81:10 Atlanta 17:22 122:12 attach 6:4 124:4 attached 14:9 115:24 August 17:6 53:22 56:3,20 78:15 81:23 82:7 85:18 97:20 author 36:23 authored 9:20,22 authorships 65:8 autolysis 53:24 60:22,24 68:3 autopsy 54:24 114:6,13 avid 10:23 aware 78:2 106:12 axial 74:17	biopsies 10:19 bit 22:23 38:23,24 45:13 57:22 68:17 72:2 75:12 76:12 81:1 86:10 88:7 89:2 black 24:23 27:2 69:16 black-black 70:18 blockage 23:19 25:13 28:8 blood 89:24 113:2 bloodstream 77:21 Bloomington 107:8 blue 81:17 board 10:21 bodies 36:6 body 36:13 69:11 70:6 77:6 84:8 86:9 book 8:21 17:24 116:13 books 116:14 boring 80:14 bottom 20:3 35:5,22 84:2 86:4 89:1 bowel 61:19,20 69:13 76:5 90:15 91:24 boy 7:3 29:8 117:9 Bradley 104:19 BRANDT 4:4,12 8:2,5,8 30:22 36:8,12,16 55:8 72:23 74:1 78:10,11, 22 81:7 82:4,11 114:14 115:6,11,21 123:9,14,19,21,24 124:3 bread 74:19 break 6:3 78:6,8 103:10 115:6,9 briefly 6:14 brighten 19:18 108:10 bring 106:17 Bromenn 29:8,11 44:10 52:4 59:17 brought 4:14 8:18 9:23 bubbles 58:8,16,20 bump 53:8 buy 10:1	
8	8/12 98:15 8/12/12 82:9 8/2 97:16 8/2/12 97:16	acted 102:21 actual 110:10 acute 43:16 50:24 52:8 53:4 add 114:20 added 38:12 additional 4:23 27:19 adjacent 61:8 94:16 admitted 110:19 affecting 61:8 agree 100:9 agreements 106:13,14 ahead 24:2 34:4,14 68:7 69:1 70:14 74:3 76:10,13 98:22 air 80:22 82:15 alike 54:8 amenable 63:16 America 116:17 amount 46:22 48:3 113:5,6, 10 amylase 52:24 53:8 61:1,3 77:5 anatomical 35:20 anatomy 13:10 65:14 99:12 annual 103:2 annular 66:12 anomalies 65:14,23 66:10 anomaly 66:1,5 anterior 69:18,21 84:2 anticipate 108:8,13 aorta 69:7 APACHE 15:24 apparently 55:1 applicable 4:6 applies 23:18 appropriateness 17:11,17 approximately 30:14 35:24 107:17 April 34:19 44:15,16 53:22 56:3,19 57:5,7 59:16,18,20 60:10 68:9,23 78:15 82:21 83:7 84:15 85:1,18 86:11 87:21 88:1 114:23 121:13	area 15:17 30:15 42:2 103:5 areas 47:20,21 89:14 arrow 24:8,9 25:22,23 35:5,22 42:17 68:19 71:12 81:17,18 84:2, 3 85:8,9 86:4,16,21 93:9,10 97:23 98:1 101:14 arrows 24:7,16,17 26:22 69:14 71:4 81:12 83:23,24 84:19,23 86:5 87:12 88:18,20 92:23 93:1 94:4 95:2 123:17,19 artery 69:8 articles 36:21 65:3,20 artifact 31:20 artist 101:16 102:3,4 ascites 68:18 aspect 30:16 assume 46:17 54:12 55:19 60:8 109:6 atelectasis 68:12,22 81:1,11 82:13,14 92:7 atelectatic 81:10 Atlanta 17:22 122:12 attach 6:4 124:4 attached 14:9 115:24 August 17:6 53:22 56:3,20 78:15 81:23 82:7 85:18 97:20 author 36:23 authored 9:20,22 authorships 65:8 autolysis 53:24 60:22,24 68:3 autopsy 54:24 114:6,13 avid 10:23 aware 78:2 106:12 axial 74:17	biopsies 10:19 bit 22:23 38:23,24 45:13 57:22 68:17 72:2 75:12 76:12 81:1 86:10 88:7 89:2 black 24:23 27:2 69:16 black-black 70:18 blockage 23:19 25:13 28:8 blood 89:24 113:2 bloodstream 77:21 Bloomington 107:8 blue 81:17 board 10:21 bodies 36:6 body 36:13 69:11 70:6 77:6 84:8 86:9 book 8:21 17:24 116:13 books 116:14 boring 80:14 bottom 20:3 35:5,22 84:2 86:4 89:1 bowel 61:19,20 69:13 76:5 90:15 91:24 boy 7:3 29:8 117:9 Bradley 104:19 BRANDT 4:4,12 8:2,5,8 30:22 36:8,12,16 55:8 72:23 74:1 78:10,11, 22 81:7 82:4,11 114:14 115:6,11,21 123:9,14,19,21,24 124:3 bread 74:19 break 6:3 78:6,8 103:10 115:6,9 briefly 6:14 brighten 19:18 108:10 bring 106:17 Bromenn 29:8,11 44:10 52:4 59:17 brought 4:14 8:18 9:23 bubbles 58:8,16,20 bump 53:8 buy 10:1	
8	8/12 98:15 8/12/12 82:9 8/2 97:16 8/2/12 97:16	acted 102:21 actual 110:10 acute 43:16 50:24 52:8 53:4 add 114:20 added 38:12 additional 4:23 27:19 adjacent 61:8 94:16 admitted 110:19 affecting 61:8 agree 100:9 agreements 106:13,14 ahead 24:2 34:4,14 68:7 69:1 70:14 74:3 76:10,13 98:22 air 80:22 82:15 alike 54:8 amenable 63:16 America 116:17 amount 46:22 48:3 113:5,6, 10 amylase 52:24 53:8 61:1,3 77:5 anatomical 35:20 anatomy 13:10 65:14 99:12 annual 103:2 annular 66:12 anomalies 65:14,23 66:10 anomaly 66:1,5 anterior 69:18,21 84:2 anticipate 108:8,13 aorta 69:7 APACHE 15:24 apparently 55:1 applicable 4:6 applies 23:18 appropriateness 17:11,17 approximately 30:14			

<p>Cain 28:7 29:18 31:13 32:8 55:10 56:3,19 62:12 63:23 108:22</p> <p>Cain's 19:10 21:21 32:20 52:8 53:14,23</p> <p>caliber 30:15</p> <p>call 13:2 106:7,8 122:6, 10</p> <p>called 67:3</p> <p>Candice 19:9 21:21 27:6 29:18 31:13 32:8,20 36:7 52:8 53:14,23 56:2,19 62:12 63:23 97:8 108:22</p> <p>Candice's 26:18 39:12</p> <p>care 7:8 13:14 16:10,12 17:13 100:9 109:3 121:6</p> <p>career 103:12</p> <p>carefully 55:1</p> <p>case 6:9,12 10:12 12:18 13:12,21 14:20 15:3 18:2 37:22 55:9 57:3 101:4 103:19 104:8, 22 105:13 106:22 108:5</p> <p>cases 46:17 103:1,7,16 105:16 109:1,6</p> <p>catalog 4:15</p> <p>catheter 22:18 25:20 83:10 85:10 86:3,21,23,24 87:7 88:2,12,13 90:11 92:16 95:5 97:17 98:8 101:1</p> <p>catheters 12:5 17:2 96:15</p> <p>caused 52:8 62:1 66:5 76:23</p> <p>causing 28:8 53:24 61:17 76:20 83:12 121:2</p> <p>cavities 91:10</p> <p>cavity 75:7 83:1,11 84:18 86:19 90:24 92:2,3</p> <p>cc's 46:24</p> <p>CD 8:1</p> <p>center 29:8 44:11 75:1 76:16</p> <p>centimeters 36:14</p> <p>cerebral 44:18</p> <p>certainty 34:9,13</p> <p>certificate 10:23</p> <p>chaff 115:7</p> <p>change 69:12 70:17 73:18 74:5 75:8 84:16 94:3</p>	<p>chapter 9:17</p> <p>chapters 36:22 65:19 116:18</p> <p>chart 121:15,19</p> <p>charted 59:2</p> <p>check 6:22 100:20</p> <p>chest 54:7 68:10 80:1,2, 16,24 81:8,9,15,16 85:5,14 88:4 90:5 92:5,8,11 93:12 96:21 97:16 98:5</p> <p>chew 61:4</p> <p>chewing 61:1</p> <p>cholangiogram 21:22 22:1,8,9 23:16 26:12,18,19 27:6,7, 8,12,15 29:22 31:18 32:12 67:12 112:13 113:5,7,19 114:1 120:12,15</p> <p>cholangitis 66:16</p> <p>cholecystectomy 24:23 35:2 39:14,16 41:17</p> <p>choly 46:12,14</p> <p>chronological 33:22</p> <p>circled 94:13</p> <p>circulation 61:11</p> <p>circumstances 120:14</p> <p>clarification 73:21</p> <p>classification 17:22 122:12</p> <p>Clean 108:10</p> <p>client 13:14 100:10 113:24 121:3</p> <p>client's 17:13</p> <p>clinic 80:8</p> <p>clinical 16:13 52:22 54:17</p> <p>clinically 16:19 121:6</p> <p>clinician 18:10</p> <p>Clinics 116:17</p> <p>clips 24:22 35:2 39:14,15, 19 40:1 41:17</p> <p>clot 89:24 113:2</p> <p>cm 30:14,17</p> <p>co-author 36:24</p> <p>co-authored 116:12</p> <p>coiling 40:19</p> <p>collection 16:16 63:15,16 70:7 82:24 86:4 87:2 89:11 90:22,23 91:7,</p>	<p>16 92:3 94:4,10,16, 19,20 95:13,24 96:3 97:2 98:1 110:9</p> <p>collections 18:5,7 45:23 46:13, 23 48:10 49:17,18 56:6 57:10 73:10 75:17 83:18 86:15 87:11 88:20 89:1 91:10 93:3,7 94:8 96:7 98:3 102:11 121:22 122:6</p> <p>colon 48:13 49:21 61:20 71:22 80:22 95:11, 13</p> <p>colorized 102:11</p> <p>colors 102:13</p> <p>commenced 4:2</p> <p>common 12:11 19:11 20:8,11, 17 22:18,19 23:1,2 24:9,10 25:2,3,11, 21,22 26:13,20,23 28:7,9,10,20 29:19 30:3,13,16,20 31:14 32:9 34:10 38:18 39:13,20,23 41:23 42:1,10 43:18 50:18 51:5,9 53:14 66:13, 15 67:13 101:1,10, 13,17 112:14 120:22 122:20</p> <p>commonly 66:5</p> <p>communicate 83:1</p> <p>communicated 95:11 97:11</p> <p>communicates 90:24</p> <p>communicating 98:9,12</p> <p>communication 82:23 90:13 95:12 97:2,18</p> <p>communications 83:17</p> <p>Community 104:12</p> <p>comparison 39:11 96:6</p> <p>comparisons 84:11</p> <p>competitive 29:4</p> <p>completely 46:14</p> <p>complication 37:2,9,10 109:20,22</p> <p>complications 78:19 83:16</p> <p>concept 18:18</p> <p>concerned 58:21</p> <p>concluded 124:7</p> <p>conclusion 18:8 35:11 49:14 55:19</p> <p>conclusions 13:24 15:3 47:14</p> <p>condition 78:17 79:1</p> <p>conference 63:7</p>	<p>congestive 82:14 85:12</p> <p>connect 106:11</p> <p>consistent 43:16 46:2,3,4,6,11 56:18,20 70:24 72:4 73:10 77:24</p> <p>consolidation 68:12 76:18,21 81:12,19 88:6 95:5 98:18</p> <p>consult 63:17 113:23 123:3</p> <p>consultant 12:22</p> <p>consultation 63:11</p> <p>continued 56:8</p> <p>contrast 22:20 23:17 25:12 26:7 27:2 34:7 35:9, 10 39:1 90:12 95:11 96:23 97:8,10 100:20 111:16 113:6,7,10 115:1</p> <p>copies 6:4</p> <p>copy 8:3,4 21:3 115:15</p> <p>coronal 30:17 45:5,10,11 74:21 75:4 87:9 96:11</p> <p>correct 4:22 8:20,23 9:5 12:5 13:12,15,16,20, 22 14:2 15:4 19:22 21:1,11,16 26:6 27:8, 16,22 29:16 32:4 33:5,14 34:2,3 35:18 43:11,20 47:16 50:10,13 52:4,5,15 54:15,21 56:1 59:13 62:4 73:4,22,23 76:9 78:19 84:22 85:17, 19,20,21 92:6 100:8, 13 101:15 109:24 111:1 114:7 121:10</p> <p>correctly 43:19</p> <p>couple 5:20 32:21</p> <p>Court 4:7 19:6</p> <p>courtroom 107:12</p> <p>cover 17:21 32:6 38:6 65:12 67:23,24</p> <p>covered 21:17 23:16 27:13 43:8 53:16,18 66:22 102:17 123:6</p> <p>created 8:14 51:10</p> <p>criteria 57:14,15</p> <p>CT 57:23 68:8,24 70:18 72:9 73:14,21 74:19 76:8 83:20 85:4,5,23 88:8,10,15 92:14 93:14,21 94:12,23 96:1,12 97:20 111:22 122:2</p> <p>cumulative 67:16</p> <p>current 8:24 89:3</p>	<p>cut 115:7</p> <p>CV 14:9 115:12 116:18</p> <p>cystic 23:4 24:24 25:2,10, 19 39:19</p> <hr/> <p style="text-align: center;">D</p> <hr/> <p>D-I-V-I-S-U-M 66:3</p> <p>damage 54:1 61:17 68:4</p> <p>damaged 61:18</p> <p>dark 27:2 43:23 45:3 112:7</p> <p>darker 58:2</p> <p>data 45:8</p> <p>date 9:6 44:8,14 72:16,19 80:17 81:21 82:3,5, 8,17 85:6 98:10,13</p> <p>dates 84:12 99:6</p> <p>day 48:7 53:1 59:17 107:14</p> <p>dead 58:1</p> <p>deal 116:8,12</p> <p>deals 120:8</p> <p>death 54:2 62:5 114:5,12, 19</p> <p>debris 30:19 31:20 43:17 50:14,17 51:1,2,4,8, 12,22 52:2</p> <p>decisions 60:5</p> <p>defect 27:4 53:13</p> <p>defects 24:11 25:4,10,23 27:2</p> <p>defendant 103:11</p> <p>defendants 103:8</p> <p>defense 103:13</p> <p>defer 15:10 18:15 52:10 99:19</p> <p>Define 60:22</p> <p>degradation 29:14 90:20,21</p> <p>degree 48:2 63:14 77:19 81:19</p> <p>demonstrable 62:3</p> <p>demonstrates 102:2</p> <p>demonstrating 53:13</p> <p>demonstrative 26:10 38:13 50:1 79:16 102:15</p> <p>demonstratively 79:12</p>	<p>demonstratives 4:24 67:22 74:17 98:21</p> <p>dense 111:23 113:8</p> <p>dep 99:7 103:14</p> <p>depending 28:23</p> <p>depends 122:5</p> <p>depict 101:6</p> <p>depicted 42:16 83:22 86:4 88:18 93:19</p> <p>depiction 101:16</p> <p>depicts 85:9</p> <p>DEPONENT 124:8</p> <p>deposition 4:1,5,18 6:3,5 9:9 19:1 104:4 107:3 115:18 124:6</p> <p>depositions 5:20 6:8 14:22</p> <p>derived 105:19</p> <p>descending 34:22 41:20</p> <p>describe 5:18 27:14 65:24 75:22,24</p> <p>describing 37:11,15</p> <p>destroys 61:2</p> <p>detail 79:3</p> <p>detecting 28:5</p> <p>determination 47:2 57:16 59:1,6</p> <p>determined 59:2</p> <p>develop 64:6 109:13</p> <p>developed 18:9,13 53:4 56:21</p> <p>developing 15:15</p> <p>development 9:15 15:13 66:11</p> <p>develops 34:12</p> <p>deviation 7:7,11</p> <p>device 93:15</p> <p>Deweert 27:18 29:6 32:19 78:21</p> <p>Deweert's 43:14 52:7 110:5 111:18</p> <p>diagnosis 58:6 123:2</p> <p>diagnostic 113:9</p> <p>diagnostically 16:15 77:12</p> <p>diagram 38:12</p> <p>diaphragm 22:23</p> <p>diarrhea 87:5</p>
---	---	---	--	--	---



<p>dictations 16:11</p> <p>die 62:9 64:11</p> <p>died 82:17 108:18</p> <p>diem 107:7</p> <p>differentiate 111:21</p> <p>dilatation 20:12 28:9 48:4 53:12 80:1 97:23 112:19,20,22</p> <p>dilated 30:14 80:23 91:24 97:24 112:14</p> <p>dilation 53:12</p> <p>direction 40:6,7,8 101:13,14, 15</p> <p>directly 66:14</p> <p>disagree 20:18,22 21:1 31:3, 4,22</p> <p>disagreement 7:9,11 30:23 31:24 32:1 51:18 52:3 121:20</p> <p>disagreements 60:14,18</p> <p>discern 66:21 70:8</p> <p>discharged 59:20 60:1 110:19</p> <p>disclosure 5:14 14:3 19:4,7 21:19 43:10 45:15, 16 50:16 52:7 60:22</p> <p>disclosures 115:24</p> <p>discomfort 121:2</p> <p>discovery 4:5 5:13,14</p> <p>discrete 67:24</p> <p>discuss 13:22 43:9 102:18</p> <p>discussed 10:11</p> <p>discusses 9:13</p> <p>disease 82:16 84:21</p> <p>disk 4:21 5:2,3,6,13 6:19 8:6 19:21 21:13 23:9 24:13 33:11 123:16</p> <p>disks 5:5</p> <p>distal 20:10 25:1 28:7 42:9</p> <p>distally 20:11</p> <p>distance 36:2</p> <p>distinction 47:5 48:21</p> <p>ditto 25:24</p> <p>divisum 66:2</p> <p>document 10:10 14:6,11 15:2</p> <p>documents 8:13</p>	<p>dollars 106:20</p> <p>doubt 108:15</p> <p>downloaded 55:22 112:11</p> <p>downstate 107:8</p> <p>downward 56:8</p> <p>drain 66:24 86:16 88:1 89:8,9 90:7,8 91:1, 15,16 92:2,3,15 93:8 94:6</p> <p>drainage 16:16 63:16 66:3 86:21,23 88:12 92:2 96:15</p> <p>drainages 10:19</p> <p>draw 13:24 35:11 47:5</p> <p>drawing 47:1 48:20</p> <p>drawn 47:13 49:14</p> <p>driving 107:9,11</p> <p>duct 19:11 20:8,13,18 22:19 23:1,2,3,4 24:10,11,24 25:2,3, 10,11,19,21,22 26:13,20,23 28:8,9, 10,13,21 29:19 30:4, 14,16,21 31:14 32:9 34:8,10 38:19 39:1, 13,19,20,24 40:1,19 41:23 42:1,10 43:18 48:5 49:15 50:18 51:5,9,14 53:12,15 66:4,14,15 67:13 99:13 100:21 101:1, 3,10,13,18,19 111:5, 11,16 112:14 114:24 115:2 120:23 122:20</p> <p>ductal 13:9</p> <p>ducts 24:8 28:11</p> <p>duly 4:9</p> <p>duodenum 22:20 23:18 25:13 26:7 34:21,22 37:4, 12 38:15 41:20 67:13 100:18,19</p> <p>dye 25:21 57:24 110:4 114:23 115:3</p> <p>dying 58:2</p> <p>dysfunction 18:18 66:18,20 67:2, 9</p> <hr/> <p style="text-align: center;">E</p> <hr/> <p>e-mail 4:24</p> <p>E-TRAN 123:12</p> <p>earlier 4:13 52:9 97:14</p> <p>earliest 58:7</p> <p>easier 116:2</p> <p>edema 43:15 45:17,20,22</p>	<p>46:22 49:15,16</p> <p>edematous 48:9 112:24</p> <p>edited 116:16,22</p> <p>edition 8:24 9:3,4</p> <p>Editions 116:13</p> <p>education 47:9</p> <p>effusion 76:18,20 81:12,13, 20 82:13 85:9 86:6 94:24 95:1,20 98:18</p> <p>effusions 68:11,22 83:22 85:13 87:19 88:6,17 92:13,22 94:1 97:22</p> <p>elegant 28:14</p> <p>elevated 66:19</p> <p>end 10:17,20 35:17 42:14 79:18 100:2</p> <p>endo 92:19</p> <p>endoscope 34:23 100:16</p> <p>endotracheal 81:17 98:16</p> <p>ends 35:6,21</p> <p>enema 95:9 96:23</p> <p>enhance 112:3,5</p> <p>enhanced 89:14 90:2</p> <p>enhancement 48:2 57:9 58:14 70:1,4 84:6,8 86:18 93:6</p> <p>enlargement 58:13</p> <p>enter 78:21</p> <p>entered 40:22 41:7 42:24</p> <p>entire 70:10</p> <p>entirety 14:19 35:14</p> <p>envelope 36:3</p> <p>enzymes 61:6,7 66:19 99:16</p> <p>epiphany 108:15</p> <p>equipment 28:24 29:6,11,15 112:5</p> <p>ERCP 11:2,5,7,20 12:1,8, 12,23 15:6,8,13,23 17:11,14 27:20 30:3 32:19,24 33:14 34:6, 13,20 37:10,23 38:14 39:11,17,22 40:9,15 41:1,6,11,16 42:23 43:4,14 44:9, 14 46:5,7,10 48:8 52:8 53:5,11,19 59:16,17 62:13,16 66:23 99:22 100:3, 15 101:17 109:22 111:18 114:22 116:10</p> <p>ERCPS 11:11 12:24</p>	<p>escape 77:5,20</p> <p>esophagus 100:17</p> <p>essence 4:14 5:5</p> <p>essentially 67:18 78:12</p> <p>event 5:4</p> <p>eventually 58:8</p> <p>evidence 53:13 66:7 67:8 110:7 111:15</p> <p>evil 77:4</p> <p>evolve 64:1</p> <p>evolves 56:21</p> <p>exacerbated 43:5</p> <p>exact 91:21</p> <p>exam 80:13</p> <p>examination 4:11 42:15</p> <p>examined 4:9</p> <p>exceeds 36:3</p> <p>excellent 29:3</p> <p>exciting 80:20 93:13</p> <p>exhibit 19:5 115:18</p> <p>exhibits 123:12 124:5</p> <p>expect 110:24</p> <p>experience 64:14 78:1</p> <p>expert 102:21,22</p> <p>expertise 15:18 100:11</p> <p>experts 106:11</p> <p>expire 63:5</p> <p>extended 115:14</p> <p>extending 38:19</p> <p>extra 53:11</p> <p>extravasation 110:4 115:3</p> <p>eye 47:7</p> <hr/> <p style="text-align: center;">F</p> <hr/> <p>fact 27:18,20 51:11</p> <p>failure 82:15 85:12 121:13</p> <p>fair 31:8 35:23</p> <p>false 34:11</p> <p>familiar 15:24 16:7 17:23</p> <p>familiarity 18:17</p> <p>fascial 69:21,22</p>	<p>fashion 16:18 90:3 108:21</p> <p>fat 61:3 69:13,16 70:17, 18</p> <p>feel 90:14</p> <p>figure 64:16</p> <p>file 4:14</p> <p>filed 19:8</p> <p>files 103:4 105:10</p> <p>filling 24:11 25:4,10,23 27:1,4 53:13 90:14</p> <p>film 12:13 79:1</p> <p>finally 120:2</p> <p>find 48:8 49:24 77:22 106:21 113:4</p> <p>finding 66:17</p> <p>findings 7:10,12 46:9 48:14 51:19 60:19</p> <p>fine 6:5,7 67:24 75:22 98:22</p> <p>finish 41:2 79:20</p> <p>fistula 83:4,9,12,17</p> <p>fistulae 56:7 61:21</p> <p>fistulization 61:22,23,24 83:8 95:15 97:5,13</p> <p>fistulogram 82:22</p> <p>flow 23:17</p> <p>fluid 16:16 18:4,6 44:17, 18,19,20,23 45:2,15, 20,23 46:13,23 47:21 48:3,10 49:17, 18 56:6 57:10 63:15, 16 69:15,17,20 70:7, 20,21,23,24 71:11, 13,18,22,23 73:10, 17,18 74:6,8,13 75:7,17 76:4,16 80:22 83:18 84:1,2, 17,18,24 85:3 86:3, 14 87:2,11 88:20 89:1,10 90:22,23 91:10 92:3 93:7 94:4,7 96:3 97:24 98:3 102:11 110:9 111:5,6,8 121:22 122:6</p> <p>fluoroscope 11:9 100:1</p> <p>fluoroscoped 11:7</p> <p>fluoroscopically 100:4</p> <p>fluoroscopy 11:14,22 12:2,12</p> <p>focus 65:6,7</p> <p>Foley 87:6,7</p> <p>folks 75:15</p>	<p>follow 16:19</p> <p>foot 22:15 75:6</p> <p>forget 82:1</p> <p>form 4:21</p> <p>forming 91:11</p> <p>forward 79:2</p> <p>found 21:1 120:16</p> <p>foundation 32:6</p> <p>fourth 9:4</p> <p>free 23:17 26:6 84:24 85:2</p> <p>freely 25:12</p> <p>front 4:21 5:5 6:20 7:23 14:8 20:3</p> <hr/> <p style="text-align: center;">G</p> <hr/> <p>gain 74:9</p> <p>gallbladder 99:13 101:11 122:18</p> <p>game 81:24 82:8</p> <p>gas 20:11 58:8,16,20</p> <p>gastro 79:24</p> <p>gastroenterologist 15:10 38:2 40:5 41:24 42:15,21 43:7 63:18 64:4 77:4 78:3 99:21,23 109:15,19 113:12 120:16</p> <p>gastroenterologist s 113:15,18</p> <p>gastroenterology 8:18 16:22 43:6 52:11</p> <p>gastrointestinal 8:19 116:5,15</p> <p>gave 72:22 104:4</p> <p>generally 37:8 54:4 73:8</p> <p>generate 109:7</p> <p>GI 11:8 13:2</p> <p>Ginzkey 8:4,7 15:1 30:8 36:10 55:7 72:19 73:20 81:4,24 82:9 106:18 114:10 123:11 124:1</p> <p>give 47:24 49:10 64:16 85:10 91:21 99:20</p> <p>giving 43:24 65:10 91:22</p> <p>gland 48:1 57:24</p> <p>glands 57:22</p> <p>gold 31:15</p> <p>good 4:13 5:18 38:3,6</p>
---	---	---	---	---	--



61:9 78:4 79:7 80:15 95:19 103:23 Gore 4:5,8,13 19:8 52:7 115:17 gray 27:3 69:17 great 29:10 greater 70:17,19 74:5 75:9 84:16 guess 12:7 14:16 17:21 31:3 45:20 49:23 77:19,23 87:4 102:3 115:14 116:13 120:6 122:6 guidewire 32:20 34:24 35:12, 16 36:2,18 37:16,21 38:18 39:2,18 40:3, 4,17,18,22 41:7,22 42:12,24 52:12 53:6 101:2,7,12,20 gutter 70:22 71:12 74:9 93:5 gutters 76:5 86:15	hour 78:7 107:2,4,6,11,12 hours 106:1,2,24 107:16 Huettner 103:21 humors 77:5 hypodensity 30:15	inappropriateness 17:17 incidence 15:6,7 include 92:17 Including 99:5 income 105:18 inconclusive 31:9 inconsistent 51:19 inconsistently 52:1 increased 53:1 indication 40:21 42:23 58:17 111:2,4 indications 15:13,22 50:7 indicative 58:11 indices 47:19 48:8,9 49:4 infected 58:23 infection 58:19,20,21 90:1 inferior 30:15 infiltrates 85:12 92:13 96:18 inflammation 72:3 86:20 90:16 inflammatory 69:12,15 70:10,17 73:18 74:5 75:8 76:4,16 77:7,14 84:16 94:3 information 14:22 29:5 63:3 105:5 inject 25:21 57:24 90:11 injected 95:24 97:8,17 98:7 injection 97:7 injects 99:15 inordinate 113:6,10 inserts 66:14 insides 61:4 instance 52:22 interested 100:22 interesting 90:4 96:16 97:9 internist 63:19 77:3 interpret 12:24 interpretation 13:6 interventional 7:21 10:16,17,20,22, 24 16:23 intracameral 101:17 intrahepatic 23:2,3 24:8 28:10 40:19	intraoperative 21:22 22:1,8,9 23:15 26:11,18 27:7,8,12, 15 29:21 31:17 32:12 67:11 112:12 113:4,19 114:1 intraperitoneal 46:15 71:18 74:6 84:18,24 85:3 invasive 31:17 involve 109:17 involved 11:10,13 12:2 16:20 28:15 36:23 60:4 63:9 64:10 65:2 108:24 123:1 involvement 48:5 109:2 irregular 90:24 ISME 106:7,8 issue 67:24 issues 9:13	L lab 11:8 13:2 lap 46:12,14 laparoscopic 22:15 large 85:8 98:17 late 81:24 82:7 lateral 92:19 lawsuit 109:7 lawyers 106:11 lead 66:15,18 leading 56:6 leaking 111:5 leave 18:10 leaves 17:13 left 16:22 20:2 22:12,14 23:3 26:21 38:1 44:24 49:19 69:21 71:12,13,15 74:9 75:6 81:20 82:24 83:11 86:3,23 88:2, 7,13 89:9 94:16 95:13,20 97:2 98:18 left-sided 81:13 95:1 legs 75:6 length 101:15 107:9 123:3 lesser 70:21 84:17 letter 27:17 level 76:15 80:22 84:12 88:24 96:6 levels 52:24 light 49:14,24 58:1 lines 24:23 84:23 96:19 lining 71:19 lipase 52:24 53:8 61:1,2 77:5 list 78:12 106:7,8 listed 78:14 lists 106:10 literature 10:5 37:18 65:6,24 litigation 106:6 liver 20:5 61:20 75:13 84:3 99:13 loaf 74:19 lobe 96:12	located 48:4 location 37:17,21 72:12 long 6:14 14:9 36:2 60:17 74:23 117:9 longer 42:11 longitudinal 20:1 looked 6:14,15 8:9,14 21:8 46:22 48:6,7,9 49:13 50:1,2 52:19 54:16 55:20,23 57:15 58:10,18 64:17 67:9 73:15 78:13 79:1,4, 13 85:14 116:24 lot 4:16 17:21 54:3,4 71:17 74:6,8,13 75:7,8 76:3 85:2 lots 86:5 Louis 53:11,22 low 10:17 lower 33:19 35:22 68:10 82:24 83:11 86:3,23 88:2,13 89:9 94:22 lumen 37:3 lung 68:13 76:19,21 81:2 83:22 86:6 87:19,20 88:7 92:8 lungs 61:19 77:15,22 81:9	M M&M 63:7 M.D. 4:8 19:8 made 58:24 magnet 28:16 majority 6:21 make 6:3 50:4 57:15 58:23 85:6 92:5 102:12 122:10 making 113:7 managing 18:15 manifestation 45:22 manifestations 52:23 65:9 manifested 50:2 manometry 67:2,5,6 March 122:13 mark 6:5 19:4 101:21,22 marked 115:19 marker 36:4 material 23:18 26:7 34:7 39:1
--	---	---	---	---	--	--



90:12 97:10 113:11 materials 6:11 8:9 25:12 matter 116:12 117:4 matters 105:19 mature 122:5,7 matured 98:4 maturing 93:4,6 means 11:11 meant 101:6,14 measure 46:22 measuring 30:14,16 meat 74:24 medical 6:13,15 7:14 8:5 29:8 44:10 54:17 55:2 102:22 109:7 medical-legal 105:19 109:2 medium 111:16 memory 33:23 49:23 57:3 59:21 mentioned 30:5 Mercy 104:15 mesenteric 69:7,8 mesentery 76:5 mesocolon 48:12 49:20 met 4:13 mid 69:5 midline 93:17 mild 47:5 48:15,16,24 50:7 53:11 57:19,21 mind 7:4,13 10:14 42:20 57:3 60:20 105:15, 17 mine 71:10,16 72:16 75:20 Minimally 112:16 minute 9:19 23:6 57:1 minutes 66:24 67:1 missed 120:11 mistakes 99:5 modalities 63:20 moderate 47:3,6,14 48:15,17, 18,22,24 49:1 50:8 monograph 116:23 monographs 116:14	month 103:3 months 56:9 morning 4:13 17:1 34:6,19 Morrison's 74:7 98:2 mouth 100:17 move 79:2 122:21,22 movie 12:13 MRCP 28:2,23 29:5 30:2,6, 12 31:1,19 32:3,15, 16 43:13 44:12,13, 16 47:10 48:7 49:4,7 51:13,15 52:4 57:6 110:3,4,8 111:2,4, 17,19 112:1,4,17 113:16 114:2 116:10 120:12,15,23 MRI 73:9,22 multiple 31:1 <hr/> N <hr/> Nancy 123:13 nasogastric 81:18 90:7 91:23 92:6,18 98:17 nasty 70:19 NAUGHT 124:8 Neal 104:8 necessarily 7:10 neck 69:11 92:18,20 necrosectomy 94:2 necrosis 58:11,15 59:3,7 60:2 63:15 64:2 69:18,24 90:17 93:1,10 95:7 96:7 102:10 necrotic 63:24 necrotizing 9:15 53:24 56:5,14, 22 57:2,11,18,20,23 58:6 60:5 62:2,9,13, 18,21,24 64:6,10,20 68:3 78:20 79:21 83:15,16,23 84:5 86:8 88:19 89:4 90:17 91:11 108:17 109:18 needles 58:22 negative 120:17 negligence 109:8,13,14,18 nice 9:17 20:7 25:12 28:14 70:4 72:1 nicely 67:12 night 105:23 107:14 non-invasive 28:4	non-invasively 28:20 nonetheless 37:22 normal 20:8 112:18 north 22:23 116:17 122:22 Northwest 104:12 Nos 115:18 note 23:8 noted 21:14 23:8 notes 5:19,21 7:15,16,19 notice 4:6 number 4:19 19:17,24 22:12, 21,22 23:9 24:14,19, 20 25:5,6,7,9,14,15, 17,24 26:10,17 27:17 28:2 33:19 34:1 35:20 37:15 38:6 40:12 41:21 42:3,14 44:3,6 45:1 46:24 54:6 68:5 74:8,12 75:3,4,19 76:2,11 78:5 79:23 81:14,21 82:2,6,22 83:7,21,24 84:22 85:1,6,22 86:17,22 87:4 88:24 90:19 91:7 92:21,23 94:12 95:9,18,24 96:10,23 97:1,17,21 98:1,5,7, 16 99:14,22 100:16, 24 101:5,9,16,24 102:4 112:21 116:14,18 117:1 120:6 numbers 33:17 64:17 116:2 numeral 14:15,16,17 19:9 21:19 nursing 7:19 nutrition 85:10 <hr/> O <hr/> oath 123:15 obscured 22:20 obscuring 70:7 obstructed 30:21 obstructing 43:18 50:18 51:5,9 obstruction 23:19 obtainable 28:23 obtained 27:19 32:19 43:13 occasions 13:5 occur 77:24 occurred 92:24 110:22 Oddi 18:19 37:5 42:7 66:18,20 67:1,3,10	112:23 oddlly 91:24 offer 18:14 office 124:1 omentum 70:18,20 74:5 75:9 84:16 one-sided 124:4 one-third 103:13 open 93:17 103:4 105:2, 10 operating 11:21 operative 7:15,20 13:17 opinion 19:3 30:1 31:12 32:6,8 35:6 43:9 45:21 46:4 49:8,13 50:16 52:14,19 53:4 54:10,11 57:8,12 62:1,6 63:24 64:2 80:6,10 83:13 84:4 111:10 114:4 123:6 opinions 6:24 13:13,17 14:19 15:6,9,12 16:3 17:4, 10,16 18:12 23:14 43:3 54:22 60:9,11 114:17 121:1 opposed 57:20 orange 74:20 order 21:24 33:22 96:13, 23 organ 54:1 61:17 68:4 83:1 90:15 121:13 organized 89:2 organs 48:5 61:8,17 <hr/> P <hr/> p.m. 44:14 pages 14:9 54:5 106:10 pain 121:2 pancreas 32:21 35:1,6,21 36:3,15,19 37:8,13 38:19 39:3,22 41:7,8 42:24 43:1 44:19 48:9 49:16 52:13 53:7 57:10 58:3,14 59:8 60:2 61:8,19 63:14,24 65:15 66:2, 13 69:10,13,19 70:2 80:1 99:14,15,17 101:3,11 110:10,11 111:12,13,17 120:8 pancreatic 28:13 34:8 37:23 39:1 48:1,4 49:15 66:4,14,19 69:9,10 70:5 84:7,8 90:17 92:24 100:21 101:10,18,19 111:5, 8,11,16 114:24 115:2	pancreatitis 9:14,15,18 15:8,14, 15,20 16:13 43:5,16 46:3,18 47:1,11,19 50:9,24 52:8 53:5, 23,24 56:5,12,15,21, 22 57:2,17,18,20,21, 23 58:6 59:3 60:6 62:2,10,14,18,21,24 63:14 64:6,7,10,20, 23 65:10 66:2,6,11, 16 68:3 71:1 72:5 73:11 76:22 77:13, 14,20 78:20 79:21 83:15,17,23 84:5,13 86:8 88:19 89:5 90:17,20 91:12 102:1,5 103:17,20 104:18 105:3,7,11, 14 108:17 109:13, 16,18,20 111:22 116:10,16,19 120:9 121:6 123:2 pancreatologist 64:4 77:3 99:20 papilla 37:12 42:6 100:19 paracolic 70:22 71:12 74:9 76:4 86:15 93:5 paragraph 30:13 paragraphs 14:14 parasite 113:2 parcel 70:9 83:15 part 5:14 10:18 29:17 57:23 70:9 83:14 91:9 109:14,19 113:20 116:22 partially 22:20 pass 100:16 passing 12:5 99:24 Patel 104:19 pathologically 102:2 pathologist 55:3,4 62:7,8 patient 15:12 16:13,19 17:5 18:9,22 26:20,21,22 28:3,6 56:20 59:2,7 62:16 65:13 67:6 74:22,23 75:12 87:5, 6 90:5 93:14 99:24 109:3 patient's 22:13,14 43:5 44:24 45:1 71:13,15 75:5 92:1,16 patients 17:1 46:18 47:11,17 56:11,13,14 62:9,11, 17,20 63:10 64:5,9, 20,23 66:12,13,16 108:16,18 121:6 123:1 PDF 124:4 pelvis 71:18 74:14 76:6 85:1,3 87:3 89:11 penetrated 35:1 39:21	penetrating 39:3 53:7 percent 64:15 105:20 120:24 percentage 63:4,5,8 105:18,21 percutaneous 86:16 88:2 90:8 93:8 perforate 37:4 perforated 32:20 39:21 40:22 41:7 43:1 perforating 39:3 52:12 53:7 perforation 35:7 37:1,6,8,10,11, 14,23 110:8,21 perforations 37:2 perform 11:2 performance 34:12 performed 11:5 12:23 13:1 22:10 32:3,24 44:9 90:10 performing 11:11 43:4 perimeter 36:19 period 11:15 12:9 32:24 56:3,4,20 59:14 64:23 78:14 85:14, 16 122:9 peripancreatic 43:15 45:16 49:17 perirenal 69:18 peritoneal 71:19 72:3 75:7 83:10 86:19,20 peritoneum 71:24 person 9:22 74:19 perspective 108:14 119:16 phenomenon 36:24 Phillip 29:10 photograph 112:8 photographed 112:6,10 phrase 61:16 physically 30:20 physician 18:16 physiology 76:24 PICC 92:7 picture 23:23 pictures 80:8 102:13 piece 30:18 43:17 50:14, 17 51:1,2,4,8,12,22 52:2 74:24 pigtail 86:2,24 92:16 place 35:7
--	--	--	--	---	--



<p>placement 37:16</p> <p>plain 87:24</p> <p>plaintiff 103:7,10,13 104:5</p> <p>Plaintiff's 19:6</p> <p>plane 69:21,22 74:22</p> <p>planning 18:5</p> <p>plastic 42:16 75:14 76:3 79:24 87:10 88:1</p> <p>play 12:8,22</p> <p>played 11:20 12:1</p> <p>pleural 68:11,22 81:13 83:21 85:8 86:6 88:6,17 92:22 93:24 97:22</p> <p>pneumonia 86:6 87:20 95:20 96:12</p> <p>pocket 98:9,12</p> <p>point 18:9 24:10 35:16 41:18 45:14 57:12 69:19 73:20 84:17 90:18 91:2 93:17 117:12 123:15</p> <p>pointing 93:10</p> <p>points 24:9 27:10 86:21</p> <p>Pontiac 73:1</p> <p>port 34:23</p> <p>portal 20:6,7</p> <p>portion 100:19</p> <p>portions 116:7</p> <p>portray 100:6</p> <p>position 40:3</p> <p>possibly 97:3</p> <p>post-mrcp 57:7</p> <p>post-operative 32:13</p> <p>postoperative 30:6,12</p> <p>pouch 74:7 98:2</p> <p>Powerpoint 4:19 8:11 33:21 43:24 54:5 108:9 112:9</p> <p>practice 10:15,18 36:18 89:7 113:21</p> <p>pre-operative 32:11</p> <p>preface 60:15</p> <p>prefatory 32:22</p> <p>preoperative 29:22</p> <p>preparation 8:14</p>	<p>prepare 8:10</p> <p>prepared 8:10 15:1 50:22</p> <p>presence 58:11</p> <p>present 27:6 33:12 95:1 100:7,11</p> <p>presentation 8:11</p> <p>presented 14:21,23 63:6 121:12,23</p> <p>presents 9:16</p> <p>pretty 25:6 29:2 38:22 95:19 98:15 102:12 113:8</p> <p>primarily 58:5</p> <p>prior 27:19 43:24 122:14</p> <p>problem 40:16</p> <p>procedure 7:16 11:14 12:14,19 37:22 99:22 100:12 110:5 112:23 122:14</p> <p>procedures 12:19 17:2</p> <p>process 69:9 70:5,10 77:15 84:7,21 86:10</p> <p>prognosis 65:10</p> <p>progressed 108:20</p> <p>progression 77:18 84:13,20,23 85:13</p> <p>progressive 56:6</p> <p>progressively 80:2</p> <p>prolonged 54:1</p> <p>proposition 31:12</p> <p>protein 61:3</p> <p>provide 29:12 63:11 106:11</p> <p>provided 16:12 33:7 109:3</p> <p>pseudocysts 122:3,7</p> <p>publication 9:6</p> <p>publications 116:1</p> <p>pull 19:12</p> <p>pulses 28:16</p> <p>purpose 78:16 99:16</p> <p>purposes 45:21 102:14</p> <p>pursuant 4:6</p> <p>put 5:12 22:18 24:16,17 33:21 41:24 42:15 43:13 55:16 59:15 61:9 86:2 91:15 95:6,10 97:7,10 101:1 102:13</p>	<p>puts 22:16 25:20</p> <p>putting 53:2 111:7</p> <hr/> <p style="text-align: center;">Q</p> <hr/> <p>quadrant 20:1 82:24 83:11 86:3,23 88:3,13 89:10 90:13,23 91:9, 16 92:4,15 93:8 94:11,16,22 95:14 96:1 97:3,4</p> <p>qualifications 10:24</p> <p>question 4:17 11:24 12:7,11 13:2,7,8,9 27:18 30:9 35:3 41:3 42:22,23 43:6 47:18 49:6 54:9 100:5 108:7 110:14,17 112:4 113:14 118:16</p> <p>questions 32:22 115:8 123:10, 11</p> <p>quickly 67:21</p> <hr/> <p style="text-align: center;">R</p> <hr/> <p>radiation 28:15</p> <p>radiofrequency 28:16</p> <p>radiologic 57:13 116:16</p> <p>radiological 60:15</p> <p>radiologist 7:12 20:16 21:8 86:2 100:7 113:23</p> <p>radiology 6:16,18 7:1,20,21 8:19 10:16,17,22,24 11:23 12:2 16:23 100:11 116:5</p> <p>rank 16:6</p> <p>rate 107:1,3,5</p> <p>re-ask 49:5</p> <p>re-do 45:12 49:10 82:18 108:9</p> <p>re-read 6:8</p> <p>read 30:11 33:17 38:5 43:19 52:4 113:13, 15,19 114:1 120:15, 24 123:11</p> <p>reading 31:1 50:15 75:23</p> <p>real 20:20,21 21:10 25:9 31:15 39:12,23 58:15 67:12 109:4</p> <p>reason 9:23 13:6 39:19 71:4</p> <p>reasons 10:2</p> <p>recall 33:9,11 36:7,11 59:4,10 60:18 95:6 104:17 105:12 107:24 110:6 121:18</p> <p>received 5:13 115:23</p>	<p>recently 46:12 55:24</p> <p>record 4:4,15 19:6,19 21:12 30:10 43:13 72:24 78:10 123:14</p> <p>records 6:13,15 7:15,23 8:5 54:17 55:2</p> <p>rectal 87:6,7</p> <p>red 25:19 42:16 71:5 81:18 84:2,19,23 85:8 86:5 87:8,12 88:18,19 93:1,9,10 94:4 95:2 101:5,21, 22</p> <p>refer 9:9 43:7</p> <p>reference 10:12</p> <p>referred 99:7</p> <p>reflect 4:4</p> <p>reformatted 45:5 87:9</p> <p>region 37:5 69:10 98:17</p> <p>Regional 29:8 44:10</p> <p>relate 80:5</p> <p>related 15:8 62:16 90:16 111:21</p> <p>relates 16:4 114:18</p> <p>relative 73:14</p> <p>rely 10:11</p> <p>relying 10:5,7 59:11 62:6,8</p> <p>remember 17:14 26:15 51:23 61:23 103:22 104:8</p> <p>remnant 23:4 24:24 25:19</p> <p>remnants 25:10</p> <p>remove 98:19</p> <p>render 13:13,17 15:5,9,11 16:3 17:3 23:14 60:8 80:10 123:7</p> <p>rendered 49:12</p> <p>rendition 102:3,4</p> <p>repeat 30:8</p> <p>rephrase 11:24</p> <p>report 20:16,22,24 30:5,23 32:2 50:15 51:15 55:1 114:6</p> <p>reported 51:18</p> <p>reporting 60:19</p> <p>reports 6:16,19,23 7:1,3,20, 21 59:5,9,11 60:16</p> <p>representative 33:13 40:9</p>	<p>represents 78:24</p> <p>requested 30:11</p> <p>require 100:10</p> <p>reshoot 43:23</p> <p>resolve 63:4</p> <p>respect 6:23 7:14 10:3 21:18 22:7 27:14 28:22 30:6 31:12 35:5 43:9 59:7 60:5 63:10,12, 23 65:14 110:1,2,14, 18 112:12 114:4,18 123:3</p> <p>response 5:13</p> <p>responses 77:7</p> <p>rest 58:2 60:21</p> <p>result 53:5 109:2</p> <p>resulting 54:1</p> <p>retained 26:13,23</p> <p>retainer 106:19</p> <p>retrograde 122:21</p> <p>retroperitoneal 43:15 45:2,17 46:14 69:20 70:22 76:17</p> <p>retroperitoneally 49:18</p> <p>retroperitoneum 44:21,24 48:11 71:14</p> <p>review 57:6 62:18 103:1,7 106:5 108:4 121:15</p> <p>reviewed 13:22 29:20 37:20 116:21</p> <p>reviewing 63:10</p> <p>revised 17:22</p> <p>ribs 22:24</p> <p>Rich 4:5</p> <p>Richard 4:8 19:7</p> <p>right-sided 85:8 94:24</p> <p>ring 103:24 104:6,9,13, 16,19,24</p> <p>risk 15:14 37:22,23</p> <p>role 11:20 12:1,8,22 13:21</p> <p>Roman 14:15,17 19:9 21:19</p> <p>room 11:21 100:7</p> <p>rounds 16:24</p> <p>Rule 19:7</p> <p>Rules 4:7</p>	<p style="text-align: center;">S</p> <hr/> <p>S-C-A-N-T-L-E-B-U-R-Y 6:1</p> <p>S-O-N-N-A-N-S-T-E-I-N 105:1</p> <p>S-W-A-I-N 104:15</p> <p>sac 70:21 84:17</p> <p>sacrum 71:21</p> <p>SAITH 124:8</p> <p>Samaritan 103:23</p> <p>sandwich 74:23 75:1</p> <p>scan 68:9,17 69:5 73:15 76:8,14 92:14 122:2</p> <p>scanned 123:13</p> <p>scanner 27:23 29:10</p> <p>scanning 74:22 75:12 76:11</p> <p>scans 87:13</p> <p>Scantlebury 5:24 55:5,7</p> <p>scar 94:2</p> <p>scope 34:20 38:14 41:18, 19 99:24 100:3,24 109:21</p> <p>score 16:1,6</p> <p>scoring 15:12,19,22 16:4,9</p> <p>scout 39:7 41:15 85:23 88:11 92:14</p> <p>screen 100:1,3</p> <p>segment 91:24</p> <p>seldom 13:4</p> <p>selected 33:10</p> <p>self-destroy 61:5</p> <p>send 82:19 99:2 108:1 123:16,24 124:1</p> <p>sense 119:6</p> <p>sensitivity 120:22</p> <p>sentence 51:10</p> <p>separate 10:21 66:3</p> <p>separation 39:24</p> <p>sequence 44:17</p> <p>series 30:17</p> <p>service 16:24</p> <p>services 106:4,10,14</p> <p>set 99:2</p>
--	---	---	---	---	--



<p>setting 16:14</p> <p>severe 43:15 47:3,6,14 48:15,18,19,22 49:1, 2 50:8 54:1 61:17 68:4</p> <p>severity 15:20 47:2</p> <p>shared 14:12</p> <p>shift 106:1</p> <p>short 78:8 115:9</p> <p>shorten 18:24 108:10</p> <p>shorter 17:21</p> <p>shortly 43:14 59:19</p> <p>shot 67:12</p> <p>show 18:4 19:12,13 22:7 23:24 27:22 39:5 50:19 57:19 58:18 67:21 74:18,21 78:16 80:1,23 81:2, 13 83:5 84:11 85:13 87:13,15 90:19,21 91:19 96:4,6,15,21, 24 97:1 98:20 99:5, 11 101:14,20 112:14 122:18</p> <p>showed 19:10 53:11 123:16</p> <p>showing 18:6 19:20 22:2,12 27:11 38:13,14 84:13 88:1 90:18,22 91:15 92:10,12,15 96:14 98:14 101:9</p> <p>shown 47:20</p> <p>shows 23:4 24:8 26:4 28:2 32:20 41:6 43:14 45:14 53:22 67:18 80:21 82:23 83:8 87:19 88:14,16 90:5, 7 92:18 96:3 100:4</p> <p>side 34:23 36:6 39:10 40:10 71:13 81:20 95:21 98:8</p> <p>side-by-side 84:11</p> <p>sigmoid 71:22</p> <p>sign 58:7 123:12</p> <p>significantly 53:1</p> <p>signs 84:20</p> <p>similar 108:21</p> <p>similarly 38:20</p> <p>simply 67:16</p> <p>sinogram 90:10,19 94:9,15 98:7</p> <p>sir 25:16</p> <p>SIRS 18:9,13</p> <p>sit 15:1 28:15 54:23</p>	<p>skin 20:4 83:9</p> <p>sleep 55:16</p> <p>slender 20:7</p> <p>slice 74:19</p> <p>slicing 74:23</p> <p>slide 19:17,24 22:21,22 24:19,20 25:7,9,17, 18,24 26:1,8,9,10, 14,17 28:1,3 29:7,8 41:21,22,23 42:3,4, 5,14 44:3,6 45:1 68:21 70:16 74:7,10, 12,14 75:3,4,11,19 76:2,11 78:5 79:23 80:16,20,21 81:4,5, 13,14 82:1,2,6,22 83:20,24 84:22 85:1, 6,22 86:16,22 87:4 88:11,16,18,24 89:15 91:6,7 92:19, 21,23 94:5,9,10 95:8,9,18,23 96:1, 10,23 97:1,17,21 98:1,5,6,11,16 99:22 100:6,15,24 101:9, 16</p> <p>slides 22:2,3 49:10 79:3 91:20 96:5 98:6,20</p> <p>slight 93:4 99:14</p> <p>sludge 20:14,15,17 31:5 122:18</p> <p>small 10:18 14:15,16 19:9 30:15 43:16,17 50:14,17,24 51:1,2, 3,4,8,9,11,12 61:20 76:5 91:24</p> <p>snapshots 32:23 33:2,6</p> <p>solely 54:22</p> <p>Sonnenstein 104:24</p> <p>sonogram 20:1</p> <p>sought 61:12</p> <p>sound 36:8 79:6</p> <p>sounds 11:19 60:2 79:7</p> <p>south 70:15 71:8,15 74:13 86:17 87:1</p> <p>space 69:18 82:15</p> <p>spastic 112:24</p> <p>speak 49:14</p> <p>specific 90:15</p> <p>specifically 18:6 33:11 59:10 113:20</p> <p>spell 104:11</p> <p>spend 105:22</p> <p>spent 106:22</p>	<p>sphincter 18:18 37:5 42:7 66:18,20 67:1,3,10 112:23</p> <p>spillage 26:6</p> <p>spinal 44:18</p> <p>spine 22:24 26:8 41:18 69:7 76:16</p> <p>spiral 56:8</p> <p>St 53:11,22 72:14 73:1, 6,21</p> <p>staff 27:19</p> <p>stage 77:13</p> <p>standard 7:7 13:13 29:2 31:16 100:9</p> <p>standpoint 35:20 77:1</p> <p>start 40:17</p> <p>statement 31:8 35:23 51:7 54:21 109:1</p> <p>static 12:17,19</p> <p>stent 42:16,19 75:14,21 76:3 79:24 85:24 87:11</p> <p>stick 58:22</p> <p>sticking 89:12</p> <p>stomach 34:21 38:14 41:19 48:12 49:22 75:13 80:22 94:17 95:6 97:19,24 99:13 100:17</p> <p>stone 19:10 26:13 27:4 28:7 29:18 30:18 31:7,13,18,21,23 32:9 43:17 50:17 51:2,4,9,12,22 52:2 53:14 112:20 113:1</p> <p>stones 20:12 23:5 26:20,24 28:5,21 30:4,5 120:11,17,23 122:20</p> <p>stop 23:6 78:6</p> <p>structure 20:5 25:24 28:12 50:7 71:24</p> <p>structures 89:23</p> <p>studies 54:15 65:1 78:14</p> <p>study 22:11 29:23 30:2 68:8 72:9 85:23 87:2,10 88:15 91:8 96:2,12 111:22</p> <p>stuff 10:20 67:12 70:19 94:21 99:1,6 111:21</p> <p>subject 116:12</p> <p>subway 74:23 75:1</p> <p>success 46:15</p>	<p>suite 12:3</p> <p>summerize 55:10 65:23</p> <p>summer 104:23</p> <p>super 69:8</p> <p>superior 69:7</p> <p>support 96:19</p> <p>supported 61:13</p> <p>supports 49:8</p> <p>Supreme 4:6 19:6</p> <p>surface 20:4</p> <p>surgeon 22:10,16 25:20 63:18</p> <p>surgeries 17:4</p> <p>surgery 17:4,5 22:16</p> <p>surgical 24:22 35:2 39:14,15 41:17 94:1</p> <p>surrounding 44:19,20 69:12 70:23 71:22,23 76:17 84:1</p> <p>survival 64:20,23</p> <p>survive 64:13,15</p> <p>suspect 117:10</p> <p>Swain 104:15,17</p> <p>swollen 57:22</p> <p>sworn 4:3,9</p> <p>system 25:4</p> <p>systemic 61:11</p> <p>systems 16:9</p>	<p>20,23 118:8,12,18 122:15</p> <p>talks 45:16 60:22</p> <p>tall 36:6</p> <p>tangential 119:6,15</p> <p>Tangentially 120:4</p> <p>technique 13:18 28:14</p> <p>tells 69:23</p> <p>ten 106:2</p> <p>term 89:3</p> <p>terms 10:13 12:23 13:18 15:20,22 16:15 38:7 46:24 47:1 52:19 54:11 60:23 62:5 108:16 113:5 123:18</p> <p>terribly 23:24 80:20</p> <p>Tesla 29:10</p> <p>testified 4:10 103:16 104:5, 23 105:13 114:11,12</p> <p>testify 7:5 18:2,21 50:5,6 73:13 83:6</p> <p>testifying 19:2</p> <p>testimony 5:16 8:15 10:13 107:5</p> <p>textbook 8:18 9:8,12 10:4,8 36:22 65:19 116:4</p> <p>textbooks 8:17 65:3 116:8</p> <p>texts 116:11</p> <p>theoretical 40:2</p> <p>theoretically 40:2</p> <p>thereof 102:3</p> <p>thick 72:2</p> <p>thickened 93:5</p> <p>thin 72:1</p> <p>thing 26:4,8 28:14 52:23 66:24 67:18 82:18 88:14 91:6,22 98:15 101:22 102:4</p> <p>things 9:16 16:1 26:12 33:21 49:24 54:6,12 58:23 63:17 73:14 77:20 89:12 102:6, 17</p> <p>thinking 65:13</p> <p>thirty 107:21</p> <p>thought 5:15 10:1 18:2 51:2 77:16</p> <p>thoughts 15:2 23:13</p> <p>thousand 106:20</p>	<p>thousands 5:10 11:7,19 12:1</p> <p>time 6:14 11:4,14 12:9 18:9 20:20,21 21:5, 9,10 32:2,23 33:4 46:20 56:3,4 59:14, 24 78:14 82:16 85:14,16 87:5 105:21 106:22 107:9 109:4 121:11,12,23 122:3</p> <p>timed 33:3</p> <p>timeline 59:15 77:24</p> <p>times 11:18 102:24</p> <p>tissue 58:12</p> <p>today 7:6 8:15 9:14,24 12:20 14:12 15:1 50:9,22 54:23 55:21 68:1 99:3 105:24 108:2,13 109:12 116:9 117:4,17,20, 23 118:9,12,18 123:16</p> <p>told 50:11 52:9</p> <p>tons 7:3</p> <p>top 20:2,4,6 73:5 84:3 112:18</p> <p>topic 65:3,17,20 116:8 117:4</p> <p>total 33:6</p> <p>touching 30:19</p> <p>tract 34:11 65:15</p> <p>trained 113:12,15,18 114:1</p> <p>training 47:10</p> <p>trajectory 38:17 39:4,12 101:7, 12,20</p> <p>transcript 75:23</p> <p>transpiring 12:14</p> <p>transverse 48:11,13 49:19,21</p> <p>treatment 16:10,12 60:5,9,10 63:12,20 65:11</p> <p>tremendous 53:8</p> <p>trial 9:10 18:15 44:1 49:11 50:5 55:16 80:11 102:24 103:20 104:3 107:5,15 123:7</p> <p>trocar 22:15</p> <p>trouble 48:20</p> <p>true 40:6 53:19 64:7 65:4 102:7 106:15,16 109:1,4,5,8,14,19,23 110:24 112:17 120:11 121:7,9</p> <p>tube 81:17,18 87:6,7 90:7</p>
T					
<p>T-A-C-H-Y-O-D-D-I-A 67:4</p> <p>T2 30:17</p> <p>tachyoddia 67:3</p> <p>tail 69:11 70:6 84:9 86:9</p> <p>taking 11:12</p> <p>talk 9:13 12:20 21:18 38:7 45:22 59:6 79:11,12 116:15</p> <p>talked 6:2 11:15 12:3,9 32:10 49:5 73:14 87:16 97:14 112:3 114:17 116:4,9 117:4</p> <p>talking 31:3 47:1 51:23 68:1,2,23 78:15 88:9 89:14 112:1 117:17,</p>					



<p>91:23 92:7,18 97:7 98:16,17 tubes 92:12 96:15 tumor 113:3 twenty 107:16 Twenty-five 107:23 two-thirds 103:13 type 13:7 16:1 27:1 29:12 37:14,16 46:16 52:23 62:15 77:14 types 9:16 typically 110:16</p>	<p>vertebral 36:6,13 Victoria 104:23 video 12:15 videotapes 12:16 view 45:10,11 92:19 viewing 34:23 visualized 21:6</p>	<p style="text-align: center;">X</p> <p>X-RAY 79:23 80:2,16,21,24 81:8,9,15,16 85:5 87:24 88:4 90:5,6 91:15 92:5,8,10,11 93:12,13 96:14 97:16 98:5 X-RAYS 11:12 54:8 80:2,12 85:14 88:14 96:21 97:16</p>	
<p style="text-align: center;">U</p> <p>Uh-huh 71:17 73:7 123:23 ultrasound 19:10 21:8 29:22 32:11 122:15 uncinate 69:9 70:5 84:7 86:9 unclear 32:1 understand 49:12 62:16 70:11 understanding 42:19 59:18 Understood 110:17 unrelenting 56:5 upper 20:1 68:10 90:13,22 91:9,16 92:3,15 93:8 94:11,16 95:14 96:1, 12 97:3,4 upside 45:7,8 upstream 28:8</p>	<p style="text-align: center;">W</p> <p>W-E-L-A-N-D 104:7 W-O-O-D-L-A-N-D 5:23 wait 66:23 walk 67:15 73:19 wall 30:19 93:5 wanted 40:5 web 106:10 week 62:23 106:1,3 122:9 weekends 105:23 weeks 11:18 122:8 weird 13:9 Weland 104:7 wheat 115:7 whichever 100:21 white 24:8 25:22 27:3 42:1 44:17,18 45:24 47:20 86:16,20 93:9 wise 36:2 54:11 Woodland 5:22 words 6:12 9:14 15:7,14 16:19 17:12 29:13 35:7 56:18 59:1 63:11 working 9:2 105:24 worse 73:16 77:19 80:3,4 81:9,10 92:9,22 96:7 worsened 53:23 75:18 worsening 68:2 76:7 78:16,24 79:21 85:16 91:3,4 94:7 96:3 wound 93:17,18 written 36:22 37:7,8,13 64:19,22 65:2,7,17, 20 120:5 wrong 72:16 81:21 82:2,8, 17 wrote 116:19 117:9</p>	<p style="text-align: center;">Y</p> <p>year 6:16 13:11 54:19 years 11:10 12:3 103:14 yellow 24:9 25:22 26:22 68:18 71:5 83:24 85:9 87:7,11 90:8 92:22 97:23 98:1 Yield 116:15</p>	
<p style="text-align: center;">V</p> <p>vagina 61:21 97:11 vaginal 61:23 vaginogram 97:10 valves 25:1 variations 28:22 Vater 42:6 100:20 vein 20:6,7 69:8 vendors 29:3 venous 85:9 ventral 66:4 version 23:10 24:1,4 33:18 72:21 115:13,14,22, 23 versus 103:11,23 104:7,12, 15,19,23</p>			

