

0001

1 CAUSE NO. 2006-CI-09447

2) IN THE DISTRICT COURT
3 ROSALYN BROWN)
4 VS.)
5) BAPTIST HEALTH SERVICES,) OF BEXAR COUNTY, TEXAS
6) INC. and/or BAPTIST HEALTH)
7) SERVICES, INC., DOING)
8) BUSINESS AS ST. LUKE'S)
9) BAPTIST HOSPITAL) 131ST JUDICIAL DISTRICT
10
11

12 *****
13 ORAL DEPOSITION OF
14 BARBARA J. LEVIN, R.N.
15 FEBRUARY 7, 2008
16 *****
17

18 THE ORAL DEPOSITION OF BARBARA J. LEVIN, R.N., duly
19 sworn, produced as a witness at the instance of the
20 Defendant, VHS SAN ANTONIO PARTNERS, L.P., DOING
21 BUSINESS AS ST. LUKE'S BAPTIST HOSPITAL, was taken in
22 the above styled and numbered cause on the 7th of
23 FEBRUARY, 2008, from 8:56 a.m. to 10:34 a.m., before
24 Diana Ramos, CSR, RPR, Certified Shorthand Reporter in
25 and for the State of Texas, reported by machine
26 shorthand, at the Apffel Law Firm, 1406-C West Main,
27 League City, Texas, pursuant to the Texas Rules of Civil
28 Procedure and the provisions stated on the record.

0002

1 APPEARANCES:

2

3 FOR THE PLAINTIFF, ROSALYN BROWN:

4 Mr. E.A. "Trey" Apffel, III
5 APFFEL LAW FIRM
6 1406-C West Main
7 League City, Texas 77573
8 Tel: (281) 332-7800
9 FAX: (281) 332-7887
10 trey@apffellaw.com

11

12 FOR THE DEFENDANT, VHS SAN ANTONIO PARTNERS, L.P., DOING
13 BUSINESS AS ST. LUKE'S BAPTIST HOSPITAL:

14 Mr. Brett B. Rowe
15 EVANS & ROWE
16 Union Square
17 10101 Reunion Place, Suite 900
18 San Antonio, Texas 78216
19 Tel: (210) 384-3271
20 FAX: (210) 340-6664
21 bbrowe@evans-rowe.com

22

23

24

25

0003

1 INDEX

2 PAGE

3 Appearances.....	2
4 BARBARA J. LEVIN, R.N.	
5 Examination by Mr. Rowe.....	4
6 Signature and Changes.....	74
7 Reporter's Certificate.....	76

8

9

10 EXHIBITS

11 NUMBER AND DESCRIPTION PAGE

12 Exhibit 1.....	4
13 10-20-07 Letter to E.A. Trey Apffel, III, from David W. Edelstein, M.D.; Attached Correspondence, Medical Records	
15 Exhibit 2.....	4
16 11-1-07 Check to Barbara J. Levin from Apffel Law Firm; Attached Invoices, Check	
17 Exhibit 3.....	4
18 10-17-07 Letter to Trey Apffel, III, from Barbara J. Levin, RN, Curriculum Vitae and Fee Schedule of Barbara J. Levin, RN	
20 Exhibit 4.....	17
21 Curriculum Vitae of Barbara J. Levin, RN	
22 Exhibit 5.....	73
23 Handwritten Notes of Barbara J. Levin	
24	
25	

1 (Levin Exhibits 1 through 3 marked.)

2 THE REPORTER: Stipulations pursuant to
3 the Texas Rules?

4 MR. ROWE: Please.

5 BARBARA J. LEVIN, R.N.,

6 having been first duly sworn, testified as follows:

7 EXAMINATION (8:56 a.m.)

8 BY MR. ROWE:

9 Q. Please state your full name for the record.

10 A. Barbara J. Levin.

11 Q. Ms. Levin, my name is Brett Rowe and I
12 represent St. Luke's Baptist in this case. You
13 understand we're on opposite sides of the table here
14 today?

15 A. Yes.

16 Q. All right. It's also my understanding that
17 you've done this quite a few times, given a deposition.

18 Is that fair?

19 A. Yes, I have.

20 Q. So I don't have to go through all the ground
21 rules about not talking over one another and talking
22 slow so the court reporter can get everything. If you
23 need to take a break at any time, just let me know. All
24 right?

25 A. Yes.

0005

1 Q. In looking at your resume, it looks like you
2 have done nurse consulting for 20 years, since 1988?

3 A. Yes.

4 Q. What got you started in the business?

5 A. I was asked to review some medical records
6 related to a pedestrian and a motor vehicle and I was
7 asked specifically to explain all the orthopedic
8 injuries in that case.

9 Q. Okay. And about how many cases do you think
10 that you've reviewed since 1988?

11 A. Hundreds.

12 Q. How many depositions do you think you've
13 given?

14 A. About 40.

15 Q. How many times have you been to trial to
16 testify?

17 A. I think it was seven or eight times.

18 Q. Ever down here in Texas?

19 A. For which?

20 Q. Trials.

21 A. No.

22 Q. Whereabouts have those trials been?

23 A. Massachusetts, Florida, Rhode Island.

24 Q. I would assume then you've reviewed cases
25 where the care was rendered in Massachusetts, Florida,

1 Rhode Island and Texas?

2 A. Yes.

3 Q. Anyplace else?

4 A. Yes. Maine, New Hampshire, Connecticut,

5 Virginia, West Virginia, Georgia, Oklahoma.

6 Q. California?

7 A. No.

8 (Brief discussion off the record.)

9 A. Could I clarify one thing?

10 Q. (BY MR. ROWE) Sure.

11 A. When we speak about the hundreds of cases,

12 that is not always an expert.

13 Q. Okay. What are some of those cases as?

14 A. Some of those are files that have to do with

15 performing research, attending independent medical

16 exams, attending court mediations, maybe personal injury

17 claims.

18 Q. Okay. How many of those are malpractice

19 related?

20 A. A very small percentage of those are

21 malpractice related.

22 Q. How many malpractice cases do you think you've

23 reviewed?

24 A. I'm not sure in total. I don't know.

25 Q. Okay. Do you have any academic appointments?

0007

1 A. No, I do not.

2 Q. Tell me about your current employment status.

3 How much are you working hands on as a nurse and how
4 much are you doing nurse consulting in any given week,
5 average?

6 A. I work at Massachusetts General Hospital and

7 I'm providing direct patient care 28 hours per week. In
8 that role, I also am utilized as the charge nurse. I
9 orient new staff and mentor others as well.

10 Q. How long has it been that you've been working
11 28 hours a week over there?

12 A. Since 1988.

13 Q. Oh, that long. Okay. Has there ever been a
14 time when you worked less than 28 hours a week?

15 A. No.

16 Q. How much time on average do you spend
17 reviewing cases as a nurse consultant?

18 A. That has actually varied over the years and
19 the last two years has been less. It's -- it used to be
20 maybe ten or 20 hours a week and now I would say average
21 is some -- oftentimes less than ten because I'm working
22 on other projects.

23 Q. What other projects?

24 A. I'm working on some publications. I'm working
25 on research. I'm working on presentations as well.

0008

1 Q. At Mass General, are you working those 28
2 hours on an orthopedic floor?

3 A. Yes, I am.

4 Q. That's the only floor you work?

5 A. Yes, it is, unless I'm floating to another
6 unit.

7 Q. Got you. How often do you use abductor
8 pillows?

9 A. I use them regularly with our patients.

10 Q. Have you ever had an abductor pillow cause a
11 compression injury to a peroneal nerve?

12 A. I've cared for patients who have had that
13 occur to them.

14 Q. Okay. How many or how often is that?

15 A. Once in a while. They're actually -- they've
16 been patients that have transferred into us from other
17 facilities after their surgery or perhaps they're having
18 their other hip done and this was the result of their
19 first surgery, but it hasn't been the result of our
20 facility of patients that I've cared for.

21 Q. All right. Do you know that those injuries
22 were caused by an abductor pillow or were they caused by
23 the surgery itself?

24 A. We had been informed caused by an abductor
25 pillow.

0009

1 Q. You understand, though, that injuries to the
2 peroneal nerve or damage to the peroneal nerve can occur
3 simply as an inherent risk of the hip replacement
4 surgery. Correct?

5 A. I'm not sure -- I don't believe that I should
6 be the one to comment on that.

7 Q. That's -- and that's fair. And let's go ahead
8 and limit your expertise here. You're a nursing expert.
9 Correct?

10 A. Yes, I am.

11 Q. You don't give medical diagnoses like a
12 physician. Correct?

13 A. Correct.

14 Q. You're not going to be testifying as to what
15 the cause of Ms. Brown's nerve injury was in this case,
16 you're going to leave that to the physicians. Is that
17 fair?

18 A. That is, although as a nurse caring for
19 patients in -- total hip patients who have abductor
20 pillows, I teach and I -- and I practice that these
21 straps must be loose because it can cause peroneal nerve
22 injury, and we know that.

23 And I've had patients that have had straps
24 that have been too tight that we've had to loosen that
25 they've had some numbness and tingling and have had --

0010

1 that things have been fine once the straps have been
2 loosened. So in the nursing sense, I know that the
3 strap can cause a nerve injury.

4 Q. All right. Do you remember giving a
5 deposition in the Linda Billa case versus Dr. Dunlap
6 August of last year? It was about an abductor pillow
7 injury. Do you remember saying you weren't going to
8 testify about causation?

9 A. I could -- I probably did --

10 Q. Okay.

11 A. -- but I'm saying the nursing part here.

12 Q. Okay. I hear you. Do you agree that
13 determining the cause of the patient's peroneal nerve
14 injury was outside your area of expertise?

15 A. That would be a physician's. Correct.

16 Q. All right. Do you agree that a nerve injury
17 can occur intraoperatively?

18 A. It can.

19 Q. Do you agree that charting by exception is a
20 recognized means of charting?

21 A. Yes.

22 Q. And y'all use that at Mass General as well.
23 Correct?

24 A. No, we do not.

25 Q. Oh, you chart everything?

0011

1 A. We don't chart by exception.

2 Q. Okay. But that is recognized in that
3 standard. Correct?

4 A. That is recognized documentation format.

5 Q. All right. Foot drop is a known complication
6 of hip replacement surgery?

7 A. It can be.

8 Q. Are you still charging 185 an hour for review
9 of records?

10 A. No, I'm not.

11 Q. How much?

12 A. \$200.

13 Q. How much are you charging for deposition time
14 then?

15 A. I'm here for the day so it's a full day,
16 \$2,000.

17 Q. All right. When you work at Mass General, are
18 you still making \$27 an hour?

19 A. 27, no.

20 Q. How much are you making at Mass General per
21 hour as a nurse?

22 A. It's over \$70 an hour.

23 Q. \$70 an hour is what they're paying you? Is
24 that what they pay all the nurses there that work on the
25 orthopedic floor?

0012

1 A. No.

2 Q. Okay. Are you more than a regular floor nurse
3 then?

4 A. I'm the clinical scholar for the orthopedic
5 trauma department.

6 Q. Okay. What do ordinary nurses make on the
7 orthopedic floor; do you know?

8 A. It depends upon the years of experience.

9 Q. Okay. Can you give me a range, somebody that
10 starts out?

11 A. I don't know what the starting pay is.

12 Q. Okay. At one time was 45 percent of your
13 income derived from legal nurse consulting work?

14 A. Yes.

15 Q. Have you ever published on abductor pillows
16 and peroneal nerve injuries?

17 A. No, I have not.

18 Q. Have you ever published on orthopedic nursing
19 after hip replacement surgery?

20 A. In the general sense.

21 Q. And how so and which article would that be?

22 A. It isn't specific to hip but more the care of
23 an orthopedic patient.

24 Q. Okay. How did Trey find out about you to
25 review this case?

1 A. A colleague of mine had -- somebody that works
2 in his office referred him to me.

3 Q. And who's that colleague?

4 A. Janice Ferguson.

5 MR. ROWE: Janice got everybody for you
6 here.

7 Q. (BY MR. ROWE) Have you ever worked on cases
8 with Trey before?

9 A. No, I have not.

10 Q. Have you ever done work for a witness
11 brokerage service such as Medical Research Consultants?

12 A. Well, Medical Research Consultants if that's
13 the company that's owned by Doreen Wise.

14 Q. I'm not sure.

15 A. Is that her? I had -- if that's the one, I
16 had reviewed a few cases many, many years ago.

17 Q. Okay. Have you ever worked for Steven
18 Lerner & Associates? He's out in California.

19 A. No.

20 Q. Physicians for Quality? He's in San Antonio.

21 A. No.

22 Q. Any other groups that hook up nurses and
23 physicians with lawyers?

24 A. No.

25 Q. Ever advertise your services as an expert

1 witness?

2 A. No.

3 Q. In the malpractice setting, would you agree
4 that most of your work is done for the plaintiff as
5 opposed to the defendant?

6 A. I disagree.

7 Q. Okay. What's your split?

8 A. 50/50 --

9 Q. Has it always been --

10 A. -- just about.

11 Q. Has it always been 50/50?

12 A. It's pretty even. Yes, it has been.

13 Q. Have you ever had to give a deposition in a
14 federal court case?

15 A. Yes.

16 Q. Do you have a list of the cases that the
17 federal court makes you create of all the cases you've
18 given depositions in?

19 A. I used to.

20 Q. Do you know how -- do you still have that
21 list?

22 A. I don't.

23 Q. Have you worked with any other attorneys here
24 in Texas?

25 A. Yes, I have.

1 Q. Can you tell me their names?

2 A. Mr. Branson.

3 Q. Oh, up in Dallas?

4 A. Yes.

5 Q. Who else?

6 A. And there's one other. I don't remember their
7 name.

8 Q. Do you remember where they're at?

9 A. No. It's been a while.

10 Q. Okay. Ever work with any defense attorneys in
11 Texas?

12 A. The other attorney is a defense attorney.

13 Q. Okay. Are you working on any other current
14 cases right now?

15 A. For whom?

16 Q. For legal -- or medical malpractice cases.

17 Are you reviewing any other cases right now?

18 A. I will be. I was contacted to review --

19 Q. Okay.

20 A. -- another case.

21 Q. And not just in Texas but anywhere? Do you
22 have any open medical malpractice cases that you have?

23 A. I do.

24 Q. How many?

25 A. I don't know.

0016

1 Q. Okay. Less than 12?

2 A. I'm not sure because I save them and sometimes
3 I don't always obtain notices when things have settled
4 or if they've been dropped.

5 Q. Okay.

6 A. So I send letters out so I'm not sure.

7 Q. Ever reviewed a similar case to this one
8 beside the Billa case?

9 A. I don't believe so, no.

10 Q. If we have to go to trial, you'll be charging
11 \$2,000 plus the travel expenses to get to San Antonio
12 for trial?

13 A. Yes.

14 Q. Let me show you what I've marked as Deposition
15 Exhibit No. 1. Trey was kind enough to give it to me.
16 It's the correspondence between the two of y'all. Just
17 take a quick look through there.

18 Are you aware of any other correspondence
19 between the two of y'all?

20 A. That's it.

21 Q. And I have marked as Deposition Exhibit No. 2
22 the billing and checks to you. It looks like it's about
23 \$5500. Are you aware of any others?

24 A. This is correct.

25 Q. Okay. And I've marked as No. 3 a copy of your

1 report dated October 17, 2007, as well as a CV. Is that
2 your latest report?

3 A. Yes, that is.

4 Q. And is your CV up to date?

5 A. I have an up-to-date one for you.

6 Q. Oh, that would be great. I'm going to mark
7 your up-to-date one as Exhibit No. 4.

8 (Levin Exhibit 4 marked.)

9 Q. (BY MR. ROWE) Is there anything else that
10 needs to be added to Exhibit 4 to bring it up to date to
11 today?

12 A. I just taught at a patient safety conference
13 last week at Jordan Hospital.

14 Q. Okay. Have you ever given speeches about
15 abductor pillows?

16 A. A formal speech?

17 Q. Uh-huh.

18 A. No.

19 Q. And I guess that implies or begs the question
20 you must have done something informally --

21 A. Yes.

22 Q. -- at some kind of in-service or something?

23 A. Correct.

24 Q. Do you have any written materials from the
25 in-service?

0018

1 A. No, I do not.

2 Q. It was just verbal?

3 A. Yes.

4 Q. All right.

5 A. And demonstration.

6 Q. Okay. And what would have prompted that? Did
7 something happen at Mass General?

8 A. No.

9 Q. Okay. Before I jump off into this, can we
10 agree that you're not going -- it's not within your
11 expertise to tell the jury whether it was purely a
12 sciatic injury at the level of the hip that caused this
13 patient's foot drop as opposed to a peroneal injury at
14 the knee? Is that fair?

15 A. Correct.

16 Q. All right. Did you see a copy of the notice
17 for your deposition?

18 A. Yes.

19 Q. And I've already marked as Exhibit No. 1 all
20 of the correspondence between you and Trey. Correct?

21 A. Yes.

22 Q. The medical records you reviewed I think are
23 outlined in your report dated October 17, 2007.

24 Correct?

25 A. Yes.

1 Q. Have you done any medical literature search
2 for this case?

3 A. No.

4 Q. If you do, will you let Trey know because this
5 is my one and only opportunity to ask you questions and
6 I wouldn't want to be surprised at trial? Okay?

7 A. Yes.

8 Q. And you take a lot of notes and so --

9 A. Yes.

10 Q. -- what I'm going to do -- I see that you've
11 taken notes for each deposition that you reviewed.

12 A. Yes.

13 Q. Rather than go through all of those, I'm just
14 going to have the court reporter with Trey's office make
15 copies of your notes of the depositions if that's good.

16 A. Yes.

17 Q. Do you have -- is that more depositions there?

18 A. Yes.

19 Q. Can we add them to my stack here?

20 A. Yes, but I'll need them for the --

21 Q. Oh, you will?

22 A. For today, yes.

23 Q. Okay. Are those notes for depositions or are
24 those records that you have?

25 A. Depositions.

1 Q. All right. Did you keep any notes completely
2 apart from just summaries of the depositions that you
3 were reading?

4 A. Yes.

5 Q. And where are those?

6 A. Right here.

7 Q. On that notepad?

8 A. Yes.

9 Q. They're hiding under the clear first page?

10 A. Yes.

11 Q. Okay. I'm going to need a copy of that, too.

12 A. That's fine.

13 Q. So we've got this stack, we've got that stack
14 and we've got all the notes in there. Any other notes
15 that you would have taken?

16 A. No. That's --

17 MR. APFFEL: Just for purposes of the
18 record, can we identify the deposition notes as, for the
19 record, deposition notes --

20 MR. ROWE: That would be fine.

21 MR. APFFEL: -- and then her other review
22 notes as review notes?

23 MR. ROWE: That's fine.

24 Q. (BY MR. ROWE) These -- the top of each of
25 these notes indicates the deposition that you were

1 reviewing?

2 A. That is correct.

3 Q. Okay. Good. So we'll be able to at least see

4 that. We've got notes for the deposition of the

5 plaintiff, Dr. Pontius, Dr. Johnston-Jones,

6 Dr. Shoumaker -- I promise I'll give them back -- Nurse

7 Dart, or actually a physical therapist, LeClair, Nurse

8 LeClair, Nurse Rhine, R-H-I-N-E, Nurse Arias, A-R-I-A-S,

9 and Nurse Hunter and Virginia Perry. And then --

10 A. Thank you.

11 Q. We had a -- is there any title on those notes

12 there?

13 A. No.

14 Q. Those are just notes in general about the

15 entire case?

16 A. From -- just extracted from the medical

17 records, no opinions.

18 Q. Okay. And we'll just attach all of those as

19 Exhibit 5. All right. And it looks like you reviewed

20 the medical records that Trey's office sent you in the

21 binder. Correct?

22 A. Yes.

23 Q. You reviewed some discovery answers that I

24 filed -- that my office filed. Correct?

25 A. Yes.

1 Q. And the only thing I haven't seen are in the
2 green folders. What's in there?

3 A. Well, this had my CV in it.

4 Q. Okay.

5 A. And this was --

6 Q. The notice?

7 A. -- the notice.

8 Q. Okay.

9 A. And this is the correspondence.

10 Q. Which we already have copies of?

11 A. Right. And your expert reports.

12 Q. Okay. That will work. Have you spoken with
13 anybody besides Trey about this case?

14 A. No, I have not.

15 Q. All right. You've never spoken with the
16 plaintiff, you've never spoken with the treating
17 physicians involved, any of the experts?

18 A. No.

19 Q. Is the first time you met Trey this morning?

20 A. Yes.

21 Q. Over breakfast?

22 A. Yes.

23 Q. Did you share the contents of your report with
24 Trey before finalizing it? And I'm talking about the
25 October 17, 2007, report.

1 A. Well, we spoke beforehand because I wanted to
2 make sure that the format was proper for what your state
3 requires.

4 Q. Okay. Did he suggest any changes that needed
5 to be made to the report?

6 A. No.

7 Q. Do you anticipate doing any more work on this
8 case?

9 A. I guess it depends if it's going to trial or
10 if there's something in addition that you need me to
11 review.

12 Q. Okay. Is your report a fair summary of your
13 opinions?

14 A. Yes, it is.

15 Q. Do you need to make any changes to your report
16 at this time?

17 A. Yes.

18 Q. You do? Okay. Tell me the change you need to
19 make.

20 A. There were a couple of dates in the medical
21 records that -- a couple of pages that didn't have dates
22 so my -- so my criticism -- let me just find it. My
23 criticism of them not documenting the medications would
24 not be correct --

25 Q. Okay.

1 A. -- because I found the page that had the
2 medications documented.

3 Q. All right. Even so, even if you hadn't found
4 that, did the medications cause the foot drop in this
5 patient?

6 A. No.

7 Q. Okay. Did failing to document medications
8 cause the foot drop in this patient?

9 A. I found them and they were documented.

10 Q. Okay. But even if they weren't documented, do
11 you agree with me that failing to document medications
12 didn't cause this foot drop?

13 A. It didn't cause, but it goes along with the
14 nursing process in documenting the treatment that you're
15 giving a patient.

16 Q. I hear you. You understand, though, that this
17 isn't just about breaches of the standard of care but
18 it's also breaches of the standard of care that caused
19 the injury in this case?

20 A. Yes.

21 Q. All right.

22 MR. APFFEL: Was that the only other --
23 was that the only change?

24 A. And the intake and output, that was the
25 other -- I found a sheet that has the intake and output

0025

1 on it and I hadn't -- I was able to figure out the date
2 on it from the handwriting.

3 Q. (BY MR. ROWE) All right. And, again, the I's
4 and O's didn't cause the failing -- even if you couldn't
5 have found that, the failing to document I's and O's
6 didn't cause this patient's foot drop. Is that correct?

7 A. That's correct.

8 Q. Have you had an opportunity to review all the
9 information you need for your report to be complete?

10 A. Yes.

11 Q. Let me start with the consent form. You
12 routinely as a nurse get consent forms signed for
13 surgery. Correct?

14 Maybe not on the orthopedic floor then.

15 Those are going to be the surgical nurses?

16 A. No. Actually in our facility the physicians
17 obtain the consents.

18 Q. Are you aware in other states that nurses are
19 allowed to get the consents after the physician gives
20 informed consent?

21 A. Yes.

22 Q. Have you ever seen the Texas Medical
23 Disclosure Panel's List A procedures that require
24 disclosure? Do you have any idea what I'm talking
25 about?

1 A. No.

2 Q. Do you have any role in getting consent from
3 the patient before hip replacement surgery?

4 A. My only role is to make sure there is a
5 consent.

6 Q. Okay. In Massachusetts, does that consent
7 include the inherent risks to the procedure?

8 A. Can you repeat that?

9 Q. Sure. In Massachusetts, does the consent form
10 that the patient signs before hip replacement surgery
11 include the inherent risks to that procedure?

12 A. Yes.

13 Q. Are you aware that an inherent risk of hip
14 replacement surgery is impaired function such as foot
15 drop?

16 A. Yes.

17 Q. Is that something that appears on the
18 Massachusetts form?

19 A. The physicians actually fill out the form and
20 they -- and they write in it so it's not a -- it's not a
21 pre-typed form.

22 Q. Oh, it's not a standard form?

23 A. No.

24 Q. Do you have anything to do with filling the
25 form out before the physician gives informed consent?

0027

1 A. No.

2 Q. Is the physician in Massachusetts required to
3 fill out the form himself or can the nurse fill it out
4 for him?

5 A. The practice is on my unit, we do not complete
6 any procedure -- or consent forms at all.

7 Q. Okay.

8 A. Our role is specifically to make sure the
9 doctor hasn't been -- has been informed if a patient
10 doesn't have a consent and also the anesthesiologist as
11 well.

12 Q. Okay. You understand what an inherent risk is
13 of a procedure?

14 A. A risk that can occur as a result of the
15 procedure.

16 Q. And one that can't necessarily be avoided. Is
17 that fair?

18 A. Okay.

19 Q. I don't want to put words in your mouth. Is
20 that your understanding?

21 A. It is. I don't do consents but...

22 Q. But you do understand that an inherent risk of
23 hip replacement surgery includes nerve injuries and foot
24 drop. Is that fair?

25 A. It can.

0028

1 Q. And that can happen even when everybody acts
2 reasonably in the care of a patient. Fair?

3 A. It can.

4 Q. In this case, are you aware of the anesthesia
5 that was provided to this patient?

6 A. Yes.

7 Q. And was she receiving a block?

8 A. Yes.

9 Q. And on an orthopedic floor, you all would know
10 what the effects of a block would be. Correct?

11 A. Yes.

12 Q. And what are those?

13 A. It depends on what kind of block it is, if
14 it's a short-acting block or a long-acting block.

15 Q. Do you know in this case if it was
16 short-acting or long-acting?

17 A. I had a hard time reading what medication was
18 used to know if it was short-acting or long-acting.

19 Q. The anesthetic record is a little I guess
20 difficult to read is the nice way to put it.. So you
21 can't tell us how long you would have expected that
22 block to work. Is that fair?

23 A. That is correct.

24 Q. And while that block is working, you're going
25 to expect to have changes. You're going to expect the

0029

1 patient not to feel pain in that extremity. Correct?
2 A. I can't necessarily agree with that because
3 when a patient comes up to the floor postoperatively, we
4 do a full neurovascular assessment. We do a full
5 assessment head to toe and we would find out at that
6 time comparing both legs what the assessment is.

7 If the patient at that time does not have
8 any sensation or motion, then I would be contacting the
9 physician to ask him how long would he expect this to go
10 on for.

11 Q. What's the purpose of the block?
12 A. To help diminish pain --
13 Q. Postoperatively?
14 A. -- postoperatively. Correct.
15 Q. So if it's working effectively, it's going to
16 reduce the pain. Correct?

17 A. Yes.
18 Q. All right. In this case, are you also aware
19 that Dr. Pontius placed the abductor pillow in the
20 operating room?

21 A. That is correct.
22 Q. Do you believe that it was changed at any time
23 after that from the way that he placed it?
24 A. Yes.
25 Q. Was that when the sequential compression

1 devices were placed?

2 A. Yes.

3 Q. And those are placed underneath of the

4 abductor pillow. Correct?

5 A. Correct.

6 Q. And are you aware that Dr. Pontius probably

7 saw the patient in the PACU?

8 A. Yes.

9 Q. And are you aware of any of his findings which

10 would indicate that she had any peroneal nerve injury or

11 even a sciatic nerve injury at the time that he saw the

12 patient in the PACU?

13 A. No. He did not state that.

14 Q. Okay. Will you agree with me that if this was

15 a sciatic nerve injury at the level of the hip, then it

16 was immaterial how tight the strap was on the abductor

17 pillow with respect to the peroneal nerve injury?

18 A. I disagree.

19 Q. Have you read Dr. Roman's report dated

20 December 7, 2007, the neurologist? He's one of my

21 experts. It's going to be under my expert reports.

22 A. I did.

23 Q. The conclusion indicates, "Since the foot drop

24 was caused by a lesion of the sciatic nerve upstream in

25 the hip at the time of the total hip replacement, the

1 discussion about an alleged compression of the peroneal
2 nerve by a strap of the abductor pillow in the immediate
3 postoperative period is irrelevant to the causation of
4 the injury." Do you disagree with that?

5 A. I'm not a physician to address what the
6 causation issues are here, but as a nurse and knowing
7 that what we teach and what we practice is to be certain
8 that the strap is not too tight to cause a peroneal
9 nerve injury --

10 Q. Fair to say then that you're --

11 A. The strap was very tight.

12 Q. And how do you know that?

13 A. Dr. Pontius had stated that he found the
14 patient with a strap that was too tight and stated that
15 there were problems.

16 Q. And did you read Dr. Pontius's deposition
17 where he indicated that he felt this was a peroneal
18 nerve injury as opposed -- a sciatic nerve injury as
19 opposed to a peroneal nerve injury?

20 A. I believe that he felt that there were two
21 injuries, that there was a sciatic nerve injury and
22 there was also a peroneal nerve injury.

23 Q. Did you read his affidavit where it
24 indicates -- he says, "An intraoperative cause is the
25 most probable cause of this patient's injury"?

0032

1 A. I did see that. And he also stated, "It's my
2 opinion that there was a separate incident of damage
3 harm to the nerve in the thigh area as well," and he
4 talks about there was a separate occurrence at the knee,
5 the common peroneal area caused by tethered swelling
6 pressure from the strap placing the pressure on the
7 nerve.

8 Q. The SCD that was underneath of the abductor
9 pillow, that has an alarm on it. Correct?

10 A. There is an alarm on the SCD. I'll let you
11 ask me before I speak too much.

12 Q. Well, no. It does have an alarm. Correct?

13 A. There is an alarm.

14 Q. How does the alarm work?

15 A. The alarm can work for a couple of reasons.
16 The alarm can work if something is too loose or if
17 they're -- the boots are on too tight as well.

18 Q. And you don't want the boots on too tight
19 because they can cause a compression injury. Right?

20 A. They can.

21 Q. Would you expect an alarm to sound from the
22 SCD if the abductor strap pillow -- abductor pillow
23 strap was too tight on the SCD?

24 A. Not necessarily, no.

25 Q. Why not?

0033

1 A. Because it depends how tight those SCD's are
2 around the leg to then know how much give there will be
3 underneath as they're having air being inserted and
4 depressing.

5 And when you have the abductor pillow
6 strap on, it's only touching one part of the SCD. It's
7 not going all the way around to compress it. That then
8 would make it high pressure for the other areas.

9 Q. Okay.

10 A. So I -- we've seen this and the alarms are not
11 going off.

12 Q. I'm not following you here. Why would not the
13 SCD -- if you're telling me that the strap from the
14 pillow is too tight -- and that strap is on the SCD.

15 Correct?

16 A. Yes.

17 Q. If that strap is too tight -- does the strap
18 go all the way around the leg?

19 A. No, it does not.

20 Q. It attaches in the pillow so it goes -- it
21 makes a U around the leg. Correct?

22 A. Correct, yes.

23 Q. All right. But if you're saying that that
24 strap is so tight that it's going to cause a compression
25 injury and that strap is on the SCD, why would it not be

1 compressing the SCD such that the alarm would go off?
2 A. Because it's displacing the air to the
3 other -- to the other parts of the SCD. The pressure is
4 actually on -- on one specific area, and that's where
5 the peroneal nerve is is at the fibular head, so it's
6 that pressure.

7 You're not having pressure on the inside
8 where your abductor pillow is. There's plenty of area
9 for the SCD to inflate and deflate. The times that
10 we'll see the pressure alarm go off will be if the -- if
11 there's no place for the boot to expand to, the SCD to
12 expand to.

13 And when you have a strap that's only
14 going around that area and if the SCD's are loose
15 enough, you'll have plenty of room for that air to be
16 displaced.

17 Q. All right. Are you aware of any literature
18 discussing the interplay between an abductor pillow and
19 an SCD and a peroneal nerve injury?

20 A. An inner plate? Did you say inner plate?

21 MR. APFFEL: Interplay.

22 Q. (BY MR. ROWE) Interplay.

23 A. Oh, interplay. I'm sorry. I can't cite a
24 specific source, but I am aware of literature talking
25 about placement of all of those to avoid pressure on the

1 peroneal nerve.

2 Q. All right. Have you ever seen this happen?

3 A. I have seen the straps be too tight and a

4 patient is having some numbness and tingling and we've

5 loosened the straps, but not a foot drop.

6 Q. Okay. So at Mass General, you have had

7 abductor pillow straps that were too tight?

8 A. Yes.

9 Q. In those cases, were SCD's also applied?

10 A. I -- I'm not sure if in every one. I don't

11 know.

12 Q. All right. Have you ever seen a case where an

13 SCD was applied and an abductor pillow strap was too

14 tight and a peroneal nerve injury occurred? Have you

15 personally ever seen one?

16 A. No, I have not.

17 Q. Okay. Are you aware of any peer-reviewed

18 journal article that discusses that, use of an SCD and

19 an abductor pillow strap causing a peroneal nerve

20 injury?

21 A. I'm not sure.

22 Q. If you come up with any, you need to let Trey

23 know so that I can be forewarned before we go to trial.

24 Is that fair?

25 A. Yes.

0036

1 Q. Of the cases where you had abductor pillow
2 straps too tight, the peroneal nerve injury resolved.

3 Correct?

4 A. Yes, it did.

5 Q. It did not result in a foot drop?

6 A. Correct.

7 Q. Do you know how tight these abductor pillow
8 straps need to be to cause a compression injury? Do
9 they need to be higher than the systolic blood pressure
10 or do you have any idea?

11 A. I don't know.

12 Q. How tight is too tight; do you know?

13 A. To put it in measurable terms, the straps if
14 they're pulling in -- I guess everyone's anatomy is a
15 little different, too, but if they're pulling in and
16 you're putting your hand there and it's tight -- I don't
17 know how to describe measurable numbers to you, but you
18 know when you're putting your hand in the strap on the
19 outer part of the leg, not on the inner part or not on
20 the top either because there is -- there is room there.

21 Q. Okay. So it's not an exact science?

22 A. It's not an exact science, but placement of
23 the strap; and if it's tight, all you need is some
24 pressure over that peroneal nerve to cause an injury
25 such as, you know, if I can say, a CPM machine. You

0037

1 have to be careful with the leg because the knob can rub
2 against where the peroneal nerve is and you can have a
3 peroneal nerve injury from pressure just from that
4 knob --

5 Q. All right.

6 A. -- so it's pressure.

7 Q. Do you know that an injury is a result of the
8 amount of pressure applied and the duration of the
9 pressure applied?

10 A. Do I know what? I'm sorry?

11 Q. Do you know that that's what causes a peroneal
12 nerve injury, the amount of pressure applied and the
13 duration that that amount of pressure is applied?

14 A. Yes, that could be.

15 Q. Okay. Can you describe the mechanism of
16 injury to the peroneal nerve as a result of an abductor
17 pillow strap or is that causation, that's best left to
18 the physicians?

19 A. Well, actually as a nurse we know to avoid
20 having the strap be too tight because what it does is it
21 compresses the peroneal nerve so it's the act of
22 compression.

23 Q. Okay. Does that compression reduce blood flow
24 to that nerve? What exactly is the mechanism of injury
25 to the peroneal nerve?

0038

1 A. It's not blood flow to the foot. You'd still
2 expect to see a pink foot, capillary refill return.
3 It's when you compress a nerve, then you end up having
4 decreased sensation. You may start out with numbness
5 and tingling and then soon have no sensation to that
6 area where the nerve goes to.

7 Q. At the site of the nerve injury, is the injury
8 to the nerve because it's not getting adequate blood
9 flow or what is the mechanism of injury in that peroneal
10 nerve; do you know? Or is that best left to a
11 neurologist?

12 A. I'll leave that to the neurologist.

13 Q. All right. Are you aware that a nerve doesn't
14 have to be completely damaged for it to have -- or that
15 a nerve can have partial downstream damage as opposed to
16 full damage, the sciatic nerve breaks off into the
17 peroneal and the tibial nerves?

18 A. Correct.

19 Q. You can have an injury of the sciatic nerve at
20 the hip that only affects the peroneal distribution as
21 opposed to the peroneal and the tibial. Did you know
22 that?

23 A. In this case, I'm not -- I'm not sure about
24 that because we have so many people who are stating that
25 there are two separate injuries here and one being

0039

1 specifically due to compression of the peroneal nerve.

2 Q. All right. You agree you're not a

3 neurologist. Correct?

4 A. Correct.

5 Q. You don't do EMG's and nerve conduction

6 studies?

7 A. Correct.

8 Q. Would you defer to those people that did the

9 EMG's and nerve conduction studies with respect to

10 the -- where the damage was on this nerve?

11 A. Yes.

12 Q. Okay. You're looking at me like you want to

13 take a break.

14 A. Oh, no, no. I just was sort of looking

15 around.

16 Q. You're not going to differentiate the damage

17 caused as a result of the sciatic nerve injury at the

18 hip versus the damage caused by an alleged injury at the

19 peroneal nerve behind the knee, are you?

20 A. Well, if asked, I can talk about the nursing

21 implications of such.

22 Q. Correct. You can talk to me about standard of

23 care for nursing and how you believe they breached it.

24 Correct?

25 A. Yes.

1 Q. But you're not going to talk about causation
2 with respect to what caused the foot drop, whether it
3 was sciatic nerve at the hip or peroneal nerve at the
4 knee. Is that fair?

5 A. Well, from a nursing standpoint, talking about
6 the impact of having an abductor pillow strap that's too
7 tight --

8 Q. All right.

9 A. -- and that's still nursing.

10 Q. All right. Can you tell me what percentage of
11 her foot drop is caused by the sciatic nerve injury at
12 the hip versus an alleged peroneal nerve injury at the
13 knee?

14 A. No, I cannot.

15 Q. All right. Neither could Dr. Edelstein. I
16 just wanted to make sure neither one of y'all were going
17 to be telling me.

18 From your reading of the information, do
19 you agree that there was an injury to the sciatic nerve
20 at the level of the hip where the operation occurred?

21 A. According to a variety of physicians, they
22 have believed that to be so as one of the injuries.

23 Q. All right. Based upon the information that we
24 have about the block that this patient received for
25 postoperative pain control, based upon a known injury to

0041

1 the sciatic nerve at the level of the hip, based upon
2 the fact that we have a nursing note at 8:00 a.m. the
3 next morning indicating that there was complication of
4 the surgery, can you tell me at what time a nurse or the
5 patient should have been aware of changes in the
6 peroneal nerve distribution? Can you tell me what time
7 that should have occurred?

8 A. Well, the first assessment would have provided
9 a foundation to know where Ms. Brown is at and that
10 would be upon her admission to the unit from the
11 recovery room.

12 Q. Okay.

13 A. So a full assessment would be done to
14 determine what is she able to do and what is she unable
15 to do.

16 Q. And what time was that?

17 A. I believe she arrived on the floor at 1705.

18 1705.

19 Q. Any indication there that she had any
20 complication with her sciatic nerve or peroneal nerve?

21 A. No.

22 Q. All right. Would you expect her to be able to
23 complain of problems that would indicate a complication
24 with the sciatic nerve or peroneal nerve based upon the
25 fact that she had a block and she was on pain

1 medication?

2 A. Maybe, maybe not. I don't know the duration

3 that the blocks would last.

4 Q. Okay. Can you tell me -- from 5:00 p.m. until
5 we have a note at 8:00 a.m. the next morning that there
6 was a complication with either the sciatic nerve or the
7 peroneal nerve, can you tell me at what time that there
8 should have been documentation or should have been
9 observation of a sciatic nerve or peroneal nerve? And
10 then I'm going to ask you how you can tell what time.

11 A. I would state that evening on the 14th that if
12 things were abnormal -- unfortunately, there's no
13 postoperative note to define what the patient was able
14 to do or not able to do.

15 But if things were abnormal, then you
16 would be following that patient on a regular -- doing
17 regular assessments on that patient every hour to
18 determine if the patient's able to move the foot, do
19 they have full sensation. And if by four hours later
20 there's nothing that's returned, initiate a call again
21 to the physician to find out, you know, why hasn't this
22 patient regained sensation or movement and/or notify
23 him.

24 Q. Okay. That's not exactly what I was asking.

25 A. I understand that.

1 Q. What I'm asking is, can you tell me or tell
2 the jury at what time overnight this patient's foot drop
3 should have been identified? And then I'm going to ask
4 you, what evidence do you have of that?
5 A. The changes, which sensation then goes with
6 the foot drop, should have been identified in the
7 evening if regular assessments would have been done.
8 Unfortunately, there is no documentation to state that
9 anything was abnormal on the evening or the night
10 shifts.

11 Q. Okay. At what time do you think these things
12 should have been identified and why do you think it
13 would have been in the evening?

14 A. I would state by 10:00 o'clock before the
15 night shift --

16 Q. Okay.

17 A. -- comes in. Approximately 10:00 o'clock.
18 And why? Because you've had a period of time the
19 patient was out of the operating room. There's that
20 amount of time, eight hours -- let me make sure of the
21 time. So almost eight hours postsurgery there should be
22 some type of recovery with sensation and movement of
23 that leg.

24 Q. All right. Any indication at that time that
25 there was a foot drop developing?

- 1 A. There's no documentation of that.
- 2 Q. All right. When were the SCD's applied?
- 3 A. 8:00 p.m.
- 4 Q. And when the SCD's were applied, the abductor
- 5 pillow straps necessarily had to be removed. Correct?
- 6 A. Yes, they did.
- 7 Q. At the time that the abductor straps were
- 8 removed and the SCD's were applied, is there any
- 9 indication that the nurses charted anything irregular
- 10 about that leg?
- 11 A. No, they did not.
- 12 Q. And charting by exception means that that
- 13 means it was normal. Correct?
- 14 A. Charting by exception means that things have
- 15 not changed since the last time you documented.
- 16 Q. All right. Do you believe that the injury to
- 17 the -- you believe there was an injury to the peroneal
- 18 nerve. Correct?
- 19 A. Yes.
- 20 Q. Caused by the abductor strap. Right?
- 21 A. Yes.
- 22 Q. Was it apparent at 8:00 p.m. when the SCD's
- 23 were applied?
- 24 A. I don't know what time. I don't know.
- 25 Q. Okay. Was the injury to the peroneal nerve,

1 did it occur before 8:00 p.m. when the SCD's were
2 applied?

3 A. I don't believe so.

4 Q. Why not?

5 A. Because Dr. Pontius specifically applied the
6 straps very loosely with the nurse.

7 Q. Okay. And then he asked that they be -- then
8 again, nurses are trained to apply the straps loosely.

9 Correct?

10 A. They should be.

11 Q. Okay.

12 A. It doesn't always happen.

13 Q. Sure. The doctor should apply the straps
14 loosely. Correct?

15 A. They should. He made a specific point of
16 having that documented.

17 Q. Do they always apply the straps loosely,
18 physicians?

19 A. I don't know what they all do.

20 Q. Okay. You're going to give the nurse the
21 benefit of the doubt that she would have complied with
22 her training and applied the straps loosely?

23 A. Which nurse?

24 Q. The nurse that applied the abductor pillow at
25 8:00 p.m. when the SCD's had to be applied.

1 A. I don't know that to be so.

2 Q. Okay. Are you going to tell the jury that a
3 peroneal nerve injury can develop and cause foot drop
4 within two hours if the abductor pillow was reapplied at
5 8:00 p.m. and you say that at 10:00 p.m. they should
6 have identified problems in the sciatic or peroneal
7 nerve?

8 A. You would see problems. Changes would
9 develop, change in sensation, motor.

10 Q. Any indications that this patient ever
11 complained of sensory or motor deficits from
12 10:00 p.m. -- are you now saying that the care rendered
13 before 10:00 p.m. is okay?

14 A. I can't specifically state because there isn't
15 a lot documented in there.

16 Q. All right. Do you believe that -- before
17 10:00 p.m. when the SCD's were applied and the abductor
18 pillow strap had to be removed, do you believe that
19 anything before 10:00 p.m. caused the peroneal nerve
20 injury you allege occurred?

21 A. Prior to 10:00 p.m. -- I'll have you repeat
22 that. I'm sorry.

23 Q. No problem. We agree at 10:00 p.m. the SCD's
24 were applied so the abductor pillow straps had to have
25 been removed. Correct?

0047

1 A. At 8:00 p.m.

2 Q. At 8:00 p.m. How did I get 10:00 p.m.? Oh,

3 that's when you say it should have been discovered.

4 A. At 8:00 p.m.

5 Q. At 8:00 p.m. Do you have any criticisms of

6 any of the care before 8:00 p.m. that would have caused

7 a peroneal nerve injury? Did the abductor strap cause a

8 peroneal nerve injury before 8:00 p.m.?

9 A. I don't know.

10 Q. Okay. Why don't you know?

11 A. The nurse from 3:00 to 7:00 didn't document a

12 note and so they didn't do a baseline assessment --

13 neurovascular assessment of the patient to know where

14 we're starting at.

15 Q. Okay. If -- you assumed that a nurse would

16 have to remove the abductor pillow strap at 8:00 p.m. to

17 apply the SCD's. Correct?

18 A. Correct.

19 Q. If they would have seen something untoward,

20 something unusual, you would expect them to document

21 that. Correct?

22 A. Yes.

23 Q. Is there anything in the chart that indicates

24 that they saw anything unusual when they removed the

25 abductor pillow strap at 8:00 p.m. to apply the SCD's?

1 A. No.

2 Q. Okay. Are you going to say there was
3 something unusual at 8:00 p.m. that just wasn't
4 documented?

5 A. No. I don't know that.

6 Q. Okay. So then do you believe anything before
7 8:00 p.m. caused a peroneal nerve injury or did it occur
8 thereafter? I'm just trying to limit the time we're
9 going to argue about it today.

10 A. I understand that. It's difficult to state
11 because there is lack of documentation in there.

12 Q. All right. Will you defer to the nurse who
13 was caring for this patient and assume that with
14 charting by exception they would have charted something
15 at 8:00 p.m. when they took off the abductor pillow
16 straps if they had seen something they should have
17 documented?

18 A. I really can't defer to her because she states
19 that everything was normal through the night, plantar
20 and dorsiflexion, and you don't go from being totally
21 normal to all of a sudden having no sensation and no
22 movement and a foot drop. It just -- it just doesn't
23 happen just like that. It evolves over time.

24 Q. And you believe it's a progressive injury?

25 A. Yes.

0049

1 Q. What would explain a more sudden change in
2 sensation and motor function would be the fact that the
3 patient's coming out of the block and becoming less
4 obtunded after surgery. Is that fair?

5 A. Well, the patient's not obtunded on the floor.
6 They're alert and oriented when they come to the floor.

7 Q. All right. They do have a block, though?

8 A. Yes.

9 Q. And they are on pain medications. Correct?

10 A. Yes.

11 Q. So you expect that the block and the pain
12 medications are going to deaden the patient's pain.
13 Correct? That's the purpose?

14 A. Yes, although she wasn't using any pain
15 medication initially.

16 Q. Okay. She's just status post hip and she
17 didn't have any pain medication?

18 A. She had it, but she didn't -- I believe she
19 wasn't using it right away.

20 Q. Okay. A peroneal nerve injury will cause
21 pain. Correct?

22 A. It can.

23 Q. All right. Where does it cause pain?

24 A. It can cause pain at the site and it can cause
25 pain going down the leg.

0050

1 Q. Okay. You indicated the patient was not on
2 pain medications. Why then would she not have
3 complained of pain behind the knee with the peroneal
4 nerve -- where you allege the peroneal nerve was being
5 compressed by the abductor strap or down the leg?

6 A. They don't necessary -- they don't always have
7 pain.

8 Q. Okay.

9 A. They could have the numbness that has -- that
10 has occurred.

11 Q. Okay. Do you see any indication in the record
12 that she complained of pain or numbness overnight until
13 8:00 a.m. when the nurse documented it?

14 A. No. Only in her deposition.

15 Q. All right. Let me go back to 8:00 p.m. Do
16 you think the strap that Dr. Pontius put on was too
17 tight until 8:00 p.m.?

18 A. No.

19 Q. All right. We have now gotten rid of
20 everything before 8:00 p.m., is that fair, because that
21 did not cause a peroneal nerve injury?

22 A. Unless the nurse upon turning redid the
23 straps.

24 Q. Okay. Are you aware of any evidence
25 whatsoever for the jury to presume or assume that that

1 occurred before 8:00 p.m.?

2 A. No, I don't know that.

3 Q. Okay. That would just be a guess?

4 A. Yes.

5 Q. Okay. Do you believe the nurse that applied

6 the SCD's and then reapplied the abductor strap

7 pillow -- or the abductor pillow strap applied it too

8 tightly at 8:00 p.m.?

9 A. Yes.

10 Q. And what evidence do you have of that?

11 A. It could have been 8:00 p.m. or sometime

12 during her shift. The evidence that I have is that at

13 8:00 a.m. there was numbness and there was foot drop and

14 Dr. Pontius found the strap too tight, found that there

15 were problems with this situation with the nursing

16 staff, that he spoke with the nurse and went to the

17 nursing supervisor to speak about it.

18 Q. Okay.

19 A. To prevent it from ever happening again.

20 Q. All right. Are you aware that the discharge

21 summary written by Dr. Pontius indicates that they first

22 thought this was a peroneal nerve injury and

23 subsequently developed to be a sciatic nerve injury?

24 I don't have -- actually I have a page

25 number, 24, if y'all's are the same as mine. It's the

0052

1 discharge summary.

2 A. When was it dictated?

3 Q. October 27, 2004. Yes, it was six months

4 after.

5 A. Right.

6 Q. When he would have even known more about what

7 had happened to this patient. Correct?

8 A. When you're reflecting back, this is six

9 months later, but at that time he's at the bedside and

10 he himself found the straps much too tight that he took

11 action about that.

12 Q. Correct.

13 A. And he was upset about that.

14 Q. Okay. But the point is that after he's had

15 time to review more information, probably including

16 EMG's and nerve conduction studies, he writes they first

17 thought it was a peroneal nerve injury but subsequently

18 it developed to be a sciatic nerve injury?

19 A. He did write that.

20 Q. All right. And then the next sentence he

21 writes in his discharge summary is, "The perioperative

22 care as well as the postoperative care was evaluated and

23 felt that the sciatic nerve injury occurred

24 intraoperatively through an unknown mechanism."

25 A. That's what he wrote.

1 Q. All right. Do you have any basis to disagree
2 with Dr. Pontius's opinion of the cause of this
3 patient's foot drop being the sciatic nerve as opposed
4 to what they first thought was a peroneal nerve injury?

5 A. Well, he's also subsequently testified that he
6 felt that there were two separate incidents, and that
7 was even a little later reflecting back in time. So
8 he's stating that there's two separate incidents as well
9 as other treating physicians felt that there were two
10 different incidents that occurred --

11 Q. All right.

12 A. -- so sciatic and also due to the abductor
13 strap.

14 Q. Did you read his -- in his deposition where
15 the abductor strap was just a possibility of an injury?

16 A. Could you tell me which page it is?

17 Q. Yeah. I'm looking in his deposition. It
18 looks like Page 97. He indicates at the time he
19 loosened the strap he thought she just had a peroneal
20 nerve injury. Subsequent to that, it turned out she had
21 a sciatic nerve injury.

22 She's seen Dr. Shoumaker. He thinks it's
23 a sciatic nerve injury and the peroneal branch is just a
24 part of that. Maybe the thing with the pillow was
25 spurious.

1 And then on Page 101, he indicates
2 external compression by the abductor pillow in his mind
3 is a possibility and he's not saying that it was a
4 probability.

5 MR. APFFEL: Objection. Form.

6 MR. ROWE: That was kind of rambling.

7 I'll sustain that objection.

8 Q. (BY MR. ROWE) Do you have any expertise that
9 would allow you to disagree with Dr. Pontius's opinions
10 as to the cause of the injury in this case? Let me just
11 put it that way.

12 A. I just found something in there. I'm sorry.

13 Ask me again.

14 Q. Sure. Do you have any expertise which would
15 allow you to disagree with Dr. Pontius's opinion as to
16 the cause of this patient's injury?

17 A. No, I don't have expertise. I'm reading what
18 he's stating in the deposition --

19 Q. Okay.

20 A. -- throughout the deposition and that if he
21 felt that it was a -- if there was more of a complete
22 deficit -- if it was totally from the sciatic nerve, he
23 would have expected to find the tibial nerve to be more
24 dense and more weak, and then afterwards states that he
25 feels it's two separate incidents.

1 MR. ROWE: All right. I'll have to object

2 to the nonresponsive portion --

3 A. Sorry.

4 MR. ROWE: -- of that.

5 Q. (BY MR. ROWE) That's okay. Do you know that

6 a nerve doesn't have to be totally damaged; it could be

7 partially damaged?

8 A. Yes.

9 Q. And in this case, the sciatic nerve branches

10 out into the peroneal and tibial nerves. Correct?

11 A. Yes.

12 Q. And there may just happen to be a stretch

13 injury that affects the peroneal nerve portion of the

14 sciatic nerve at the hip. Correct?

15 A. That's a hard one if your injury is way up

16 high and you have the -- you have the branching of

17 the -- of the nerves to just have one segment versus

18 both.

19 Q. But you're aware that there are distributions

20 of the tibial nerve that were affected in this patient.

21 Correct?

22 A. And resolved immediately.

23 Q. Okay. But they were affected. Correct?

24 A. Yes.

25 Q. All right. So that indicates a higher level

0056

1 injury as opposed to at the knee where the peroneal

2 nerve branches off. Correct?

3 A. Yes.

4 Q. All right. Let's go back to 8:00 p.m. when

5 the abductor pillow strap was reapplied after the SCD's

6 were applied. Can you tell me -- do you have any

7 experience or expertise as to how long it takes for a

8 permanent foot drop to develop caused by an abductor

9 strap -- abductor pillow strap?

10 A. No. I just know generally nerve injury and

11 length of time.

12 Q. Okay. And it also depends upon the amount of

13 pressure as well. Correct?

14 A. Yes.

15 Q. All right. So can you tell me and the jury

16 what time after 8:00 p.m. there was sufficient pressure

17 and sufficient duration to cause a permanent foot drop?

18 A. I don't know specifically what time, but we

19 would -- the nurses should be doing regular

20 neurovascular assessments to find things are returned to

21 normal after surgery.

22 Q. Okay. I hear you about the standard and the

23 breach that you want to talk to me about, but I want to

24 find out in reality in Ms. Brown's case at what time

25 during the night was the injury to the nerve such that

1 It should have been discovered; can you tell me?

2 A. I would still state around 10:00 p.m. to

3 know -- to learn if things had returned to normal or

4 they're not normal to then move on from there.

5 Q. All right. Can you tell me that had you been

6 there and done a neurovascular exam at 10:00 p.m. that

7 it would have been abnormal just two hours after the

8 abductor pillow strap was applied?

9 A. It could have been with the sensation.

10 Q. Okay. Could have been isn't the same as

11 probability. What I need to find out is what time --

12 I'm hoping you just tell me you can't tell me because

13 then I'm going to ask you what evidence you have of it.

14 Can you tell me, based upon probabilities,

15 at what time there should have been evidence of

16 compromise of the sciatic nerve or the peroneal nerve

17 sometime between 8:00 p.m. and 8:00 a.m. when it's

18 documented by the nurse?

19 MR. APFFEL: Objection. Form.

20 Q. (BY MR. ROWE) You can answer that.

21 A. Prior to 8:00 a.m.

22 Q. Okay. And you can't tell me how far prior to

23 8:00 a.m. Is that fair?

24 A. Correct.

25 Q. All right. So you can't tell me that if the

1 neurovascular exam had been done at 10:00 p.m. that it
2 would have shown compromise to the sciatic and peroneal
3 nerve. Is that fair?

4 MR. ROWE: You asked one too many
5 questions, Trey. That's what it is.

6 A. There's two different so-called theories here.

7 Q. (BY MR. ROWE) Okay.

8 MR. APFFEL: Now you did it.

9 MR. ROWE: Now I really did it.

10 Q. (BY MR. ROWE) Let me -- let me do what a
11 lawyer's not supposed to do and ask you, what do you
12 mean?

13 A. If you're stating the injury is both intraop
14 and having to do with a nerve block --

15 Q. You mean the abductor pillow strap?

16 A. No. The nerve blocks itself, that they were
17 still -- if the nerve blocks were still working at the
18 time when the patient came to the floor, then this
19 documentation would reflect the changes in the
20 neurovascular assessment, the changes in sensation, the
21 changes in movement, and there isn't anything
22 documenting -- documented in here.

23 And if those changes were there, then you
24 would be monitoring that patient closely to find out
25 when does that return.

0059

1 Q. Okay.

2 A. If everything truly was normal and there was
3 plantar and dorsiflexion upon admission to the floor,
4 then monitoring and seeing that there are changes,
5 that's very important to document later when you find
6 that there's decreased sensation and movement and then
7 eventually the foot drop.

8 Q. Okay. Do you know how injury to the sciatic
9 nerve occurs during hip replacement surgery?

10 A. Sometimes due to the procedure itself and the
11 proximity to the sciatic nerve.

12 Q. Okay. Have you seen patients that developed
13 foot drop where abductor pills weren't even used post
14 hip replacement surgery?

15 A. No, I have not.

16 Q. But you're aware that that occurs?

17 A. Yes.

18 Q. Okay. Agree with me that if the injury
19 occurred intraoperatively caused by the surgery itself
20 that there was nothing anybody could have done to have
21 avoided the foot drop thereafter?

22 MR. APFFEL: Objection. Form.

23 A. It depends on the patient and it depends upon
24 the cause. If there were a blood accumulation, I know
25 they can bring them back to the operating room if there

1 were something such as that.

2 Q. (BY MR. ROWE) Okay. Are you aware of any
3 hematoma development in this case?

4 A. No.

5 Q. Are you aware that the injury can be caused by
6 a stretch injury of the -- of the sciatic nerve as
7 they're positioning the leg?

8 A. Yes.

9 Q. If that occurred intraoperatively and the
10 injury was done there in the OR suite while the patient
11 was on the table, are you aware of anything that the
12 nurses can do to prevent a foot drop from later
13 developing as a result of that stretch injury in the
14 operation?

15 A. No.

16 Q. So basically the die is cast in the operating
17 room with respect to the outcome, correct, in that
18 instance?

19 A. Well, if so, then we would see changes upon
20 admission to the floor.

21 Q. Okay. But the answer to that question that
22 I've asked, though, is yes?

23 A. Is the die cast? Possibly.

24 Q. Okay. Why wouldn't it be probably if the
25 injury occurred in the operating room by a stretch of

1 the sciatic nerve?

2 A. Then you would see, as Dr. Pontius said -- I

3 think he -- he didn't say more of a global effect, but

4 more of an effect on the tibial nerve as well as the

5 peroneal nerve. You would have seen more of an effect.

6 Q. All right. I don't want to belabor that

7 point, but you'll agree with me that sometime before

8 8:00 a.m. you believe there should have been signs and

9 symptoms of a sciatic or peroneal nerve injury; you just

10 can't tell me at exactly what time. Is that fair?

11 A. Yes.

12 Q. Okay. The next logical step with that is had

13 there been a neurovascular exam done -- let's pick a

14 time -- at midnight, you can't tell me that there would

15 have been signs and symptoms of a sciatic or peroneal

16 nerve injury at midnight, is that fair, going back eight

17 hours?

18 A. Right.

19 Q. Okay. Or any other time. Is that fair?

20 A. Prior to 8:00 a.m.

21 Q. All right. Have you ever been in the OR

22 during hip replacement surgery?

23 A. Many, many years ago.

24 Q. Is that like 20 years ago?

25 A. No.

1 Q. How long?

2 A. Seven, eight years ago.

3 Q. Okay.

4 MR. APFFEL: That's like yesterday.

5 Q. (BY MR. ROWE) Do you know how long a hip
6 replacement surgery usually takes?

7 A. A few hours.

8 Q. Do you know anything about a two-incision hip
9 replacement surgery?

10 A. A minimally invasive procedure. We have a
11 physician that actually was performing them at our
12 facility.

13 Q. Is he no longer there or is he no longer doing
14 the procedure?

15 A. He's still there and he's been doing more, I
16 think, some research lately.

17 Q. Is there any problem with the minimally
18 invasive surgery that caused him to stop?

19 A. No.

20 Q. I'm not going to go over all that with you.

21 MR. APFFEL: See, the sunshine has an
22 effect on him, too.

23 MR. ROWE: I usually just like to get to
24 the point.

25 Q. (BY MR. ROWE) All right. You're spared of

1 all that.

2 MR. APFFEL: Barbara, you need a break or
3 anything? You doing okay?

4 MR. ROWE: Yeah. Go ahead and -- let's
5 take a break.

6 THE WITNESS: Okay.

7 (Recess from 10:11 a.m. to 10:22 a.m.)

8 Q. (BY MR. ROWE) We took a quick break. I may
9 as well ask you about your merit review panel work.
10 Tell me about that.

11 A. I sit on a tribunal panel in various areas in
12 Massachusetts. When contacted, I review medical records
13 prior to the date that we actually hear them and I sit
14 on a panel with a judge and an attorney and myself and
15 we listen to a brief synopsis of the cases and determine
16 if we feel there is enough merit to then grant them a
17 trial date.

18 Q. Is that in conjunction with the nursing board
19 in Massachusetts?

20 A. No.

21 Q. The medical board with respect to physicians?

22 A. No.

23 Q. Okay. Help me out.

24 A. It's not in conjunction with any boards. We
25 are a review panel. So when there are nursing cases,

1 oftentimes there will be a nurse who sits on that

2 panel --

3 Q. Okay.

4 A. -- such as myself.

5 Q. Do you sit on panels for physicians?

6 A. No, I do not.

7 Q. Okay. Are there lay people that also sit on

8 those panels?

9 A. No.

10 Q. So your involvement is for review of nursing

11 cases where nursing negligence has been alleged?

12 A. Yes.

13 Q. In Massachusetts, do they sue the individual

14 nurse or do they sue the institution that they work for?

15 A. It can be both.

16 Q. Okay. And the end result of your review is a

17 determination whether the nurse can be sued or not sued?

18 A. Whether or not we feel the case has enough

19 merit, enough grounds that we feel there were enough

20 breaches to then grant them a trial date.

21 Q. Okay. What if you don't grant them a trial

22 date? What happens?

23 A. Then we notify them and let them know that we

24 are -- we don't feel there's enough merit.

25 Q. Okay. Do they get to still bring a lawsuit or

1 no?

2 A. If they post a bond, they can overturn the
3 tribunal decision.

4 Q. Does the jury get to know what the tribunal
5 decision was? I'm asking way too much, I know.

6 A. Not that I'm aware of.

7 Q. Okay.

8 A. I know some states actually have panel
9 hearings that the jury does hear that. I don't believe
10 that's so in Massachusetts --

11 Q. Okay.

12 A. -- but I don't know that as a fact.

13 Q. All right. Those were all legal questions
14 anyway. I was just interested.

15 In looking at your October 17, 2007,
16 report, I indicate -- or I see that you have a number of
17 criticisms of the nurses. I don't really want to talk
18 about ones that do not directly relate to causing a
19 peroneal nerve injury. Okay? Can we separate them out?

20 A. Yes.

21 Q. The failure to document on an MAR, the failure
22 to document I's and O's that we've already discussed
23 didn't cause a peroneal nerve injury. What I would like
24 for you to tell me are, what are your criticisms of the
25 nurses that caused or contributed to what you believe

0066

- 1 was a peroneal nerve injury? Can you do that?
- 2 A. Yes.
- 3 Q. Okay.
- 4 A. Failure to do a postoperative assessment and
- 5 writing a note, failure to do a nursing care plan,
- 6 failing to perform neurovascular assessments as
- 7 described in my report.
- 8 Q. Is that a good summary or is there more?
- 9 A. Failing to communicate changes -- appreciate
- 10 changes in a neurovascular assessment and then
- 11 communicating those changes --
- 12 Q. Okay.
- 13 A. -- and failing to act as a patient advocate.
- 14 Q. That's a catch-all all the time. Okay.
- 15 A. And failing to utilize the nursing process.
- 16 That sort of goes with all of those.
- 17 Q. That's a nice broad general one, too. Okay.
- 18 Let's talk about the postop assessment now. You're
- 19 talking about a note when the patient is received on the
- 20 orthopedic floor. Correct?
- 21 A. Correct.
- 22 Q. And that would have been at 5:00 p.m.
- 23 Correct?
- 24 A. Yes.
- 25 Q. And you've already agreed that you don't

1 believe the injury occurred before 8:00 p.m. when the
2 SCD's were applied and the abductor pillow straps were
3 reapplied. Correct?

4 A. Right.

5 Q. Okay. So will you agree with me that a postop
6 note at 5:00 p.m., whether it's written down or not
7 written down physically, did not cause the peroneal
8 nerve injury in this case?

9 A. No, I can't agree with that because you need
10 to have a foundation -- a foundation of the norm or
11 abnorm to know how you're going to follow through and
12 assess and identify any issues that are going on. Is
13 there a normal assessment at that time or is there an
14 abnormal assessment at that time?

15 Q. Okay. What do you believe the assessment
16 would have been at that time had you been there and done
17 a postop assessment? Would it have been normal?

18 A. I don't know because the patient had blocks so
19 I don't know if the patient had full sensation and
20 movement at that time or not.

21 Q. Okay. So fair to say that you can't tell the
22 jury more likely than not had a postop assessment
23 done -- been done to your satisfaction that it would
24 have changed anything in this case?

25 A. No. It could have changed because if you're

1 identifying the abnorm, then you would be following
2 through to when it becomes normal or notifying a
3 physician earlier that there's an abnormal examination.

4 Q. All right. Let's step back. Forget about the
5 note. Had you been standing there at 5:00 p.m., more
6 likely than not, probability, would the exam have been
7 normal or would it not have been normal?

8 A. As I said, I don't know because of the block.
9 I don't know how long the block was lasting for.

10 Q. Fair to say that you can't say, based upon
11 probability, that it would have been abnormal at
12 5:00 p.m. Is that fair?

13 A. Correct.

14 Q. Okay. Let's go to the care plan. When the --
15 when should the care plan have been done or what do you
16 believe was wrong with it?

17 A. There isn't a care plan.

18 Q. Okay. And how would a care plan have changed
19 anything in this case?

20 A. A care plan helps identify the types of
21 issues. It's a problem-oriented list. And according to
22 their policy, they utilize problem-oriented lists and
23 notes to determine types of care to be delivered.

24 Q. Okay. A care plan is just the documentation
25 of issues that a patient has. Is that fair?

0069

1 A. It's also the thought process of what problems
2 does the patient have.

3 Q. Okay.
4 A. Then follow through and find out when they
5 resolve or not resolve.

6 Q. Okay. You would expect a nurse on an
7 orthopedic floor to understand that there are issues
8 with respect to sciatic and peroneal nerve injuries,
9 post hip replacement surgery. Correct?

10 A. It would actually on a problem list have to do
11 with potential change in neurovascular assessment.

12 Q. Okay. You would expect a nurse to know that
13 whether they write it down on a care plan or not. Fair?

14 A. They should know it, but they may not know it.
15 Q. Okay. You would expect that a reasonable
16 nurse would -- that works on an orthopedic floor would
17 know that changes to neurovascular status of a patient
18 should be identified. Is that fair?

19 A. They should.
20 Q. Okay. The neurovascular assessments -- all we
21 know is that sometime before 8:00 a.m. you believe the
22 neurovascular assessment would have identified a
23 problem. Correct?

24 A. Yes.
25 Q. But we can't tell what time that is. Correct?

0070

1 A. We do not know specifically what time,
2 correct.

3 Q. Okay. You also indicate that at the time a
4 neurovascular change occurs it should be communicated to
5 the physician. Correct?

6 A. Yes.

7 Q. Do you have any criticism of the nurse that
8 communicated with Dr. Pontius at 8:00 a.m. when he
9 discovered that there was a change in the patient's
10 neurovascular condition?

11 A. No.

12 Q. Okay. And then the catch-all ones, the
13 advocate and the nursing process. I know a nurse should
14 be a patient advocate and I know that they should
15 communicate changes in conditions to a physician, but is
16 there anything more specific with respect to Ms. Brown's
17 case that you believe the nurses should have done apart
18 from assessing the patient, identifying the changes in
19 neurovascular condition and communicating it with
20 Dr. Pontius?

21 A. And reassessing the patient to find out if
22 there's an improvement or if the condition's gotten
23 worse.

24 Q. Sure. Do you have any criticisms of the
25 nurses after 8:00 a.m. when they told Dr. Pontius,

0071

1 "We've got a change here. Will you come take care of
2 this patient?" Do you have any criticisms of the nurses
3 after that?

4 A. No, I don't.

5 Q. We've limited our time here now.

6 A. Can I add one thing?

7 Q. Sure.

8 A. And it is a criticism because I wonder
9 about -- when we were talking about knowledge base
10 before, the nurse on the night shift, Virginia Perry,
11 she stated -- this was on the 15th, the night shift --
12 that there was plantar and dorsiflexion, but there
13 couldn't have been dorsiflexion because there was zero
14 over five EHL and there's foot drop, so I wonder about
15 the quality of the assessments that are being done if
16 she feels that there's a -- this patient can dorsiflex.

17 Q. Okay. Is that the night of the 15th so we're
18 talking about the next day --

19 A. That night. No. The 15th at night.

20 Q. Okay.

21 A. The night -- the next night. It's just to
22 show what type -- my criticism is I wonder about the
23 quality of the assessments if she feels that there is --
24 this patient can dorsiflex.

25 Q. Okay. Surgery was on the 14th?

0072

1 A. Uh-huh.

2 Q. Is that a "yes"?

3 A. Yes.

4 Q. Okay. You were talking about the nurse that
5 was on duty the night of the 15th?

6 A. Correct.

7 Q. After the foot drop has already been
8 identified?

9 A. Right.

10 Q. Okay. Her error in documentation or even
11 error in understanding didn't cause the foot drop. Will
12 you agree with me?

13 A. Exactly.

14 Q. Okay.

15 A. I agree.

16 Q. But it was still wrong?

17 A. Correct.

18 Q. Okay. Shy didn't care for this patient
19 earlier than that shift, did she?

20 A. No.

21 Q. Okay. Have you understood all my questions
22 today?

23 A. Yes.

24 Q. Have I been kind and courteous to you?

25 A. Yes.

1 Q. The court reporter is going to type this up
2 and you're going to be given an opportunity to make any
3 changes to it. Do so at that time.

4 MR. ROWE: And I'll reserve the rest of my
5 questions for trial.

6 THE WITNESS: Thank you.

7 MR. APFFEL: We will reserve our questions
8 till trial.

9 (Deposition concluded at 10:34 a.m.)

10 (Levin Exhibit 5 marked.)

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0074

1 CHANGES AND SIGNATURE

2 PAGE/LINE CHANGE FROM/CHANGE TO REASON

3 _____

4 _____

5 _____

6 _____

7 _____

8 _____

9 _____

10 _____

11 _____

12 _____

13 _____

14 _____

15 _____

16 _____

17 _____

18 _____

19 _____

20 _____

21 _____

22 _____

23 _____

24 _____

25 _____

0075

1 I, BARBARA J. LEVIN, R.N., have read the foregoing
2 deposition and hereby affix my signature that same is
3 true and correct, except as noted above.

4

5

BARBARA J. LEVIN, R.N.

6

7

8

9

10 THE STATE OF TEXAS)
11 COUNTY OF _____)

11 Before me, _____, on this day personally
12 appeared BARBARA J. LEVIN, R.N., known to me (or proved
to me under oath or through _____)
13 (description of identity card or other document) to be
the person whose name is subscribed to the foregoing
14 instrument and acknowledged to me that they executed the
same for the purposes and consideration therein
15 expressed.

16 Given under my hand and seal of office this _____ day of

17 _____, _____.

18

19 _____
20 NOTARY PUBLIC IN AND FOR
THE STATE OF TEXAS

21

22

23

24

25

1 CAUSE NO. 2006-CI-09447

2 ROSALYN BROWN) IN THE DISTRICT COURT
3 VS.)
4 BAPTIST HEALTH SERVICES,) OF BEXAR COUNTY, TEXAS
INC. and/or BAPTIST HEALTH)
5 SERVICES, INC., DOING)
BUSINESS AS ST. LUKE'S)
6 BAPTIST HOSPITAL) 131ST JUDICIAL DISTRICT

7 *****

8 REPORTER'S CERTIFICATION
ORAL DEPOSITION OF BARBARA J. LEVIN, R.N.
9 FEBRUARY 7, 2008

10 I, Diana Ramos, Certified Shorthand Reporter in and for
the State of Texas, hereby certify to the following:

11 That the witness, BARBARA J. LEVIN, R.N., was duly sworn
12 by the officer and that the transcript of the oral
deposition is a true record of the testimony given by
13 the witness;

14 That the deposition transcript was submitted on
_____ to the witness or to the attorney for
15 the witness for examination, signature, and return to me
by _____;

16 That the amount of time used by each party at the
17 deposition is as follows:

18 Mr. Brett B. Rowe - 1 Hour, 27 Minutes

19 That pursuant to information given to the deposition
officer at the time said testimony was taken, the
20 following includes counsel for all parties of record:

21 FOR THE PLAINTIFF, ROSALYN BROWN:

Mr. E.A. "Trey" Apffel, III

22 APFFEL LAW FIRM

1406-C West Main

23 League City, Texas 77573

Tel: (281) 332-7800

24 FAX: (281) 332-7887

trey@apfellaw.com

25

0077

1 FOR THE DEFENDANT, VHS SAN ANTONIO PARTNERS, L.P., DOING
2 BUSINESS AS ST. LUKE'S BAPTIST HOSPITAL:

2 Mr. Brett B. Rowe
3 EVANS & ROWE
3 Union Square
4 10101 Reunion Place, Suite 900
4 San Antonio, Texas 78216
5 Tel: (210) 384-3271
5 FAX: (210) 340-6664
6 bbrowe@evans-rowe.com

6 I further certify that I am neither counsel for, related
7 to, nor employed by any of the parties or attorneys in
the action in which this proceeding was taken, and
8 further that I am not financially or otherwise
interested in the outcome of the action.

9 Further certification requirements pursuant to Rule 203
10 of TRCP will be certified to after they have occurred.

11 Certified to by me this 13th day of February, 2008.

12

13 _____
14 Diana Ramos, CSR, RPR
CSR No. 3133, Expires 12-31-2008
15 INDEPENDENT REPORTING
Firm Registration No. 95
13101 Northwest Freeway, Suite 210
16 Houston, Texas 77040
Tel: (281) 469-5580
17 FAX: (713) 460-2525

18

19

20

21

22

23

24

25

0078

1 FURTHER CERTIFICATION UNDER RULE 203 TRCP

2

3 The original deposition was/was not returned to the
deposition officer on _____;

4 If returned, the attached Changes and Signature page
contains any changes and the reasons therefor;

5

6 If returned, the original deposition was delivered to
_____ , Custodial Attorney;

7 That \$_____ is the deposition officer's charges
to the DEFENDANT, VHS SAN ANTONIO PARTNERS, L.P., DOING

8 BUSINESS AS ST. LUKE'S BAPTIST HOSPITAL, for preparing
the original deposition transcript and any copies of

9 exhibits;

10 That the deposition was delivered in accordance with
Rule 203.3, and that a copy of this certificate was

11 served on all parties shown herein and filed with the
Clerk.

12

13 Certified to by me this _____ day of _____,
2008.

14

15

16 Diana Ramos, CSR, RPR
CSR No. 3133, Expires 12-31-2008
17 INDEPENDENT REPORTING
Firm Registration No. 95
18 13101 Northwest Freeway, Suite 210
Houston, Texas 77040
19 Tel: (281) 469-5580
FAX: (713) 460-2525
20

21

22

23

24

25