

Volume I
Pages 1 to 117
Exhibits 1 to 5

IN THE CIRCUIT COURT OF THE 17TH JUDICIAL CIRCUIT IN
AND FOR BROWARD COUNTY, FLORIDA
CIVIL DIVISION
CASE NO: 01-002572 (18)

-----X

SHIRLEY R. DAY, Personal :
Representative of the Estate of :
RICHARD FELTON DAY, deceased, :
Plaintiff, :

vs. :

JASON H. FROST, D.O., JASON H. :
FROST, D.O., P.A., SOUTH BROWARD :
HOSPITAL DISTRICT doing business :
as MEMORIAL HOSPITAL PEMBROKE, :
WOUND CARE GROUP, INC., as a :
wholly owned subsidiary of RENAL :
CARE GROUP, INC., MILTON :
GEDALLOVICH, M.D., MILTON :
GEDALLOVICH, M.D., P.A., GASTRO :
CARE, INC., HOWARD I. BAIKOVITZ, :
M.D., HOWARD I. BAIKOVITZ, M.D., :
P.A., MITCHELL D. WEINSTEIN, :
D.O., and URO-MEDIX, INC., :
Defendants. :

-----X

DEPOSITION OF BARBARA J. LEVIN, a witness
called on behalf of the Plaintiff, taken pursuant to
the Florida Rules of Civil Procedure, before Linda
A. Walsh, Registered Professional Reporter and
Notary Public in and for the Commonwealth of
Massachusetts, at the Offices of Doris O. Wong
Associates, 50 Franklin Street, Boston,
Massachusetts, on Friday, August 13, 2004,
commencing at 10:03 a.m.

00002

PRESENT:

Via Telephone:

Morrow & Milberg, P.A.

(By Mark A. Morrow, Esq.)
499 Northwest 70th Avenue, Suite 108,
Plantation, FL 33317, for the Plaintiff.

Via Telephone:

Chimpoulis & Hunter, P.A.

(By Barbara Wohrle, Esq.)
800 S.E. Third Avenue, 2nd Floor, Fort
Lauderdale, FL 33316, for the Defendants
Milton Gedallovich, M.D., and Milton
Gedallovich, M.D., P.A.

Bunnell Woulfe Kirschbaum Keller McIntyre &
Gregoire

(By Robert J. Berman, Esq.)
One Financial Plaza, 9th Floor, 100
Southeast 3rd Avenue, Fort Lauderdale, FL
33394, for the Defendants Howard I
Baikovitz, M.D., and Howard I. Baikovitz,
M.D., P.A.

Via Telephone:

George, Hartz, Lundeen, Fulmer, Johnstone,
King & Stevens

(By Robert Murray, Esq.)
2866 East Oakland Park Boulevard, Fort
Lauderdale, FL 33316, for the Defendant
Mitchell D. Weinstein, D.O.

00003

1	I N D E X	
2	EXAMINATIONS	PAGE
3	BARBARA J. LEVIN	
4	DIRECT EXAMINATION	4
5	BY MR. MORROW	
6	CROSS EXAMINATION	109
7	BY MR. BERMAN	
8	REDIRECT EXAMINATION	114
9	BY MR. MORROW	

9

10 *****

11 E X H I B I T S

12

13	NO.	DESCRIPTION	PAGE
14	1	Compilation of correspondence from Mr. Berman's office	7
15	2	Handwritten notes by Barbara Levin regarding depositions	9
16	3	Handwritten notes by Barbara Levin regarding the medical records	11
17	4	Notice of taking deposition	27
18	5	Curriculum vitae	30
19			
20			

21 *****

22

23

24

1 PROCEEDINGS

2 BARBARA J. LEVIN

3 a witness called for examination by counsel for the
4 Plaintiff, having been satisfactorily identified by
5 the production of her driver's license and being
6 first duly sworn by the Notary Public, was examined
7 and testified as follows:

8 DIRECT EXAMINATION

9 BY MR. MORROW:

10 Q. Would you please state your name, ma'am.

11 A. Yes. My name is Barbara Jane Levin.

12 Q. Do you mind if I call you Barbara during
13 the course of this?

14 A. Please do.

15 Q. Okay. Could you tell me, please, where you
16 are and the address that you are involved in the
17 practice of nursing?

18 A. I work at Massachusetts General Hospital.
19 I am the advanced clinician for the orthopedic
20 trauma department.

21 Q. What's the address at Mass. General?

22 A. Fruit Street, Boston, Mass.

23 Q. How long have you been involved in
24 orthopedic trauma?

00005

1 A. Since 1991.

2 Q. Have you done any rotations on what would

3 be considered a med surg floor?

4 A. Yes.

5 Q. And is that part and parcel of the

6 orthopedic trauma rehab portion of the hospital?

7 A. No. Actually, we also have med surg

8 overflow on our unit as well as telemetry.

9 Q. Do you have a copy of the notice of taking

10 your deposition that was sent for this proceeding?

11 A. Yes.

12 Q. All right. There is a number of items that

13 are included on there. Could you do me a favor and

14 just tell me what kind of documents that you have

15 that are responsive to that notice of taking depo?

16 A. Okay. No. 1, the copies of all the

17 materials that have been reviewed, I have reviewed

18 the medical records of Memorial Hospital Pembroke,

19 the complaint and the amended complaint and numerous

20 depositions. Do you want me to go through a list of

21 depositions?

22 Q. Yes, ma'am. If you would just tell us the

23 names of those individuals?

24 A. Sure. Howard Baikovitz, Milton

00006

1 Gedallovich, Betty Simms, Penny Winstel, Mitchell
2 Weinstein, two of his, James Van Gelder, Marie
3 Messina, Shirley Day, two of her depositions, Jess
4 Alaba, Sharon Grant, Karen Rosier, Sandra D'Orazio,
5 Daniel Silvershein, Jonathan Cohen and John Cello.

6 Q. Can you tell me when it was you were
7 retained in this case?

8 A. I believe it was approximately March or
9 April of this year.

10 Q. Obviously this has been a continuing work
11 in progress?

12 A. That is correct.

13 Q. You have been submitted a number of the
14 documents in the deposition that you just advised me
15 of, some of them, I would presume, over the last
16 week or so?

17 A. Yes.

18 Q. All right. Are there any other documents
19 you have there associated with the notice to produce
20 for this depo?

21 A. No, I don't believe so.

22 Q. Do you have any type of literature, any
23 searches, any type of documentation that you have
24 retrieved for purposes of giving your testimony?

00007

1 A. No, I have not.

2 Q. Do you have any correspondence there with
3 you from Mr. Berman or his firm associated with your
4 retention and continuing work in this case?

5 A. Yes, I do.

6 Q. What does that consist of?

7 A. My initial contact letter and then various
8 letters that have come with the various documents
9 and depositions.

10 MR. MORROW: Okay. If we could -- Madam
11 Court Reporter, I would like to make composite
12 Exhibit No. 1 for Plaintiff the correspondence
13 that's just been identified.

14 MR. BERMAN: Mark, do you mind if we --
15 unless she has got it all together, do you mind if
16 we do that at the end of the deposition?

17 MR. MORROW: That's fine.

18 (Document marked as Levin

19 Exhibit 1 for identification)

20 Q. Are there any notations that appear on any
21 of those letters, any handwritten messages or
22 anything you have written on themselves?

23 A. On the letters, no, I have not.

24 Q. On the hospital records, the complaint and

00008

1 the amended complaint and the numerous depositions
2 that you have just identified for us, have you made
3 any marks, highlights, notations or placed any type
4 of stickies to identify certain areas?

5 A. I do have some stickies on the documents
6 just noting for me exactly where a particular page
7 is in case I have to refer to it, but there is no
8 opinions on these stickies.

9 Q. How many are there? Are there a lot?

10 A. Well, there is just --

11 MR. BERMAN: Quite a few.

12 A. Yes. On the medical records, I mean, just
13 various stickers showing me where the labs are,
14 where the nursing education is, medications.

15 Q. Are there any similar stickies that appear
16 on any of the testimony that you were provided in
17 this deposition?

18 A. No.

19 Q. Have you made any type of report?

20 A. No, I have not.

21 Q. Do you have any type of a summary of your
22 opinions or general notice that you are going to be
23 referring to here today?

24 A. Yes, I do.

00009

1 Q. Can you tell me a little bit about that.

2 What does that consist of?

3 A. Well, I had done brief summaries from every
4 deposition, just notes extracting off of the
5 depositions.

6 Q. Now, are each one of those contained with
7 the individual depositions or are they somewhere
8 that is easily accessible?

9 A. Yes, with each deposition.

10 MR. MORROW: All right. Bob, I'm going to
11 obviously want copies of those notes as Plaintiff's
12 Exhibit No. 2.

13 (Document marked as Levin

14 Exhibit 2 for identification)

15 MR. BERMAN: Let me just see if I
16 understand her. Did you make separate notes or?

17 THE WITNESS: These (indicating). Like
18 this right here (indicating).

19 MR. BERMAN: Okay. That's fine. Well,
20 what we can do is we can make copies of the notes,
21 because I think actually they are fairly well
22 organized. They have at the top of them "Deposition
23 of," and it indicates the witness and then it's just
24 a separate page for each deposition. So actually, I

1 think that will work nicely. Unlike me, she's
2 organized.

3 MR. MORROW: I suffer sometimes from the
4 same disease.

5 Q. Are there any other documents or materials
6 that would have not been identified already in this
7 deposition that you have related to this case?

8 A. Yes. I wrote notes extracting from the
9 medical records.

10 Q. How many pages does that encompass?

11 A. I'll tell you in one minute. I think it's
12 24 pages.

13 Q. Are they pretty legible?

14 A. Yes, they are.

15 MR. MORROW: All right. I would like to
16 attach a copy of all of those documents as
17 Plaintiff's Exhibit 3.

18 MR. BERMAN: So you are making all the
19 notes Exhibit 3 or are you separating the earlier
20 depo notices as No. 2?

21 MR. MORROW: I think we already marked the
22 summaries of the depositions as No. 2.

23 MR. BERMAN: That's fine.

24 MR. MORROW: If it's easier to do them all

00011

1 as a composite, let me know, Bob.

2 MR. BERMAN: I don't think it matters,

3 frankly.

4 MR. MORROW: We'll make the notes that you

5 identified specifically from the medical records as

6 Plaintiff's 3.

7 (Document marked as Levin

8 Exhibit 3 for identification)

9 Q. Is there any other documentation that you

10 have there in front of you associated with this

11 case?

12 A. No.

13 Q. Do you have any type of a listing of cases

14 that you have been involved in over the years from a

15 medical/legal standpoint?

16 A. No, I do not.

17 Q. Have you been involved in prior cases where

18 you have served as an expert witness?

19 A. Yes, I have.

20 Q. Can you give me an idea of when you first

21 started being involved in medical/legal work?

22 A. Well, my first case review on having to do

23 with a personal injury claim was back in

24 approximately 1988. I was educating an attorney

00012

1 about injuries sustained. I think that was a drunk
2 pedestrian who was hit by a car. And then over the
3 years participated in various personal injury
4 claims, educating about orthopedic issues and many
5 motor vehicle accidents.

6 Q. Have you been involved providing testimony
7 either for or against nursing personnel in the past?

8 A. Yes, I have.

9 Q. When is it that you began involving
10 yourself in that area of expert witness work?

11 A. I think I first testified approximately in
12 the mid-'90s.

13 Q. Were you actually reviewing cases prior to
14 that where you gave your testimony or was the first
15 case that you were involved in one that you pursued
16 all the way to point where you were being deposed?

17 MR. BERMAN: Form.

18 A. I just know that the first time I testified
19 was then. I don't know if I was involved as a
20 reviewer prior to that comment. I don't recall.

21 Q. But it's possible you were actually
22 reviewing cases and assisting counsel and providing
23 some opinions on medical/legal matters prior to the
24 mid-'90s when you first testified in that venue?

00013

1 MR. BERMAN: Form. Asked and answered. Go
2 ahead, Barbara. You can answer.

3 A. Well, I was providing information to
4 counsel prior to the mid-'90s because I was
5 assisting with, as I stated, personal injury claims,
6 and education about injuries sustained in motor
7 vehicle accidents or falls out of a window or
8 pedestrian struck by a car.

9 Q. Okay. I understand that, and I don't mean
10 to be difficult, but my question was a good deal
11 more specific.

12 In instances where you have testified
13 either for or against nurses in medical/legal
14 matters, did you involve yourself in those cases
15 prior to the one that you remember giving testimony
16 in sometime in the mid-'90s?

17 A. Uh-huh. I don't recall if I was involved
18 in any prior to that time. I know in the mid-'90s
19 was the first time I had testified. So I don't know
20 how far prior I was contacted about that one
21 particular case. I'm not sure.

22 Q. Fair enough.

23 MR. BERMAN: Mark, excuse me one second.
24 Barbara, please don't go "uh-huh" when you are

00014

1 listening because it's hard for the court reporter,
2 and it will create a confusing record. Sorry.

3 MR. MORROW: No problem.

4 Q. How many cases do you think you have been
5 involved in where the issues have been whether or
6 not nursing staff deviated from the acceptable
7 standard of care of the community?

8 A. I don't know a total number, but I would
9 say a few cases a year.

10 Q. So if we start with the mid-1990s call it,
11 and we'll just pick 1995, if that's fair -- that's
12 been nine years -- would that be between 27 and 35
13 cases?

14 A. That could be about right.

15 Q. Well, I don't want to put words in your
16 mouth.

17 MR. BERMAN: That's what you are doing.

18 Q. Let me try asking the question again. How
19 many cases have you been involved in since you began
20 working as an expert witness in medical/legal
21 matters?

22 MR. BERMAN: Form. Asked and answered.

23 You can answer.

24 A. I don't know a total. I don't keep track

00015

1 as to how many I have reviewed.

2 Q. To your knowledge, in any prior case have
3 you been required by court order to disclose a
4 listing or a compilation of any cases that you have
5 been involved in as an expert witness?

6 A. I did provide a list, gosh, a long while
7 ago on a particular case. But I don't have a
8 current list, and that was for a few years prior.

9 Q. And you did not retain that list?

10 A. I may still have it. I don't know. We had
11 an explosion in our house, and I don't know if I
12 still have that. It would be an old list. I don't
13 have a current list.

14 Q. I'm sorry to hear about the explosion in
15 your house. That's terrible.

16 A. It was pretty bad. That listing was on my
17 computer, and I lost the computer.

18 Q. In general do you know how it is that you
19 became involved in the medical/legal sense
20 addressing cases and speaking to standard of care?

21 A. Yes. I had been reviewing personal injury
22 cases, and an attorney had referred me to a
23 colleague of his who then had hired me to do some
24 case work for him and then word of mouth with the

1 rest of my work.

2 Q. How many times have been deposed over the
3 years?

4 A. When you were asking me before about
5 testifying I was including in the broad scope -- I
6 thought you meant testifying for deposition, not
7 just trial. Because trial I haven't -- I haven't
8 testified often in trial. So my -- the total amount
9 of times for testifying for deposition is a few a
10 year, not testifying in court a few a year.

11 Q. A few a year since about 1995?

12 A. I would say probably maybe '98 on I started
13 doing more testifying versus between '95 and '98.

14 Q. How many times have you actually provided
15 live testimony in a courtroom?

16 A. I know it's less than ten times. I don't
17 know specifically what number. I don't know if it's
18 six or seven times. I'm not sure.

19 Q. Do you know if you have retained any copies
20 of the depositions that you have provided over the
21 years?

22 A. No, I do not have them.

23 Q. Do you have any trial transcripts from any
24 testimony that you have ever provided in a court of

00017

1 law?

2 A. No, I don't have that.

3 Q. Have you done any work down here in Florida
4 before?

5 A. Yes, I have.

6 Q. Can you give me an idea of how many cases
7 you had in Florida?

8 A. I have no idea.

9 Q. Would it be more than ten?

10 A. That I have testified on? No, I don't
11 believe so.

12 Q. No, that you have been involved in. I said
13 have you done any work down here in Florida.

14 A. Possibly.

15 Q. Have you ever done any work for
16 Mr. Berman's firm in the past?

17 A. Yes, I have.

18 Q. On how many occasions do you think that you
19 have been involved with that firm?

20 A. One other occasion.

21 Q. Is that case closed or is it still pending?

22 A. It's closed.

23 Q. What about other attorneys in Florida? Can
24 you tell me any of the other individuals that you

1 have been involved with over the years in this

2 jurisdiction?

3 MR. BERMAN: Form.

4 A. Oh, my gosh. There is -- oh, my gosh. His

5 name slips my mind, north of Naples. One of the

6 firms is McIntosh Sawran.

7 Q. Can you remember any others?

8 A. In the Naples area, and my mind has just

9 gone blank as to who that is. There are a couple.

10 Q. All right. McIntosh, Sawran & Craven, I'm

11 familiar with that firm. Were you involved in that

12 case where you were assisting the defense of a

13 physician or a nurse?

14 A. Yes.

15 Q. And the other case that you were involved

16 in with Mr. Berman, was that a case where you have

17 been involved in assisting the defense of a doctor

18 or nurse?

19 A. Yes.

20 Q. Of course in this case you were retained

21 for the benefit, I believe, to review this case for

22 Dr. Baikovitz, correct?

23 MR. BERMAN: Form.

24 A. Yes.

00019

1 Q. Do you know if you have ever been involved
2 in this state, in the State of Florida, in a case
3 where you were testifying for the patient?

4 A. Yes.

5 Q. How many times have you been involved in
6 this state where you have testified for the benefit
7 of a patient or the plaintiff in a medical
8 malpractice case?

9 A. I don't know how many times, but I
10 certainly have.

11 Q. What would you say is the breakdown, when
12 you go back to the mid-'90s and try to think through
13 the medical/legal matters that you have been
14 involved in, percentage-wise who seems to retain you
15 the most, the defense side or people who were making
16 claims against doctors and nurses?

17 MR. BERMAN: Form.

18 (Phone sounds)

19 MR. BERMAN: Hold on one second, please.

20 (Pause)

21 BY MR. MORROW:

22 A. My practice is pretty much divided evenly
23 between 50 percent plaintiff and 50 percent defense.

24 Q. Do you know what the term Fabre defendant

00020

1 means in the State of Florida?

2 A. No, I don't.

3 Q. Do you have an understanding of why you
4 were retained, what was the basis of that initial
5 contact where you were asked to be a part of
6 defending Dr. Baikovitz in this case?

7 MR. BERMAN: Form.

8 A. Yes.

9 Q. What is that understanding?

10 A. I was asked to take a look at the delivery
11 of nursing care.

12 Q. Okay. Were you asked to look at anything
13 else in terms of your chart review and the review of
14 these different depositions?

15 A. I was asked to look at the entire chart and
16 all of the depositions when I was initially
17 contacted to take a look at the nursing care.

18 Q. Well, if my understanding is correct, if
19 you are involved in the nursing care only, do you
20 know why you were submitted all of the doctors'
21 depositions in this case?

22 MR. BERMAN: Form.

23 A. He gave me -- Mr. Berman gave me everything
24 so I could have an understanding as to what

00021

1 transpired during the time of Mr. Day's
2 hospitalization.

3 Q. I understand that. Did you formulate any
4 opinions associated with the nursing care based
5 solely on the depositions that these Defendant
6 Doctors gave in this case?

7 MR. BERMAN: Form.

8 A. No.

9 Q. I am sure that you are not agreeing to
10 provide this service to Dr. Baikovitz gratuitously,
11 correct?

12 MR. BERMAN: Form.

13 A. Correct.

14 Q. So, I mean, you are paid for the time that
15 you spend in working on this case, correct?

16 A. That is correct.

17 Q. And I'm sure that's been the case over the
18 years in the majority, if not all, of the other
19 cases where you were retained as an expert witness?

20 A. Yes.

21 Q. All right. What is it that you charge for
22 the work that you do on these medical/legal matters?

23 A. Review of medical records is \$130 an hour.

24 Q. If you need to testify, what do you charge

00022

1 for predeposition conferences when you speak with
2 the attorneys in defending the case?

3 A. Predeposition conferences are at my regular
4 hourly rate of \$130 an hour.

5 Q. What is it that you charge for the actual
6 deposition time where you are answering questions
7 such as those that I am posing to you now?

8 A. \$1,100 for a half day.

9 Q. All right. What if it doesn't take a half
10 a day? What if it takes an hour or an hour and a
11 half; what's the cost?

12 A. The same.

13 Q. It's still \$1,100 that you would want to be
14 paid for an entire half day even if you don't
15 actually spend an entire half day giving that
16 deposition testimony, correct?

17 MR. BERMAN: Form.

18 A. That is how I have everything set up.

19 Q. Why is that?

20 A. I have my day broken out into half day/full
21 day.

22 Q. Why is that?

23 A. That's how my practice is.

24 Q. So even if it's not necessary for the

00023

1 person deposing to spend a half day you expect him
2 to pay you for that time regardless?

3 A. Correct.

4 Q. Has that ever been addressed in court
5 before, to your knowledge, in cases where people
6 felt like if you worked two hours maybe that's what
7 you should be paid for instead of a half day?

8 MR. BERMAN: Objection to form.
9 Argumentative.

10 A. It has not been an issue.

11 Q. What about trial time; what is it that you
12 charge if you need to go and give some live
13 testimony at a trial?

14 A. The same as what I charge for a deposition.

15 Q. If it's out of state, for example, if you
16 have to come to Florida to give your testimony live
17 at trial in this case, what will be your charges?

18 A. Travel time, any prep time are at my hourly
19 rate and testifying would still be half day or full
20 day.

21 Q. So when you say "travel time," if you have
22 to travel on the day before to get down here, would
23 that be a full day's charge?

24 A. No, it would not. It's charged hourly.

00024

1 Q. You choose -- you charge hourly for travel,
2 but you charge flat rates and half day or whole day
3 when the opposing party is taking your deposition;
4 is that correct?

5 MR. BERMAN: Objection to form.
6 Argumentative.

7 A. My half-day/full-day rates are for opposing
8 counsel as well as my own counsel. Whether it's in
9 deposition or trial they are the same.

10 Q. But the travel time you limit to the actual
11 time that you are traveling?

12 A. Correct.

13 Q. What is the charge for a whole day?

14 A. \$1,800.

15 Q. If you were to come down here and for some
16 reason not be able to testify on a given day and
17 have to stay over, you would charge an additional
18 full day?

19 A. I don't understand.

20 Q. If you were not reached at trial on the day
21 that you expected to testify and had to stay over
22 for another day would that be an additional full
23 day's charge?

24 A. If I were sitting in court on that day

00025

1 waiting to testify, yes.

2 Q. And obviously you would want to be
3 reimbursed for any travel charges that you have, the
4 expense of flights and taxicabs and, if necessary,
5 hotel rooms?

6 A. That is correct.

7 Q. Did you require a retainer, something up
8 front to be provided to you prior to your agreeing
9 to review the documents in this case?

10 A. No, I did not.

11 Q. How do you track your hours for the work
12 that you might be involved in on a case such as
13 this?

14 A. I keep a list.

15 Q. How do you do that? Is that a computer
16 invoice or do you just hand write out however many
17 hours you might spend on a given day reviewing
18 materials?

19 A. I write it out and then convert it over to
20 a computer.

21 Q. Do you provide an invoice to Mr. Berman for
22 the time that you have spent?

23 A. I will be, yes.

24 Q. Have you done that to this time?

00026

1 A. Not on this case, no. No.

2 Q. How many hours do you have in this case?

3 A. Several. I don't know specifically how

4 many but several.

5 Q. Would there be any reasons why you could

6 not have determined that number prior to coming here

7 for your deposition today?

8 A. I didn't sit down and add the hours up.

9 MR. MORROW: All right. I would like to

10 mark, please, the Exhibit No. 4 for this deposition

11 the notice of taking the deposition of the deponent

12 here. Bob, do you have a copy present with you?

13 MR. BERMAN: Do you have a separate copy?

14 THE WITNESS: Uh-huh.

15 MR. BERMAN: I do, but I have writing on it

16 which I don't want to give.

17 MR. MORROW: After this is over I can fax

18 up a copy of what I sent and have it --

19 MR. BERMAN: If we have a separate copy --

20 she's looking for it now. You may not need to do

21 that.

22 MR. MORROW: Okay. Why don't we just take

23 a second, if we could.

24 MR. BERMAN: I believe we have a copy of

1 the notice, and it will be marked.

2 (Document marked as Levin

3 Exhibit 4 for identification)

4 Q. Did you have an opportunity to look through
5 that document?

6 A. Yes, I did.

7 Q. Did you make an attempt to identify each of
8 the separate requests that were made there for you
9 to bring or to respond to during the course of this
10 deposition here today?

11 A. Yes.

12 Q. Do you have those documents, the ones that
13 either exist or that could be made available here?

14 MR. BERMAN: I'm sorry. I didn't hear the
15 last part of that question.

16 MR. MORROW: Does she have those
17 documents --

18 Q. -- the things that are requested, either
19 the things that exist or could have been made
20 available here so that I could question you about
21 them.

22 MR. BERMAN: She is not obligated to create
23 things that don't exist, and you know that.

24 Objection to form. Argumentative. She has what she

1 has.

2 MR. MORROW: She needs to answer the
3 question one way or another.

4 MR. BERMAN: Not the way it's phrased. Go
5 ahead. Answer the question.

6 A. What are you specifically looking for?

7 Q. Well, you said that you keep a listing,
8 some handwritten notes of the time that you spend on
9 the case as you go through and perform your
10 services, correct?

11 A. Yes.

12 Q. Where is that document?

13 A. I have that in my office.

14 Q. Is it there where you are right now?

15 A. No.

16 Q. Fair question: Why not?

17 A. I read your No. 7, "Any and all billing
18 records." I haven't billed on this case yet, and
19 it's not a -- so I didn't bring it.

20 Q. Well, you don't consider your handwritten
21 notes of the time that you spend on the case to be
22 billing records?

23 MR. BERMAN: Form.

24 A. No, I didn't consider those official. I

1 haven't sent that out yet.

2 Q. Where would you go to set up your bills to
3 send to Mr. Berman?

4 A. I would place it on to the computer.

5 Q. From what?

6 A. From the list that I have.

7 Q. And why do you consider that list of your
8 time that's been spent on this case to not be
9 billing records?

10 MR. BERMAN: Asked and answered.

11 A. I think I misunderstood what your No. 7
12 was. I didn't bring it because I hadn't billed on
13 it yet.

14 Q. It doesn't say that. It says, "Any and all
15 billing records referable to her employment or work
16 performed in this case." And that to you is
17 ambiguous or -- I don't understand what was unclear.
18 Because I certainly would like to correct that in
19 the future for other expert witnesses who I may ask
20 to bring documents.

21 MR. BERMAN: Excuse me. Objection to form.
22 Argumentative. Please don't waste time arguing with
23 this witness.

24 MR. MORROW: I'm not wasting time. I would

00030

1 like to know what her interpretation is of that
2 paragraph in that legal document that requests her
3 to bring something with her to this depo.

4 MR. BERMAN: She's already answered that.
5 Answer it one more time, please.

6 A. I thought it meant any formal billing that
7 I had sent out, and as I stated, I had not sent out
8 a bill as of yet.

9 Q. Do you have any reasonable ability to give
10 us a breakdown of the hours that you have spent to
11 date from your recollection on this case dating back
12 to March of 2004?

13 A. I don't know specifically how many. I
14 don't know.

15 Q. Do you have with you a copy of your
16 curriculum vitae?

17 A. Yes, I do.

18 MR. MORROW: I would like to mark that
19 please as Plaintiff's Exhibit No. 5.

20 (Document marked as Levin

21 Exhibit 5 for identification)

22 Q. How many cases are you involved in right
23 now?

24 A. I don't know.

00031

1 Q. Do you have a list somewhere of the cases
2 that are active and ongoing that you may need to
3 give testimony on or continue to do some work on?

4 A. Well, there is some cases that are coming
5 up from years ago versus recent. So I don't have a
6 total number, no.

7 Q. Could you create or do you have a list of
8 those cases somewhere at your disposal?

9 MR. BERMAN: Asked and answered.

10 A. No, I don't.

11 Q. How do you track the cases that you work
12 on?

13 A. I always receive a notice letting me know
14 whether or not a case is open or closed, and the
15 kinds of work that I do is in so many different
16 areas that when I'm notified that something is
17 closed I shred it.

18 Q. Do you keep calendars or other documents
19 that show you when you might have depositions or
20 when trials might be set on the cases that you are
21 working on?

22 A. Yes, I do.

23 Q. Do you have that there with you for us to
24 look at and discuss today?

00032

1 A. No.

2 Q. Why not?

3 A. A calendar? I have a calendar with my
4 family events on them, and I mark off time when I
5 won't be around. That's where my calendar is.

6 Q. I understand that your family things are
7 private. I have no interest in those, but would you
8 agree with me that one of the things that I
9 requested would be any calendars that might show
10 cases that you were involved in to this date?

11 MR. BERMAN: Objection to form. Predicate.

12 A. I mean --

13 MR. BERMAN: It assumes facts not in
14 evidence. You can answer.

15 A. I marked out a sticky that may go on the
16 month explaining when I would be utilized for a
17 particular matter, but that's not something that is
18 kept.

19 Q. For things that are scheduled from this
20 point forward on these other cases will you agree
21 with me that there will be notations on your
22 calendar if there were times and dates that you
23 needed to set aside to be available?

24 MR. BERMAN: Form, predicate.

00033

1 A. That actually goes on a red sticker that
2 goes on my calendar.

3 Q. But it's there. It's accessible for you to
4 review and kind of plan your schedule out in the
5 future for things you may need to do, correct?

6 A. Yes. But if things are switched or time
7 goes by, those come off.

8 Q. But you don't have that? Whether there is
9 things on there or not, you did not bring that with
10 you here today for your depo?

11 A. No.

12 Q. To your knowledge, have you at any time in
13 the past been made privy to a printout identified as
14 an Idex document that has a listing of the
15 deposition testimony and the cases that you have
16 been involved in over the years?

17 A. No, I have not seen that.

18 Q. Have you spoken with anybody other than
19 Mr. Berman in this case?

20 A. Yes.

21 Q. Can you tell me who it was that you might
22 have been involved with giving information or
23 background on this case or speaking about the work
24 you would be performing?

00034

1 A. Well, I spoke with his nurse, Jane, because
2 she had -- she was trying to forward some
3 information to me and -- but it didn't have anything
4 to do with opinions. And, also, his secretary when
5 I had a question or I was looking for some materials
6 or maybe even just Mr. Berman, nothing substantive.

7 Q. Have you had any kind of contact with
8 Dr. Baikovitz?

9 A. No, I have not.

10 Q. To your knowledge, have you had contact
11 with any of the other expert witnesses that have
12 been retained on this case?

13 A. No, I have not.

14 MR. MORROW: Did you guys hear that?

15 MR. BERMAN: What?

16 A. It sounded like a door.

17 MR. MORROW: I asked a question. Did you
18 hear it?

19 MR. BERMAN: She answered it.

20 MR. MORROW: I'm sorry, I didn't hear any
21 answer on the end. Was that a yes or a no?

22 MR. BERMAN: It was a no.

23 MR. MURRAY: I didn't hear an answer
24 either, Bob. Sorry.

00035

1 MR. BERMAN: The answer was no.

2 Q. During the course of your professional
3 career -- how long have you been involved in
4 nursing?

5 A. For approximately almost 20 years.

6 Q. Since roughly 1984. What kind of different
7 positions have you had over that time frame?

8 A. I worked on a general orthopedics floor,
9 orthopedic oncology, cardiac and medical intensive
10 care unit, home care nursing, nursing at community
11 hospitals within Boston and outside of Boston, work
12 over at nursing homes and orthopedic trauma unit.

13 Q. Have you ever worked for or with in
14 particular any gastroenterologists?

15 A. Yes.

16 Q. Could you tell me when and what fashion you
17 were associated with them?

18 A. When I worked in the cardiac and medical
19 intensive care unit we had the endoscopy unit right
20 next to us. So some of our patients would go there
21 for procedures. Some of the procedures were done at
22 bedside. So then; as well as the current time,
23 every so often we do have patients who go down for
24 various procedures, and they will come back up to

00036

1 us.

2 Q. So you are comfortable in terms of looking
3 at the nurses' care provided to a patient who was,
4 to some degree, being overseen by a gastroenterology
5 specialist?

6 MR. BERMAN: Form, predicate.

7 A. Yes.

8 Q. I would presume that also over those years
9 you have had access to patients that had wound care
10 issues?

11 A. Correct.

12 Q. Is it fair for a nurse in that setting, a
13 nurse associated with wound care or from a
14 gastroenterology standpoint, to presume that the
15 doctors under whom they are working will actually
16 read the chart of the patient?

17 MR. BERMAN: Form.

18 MS. WOHRLE: Join.

19 A. You want the doctor to read the chart. The
20 chart is used as a communication tool, as one
21 communication tool.

22 Q. But do you expect that? I mean, can you
23 rely on a physician actually looking at the chart of
24 the patient, as a nurse?

00037

1 MR. BERMAN: Form.

2 A. I can't state what each individual
3 physician's practice is, but as I stated, the chart
4 is to be utilized as a communication vehicle.

5 Q. Does the things that a nurse writes in the
6 chart, the notations that are made, they are to
7 communicate with doctors, correct, among other
8 things?

9 A. Yes, correct.

10 Q. And you would expect that for a patient the
11 doctor would know where in a chart to look for
12 specific information that he might or she might
13 require?

14 MR. BERMAN: Form.

15 A. Well, you would want the physician to be
16 able to look through the chart to find out the
17 information that he's looking for.

18 Q. Because that's why you put it there,
19 correct?

20 A. That is one reason.

21 Q. Likewise would you expect a doctor to
22 examine a patient?

23 MR. BERMAN: Form.

24 THE WITNESS: Is that the end of his

00038

1 question?

2 MR. BERMAN: Yes.

3 THE WITNESS: Oh.

4 A. I guess it depends upon the circumstances.

5 Each circumstance is different and each physician

6 and physician's specialty is different as to what

7 their role is in particular delivery of care.

8 Q. Well, specifically in terms of a

9 gastroenterologist that is treating a patient, would

10 you expect a gastroenterologist to examine a

11 patient?

12 A. Yes.

13 Q. You would expect a gastroenterologist to

14 after performing a procedure on a patient provide

15 follow-up care, correct?

16 MR. BERMAN: Form.

17 MS. WOHRLE: Join.

18 A. The doctor would do a follow-up.

19 Q. Have you been in the room before when

20 doctors actually asked patients questions? I think

21 in this, the medical field, it's called taking a

22 history.

23 A. Yes.

24 Q. Would you agree that that's part of what

00039

1 doctors are supposed to do? You have been in the
2 rooms and in the treatment areas. Have you seen
3 that before where doctors actually ask patients
4 questions?

5 MR. BERMAN: Form.

6 A. Yes.

7 Q. Usually the patient is either answering
8 those questions or if they can't answer the
9 questions that's noted in the chart that the person
10 wasn't responsive, correct?

11 MR. BERMAN: Form, predicate.

12 A. Yes.

13 Q. Doctors are also pretty frequently coming
14 to you as a nurse and asking you things about
15 patients, too, aren't they?

16 A. Yes.

17 Q. And do they come and ask you kind of
18 specific things that might be associated with the
19 care and treatment that they are providing to a
20 particular patient?

21 A. Sometimes.

22 Q. Just sometimes they do that?

23 A. Well, communication goes both ways. So a
24 nurse can bring it to the physician's attention or

00040

1 the physician can go and ask a nurse if he has
2 questions.

3 Q. When you looked through this chart did you
4 see anything in the chart that appeared to be absent
5 or missing from the documents that you would have
6 expected to be there?

7 A. Absent such as?

8 Q. As not there, meaning not present in the
9 chart.

10 A. A sheet for accuchecks.

11 Q. Anything else?

12 A. A plan of care having to do with the wound.

13 Q. Okay. Anything else?

14 A. Plan identifying specifics with pain.

15 Q. When you say "specifics with pain," what do
16 you mean by that?

17 A. Location of pain, intensity, if the pain
18 medication assisted the patient or not.

19 Q. Anything else?

20 A. That was absent, yes. When Mr. Day was
21 noted to have an Demerol allergy on April 26, that
22 documentation did not continue throughout the chart.
23 And he was administered Demerol on April 29 and
24 other times --

00041

1 Q. Let me just stop you there for a second.

2 We'll come back.

3 Who is it that writes orders about what
4 medication is going to be provided to any given
5 patient? Is it the nurses that write that?

6 A. No. The physician does.

7 Q. It's the doctor that decides what kind of
8 medication that the patient is going to get and then
9 writes how much the patient is going to get,
10 correct?

11 A. That is correct.

12 Q. Did you see any evidence -- did any of the
13 specialists or any of the treating physicians on
14 this case ever discontinue the use of that Demerol?

15 A. I believe it was after -- I don't know if
16 it was the first or the second surgery, and then it
17 was rewritten. I don't recall the specifics on that
18 date. But it was marked on the med sheets that the
19 patient had an allergy to Demerol.

20 Q. I understand that. But my specific
21 question is, ma'am, did you see any doctor's note or
22 order that discontinued its use?

23 A. I am just looking. I don't think so.

24 Q. That was pretty apparent to you it looks

00042

1 like. When you went through the chart you saw the
2 notations that there appeared to be a Demerol
3 reaction or allergy?

4 MR. BERMAN: Form.

5 A. Yes, on the medication sheet.

6 Q. That wasn't hidden from you. It was just
7 right there in the chart, and doing a good job in
8 looking through the chart you found that, correct?

9 A. Yes.

10 Q. Just like anybody else that would have
11 looked at that chart that was competent, they would
12 have seen that was noted there and understood that
13 that was an issue with this patient, correct?

14 MR. BERMAN: Form.

15 A. I don't know if the physician went through
16 and necessarily read the medication sheets.

17 Q. But if he had looked or they had looked or
18 anybody had looked, it would have been seen, and it
19 would have been there easily discernible, correct?

20 MR. BERMAN: Form.

21 A. It would have been seen if someone had
22 looked, correct.

23 Q. Is it your understanding that over the
24 years doctors frequently want to know if their

00043

1 patients are allergic to different types of
2 medication?

3 A. Yes.

4 Q. Why is that? Why would they want to know
5 something like that?

6 MR. BERMAN: Form.

7 A. They want to know so then they do not
8 prescribe it, and that they would know -- they would
9 also ask what type of allergy the patient has to the
10 medication.

11 Q. What kind of problems could that cause,
12 giving a patient a medication that he or she is
13 allergic to?

14 A. It could cause many different problems
15 depending upon the patient.

16 Q. Okay. With Demerol what would that be?
17 Would that be a lowering of the blood pressure?

18 A. It could.

19 Q. You would agree with me that in a patient
20 such as Mr. Day the lowering of his blood pressure
21 after the 26th would be a bad thing, wouldn't it?

22 MR. BERMAN: Form, predicate.

23 A. I just want to find that document. After
24 the 26th, yes. He was having lower blood pressure.

00044

1 Q. So you certainly wouldn't want to give a
2 patient a medication that would continue to drop
3 that low blood pressure, correct?

4 MR. BERMAN: Form.

5 A. Well, it depends upon the patient. Each
6 patient may react a little differently and dose
7 related, too.

8 Q. What about this patient?

9 MR. BERMAN: Form.

10 A. Originally he was going to be placed on the
11 morphine pump. Because of his low blood pressure
12 they had decided against it and -- so I'm not sure.
13 I'm not sure why the Demerol was administered after
14 there was a notation about an allergy. I don't
15 know. He was also given pills.

16 Q. Basically they didn't go with the morphine
17 pump because he had low blood pressure, but they
18 went ahead and gave him a medication that he was
19 allergic to that might have a side effect of
20 actually lowering his blood pressure, right?

21 MR. BERMAN: Form.

22 A. True.

23 Q. What is Toradol?

24 A. Toradol is a nonsteroidal medication in an

00045

1 intravenous form.

2 Q. What does it do?

3 A. Toradol is also used for pain. It can be

4 used as an anti-inflammatory agent.

5 Q. Do you recall what meds he was admitted

6 with, what type of medication he was taking before

7 he came to the hospital?

8 A. Yes. He was taking both Motrin and

9 ibuprofen, and he was also on blood pressure

10 medicine.

11 Q. What kind of blood pressure medicine was he

12 on?

13 A. At home, let me just find it.

14 Q. Can you find that?

15 A. I am trying to find it right now.

16 Q. I think if you go to the emergency room

17 notes under "Emergency Department, Patient

18 Assessment Sheet," there is a notation on Page 1 of

19 3 there that identifies meds.

20 A. These are the ones he had in the emergency

21 room.

22 MR. BERMAN: Well, tell him.

23 A. The ongoing assessment says the ones that

24 he was administered in the emergency room versus

00046

1 what he came in with.

2 Q. He was administered Glucotrol, Lasix,
3 potassium, Lipitor --

4 THE STENOGRAPHER: I'm sorry.

5 MR. BERMAN: Slow down.

6 A. Hold on.

7 MR. BERMAN: Slow down, please.

8 THE STENOGRAPHER: Repeat those. I'm
9 sorry.

10 Q. Let's do it this way: To your
11 understanding, what was he administered in the
12 emergency room?

13 A. Pepcid, Rocephin, Demerol, potassium. He
14 was on Glucotrol at home. And I am just trying to
15 find which -- he was also on Lipitor at home.

16 Q. What does that do?

17 A. Lowers your cholesterol level.

18 Q. To your knowledge, was he taking any
19 medication for high blood pressure prior to being
20 admitted to the hospital?

21 A. Yes, I believe he was.

22 Q. What was he taking?

23 A. That's what I am trying to find. I think
24 he was taking Norvasc. I believe that's what he was

00047

1 on.

2 Q. What does that medication do?

3 A. It's an anti-hypertensive agent.

4 Q. That means it lowers his blood pressure, in
5 layman's terms?

6 A. Yes.

7 Q. Do you see evidence that he was provided
8 any of that medication during the course of his
9 hospital stay?

10 A. Of any of the meds that we just discussed?

11 Q. No. The blood pressure lowering
12 medication.

13 A. Yes.

14 Q. When does it look like he was given that
15 medication?

16 A. On April 24.

17 Q. Are there any other dates that it appears
18 he was given that medication?

19 A. The Norvasc?

20 Q. Yes, ma'am.

21 A. The 25th, not on the 26th, held on the
22 28th.

23 Q. What about the 27th?

24 A. The page that I have for the 27th is

00048

1 circled, and then it says, "Held on the 28th."

2 Q. I'm looking at that, but we can't tell from
3 this chart whether or not that medication was given
4 on the 27th, can we?

5 MR. BERMAN: Form.

6 A. Correct.

7 Q. But we do know that there is an actual
8 order that was in to hold it on April 28th? That's
9 noted right there in the MARs --

10 A. Okay.

11 Q. -- 4/28?

12 A. Uh-huh.

13 Q. Was that a "correct"? I'm sorry.

14 A. Yes.

15 MR. BERMAN: She disregarded my
16 instructions and went "Uh-huh."

17 MR. MORROW: What's that? I'm sorry. I
18 didn't hear.

19 MR. BERMAN: I just said she disregarded my
20 instructions and went "uh-huh."

21 Q. Could you reanswer that, please?

22 MR. BERMAN: She did say, "Yes."

23 MR. MORROW: She did, okay.

24 Q. Would you agree with me that on the 27th

00049

1 the administration of a medication like Norvasc to a
2 patient in Mr. Day's condition would not be a good
3 thing?

4 MR. BERMAN: Form.

5 Q. I didn't hear. Was there an answer?

6 A. No. I'm just trying to find the -- I was
7 just trying to find the vital sign sheet for -- and
8 your question was specific to the 27th? Is that
9 what you had --

10 Q. Correct.

11 A. Yes, this would be something that we would
12 obtain parameters from a physician to find out what
13 blood pressure parameters there would be to know
14 when to hold the medication.

15 Q. But in your opinion as a nurse would that
16 be a bad medication to give a patient like Mr. Day
17 on the 27th?

18 MR. BERMAN: Form.

19 A. It would lower his blood pressure unless I
20 would ask the physician whether he would want it
21 administered or not.

22 Q. Is there any evidence, though, that a
23 physician clearly discontinued that medication or
24 set parameters or asked the nursing staff to come

00050

1 and speak with any number of these folks before
2 administering it again?

3 A. No.

4 MR. BERMAN: Form.

5 Q. But we do have a hold for April the 28th?

6 A. Yes.

7 Q. Would it be important for a treating
8 physician, a specialist or an attending or otherwise
9 to take a look at the accucheck sheets of a diabetic
10 patient such as Mr. Day to see what kind of blood
11 sugars he was running?

12 MR. BERMAN: Form.

13 MS. WOHRLE: Join.

14 MR. MORROW: I'm sorry. I got a beep. Did
15 she say yes or no?

16 MR. BERMAN: She didn't answer yet.

17 A. It would be important for Mr. Day's
18 treating physician to be aware of what his blood
19 pressure -- blood sugars are.

20 Q. What about any doctor that's involved with
21 him; isn't that a pretty relevant piece of
22 information for a diabetic, what his fasting blood
23 sugars -- or blood sugars are?

24 MR. BERMAN: Form.

00051

1 MS. WOHRLE: Join.

2 A. I can't speak for all of the physicians,
3 but that is an important thing to review.

4 Q. Which portion of the chart regarding the
5 accucheck did you find not to be in your set of
6 documents there when you reviewed them?

7 A. There weren't any accuchecks performed.

8 Q. None at all?

9 A. Correct.

10 Q. And the only blood sugars we see, where do
11 they appear in the nursing note?

12 A. They are in the lab results.

13 Q. So it doesn't appear that other than
14 through the lab work the fasting blood sugars were
15 being checked, correct?

16 MR. BERMAN: Form.

17 A. Yes.

18 Q. Is there an order in this instance --
19 wasn't there a request that those blood sugars be
20 taken and be documented?

21 A. Yes, there was.

22 Q. And who was it that initiated that order,
23 do you know?

24 A. That order was originated on April 27. I

00052

1 don't know who it is.

2 Q. But it's your understanding that the first
3 request for serial blood sugars to be drawn was made
4 on April, when, 27th?

5 A. Correct.

6 Q. Would you agree with me that in this
7 patient, at least from a nursing standpoint, it
8 would have been interesting to know what was taking
9 place with Mr. Day's blood sugars really from the
10 date of admission through the 27th when that order
11 was issued?

12 MR. BERMAN: Form.

13 A. I'm not going to say it's going to be
14 interesting. It would be important to know what his
15 blood sugars are because upon admission Dr. Jaraki
16 was holding his blood sugar medicine and thus you do
17 want to follow the accuchecks.

18 Q. What is it that those accuchecks tell a
19 person that might be reviewing this chart, a trained
20 physician? What would you want to know that
21 information for?

22 A. You want to see where the patient's blood
23 sugar is, whether it's high, whether it's low.

24 Q. Why is that? What can happen if a patient

00053

1 such as Mr. Day has a very high or elevated blood
2 sugars?

3 A. He could become very sick.

4 Q. In what fashion?

5 A. He could -- I mean, there's diabetic
6 ketoacidosis. There is diabetic comas. You could
7 go one way or the other with your blood sugar.

8 Q. What if it gets very, very low? The same
9 thing, you could have the same type of responses
10 where you could just go into a coma for the most
11 part?

12 A. You could.

13 Q. What was the first blood sugars that we saw
14 in this chart for Mr. Day?

15 A. I think it was in the emergency room. Let
16 me just turn to the page. Yes, in the emergency
17 room. It was 328.

18 Q. How does that rate on the scale? Is that
19 high?

20 MR. BERMAN: Form. You can answer.

21 A. That blood sugar is elevated.

22 Q. Could you quantify that for us? What are
23 the parameters that blood sugars should be in the
24 average adult male?

00054

1 A. Well, in the average adult that is not a
2 diabetic versus who is?

3 Q. Let's go with who is. A diabetic male,
4 where should his blood sugars be to be considered
5 normal?

6 MR. BERMAN: Excuse me. Objection to form
7 and predicate. Improper hypothetical. You can
8 answer.

9 A. On the 27th according to what the physician
10 had written Mr. Day would be treated if he had a
11 blood sugar higher than 180. So he had wanted a
12 blood sugar lower than 180.

13 Q. But that's well down the line, on the 27th,
14 after he's had the first surgical procedure, he's
15 been scoped and now there's been the discovery of
16 the wound in his perirectal area, correct?

17 A. Yes.

18 Q. I'm talking about at the start, back when
19 it got rolling. On the 23rd you said it was 328.
20 Likewise in general parameters would that be
21 considered markedly elevated for a blood sugar?

22 MR. BERMAN: Form.

23 MS. WOHRLE: Join.

24 A. Yes, it would be high.

00055

1 Q. Was that blood sugar receded the following
2 day?

3 A. Yes, it was.

4 Q. What was it at that occasion? I think it's
5 about 7:00 in the morning on the 24th, correct?

6 A. Yes, it was. It was 300.

7 Q. And that's still high, isn't it?

8 A. Yes, it is.

9 Q. In fact isn't there a little notation next
10 to it that says "M1"?

11 A. Yes.

12 Q. What does "M1" mean in the chart?

13 A. That this level was called to Marie.

14 Q. What is it identified as next to where it
15 says "M1" colon?

16 A. Panic value rechecked and called to Marie
17 on April 25. So it was called the day after.

18 Q. What does the term "panic value" mean to
19 you as a nurse?

20 A. That this is a blood sugar certainly
21 outside of the norm.

22 Q. Was that blood sugar rechecked during the
23 course of that day?

24 A. No, it was not.

00056

1 Q. Do you know what time it was that
2 Dr. Gedallovich performed the procedure on Mr. Day,
3 the upper endoscopy, to discover this pyloric
4 channel ulcer?

5 A. I believe it was late in the afternoon.

6 Q. So we have a blood sugar here of 300 at
7 7:00 in the morning, and no blood sugar was
8 requested or done apparently prior to that procedure
9 that took place in the late afternoon of the 24th of
10 April, correct?

11 A. Correct.

12 Q. Likewise was there a blood sugar that was
13 done through the evening or the night hours after
14 that procedure took place on the 24th?

15 A. No, there was not.

16 Q. How about the following morning, the day
17 after Dr. Gedallovich performed his upper endoscopy,
18 and released this patient from I guess -- strike
19 that. Let me rephrase that.

20 The next day, the next morning, April 25,
21 how long had it been at that time since a reported
22 blood sugar appears in the chemistries of this
23 chart?

24 A. The last time had been April 24 at 6:55

00057

1 a.m.

2 Q. So that's about 48 hours?

3 A. Yes.

4 Q. Was there any evidence in your review of
5 the chart that Dr. Gedallovich asked there to be
6 some blood sugars drawn on his patient?

7 A. I did not see that.

8 Q. All right. Well, let's move forward.

9 Let's get up to the afternoon of April the 25th.

10 There was some blood tests that were performed, but
11 was there a glucose that was done on Mr. Day?

12 A. No, there was not.

13 Q. Okay. Do you know when it was on the 25th
14 that Dr. Baikovitz happened to come by and spend his
15 time in follow-up with Mr. Day?

16 A. I believe that was -- I think it was early
17 afternoon.

18 Q. Did you see any reference in
19 Dr. Baikovitz's progress notes that he had come to
20 the realization that this patient now hadn't had a
21 fasting blood sugar done since the morning of the
22 24th at 6:55 a.m.?

23 MR. BERMAN: Form.

24 A. I don't see that.

00058

1 Q. Is there an order to have that done?

2 A. No, there is not.

3 Q. Do you have any progress notes on the 25th
4 written by Dr. Baikovitz's hand?

5 A. Yes.

6 Q. What do we see there? Could you tell me
7 what you make of that note, what it says?

8 MR. BERMAN: Form.

9 A. "2:50 p.m., GI; no further bleeding;
10 abdomen nontender; hemoglobin 10.1" --

11 Q. How would he know that? I'm sorry to stop
12 you and interrupt you. But how would he know what
13 this patient's hemoglobin might be at 2:50 p.m. on
14 April the 25th?

15 A. He may have spoken with a nurse or he may
16 have checked the lab results.

17 Q. How would he have done that?

18 A. He may have called the lab. He may have
19 gone on to the computer to check the lab results. I
20 don't know how he personally obtained them.

21 Q. Do you think it could have been in the
22 chart there for him to read?

23 MR. BERMAN: Form.

24 A. It could have been.

00059

1 Q. Either way we know that he at least knows
2 what the hemoglobin is, correct?

3 A. Yes.

4 Q. Is there any mention there in any way,
5 shape or form of what Mr. Day's blood sugars are?

6 MR. BERMAN: Form. Asked and answered.

7 A. Not in this note.

8 Q. Let's go and look at his physician's order.

9 MR. BERMAN: Whose order?

10 MR. MORROW: The same doctor I have been
11 asking about, Dr. Baikovitz. We just looked at his
12 progress note. Now let's take a look at his
13 corresponding order, if there is one, for the 25th
14 of April.

15 MR. BERMAN: Thank you.

16 A. There are none.

17 Q. There is not a single order there that you
18 see for Dr. Baikovitz. Are you sure?

19 MR. BERMAN: Form.

20 A. Correct.

21 Q. Well, there is some things that are written
22 on the 24th. Let's take a look, if you would,
23 please, at the first actual physician's order for
24 April the 25th.

00060

1 MR. BERMAN: You are talking the 25th or
2 the 24th? You just switched.

3 MR. MORROW: I'm probably not paying a good
4 enough attention to this chart.

5 Q. Would you please look -- let me backtrack.

6 MR. MORROW: Was she looking in April the
7 24th?

8 MR. BERMAN: No. She was looking at the
9 25th.

10 MR. MORROW: If I said the "24th," I
11 misspoke.

12 MR. BERMAN: I thought so.

13 Q. What is the first order contained for April
14 the 25th?

15 A. The first order was by Dr. Jaraki.

16 Q. All right. And what does it say?

17 A. "Potassium and magnesium level in the
18 morning. Demerol 25 to 50 milligrams IV every four
19 hours. Give Lasix as ordered."

20 Q. That's a.m. I guess that means the same
21 thing in medical terms as it does everywhere else.
22 He's there in the morning, correct, or that order is
23 written in the morning?

24 A. Yes.

00061

1 Q. What time did Dr. Baikovitz stop by?

2 A. He was in the afternoon, approximately

3 2:00.

4 Q. Do you have any reason to believe that that

5 order --

6 A. 2:50 p.m.

7 Q. -- of April 25th, 2000, in the a.m. was not

8 in the chart for Dr. Baikovitz to see when he came

9 in?

10 MR. BERMAN: Form and predicate.

11 MR. MORROW: I'm sorry. Did she answer

12 that question?

13 MR. BERMAN: No.

14 A. No, I didn't. I would think it would have

15 been in the chart, but I'm not certain since this

16 was either a verbal order or a telephone order. And

17 I don't know when -- actually, it would have been

18 there because Nurse Messina -- I apologize -- had

19 written it on the -- she worked the night shift.

20 Q. Is there any evidence that Dr. Baikovitz

21 appreciated the fact that the patient he was

22 following up on had been given a medication earlier

23 that same day that he was allergic to?

24 MR. BERMAN: Form.

00062

1 A. The Demerol allergy was not noted until
2 April 26. So it was after that date.

3 Q. Do you know whether or not Dr. Baikovitz --

4 THE OPERATOR: Excuse the interruption. I
5 have a Ms. Barbara Wohrle. I am returning her to
6 the conference. She said she was cut off. Hold on,
7 please.

8 (Pause)

9 THE OPERATOR: Ms. Wohrle, are you there
10 with us?

11 MS. WOHRLE: Yes.

12 THE OPERATOR: Thank you. There's your
13 party.

14 MS. WOHRLE: Our electricity went out when
15 you said, "Are there any orders on the 25th?"

16 MR. MORROW: If you don't mind, maybe go
17 off the record for a second.

18 (Discussion off the record)

19 BY MR. MORROW:

20 Q. Do you remember what I was asking you? I
21 believe I said something about was it noted that he
22 had an allergy to Demerol, and you said, I think,
23 "Well, that wasn't charted until quite a bit later
24 on the 27th," correct?

00063

1 A. No. I stated it was not charted until the
2 26th.

3 Q. Okay, the next day. How was that
4 discovered?

5 A. I don't know.

6 Q. But he does, at least from the chart, have
7 an allergy to this medication? And we know it was
8 given to him on April the 25th, correct?

9 MR. BERMAN: Asked and answered.

10 Q. Will you agree with me that it was given to
11 him on April the 25th, 2000, sometime in the
12 morning?

13 A. I am just pulling out the med sheets for
14 the 25th. I don't see Demerol given on that date.

15 Q. So it doesn't appear on the MARs?

16 A. The writing at the top of my sheet is
17 faded. I'm just trying to read this. He was given
18 Percocet on the 25th.

19 Q. He was given it appears to be Percocet
20 later that day, about 1 p.m.?

21 A. Yes.

22 Q. Can you tell from his chart whether or not
23 he received Demerol?

24 MR. BERMAN: You are talking about on the

00064

1 25th, correct? Correct?

2 MR. MORROW: Yes, sir.

3 MR. BERMAN: I just wanted to make sure.

4 A. I don't know. I don't know what that says.

5 Q. What types of signs or symptoms of an
6 allergic reaction might be present if a patient was
7 given a medication that they were allergic to such
8 as Demerol in this case?

9 A. There are different signs that patients can
10 have. They can drop their blood pressure. It could
11 cause confusion. It doesn't have to be so-called an
12 allergy. It could be an adverse reaction.

13 Q. Is that the kind of thing --

14 A. Nausea, vomiting.

15 Q. Those type of adverse reactions as you have
16 described, are those the kind of things that a
17 doctor might be looking for if they were to go in
18 and visit with the patient and find out how they are
19 doing?

20 MR. BERMAN: Form, predicate.

21 A. I don't know if they are looking for it.
22 If there is something that is not right, they will
23 investigate further. But also the nurse has to
24 communicate if there's been a problem at

00065

1 administering the medication to a patient and what
2 the reaction would be, and she would communicate
3 this by speaking with a physician as well as
4 documenting it in the record as to what the reaction
5 would be.

6 Q. Would you expect a physician examining and
7 speaking with a patient to be able to determine if
8 that patient was having an adverse physical reaction
9 to a medication?

10 MR. BERMAN: Form, predicate.

11 A. Not necessarily, if it wasn't pointed out
12 to him, because perhaps the reaction occurred
13 earlier.

14 Q. But if it was apparent, would you expect a
15 physician examining a patient to be able to identify
16 that that was a problem?

17 MR. BERMAN: Form, predicate. It assumes
18 facts not in evidence.

19 MS. WOHRLE: Join.

20 A. It depends what you mean by "apparent."
21 When the physician goes in to do his assessment, if
22 he finds that there's -- you know, perhaps if there
23 were some confusion, he would perhaps ask -- he
24 would ask the nurse if the patient had been given

00066

1 any medication to cause this confusion.

2 Q. Does it appear to you that Mr. Day had been
3 given Demerol at any time prior to that? Didn't he
4 receive some on the 23rd as well?

5 A. Yes.

6 Q. Was there ever anything charted here other
7 than the 23rd, 24th or 25th by anyone that he was
8 exhibiting signs of an adverse reaction to that
9 medication?

10 A. No.

11 MR. MURRAY: Bob, this is Robert Murray.
12 I'm sorry. I couldn't hear her answer.

13 MR. BERMAN: It was no.

14 MR. MORROW: I didn't hear her answer at
15 all. What was it? No.

16 MR. BERMAN: Yes, it was no.

17 MR. MORROW: We are not getting it on this
18 end. We are not making it up to be difficult. We
19 can't hear her.

20 MR. BERMAN: Did I say you were making it
21 up?

22 MR. MORROW: Yes.

23 MR. BERMAN: You are hallucinating.

24 MR. MORROW: It wouldn't be the first time.

00067

1 Q. Do you recall what the white blood count
2 was for Mr. Day when it was first drawn on him?

3 A. Yes. His white blood cell count was
4 38,800.

5 Q. Where is that rated? Is that high or okay
6 or what?

7 A. That's a high level.

8 Q. How high is that? What are the parameters
9 in terms of what the white blood count is supposed
10 to be in a patient such as Mr. Day?

11 A. Well, each facility has their own
12 parameters. Very commonly it's between 5,000 and
13 10,000 is normal. Some hospitals are 5,000 to
14 12,000 is normal. I was just going to look up what
15 is normal for this hospital. This hospital is 4.5
16 to 11,000 is normal as their reference range.

17 Q. If it was 38,800, that would be three
18 times, at least, higher than it should be, correct?

19 A. Yes.

20 Q. What does that mean as a nurse if you see a
21 lab such as that on a patient? What does that mean
22 generally?

23 MR. BERMAN: Form.

24 A. It means that something is going on with

00068

1 the patient which warrants further investigation.

2 Q. Such as? What kind of things would cause a
3 patient's white count to be actually more than three
4 times what it should be?

5 A. Infection can. Going along with infection,
6 sepsis.

7 Q. What is sepsis?

8 A. An overwhelming infection.

9 Q. So it's a different type of infection, a
10 worse one?

11 MR. BERMAN: Form, predicate.

12 Q. Right.

13 A. Yes.

14 Q. What was his white blood count on the 24th?

15 A. 35,200.

16 Q. All right. That's still three times higher
17 than it should be, correct?

18 A. Yes.

19 Q. What was the blood count on the 25th?

20 A. There was not one.

21 Q. Do you know why?

22 A. No, I don't.

23 Q. Is there any evidence that Dr. Jaraki --

24 excuse me -- Dr. Gedallovich following the procedure

1 that he performed on Mr. Day requested that a white
2 blood count be done on this patient to see if it was
3 still now three times higher than it should be?

4 MR. BERMAN: Form. And you are guilty of a
5 Freudian slip, my friend.

6 MR. MORROW: Move to strike. This case is
7 about slips. There is plenty of them.

8 MR. BERMAN: Move to strike that. We can
9 both -- we can agree that we can strike both those
10 comments physically from the record?

11 MR. MORROW: Yes, absolutely.

12 A. I am sorry. Your question was?

13 Q. Is there any evidence that after

14 Dr. Jaraki -- excuse me.

15 MR. MORROW: Can I strike that one, too,
16 Bob?

17 MR. BERMAN: I'm not going to comment on
18 it.

19 MR. MORROW: Because actually we know who
20 did the upper endoscopy.

21 Q. Who is the person who actually stuck a
22 camera down Mr. Day's throat?

23 MR. BERMAN: Why are you wasting time with
24 this? Come on. Let's get to the issues in the

00070

1 case, Mark, please.

2 Q. -- on the 24th on this patient?

3 A. Dr. Gedallovich did the endoscopy.

4 Q. Is there any evidence that Dr. Gedallovich
5 requested a follow-up white blood count of this
6 patient?

7 A. Dr. Gedallovich is the consult. That's the
8 primary physician's responsibility to follow the
9 patient as a whole.

10 Q. Is there any evidence that Dr. Gedallovich
11 requested a white blood count as follow-up to his
12 endoscopy of his patient on April the 24th?

13 A. No.

14 Q. No white blood count was done at all for
15 April the 25th, correct? At least it doesn't appear
16 in the labs.

17 MR. BERMAN: Form. Asked and answered.

18 A. Correct.

19 Q. And we know that Dr. Baikovitz came into
20 that room sometime in the latter part of the day of
21 April the 25th. Did he make any notations
22 whatsoever in the progress notes about these two
23 readings of an elevated white count in this patient?

24 A. No.

00071

1 Q. Did he make any orders whatsoever about
2 doing any additional labs or blood counts to
3 determine if the white blood count was still high?

4 MR. BERMAN: Form. Asked and answered.

5 A. No.

6 Q. In fact he didn't make any orders at all
7 about this patient?

8 MR. BERMAN: Asked and answered.

9 A. Correct.

10 Q. This is a patient with a blood sugar that
11 has a panic value reported in the lab. This is a
12 patient that has a white blood count that's three
13 times higher than it should be, correct?

14 MR. BERMAN: Form. Asked and answered.

15 A. Correct.

16 Q. And this is also a patient that showed --
17 do you know what "bands" are when they report
18 differentials for these automated blood counts?

19 A. Yes.

20 Q. What are those? What things do the bands
21 identify when they look through this blood sample?

22 A. It indicates a left shift of the white
23 blood cells.

24 Q. What does that mean?

00072

1 A. It can be indicative of an infection or
2 certainly a process that's going on.

3 Q. And the first time that Mr. Day's were
4 done, just how high were the bands?

5 A. They were 47.

6 Q. What would be the range? Zero would have
7 been normal, correct?

8 A. Yes.

9 Q. And the highest that you could go and still
10 be normal here would be, what, a five?

11 A. Yes.

12 Q. So this was nine times higher than it
13 should have been on the first day, the April the
14 23rd blood test, correct?

15 A. Correct.

16 Q. When they came back on the next day which
17 was the 24th they had dropped a little bit. Now
18 they were only eight times higher than they should
19 have been, correct?

20 MR. BERMAN: Form.

21 A. Close.

22 Q. Well, I guess we are a point off. So I'll
23 say, what, 7.75. They were still just tremendously
24 elevated, correct?

00073

1 MR. BERMAN: Form.

2 A. Yes, they were.

3 Q. Now, what were the bands on April the 25th?

4 A. There weren't any done.

5 Q. Do you know why?

6 A. No, I don't.

7 Q. Will you agree with me that if a consulting

8 physician wants a test done they have every right to

9 perform it, correct?

10 MR. BERMAN: Form, predicate.

11 MS. WOHRLE: Join.

12 A. Every facility is different with regard to

13 consultants writing primary orders. That is

14 something that could be communicated to the primary

15 physician.

16 Q. Has anything been provided to you in the

17 form of a policy or procedure or any testimony to

18 establish that in this case the consulting

19 physicians were not allowed to request automated

20 blood counts of their patients?

21 MR. BERMAN: Form.

22 A. No.

23 Q. You have been doing this a long time. You

24 have worked in the orthopedic trauma center so you

00074

1 know about wounds and what they can do if they get

2 infected, correct?

3 A. Yes.

4 Q. Especially wounds in the perirectal area?

5 A. Yes.

6 Q. And they are particularly susceptible for

7 infection simply because of their location, right?

8 A. Correct.

9 Q. Do you see anywhere in this chart that any

10 person, nurse, candy striper, attending physician,

11 consulting physician, janitor, anybody in this

12 hospital made a notation of the wounds that were

13 discovered on Mr. Day prior to its discovery on the

14 morning of April the 26th?

15 MR. BERMAN: Form.

16 A. No.

17 Q. Likewise you have been doing this a long

18 time. If you see a diabetic patient -- Mr. Day was

19 diabetic, correct?

20 A. Yes.

21 Q. If you see a diabetic patient with a white

22 count as high as 38,000 with a left shift and 47

23 percent bands along with an elevated blood sugar, do

24 you think he has an infection?

1 MR. BERMAN: Form.

2 A. That would be something that the physicians
3 would determine, whether or not he has an infection
4 at that time.

5 Q. From a nursing standpoint, though, are you
6 going to take a look at the patient to see if there
7 are any outward signs or symptoms of an active
8 infection in a patient such as I have just described
9 to you?

10 A. Yes.

11 Q. Because those readings are pretty alarming,
12 aren't they?

13 MR. BERMAN: Form.

14 MS. WOHRLE: Join.

15 A. They are elevated, yes.

16 Q. But I used a different term. I understand
17 that they are elevated. We went through that. One
18 is three times higher, the other is nine times
19 higher. Are they alarming?

20 MR. BERMAN: Form.

21 A. They are red flagged to keep a close eye on
22 my patient.

23 Q. Are those panic values?

24 MR. BERMAN: Form.

00076

1 A. Was that a question?

2 Q. Yes, ma'am.

3 MR. BERMAN: Yes.

4 Q. Are those panic values?

5 A. Well, one in the hospital's description is
6 considered a panic level. The others do not state
7 that.

8 Q. I am asking you. I know what the chart
9 says. In your mind is that a panic value of 38,800?

10 MR. BERMAN: Form.

11 A. It would be something important to watch.
12 I don't know if I would use the word "panic," but
13 certainly I would be watching and following up with
14 my patient.

15 Q. From review of this chart is there any
16 evidence that any of the consulting physicians
17 attempted to determine prior to the morning of April
18 the 26th what the source of infection was that could
19 potentially cause these elevated white counts, the
20 shift to the left, the high bands and the elevated
21 blood sugar?

22 MR. BERMAN: Form.

23 A. I don't see anything documented, but as I
24 said before, a consulting physician, that's not

1 their role. Their role is to consult on the
2 specific things such as in this case GI. You have
3 Dr. Jaraki who is the primary care physician who is
4 monitoring the patient, his health care entirely,
5 who is the one requesting these various blood tests
6 and following the patient.

7 Q. Where does the gastrointestinal tract
8 begin?

9 A. From the esophagus all the way down to the
10 rectal area.

11 Q. Where was this wound found to have been
12 festering in Mr. Day on the morning of the 26th?

13 MR. BERMAN: Form.

14 A. It was on the scrotum and the perineal
15 area -- perianal area.

16 Q. Is the perianal area also inclusive of the
17 rectum?

18 A. Well, the anal is the outside area, not
19 specifically inside.

20 Q. So your testimony is no, that's just not
21 part of that area?

22 MR. BERMAN: Form, argumentative.

23 MR. MORROW: I didn't ask her where it was.

24 I wanted to know if it was part and parcel inclusive

00078

1 of the rectal?

2 A. I don't believe that includes the rectum.

3 Q. Was there any evidence that there was
4 actually rectal involvement with this infection on

5 the morning of the 26th?

6 A. Inside the rectum, no.

7 Q. Was there a rectal performed that morning
8 by Dr. Gedallovich?

9 A. No.

10 Q. But that is part and parcel of the GI
11 tract, correct, the rectum?

12 A. Yes.

13 Q. Do you agree with me that even though you
14 are a nurse, and I understand that you are not a
15 doctor, but if you go in and find a patient that
16 needs emergency treatment for whatever reason, you
17 have a duty to try to obtain that treatment for that
18 patient, correct?

19 MR. BERMAN: Form.

20 MS. WOHRLE: Join.

21 A. Yes.

22 Q. You are not allowed to walk in a room and
23 say, "Oh, that's not something that's my job.
24 That's another type of nurse" or "That's a doctor's

00079

1 role so I am just going to write down what I see in
2 the chart here and not do anything to try to get
3 that patient the care that he or she needs,"
4 correct?

5 MR. BERMAN: Form.

6 A. Is that a hypothetical?

7 Q. No. In fact in here it looks to me like
8 it's exactly what happened.

9 MR. BERMAN: Form. Move to strike.

10 MS. WOHRLE: Join.

11 Q. Does a nurse have the right to find a
12 patient that is in an emergency situation that needs
13 emergent care and simply write it in the chart, walk
14 out of the room and say, "That's not my job"?

15 A. No, she can't do that.

16 Q. That would just be wrong, wouldn't it, to
17 ignore obvious signs of an emerging problem in a
18 patient on the part of a nurse?

19 A. That violates the nursing process.

20 Q. Who is held to a higher standard in terms
21 of duty to a patient in your opinion, a nurse or a
22 doctor?

23 MR. BERMAN: Form.

24 MS. WOHRLE: Join.

00080

1 A. I don't really understand the question.

2 Q. Can nurses diagnose?

3 A. Nurses can make nurses' diagnoses, and
4 that's what the nursing process is about.

5 Q. They can make nursing diagnoses. Can they
6 make other medical diagnoses?

7 A. No.

8 Q. Can they call for consultants to be
9 involved on a case?

10 A. The nurse will go back to the primary care
11 physician and explain what is going on.

12 Q. That's not my question.

13 MR. BERMAN: Excuse me. Don't interrupt
14 her.

15 Q. Can a nurse call for a consult on a
16 patient?

17 MR. BERMAN: Excuse me. Don't interrupt
18 her. Let her finish, then re-ask the question.
19 Finish your answer, please.

20 A. A nurse would take the findings and go
21 report that to the primary care physician and ask
22 that the primary care physician come and evaluate
23 the patient and make a plan of care as to what would
24 transpire next and who would be contacted to come

00081

1 visit this patient.

2 Q. So she can take some steps, but that really
3 wasn't my question. Listen to my question because
4 it's very clear and precise.

5 Can a nurse call for a physician consult on
6 a case? Can a nurse request directly, not by going
7 somewhere and writing and calling and talking -- can
8 a nurse request a physician consult on a case?

9 MR. BERMAN: Form. Asked and answered.

10 A. If requested to do so by the physician.

11 Q. That's not my question. My question is
12 real simple. We'll try it one more time.

13 Can a nurse request a consult on a case?

14 A. She cannot initiate a consult, but she can
15 follow the directions of a physician to place the
16 call for a consult.

17 Q. So the answer to my specific question,
18 though, is no, is it not?

19 MR. BERMAN: Form. Asked and answered.

20 You can answer.

21 Q. Correct?

22 A. We do not initiate our own contacts to
23 consultants.

24 Q. Do nurses other than ARNPs dispense

00082

1 medication through their own volition?

2 A. No.

3 Q. There has to be an order from a doctor to

4 do so, correct?

5 A. That is correct.

6 Q. Do nurses go to school as long as doctors

7 do to learn of a specific specialty?

8 MR. BERMAN: Form.

9 A. No, they do not.

10 Q. You told me that there were a number of

11 things that were missing from the chart, the

12 accucheck, the plan of care, the specifics obtained

13 and the Demerol allergy. Was there anything else

14 that you found that was not in this chart?

15 A. I think the med sheets for the 26th, but

16 I'm not sure.

17 Q. Anything else?

18 A. I think that's it. Well, I mentioned wound

19 care plan, wound document, and actually, there would

20 be some type of telemetry sheet following the heart

21 rhythms, the rate, whether or not there is any

22 ectopy, and that would be in there, too.

23 Q. Wasn't there evidence of some tachycardia

24 that occurred prior to the discovery of this wound?

00083

1 A. Yes.

2 Q. And that sheet doesn't appear in the chart,

3 correct?

4 A. Correct.

5 Q. But it's noted, is it not, that there was

6 such an occurrence?

7 A. Yes.

8 Q. And when did that occur?

9 A. I thought I had read something on the 25th.

10 I know it occurred on the 26th. I think I had seen

11 something on the 25th.

12 Q. Is that an important occurrence in a

13 patient such as Mr. Day, the occurrence of this

14 elevated heart rate?

15 A. Well, it's an abnormal heart rhythm, and

16 that is important.

17 Q. Do you know what time was charted it having

18 occurred on the 25th?

19 A. Yes. 11:14 a.m.

20 Q. So that too occurred before Dr. Baikovitz

21 ever set foot into his hospital room, correct?

22 A. Yes.

23 Q. Is it referenced in any way in the progress

24 notes?

00084

1 A. No. I don't know if it had been placed in
2 the chart by that time.

3 Q. We know that it occurred supposedly at what
4 time in the morning?

5 A. 11:14.

6 MR. BERMAN: Mark, excuse me. Off the
7 record.

8 MR. MORROW: Sure.

9 (Discussion off the record)

10 MR. BERMAN: Thank you.

11 BY MR. MORROW:

12 Q. You have a listing, I believe you said
13 earlier, of opinions in this case?

14 A. I have opinions, yes.

15 Q. If you would please tell me what they are?

16 A. Sure. As I stated before with regard to
17 this patient being a diabetic, there were no
18 accuchecks that were performed on the patient's
19 medications, the Glucotrol was also being held.
20 This patient had intake and output measured, yet
21 there were no hats in the toilet to collect any of
22 the diarrhea and observe anything that Mr. Day was
23 excreting, and you would need to do that.

24 Q. Let me stop there. I don't mean to

00085

1 interrupt you. Are you about to move on to another
2 opinion?

3 A. Yes.

4 Q. Let me ask you quickly about the hats in
5 the toilet. Is that something the nursing staff
6 should have initiated on their own or one of these
7 gastrointestinal doctors have requested there be
8 retention of this material that was being evacuated
9 for testing?

10 A. This would be something within the scope of
11 nursing to perform. Nursing should automatically be
12 putting the hat there since they know that he is
13 guaiac positive, and that he was having diarrhea,
14 that they would need to observe this.

15 Q. If it hadn't been done by the 24th would it
16 be incumbent upon the physician to say, "Hey, let's
17 get a hat, please, in the commode"?

18 A. The physician may not even know. They may
19 think that the stool is being observed by the
20 nursing staff.

21 Q. Is there any evidence that there was
22 particularly on the 25th some notations made about
23 there actually being some bloody evacuations?

24 MR. BERMAN: Form.

00086

1 A. There were notations.

2 Q. All right. And is there any evidence that
3 Dr. Baikovitz appreciated that at 2:50 p.m. on the
4 25th in his progress notes?

5 A. He actually states, "No further bleeding."

6 Q. Does that contradict what's written in the
7 chart by the nurse?

8 MR. BERMAN: Form.

9 A. Are we -- I'm sorry. Which date are we on?
10 The 25th?

11 Q. The 25th there is a note that we had gone
12 through on the afternoon attended by Dr. Baikovitz
13 there is no further bleeding. I imagine you have
14 looked at that. Does that contradict the
15 documentation in the chart by the nurses?

16 MR. BERMAN: Form.

17 A. Actually, the nurse doesn't note blood on
18 the 25th.

19 Q. That's Nurse Messina that you are
20 referencing?

21 A. Yes.

22 Q. When is her notation of the bloody rectal
23 evacuation?

24 A. That was on the 24th.

00087

1 Q. What time?

2 A. Well, 6 a.m. she makes a reference of --
3 has numerous bloody rectal evacuations and then
4 there is a nurse that comes in at 8 a.m.

5 Q. What about 4/24 at 23:30 just before
6 midnight on April the 24th?

7 A. "Evident for some rectal bleeding."

8 Q. So that's charted there, too, correct?

9 A. Yes.

10 Q. And between the time that that's noted in
11 the chart at about 11:30 at night on the 24th and
12 when Dr. Baikovitz sees this patient the next day,
13 are there any other physicians that actually go and
14 examine him, Mr. Day?

15 A. Dr. Jaraki is in. I'm not sure what time,
16 though.

17 Q. Is he as a primary care doctor responsible
18 for addressing issues associated with rectal
19 bleeding?

20 A. He would be observing everything on the
21 patient.

22 Q. Who is more acutely able to deal with and
23 address and respond to a notation in the chart such
24 as rectal bleeding?

00088

1 A. They both can.

2 Q. Who is going to be more trained? Who is
3 going to be the specialist that addresses the issue
4 of rectal bleeding, a primary care doctor or a
5 gastroenterologist?

6 MR. BERMAN: Form.

7 A. They both can.

8 Q. Who would you prefer, to treat a patient
9 that has rectal bleeding, a primary care physician
10 or a specialist who has been trained in the GI
11 system such as these gastroenterologists?

12 MR. BERMAN: Form.

13 A. This patient was having melena, and they
14 can both -- they are both trained to monitor that.

15 Q. My question was, what would your preference
16 as a nurse be, to have the primary care doctor take
17 a look or have the specialist involved in this area
18 take a look?

19 MR. BERMAN: Form. Asked and answered.

20 You can answer it again.

21 A. They both can.

22 Q. I understand they both can. The question
23 is predicated on the word "preference." Who would
24 you prefer?

00089

1 MR. BERMAN: She's answered it. One more

2 time.

3 A. They both can.

4 Q. Do you understand what I mean by "your

5 preference"?

6 A. Yes.

7 Q. And your preference would be that both of

8 them do it?

9 A. Both of them can monitor the patient.

10 Q. Okay. What else other than the hats -- by

11 the way, after Dr. Baikovitz left is there any

12 order, is there any note, "Hey, I need some stool

13 sample done if there is any more bleeding that

14 occurs on this patient"?

15 MR. BERMAN: Form.

16 A. No.

17 Q. That would be in the order section. He

18 didn't write any orders, did he?

19 MR. BERMAN: Form.

20 A. Correct.

21 Q. What else do you have on your opinion sheet

22 there? How many do you have?

23 A. I have a few more. The nursing staff

24 failed to assess the patient with regard to the

00090

1 odor, the drainage and the wound.

2 Q. When do you think that odor appeared?

3 MR. BERMAN: Form, predicate.

4 A. Well, according to Mrs. Day on the night of
5 the 24th going into the 25th she noted some odor and
6 some drainage, and she was asking the night nurse
7 about it and further asked the head nurse about it
8 in the daytime.

9 Q. Is there any evidence in this chart that
10 Dr. Baikovitz when he went in and examined this
11 patient on the 25th noticed an odor in his room?

12 A. No.

13 Q. Is there any documentation about there
14 having been the occurrence of odor in the room in
15 the progress notes and by Dr. Baikovitz?

16 A. No.

17 Q. Is there any evidence that Dr. Baikovitz
18 asked the patient or his wife, if she was present,
19 whether or not they had noticed any unusual odors in
20 the room?

21 MR. BERMAN: Form.

22 A. Well, I don't know if the wife was there,
23 but no, there is nothing documented.

24 Q. Will you agree with me that at least, I

00091

1 don't know if your experience would include this,
2 but there is quite a difference between a festering
3 gangrenous wound, the smell of that, as opposed to
4 consequential drainage from a cauterization
5 procedure done in the upper GI tract?

6 MR. BERMAN: Form.

7 A. Well, Mr. Day was coming in with melena
8 stools from having all of that ibuprofen and Motrin.
9 So it's not just the cautery that may be
10 contributing toward the melena in the stool. It's
11 just the fact that he had these nonsteroidals
12 beforehand.

13 Q. Does an infected wound smell different from
14 melena?

15 A. Yes, it does.

16 Q. And it is a relatively significant
17 difference, is it not?

18 A. That's up to the person's nose and how well
19 they smell.

20 Q. You would expect a gastroenterologist to
21 know the difference between the smell of an infected
22 wound and melena, wouldn't you?

23 A. Yes.

24 Q. Okay, "Failed to assess as to the odor."

00092

1 What's next?

2 A. Drainage.

3 Q. And you are saying they failed to assess

4 that drainage as well, correct?

5 A. Yes.

6 Q. When you looked at the entry that was made

7 by Nurse Messina you didn't know what she was

8 talking about?

9 A. Which entry are we talking about?

10 Q. Pick one. Which was the first one that you

11 saw. Was that the 24th?

12 A. Correct.

13 Q. You looked at that and, what, you didn't

14 think it was really descriptive enough?

15 MR. BERMAN: Form.

16 A. Correct.

17 Q. And you would like to see things in there

18 such as what?

19 A. Measurement of, you know, if this patient

20 had bloody evacuations. I know in her deposition

21 she stated that there were no BMs during the night

22 and that she relies on the patient if there is some

23 drainage that the patient himself will have to clean

24 himself up. And certainly if there is something

00093

1 going on the nurse needs to take those objective
2 findings and communicate that with the physician.

3 Q. Because the physician needs to know things
4 like color, consistency, the amount, all kinds of
5 things about what it was that was evacuated from
6 Mr. Day's bowels, correct?

7 MR. BERMAN: Form.

8 A. That is correct.

9 Q. Is there any evidence in the chart that
10 either Dr. Gedallovich or Dr. Baikovitz ever asked
11 those questions of anybody?

12 A. I don't know if they did or not. They
13 didn't write that.

14 Q. So the answer is there is no evidence in
15 this chart that, in the progress notes or in the
16 orders, Drs. Gedallovich or Baikovitz ever
17 appreciated that this had taken place and asked
18 questions similar to those that you had when you
19 read that entry, correct?

20 MR. BERMAN: Form.

21 A. They may have asked and just not documented
22 it. I don't know. But certainly they do write
23 afterwards "No further bleeding."

24 Q. Is there any evidence that they even knew

00094

1 that this was even taking place?

2 MR. BERMAN: Form.

3 Q. I'll limit it because that's compound. Is
4 there any evidence that Dr. Baikovitz specifically
5 was referring to the bloody rectal evacuations that
6 are charted by this Nurse Messina on the morning of
7 the 24th?

8 MR. BERMAN: Form.

9 A. I don't know. I know that the information
10 that was communicated by the nursing staff to he and
11 Dr. Jaraki happened to have been about the
12 potassium. There is nothing specific about the
13 rectal drainage.

14 Q. In fact it's actually called rectal
15 bleeding, isn't it, Nurse, on the 24th at 23:30? It
16 doesn't say "melena." It doesn't say "Evacuation."
17 It doesn't say "Drainage." It says, "Evidence for
18 some rectal bleeding," correct?

19 A. That is correct.

20 Q. You didn't have any problem finding that in
21 the chart?

22 A. Correct.

23 Q. It's actually one of those nursing notes
24 that's written very well, and it's very easy to

00095

1 read, isn't it?

2 MR. BERMAN: Form.

3 A. I saw it there.

4 Q. But it's easy. It's not something you had

5 to go looking for. If you were trying to find out

6 what was going on with this patient and you looked

7 in the notes, it was just right there for you to

8 see, correct?

9 MR. BERMAN: Form.

10 A. It was in the notes, yes.

11 Q. And it occurred at the same time that

12 another relatively significant event took place

13 where this patient ripped his IVs out of his arm and

14 wanted to go home?

15 MR. BERMAN: Form.

16 A. Yes.

17 Q. This patient got out of bed on the night of

18 the 24th at some time around 11:30, ripped his IVs

19 out of his arm, had rectal bleeding and wanted to go

20 home?

21 A. That is correct.

22 Q. Is there any reference to that change in

23 mental status on the 25th when Dr. Baikovitz comes

24 in and pens his 15 or 20 word progress note?

1 MR. BERMAN: Excuse me. Objection to form
2 and predicate.

3 A. There is no reference.

4 Q. Let me re-ask that question because Bob has
5 a fair objection.

6 Is there any reference to the change in
7 mental status that occurred during the early morning
8 hours of the 25th and the late, late hours of the
9 24th in Dr. Baikovitz's progress note?

10 MR. BERMAN: Form and predicate.

11 A. No.

12 Q. Keep going. We got through the drainage.
13 What else do you have there?

14 A. "Failed to assess the patient's wound."

15 Q. Does that mean failed to discover it or you
16 mean failed to assess it after it was discovered?

17 A. Both.

18 Q. Who was it that actually discovered this
19 wound? Wasn't it one of the morning nurses on the
20 26th?

21 A. I believe it was Mrs. Day who pointed this
22 out to the morning nurse.

23 Q. Would you agree with me that there is
24 evidence in Mrs. Day's deposition testimony that had

00097

1 been in existence for a significant period of time
2 up to and including 24 hours prior to its discovery?

3 MR. BERMAN: Objection to form and
4 predicate. It assumes facts not in evidence.

5 A. I don't understand your question.

6 Q. If you look at Mrs. Day's depo, and you did
7 that, didn't you?

8 A. Yes, I did.

9 Q. Did you see evidence in there that in fact
10 this wound had started to show some signs of its
11 creation late on the 24th according to her, just
12 according to Mrs. Day?

13 MR. BERMAN: Form, predicate.

14 A. I did see that on the night shift. She
15 spoke with a night nurse as well as a head nurse or
16 nursing supervisor on the 25th.

17 Q. And there is evidence on the 25th as well
18 that she was pointing this wound out to somebody?

19 MR. BERMAN: Form.

20 A. That is correct.

21 Q. Will you agree with me that if it existed
22 on the 24th and it existed on the 25th, the nurses
23 as well as the doctors failed to identify that it
24 was there?

00098

1 MR. BERMAN: Form and predicate. It

2 assumes facts not in evidence.

3 MS. WOHRLE: I'll join.

4 MR. BERMAN: You can answer.

5 THE WITNESS: Oh.

6 MR. BERMAN: Unless I tell you not to

7 answer, you answer them.

8 THE WITNESS: I'm sorry.

9 A. On the 25th the nursing staff didn't even

10 give him a bath that day. He had refused. So they

11 did not note it, and further they were not -- they

12 were giving rationale to Mrs. Day as to why this was

13 occurring because he was not moving around in the

14 bed.

15 Q. I think maybe you didn't hear my question.

16 The doctors didn't find it either, did they, on the

17 24th?

18 MR. BERMAN: Form, predicate. It assumes

19 facts not in evidence.

20 MS. WOHRLE: Join.

21 A. I don't see that the doctors were informed

22 that there was a process going on that they would

23 need to evaluate the patient there.

24 Q. Okay. That's not my question. Is there

00099

1 any -- actually, do it this way: Would you please
2 point to any location in the chart where any of the
3 doctors involved in the care of this patient noted
4 the existence of a wound on Mr. Day's perianal or
5 perirectal area prior to the morning of April 26th?

6 A. They did not. There is no documentation.

7 Q. What else do you have?

8 A. With regard to the wound after it's
9 discovered the nursing staff failed to monitor it
10 and failed to make a plan of care as to how would
11 they treat this wound, how would they mark the wound
12 to find out if it was growing and what would they do
13 about it as well as initiate a stat consult to the
14 wound care team.

15 Q. I'm sorry. I couldn't hear the end of
16 that.

17 A. As well as the nursing staff had the duty
18 to place a stat consult to the wound care team,
19 nurse to nurse.

20 Q. Is that something that a physician can do,
21 too, ask the wound care folks to get involved, if
22 you know?

23 A. Perhaps they can. Perhaps they can.

24 Q. You would actually presume that, wouldn't

00100

1 you, that a physician in a hospital such as this
2 could ask the wound care center there be involved
3 and consult on a patient?

4 MR. BERMAN: Form.

5 A. If the physician is aware that they
6 actually have a wound care team. Not all facilities
7 have a wound care team.

8 Q. Is there any evidence on the morning of the
9 26th that Dr. Gedallovich said he wanted a full
10 evaluation and a care plan developed by wound care
11 as to this patient?

12 A. Not by the wound care team, no.

13 Q. What else do you have there?

14 A. That the nursing staff in whole failed to
15 follow the nursing process. Also, they failed to
16 document, having to do with the wound and its
17 growth, the change in appearance. Also pain level;
18 specifics, where is the pain located, is the pain
19 medication working, and evaluating the patient as to
20 where the pain is occurring.

21 Q. This patient also came to the hospital with
22 some pain, didn't he?

23 A. Yes, he did.

24 Q. He had low back pain, correct?

00101

1 A. Yes.

2 Q. Was there ever any determination that you
3 saw up until the morning of the 26th as to what was
4 the cause of that back pain that was making this
5 gentleman take so much of the over-the-counter pain
6 medication?

7 MR. BERMAN: Form.

8 A. No.

9 Q. By anybody?

10 MR. BERMAN: Form.

11 A. He has a history of back pain, and he
12 didn't know that -- no. The answer is no.

13 Q. But it was readily apparent that he was
14 taking a tremendous amount of over-the-counter
15 medication to try to alleviate that very back pain,
16 correct?

17 MR. BERMAN: Form.

18 A. Yes.

19 Q. Did any of the doctors ever find out what
20 that was, that back pain that started this process?

21 MR. BERMAN: Form.

22 A. Not that I saw.

23 Q. Did anybody try? Does there appear to be
24 any type of abdominal CTs or other such testing

00102

1 performed to try to find out what was going on?

2 MR. BERMAN: Form.

3 A. I did not see that.

4 Q. Nurses can order abdominal CT scans, can
5 they?

6 A. No, they cannot.

7 Q. Who does that?

8 A. The physician.

9 Q. Which one? Who in this case would have the
10 authority, as you know it generally, at a hospital
11 to order an abdominal CT scan of this patient?

12 MR. BERMAN: Form, predicate. Improper
13 hypothetical.

14 A. All physicians can.

15 Q. How do they do that? They just write it in
16 the orders?

17 A. And/or call.

18 Q. There was no abdominal CT scan performed on
19 Mr. Day during his stay at this hospital, correct?

20 A. Correct.

21 Q. Okay. Other items that you consider to be
22 deviations by the nursing staff?

23 A. Yes. They failed to follow the chain of
24 command when he started having ventricular

1 tachycardia. There is a prolonged period of time
2 when the nurse was unable to reach Dr. Jaraki. And
3 she herself stated in her testimony that as time
4 went by and she needed somebody she would go up the
5 chain of command and that would include calling a
6 nursing supervisor and calling other people if
7 necessary, and she did not do that.

8 Q. What was the date that that occurred?

9 A. All those telephone calls to Dr. Jaraki was
10 on April 26th, but there was also ventricular --
11 there were ventricular beats on the 25th, and I
12 don't see that those were communicated either.

13 Q. But they are charted, are they not?

14 A. It's on a rhythm strip. There is no
15 notation about them. They also --

16 Q. There is no notation that allows you to
17 discern that there was a V-tach on the 25th in the
18 chart, as you see it, correct?

19 MR. BERMAN: Form. You cut her off.

20 MR. MORROW: I'm sorry.

21 MR. BERMAN: I know. That's okay. Go
22 ahead. Finish your last answer.

23 A. They failed to document what they should
24 when it comes to "here we have a patient in

00104

1 telemetry who is supposed to be monitored," observed
2 with a heart rhythm, the rate, any ectopy, and that
3 would be documented on a sheet, not just rhythm
4 strips that are done some are every eight hours,
5 some are every four hours. You need consistent
6 documentation talking about if there is any ectopic
7 beats.

8 Q. The V-tach that occurred on the 26th, does
9 it appear to coincide with the cleaning of the
10 wound?

11 A. Well, cleaning of the patient. I don't
12 know necessarily of the wound.

13 Q. Does it appear to coincide with that
14 cleaning that started being done that morning?

15 A. I understand that to be.

16 Q. What time was that done?

17 A. I believe that was between 8:00 and 8:15.

18 Q. Was there any reference that you recall to
19 that V-tach by the first physician that was present
20 on the scene after this wound was discovered?

21 A. I don't know if that was communicated to
22 the first physician after it occurred.

23 Q. Well, that first physician would be
24 Dr. Gedallovich, correct?

1 A. That is correct.

2 Q. And his progress note of April the 26th
3 doesn't reference the occurrence of any V-tach at
4 all, does it?

5 A. That is correct. I don't know if he had
6 access to that information.

7 MR. BERMAN: I need to take a break. You
8 said 10 or 15 minutes. I am calling my office.

9 MR. MORROW: I'll tell you what. Why don't
10 we do this: Let's take a break. I'm almost done
11 unless she has a whole lot of other opinions.

12 Q. How many more of these after chain of
13 command do we have?

14 A. A few more.

15 Q. Two or 14? I need to know. If you can
16 help me, please.

17 A. I think five. Yes, about five.

18 MR. BERMAN: Do you want to take a break or
19 do you want to ask them?

20 MR. MORROW: Either way. Let's do that.
21 Let's go off the record and start making phone
22 calls, Bob.

23 MR. BERMAN: Let me do that.

24 (Recess taken from 12:19 to 12:23 p.m.)

00106

1 BY MR. MORROW:

2 Q. Are there any other opinions that you have
3 there that we could kind of run through and
4 summarize real quick?

5 A. Yes. We talked about pretty much -- well,
6 actually, we didn't talk about it. Communicating
7 changes to the physician. It certainly is the
8 nursing responsibility that if they see something
9 abnormal, assess a patient and find something, it's
10 up to them to communicate all of those findings to
11 the physician.

12 Q. Next?

13 A. They failed to act as a patient advocate
14 with regard to calling the wound care nurse and also
15 when the patient had ventricular tachycardia and
16 also implementing a plan of care for this wound.
17 This was a gentleman who had been up walking around
18 and then had this wound on the 26th.

19 They failed to initiate a plan, as I said
20 before. There was no notations of aerating the
21 wound or turning the patient or obtaining a mattress
22 for the bed.

23 Q. Does that kind of go back to wound care?

24 A. Yes.

1 Q. Are there any new opinions that we haven't
2 had an opportunity to hear from you about, that we
3 haven't gone over?

4 A. Yes. They administered the medication
5 Toradol, and this patient had a history of renal
6 failure. And also it's very important for a nurse
7 caring for a patient to know what his history is.
8 And Nurse Messina stated she didn't know that the
9 patient was diabetic.

10 Q. Was there any discontinuation of the
11 Toradol by a physician?

12 A. I don't recall. I can look.

13 Q. That would be something that a physician
14 could do, correct? They could say, "Hey, wait a
15 minute. Here is a guy with renal failure. Maybe we
16 should DC the Toradol"?

17 MR. BERMAN: Form.

18 A. Yes, but a nurse prior to administering the
19 medication needs to know what the side effects can
20 be and know what the patient's history is before she
21 administers the medication.

22 Q. Agreed. And a doctor should monitor the
23 patient to see what medications they might be on,
24 correct?

00108

1 MR. BERMAN: Form.

2 A. Yes. It's teamwork.

3 Q. Did any of the physician members of this
4 team identify that as a potential problem and
5 address it through orders?

6 MR. BERMAN: Form.

7 A. Not that I saw.

8 Q. Does that surprise you?

9 MR. BERMAN: Form.

10 A. Well, it surprises me that a nurse would
11 administer this medication.

12 Q. What about a doctor letting it be given to
13 his patient?

14 MR. BERMAN: Form.

15 A. I understand what you are saying, but it's
16 the nurse who is actually doing the administering.
17 So she has to be aware of the patient's history as
18 well as the physician.

19 Q. But nobody caught it? I mean, to shorten
20 it up, nobody figured this out, right?

21 MR. BERMAN: Form.

22 A. Correct.

23 Q. What else?

24 A. Those are my opinions.

1 MR. MORROW: We are real short on time
2 here. I have to be at a mediation pretty soon. Bob,
3 why don't you go ahead, and do what you need to do.

4 MR. BERMAN: Okay.

5 CROSS EXAMINATION

6 BY MR. BERMAN:

7 Q. Barbara, could you state for the court and
8 jury -- tell us about your background.

9 A. I am the advanced clinician of the
10 orthopedic trauma department at Mass. General
11 Hospital. I have my bachelor of science in nursing
12 and advanced certifications in orthopedics and legal
13 nursing.

14 Q. You have years of clinical experience in
15 taking care of patients such as Mr. Day?

16 A. Yes, that is correct.

17 Q. At my request did you review various
18 materials including the medical records and
19 depositions in this case?

20 A. Yes, I did.

21 Q. And did you formulate opinions with respect
22 to the nursing care that was offered this patient in
23 this case?

24 A. Yes.

00110

1 Q. The opinions that you have with respect to
2 the nursing care, are they within a reasonable
3 degree of nursing probability?

4 A. Yes, they are.

5 Q. And could you please state for the court
6 and jury what your opinions are regarding the
7 nursing care that was delivered in this case by the
8 nursing staff at Memorial Pembroke period?

9 MR. MORROW: I object to the form.

10 A. Briefly, the nursing staff, they are the
11 eyes and ears for the physician. And if you have a
12 patient and/or the significant other stating to you
13 complaints and concerns that they have with their
14 loved one, it's your responsibility as a nurse to
15 further evaluate this patient, and aside from
16 evaluating the patient, communicating all of your
17 findings to the physician as well, and in this case
18 I don't see that that was done. And further, they
19 failed to follow the chain of command and
20 communicate changes and document about what was
21 transpiring with his wound.

22 Q. What do you mean by "the chain of command"?

23 A. Chain of command, if you can't -- if you
24 can't reach the physician then contacting your

00111

1 charge nurse, your nursing supervisor and/or other
2 physicians that could assist you in a matter.

3 Q. Do you see any indication in this record
4 that that was done in this case?

5 A. No, I do not see that.

6 Q. Do you have any other opinions with regard
7 to the delivery of the nursing care?

8 A. There was very poor documentation and very
9 poor monitoring of this patient. The nursing staff
10 is stating that there is some bloody drainage.
11 There is no measurements taken, and this information
12 is not even communicated to the physicians.

13 Q. Are there any other opinions that you have?

14 A. With regard to delivery of medications, as
15 I mentioned earlier, and failing to follow physician
16 orders and failing to be a patient advocate.

17 Q. Could you explain what you mean by there
18 was a failure to be a patient advocate?

19 A. The nursing staff knew that there was
20 something going on with this patient; such as when
21 the wound was discovered, the nursing staff had a
22 duty to then follow and figure out the plan of care
23 that would be delivered. And, also, the nurse
24 stated that she knew this was not a decubitus, what

00112

1 would be done, what would the plan be. There was a
2 consent form signed stating that these were
3 decubitus, yet the nurse knew that these were not
4 decubitus wounds. It's her responsibility to find
5 out what process is going on to best identify a plan
6 of care for her patient.

7 Q. And do you have any other opinions?

8 A. As I stated before, the communication
9 factor, that when a patient's family is making
10 complaints you have to investigate. You have to
11 assess your patient and then communicate these
12 findings to the primary care physician and figure
13 out a plan of care.

14 Q. Do nurses act as the eyes and ears of a
15 physician?

16 A. Yes, they do.

17 Q. Is it the nurse's responsibility to do that
18 function?

19 A. Yes, absolutely.

20 Q. In your opinion, did the nurses
21 appropriately act as the eyes and ears of the
22 physician in this case?

23 A. No, they did not.

24 Q. Explain the basis for your opinion?

00113

1 A. The nurses document that there is some
2 bloody drainage. That was not communicated to the
3 physician. According to the patient's wife she was
4 making complaints to the nursing staff. They did
5 not carry these complaints over and document them nor
6 did they communicate these findings about the
7 drainage, about the foul odor to the physicians as
8 well.

9 Q. Were there any -- was there any documentation
10 at all of any foul odor in the nursing notes.

11 A. There was documentation on the 26th and
12 also on the 27th and yet there was no further
13 documentation on the 26th. Once the wound was found
14 and the foul odor there were no more entries having
15 to do with the wound, the odor, the peeling scrotum.

16 Q. There was no entry of a foul odor at any
17 time, however, or any indication of a wound documented
18 prior to the morning of the 26th, was there?

19 A. That is correct.

20 MR. BERMAN: That's all I have.

21 MR. MORROW: Does anybody else have any
22 questions?

23 MR. MURRAY: This is Robert Murray. I have
24 none.

00114

1 MS. WOHRLE: I have none.

2 MR. MORROW: Just a quick follow-up.

3 REDIRECT EXAMINATION

4 BY MR. MORROW:

5 Q. Would you agree with me that doctors also
6 have their very own eyes and very own ears?

7 A. Yes.

8 Q. And that they can look at a chart just as
9 easily as a nurse can?

10 MR. BERMAN: Form. Asked and answered.

11 A. They can look at a chart.

12 Q. Likewise they have lips. They could talk.
13 They could ask the patient questions, can't they?

14 MR. BERMAN: Form.

15 A. Yes, they can.

16 MR. BERMAN: Asked and answered.

17 Q. Does it appear from your review of the
18 deposition testimony that Mrs. Day was at Mr. Day's
19 bedside almost really continuously on the 23rd, 24th
20 and 25th?

21 MR. BERMAN: Form.

22 A. She had left for a period of time, and I
23 believe that's when one of the physicians had come
24 in to consult in the afternoon.

00115

1 Q. That would be Dr. Baikovitz on the 25th or
2 Dr. Gedallovich involved sometime on the 24th --

3 A. Yes.

4 Q. -- if you remember?

5 A. Correct.

6 Q. Because I think she did say that she saw a
7 person that came in the room while she had gone to
8 the bathroom and came back out, and he was already
9 leaving. Do you remember her testimony?

10 MR. BERMAN: Form.

11 A. Yes, I do recall that.

12 Q. Is there any evidence that Dr. Baikovitz
13 had any contact with Shirley Day during the 25th of
14 April.

15 A. No.

16 Q. Is there any evidence that Shirley Day was
17 a poor historian and not able to respond to
18 questions regarding her husband's condition?

19 A. I don't see that.

20 MR. MORROW: Okay. That's all I had.

21 MR. BERMAN: No further questions. We will
22 read.

23 (Whereupon, the deposition was

24 concluded at 12:35 p.m.)

1 CERTIFICATE

2 I, BARBARA J. LEVIN, do hereby certify that I
3 have read the foregoing transcript of my testimony,
4 and further certify that said transcript
5 (with/without) suggested corrections is a true and
6 accurate record of said testimony.

7 Dated at _____, this ____ day of _____,
8 2004.

9

10 _____

11

12 * * * * *

13

14

15 On this ____ day of _____, 20____,
16 before me, the undersigned Notary Public, personally
17 appeared _____ and proved to
18 me through satisfactory evidence of identification,
19 which was _____, to be the person
20 whose name is signed above.

21

22 _____

23 Notary Public

24 My commission expires: _____

1 COMMONWEALTH OF MASSACHUSETTS)

2 SUFFOLK, SS.)

3 I, Linda A. Walsh, Registered Professional

4 Reporter and Notary Public in and for the

5 Commonwealth of Massachusetts, do hereby certify

6 that there came before me on the 13th day of August,

7 2004, at 10:03 a.m., the person hereinbefore named,

8 who was by me duly sworn to testify to the truth and

9 nothing but the truth of her knowledge touching and

10 concerning the matters in controversy in this cause;

11 that she was thereupon examined upon her oath, and

12 her examination reduced to typewriting under my

13 direction; and that the deposition is a true record

14 of the testimony given by the witness.

15 I further certify that I am neither attorney or

16 counsel for, nor related to or employed by, any

17 attorney or counsel employed by the parties hereto

18 or financially interested in the action.

19 In witness whereof, I have hereunto set my hand

20 and affixed my notarial seal this ____ day of

21 August, 2004.

22 _____

23 Notary Public

24 My commission expires 3/28/2008