

Radiology Expert -

per SDW - only fair witness

Has since been used by TT

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

STATE OF O H I O)
)
COUNTY OF CUYAHOGA)

SS:

IN THE COURT OF COMMON PLEAS

D. JOHN TRAVIS, Executor of)
the Estate of THORVALD)
HENRIKSEN, JR.,)
Plaintiff,)

vs.

No. 233034

LAKWOOD HOSPITAL)
ASSOCIATION, et al.,)
Defendants.)

The evidence videotaped deposition of
RICHARD MICHAEL GORE, M.D., taken in the
above-entitled cause, before WILMA A. WEINREICH, a
notary public of DuPage County, Illinois, on the
18th day of August, 1994, at the hour of 9:07
o'clock a.m., Evanston Hospital, 2650 Ridge Avenue,
Evanston, Illinois, pursuant to notice.

Reported by: Wilma A. Weinreich, CSR
License No.: 84-002566

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

APPEARANCES:

WEISMAN, GOLDBERG & WEISMAN, by
MR. RICHARD J. BERRIS
1600 Midland Building
Cleveland, Ohio 44115,
Representing the Plaintiff;

WESTON, HURD, FALLON, PAISLEY & HOWLEY, by
MR. STEPHEN D. WALTERS
15th Floor Terminal Tower
Cleveland, Ohio 44113-2241
Representing Defendant Lakewood
Hospital Association;

JACOBSON, MAYNARD, TUSCHMAN & KALIN, by
MR. WILLIAM D. BONEZZI
1001 Lakeside Avenue
North Point Tower Suite 1600
Cleveland, Ohio 44114,
Representing Defendant Michael
Schmitt, M.D.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I N D E X

<u>WITNESS</u>	<u>EXAMINATION</u>
RICHARD MICHAEL GORE, M.D.	
By Mr. Walters	4, 48
By Mr. Berris	32
By Mr. Bonezzi	40

E X H I B I T S

<u>NUMBER</u>	<u>MARKED FOR ID</u>
Defendant Deposition Exhibit	
Letters A and B	4

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

(Whereupon, Defendant Deposition Exhibit Letters A and B were marked for identification.)

MR. WALTERS: Before the reporter swears in the witness, I believe that we can stipulate that we all waive the filing of this or any other deposition in this case; is that correct?

MR. BERRIS: That's agreed.

MR. BONEZZI: That is agreed.

(Whereupon, the witness was duly sworn.)

MR. WALTERS: Now, you may swear in the witness.

RICHARD MICHAEL GORE, M.D., called as a witness herein, having been first duly sworn, was examined and testified as follows:

DIRECT EXAMINATION

BY MR. WALTERS:

Q. Would you state your full name, please.

A. My name is Richard Michael Gore.

Q. And Dr. Gore, you are a physician, correct?

A. That is correct.

Q. Doctor, we are sitting here in one of the

1 offices at Evanston Hospital in Evanston, Illinois,
2 correct?

3 A. Yes.

4 Q. Right adjoining the campus of Northwestern
5 University, correct?

6 A. Very close.

7 Q. Doctor, would you state for the record
8 your professional address?

9 A. Department of Radiology, Evanston
10 Hospital, 2650 Ridge Avenue, Evanston, Illinois
11 60201.

12 Q. As indicated a moment ago, doctor, you are
13 a physician. Is your area of practice focused in
14 any particular speciality?

15 A. Yes. I am a diagnostic radiologist.

16 Q. What is a radiologist?

17 A. A radiologist is a physician who after
18 medical school and internship does a four-year
19 residency and often a fellowship looking at
20 imaging.

21 The imaging technique can be either plain
22 radiographs, CT, magnetic resonance imaging,
23 ultrasound, nuclear medicine. So it's primarily
24 medical imaging.

1 Q. And when you use the term plain
2 radiographs, are you talking about what we laymen
3 generally refer to as x-rays?

4 A. That is correct.

5 Q. Doctor, if you would for the Jury
6 indicate, please, what your educational background
7 is.

8 A. I received a bachelor's degree from
9 Northwestern University in 1975. In 1977 I
10 received my medical degree also from Northwestern.

11 I was in the six year program in honors
12 medical education in which I received my bachelor's
13 degree and M.D. in six years.

14 I then went to Northwestern University
15 Medical School for my residency, and I did a
16 four-year residency which included in part
17 internship and the remaining years in residency.

18 After I completed my residency in 1981, I
19 spent a year of fellowship out at the University of
20 California in San Francisco at Moffitt Hospital
21 doing a fellowship in abdominal imaging, and that's
22 the extent of my formal training in radiology.

23 Q. If I understand then, doctor, you became a
24 physician -- became an M.D. when?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

A. In 1977.

Q. And then you embarked upon the residency program you have referred to?

A. In 1977, yes.

Q. As part of your residency program, you or any other radiologist, what is the focus of study? What do you do?

A. The focus of study is the practical aspects of all forms of abdominal imaging. We spend rotations looking at plain films, barium enemas, looking at the kidneys.

We also spend time doing mammography angiography, interventional procedures. We also spend time doing ultrasound and computer tomography and nuclear medicine.

Back then magnetic resonance imaging was in its infancy so there wasn't much exposure to that.

But all facets of abdominal and body and head imaging are included in the residency.

Q. Doctor, are you board certified in your speciality?

A. Yes, I am.

Q. Would you explain for the Jury what that

1 means, being board certified in a medical
2 speciality?

3 A. Board certification means that you have
4 met certain accepted standards given by a certain
5 board.

6 In my case, it happened to be the American
7 Board of Radiology where you fulfilled a residency
8 program, the approved program, and then also you
9 have to take a number of tests.

10 In radiology, there's both a written and
11 an oral test, and this is a standard that you have
12 to achieve and then you are board certified.

13 Q. Now, doctor, the exams that you take, are
14 they upon completion of your residency in
15 radiology?

16 A. In radiology during the fourth year, there
17 is a written portion of the examination; and if you
18 pass the written part of the examination, then in
19 June -- final June of your residency, then you
20 undertake your oral examination.

21 Q. You became board certified in radiology
22 when?

23 A. In June of 1981.

24 Q. Did you pass the exams first time around?

1 A. Yes, I did.

2 Q. Doctor, you are based here at Evanston
3 Hospital, correct?

4 A. That's correct.

5 Q. And this is the sole hospital right now
6 that you work out of, correct?

7 A. Evanston Hospital also has an affiliated
8 hospital called Glenbrook Hospital; and on the
9 weekends, sometimes I cross-cover over there as
10 well.

11 Q. Have you during the course of the practice
12 that you have been engaged in since completion of
13 your residency in 1981 been on staff at any
14 hospitals other than Evanston?

15 A. Yes. From 1982 to 1991, I was at
16 Northwestern Memorial Hospital which is our sister
17 institution in downtown Chicago. We are both a
18 part of the Northwestern University medical system.

19 Q. Is Evanston a teaching hospital?

20 A. Yes, it is.

21 Q. And is part of your time spent teaching?

22 A. Yes, it is.

23 Q. Do you have a faculty appointment of any
24 sort?

1 A. Yes, I do.

2 Q. And what is your appointment?

3 A. I am a professor of diagnostic radiology
4 at Northwestern University Medical School.

5 Q. I know in the academic world there are
6 levels of faculty appointment I guess beginning
7 with instructor --

8 A. Right.

9 Q. -- and ranging up from there. Are you a
10 full professor?

11 A. Yes, I am.

12 Q. How long have you been at Evanston
13 Hospital?

14 A. Since January of 1992.

15 Q. And here at Evanston Hospital, do you have
16 any particular focus or appointment?

17 A. Yes. I am chief of the section of
18 gastrointestinal radiology and abdominal imaging.

19 Q. In a few minutes, we are going to be
20 looking at some films that were taken at Lakewood
21 Hospital.

22 What portion of the body are they of?

23 A. These are lumbar spine films, would also
24 include portions of the abdomen as well.

1 Q. Doctor, in addition to your appointment
2 and service as a member of faculty of the medical
3 school of Northwestern University, have you
4 published in the medical literature?

5 A. Yes, I have.

6 Q. And do you have any idea how many
7 publications you have?

8 A. Approximately about 140, and also just
9 came out with a book as well.

10 Q. What's the name of the book?

11 A. Textbook of Gastrointestinal Radiology.

12 Q. Doctor, what portion of your professional
13 time is spent in the practice or teaching of
14 medicine?

15 A. Virtually all.

16 Q. And I assume, although I didn't ask you,
17 that you are licensed to practice medicine in the
18 State of Illinois?

19 A. Yes, I am.

20 Q. Dr. Gore, in the course of your practice
21 experience, have you had occasion to interact with
22 emergency departments or emergency rooms at the
23 various hospitals that you have worked at?

24 A. Yes, I have.

1 Q. And have you had occasion to engage in
2 what is sometimes called over-reading or rereading
3 of films, mainly x-ray films I am talking about,
4 that have been initially read by an emergency room
5 physician?

6 A. Indeed I have, yes.

7 Q. Doctor, were you asked to review certain
8 materials in this particular case involving a
9 patient by the name of Henriksen?

10 A. Yes, I was.

11 Q. And I believe my partner Deirdre Henry
12 sent you some materials and asked you to take a
13 look at them, correct?

14 A. Yes, she did.

15 Q. What was it that you reviewed?

16 A. I reviewed the emergency room records of
17 the patient both on the initial presentation and
18 then when he returned the following morning.

19 I also evaluated his lumbar spine films as
20 well as the radiology report interpreting the
21 lumbar spine films.

22 Q. Doctor, you read the interpretation of the
23 films as well?

24 A. That is correct.

1 Q. Dr. Gore, you are aware that Mr. Henriksen
2 first came to the emergency room of Lakewood
3 Hospital shortly after two a.m. on October 3, 1990,
4 correct?

5 A. Yes.

6 Q. And you have reviewed the records from
7 Lakewood Hospital for that emergency room visit?

8 A. Yes, I have.

9 Q. And the x-rays -- the x-ray films that you
10 reviewed were taken at that visit?

11 A. Yes, they were.

12 Q. And you are aware also that Mr. Henriksen
13 was returned to the emergency department of
14 Lakewood Hospital shortly after 11:30 a.m. that
15 same morning?

16 A. That's correct.

17 Q. And you have reviewed the records of that
18 emergency room visit?

19 A. Yes, I have.

20 MR. WALTERS: Doctor, prior to starting this
21 video tape deposition, we marked a couple of the
22 x-ray films, and I am going to ask you to put them
23 up on the shadow box, and I am going to ask you
24 some questions.

1 I understand, however, that our video tape
2 operator will need to move his camera around so we
3 are going to go off the record for just a moment so
4 that we can reverse the position of that camera.

5 (Whereupon, a discussion was
6 had off the record.)

7 BY MR. WALTERS:

8 Q. Dr. Gore, we got a chance to readjust the
9 camera angle. Of the films sent to you, have you
10 selected two that you find particularly instructive
11 in this particular case?

12 A. Yes, I do.

13 Q. And have you placed them on the view box
14 or shadow box?

15 A. Yes, I have.

16 Q. Doctor, directing your attention and
17 perhaps the camera's attention as well to the x-ray
18 films, these have been marked Exhibits A and B,
19 Defendants' Exhibits A and B.

20 For the record, let's identify those.
21 Exhibit A is what?

22 A. Exhibit A is a lateral radiograph of the
23 lumbar spine; and by the markings that we see over
24 here, it was obtained on 10-3-1990 at 3:18 in the

1 morning.

2 Q. And Exhibit B, doctor, would you identify
3 that for the record?

4 A. Exhibit B is an AP radiograph of the
5 abdomen, and this was obtained on the same day and
6 approximately the same time.

7 Q. When you refer to a lateral view, which is
8 what Exhibit A is, you are talking about a view
9 taken from the patient's side?

10 A. That is correct.

11 Q. And when you say AP, which is the view
12 depicted in Exhibit B, you are talking a view taken
13 from front to back, anterior to posterior?

14 A. That is correct.

15 Q. Doctor, looking at those x-ray films, do
16 you see any significant abnormality displayed on
17 those films?

18 MR. BONEZZI: ^OObjection. The question goes
19 beyond the scope of Dr. Gore's opinion letter that
20 was dated in June of 1994. Go ahead, doctor.

21 THE WITNESS: Yes, I do.

22 BY MR. WALTERS:

23 Q. And what do you see on those films?

24 MR. BONEZZI: ^OObjection. Same basis.

1 MR. WALTERS: We will start with Exhibit A.

2 THE WITNESS: Exhibit A, which is the lateral
3 radiograph of the lumbar spine, demonstrates a
4 curvilinear calcification anterior or in front of
5 the L-4 and L-5 vertebral bodies which can be seen
6 here (indicating) and here (indicating), and this
7 indicates calcified abdominal aortic aneurysm.

8 MR. BONEZZI: Objection. Move to strike for
9 the reason I have already given.

10 BY MR. WALTERS:

11 Q. Doctor, with regard to Exhibit A, I am
12 going to ask you to identify for the Jury -- and I
13 think some of these things they will know already
14 or certainly by the time you testify in this case.

15 But running down the center of that film,
16 there are a series of square-shaped figures. What
17 are those?

18 A. These are the --

19 MR. BONEZZI: Objection.

20 MR. WALTERS: And perhaps -- do you want a
21 continuing objection on this line --

22 MR. BONEZZI: That would be fine.

23 MR. WALTERS: -- so we don't have to jump in?

24 MR. BONEZZI: Yes.

1 MR. WALTERS: Let me come back.

2 BY MR. WALTERS:

3 Q. Doctor, for the benefit of the Jury, down
4 the center -- running down the center of Exhibit A,
5 there are a series of square or block-like
6 structures. What are those?

7 A. These are the vertebral bodies of the
8 lumbar spine, and we can see L-5, L indicating
9 lumbar, L-5, L-4, L-3, L-2, and L-1.

10 Q. And so that the Jury can get oriented and
11 understand your testimony all the better, Exhibit
12 A, the lateral view, would you point to what would
13 be the back of Mr. Henriksen?

14 It's on the left, is it not?

15 A. This would be the patient's back, correct.

16 Q. And to the right then would be the
17 abdomen?

18 A. That's correct. This would be the
19 anterior abdominal wall here. This is the front of
20 the patient (indicating).

21 Q. Now, you indicated and pointed to areas
22 that you referred to as indicating an abdominal
23 aortic aneurysm.

24 What is an abdominal aortic aneurysm?

1 MR. BONEZZI: Objection.

2 THE WITNESS: An abdominal aortic aneurysm is
3 an expansion of the abdominal aortic wall to
4 greater than three to four centimeters in size.

5 BY MR. WALTERS:

6 Q. Now, the aorta is the largest blood vessel
7 in the body, correct?

8 A. It is the largest artery in the body,
9 correct.

10 Q. And it is situated where in the body?

11 A. It is situated anterior or in front of the
12 lumbar spine, and it sits next to the inferior vena
13 cava, which is the largest vein of the body, and
14 it's located deeply posterior in the patient's
15 abdomen.

16 Q. Typically in an adult male, what is the
17 size of the abdominal aorta in terms of external
18 diameter?

19 A. In most males, the aorta usually measures
20 2 to 2.5 centimeters in size.

21 Q. And that is about an inch?

22 A. That's correct. An inch.

23 Q. Slightly over an inch?

24 A. Uh-huh.

1 Q. On the lateral view that you have been
2 talking about, the side x-ray view of Mr. Henriksen
3 marked Exhibit A, how wide is the abdominal aorta?

4 A. The aorta measures 8.2 centimeters, and it
5 corresponds to a width of approximately three and a
6 half inches.

7 MR. BONEZZI: Objection. Move to strike.

8 BY MR. WALTERS:

9 Q. Are you indicating, doctor, then that the
10 aorta on Mr. Henriksen is approximately three times
11 or better the normal size?

12 MR. BONEZZI: Objection.

13 THE WITNESS: That is correct.

14 BY MR. WALTERS:

15 Q. Now, other than that abnormality, is there
16 anything else with regard to the abdominal aorta of
17 Mr. Henriksen that shows abnormality?

18 MR. BONEZZI: Objection. And I will take a
19 continuing objection if you don't mind.

20 MR. WALTERS: Sure.

21 THE WITNESS: No.

22 BY MR. WALTERS:

23 Q. Now you mentioned something -- I think you
24 used the word calcification?

1 A. That is correct.

2 Q. Would you point again so that the Jury can
3 see where the calcifications are?

4 A. Here we see the calcifications along the
5 back wall of the aneurysm (indicating).

6 Q. Yeah. I was just going to say you can go
7 ahead and circle those.

8 A. Here and again here and here we see
9 calcifications along the front or anterior wall of
10 the patient's aneurysm (indicating).

11 Q. Mr. Henriksen has those calcifications per
12 that film, Exhibit A. Through what process? How
13 does that come about?

14 A. This is part of the atherosclerotic
15 process in which weakness develops in the wall of
16 the aorta, and over time it slowly expands and
17 becomes larger and larger and larger.

18 And during this process there is often
19 depose -- deposition of calcium within the intima,
20 and that's part of the lining of the aorta.

21 Q. The aorta is, if I may use the word, sort
22 of like a pipe?

23 A. That's correct.

24 Q. And am I understanding correctly that when

1 you refer to the intima, you are indicating that
2 the wall of that pipe has itself an inner part?

3 A. That is correct.

4 Q. Now you have used the term
5 atherosclerosis. I think everyone on the Jury is
6 familiar with that.

7 But just so the record is complete, what
8 is atherosclerosis?

9 A. Atherosclerosis is part of the
10 degenerative process that affects the arteries.
11 This is where you get deposition of calcium and
12 also fat in the wall of major blood vessels.

13 Q. Now, doctor, we have been talking largely
14 about Exhibit A; but referring your attention to
15 Exhibit B, does it also -- that's the
16 anterior/posterior view on the left as we are
17 looking at it -- does it also depict an abdominal
18 aortic aneurysm?

19 A. Yes, it does, but it depicts it somewhat
20 less well. We see this is the patient's right,
21 patient's left towards his head, towards his feet.

22 And again we see some calcification on the
23 left side of the aneurysm, and here we see some on
24 the right side.

1 Q. Doctor, based upon the size of the
2 aneurysm as depicted in Exhibits A and B up on the
3 screen and your indication that the size would
4 appear to be about 8.1 or 8.2 centimeters, do you
5 have an opinion based upon a reasonable medical
6 probability as to how long Mr. Henriksen had an
7 abdominal aortic aneurysm?

8 MR. BONEZZI: Objection.

9 THE WITNESS: This has been going on for years
10 because the medical literature indicates that
11 aneurisms grows about two to four millimeters a
12 year. So they grow about a quarter inch to a third
13 of an inch a year.

14 Q. Just doing some quick arithmetic in my
15 head, doctor, are you indicating that the length of
16 time Mr. Henriksen had an abdominal aortic aneurysm
17 approaches a figure of nearly ten years?

18 A. I would imagine at least somewhere between
19 five and ten years.

20 Q. With regard to the period of a month
21 before Mr. Henriksen was first brought to the
22 Lakewood Hospital emergency room, would the size of
23 the abdominal aortic aneurysm at that time have
24 been approximately what we see on Exhibit A?

1 A. Yes. It wouldn't have grown or changed
2 significantly in a period of a month.

3 Q. What about the calcifications? Would
4 those calcifications have been present a month
5 earlier?

6 A. Yes, they would.

7 Q. Doctor, I think by the time you testify in
8 this case, there will be testimony from more than
9 one source which will explain to the Jury the
10 process of what happens or can happen with an
11 abdominal aortic aneurysm.

12 But if the Jury will bear with us, I want
13 to ask you a couple questions about that. What is
14 the danger if any to a patient of having an
15 abdominal aortic aneurysm?

16 A. The danger -- there are two major dangers.
17 The first is rupture and bleeding of the aorta, and
18 the second is infection of the aneurysm.

19 Q. With regard to an aneurysm of the size of
20 Mr. Henriksen's as it was in early October of 1990,
21 is there any likelihood in your opinion of an
22 imminent rupture or leaking of that aneurysm?

23 A. Any aneurysm that's eight centimeters in
24 size is a great risk and peril for rupture and

1 . bleeding.

2 Q. Doctor -- were we getting anything after
3 that? Your microphone came down, which happens a
4 lot.

5 Doctor, with regard to the radiographic
6 findings that display an eight centimeter abdominal
7 aortic aneurysm in Exhibits A and B, are those
8 subtle findings?

9 A. What do you mean by subtle?

10 Q. Well, are those findings that are
11 difficult for you to pick up?

12 A. Not for me as a radiologist, no.

13 Q. Doctor, is there any question in your mind
14 that this man as per Exhibits A and B had an
15 abdominal aortic aneurysm?

16 A. Within reasonable certainty, no.

17 Q. Doctor, based upon the records that you
18 have reviewed and, if I understand correctly, those
19 are the two emergency room records for
20 Mr. Henriksen from October 3 of 1990 and the x-ray
21 films which include Exhibits A and B above and also
22 the interpretation -- radiographic interpretation
23 by Dr. Mendoza, first of all, do you have an
24 opinion as to whether or not the interpretation by

1 Dr. Mendoza was correct?

2 A. It was correct.

3 Q. And that interpretation relates
4 essentially what you have now told us about the
5 presence of an eight centimeter abdominal aortic
6 aneurysm, correct?

7 A. Yes, it does.

8 Q. Secondly, doctor, with regard to the
9 opinions that you have reviewed, I want you to
10 assume that the x-rays, Exhibits A and B, were
11 taken of Mr. Henriksen at or about 3:18 in the
12 morning of October 3rd, 1990 and that they were
13 read and interpreted initially by the emergency
14 room physician, Dr. Michael Schmitt, and his
15 diagnosis is as indicated on the emergency room
16 record that you have reviewed for that first visit
17 of October 3rd, 1990.

18 I want you to assume further that the
19 records were then read by a radiologist,
20 Dr. Mendoza, at approximately 4 or 4:20 p.m. that
21 same day and that the interpretation was as
22 indicated in Dr. Mendoza's interpretation.

23 Based upon that, doctor, do you have an
24 opinion based upon a reasonable medical probability

1 as to whether or not that time between the initial
2 reading by the emergency room physician,
3 Dr. Schmitt, and the subsequent reading of the
4 x-ray by Dr. Mendoza is a time period that is
5 within the standard of care for rereading of x-rays
6 taken in emergency rooms?

7 First of all, do you have an opinion?

8 A. Yes, I do.

9 Q. And what is that opinion?

10 A. My opinion that this falls well within the
11 standard of care and the norms, at least in all my
12 experience in the handling and disposition of
13 emergency room films.

14 Q. Doctor, if you would, can you tell us what
15 typically takes place when an emergency room visit
16 is made in the middle of the night as in this case
17 and an x-ray is taken for whatever purpose or
18 ordered by the emergency room physician?

19 Can you track it through for us for the
20 Jury so that they understand what the process is of
21 interrelation between radiology and emergency room?

22 A. Well, let me just explain our experience
23 at Evanston Hospital. If a patient has an x-ray
24 performed at 3:00 o'clock in the morning, the next

1 morning, sometime around 6:30 or 7:00 o'clock, one
2 of our filing staff will go over to the emergency
3 room department, pick up the films and then bring
4 them back to the file room.

5 At that point, one of the file room crew
6 will sit down at the computer and see if this
7 patient has old films.

8 If old films were present, then these
9 films will be obtained, and they will be put
10 together with the patient's emergency room films.

11 Also at that time the patient is charged,
12 and also at that time the file room technologist
13 will create a requisition for which the radiologist
14 will make the final interpretation.

15 If the patient has not had old films, then
16 a new jacket will have to be created, and then this
17 new jacket and the emergency room films will be put
18 together in a cellophane jacket and then presented
19 eventually to the radiologist for interpretation.

20 Q. Now, doctor, are there some hospitals that
21 have radiologists on site around the clock?

22 A. Yes, there are.

23 Q. Is Evanston Hospital here one of those
24 hospitals?

1 A. No, it is not.

2 Q. Is it typical that most hospitals do not
3 have a radiologist on site 24 hours?

4 A. Not on site, but they do have one on call
5 24 hours a day.

6 Q. And if an emergency room physician is
7 unsure of what he or she is seeing in an x-ray film
8 or has some level of insecurity about what the
9 radiographic diagnosis is, typically is there a
10 mechanism by which they can either place a phone
11 call to a radiologist or in some fashion flag the
12 x-ray for early reading?

13 A. What they always, or at least in all the
14 institutions I have been associated with, they will
15 have the phone number of the radiologist who is on
16 call. Then of course they can call the radiologist
17 in to help them out.

18 If their level of suspicion isn't quite
19 large enough, they don't want to bring the
20 radiologist in, then they can flag it for the
21 radiologist to read first thing in the morning or
22 they can walk the films over themselves and show
23 them to the radiologist.

24 Q. Absent that sort of flagging of film for

1 early reading, is the process by which x-rays are
2 re-read by radiologists along the lines that you
3 have testified to a few minutes ago?

4 A. At the institutions that I am familiar
5 with.

6 Q. And have you made any determination or
7 testing of, for example, how that works here at
8 Evanston in terms of time, how long the time period
9 is from the initial reading in the emergency room
10 until the final reading by the radiologist after
11 the jacket has been made all up?

12 A. Yes, I have. I was consulted about this
13 case in late June of this year; and when I have had
14 the opportunity to be officer on day -- and our job
15 as officer on day is to read emergency room films
16 as they come in directly from the emergency room.

17 That's when we are on duty and a film is
18 taken. Then we read the film immediately. And by
19 reading the film, we give a provisional
20 interpretation.

21 We don't give a final interpretation until
22 we can compare it with old films. And so for
23 example if the patient comes in at 8:00 o'clock in
24 the morning and has for example a film of the ankle

1 and I see there is an ankle fracture, I will make
2 my provisional note. The films will go back to the
3 emergency room.

4 And then on a number of these patients, I
5 have said how long does it take for the films to
6 eventually come back to me to interpret or another
7 radiologist to interpret.

8 And I was quite struck by the variation in
9 time. The best was about six hour turn around.
10 That is, a patients comes to the emergency room at
11 8:00 o'clock in the morning, gets his x-ray at
12 8:15.

13 I give my provisional interpretation at
14 8:20. The patient and film go back to the
15 emergency room.

16 And then the best turn-around time where I
17 get the patient's films and the jacket and the
18 requisition for an official interpretation, that
19 was about six hours.

20 But I must say some took two or three
21 days, but those are usually patients who had old
22 films.

23 Q. Now, doctor, in this case I want you to
24 assume that once the radiologist, Dr. Mendoza, did

1 read the films of Mr. Henriksen, he immediately
2 contacted the emergency room to orally indicate
3 that the man had an aneurysm and needed to be
4 called back.

5 But that by the time that occurred, which
6 was sometime after 4:00 o'clock in the afternoon,
7 Mr. Henriksen was already in surgery, having
8 returned to Lakewood Hospital about 11:36 that
9 morning.

10 Were the actions of Dr. Henriksen in
11 immediately calling the emergency department to
12 alert them that this was a man with an abdominal
13 aortic aneurysm within the standard of care in your
14 opinion?

15 A. It was Dr. Mendoza. And yes, those were
16 within the standard of care.

17 Q. Do you find any deviation from the
18 standard of care at Lakewood Hospital with regard
19 to the department of radiology, whether it be the
20 radiologist or radiologic staff, in terms of the
21 time period in which the radiographic
22 interpretation was done and communicated back to
23 the emergency room?

24 A. No, I don't.

1 MR. WALTERS: That's all I have. Doctor, I
2 think there will be some other people asking you
3 questions. If we can go off the record, we will
4 let you go back to your desk.

5 (Whereupon, a discussion was
6 had off the record.)

7 CROSS-EXAMINATION

8 BY MR. BERRIS:

9 Q. Doctor, my name is Richard Berris, and I
10 represent the plaintiff in this matter. Would you
11 agree that hospitals have the responsibility and
12 the duty to establish policies and procedures
13 relating to patient safety?

14 A. Yes.

15 Q. One of their responsibilities -- a
16 hospital's responsibility in that regard is to
17 establish quality assurance procedures?

18 A. Yes, they do.

19 Q. And quality assurance is what?

20 A. Quality assurance is to make sure that the
21 hospital is functioning to the best interest of the
22 patient.

23 Q. In terms of rereading of x-rays, how does
24 that relate to quality assurance?

1 A. I don't understand the question.

2 Q. Do you know -- do you know what I mean by

3 term rereading x-rays?

4 A. Yes.

5 Q. What is meant by that?

6 A. Rereading x-rays is when the patient comes

7 in off hours in the emergency room. A provisional

8 interpretation is provided by the emergency room

9 physician.

10 Then when the radiologist comes back the

11 next day will reread routine films and give an

12 official interpretation.

13 Q. And that is a quality assurance mechanism,

14 correct?

15 A. That is correct.

16 Q. It's designed to improve patient care?

17 A. That is correct.

18 Q. Would you agree that those working in a

19 hospital setting, doctors, nurses, technicians and

20 all hospital personnel, have a responsibility to

21 comply with the policies and procedures established

22 by the hospital?

23 A. Yes, if they are good procedures.

24 Q. If they are good procedures?

1 A. Right.

2 Q. The hospital obviously has a
3 responsibility to establish good procedures --

4 A. That's correct.

5 Q. -- correct? I want you to assume that
6 Lakewood Hospital established a rereading
7 procedure.

8 A. All right.

9 Q. A protocol for rereading of x-rays taken
10 in the emergency room during nighttime hours when
11 there isn't a radiologist within the hospital.

12 A. Uh-huh.

13 Q. Do you know what that procedure was?

14 A. Yesterday I was supplied with the written
15 procedure for the reinterpretation of x-rays, and
16 it's listed here on Exhibit No. 1 from yesterday's
17 deposition.

18 Q. I want you to assume that there was an
19 established and understood protocol at Lakewood
20 Hospital that x-rays interpreted by the emergency
21 room physician during nighttime hours at Lakewood
22 Hospital were to be read first thing in the morning
23 by the radiologist. All right?

24 MR. WALTERS: Is there a question?

1 MR. BERRIS: Yes. I want him to assume that.
2 THE WITNESS: I don't believe it states that
3 here, though.
4 BY MR. BERRIS:
5 Q. I want you to assume that that's the
6 understood protocol and agreement between the
7 emergency room department and the radiology
8 department.
9 MR. WALTERS: Show my objection.
10 BY MR. BERRIS:
11 Q. First of all, is the rereading of x-rays
12 under those circumstances first thing in the
13 morning a reasonable procedure?
14 MR. WALTERS: Again, objection.
15 THE WITNESS: What do you mean reasonable? In
16 terms of being --
17 MR. BERRIS: A good procedure.
18 THE WITNESS: It's a good procedure.
19 BY MR. BERRIS:
20 Q. And assuming that is the procedure, then
21 the hospital personnel have a responsibility to
22 comply with that procedure, correct?
23 A. That's correct.
24 MR. WALTERS: Objection. May I have a

1 continuing objection on this one?

2 BY MR. BERRIS:

3 Q. I want you to also assume that the x-rays
4 that are taken in the emergency room during the
5 evening hours, again when a radiologist is not on
6 duty, are picked up by x-ray technicians in the
7 emergency department and delivered over to the
8 radiology department first thing in the morning,
9 and the technicians hang the x-rays on the shadow
10 box. All right?

11 A. This assumption I have a little bit of
12 difficulty with because usually they don't take the
13 films directly from the x-ray department -- the
14 emergency room department and then deliver them
15 directly to the radiologist to read.

16 Usually it goes through the catacombs of
17 the file room before they are presented to the
18 radiologist, unless the film has been flagged for
19 special interpretation or --

20 Q. That's the way you do it at your hospital?

21 A. Right.

22 Q. Correct?

23 A. Correct.

24 Q. Do you know how it's done over at Lakewood

1 Hospital?

2 MR. WALTERS: Let him finish, please.

3 THE WITNESS: And again all the hospitals I
4 have been affiliated with.

5 BY MR. BERRIS:

6 Q. Do you know how it's done over at Lakewood
7 Hospital?

8 A. Not specifically, no.

9 Q. Different hospitals establish different
10 protocols, correct?

11 A. That's correct.

12 Q. Have the protocols at the various
13 hospitals that you have worked at always been the
14 same?

15 A. Again, I haven't seen written protocols
16 either here or at my prior institution, but
17 generally the unwritten protocol, the procedures
18 are the same.

19 Q. What's the protocol here at Evanston
20 Hospital in terms of when an x-ray rereading is to
21 be accomplished?

22 A. There is no written rule about when it
23 should be reread. They are not given any special
24 priority unless the examination has been flagged.

1 The people who read the emergency room
2 films also read outpatient films. And so they are
3 put in a big bin together, they are not segregated,
4 and it's primarily on a first-come-first-serve
5 basis. The first films we get are the first ones
6 we interpret.

7 Q. So the emergency films at your hospital
8 are given no special priority?

9 A. They are given priority ahead of
10 in-patient films; but with the outpatient films,
11 they are intermingled.

12 Q. You indicated that after you became
13 involved in this lawsuit, you did a little
14 investigation at your hospital to try to determine
15 how long this rereading procedure was accomplished
16 here at Evanston Hospital, correct?

17 A. Correct.

18 Q. And I think you indicated you were quite
19 struck -- I think that's the word you used --

20 A. Yes.

21 Q. -- by the discrepancy in time here at your
22 hospital as to how long it may take to get a
23 reread.

24 A. Uh-huh.

1 Q. Correct?

2 A. Correct.

3 Q. And you indicated that it could take as
4 long as three days at your hospital --

5 A. That's correct.

6 Q. -- to get a reread of an emergency film --

7 A. That's correct.

8 Q. -- correct? Do you think that's a safe
9 procedure?

10 A. That's the way the real world is. It's
11 not the ideal procedure, but that's how things work
12 in practicality.

13 Q. Has there been any consideration here at
14 your hospital of formulating a policy so that
15 emergency films that are re-read aren't sitting
16 around for three days without a reread?

17 A. By not sitting around, that means films
18 may have been taken -- for example, an orthopaedic
19 surgeon may have pocketed the films for a few days
20 and sent them back to radiology for a final
21 interpretation.

22 That usually is the case in the films that
23 are missing two or three days. The films have been
24 somehow removed from the system, walked out and

1 escaped.

2 Q. The purpose of the reread procedure by the
3 radiologist is to pick up an abnormality seen on
4 the x-ray that for whatever reason was missed by
5 the emergency physician, correct?

6 A. The purpose is to officially generate a
7 report so it can be part of the patient's permanent
8 medical record, and hopefully the radiologist will
9 have more experience in looking at x-rays and may
10 find abnormalities that are missed by the emergency
11 room physician.

12 Q. Some of those abnormalities that may be
13 missed by an emergency physician may be
14 life-threatening emergencies, correct?

15 A. That is correct.

16 Q. Such as Mr. Henriksen's eight centimeter
17 aneurysm, correct?

18 A. That's correct.

19 Q. That was a life-threatening emergency?

20 A. Yes, it was.

21 MR. BERRIS: Doctor, I think that's all I
22 have. Thank you.

23

24

CROSS-EXAMINATION

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

BY MR. BONEZZI:

Q. Dr. Gore, my name is Bill Bonezzi. I represent Dr. Schmitt and his emergency group. Yesterday your deposition was taken, and a number of questions were asked not only by myself but also by Mr. Berris relative to your opinions and information that you understood relating to this case.

A. That is correct.

Q. Do you recall that?

A. Yes.

Q. Have you had a chance to review the deposition transcript that would have been generated as a result of those questions asked of you yesterday?

A. No, I did not have a chance to review it.

Q. Did you prior to yesterday and prior to authoring the report that we have talked about very briefly have an opportunity to read Dr. Mendoza's deposition?

A. No, I have not.

Q. And you are aware that Dr. Mendoza was the radiologist at Lakewood Hospital who provided the

1 interpretation following a reread at approximately
2 4:20 in the afternoon of October 3rd, 1990?

3 A. That's my understanding, yes.

4 Q. And as a result of not reading his
5 deposition, then you are unaware of the protocols
6 and the procedures that Dr. Mendoza discussed
7 during that deposition relative to when films that
8 are generated as a result of a physician's order in
9 the emergency room are over-read by a certified
10 radiologist at Lakewood Hospital; is that correct?

11 A. I am not familiar with what he said the
12 procedures are.

13 Q. Doctor, you would agree with me that a
14 radiologist based upon his or her training,
15 experience and background is in a much better
16 position to provide radiologic interpretation as
17 compared to or as opposed to an emergency room
18 physician who has not been trained to the extent
19 that a radiologist has. Do you agree with that?

20 A. A better physician in determining
21 abnormalities?

22 Q. Yes.

23 A. Yes.

24 Q. I am speaking specifically of

1 abnormalities that may be seen that may arise on a
2 film, you providing an interpretation as opposed to
3 an emergency room physician.

4 You would expect to be able to understand
5 and/or pick up abnormalities sooner than an
6 emergency room physician. Would you agree with
7 that?

8 A. Yes, that's the way it should go, but
9 occasionally they find things that we miss when
10 reading films on line and we might miss a subtle
11 fracture, but the emergency room physician, because
12 he can actually feel where the patient is injured,
13 he can actually see the fracture and pick it up
14 before we do.

15 Q. So, in other words, what you are saying is
16 that the clinical picture, the clinical
17 presentation of a patient in the scenario that you
18 have just outlined, provides information to the
19 emergency room physician that you don't have as a
20 radiologist?

21 A. That's correct.

22 Q. And vice-versa, you as a radiologist can
23 pick up things on a film when you are directly
24 looking at something or looking for something that

1 an emergency room physician may not appreciate?

2 A. That's correct.

3 Q. Doctor, what is reader bias?

4 A. Reader bias is based on the fact that if
5 you are forewarned that there is an abnormality on
6 a film, and specifically what abnormality there is,
7 you are going to be on special attention to look
8 for that abnormality and really focus in on that
9 area.

10 Q. At the time that you were first contacted
11 to provide information in this particular case and
12 before you had an opportunity to either review
13 records or to review the films, were you told that
14 Mr. Henriksen had an abdominal aortic aneurysm that
15 had ruptured and that caused him to be taken to
16 surgery on or about October 3, 1990?

17 A. Yes, I was.

18 Q. So, in other words, you had prior
19 information that was provided to you before you had
20 the opportunity to review the films that you have
21 spoken about here this morning; is that right?

22 A. That's right.

23 Q. Can you tell me whether an LS spine film
24 or an AP, which I believe is Exhibits A and B, are

1 the best type of diagnostic studies to provide
2 information to a physician, be it an emergency room
3 physician or a radiologist, when attempting to
4 determine if an abnormality exists such as an
5 abdominal aortic aneurysm?

6 A. The plain films of the lumbar spine and
7 the plain film of the abdomen are not very good
8 tests for diagnosing abdominal aortic aneurysms.

9 Q. And I believe that you indicated in your
10 previous direct examination in your testimony that
11 Exhibit B, which I believe is the AP view --

12 A. Correct.

13 Q. -- was not as good in providing
14 information relative to the abdominal aortic
15 aneurysm that Mr. Henriksen had as Exhibit A or the
16 LS spine?

17 A. In this patient, correct.

18 Q. In the past in your practice in the 17
19 years that you have been a practicing radiologist,
20 you have reviewed diagnostic studies ordered by
21 emergency room physicians in your attempt to
22 provide over-reads or interpretations; is that
23 right?

24 A. Yes, I have.

1 Q. And in that period of time, you have
2 picked up abnormalities that had not been
3 appreciated or seen by the emergency room
4 physician; is that right?

5 A. That's right.

6 Q. And is it your opinion that the failure of
7 an emergency room physician to pick up an
8 abnormality to miss something that you ultimately
9 see, that by itself is not a deviation from
10 acceptable standards of practice in providing an
11 interpretation by an emergency room physician of an
12 x-ray?

13 A. That is not a deviation to the best of my
14 knowledge, yes.

15 Q. You will bear with me for just one second,
16 sir. I think you also testified that the emergency
17 room physician provides what you have characterized
18 as a provisional reading or a reading that would
19 not be considered an official reading of an x-ray;
20 is that right?

21 A. That's correct.

22 Q. And is it your understanding that in every
23 hospital or institution that you have been
24 affiliated with that regardless of the stature or

1 the standing of the emergency room physician who
2 orders an x-ray, regardless of how good he or she
3 may be in interpreting x-rays, there is still a
4 protocol of procedure and a dictate that a
5 radiologist will provide an over-read or a second
6 interpretation, an official interpretation, of any
7 and all diagnostic studies ordered by emergency
8 room physicians?

9 A. At all the hospitals in which I have
10 worked, yes.

11 Q. And the purpose of that is to provide the
12 quality assurance that is mandated for patient
13 care; is that right?

14 A. I don't know if there are specific
15 guidelines for that at every hospital, but that's
16 how things work in practice.

17 Q. Because things can be missed?

18 A. Yes.

19 Q. Which is why you want somebody trained in
20 that speciality, radiologic specialties, to provide
21 the official interpretation so that if something is
22 missed so that help can be provided to the patient
23 that takes place?

24 A. That is correct.

1 MR. BONEZZI: I have nothing further. Thank
2 you, sir.

3 REDIRECT EXAMINATION

4 BY MR. WALTERS:

5 Q. Doctor, just a couple things to tie up
6 perhaps some loose ends. And this is Steve Walters
7 again so the Jury understands who is asking the
8 questions.

9 Dr. Gore, so that it is clear, when x-ray
10 films are brought to the department of radiology,
11 whether it be from the emergency room or from
12 outpatient clinics -- we will stop a minute here.
13 Do you want to go off the record while he's --

14 THE WITNESS: That's fine.

15 MR. WALTERS: Is that all right? Let me back
16 up.

17 BY MR. WALTERS:

18 Q. Dr. Gore, when x-ray films are brought to
19 the department of radiology from either the
20 emergency room or from the various outpatient
21 clinics, are they immediately put up and hung in
22 front of a shadow box and read or are there things
23 that need to be done?

24 A. If a film comes in from the emergency room

1 on line while the radiologist is sitting there, he
2 will look at the film immediately and give a
3 provisional report.

4 He will then bring it back -- give it back
5 to the emergency room. Then it will go through
6 channels and eventually be officially interpreted.

7 Q. Okay. Now let me ask you this. Let us
8 suppose that we are talking about films that have
9 been taken during off hours. In other words, the
10 patient is no longer in the emergency room.

11 A. Right.

12 Q. Are there things that need to be done?

13 A. Yes. Again, it has to go through the maze
14 of the file room to eventually come back to be
15 officially interpreted by the radiologist.

16 Q. And with regard to the time involved in
17 this case, which was a reading occurring sometime
18 after 4:00 o'clock p.m., again, is it your opinion
19 that the standard of care was satisfied by a
20 reading done within that time frame?

21 A. Yes. It seems very customary.

22 Q. Some questions have been asked by both
23 Mr. Berris and Mr. Bonezzi about the way things are
24 done here.

1 So that it is clear to everyone, how many
2 beds are here at Evanston Hospital?

3 A. We have 625 beds.

4 Q. So it's a fairly large hospital?

5 A. Yes, it is.

6 Q. And with regard to the teaching hospitals
7 of the medical school of Northwestern University,
8 what are the teaching hospitals?

9 A. The teaching hospitals are Northwestern
10 Memorial Hospital, Children's Memorial Hospital,
11 the Veterans Administration Hospital Lakeside, the
12 Rehabilitation Institute the Chicago, and Evanston
13 Hospital and Glenbrook Hospital.

14 Q. So this is a teaching hospital?

15 A. Yes, it is.

16 Q. And you teach residents and medical
17 students?

18 A. Yes. The same residents and medical
19 students rotate through all the hospitals.

20 Q. Mr. Bonezzi asked you about reader bias,
21 and you gave a definition of that. Is the
22 depiction of an abdominal aortic aneurysm in
23 Exhibits A and B, the films that you were good
24 enough to look at for us a few minutes ago, is the

1 depiction of the aneurysm in those x-rays so subtle
2 that it would be missed without some foreknowledge
3 that it existed in your opinion?

4 MR. BONEZZI: Objection to the form of the
5 question.

6 THE WITNESS: For a radiologist it is not
7 subtle, no.

8 BY MR. WALTERS:

9 Q. Doctor, there was also some question about
10 the views indicated in Exhibits A and B in terms of
11 their likelihood of picking up an abdominal aortic
12 aneurysm.

13 During the off hours in an emergency room,
14 who makes the decision as to what type of x-ray or
15 radiographic study if any will be done on a
16 patient?

17 A. It's initially the emergency room
18 physician.

19 Q. And if the emergency room physician feels
20 that he is picking up clinically symptoms of
21 something that may not be shown on a flat plate
22 x-ray film --

23 A. Uh-huh.

24 Q. -- and wants to consider another study,

1 does he have certain options available to him in
2 terms of what to do with the patient?

3 A. Yes. He can order a CT scan, an
4 ultrasound, a magnetic resonance imaging scan.
5 That he would do in conjunction with calling the
6 radiologist to get approval to order these tests.

7 Q. Was that done in this case?

8 A. Not that I am aware of.

9 MR. WALTERS: I have nothing further.

10 MR. BERRIS: Richard Berris again, doctor.
11 Just a couple questions.

12 Are you holding yourself out as
13 knowledgeable with regard to the standards of
14 acceptable practice for emergency physicians?

15 THE WITNESS: No. As a radiologist and the
16 protocols of radiology departments.

17 MR. BERRIS: You are not rendering any opinions
18 in this case regarding the quality of the care
19 provided by Dr. Schmitt, are you?

20 THE WITNESS: No.

21 MR. BERRIS: That's all I have.

22 MR. BONEZZI: Dr. Gore, Bill Bonezzi again.
23 Are you aware of the clinical presentation of
24 Mr. Henriksen and the information that Dr. Schmitt

1 gained from asking Mr. Henriksen questions that
2 ultimately caused Dr. Schmitt to order an x-ray in
3 this case?

4 THE WITNESS: The clinical information is
5 listed on the emergency room sheet.

6 MR. BONEZZI: And are you aware of the ultimate
7 reason why Dr. Schmitt ordered an LS spine?

8 THE WITNESS: The reason was the patient had
9 low back pain that was also radiating into one of
10 his legs.

11 MR. BONEZZI: And are you aware of
12 Dr. Schmitt's thinking process that ultimately
13 caused him to get the x-ray?

14 MR. WALTERS: Objection.

15 THE WITNESS: I can't go into his mind.

16 MR. BONEZZI: You didn't read his deposition,
17 did you?

18 THE WITNESS: No, I didn't.

19 MR. BONEZZI: So that's information that was
20 available prior to today that you did not receive
21 as it relates specifically to why Dr. Schmitt
22 ordered that particular diagnostic study. Is that
23 right?

24 MR. WALTERS: Show my objection. What

1 information are you talking about?

2 MR. BONEZZI: All of the information that was
3 contained in Dr. Schmitt's deposition transcript
4 that you were a party to at the time his deposition
5 was taken.

6 You didn't have that available, did you,
7 sir?

8 MR. WALTERS: Shows my objection. That's not
9 his thought process. That's what he said on a
10 deposition.

11 MR. BONEZZI: Did you have that available to
12 you?

13 THE WITNESS: No, I didn't.

14 MR. BONEZZI: Thank you. I have nothing
15 further.

16 MR. WALTERS: Doctor, we thank you and we will
17 be showing this deposition, and I am going to
18 recommend that you waive your signature to the
19 deposition because we do have it on tape.

20 Will you waive your signature?

21 THE WITNESS: Yes, I do.

22 MR. BONEZZI: I will also accept that waiver.

23 MR. WALTERS: Do we all waive?

24 MR. BERRIS: Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

MR. BONEZZI: Yes.

MR. WALTERS: As I indicated, a waiver of viewing of the video, you will waive that, doctor, actually seeing the video?

THE WITNESS: Yes.

(FURTHER DEPONENT SAITH NOT.)

1 STATE OF ILLINOIS)

2) SS:

3 COUNTY OF DUPAGE)

4 I, WILMA A. WEINREICH, a notary public
5 within and for the County of DuPage County and
6 State of Illinois, do hereby certify that
7 heretofore, to-wit, on the 18th day of August 1994,
8 personally appeared before me, at Evanston
9 Hospital, 2650 Ridge Avenue, Evanston, Illinois,
10 Dr. Richard Michael Gore, in a cause now pending
11 and undetermined in the Court of Common Pleas,
12 County of Cuyahoga, Ohio wherein D. John Travis is
13 the Plaintiff, and Lakewood Hospital Association,
14 et al., are the Defendants.

15 I further certify that the said witness
16 was first duly sworn to testify the truth, the
17 whole truth and nothing but the truth in the cause
18 aforesaid; that the testimony then given by said
19 witness was reported stenographically by me in the
20 presence of the said witness, and afterwards
21 reduced to typewriting by Computer-Aided
22 Transcription, and the foregoing is a true and
23 correct transcript of the testimony so given by
24 said witness as aforesaid.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I further certify that the signature to the foregoing deposition was waived by counsel for the respective parties.

I further certify that the taking of this deposition was pursuant to Notice, and that there were present at the deposition the attorneys hereinbefore mentioned.

I further certify that I am not counsel for nor in any way related to the parties to this suit, nor am I in any way interested in the outcome thereof.

IN TESTIMONY WHEREOF: Have hereunto set my hand and affixed my notarial seal this 19th day of August, 1994.

Wilma A. Weinreich
NOTARY PUBLIC, DUPAGE COUNTY, ILLINOIS

