



STATE OF MICHIGAN
IN THE CIRCUIT COURT
FOR THE COUNTY OF MACOMB

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

GEORGE NOTEBOOM, as : NO. 91-5352-NH
Guardian of CAROLYN R. :
NOTEBOOM, a Legally : Honorable
Incapacitated Person, : Raymond Cashen
and GEORGE NOTEBOOM, :
Individually :

vs.

SISTERS OF CHARITY; :
ST. JOSEPH HOSPITAL OF :
MT. CLEMENS, MICHIGAN, :
f/k/a ST. JOSEPH :
HOSPITAL OF MT. CLEMENS, :
MICHIGAN, a Michigan :
corporation; CHOON SOO :
RIM, M.D., and HAROLD C. :
PAPSON, M.D., ; :
PROFESSIONAL X-RAY :
CENTER, P.C., a Michigan :
Corp.; HARPER HOSPITAL, :
a nonprofit Michigan :
Corp. and ROBERT E.M. :
HO, M.D., Jointly and :
Severally :

MAR 2 1993

Wynnewood, PA, February 24, 1993

21229

FOSTER
COURT REPORTING SERVICE, INC.
1800 Architects Bldg. - 117 S. 17th St.
Philadelphia, PA 19103
(215) 567-2670

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Pretrial Examination of
ROBERT G. PEYSTER, M.D., held at the
residence of Robert G. Peyster, M.D.,
922 Bowman Avenue, at 8:00 p.m. on the
above date before Patricia T. Randall, a
Registered Professional Reporter and
Notary Public of the Commonwealth of
Pennsylvania.

APPEARANCES:

CROWLEY, OLSMAN, NOLAN & BERMAN
BY: JULES B. OLSMAN, ESQ.
(315 S. Woodward Avenue
Suite 200
Royal Oak, MI 48067)
Attorneys for Plaintiff

KITCH, SAURBIER, DRUTCHAS,
WAGNER & KENNEY, P.C.
BY: STEVE N. CHEOLAS, ESQ.
(10 S. Gratiot, Suite 301
Town Square Development
Mount Clemens, MI 48043)
Attorneys for Defendant
St. Joseph Hospital

1 APPEARANCES (Continued):

2 SCHUREMAN, FRAKES, GLASS
3 & WULFMEIER4 BY: CHERYL L. CHANDLER, ESQ.
5 (440 E. Congress, 4th Floor
6 Detroit, MI 48226)7 Attorneys for Defendant
8 Harold C. Papson, M.D.

9 FEIKENS, FOSTER & VANDER MALE

10 BY: BRUCE A. VANDE VUSSE, ESQ.

11 (One Detroit Center
12 500 Woodward Avenue
13 Suite 3400

14 Detroit, MI 48226)

15 Attorneys for Defendant
16 Choon Soo Rim, M.D.

17 PLUNKETT & COONEY

18 BY: NICHOLAS CORDEN, ESQ.

19 (900 Marquette Building
20 Detroit, MI 48226)21 Attorneys for Defendant
22 Harper Hospital and
23 Robert E.M. Ho, M.D.
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

WITNESS	PAGE
ROBERT G. PEYSTER, M.D.	
By Ms. Chandler	5, 123
By Mr. Cheolas	104, 124
By Mr. Vande Vusse	119

NO.	PEYSTER EXHIBITS DESCRIPTION	PAGE
1	Curriculum vitae	5
2	Xray film	92
3	Xray film	94

1 (Pyster Exhibit No. 1 marked
2 for identification.)

3 MS. CHANDLER: Let the record
4 reflect this is the discovery deposition
5 of Dr. Peyster taken pursuant to notice
6 and to be used for discovery purposes
7 only.

8 -----
9 (It was stipulated by and
10 among counsel that sealing and
11 certification be waived; and that all
12 objections, except as to the form of the
13 question, be reserved until the time of
14 trial.)

15 -----
16 BY MS. CHANDLER:

17 Q. Your full name for the
18 record, please.

19 A. Robert G. Peyster.

20 Q. Dr. Peyster, my name is
21 Cheryl Chandler. I represent
22 Dr. Papson.

23 I am looking at a copy of
24 your CV. Can you tell me whether or not
25 this is current?

1 A. It's at least very close to
2 the newest edition. There could be one
3 more presentation, but I'm not sure.

4 Q. Can you tell me off the top
5 of your head how many of these articles
6 or your publications have to do with the
7 subject matter of this lawsuit?

8 A. I couldn't tell you off the
9 top of my head.

10 Q. Is it a subject matter you've
11 written about, CT scanning of the brain?

12 A. Yes. I've written many
13 articles about CT scanning of the brain.

14 Q. Specifically CT scanning in
15 relationship to subarachnoid hemorrhage?

16 A. I don't know the answer.
17 I would have to review it. I don't
18 think. That hasn't been a major category
19 of research, no.

20 I can't tell you, though,
21 that it's not included in some of the
22 case reports. It is certainly included
23 in the article I wrote on CT and head
24 trauma, but that was a different cause of
25 subarachoid hemorrhage.

Robert G. Peyster, M.D.

1 Q. Have you written specifically
2 about CT scanning and anterior
3 communicating artery aneurysms?

4 A. No. I take that back.

5 Q. Do you want to look at this?

6 A. Well, yes. I have in a sense
7 -- the main thing that I've done
8 regarding the topic of this deposition
9 would be an exhibit that I was presented
10 at the American Roentgen & Ray Society
11 meeting. I'll give you the citation in a
12 moment.

13 Let's start with a case
14 report just because I got to that first.
15 I wrote a case report entitled
16 CT Visualization of Ruptured Berry
17 Aneurysms within Hematoma, the Flip Flop
18 Sign.

19 MR. VANDE VUSSE: What item
20 number is that on the CV?

21 THE WITNESS: It's Item
22 No. 32.

23 A. (Continued) What I am trying
24 to locate is a citation for an exhibit
25 that I did on CT scanning of aneurysms.

Robert G. Peyster, M.D.

1 Q. Is that the title of it?

2 A. Basically. I'll give you the
3 exact title in a moment.

4 The title was CT
5 Visualization of Ruptured Nongiant Berry
6 Aneurysms, and that was presented at the
7 Radiologic Society of North America
8 meeting in November of 1985. I believe
9 that was also presented in Boston at the
10 American Roentgen & Ray Society.

11 MR. VANDE VUSSE: What item
12 is that on the CV?

13 THE WITNESS: 43, and also
14 No. 40.

15 A. (Continued) That was
16 presented at the American Society of
17 Neuroradiology in New Orleans, the same
18 exhibit, CT Visualization of Ruptured
19 Nongiant Berry Aneurysms.

20 Q. Is that something that is
21 published and I could go in a library and
22 find if I wanted?

23 A. No. These were exhibits.
24 The article citation was published.
25 These you would not be able to find.

Robert G. Peyster, M.D.

1 The abstracts would probably be there;
2 but the exhibits themselves, there are
3 too many images for the paper. It makes
4 up four panels of exhibits. I still have
5 it. In fact, I saw pictures of the
6 exhibits today.

7 Q. So you have them? If I
8 wanted to get them, I could ask
9 Mr. Olsman for them?

10 A. Yes. The pictures are kind
11 of small, but you could see them.

12 Q. Any other things on your CV
13 directly related to the issues in this
14 case?

15 A. Well, aneurysms, two
16 presentations, two exhibits. Again, one
17 is called High Resolution Intravenous
18 Digital Subtraction Angiography of
19 Intracranial Vascular Lesions, many of
20 which were aneurysms.

21 Q. Which number is that?

22 A. That's No. 38. And also that
23 was at the American Society of
24 Neuroradiology meeting, and there was
25 another presentation of that at the

Robert G. Peyster, M.D.

1 ARRS also.

2 But there is also No. 41 for
3 the other exhibit which was also
4 presented at the American Roentgen & Ray
5 Society in Boston.

6 So the CT Visualization of
7 Aneurysms was presented in Chicago,
8 Boston and New Orleans, and the other one
9 I think was presented in two of those
10 three. It's in here somewhere.

11 I wouldn't say whether that's all or not
12 without looking through.

13 See, I wrote a book entitled
14 CT and Orbital Disease and
15 Neuro-Ophthalmology, and that also
16 includes CT of aneurysms. I mean,
17 aneurysms are among the things that were
18 included in that material.

19 I have given numerous
20 presentations on CT scanning and
21 neuro-ophthalmology that included
22 discussion of giant aneurysms pressing on
23 the optic pathways.

24 Q. None of those deal with
25 anterior communicating artery aneurysms?

Robert G. Peyster, M.D.

1 A. Yes. Some of those --

2 Q. Some of them would?

3 A. Some of those would.

4 I think that's about it.

5 Q. How many people in your
6 department are neuroradiologists?

7 A. There are three staff
8 neuroradiologists and one fellow.

9 Q. So yourself and four others?

10 A. Yes; myself and two other
11 staff and then one fellow. We have been
12 at four staff at one or two different
13 times.

14 Q. What percentage of your time
15 is spent actually interpreting films,
16 professional time?

17 A. 80%.

18 Q. When was the last
19 subarachnoid hemorrhage you diagnosed?

20 A. Today.

21 Q. How many do you see a year?

22 A. Any type of subarachnoid
23 hemorrhage?

24 Q. Yes.

25 A. 100.

Robert G. Peyster, M.D.

1 Q. Per year, you say?

2 A. Yes; a rough figure.

3 Q. Neuroradiology is a specialty
4 or subspecialty of radiology?

5 A. Yes.

6 Q. And you have different --
7 are there Boards on neuroradiology?

8 A. Not yet. Next year or the
9 year after.

10 Q. But there is an additional
11 fellowship above and beyond your
12 radiology training for neuroradiology?

13 A. Correct.

14 Q. Do you consider that as a
15 neuroradiologist you are more specialized
16 than a radiologist in interpreting CT
17 scans?

18 A. The term "more specialized"
19 is what I'm having trouble with.

20 I'm definitely more
21 specialized than a general radiologist in
22 that I only do neuroradiology, so
23 therefore I'm more specialized.

24 Q. Do you believe that general
25 radiologists can interpret CT's of the

Robert G. Peyster, M.D.

1 skull or the brain as well as you, or do
2 you believe that neuroradiologists have a
3 different standard?

4 MR. OLSMAN: I'm going to
5 interpose an objection on the basis of
6 relevance.

7 Dr. Papson has already
8 testified that he was qualified to
9 interpret CT films of the brain and
10 I believe also testified that he believed
11 he was as qualified as a neuroradiologist
12 to do so.

13 So to the extent that you're
14 trying to suggest that Dr. Peyster is
15 better trained or more skilled than
16 Dr. Papson, Dr. Papson has already
17 testified that he was qualified to
18 interpret the film taken on April 3,
19 1990.

20 Q. (Continued) Do you remember
21 my question?

22 A. I remember your question.

23 Q. Go ahead.

24 A. No. I don't believe that
25 general radiologists can read these films

Robert G. Peyster, M.D.

1 to my level.

2 That's a general statement.
3 That doesn't mean that there aren't one
4 or two people in the country that will be
5 an exception to that. I just don't know
6 them.

7 Q. Do you believe that there is
8 a standard of care that's different for a
9 general radiologist to interpret a
10 CT scan as opposed to yourself who is a
11 neuroradiologist?

12 A. No.

13 Q. Why not?

14 A. Well, the ultimate standard
15 is good medicine. Good medicine is
16 getting the right answer. Whatever skill
17 or training required to get the right
18 answer should be the standard of care.

19 For example, I am legally
20 able to do cardiac surgery. My medical
21 license enables me to do that. I would
22 consider it very foolish for me to do
23 that despite the fact that I had a
24 one-year surgical internship. Because
25 I went to the same medical school as the

Robert G. Peyster, M.D.

1 cardiac surgeons; I just didn't do the
2 additional training that they did to
3 become very good at that.

4 So for the same reason, the
5 reason that the subspecialty of
6 neuroradiology developed and has
7 flourished is because it's an area of
8 radiology that is found to be very
9 difficult for residents to develop
10 expertise in during a standard radiology
11 residency, and if it were otherwise no
12 one would want to commit an additional
13 two years to do something that they
14 thought they learned during the first
15 three years.

16 (Discussion off the record.)

17 BY MS. CHANDLER:

18 Q. Do you have criticisms in
19 this case of Dr. Papson for reading these
20 films?

21 A. Yes.

22 Q. What, criticisms of the fact
23 that he is a general radiologist and read
24 them? That's what I meant.

25 A. No. I mean, that's not an

Robert G. Peyster, M.D.

1 issue.

2 Q. You're not saying that he
3 should have called in a neuroradiologist
4 to read the films?

5 A. It's an interesting question
6 that I haven't considered in light of --
7 I don't think that that's something that
8 he would have necessarily had the ability
9 to do.

10 Q. So that's not going to be one
11 of your criticisms of him today; that he
12 as a general radiologist should not have
13 been interpreting these films in the
14 first place?

15 A. No. I can't really criticize
16 him -- had he gotten the case right we
17 wouldn't have been here, and I would have
18 no criticism whatsoever.

19 So I think it's really just
20 -- you know, I really can only speak to
21 this particular case.

22 Q. Do you believe you're
23 familiar with the standards of a general
24 radiologist for interpreting these
25 films?

Robert G. Peyster, M.D.

1 MR. OLSMAN: I'm going to
2 place the same objection on the record.

3 Dr. Papson has already
4 testified, Ms. Chandler, that he was
5 qualified to interpret the CT film that
6 was taken of Carolyn Noteboom on April 3,
7 1990.

8 Dr. Papson has never
9 suggested that he was held to a lower
10 standard of care than a neuroradiologist
11 or any other type of radiologist in
12 reading the films. It's not an issue in
13 this case. He's already said that.

14 Q. (Continued) Do you believe
15 you're familiar with the standard of care
16 for a general radiologist in interpreting
17 these films?

18 A. Yes.

19 Q. Why?

20 A. Well, for two reasons.

21 No. 1, they have the same standard of
22 care.

23 Second of all, I have not
24 only taught residents for 13 years in
25 Philadelphia and then also while I was at

Robert G. Peyster, M.D.

1 Harvard, but I have also had the
2 opportunity to teach general radiologists
3 in the community how to read head CT
4 scans. I did that for many years on a
5 regular weekly basis, and am therefore
6 familiar with what they were able to
7 learn and how much they learned and how
8 much they knew.

9 Q. You said you've been teaching
10 for 13 years in Philadelphia.

11 Do you teach neuroradiology
12 fellows, or do you also teach general
13 radiologists?

14 A. I teach radiology residents,
15 neuroradiology fellows, neurology
16 residents, orthopedic residents,
17 ophthalmology residents.

18 I think that I skipped one in
19 there. Neurosurgery, neurology,
20 ophthalmology, orthopedics, in terms of
21 more concentrated teaching, and then also
22 I interact with residents in general
23 medicine - general surgery and almost
24 every other area of medicine.

25 Q. They rotate through your

Robert G. Peyster, M.D.

1 service for a short period of time to get
2 neuroradiology training?

3 A. The neurology residents and
4 the neurosurgery residents do.
5 The orthopedic residents have in the past
6 spent time regarding teaching them spine
7 and myelography. The other residents,
8 the interaction is on a case per case
9 basis or at conferences, grand round
10 presentations, but on a more limited
11 basis.

12 Q. Did you author an opinion
13 letter in this case?

14 A. No.

15 Q. My understanding is your
16 first contact with this case was through
17 Dr. Winkleman who is a colleague of yours
18 at the hospital.

19 A. Yes.

20 Q. He came to you and asked you
21 to review some films; correct?

22 A. Yes.

23 Q. When he came to you and asked
24 you to review the films, did he say to
25 you "This is how I read the films.

Robert G. Peyster, M.D.

1 Do you agree with me?" and did he tell
2 you his interpretation first?

3 A. No. He told me nothing.

4 Q. He didn't tell you anything
5 about the facts of the case? So you saw
6 it blind?

7 A. He asked me to review the
8 films and tell him what I saw.

9 Q. Did you after that then have
10 a conversation with Mr. Olsman?

11 A. Yes.

12 Q. Dr. Winkleman made the
13 initial contact and then he put you in
14 touch with Mr. Olsman; correct?

15 A. Dr. Winkleman first asked me
16 if I would be willing to look at a case.
17 I have a recollection of looking at the
18 films with Mr. Olsman and Dr. Winkleman.

19 Now, the only thing I can't
20 tell you was whether it was just
21 Dr. Winkleman and myself the first time
22 I saw these or if it was Mr. Olsman and
23 Dr. Winkleman.

24 I think it was
25 Dr. Winkleman. I think with Mr. Olsman

Robert G. Peyster, M.D.

1 was at a subsequent meeting with
2 Dr. Winkleman. It was probably a month
3 or two later. So I guess it was just
4 Dr. Winkleman and myself the first time.

5 Q. Did you ever receive any
6 written material about the case, medical
7 records or anything like that?

8 A. Yes.

9 Q. What have you reviewed
10 besides the films?

11 A. I have what probably is a
12 complete set of medical records, and
13 I've reviewed depositions of lots of
14 people: Drs. Papson, Sauter, Craig
15 Karsama; Dr. Paul, Dr. Rim, Dr. Ho.

16 I think that's the
17 depositions that I reviewed.

18 Q. What else have you reviewed?

19 A. Just the medical records and
20 those depositions.

21 Q. Have you been provided with
22 summaries of any other depositions?

23 A. Yes.

24 Q. Which ones?

25 A. All of the depositions --

Robert G. Peyster, M.D.

1 I believe all of the depositions had a
2 summary with them.

3 Q. And you looked at them to
4 prepare you to formulate your opinions
5 and you looked at these summaries along
6 with the depositions?

7 MR. OLSMAN: Which question
8 do you want answered?

9 A. I read both the depositions
10 and the summaries.

11 Q. Did you get a written summary
12 without a deposition of Dr. Winkleman's
13 testimony on Saturday?

14 A. No.

15 Q. Did Mr. Olsman tell you what
16 Dr. Winkleman said?

17 MR. OLSMAN: I wasn't there.

18 A. No.

19 Q. Did Mr. Olsman tell you what
20 he believes Dr. Winkleman said?

21 A. No.

22 Q. You know nothing of what
23 Dr. Winkleman's testimony was on
24 Saturday?

25 A. I know a little bit of what

Robert G. Peyster, M.D.

1 Dr. Winkleman's testimony was from
2 Dr. Winkleman.

3 Q. You've talked to him since
4 the deposition?

5 A. I had about a five-minute
6 conversation with him today outside the
7 cafeteria.

8 Q. What did you talk about?

9 A. He said he went to Detroit to
10 be deposed. He said that he stated his
11 opinion that a lumbar puncture was
12 indicated, and he said that he reviewed
13 the films and saw subarachnoid
14 hemorrhage.

15 Q. On the 4/3 films?

16 A. On the 4/3 films. I think
17 that was the sum and substance of
18 the

19 Q. Have you learned through any
20 source what Dr. Hussey said on Monday?

21 A. Mr. Olsman discussed maybe
22 one or two points that Dr. Hussey
23 discussed, but we didn't discuss it in
24 detail.

25 Q. What were the two points?

Robert G. Peyster, M.D.

1 A. Some comment regarding
2 menopause and migraine headache, and I am
3 trying to think if it was -- I think he
4 said that Dr. Hussey said he didn't see
5 any hemorrhage on the films. I think
6 that was

7 Q. On 4/3; right?

8 A. Yes.

9 Q. Anything else?

10 A. That's all I can recall.

11 Q. Did you do any literature
12 search about this subject matter?

13 A. No.

14 Q. Have you reviewed enough to
15 render opinions?

16 MR. OLSMAN: What?

17 MS. CHANDLER: Enough to
18 render opinions in this case.

19 MR. OLSMAN: Reviewed enough
20 what?

21 MS. CHANDLER: Material.

22 Q. (Continued) Have you
23 reviewed enough so you feel comfortable
24 giving your opinions?

25 A. Yes.

Robert G. Peyster, M.D.

1 Q. Have you asked for any
2 information that you haven't been
3 provided?

4 A. Not that I can recall.

5 Q. Once you did speak with
6 Mr. Olsman what did he ask you to do?
7 Did he ask you just to look at the films
8 and give an opinion on the interpretation
9 of the films, or did he ask you to
10 comment on any other aspect of the case?

11 A. Today or --

12 Q. At any point in time.

13 A. We have discussed almost all
14 points of this case regarding both the
15 films, the general practice of lumbar
16 puncture, regarding diagnosis of
17 subarachnoid hemorrhage and when it
18 should be applied.

19 So we've actually spent --
20 we've discussed most of the aspects that
21 would apply to this case at one time or
22 another. We had a rather lengthy meeting
23 sometime ago with Dr. Winkleman.

24 Q. Do you intend to give
25 opinions about people or physicians in

Robert G. Peyster, M.D.

1 this case other than Dr. Papson today?

2 MR. OLSMAN: No.

3 MS. CHANDLER: I wasn't
4 asking you.

5 MR. OLSMAN: I'm just telling
6 you for the record. Dr. Pyster has been
7 asked specifically to discuss the
8 CT films and Dr. Papson, period.

9 MS. CHANDLER: The 4/3
10 films?

11 MR. OLSMAN: And the 4/19
12 films --

13 MS. CHANDLER: In
14 comparison.

15 MR. OLSMAN: -- to the extent
16 they may bear on it, but Dr. Peyster is a
17 neuroradiologist and he's been asked and
18 has agreed to comment on the films and on
19 the interpretations of the films on 4/3.

20 Anything else is good
21 conversation.

22 MS. CHANDLER: All right.
23 That's what I wanted to know.

24 Q. (Continued) When was the
25 first medical malpractice case you

Robert G. Peyster, M.D.

1 reviewed?

2 MR. OLSMAN: I'm sorry.

3 When?

4 MS. CHANDLER: When was the
5 first medical malpractice case he
6 reviewed?

7 MR. OLSMAN: You mean when
8 did the first medical malpractice case he
9 reviewed occur in terms of the
10 malpractice?

11 A. As an expert?

12 Q. Yes; as an expert. When did
13 you first review --

14 A. A medical malpractice case as
15 an expert?

16 Q. As an expert.

17 A. 1980 or '81.

18 Q. How did you get involved in
19 this type of work?

20 A. That particular case came to
21 me because the lawyer's brother was a
22 radiologist in Philadelphia that knew me
23 by reputation and suggested that he look
24 me up.

25 Q. This was for a defendant

Robert G. Peyster, M.D.

1 then?

2 A. This first case was for a
3 plaintiff.

4 Q. How many cases per year do
5 you review? Not necessarily testify, but
6 just review. And I'm only talking about
7 medical malpractice.

8 A. Medical malpractice is a very
9 small part of my medical/legal
10 experience. I would say I've probably
11 reviewed 10 or 12 malpractice cases a
12 year. Something like that. Maybe it's
13 15 - 10.

14 Q. What percentage of those over
15 the years have been for plaintiff?

16 A. 20.

17 Q. 20% for plaintiff?

18 A. Yes. Maybe less. It's at
19 least 80% defense, but put 20/80 and that
20 would be on the side.

21 Q. Have you ever reviewed for a
22 Michigan law firm before?

23 A. Yes.

24 Q. Who have you reviewed for?

25 A. Stern and Stern. I think

Robert G. Peyster, M.D.

1 that's Ken Stern and Elliot Stern.

2 Q. How do you know them?

3 Did they know you some other way?

4 A. I don't know how they found
5 me. As I recall, I believe Ken Stern
6 went to a legal meeting somewhere and
7 told somebody that he needed a
8 neuroradiologist.

9 Q. And your name popped up?

10 A. I think so.

11 Q. Anybody else in Michigan that
12 you've reviewed for?

13 A. Not that I'm aware of.

14 Q. What other states have you
15 reviewed cases for?

16 A. Plaintiff and defense?

17 Q. Yes; both.

18 A. West Virginia, New Jersey,
19 Pennsylvania, New York, Massachusetts,
20 Texas, Florida, South Carolina and
21 Washington.

22 Q. The cases from Pennsylvania,
23 what percentage are plaintiff and what
24 percentage are defendant?

25 A. In Pennsylvania for

Robert G. Peyster, M.D.

1 malpractice I've probably only looked at
2 two or three for plaintiff.

3 Q. Is there any reason why that
4 is a small number?

5 A. I prefer not to get involved
6 in in-state malpractice for plaintiff
7 unless it's -- I will look at it to
8 advise a lawyer whether he has a case or
9 not or whether he should drop it. I will
10 do that, but I won't make any promises
11 about whether I will be an expert or get
12 involved any further.

13 Q. You would just prefer not to
14 testify against your colleagues here in
15 the state?

16 A. Yes. I would prefer not to
17 testify. I have done so on cases that
18 I've reviewed with no commitment and
19 found the errors to be of a magnitude
20 that I found intolerable, and there have
21 been just two or three cases such as
22 that.

23 Q. What is your charge for
24 review?

25 A. For review?

Robert G. Peyster, M.D.

1 Q. Yes.

2 A. I charge \$400 an hour for
3 time. I charge \$125 for each radiologic
4 examination included in the review.

5 Q. Explain to me how that
6 works.

7 Say if you look at films for
8 an hour you charge \$400, and then if
9 there are ten films you charge an
10 additional \$125 a film?

11 A. Ten studies, not per sheet of
12 film. In other words, they're two
13 separate issues.

14 The \$400 an hour goes to
15 preparation of a report, review of
16 written materials, but I don't charge
17 time for review of exams. I do that
18 simply based on the study, just like real
19 life.

20 You know, when I read a study
21 Blue Cross/Blue Shield doesn't care how
22 long it takes me. It's just so many
23 dollars for reviewing the studies, so
24 that's the same way I do it for the
25 lawyers.

Robert G. Peyster, M.D.

1 Q. When you say "study," each
2 one of these cuts, is that a study?
3 So it's \$125 for each cut, or is it \$125
4 for this film, this whole page of film?

5 A. Neither.

6 Q. What is it?

7 A. \$125 for -- let's see. What
8 do we have here?

9 Yes. This is a study. These
10 12 slices here all occurred on the same
11 date in the same city. That's the
12 definition of a "study." If the patient
13 came back later in the day and had
14 another reason to have it done again,
15 that would be another study. Normally
16 they're on different days.

17 Q. Then what is your charge for
18 depositions?

19 A. Depositions are charged at
20 \$500 an hour with a minimum of two hours,
21 and any part of any hour thereafter is an
22 additional \$500 beyond the two hours.

23 Q. So if I do two hours and 15
24 minutes, it's going to be --

25 A. \$1,500.

Robert G. Peyster, M.D.

1 Q. And it's a thousand dollars
2 even if I only go for an hour tonight?

3 A. Yes.

4 Q. What is your charge for
5 trial?

6 A. \$5,000 a day plus expenses.

7 Q. What if you don't have to
8 come to the city? In other words, if we
9 come here and do a videotape deposition,
10 is it still the same?

11 A. Same price as tonight.
12 A deposition is a deposition.

13 Q. Are you willing to come to
14 Detroit and testify in this case?

15 A. Yes.

16 Q. Have you ever come to Detroit
17 to testify?

18 A. No.

19 Q. Do you request any money
20 upfront?

21 A. Always.

22 Q. What is your upfront charge?

23 A. For what?

24 Q. For review.

25 A. Normally if I have a

Robert G. Peyster, M.D.

1 discussion with the attorney in regard to
2 the magnitude of what the materials I am
3 being asked to review, I will base it on
4 what he tells me. You know, if records
5 are 3 inches thick and there are 12
6 exams, the maximum I ask for upfront is
7 \$1,500.

8 The usual range is between
9 1,000 and 1,500 upfront. Most
10 malpractice cases I ask for 1,500 upfront
11 because of the fact that there always
12 seems to be telephone calls and things
13 missing, and by the time I get done it
14 always comes to something like that.

15 Q. And then for trial what do
16 you expect upfront?

17 A. Everything.

18 Q. All of it upfront, the
19 \$5,000?

20 A. Yes.

21 Q. Are you listed with any
22 witness procurement groups?

23 A. No.

24 Q. Do you know what I mean by
25 that?

Robert G. Peyster, M.D.

1 A. Yes.

2 Q. Do you advertise?

3 A. No.

4 Q. Have you ever been sued for
5 malpractice?

6 A. Once.

7 Q. What did it involve, briefly?
8 Failure to what, diagnose?

9 A. No, it wasn't a failure to
10 diagnose. It was a procedure that
11 resulted in a stroke that I didn't do.

12 Q. What happened to the case?

13 A. It was thrown out.

14 Q. Without any payment?

15 A. Without any payment.

16 Q. Did you ever lose your
17 license?

18 A. No.

19 Q. Has it ever been suspended?

20 A. No.

21 Q. How many hours have you spent
22 looking at this case?

23 A. I couldn't answer that.

24 Q. You said you had a long
25 meeting with Mr. Olsman at one point.

Robert G. Peyster, M.D.

1 A. Yes.

2 Q. And Dr. Winkleman?

3 A. Yes.

4 Q. Was that separate and
5 distinct from the time you think that the
6 three of you looked at the films
7 together?

8 A. No; that was the time --
9 that's the only time that I met
10 Mr. Olsman other than tonight.

11 Q. When you say a "lengthy
12 meeting," what do you mean?

13 A. It was at least a couple of
14 hours. It's hard to tell because we had
15 dinner, so it was

16 Q. Did you ask anybody else to
17 look at the films once you looked at
18 them?

19 A. Not that I recall.

20 Q. Do you believe within the
21 field of neuroradiology that there is
22 going to be a variance of opinions by
23 some of your colleagues?

24 For instance, if you were to
25 show this set of films to a roomful of

Robert G. Peyster, M.D.

1 neuroradiologists, do you believe that
2 they would all agree with your opinions
3 in the case, or do you believe that there
4 would be some disagreement?

5 MR. OLSMAN: Well, before you
6 answer the question I'm going to
7 interpose an objection.

8 You are asking Dr. Peyster
9 now to speculate on what an unknown group
10 of individuals would say about these
11 films. Is that what you're asking him?

12 MS. CHANDLER: Not in so many
13 words.

14 MR. OLSMAN: I'm going to
15 object to the question on the basis
16 I'm not sure exactly what it is that
17 you're asking.

18 Q. (Continued) Do you
19 understand the question?

20 A. I believe so.

21 Q. Can you answer it?

22 A. I am going to answer it in
23 the following fashion: I am reasonably
24 certain that my two colleagues would come
25 to the same findings that I would have on

Robert G. Peyster, M.D.

1 this film.

2 I really can't speak about
3 neuroradiologists that I don't know their
4 level of competence. I would say that
5 any competent neuroradiologist would come
6 to the same findings, but I can't say
7 that everybody in the world would come to
8 the same findings.

9 Q. Because there often is
10 disagreement among individuals in your
11 profession as to the interpretation of
12 films? Isn't that something that happens
13 in medicine all the time?

14 A. Well, I would say that the
15 more common issue of disagreement would
16 be in terms of a differential diagnosis.

17 In other words, in general
18 that's more common. In other words,
19 there are a number of areas. It's much
20 less common that we would have
21 disagreement on the presence or absence
22 of a finding, but the diagnosis related
23 to that finding is more subject to
24 discussion.

25 For example, a round lesion

Robert G. Peyster, M.D.

1 in the brain with a hollow center could
2 be an abscess, could be a metastatic
3 lesion, could be a primary brain tumor,
4 and for different reasons one of us might
5 chose one of those over the other, but
6 it's much less common that one of us see
7 something and the other one doesn't see
8 it. Even when that happens, on the rare
9 occasions that that happens on review,
10 the person who didn't see it usually
11 says, "I blew it."

12 Q. You deal with neurosurgeons
13 in your practice, do you not?

14 A. Yes.

15 Q. And they also look at CT
16 scans of the brain and interpret them,
17 do they not?

18 A. No.

19 Q. They do not?

20 A. They do not interpret them.

21 Q. Are you not aware of
22 neurosurgeons who say that they are
23 competent to interpret CAT scans?

24 A. Have I met neurosurgeons who
25 say that they are competent to

Robert G. Peyster, M.D.

1 interpret? I have met neurosurgeons who
2 say that they are competent to read CAT
3 scans, yes.

4 Q. You read Dr. Paul's
5 deposition, didn't you?

6 A. I read Dr. Paul's deposition.

7 Q. And you're aware that he
8 doesn't see anything on these films?

9 A. I'm aware of that.

10 Q. Do you think he was wrong?

11 A. Yes.

12 Q. So you think his opinion is
13 dead wrong on that issue?

14 A. In terms of interpreting the
15 films, yes.

16 Neurosurgeons do not
17 interpret CAT scans. It's not their
18 job. They don't get paid to do it.
19 They have a varying degree of skill.
20 The majority of them will see big
21 things. I would expect not more than 1%
22 of neurosurgeons to be able to make this
23 finding.

24 Q. Didn't Dr. Paul give the
25 opinion that he felt competent to read

Robert G. Peyster, M.D.

1 these films?

2 A. Many neurosurgeons say that.

3 Q. So you're just saying it's
4 your opinion that they are not?

5 A. Yes. I've worked with many
6 of them and some of them have been
7 outstanding neurosurgeons at Harvard, and
8 I do not respect their ability to read
9 the films, although several of them
10 respect their own abilities, but they
11 still come down to us to go over them.

12 Q. So you disagree with
13 Dr. Paul's testimony and you also
14 disagree with him that he's competent to
15 read those films?

16 A. He is definitely not
17 competent to read this film.

18 Q. Because he read it
19 differently than you or because he's not
20 competent because he's a neurosurgeon?

21 A. No; because he misread this
22 particular exam. That doesn't mean he
23 wouldn't be able to look at something
24 else and come to the right diagnosis.

25 I don't know him personally,

Robert G. Peyster, M.D.

1 so I really don't know anything about his
2 abilities either as a neurosurgeon.

3 Q. So in this particular case
4 anyone who doesn't read the films as you
5 have read them misreads them in your
6 opinion? There is no room for a gray
7 area or there is no room for another
8 interpretation of these films in your
9 opinion?

10 A. Yes.

11 Q. And if there are other
12 neuroradiologists in this case who
13 testify contrary to your opinion, then
14 it's going to be your opinion that
15 they're wrong?

16 A. Yes.

17 Q. When you say "wrong," does
18 that mean violating the standard of care,
19 or are you still just critical that the
20 films aren't read as you read them?

21 MR. OLSMAN: Which question
22 do you want answered?

23 MS. CHANDLER: I thought it
24 was only one.

25 MR. OLSMAN: It sounded like

Robert G. Peyster, M.D.

1 two to me.

2 Q. (Continued) Do you
3 understand?

4 A. Well, let me answer the best
5 that I can.

6 The only person that could
7 violate the standard of care is
8 Dr. Papson because he's the only one who
9 is taking care of the patient in doing
10 so.

11 Q. You missed the point of my
12 question. What I'm trying to find out
13 from you is you said -- I assume we're
14 here because you think Dr. Papson misread
15 these films.

16 My question to you is:
17 You've used the word "wrong," and
18 I'm trying to understand what that
19 means.

20 Do you believe that
21 Dr. Papson violated the standard of care
22 because of the way he read the films, or
23 are you just critical because he read
24 them wrong?

25 A. Well, now we have to get into

Robert G. Peyster, M.D.

1 the fact that standard of care is a legal
2 concept, diagnosis is a medical issue,
3 so it comes down to how does law impinge
4 on medicine. In other words, is a bad
5 result a breach of standard of care?

6 So it puts an expert witness
7 in a difficult predicament because the
8 definition of standard of care -- I mean,
9 to me a radiologist looking at these
10 films for a living should make the
11 diagnosis of subarachnoid hemorrhage.
12 In not doing so it puts the patient at
13 great risk for permanent problems, and
14 that's my view of this case.

15 Now, as far as the legal
16 issue, I have to say that the standard of
17 care that I would hold myself to would be
18 to make the right diagnosis in the case.
19 If I were in Dr. Papson's shoes and I was
20 being sued for this case, I would have to
21 say to the insurance company, "I blew
22 it. Settle."

23 Q. You indicated to me a little
24 while ago that you were familiar with the
25 standard of care in terms of interpreting

Robert G. Peyster, M.D.

1 CT scans; correct?

2 A. Yes.

3 Q. So my question to you is:

4 Are you prepared to say today that
5 Dr. Papson violated that standard of care
6 in the way that he read the films, or are
7 you of the opinion that what Dr. Papson
8 did was wrong and possibly unfortunate
9 for the patient but you're not willing to
10 go as far to say that he actually
11 violated the standard of care?

12 MR. OLSMAN: Can you be more
13 specific? I mean, you're asking him a
14 very vague question. Dr. Papson provided
15 a written report about a CT film that he
16 read on 4/3/1990.

17 MS. CHANDLER: Yes; but
18 I assume that he thinks that report is
19 inaccurate.

20 THE WITNESS: Correct.

21 Q. (Continued) So my question
22 to you is: Are you prepared to say that
23 he violated the standard of care in the
24 way that he wrote that report and
25 interpreted the CT scan, or is it merely

Robert G. Peyster, M.D.

1 your opinion that he was wrong and it was
2 unfortunate for the patient?

3 A. All right. I'm going to
4 elaborate on my previous answer because
5 it brings in an additional issue.

6 Now, personally I think being
7 wrong is a violation of the standard of
8 care because I think being right is the
9 standard of care. That's my view.

10 Q. That's for you?

11 A. That's my view of medicine.

12 Now, there is an additional
13 issue here that we haven't discussed but
14 I'll bring it up now, and that is that
15 the protocol for the CT scan that was
16 employed at St. Joseph Hospital is below
17 the standard of care, and the radiologist
18 is responsible for the protocol or the
19 manner in which the CT scan is
20 performed.

21 See, the radiologist does not
22 push the buttons, but he tells the
23 technologist how the study is to be
24 done.

25 The study of the head as

Robert G. Peyster, M.D.

1 conducted employed 10-millimeter thick
2 sections through the head resulting in
3 12 slices on, I believe, 4/3 and probably
4 the same number -- that says Scan 12,
5 that says Scan 2. There are 12 here and
6 there are 11 here.

7 The standard of care in 1990
8 and probably after, in my opinion, 1983
9 is to perform 5-millimeter thick sections
10 through the lower parts of the brain:
11 the posterior fossa through the level of
12 the circle of Willis.

13 Q. From where?

14 A. From the foramen magnum,
15 which is the lowest part where the brain
16 goes through the circle of Willis,
17 basically to the level of the frontal
18 horns of the lateral ventricles, and then
19 10-millimeter thick slices above that.

20 The reason that that has
21 become the widely and, to my knowledge,
22 almost universally used -- I don't know a
23 single university that does not employ
24 that protocol, and I also am not involved
25 or haven't reviewed cases at private

Robert G. Peyster, M.D.

1 hospitals that I've been associated with
2 that don't use that protocol.

3 The reason is two fold.

4 No. 1, streak artifacts from the bony
5 skull base are amplified on the thicker
6 slices so that information in the
7 posterior fossa and in the inferior
8 temporal regions and in the inferior
9 frontal regions are very limited on the
10 thick slice exams.

11 Q. When you say "thick slice,"
12 you mean 10 millimeter?

13 A. 10 millimeter. That's the
14 most common of the thick slice. I mean,
15 basic common slices are 3, 5 and 10.
16 Occasional machines use 8 and 12 from
17 other countries, but

18 Now, another important issue
19 in slice thickness is partial volume
20 averaging, and partial volume averaging
21 results from the loss of contrast of an
22 area of pathology because it is a small
23 lesion that is not vastly different than
24 brain tissue or surrounding tissue.

25 You could lose the definition or the

Robert G. Peyster, M.D.

1 contrast resolution of that lesion
2 entirely by employing thick slices.

3 I will give you an example.
4 You use a 10-millimeter thick slice and
5 let's say you have a 4-millimeter thick
6 or 3-millimeter thick tumor, nodule.
7 The slices are more or less luck of the
8 draw where they occur, so it is therefore
9 very possible to take your 3- or
10 4-millimeter thick tumor and put half of
11 it on one slice and half of it on the
12 other. Just cut it in two, so let's make
13 it 4-millimeters thick.

14 Now we have 2 millimeters of
15 the tumor in one 10-millimeter thick
16 slice and 2 millimeters of it in the next
17 10-millimeter thick slice, so therefore
18 the tumor only represents one fifth of
19 the height of that slice, so the rest of
20 that slice we can now presume is normal
21 brain tissue or normal tissue in the
22 area.

23 If that tumor was only a
24 little bit abnormal in density or color
25 as you might look at the films, that's

Robert G. Peyster, M.D.

1 going to be diluted by a factor of four
2 to one, and therefore it becomes
3 invisible. So we can totally erase
4 pathology by using thick slices.

5 So smaller things tend to
6 occur in the posterior fossa, and in
7 particular the whole issue of
8 subarachnoid hemorrhage, which to me is
9 of paramount importance -- we examine
10 many, many people for headaches and it's
11 something we never want to miss, so for
12 that reason we normally employ -- when
13 I say "normally," I mean always --
14 5-millimeter thick slices through that
15 area.

16 Q. You talked about a university
17 standard and that's what happens in the
18 universities.

19 Are you implying that it's
20 not the same in the private hospitals,
21 the private community hospitals?

22 A. I would say it may not be as
23 uniform, but I would say that of the ones
24 that I'm familiar with around here
25 I don't know anybody in 1990 that is

Robert G. Peyster, M.D.

1 doing 10-millimeter thick slices through
2 the whole brain. I'm sure there are
3 other people. I'm sure they're not the
4 only ones, but I would say that they are
5 in the distinct minority and therefore
6 fall below the standard of care.

7 See, it's cheaper to do it
8 this way. There is less wear and tear on
9 the tube. Because we average 17 or 18
10 slices per average head case, so that's
11 six extra slices and that's six extra
12 exposures on the tube. It means we go
13 over to a second sheet of film. That's
14 another few dollars, and the patient has
15 to stay on the table longer. So this is
16 more cost effective.

17 Q. "This" meaning 10 millimeter?

18 A. 10-millimeter thick slices,
19 yes. It's more cost effective, but it is
20 not a viable alternative in my opinion.

21 Q. However, the discussion seems
22 to be rather academic because even with
23 the 10-millimeter slices on these films
24 you were able to find something, so it
25 doesn't matter in this case that there

Robert G. Peyster, M.D.

1 were 10-millimeter slices according to
2 you because you see something there?

3 A. It did matter in the sense
4 that if there were 5-millimeter thick
5 slices, the finding would appear on at
6 least two of those slices and possibly
7 three, and the contrast between the
8 hemorrhage and the surrounding brain
9 tissue would not be nearly as diluted and
10 would have been far more obvious such
11 that I don't think that Dr. Papson would
12 have had trouble seeing it either.

13 Q. So if 5-millimeter slices had
14 been used, you would have seen what, two
15 what?

16 A. Well, hemorrhage is present
17 on one 10-millimeter thick slice here.

18 Q. What number?

19 A. Scan No. 5. It says "Scan 5"
20 in the right and the upper left-hand
21 corner.

22 MR. CHEOLAS: Is that
23 directly under scan what number?

24 THE WITNESS: Scan No. 5.
25 Each picture is numbered.

Robert G. Peyster, M.D.

1 MR. CHEOLAS: Scan No. 5 is
2 under which number scan?

3 THE WITNESS: That's Scan No.
4 2, this is No. 3, this is No. 4 and this
5 is No. 5.

6 MR. CHEOLAS: Okay.

7 THE WITNESS: It's unique.
8 There is only one picture that has
9 "Scan No. 5" written on it.

10 A. (Continued) So if blood is
11 present on one 10-millimeter thick slice,
12 there is no question that it would be
13 present on at least two 5-millimeter
14 thick slices. So the same finding would
15 occur on more than one slice, and that
16 makes it much easier when you see
17 something on more than one slice.
18 You have twice as much chance of seeing
19 it.

20 But it's not only the fact
21 that you've now doubled the number of
22 slices it's on; you have purified the
23 density issue. You have increased the
24 contrast of the blood because the
25 subarachnoid space is -- the height of

Robert G. Peyster, M.D.

1 the subarachnoid space is not the full
2 thickness of this slice. So the slice is
3 10-millimeters thick, but the
4 subarachnoid space may not run for that
5 entire 10-millimeters thick.

6 So therefore let's just say
7 arbitrarily that maybe the subarachnoid
8 space in which the blood is contained was
9 only 5 millimeters out of a 10-millimeter
10 thick slice. You have reduced the
11 contrast of the blood by a factor of
12 two.

13 So although it stands out to
14 my eyes as is, it obviously didn't stand
15 out to Dr. Papson's eyes. But if it was
16 on twice as many slices and it was even
17 whiter than it is here, he would have had
18 a far better chance of seeing it.

19 Q. So you're saying that the
20 protocol used at this particular hospital
21 in your opinion was below the standard --

22 A. Yes.

23 Q. -- for a community hospital
24 in 1990?

25 A. Yes.

Robert G. Peyster, M.D.

1 Q. All of that last discussion
2 came about because I had asked you the
3 question of whether or not you felt that
4 Dr. Papson violated the standard of care
5 as opposed to just being wrong in his
6 interpretation, and I still don't have an
7 answer to that question.

8 Can you answer it?

9 A. Yes. He violated the
10 standard of care.

11 Q. Who at your hospital makes a
12 decision on what size slice is to be
13 used? Is there a written protocol that
14 you've just discussed with me? Is there
15 a protocol that says what you just told
16 me?

17 A. Yes.

18 Q. And who makes that decision
19 as to what the protocol would be?

20 A. The head? Me.

21 Q. Because you're in charge?

22 A. Yes.

23 We do in cases alter that to
24 even thinner slices. We sometimes use
25 1.5 or 3-millimeter thick slices

Robert G. Peyster, M.D.

1 depending on if we're looking for
2 something even -- the pituitary gland,
3 for example, we study with 1.5 millimeter
4 thick slices.

5 Q. But the original starting
6 point is the 5 centimeter?

7 A. That's the minimum.

8 Q. That's where you start?

9 A. Right.

10 In other words, it never gets
11 any thicker than that; it only gets
12 thinner from there.

13 Q. If you have a patient whose
14 only complaint is headache at 52 years of
15 age, is that where you would start?

16 MR. OLSMAN: Are you
17 referring to Mrs. Noteboom?

18 MS. CHANDLER: No.
19 I'm referring in general, a hypothetical
20 patient.

21 A. Yes. That would be where we
22 would start.

23 Q. Would you agree with me that
24 subarachnoid hemorrhages in the brain
25 often are not seen on CT scans at the

Robert G. Peyster, M.D.

1 early stages even at the smaller slices?

2 A. I would say we see between
3 92 and 98 percent of subarachnoid
4 hemorrhages. 92% has been published, but
5 that was published -- that was probably
6 back in the '83 - '84 era.

7 CT scanners have become
8 better since then, and I would say that
9 we're probably -- I would feel
10 comfortable in saying that we see at
11 least 95% of subarachnoid hemorrhages at
12 this time.

13 Basically it requires
14 approximately a hundred thousand red
15 blood cells per square millimeter to --

16 Q. 100,000?

17 A. That's a figure that
18 I remember seeing. I mean, it's not
19 something that is really important to my
20 everyday work, but I remember seeing a
21 figure of a hundred thousand red blood
22 cells per cubic millimeter -- I think
23 somebody did this with test tubes -- to
24 raise the density to the point where it
25 would be visible.

Robert G. Peyster, M.D.

1 Q. On a 5-millimeter cut or a
2 10-millimeter cut?

3 A. No; on CT.

4 Q. Just a regular CT?

5 A. Yes.

6 When you're scanning test
7 tubes, it's not really an issue because
8 you would have this volume in the whole
9 height of the slice.

10 Q. Do you agree with the
11 statement that there is a high
12 correlation between a negative CT scan
13 and no symptoms in a patient that had a
14 subarachnoid hemorrhage?

15 A. I think the answer is no, but
16 let me -- we don't do CT scans on
17 patients with no symptoms, ever.

18 Q. I'm sorry. No neurological
19 symptoms. Say the patient just has a
20 headache but no other neurological
21 symptom.

22 A. A headache is a neurological
23 symptom.

24 Q. Take a patient just with a
25 headache.

Robert G. Peyster, M.D.

1 A. Do we want to use the word
2 "sign", "physical finding"?

3 Q. Okay.

4 A. The majority of patients with
5 subarachnoid hemorrhage have no physical
6 diagnostic finding. I mean, some of them
7 are comatose, in which case you really
8 can't do an exam.

9 The lower grade hemorrhages
10 -- by definition, Grade 1 and Grade 2
11 hemorrhages -- have no neurological
12 deficit. They just are lethargic and
13 varying degrees of sleepy, and once they
14 have a neurologic finding they're kicked
15 into a higher grade of the Glasgow
16 scale.

17 So I would say that the
18 majority of people that we see for
19 angiography are Grade 1's and Grade 2's,
20 and they don't have neurologic findings.

21 Q. But you're saying that you
22 believe CT scans are 92 to 98 percent
23 accurate in picking up a subarachnoid
24 hemorrhage?

25 A. Yes. I couldn't tell you

Robert G. Peyster, M.D.

1 which one of those.

2 Q. I gave you a range.

3 A. Right. So if we take a
4 95 number we're probably okay.

5 Q. At no matter what stage of
6 the hemorrhage we're talking about?
7 In other words, no matter how close in
8 time we are to the actual hemorrhage?

9 A. Well, I'm going to take a
10 moment to clarify something that confused
11 me in the depositions that I read,
12 because the impression that I got from
13 reading these depositions -- and I can't
14 remember who posed the question -- was
15 that the point was raised that it might
16 be hard to see these hemorrhages early,
17 and in fact it's quite the contrary.

18 The best time to see a
19 subarachnoid hemorrhage is within five
20 seconds of the subarachnoid hemorrhage
21 and the chances of seeing it go down from
22 then on. So the first 24 hours is the
23 highest percentage. If you wait
24 72 hours, you will not see more than 5%
25 of subarachnoid hemorrhages on CT. After

Robert G. Peyster, M.D.

1 72 hours the blood washes away.

2 In the massive hemorrhages
3 some will still be there, but in the
4 minor hemorrhages the blood is gone
5 within three days. Subarachnoid
6 hemorrhage clears very rapidly. It gets
7 reabsorbed. It goes away. It vanishes.

8 Q. So if someone has a
9 subarachnoid hemorrhage and is
10 unfortunate enough to get to a CT scanner
11 in 72 hours after, they might have a
12 negative scan?

13 A. They will almost certainly
14 have a negative scan 72 hours after.

15 Q. So you wouldn't know that
16 there had been a subarachnoid hemorrhage?

17 A. They have to have a lumbar
18 puncture. You would still do the CT on
19 the chance that you might see it, but
20 almost all of those patients are going to
21 wind up with lumbar punctures.

22 Q. Based on what you see on
23 these films can you tell when you believe
24 the subarachnoid hemorrhage took place?

25 MR. OLSMAN: Just from

Robert G. Peyster, M.D.

1 looking at the films without knowing the
2 time?

3 MS. CHANDLER: Yes.

4 A. No. Timing of hemorrhage
5 doesn't work in the subarachnoid space.

6 Now, if you want an opinion,
7 I would say this is not 72 hours out.

8 Q. We're within the 72 hours you
9 think?

10 A. There is not very much blood
11 here. This is the type of hemorrhage
12 that I think at 48 hours could be
13 trouble, and at 72 hours I think you
14 would have a negligible chance of
15 seeing.

16 Timing of hemorrhages in the
17 subdural space is much easier.

18 Q. Are you saying you can or
19 cannot tell me a range of time in which
20 you think the bleeding in this case took
21 place based on the 4/3 films?

22 MR. OLSMAN: I think he just
23 told you that.

24 MS. CHANDLER: I missed it.
25 That's why I'm asking again.

Robert G. Peyster, M.D.

1 A. Well, let's just say that my
2 best guess is within 24 hours. I would
3 be overwhelmingly surprised if it were
4 72 hours. I would not believe that to be
5 -- I would say less than half of a
6 percent chance.

7 Q. But you're saying there
8 wasn't much blood there?

9 A. There is not much blood
10 there.

11 Q. Meaning that there has
12 already been some reabsorption?

13 A. Meaning that it could be a
14 small hemorrhage.

15 Q. And because of that, if you
16 got out to 48 hours you might not even
17 see it in this particular case because
18 there wasn't very much to begin with?

19 A. 48 hours from this time.
20 That's right.

21 Q. What about 48 hours from the
22 time of bleed?

23 A. Well, if we can assume that
24 this is in the first 24 hours, then --
25 every day your chances are going to go

Robert G. Peyster, M.D.

1 down. Every 24 hours your chances are
2 going to go down significantly.

3 Q. When you are looking for
4 subarachnoid hemorrhage on a film,
5 is there any particular distinctive
6 characteristic that you're looking for?

7 A. Yes.

8 Q. What is it?

9 A. Well, there are two
10 parameters that have to be considered.

11 No. 1, you have to see blood,
12 hemorrhage. Hemorrhage on CT scan in the
13 acute stage is high in density, meaning
14 whiter than brain.

15 Q. So you are looking for white
16 areas?

17 A. White areas.

18 The second parameter is that
19 it anatomically has to coincide with the
20 subarachnoid space, but you have to know
21 where the subarachnoid space is in the
22 brain; and if you know where that is,
23 then if you see a white area where the
24 subarachnoid space is, then you would
25 diagnose a subarachnoid hemorrhage.

Robert G. Peyster, M.D.

1 Q. Artifact also appears on a
2 CT scan as a white area, does it not?

3 A. Artifact can actually be any
4 color. Artifact is frequently white.

5 Q. So how do you distinguish
6 artifact from blood if they're both
7 white? What is it about the whiteness
8 that distinguishes them, if anything?

9 MR. OLSMAN: Do you want him
10 to talk in the abstract, or do you want
11 him to show you on the film?

12 MS. CHANDLER: No. I just
13 want to know generally right now.

14 A. Well, let's say the most
15 common artifact on head CT scans are
16 related to bone. They're streak artifact
17 that are generated from a bony surface
18 generally running to a bony surface.
19 They're linear and they run from the bone
20 generally to another bone, but they
21 emanate from a bone. So there won't be
22 any area around the artifact, between it
23 and the bone, that is not of the same
24 color.

25 Does that make sense?

Robert G. Peyster, M.D.

1 Q. I think so.

2 A. So basically what I am saying
3 is if you're looking within the substance
4 of the brain or subarachnoid space that
5 is not against the bone --

6 Q. Then you know it's artifact?

7 A. -- and the density or the
8 whiteness is not consistent all the way
9 to the bony surface, then it's not
10 artifact.

11 So one of the problems with
12 CT would be in the higher areas where
13 there is lots of bone. The subarachnoid
14 space in the higher areas for the most
15 part is up against the bone, but in the
16 lower areas -- the so-called basal
17 cistern, Sylvian fissures -- these areas
18 are away from the bony surface and those
19 are the areas that we have the best
20 accuracy in recording subarachnoid
21 hemorrhage.

22 Q. Have you ever looked at a
23 CT scan and called it artifact and found
24 out later it was actually hemorrhage?
25 You personally.

Robert G. Peyster, M.D.

1 A. Not that I can recall.

2 Q. So the main thing that is
3 indicative of artifact is the fact that
4 it's going to run right up against the
5 bone and that's going to distinguish it
6 from blood?

7 A. Well, that's not the only
8 criteria.

9 Q. That's the main one?

10 A. That is a sine quanon, which
11 means that's a given.

12 Q. What else then do you look
13 for to distinguish between artifact and
14 blood if in fact both of these things
15 appear as white areas on a CT scan?

16 A. Artifact is generally
17 streaky, meaning linear, often parallel
18 lines that emanate from the bone.
19 You have these linear white streaks.

20 I guess without demonstrating
21 with pictures that's the best I can do in
22 terms of descriptive terms.

23 Q. Is there any particular place
24 where an anterior communicating artery
25 aneurysm will bleed?

Robert G. Peyster, M.D.

1 MR. OLSMAN: Such that it
2 would be visible on a CT film?

3 MS. CHANDLER: Yes.

4 Q. (Continued) Well, actually
5 my question is if they bleed, is there a
6 place in the brain where they normally
7 bleed into?

8 A. All right.

9 Well, first of all, the
10 anterior communicating artery, there is
11 one on each side. The anterior
12 communicating artery runs between the two
13 A-1 arteries. It's a midline vessel in
14 the inferior frontal region, so when an
15 anterior communicating artery aneurysm
16 ruptures, it obviously always ruptures
17 there.

18 The distribution of the blood
19 is variable. Many anterior communicating
20 artery aneurysms will deposit the
21 majority of the blood in the inferior
22 frontal midline in the region of the
23 cistern of the lamina terminalis.

24 Q. Most of the blood is
25 deposited where?

Robert G. Peyster, M.D.

1 A. It's in the midline inferior
2 frontal region.

3 The intrahemispheric fissure
4 is the broader term that defines the
5 division between the two inferior frontal
6 lobes. The posterior aspect of that
7 where it abuts the third ventricle is the
8 cistern of the lamina terminalis.

9 So the aneurysm lives very
10 close to the anterior third ventricle.
11 The first place it's going to put blood
12 is just anterior to the third ventricle.

13 The blood doesn't have to
14 stay there. In fact, subarachnoid space
15 is an open space that communicates
16 throughout and around the surfaces of the
17 brain, so the blood can go anywhere it
18 wants to go, and it's also going to have
19 gravitational effects if the patient is
20 lying on the back of their head.

21 So it just doesn't work out
22 for us. We have a reasonable chance of
23 predicting the location of the aneurysm,
24 but it's probably not better than 50 or
25 60 percent.

Robert G. Peyster, M.D.

1 I mean, I've seen cases where
2 I've bet my life that it's an anterior
3 communicating artery aneurysm, and most
4 of the time I'm right and sometimes
5 I lose my life. I've also seen cases
6 where I was sure it was a middle cerebral
7 aneurysm, and it turned out to be an
8 anterior communicating aneurysm that put
9 all of its blood on the sylvian fissure.
10 Maybe the patient was lying on the side
11 of their back and that's where the blood
12 wound up.

13 Q. You're saying most of the
14 time, though, it was deposited where?
15 That's what I didn't hear you say
16 before.

17 A. In the midline in the frontal
18 regions, usually below the corpus
19 callosum, anterior to the third ventricle
20 in the anterior intrahemispheric
21 fissure. It can burst into the frontal
22 lobes, inferior frontal lobes.

23 That's the pattern of
24 hemorrhage that would strongly suggest an
25 anterior communicating artery aneurysm.

Robert G. Peyster, M.D.

1 However, that may account for 50% maybe
2 of anterior communicating artery
3 aneurysm. Maybe. I don't know.
4 That may even be high.

5 The other half, if that's
6 right, bleed in a pattern that I can't
7 predict where the aneurysm is or I might
8 misguess the position based on that
9 bleed.

10 So we try to look at the CT
11 for a distribution of blood to predict,
12 but it doesn't always work out. The
13 aneurysm can be stuck to the brain from a
14 previous bleed that went unrecognized and
15 then burst into the substance of the
16 brain and present with a primary brain
17 hemorrhage rather than a predominant
18 subarachnoid hemorrhage, and then we
19 think about other diagnoses and find out
20 that it's an aneurysm after all.

21 Q. Why don't you put the films
22 up on the box and show me what you see.

23 MR. OLSMAN: Which film do
24 you want to start with?

25 MS. CHANDLER: 4/3.

Robert G. Peyster, M.D.

1 MR. OLSMAN: April 3, 1990?

2 MS. CHANDLER: Yes.

3 Q. (Continued) You said you
4 only saw blood on 5?

5 A. I only see blood on 5.

6 There are two areas.
7 The first area, this dark area here is
8 the anterior-inferior third ventricle.

9 Q. Right here?

10 A. The black area. Right in
11 front of that is a white area.

12 Q. That little dot?

13 A. Yes.

14 Well, I wouldn't say it's a
15 little dot. It's a couple of millimeters
16 in size with an area of white going
17 straight forward from it.

18 So we have a round area of
19 white with then a midline linear area of
20 white in the intrahemispheric fissure.

21 This area of white is in the
22 cistern of the lamina terminalis and this
23 area of white is in the intrahemispheric
24 fissure. The second area is in the left
25 sylvian fissure, the inferior aspect of

Robert G. Peyster, M.D.

1 the left sylvian fissure over here.
2 If you compare that with the other side
3 -- this is the sylvian fissure,
4 the inferior aspect of the sylvian
5 fissure on the other side.

6 If we for a second jump to
7 Slice No. 6 just to show you where the
8 sylvian fissure runs, this is the sylvian
9 fissure above it on both sides.

10 So this slightly curved area
11 of low density here is the inferior
12 aspect of the right sylvian fissure, and
13 this area where the hemorrhage is on the
14 other side is the inferior aspect of the
15 left sylvian fissure.

16 Q. The linear lines in the
17 cistern, how far up do they go? What do
18 you consider all of it?

19 A. Well, the rounded area, I am
20 going to put black on both sides of the
21 rounded area. That's the area that is
22 one that grabs my eye.

23 Now, if I only had that,
24 I wouldn't be able to do much with it.

25 BY MR. CHEOLAS:

Robert G. Peyster, M.D.

1 Q. When you say "that," what do
2 you mean?

3 A. The linear area going in
4 front of it, because it's so thin, but of
5 course so is the intrahemispheric fissure
6 in this patient. The patient doesn't
7 have a lot of atrophy, so the
8 intrahemispheric fissure is a very, very
9 thin space.

10 Some people have very wide
11 intrahemispheric fissures you can drive a
12 truck through due to atrophy. This
13 patient doesn't have any significant
14 degree of atrophy in that area so that
15 you really can't even -- you can just see
16 little pieces of the intrahemispheric
17 fissure on higher slices but very thin
18 space, so therefore it's going to be just
19 a few red blood cells lined up in a row.

20 However, it widens
21 posteriorly at the cistern of the lamina
22 terminalis, allowing for an area of wider
23 presence of hemorrhage.

24 BY MS. CHANDLER:

25 Q. But where else do you see

Robert G. Peyster, M.D.

1 white in relationship to this little dot
2 that you've put the two brackets on each
3 side?

4 MR. OLSMAN: In that area?

5 MS. CHANDLER: Yes.

6 Q. (Continued) You said there
7 was more than one area there.

8 A. Well, I said I see a linear
9 area going forward from it, but because
10 this to me diagnostically is hemorrhage,
11 that should be hemorrhage because it's in
12 the location of the intrahemispheric
13 fissure.

14 However, if that were the
15 only finding on this study, we wouldn't
16 be here today.

17 BY MR. CHEOLAS:

18 Q. When you say "that," what do
19 you mean?

20 A. The skinny little area in the
21 anterior intrahemispheric fissure.

22 If that was the only finding, I wouldn't
23 be here today.

24 BY MR. VANDE VUSSE:

25 Q. What do you mean by that?

Robert G. Peyster, M.D.

1 It's not diagnostic of blood?

2 A. It would be too subtle for me
3 to ask them to make the diagnosis.

4 BY MR. CORDEN:

5 Q. Well, then, we're here today
6 because of the existence of what then,
7 Doctor?

8 A. This and this.

9 BY MS. CHANDLER:

10 Q. "This" meaning the little dot
11 in the center and then the white area to
12 the right?

13 A. Yes; the white area in the
14 left sylvian fissure and the rounded area
15 of hemorrhage, which is probably only
16 2 millimeters in size in the cistern of
17 the lamina terminalis.

18 BY MR. CHEOLAS:

19 Q. Does that show up as white?
20 Is that what you're pointing at?

21 A. Yes. It's a whitish -- it's
22 on the white side of gray. It's not as
23 white as bone. I would call this
24 white/white bone. Hemorrhage is not as
25 white as bone but it's whiter than

Robert G. Peyster, M.D.

1 brain.

2

3 BY MS. CHANDLER:

4 Q. You're saying the linear line
5 in and of itself wouldn't cause you to
6 think blood but for the fact that it is
7 connected to this little dot. And you
8 assume that's hemorrhage and you then
9 assume that the linear area is also
10 hemorrhage; is that right?

11 A. It is likely that that's the
12 case because it's in the position of the
13 subarachnoid space extending from this
14 area, yes.

15 Q. At the top of this linear
16 area is another area of white that to my
17 eye doesn't look a lot different than
18 what is over here in the left sylvian
19 fissure.

20 A. Right. Now we get to the
21 discussion we had before.

22 This is interbone artifact.
23 It goes from the bone to the bone, and
24 this does the same thing. This goes from
25 bone to bone and this goes from bone to

Robert G. Peyster, M.D.

1 bone.

2 So when it goes from bone to
3 bone, you couldn't -- that's the typical
4 appearance of artifact. It's actually
5 better seen if we go to Slice No. 3 where
6 we can see streaks of artifact emanating
7 from the bony surfaces and going all the
8 way to the bony surface. You can see
9 that these streaks come all the way down
10 to the bone.

11 So they emanate from the
12 bone, and there is no space between the
13 bone and the artifact. In other words,
14 the artifact doesn't just start in the
15 middle. It's caused by the bone and has
16 to go from the bone as opposed to this
17 area here where there is no white around
18 it.

19 BY MR. CHEOLAS:

20 Q. What area where?

21 Q. On the left sylvian fissure.
22 There is low gray, a low shade of gray
23 tissue consistent with brain tissue
24 between this and the bone.

25 BY MS. CHANDLER:

Robert G. Peyster, M.D.

1 Q. Could you ever have artifact
2 in the area of this linear line that
3 emanates from the center of that?
4 Is there bone there so you can go bone to
5 bone as you say?

6 A. At this point?

7 Q. Yes.

8 A. No. There is no bone there.
9 If you get higher, you get into calcified
10 falx. That could produce -- for example,
11 here is the calcified anterior falx.
12 The falx doesn't extend this low.
13 The anterior frontal lobe runs under the
14 falx.

15 Q. So are you saying any time
16 you have bone in the area of the
17 intrahemispheric fissure that is going to
18 be blood any time you have white in
19 there?

20 A. White in the inferior aspect
21 of the anterior intrahemispheric
22 fissure.

23 Q. I'm sorry. Did you say
24 anterior?

25 A. The anterior-inferior,

Robert G. Peyster, M.D.

1 because the anterior intrahemispheric
2 fissure runs all the way up and the falx
3 runs in the intrahemispheric fissure.
4 The falx is white.

5 So up here you have the
6 bigger problem at the top of the head
7 because the falx in middle-aged and
8 elderly people is calcified and can
9 actually be ossified. It's white. In an
10 infant white in the midline would
11 indicate hemorrhage as well because their
12 falx is not calcified.

13 When you get very high, you
14 have the sagittal sinus in the midline
15 and that's going to be white because of
16 blood within the sinus.

17 So it depends on where you
18 are. The location in the
19 anterior-inferior intrahemispheric
20 fissure particularly go on to the
21 terminalis. You should never see white
22 unless it's hemorrhage, or if it's even
23 whiter than that it can be calcification
24 due to meningitis in the past.

25 There are other things that

Robert G. Peyster, M.D.

1 are white other than blood. There are
2 tumors that have hemorrhage in them and
3 calcifications.

4 Q. But you said you should never
5 see white --

6 A. In the subarachnoid space.
7 It doesn't matter where. Anywhere in the
8 subarachnoid space.

9 If you see white in the
10 subarachnoid space, you have a
11 presumptive diagnosis of subarachnoid
12 hemorrhage. You have to make that
13 diagnosis.

14 Q. Can we put the other film up
15 here.

16 MR. OLSMAN: The April 19
17 film?

18 MS. CHANDLER: Yes; 4/19.

19 A. All right. Here is the 4/19
20 film.

21 Now, again 10-millimeter
22 thick slices were employed, and what
23 I would like to point out here is that we
24 have blood in exactly the same location,
25 but we have more of it and we have it in

Robert G. Peyster, M.D.

1 more locations.

2 So let's start with the
3 cistern of the lamina terminalis. Here
4 is the inferior third ventricle on this
5 scan. This is Scan No. 5 as well.
6 So the linear low density structure there
7 is the third ventricle, and right in
8 front of it you have the cistern of the
9 lamina terminalis which has blood in it.
10 It has more blood than it did last time,
11 but there is blood all over the place on
12 this scan.

13 Now, also again on Scan No. 5
14 we have blood in the left inferior
15 sylvian fissure in the same location that
16 it was present on the other scan.

17 Now, additionally there is
18 blood in the entire length of the
19 anterior-inferior intrahemispheric
20 fissure going straight forward, and then
21 we see blood in the intrahemispheric
22 fissure coming up and blood sitting in
23 front of the corpus callosum. You see
24 blood vaguely in some of the upper
25 sulci. We see blood around the brain

Robert G. Peyster, M.D.

1 stem. There is a ring of white and then
2 there is also partial volume averaging
3 effect here as well where it's whiter in
4 some areas and in other areas it's just
5 not as dark as it's supposed to be.

6 Cerebral spinal fluid
7 surrounding the brain stem is supposed to
8 be dark like the cerebral spinal fluid in
9 the ventricles, and the reason that's
10 lost is because there is admixture of
11 blood and cerebral spinal fluid so that
12 they come to an average density somewhere
13 between the blood that you see here and
14 the cerebral spinal fluid that it's
15 supposed to be.

16 Now, if we go back for a
17 moment and look at Scan No. 5 from both
18 studies, you can see that this area
19 circled here is the same area where we
20 see blood on this study, and this cistern
21 of the lamina terminalis here is the same
22 area as here exactly. The only thing is
23 there is just more blood. We've covered
24 it up. We have the same thing. We have
25 blood.

Robert G. Peyster, M.D.

1 Q. If you have artifact like you
2 say is represented up here on 4/3 No. 5,
3 on the 4/3 Film No. 5, are you
4 necessarily going to have it the next
5 time you do a film?

6 A. Have artifact?

7 Q. Yes; in the same place.

8 A. You would have to duplicate
9 the same slice location exactly, which
10 means the same angle and the same slice
11 location. That's almost impossible to
12 do, and it hasn't been done here.

13 No. 2, patient motion can
14 come into play. That will enhance
15 artifact. Patient motion can cause
16 artifact all by itself. It's a different
17 pattern than the bone streak artifacts
18 that we've been talking about. It causes
19 a blurring, but it can actually enhance
20 bone streak artifact.

21 So all things being equal,
22 yes, you would produce the same thing
23 every time.

24 Q. Why don't we see this
25 artifact, then, on 4/19?

Robert G. Peyster, M.D.

1 A. We don't have that same
2 location. This area here is somewhere
3 between here and here.

4 Q. Meaning 4 and 5 on 4/19?

5 A. The angle of the slices are
6 different, so they do not have an exact
7 match of the outer surfaces of the
8 brain. So you have to go and look for
9 the brain territory, which coincidentally
10 happens to be on Slice No. 5 even though
11 the slices front and back are not
12 identical.

13 The pathology that we are
14 looking at is nearer to the middle of the
15 head than to the outside margin of the
16 head, so therefore it's less affected in
17 terms -- in other words, no matter what
18 you do, the cistern of the lamina
19 terminalis and this portion of the
20 sylvian fissure will always be on the
21 same slice because they lie so close to
22 each other. But we can put all kinds of
23 different stuff on that slice.

24 So Slice No. 5 on the
25 previous study --

Robert G. Peyster, M.D.

1 Q. On 4/3?

2 A. On 4/3.

3 -- shows completely
4 different anatomy posteriorly. We have
5 the petrous bone on the 4/19 study.
6 We have no petrous bone left on the
7 previous study. We have the midbrain
8 more posteriorly on the 4/3 study and we
9 have -- it's hard to say this.

10 I guess that is probably
11 still midbrain. The main difference is
12 if you look to the very front of the
13 skull and the very back of the skull, you
14 have the difference. The anatomy is
15 about the same.

16 Q. On the 4/19 films there is an
17 area of white that extends below the
18 cistern.

19 A. That's in the ambient cistern
20 around the brain stem.

21 Q. Is that blood, too?

22 A. Yes.

23 Q. We have another set of
24 copies.

25 A. This is also blood here.

Robert G. Peyster, M.D.

1 (Discussion off the record.)

2 MS. CHANDLER: We now have
3 marked another copy of the CAT scan.
4 The doctor has drawn a circle around an
5 area of blood that he sees which is in
6 the left sylvian fissure.

7 BY MS. CHANDLER:

8 Q. Correct?

9 A. Yes.

10 MS. CHANDLER: He also has
11 drawn two lines to highlight the blood
12 seen in the cistern --

13 THE WITNESS: Of the lamina
14 terminalis.

15 MS. CHANDLER: And then two
16 lines between what is a linear area of
17 blood in the --

18 THE WITNESS: -- inferior
19 aspect of the intrahemispheric fissure,
20 the anterior portion of the
21 intrahemispheric fissure.

22 MR. CHEOLAS: And these are
23 the two little lines?

24 THE WITNESS: Right.

25 BY MS. CHANDLER:

Robert G. Peyster, M.D.

1 Q. And it's inferior -
2 anterior? Which way did it go in?

3 A. It doesn't matter.
4 It's called the intrahemispheric
5 fissure. It just happens to be the
6 inferior portion of the anterior portion
7 of the intrahemispheric fissure.

8 Q. Can you make those two lines
9 that surround that area a little darker.

10 (Witness complies.)

11 BY MR. CHEOLAS:

12 Q. Do you see blood in any other
13 portion of Scan No. 5?

14 A. No.

15 MS. CHANDLER: On the 4/3
16 films.

17 BY MS. CHANDLER:

18 Q. And you didn't see blood on
19 any other section except Scan 5 on the
20 4/3 films?

21 A. Correct.

22 Q. For the record, Doctor, can
23 you identify what you've just been
24 looking at. It's the third film you've
25 looked at tonight.

Robert G. Peyster, M.D.

1 A. It's a not wonderful copy of
2 the 4/3 CT scan of the head.

3 Q. And it's been marked --

4 A. Pyster 1.

5 MR. OLSMAN: It also has
6 "Copy" scribbled in black wax pencil on
7 the lower right-hand side; is that
8 correct.

9 THE WITNESS: Yes.

10 MR. OLSMAN: Thank you.

11 BY MS. CHANDLER:

12 Q. We're going to show you the
13 originals.

14 MS. CHANDLER: We all agree
15 that these are the originals; right?

16 MR. OLSMAN: Well, that would
17 be the question.

18 BY MR. OLSMAN:

19 Q. Can you tell, Dr. Peyster, by
20 looking at the film that you have in
21 front of you now that that is an
22 original?

23 A. It's a copy. It can be the
24 original copy, but it's a copy.

25 BY MR. CORDEN:

Robert G. Peyster, M.D.

1 Q. How can you tell, Doctor?

2 A. See the notch impressions?
3 This is what they're supposed to look
4 like. The only way to get them to look
5 like that but not be notches is to copy
6 them.

7 They are supposed to be real
8 notches like you see here. There is also
9 a rim with black and then there is light
10 gray around it, which means that a copy
11 film has been used in conjunction.

12 I have a lot of films in my
13 hand. Maybe we can find an original
14 among them. This looks like it could be
15 the original of the 4/19. This is a bone
16 window film which is not going to be of
17 any use to us. This is a film from 4/19
18 and this is a bone window, so this is not
19 the original of the 4/3 CT scan.

20 BY MS. CHANDLER:

21 Q. Do you see blood anyplace
22 else on this set of films?

23 A. No. I see exactly the same
24 thing I saw on the other films.

25 BY MR. CHEOLAS:

Robert G. Peyster, M.D.

1 Q. What other films?

2 A. All of the other films.

3 BY MS. CHANDLER:

4 Q. That were dated 4/3?

5 A. Right.

6 BY MR. OLSMAN:

7 Q. Other copies of the same film
8 of April 3, 1990; correct?

9 A. Right.

10 Each one is a film. They're
11 all copies of the original film. All of
12 the copies show the same thing in varying
13 degrees of quality.

14 BY MS. CHANDLER:

15 Q. To the right of the area that
16 you marked with a circle before I see,
17 another white area that is right up
18 against --

19 A. That's to the left.

20 Q. To the left of it?

21 A. Well, I'm sorry. It's the
22 left of the patient; it's your right.

23 Q. It's my right. That's right
24 up against the edge here.

25 A. Right.

Robert G. Peyster, M.D.

1 Q. Is that artifact or is that
2 blood, too? That's right up against the
3 bone, isn't it, so that means it's
4 artifact?

5 A. It's not blood.

6 Q. Why?

7 A. Well, let's just say we can't
8 say it isn't because it's right up
9 against the bone. It looks the same on
10 two sides.

11 It's really not distinctly
12 different than the brain tissue next to
13 it.

14 MR. CHEOLAS: I am going to
15 mark this. I'm going to mark the film
16 that you are looking at as Pyster No. 2.

17 (Pyster Exhibit No. 2 marked
18 for identification.)

19 BY MR. CHEOLAS:

20 Q. Pyster No. 2 is the film that
21 you say is not an original; is that
22 correct?

23 A. I say that all of the films
24 that I've looked at are not the
25 original.

Robert G. Peyster, M.D.

1 Q. Well, is Pyster No. 2 an
2 original film or not?

3 A. It's not the original.

4 Q. It's a copy?

5 A. It's a copy.

6 Q. How would you describe the
7 copy quality of Pyster No. 2?

8 A. Well, to tell you the truth,
9 I would have to see the original next to
10 it to tell you how good the copy was
11 because a perfect reproduction of the
12 original would be a perfect copy even if
13 the original were a poor film.

14 Q. How would you compare
15 Pyster No. 2 to the film that you read?

16 MR. CHEOLAS: We haven't
17 marked this yet, have we?

18 MR. VANDE VUSSE: It's been
19 previously marked Hussey Exhibit No. 4,
20 Papson Exhibit No. 1 and Sauter Exhibit
21 No. 6.

22 THE WITNESS: Do we know
23 which film I originally saw?

24 MR. OLSMAN: Yes.

25 MR. CHEOLAS: The one that

Robert G. Peyster, M.D.

1 I am going to mark right now as
2 Exhibit No. 3.

3 (Pyster Exhibit No. 3 marked
4 for identification.)

5 BY MS. CHANDLER:

6 Q. We marked this exhibit
7 Pyster Exhibit No. 3, and this was the
8 first April 3 film you looked at tonight;
9 correct, Doctor?

10 A. Yes.

11 Q. And this is also the film
12 that you originally saw when Mr. Olsman
13 came out to meet with you?

14 A. That's what Mr. Olsman tells
15 me. Only he would know because I haven't
16 had it in my possession.

17 Q. And you did make some
18 markings originally on this film tonight,
19 on Pyster No. 3?

20 A. During the deposition?

21 Q. Yes.

22 A. Yes

23 BY MR. CHEOLAS:

24 Q. Can you compare the quality
25 of film between Pyster No. 3 and

Robert G. Peyster, M.D.

1 Pyster No. 2?

2 A. I like Pyster No. 3 better.
3 Pyster No. 2 is not quite as -- it looks
4 like it's a little bit lighter, but it's
5 not a world apart.

6 Q. And Pyster No. 3 is a copy?

7 A. Yes.

8 Q. Compare No. 3 to No. 1.

9 MR. OLSMAN: In terms of copy
10 quality?

11 MR. CHEOLAS: Right.

12 A. Again, we are only comparing
13 them to each other in terms of the
14 general aesthetics because we don't know
15 where the original is.

16 I think that the last film,
17 which is Pyster No. 1, is the worst of
18 the three.

19 BY MS. CHANDLER:

20 Q. I have a couple more
21 questions for you.

22 What I want you to do is
23 dictate to me what report you would have
24 given based on the 4/3 film.

25 MR. OLSMAN: Beyond what he's

Robert G. Peyster, M.D.

1 already told you?

2 MS. CHANDLER: Yes. I just
3 want him to tell me what his report would
4 say, what he thinks the standard of care
5 requires the report to say about the 4/3
6 film.

7 MR. OLSMAN: Well, which
8 question do you want answered? You just
9 asked him three questions.

10 Do you want him to formulate
11 a hypothetical report that assumes that
12 he is reading this CT film as a
13 radiologist in a hospital setting and
14 what he would say about it?

15 MS. CHANDLER: Yes.

16 A. Do we have to go through all
17 the -- you know, CT scan of the head, so
18 many slices, this and that? Just
19 starting with the body of the report?

20 Q. Yes; the body and
21 impression.

22 MR. OLSMAN: Before he
23 answers further, do we further assume for
24 the purpose of your hypothetical report
25 that the radiologist has been informed

Robert G. Peyster, M.D.

1 about why the CT was done? In other
2 words, that he has some idea of what he's
3 looking at and for?

4 MS. CHANDLER: You mean that
5 the woman had a headache?

6 MR. OLSMAN: Yes; and he
7 understands what he's scanning for?

8 MS. CHANDLER: Sure.

9 Q. (Continued) Let me ask you
10 something.

11 Is your report going to be
12 written any differently if you know this
13 woman has a headache or if you don't know
14 that fact?

15 A. No.

16 Q. It's going to be the same
17 report, isn't it?

18 A. It would be the same report.

19 Q. That's what I want to know.

20 A. I may incorporate the history
21 into the report.

22 For example, in this
23 particular case hypothetically I would
24 say: Increased density is noted in the
25 cistern of the lamina terminalis and

Robert G. Peyster, M.D.

1 inferior aspect of the left sylvian
2 fissure consistent with subarachnoid
3 hemorrhage. No hemorrhage is noted
4 elsewhere on this study. There is no
5 mass effective midline shift. There is
6 mild dilitation of the lateral and third
7 ventricles.

8 That would be it.

9 Q. Would you recommend further
10 studies?

11 MR. OLSMAN: In his
12 hypothetical report?

13 MS. CHANDLER: Yes.

14 A. Well, it puts me in a funny
15 position because I would say this study,
16 if I were responsible for producing this
17 -- I'm just reporting the findings as
18 I see them. So I would have done the
19 study differently, but other than that
20 I wouldn't recommend that to myself.
21 So we're putting myself in the position
22 of having to read the study.

23 Q. What I'm trying to figure out
24 is would you suggest that a study be done
25 with contrast?

Robert G. Peyster, M.D.

1 A. I thought that question might
2 come up, and the answer is no.

3 Q. You don't think that was
4 required in this case?

5 A. There are situations --
6 we used to do that very frequently in
7 patients with subarachnoid hemorrhage
8 because in the old days they used to wait
9 prior to operating on aneurysms.

10 For the past bunch of years
11 they operate on aneurysms in the first
12 three days of presentation, but in the
13 old days they used to wait a couple of
14 weeks before they would operate on
15 aneurysms.

16 So what was the situation at
17 Mass. General was that we do an
18 arteriogram early on and see the
19 aneurysm, and then we would do another
20 arteriogram five days later when the
21 patient wasn't feeling as well to see if
22 the patient had spasm, and then we would
23 do another arteriogram before the patient
24 went to surgery.

25 So I demonstrated and that's

Robert G. Peyster, M.D.

1 what my exhibit that we discussed before
2 showed; that I could see aneurysms in
3 almost every case on CT scan if I gave
4 intravenous contrast and used thin
5 slices.

6 So in order to avoid doing
7 the earlier arteriogram by doing a
8 contrast CT scan and demonstrating the
9 aneurysm, I could put the surgeon's mind
10 at ease that in fact there was an
11 aneurysm and he could go ahead and treat
12 the patient for having an aneurysm and
13 plan his course accordingly, and we got
14 out of doing the early arteriograms in
15 many of the cases for that reason because
16 we established the diagnosis,
17 the arteriogram.

18 They wanted the diagnosis
19 established because there are other
20 causes of subarachnoid hemorrhage.
21 Not all of them are aneurysms.

22 So if the neurosurgeon wanted
23 a demonstration of the aneurysm, then
24 I would have injected the patient with
25 contrast; but if that was not the policy

Robert G. Peyster, M.D.

1 in the hospital with that neurosurgeon
2 and that was not their protocol, then
3 I would not have injected the patient
4 with contrast. If it was just simply to
5 diagnose subarachnoid hemorrhage, that
6 would be done.

7 Q. So from Dr. Papson's
8 standpoint in this case, the standard of
9 care didn't require him to suggest
10 contrast studies?

11 A. No. It might have after a
12 couple -- the timing of this is
13 difficult, but it has been demonstrated
14 that in the area of subarachnoid
15 hemorrhage you can get contrast
16 enhancement because there is a chemical
17 irritation of the meninges by the
18 hemorrhage. You can actually get
19 enhancement of the meninges that have
20 been irritated, but that takes some
21 time.

22 So for example, if you
23 already saw the blood you would have no
24 reason to do this, but if you were at the
25 72-hour stage and you didn't see the

Robert G. Peyster, M.D.

1 blood and you injected the patient, you
2 might see enhancement of the meninges.
3 But nobody does that type of --

4 Q. That's not the standard of
5 care?

6 A. It's not standard of care.

7 Q. Do you see the same amount of
8 dilatation of the lateral and third
9 ventricles on both the 4/3 and 4/19
10 films?

11 A. For the most part, yes.
12 There is one slice at the top of the 4/19
13 film where the bodies of the lateral
14 ventricles look a little whiter than they
15 do on the other study, but lower down
16 they look similiar. So I don't think
17 there has been -- there's probably not
18 been a significant change.

19 Q. Is that because of the
20 woman's age? I mean, is that a normal
21 finding for a woman of this age?

22 A. It's not enough to diagnose a
23 communicating hydrocephalus. A mild
24 communicating hydrocephalus may be
25 present, but it would be by itself

Robert G. Peyster, M.D.

1 acceptable.

2 Q. Have we discussed all the
3 opinions that you have about Dr. Papson?

4 A. Yes.

5 MS. CHANDLER: That is all
6 I have.

7 (Discussion off the record.)

8 MS. CHANDLER: We discussed
9 this with the doctor, and as best as we
10 can tell because of some certain
11 characteristics of the xray itself we
12 think that the 4/3 original xray is the
13 one with all of the deposition exhibits
14 on it and the one that is marked
15 Pyster No. 3.

16 MR. CHEOLAS: Is that
17 correct?

18 THE WITNESS: That's
19 correct.

20 MR. OLSMAN: That appears to
21 be the original; is that correct,
22 Doctor?

23 THE WITNESS: Yes.

24 MR. CHEOLAS: So we're clear,
25 Pyster No. 3 is going to remain in the

Robert G. Peyster, M.D.

1 possession of Mr. Olsman?

2 MR. OLSMAN: Yes.

3 BY MR. CHEOLAS:

4 Q. You were at Mass. General for
5 a while?

6 A. Yes.

7 Q. Do you know Dr. Fred
8 Hockford?

9 A. I believe he was there when
10 I was there.

11 Q. Was he on staff when you were
12 a resident there?

13 A. I think he may have been a
14 resident when I was a resident there.

15 Q. Do you know his specialty?

16 A. Neurology, I believe.

17 Q. So you really don't know him
18 very well?

19 A. I remember his name.
20 If I had to guess, he has dark hair,
21 but it's been a long time.

22 Q. You don't know anything about
23 his qualifications or anything?

24 A. No.

25 Q. I'm not clear on one thing,

Robert G. Peyster, M.D.

1 and I would like to talk about the
2 standard of practice for a minute.

3 Is it your opinion that the
4 standard of practice is the same for a
5 radiologist and a neuroradiologist?

6 MR. OLSMAN: For the purpose
7 of reading a CT film like the one
8 involved in Carolyn Noteboom?

9 MR. CHEOLAS: Right.

10 A. Yes.

11 Q. Is it true that a
12 neuroradiologist, a reasonably prudent
13 neuroradiologist, would be better
14 equipped based on whatever -- training,
15 education and experience -- to interpret
16 a CT scan as opposed to a general
17 radiologist?

18 A. Yes.

19 Q. You said something earlier in
20 the deposition. You said that less than
21 1% of neurosurgeons would make these
22 findings.

23 A. My opinion. That's not based
24 on a market survey. It is based on my
25 experience with a bunch of neurosurgeons.

Robert G. Peyster, M.D.

1 Q. And you're at a major medical
2 institution; correct?

3 A. Yes.

4 Q. It's Hahnemann?

5 A. It's a medical school.

6 Q. It's a medical school and you
7 have residents, interns, and you teach?

8 A. Yes.

9 Q. I don't know how you classify
10 hospitals in Pennsylvania.

11 Is it in the top class of
12 hospitals?

13 A. Well, it's a primary
14 university hospital, so in terms of
15 classification, that would be a tertiary
16 care institution. Then you have primary
17 affiliates of medical schools and then
18 you would have limited affiliates and
19 then you would have community hospitals
20 at large with no university affiliations.

21 Q. And you've had experience in
22 dealing with neurosurgeons at a tertiary
23 care facility?

24 A. I also have dealt with
25 neurosurgeons at private hospitals.

Robert G. Peyster, M.D.

1 Q. When you said that less than
2 1% of neurosurgeons would make these
3 findings, what did you mean by "these
4 findings"? That's the part I'm getting
5 at.

6 A. I would think that that small
7 percentage of neurosurgeons -- and if 1%
8 is inaccurate, less than 5% --

9 Q. Fair enough.

10 A. -- would make a diagnosis of
11 subarachnoid hemorrhage based on these
12 films.

13 Q. Looking at Pyster No. 3,
14 Scan 5 again, what is this white area
15 down here?

16 A. That is the posterior falx.

17 Q. Is that the portion of the
18 brain that's down here, or is that what
19 the white thing is?

20 A. The white thing is two leaves
21 of dura in the middle. That's called the
22 falx. It divides the right and left
23 cerebral hemispheres. It's a relatively
24 rigid membrane, often calcified.

25 Q. I'm not going to be able to

Robert G. Peyster, M.D.

1 describe this for the record, but what is
2 this white line?

3 A. Well, there are areas of
4 white all over the scan, so

5 Q. Do you see what white line
6 I'm talking about, though? Maybe you
7 don't see it as a white line.

8 MR. OLSMAN: Why don't you
9 show him.

10 A. I see streak artifact coming
11 off the frontal bone going all the way
12 back into -- is that what we are talking
13 about?

14 Q. That's the white line
15 I'm talking about.

16 A. I would put that as a bone
17 streak artifact.

18 Q. Can you describe that so it
19 shows up on the transcript.

20 MR. OLSMAN: Well, hold on.
21 That's your job to ask him a question
22 that can be answered in a manner that it
23 will show up on a transcript.

24 MS. CHANDLER: He just asked
25 him to describe it. He asked can you

Robert G. Peyster, M.D.

1 describe it.

2 MR. CHEOLAS: That's the
3 question.

4 MR. OLSMAN: I don't think
5 so, but that's all right.

6 A. I will do the best I can.
7 I see a linear area of
8 relatively white or whitish gray
9 extending from the posterior aspect of
10 the anterior skull base and extending
11 back towards the interpeduncular cistern
12 diagonally. It's passing through the
13 interior frontal lobe.

14 Q. Do you see any cerebral
15 spinal fluid around the brain?

16 A. Yes.

17 Q. Where?

18 A. This is the quadrigeminal
19 plate cistern around the midbrain and
20 then the remainder of the cistern around
21 the midbrain.

22 Now, this is an area that we
23 didn't even get into, but since you
24 brought it up I'll enlarge on it.

25 The quadrigeminal plate

Robert G. Peyster, M.D.

1 cistern is this relatively W-shaped area
2 at the back of the midbrain.

3 MS. CHANDLER: Which is black
4 or dark?

5 THE WITNESS: It's very
6 dark.

7 BY MR. CHEOLAS:

8 Q. And it looks like for any
9 layman looking at that right in the
10 middle?

11 A. Yes.

12 Now, on this slice is the
13 midbrain. The anterior parts of the
14 midbrain are the cerebral peduncles.
15 They have a cleft in between them which
16 is the interpeduncular cistern, and then
17 the cisterns going around the anterior
18 portions of the midbrain are called the
19 crural cistern.

20 Now, this should all be
21 cerebral spinal fluid surrounding the
22 brain stem, so why is it that the
23 cerebral spinal fluid in the back is very
24 dark like cerebral spinal fluid is
25 supposed to be, but the cerebral spinal

Robert G. Peyster, M.D.

1 fluid around the cerebral peduncles is
2 almost the same color as brain?

3 There are only two possible
4 explanations. One is partial volume
5 averaging with brain tissue above,
6 because the slice was too thick so that
7 we are including some brain tissue in the
8 measurements that the computer does to
9 generate these images, thus falsely
10 elevating the density of the cerebral
11 spinal fluid.

12 The other is that there is
13 blood in that area mixed with the
14 cerebral spinal fluid, and it's an
15 additional area of subarachnoid
16 hemorrhage analogous to what I pointed
17 out on the 4/19 study where there were
18 areas in the basal cisterns that were
19 frankly white and then there were areas
20 that were the same color as brain except
21 we just lost the CSF like we did here.

22 Now, I didn't point these out
23 as blood because I can't say it is
24 blood. I can just say that it's not the
25 proper density for cerebral spinal fluid

Robert G. Peyster, M.D.

1 either due to improper technique or too
2 thick slices. This is why I won't use
3 these slice thicknesses because I will
4 miss subtle subarachnoid hemorrhage.

5 You should make the diagnosis
6 of subarachnoid hemorrhage on a properly
7 performed study using proper thickness
8 slices of 5 millimeters if in fact the
9 density of the CSF is incorrect as it is
10 here.

11 The problem is when you use
12 thicker slices you bring in this other
13 variable. Is this really abnormal CSF or
14 not? If you see that and you've done
15 this study, you should go back and do
16 thinner slices to make CSF either look
17 like CSF or turn it white like it really
18 is or do something.

19 So it's just another problem
20 area. It doesn't stand out as white as
21 it is here, but that's the nature of --

22 Q. As white as where?

23 A. In the sylvian fissure and in
24 the cistern of the lamina terminalis.

25 But you don't have to see any

Robert G. Peyster, M.D.

1 white to have a diagnosis of subarachnoid
2 hemorrhage. You just have to see the
3 absence of dark.

4 This is something
5 I should have have pointed out earlier:
6 Is that when you start pointing at linear
7 streaks through the brain substance,
8 we're dealing with the contrast of brain,
9 which is supposed to be a median shade of
10 gray.

11 What has to be remembered is
12 that the subarachnoid space is filled
13 with cerebral spinal fluid as in the
14 sylvian fissures higher up where we see
15 lower CSF, just like the ventricles, and
16 if that is altered all you need to do is
17 raise the sylvian fissure and make it
18 invisible.

19 If we have a patient who has
20 a sylvian fissure like this on this side
21 and it's just invisible on the other
22 side, you have to hypothesize that there
23 is something suggesting and you have to
24 make a diagnosis of subarachnoid
25 hemorrhage, and I have done so on a

Robert G. Peyster, M.D.

1 number of cases.

2 So here we have this
3 technical problem, and that's why I'm
4 making an issue of 10-millimeter thick
5 slices.

6 Q. Would you say in your opinion
7 that the findings as you described when
8 you gave us your hypothetical dictated
9 report, those findings that you see on
10 Scan No. 5 are subtle in nature?

11 A. The word "subtle" is
12 difficult.

13 I'm going to walk back.
14 I'm standing what, 20 feet from the
15 film?

16 Q. A little bit more than 20.

17 A. I see it. I can make the
18 same findings from here.

19 Now, that makes it hard for
20 me to call it subtle.

21 Q. You would say it's not
22 subtle?

23 A. To me it's not subtle and
24 I think to a trained radiologist it
25 should not be subtle, but to a doctor of

Robert G. Peyster, M.D.

1 internal medicine I would say it would be
2 extremely subtle. Somebody that is not
3 used to looking at these for a living, it
4 might not stand out from a distance.

5 Q. Can you point out the fourth
6 ventricle?

7 A. Yes. The fourth ventricle is
8 here and the upper fourth ventricle is
9 here.

10 Q. So the only two scans which
11 show the fourth ventricle are what
12 numbers?

13 A. Scan No. 3 and Scan No. 4.

14 Q. Is there a structure or a
15 place called the prepontine cistern?

16 A. Well, some people call it the
17 prepontine cistern and some people call
18 it the pontine cistern, but if you
19 mention that to me, that refers to the
20 cistern anterior to the pons.

21 You can see a portion of that
22 on Scan No. 4 and you really don't see it
23 well on Scan 3 because of extensive
24 streak artifact between the petrous
25 bones. That is the single worst area on

Robert G. Peyster, M.D.

1 the CT scans. The inferior petrous
2 artifact is the worse.

3 Q. So again, the only scans
4 which show the prepontine cisterns are
5 Nos. 3 and 4?

6 A. Yes; because the midbrain is
7 on Slice No. 5 and the medulla is on
8 Slice No. 2. So the only ones that have
9 the pons on it are those two slices, so
10 those are the ones that have the pontine
11 cistern.

12 Q. I think I'm done with the
13 film.

14 Do you know what type of CT
15 scan machine took these films on 4/3/90?

16 A. Yes, I do.

17 Q. What kind?

18 A. It's a Somatome made by
19 Seamans and after Somatome it says "Hio"
20 and then it says "DB1".

21 They were a number of
22 upgrades to the Somatome scanner. They
23 started off with Somatome 1 and 2 and
24 then they went into letters. So this is
25 probably a third generation scanner.

Robert G. Peyster, M.D.

1 Q. The scanning equipment at
2 Hahnemann, what type of machine?

3 A. General Electric.

4 Q. Is it better, worse, equal to
5 the machine at St. Joe in 1990?

6 MR. OLSMAN: You mean in
7 terms of the quality?

8 Q. (Continued) In terms of its
9 capacity to allow you to accurately read
10 things.

11 A. Well, I like the General
12 Electric personally better than the
13 Seamans at those stages. That scanner
14 versus the 9800 that we used in 1990 and
15 are still using, my personal preference
16 is towards General Electric, but there
17 are people that are used to the Seamans
18 and like that better.

19 Q. Why do you like GE?

20 A. I think that the scans are
21 more aesthetically pleasing.

22 The argument is that the same
23 information is on both studies and it's
24 really the alpha rhythm in which they
25 take the digital data and create a

Robert G. Peyster, M.D.

1 picture and that they have a different
2 approach to that. General Electric has
3 chosen to use certain smoothing alpha
4 rhythms and the pictures are more
5 pleasing to them.

6 Q. Are all CT scan machines or
7 have they all since 1983 been capable of
8 3- and 5-millimeter slices?

9 A. General Electric in 1981
10 I believe they started off with 5- and
11 10-millimeter thick slices. They added
12 1.5 millimeter thick slices in the early
13 '80s. Probably '81 or something like
14 that. The 3-millimeter thick slice
15 didn't come into being until we went to
16 the 9800 scanners. Probably in '87 - '86
17 we started getting 3-millimeter thick
18 slices. '87 - '88. Something like
19 that.

20 Seamans actually I think
21 allowed 1- and 2-millimeter thick
22 slices. They initially had 2 - 4.
23 They had different numbers than General
24 Electric. I think they had 2 - 4. They
25 didn't have 5's and they had like 2's,

Robert G. Peyster, M.D.

1 4's and 10's and they had 1, 2, 4 and
2 10. I don't know what they are today.

3 Q. So in your mind there is no
4 doubt that the machine at St. Joe in
5 April of 1990 was capable of making
6 5-millimeter slices?

7 A. For sure. And/or 2's or 3.
8 It could be 4's, but 4's or 5's, yes.

9 MR. CHEOLAS: That is all I
10 have.

11 BY MR. VANDE VUSSE:

12 Q. You made a statement that the
13 majority of patients with subarachnoid
14 hemorrhage have no physical diagnostic
15 findings.

16 Do you remember that?

17 A. In terms of focal neurologic
18 deficits? I'm not talking about lethargy
19 or even coma. I'm talking about can't
20 move right arm, can't move left leg.
21 You know, focal sensory deficits, reflex
22 changes.

23 The majority that I see that
24 come to neuroradiology -- there are those
25 that just die. They can have all kinds

Robert G. Peyster, M.D.

1 of deficits, but I never see that.
2 So the ones that we are asked to do
3 arteriograms on that I actually have the
4 patient, the majority of them are Grade 1
5 and Grade 2 without focal deficits.

6 I mean, once you get up to
7 Grade 3 and Grade 4 you have focal
8 deficits.

9 Q. Just review with me what you
10 mean by a Grade 1 or Grade 2 hemorrhage.

11 A. There are different steps for
12 looking at these patients. People have
13 tried to stage the amount of hemorrhage
14 and call them A through K or 1 through 4
15 on the amount of varying degrees and
16 locations involved.

17 There is the clinical grading
18 of the patient either on a Glasgow coma
19 basis or on a --

20 Q. This is a 15. max?

21 A. That has a 15. max, and then
22 they go down from there, or there is a
23 grading system which is the most common
24 one that they talk to us about, which is
25 a 4. system. 5 may be dead.

Robert G. Peyster, M.D.

1 Grade 1 patients have no
2 neurologic deficit, mildly sleepy or
3 mildly confused or nothing.

4 Q. When you say "neurologic
5 deficit," you are talking --

6 A. They have no focal neurologic
7 deficit. Grade 1, they have a bad
8 headache and they really don't have
9 anything else.

10 Q. They are alert, oriented?

11 A. Yes.

12 Grade 2's are not as alert.
13 They are lethargic and they may have some
14 problem with orientation.

15 Basically most of these
16 scales are of alertness. The Grade 3's
17 are close to coma or in coma and
18 Grade 4's are in terrible shape, and when
19 you get into the more severe varieties,
20 it's impossible to do a neurologic exam.

21 Q. But when you made mention of
22 lower grade hemorrhages and specifically
23 mentioning 1 and 2, that is the system,
24 the evaluation system that you are
25 referring to?

Robert G. Peyster, M.D.

1 A. Yes.

2 Q. You also mention this concept
3 that over a period of time between 24 and
4 72 hours with the small bleed that the
5 blood will be reabsorbed and not visible
6 by a CT scan.

7 A. That is progressively less to
8 nonvisible. In other words, it gets
9 admixed with the cerebral spinal fluid
10 and then gets reabsorbed away.

11 Q. My question to you is:
12 Is blood detectable for a longer period
13 of time by LP?

14 A. Yes, definitely.

15 Q. And do you know what
16 parameters that might be? I mean, how
17 long does that reabsorption process take
18 so that blood would no longer be
19 detectable on an LB?

20 A. Well, what happens is that
21 the red blood cells eventually lyse, so
22 you get cytochromia, which is a yellowish
23 color which is measurable and actually
24 quantifiable in the lab. So if you miss
25 the stage where you can still see a lot

Robert G. Peyster, M.D.

1 of red blood cells, you can still see
2 cytochromia for a very long time after a
3 hemorrhage. I mean, weeks, months.
4 I don't know the end point.

5 I've had no discussion.
6 No one really knows. I've had this
7 discussion with neurologists and
8 neurosurgeons. We don't have a good idea
9 of when the cytochromia eventually goes
10 away. I'm sure that even with a small
11 bleed you would have no trouble making
12 that diagnosis by lumbar puncture weeks
13 down the road.

14 MR. VANDE VUSSE: That is all
15 I have.

16 MS. CHANDLER: I have a
17 question.

18 BY MS. CHANDLER:

19 Q. Do you see between the 4/3
20 and 4/19 films any absorption of blood?
21 In other words, do you see that there was
22 blood on the 4/3 films and then you don't
23 see it on the 4/19 films?

24 A. No. There is more blood in
25 the same areas on the 4/19 films.

Robert G. Peyster, M.D.

1 Q. So you don't see any
2 reabsorption?

3 A. Well, you wouldn't be able to
4 say. There has been a major rebleed,
5 so there is blood in exactly the same
6 areas but more, and then there is blood
7 in all sorts of other areas. So
8 therefore, I would not be able to see
9 that the blood has been reabsorbed.

10 I know that particular blood
11 has been reabsorbed because it can't sit
12 there, but new blood is coming and taking
13 its place. Red blood cells are not
14 tagged.

15 Q. You don't see any areas on
16 4/3 and then don't see them on 4/19?

17 A. No. There is blood in
18 exactly those locations. I pointed it
19 out to you.

20 MS. CHANDLER: That is all I
21 have.

22 BY MR. CHEOLAS:

23 Q. Doctor, on the 4/3 scan, the
24 white dot that we have been talking
25 about, could that be anything in your

Robert G. Peyster, M.D.

1 opinion that is benign in nature?

2 A. Well, blood is benign in the
3 sense that it doesn't metastasize
4 distantly. But could it be anything
5 other than blood? Not that I can think
6 of.

7 Q. What you described as blood
8 in the left sylvian fissure, is that part
9 of the subarachnoid space?

10 A. Absolutely.

11 Q. Can you show me the
12 subarachnoid space on this film, or is
13 that hard to do?

14 A. Well, I'll describe it
15 first.

16 The subarachnoid space
17 surrounds the brain entirely, so it's
18 between the bone and the brain all the
19 way around the head on every slice. It
20 dips into the brain in the form of the
21 sylvian fissures. That's one area where
22 we see it within the brain, and also
23 around the brain stem where we talked
24 about the pontine cistern. It goes all
25 the way around the brain stem.

Robert G. Peyster, M.D.

1 There is a cistern that is
2 completely surrounded by bones and the
3 medulla is surrounded by cistern as
4 well. So subarachnoid space --
5 everywhere you see brain, the brain is
6 covered by a membrane. The pia is the
7 membrane that is most closely applied to
8 the outer surface of the brain. The
9 outside of that is the arachnoid
10 membrane, so below the arachnoid membrane
11 and the pia is the subarachnoid space.
12 Outside of that is the dura and then
13 comes the bone.

14 MR. CHEOLAS: That is all I
15 have.

16 (Witness excused.)

17 (Whereupon the examination
18 adjourned at 10:30 p.m.)

19
20
21
22
23
24
25
